

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

UNITED STATES PATENT AND TRADEMARK OFFICE  
BEFORE THE PATENT TRIAL AND APPEAL BOARD  
Case No. IPR2013-00087  
Docket No. 0100157-00240

-----x  
EMC CORPORATION,

Petitioner,

- v -

PATENT OWNER OF U.S. PATENT NO. 8,001,096  
TO FARBER ET AL.

-----x

September 25, 2013  
8:57 a.m.

Deposition of ROBERT B.K. DEWAR,  
Ph.D., taken by Petitioner, pursuant to  
Notice, held at the offices of Wilmer  
Cutler Pickering Hale and Dorr LLP, 7  
World Trade Center, New York, New York,  
before Todd DeSimone, a Registered  
Professional Reporter and Notary Public of  
the State of New York.

EMC/VMware v. PersonalWeb  
IPR2013-00083  
EMCVMW 1074

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A P P E A R A N C E S :  
WILMER CUTLER PICKERING HALE AND DORR LLP  
60 State Street  
Boston, Massachusetts 02109  
Attorneys for EMC Corporation and  
VMWare, Inc.

BY: PETER M. DICHIARA, ESQ.  
peter.dichiara@wilmerhale.com  
CYNTHIA D. VREELAND, ESQ.  
cynthia.vreeland@wilmerhale.com

NIXON & VANDERHYE P.C.  
901 North Glebe Road  
11th Floor  
Arlington, Virginia 22203-1808  
Attorneys for PersonalWeb  
Technologies LLC  
BY: JOSEPH A. RHOA, ESQ.  
JAR@nixonvan.com

1

2

R O B E R T B.K. D E W A R, Ph.D.,

3

called as a witness, having been first

4

duly sworn, was examined and testified

5

as follows:

6

EXAMINATION BY MR. DICHIARA:

7

Q. Good morning, Dr. Dewar.

8

A. Good morning.

9

Q. You understand why you are here

10

today, correct?

11

A. I do.

12

Q. And that is in connection with

13

six IPR review proceedings. They are

14

officially labeled I think IPR 2013-82

15

through 87 inclusive. Each one of those

16

has a separate patent associated with it,

17

and I will try today to mostly use the

18

patent numbers. I think it is a little

19

bit more familiar than the IPR numbers.

20

A. Right. Certainly to me that is

21

true.

22

Q. And you have been deposed

23

before, correct?

24

A. Yes, I have.

25

Q. And just as some basic kind of

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

ground rules for the deposition, your testimony today is just like as if you were in court giving trial testimony, right?

A. Yes.

Q. And if I ask a question and it is in any way unclear, please let me know and I will do my best to try and rectify the situation.

A. Okay.

Q. And as I mentioned before, if at any point you want a break, just let me know. The only thing I'm going to ask is that it not be while there is a question pending.

A. Fair enough.

Q. And I'm here today to represent EMC and VMware on two of the IPRs and EMC solely on the other four, so EMC across six, VMware across the first two. With me is Cindy Vreeland as well.

MR. RHOA: Dr. Dewar, the only time you are allowed to ask for a break while a question is pending is if you have

1 R. DEWAR

2 a concern that your answer would divulge  
3 privileged information, attorney-client  
4 privileged information, or even  
5 confidential information, something like  
6 that, you can ask for a break while a  
7 question is pending. Otherwise you can't  
8 ask for breaks.

9 THE WITNESS: I understand.

10 Q. So, Dr. Dewar, just for the  
11 record, could you identify your name,  
12 address, that kind of stuff.

13 A. Robert Dewar, D-e-w-a-r. Do  
14 you need middle initials? It is B.K. And  
15 my address is 1591 Carpenter Hill Road,  
16 Bennington, Vermont 05201. That's the  
17 mailing address which is I think what we  
18 need for this.

19 Q. And, Dr. Dewar, what is your  
20 area of expertise?

21 A. I'm a computer scientist. My  
22 main areas of expertise have been  
23 operating systems, programming languages,  
24 compilers, but in 40 years of being a  
25 professor I have taught very widely, but

1 R. DEWAR

2 those are my main research areas. But I  
3 have publications in algorithms. I have  
4 publications in other areas too.

5 Then more recently I retired  
6 from the university, I guess it has got to  
7 be six years ago, so I devote all my  
8 attention to the company I founded of  
9 which I'm still president, although no  
10 longer CEO.

11 Q. And which company is that?

12 A. That is AdaCore Technologies.  
13 I founded that about 20 years ago with two  
14 colleagues and we specialize in tools for  
15 building high integrity software,  
16 avionics, air traffic control, that kind  
17 of thing.

18 Q. And if you were to be  
19 introduced at a technical conference, how  
20 would a speaker characterize your  
21 expertise if they were introducing you?

22 A. I think I'm best known for  
23 compilers and programming languages in  
24 recent years, partly because of my  
25 association with the company, because

1 R. DEWAR

2 that's where the company's technology  
3 lies. But I would say in recent years I  
4 would often be more so introduced as an  
5 expert in safety and security because a  
6 lot of the high integrity aspects of the  
7 software we are involved with involve  
8 safety critical and security critical  
9 applications.

10 Q. So a number of the documents we  
11 are going to use today have already been  
12 marked in this proceeding which I think  
13 will make things more smooth. So what I'm  
14 handing you now is a binder that has all  
15 of the board decisions for the six IPRs we  
16 talked about. They are tabbed.

17 You have reviewed the board  
18 decisions, correct?

19 A. Yes. I haven't concentrated on  
20 them, but I have gone through those.  
21 Maybe I have seen these.

22 Q. And so you will see that on the  
23 front page of each of the decisions they  
24 identify the relevant IPR. So if you take  
25 a look at the first tab, there is IPR

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

2013-00082, and each one is just the next number. All right?

A. Right.

Q. You said you had reviewed them, right?

A. Yes. It has not been a major focus. My major focus has been on the alleged prior art documents and the patents themselves.

MR. RHOA: I will say now just for the record any questions about these decisions I will probably object to as outside the scope.

MR. DICHIARA: Okay.

Q. So I'm going to ask you to turn to pages, on the first one, on the IPR for the '791 patent, you can see that on the front page, if you can turn to pages 7 through 12.

A. Yes.

Q. And this is where the board is discussing the Woodhill prior art patent, right?

A. Yes, right.



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. And then if you go starting from the middle of page 12 through I think it is page 26, that's where the board is discussing its analysis of the claim construction, right?

A. Right.

Q. And then from 26 through 32 is where the board is discussing its analysis of the Woodhill prior art in view of its claim construction?

A. Okay.

Q. And I just want to start with the first portion, the 7 through 12, where they are discussing Woodhill. And I just want to confirm, I think you said this earlier, but you did consider the decisions in forming your opinions in your expert report, correct?

MR. RHOA: Objection, outside the scope of his declaration.

A. More I was really asked to focus on the claims and how the prior art reflected on the claims. My attorney did I think inform me of all the relevant

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

decisions that might affect that.

Q. Let me ask you this: Did you consider the board's claims constructions in forming your opinion?

A. Yes, I did. I was aware of all the claims constructions. I think in fact most of them are quoted in my declarations. So I was aware of those because I understand that those are important.

Q. And did you look at the way the board had analyzed the claims in forming your opinions in your expert reports?

A. I was aware of that. I didn't analyze it closely from this document.

Q. Did you consider the board's analysis important in forming your opinions in --

A. I --

MR. RHOA: Objection, outside the scope of his declaration.

Q. Just so that we can keep the court reporter sane, we have to finish the question. I know where you are going, but

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

you have to let me finish my question.

A. That is perfectly fine.

Q. So let me take that question back and start from the top.

In forming your opinions that you expressed in your declarations, did you consider the board's analysis important in forming those opinions?

A. I believe that my attorney had informed me of anything that would have been relevant, but it certainly was not a major source. My major source for my declarations was the patents and the actual prior art documents. I mean, I was asked really to give my analysis of whether the prior art documents really reflected prior art with respect to the patent claims. That was my primary task.

Q. And was one of your tasks to consider the board's claim constructions in forming those opinions?

A. Not specifically, no.

Q. Did you read the decisions before forming your opinions?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. I can't remember, because we did discuss them, but they were not the focus certainly of my study and work. But as I say, I believe that my attorney informed me of the critical information and in particular the claim constructions which I have adhered to those claim constructions in my declarations.

Q. So in forming your opinions, as you expressed them in your declarations, I take it, then, you didn't try and identify whether the board had analyzed -- technically analyzed Woodhill correctly or not?

A. No.

Q. You didn't think that was important?

A. I was asked to really directly look at Woodhill and the claims and give my independent objective opinion on how Woodhill reflected on those claims.

Q. So you have no opinion whether the board analyzed Woodhill correctly or incorrectly? And by that, just so I'm

1 R. DEWAR

2 clear, I'm talking about pages 7 through  
3 12, just their understanding of the facts  
4 of Woodhill, not the analysis with the  
5 claims just yet.

6 A. No, not specifically, no.

7 Q. So let's turn to the next  
8 section which was the claim construction  
9 section starting on page 12, and you might  
10 want to spend just a minute or two just  
11 seeing the kind of claims that they did  
12 construe, the claim terms they did  
13 construe, and let me know when you've had  
14 a chance to take a look at that.

15 (Witness perusing document.)

16 A. All this is familiar to me or  
17 makes sense. Do you have a specific  
18 question?

19 Q. Yes. The first question is,  
20 are these the claim constructions you  
21 considered in forming your opinions  
22 contained --

23 A. Yes, they are.

24 Q. Again, we just have to finish  
25 the Q&A.

1 R. DEWAR

2 A. If I can add to that, I believe  
3 there are one or two cases, in fact there  
4 are one or two cases in my declarations  
5 where I addressed specifically claim  
6 construction issues and say if the claim  
7 construction says this, if it said that,  
8 then I would have a different opinion.  
9 But that's very clear in the declarations.

10 Q. We will get to that.

11 So just focusing on the IPR for  
12 the moment that's in front of you for the  
13 '791 patent, were the board's  
14 constructions wrong?

15 MR. RHOA: Objection, beyond  
16 the scope of the declarations.

17 A. The only issue I see with the  
18 claim constructions is the claim  
19 construction of true name, and we did  
20 discuss this, so this is a familiar issue  
21 to me, that we are working, as I  
22 understand it, with a construction that  
23 ignores point 4 in the patent  
24 specification, which relates to it being  
25 cryptographic, nonreversible hash.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. Where are you pointing to so I can follow where you are at?

A. I'm really looking at true name data -- I'm looking at the construction of true name on page 16.

Q. So other than that, do you think the board's constructions were correct?

MR. RHOA: Objection, beyond the scope of his declarations.

A. As far as I can tell, yes.

Q. And for all of the constructions, including the construction of true name, which I understand from what you just said you have some dispute with, and realizing that you have a disagreement with their construction, do you think the board's constructions were reasonable?

MR. RHOA: Objection, beyond the scope of the declarations.

A. I'm not sure what "reasonable" means.

Q. Reasoned.

MR. RHOA: Same objection.

1 R. DEWAR

2 Q. Two people can have reasonable  
3 views on a matter and disagree about the  
4 outcome, can't they?

5 A. Well, there isn't any dispute,  
6 question, or confusion over whether --  
7 over the meaning of true name in the '791  
8 patent. It is important that it be a  
9 cryptographic hash and it definitely must  
10 be a cryptographic hash for the approach  
11 to fully work of that particular patent.

12 But I understand -- my attorney  
13 explained to me that the board attempts to  
14 interpret terms as broadly as possible.  
15 So I don't have a big problem with the  
16 fact that they say well, we would like to  
17 extend the notion of true name to  
18 non-cryptographic hashes.

19 Q. I guess that's the point I'm  
20 trying to get at is you might have a  
21 reasoned view about why it means  
22 cryptographic and the board might have a  
23 view that their interpretation is within  
24 the broadest reasonable construction, and  
25 you understand that, right?



1 R. DEWAR

2 MR. RHOA: Objection, beyond  
3 the scope of the declaration.

4 A. I understand that.

5 Q. And when you were doing your  
6 analysis, did you consider the board's  
7 constructions or only your own?

8 A. Only the board's constructions.  
9 If I could just repeat, to clarify that, I  
10 used the board's constructions only, and  
11 this doesn't apply to the true name  
12 situation, but only in certain cases did I  
13 comment if the construction had been  
14 differently, then my opinion would have  
15 been affected in a different way. But I  
16 used the board's constructions, I didn't  
17 invent my own.

18 Q. That is exactly the point I'm  
19 getting at.

20 A. I used the board's  
21 constructions.

22 Q. And just to confirm, when  
23 talking about the '791, you thought that  
24 the board's constructions were at least  
25 within the broadest reasonable

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

construction?

MR. RHOA: Objection, beyond the scope of the declarations.

A. I did, yes.

Q. And then if you focus on the IPR starting at page 26 through 32, it is the analysis section --

A. Can I add a little bit of clarification to the previous thing?

Q. Yes, sir.

A. My source of information on the board's constructions were what my attorney told me the board constructions were. I've looked through this and as far as I can tell, you know, without wasting a lot of our time studying it in great detail, the constructions I see here correspond to what I was told. So as far as I know, and consistent with looking through this material right now, I believe that I followed the board's constructions at all points.

Q. And then for the portion of the IPR starting at page 26 through 32, that's

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

the portion of the decision where the board analyzes Woodhill in view of their construction, right?

MR. RHOA: Objection, beyond the scope of the declarations.

A. Yes.

Q. Do you have any opinion one way or the other whether the board's analysis was correct or incorrect?

MR. RHOA: Objection, form, also beyond the scope of the declarations.

A. No.

Q. So we are going to go through this, I apologize, but we have to go through each of the IPRs, so if you go to the next tab.

So did you read this decision in forming your opinions that you provided in your expert declarations?

A. Ask that again.

Q. So we are now looking --

A. Because you broke it down into sections before and now you are asking about the whole document.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. One of the things I'm going to try and establish is what were the materials you considered in forming your declarations, because that sort of goes to whether you considered whatever materials in forming your decisions, so I can ask you the basis for your opinions that you put in your declaration. Do you understand that?

A. I understand that.

Q. So I just need to confirm on the record whether you have considered at all, not at all, some level, for each of the IPRs as we dive into the prior art and so forth. Just so you know why I'm going through this. It is not meant to be --

A. I understand completely.

Q. So for this second IPR which is for the '280 patent, have you read this before?

A. I believe I've looked through it, but it certainly wasn't the focus of my declarations or the work I did on the declarations.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. It is the same as you mentioned before?

A. The same as I mentioned before, yes.

Q. So like the situation before, there is a section in here starting at page 9 and going forward that deals with the claim construction, it goes to the middle of page 11 I believe.

MR. RHOA: Objection, beyond the scope of the declarations.

A. I'm turning to my declaration now because in every case my declaration gives a very complete statement of the claim constructions that I depended on.

Q. Okay.

A. So if you want to ask what claim constructions did I depend on, they are explicitly in the declarations.

Q. But just so that we are clear, were they the constructions that the board provided or not?

A. My understanding is that they were the constructions the board provided.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. And, like the former question that I asked in connection with the '791 patent, were the board's claim constructions within the broadest reasonable construction in your opinion or not?

MR. RHOA: Objection, beyond the scope of the declarations.

A. You are asking me to look specifically at data file? That's the only one I see here.

Q. I think that's correct.

A. The answer to your question is yes.

Q. And I have to ask, though I think I know the answer, on pages 11 through the end where they analyze the claims in view of the construction and in view of the prior art, you don't have any opinion whether that analysis was correct or incorrect?

MR. RHOA: Objection, beyond the scope of the declarations, form also.

A. I guess I would answer, trying

1 R. DEWAR

2 to be as complete as possible with my  
3 answer here, I don't really know because I  
4 addressed many issues in my declaration.  
5 Some of the issues were obvious just by  
6 the form of the situation. Many of the  
7 issues were things my attorney asked me to  
8 address. So it may well be that the  
9 declarations do in that sense consider  
10 this material.

11 Q. Maybe we can group some of the  
12 stuff together to move things along. But  
13 is it fair to say you read each of the  
14 decisions in expressing your opinions in  
15 your declarations?

16 MR. RHOA: Objection, beyond  
17 the scope of the declaration.

18 A. I'm sorry, say that again.

19 Q. For your declarations, for each  
20 of the declarations for each of the  
21 patents, did you read the decisions in  
22 forming your opinions?

23 A. No. These decisions were not a  
24 primary source of forming my opinions.

25 Q. But the question was a little

1 R. DEWAR

2 simpler. Did you just read them?

3 A. I mean, the reason I'm  
4 hesitating is early on there was huge,  
5 giant piles of stuff, and so I can tell  
6 you what I studied carefully for the  
7 declaration, and with respect to that the  
8 answer would be no.

9 Did I read through them at some  
10 point? I'm not sure. Because certainly  
11 in our discussions, in our discussions  
12 with my attorney, these issues came up  
13 sometime. My attorney would say well, the  
14 board said this. That's why I used  
15 "directly" in my response.

16 Q. Is it the situation that you  
17 didn't consider the decisions particularly  
18 important in forming your opinions?

19 A. I presume that if there were  
20 important points in the decisions which I  
21 needed to address, my attorney requested  
22 that I address those.

23 Q. So your focus was whatever --  
24 by your attorney, I'm assuming you mean  
25 Mr. Rhoa?



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. Yes, and the firm.

Q. And his firm?

A. And Brian Siritzky too.

So I was asked to look at, for my declarations, I was asked to look at the question of whether these patents were valid with respect to the prior art documents and, you know, we discussed many specific points in that, as discussing in preparation for those declarations. But I didn't specifically inform the declarations independently myself by reading this material.

Q. So you relied on Mr. Rhoa and Mr. Siritzky to point you to relevant portions?

A. No. We never sat down and said look at this section of this document.

Q. And that's your memory for all six of the --

A. That's my memory for all of them, yes.

Q. And in terms of doing your declarations, who did you work with

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

besides Mr. Rhoa and Mr. Siritzky? Were there any other attorneys, any others that you remember?

A. I'm not 100 percent sure, but those were my two primary contacts for sure.

Q. Did you work with Mr. Rhoa more or less than Mr. Siritzky?

A. More I would say overall.

Q. Did each one of those gentlemen have like specific patents or specific roles that you think?

MR. RHOA: Objection, relevance, form.

A. No.

Q. Then just to confirm, for each of the six IPRs, the board's claim construction you did consider, correct?

A. Yes.

Q. And you thought it was within the broadest reasonable construction, correct?

MR. RHOA: Objection, beyond the scope of the declarations.

1 R. DEWAR

2 A. My attorney gave me the claim  
3 constructions to follow. He did not ask  
4 me to give an opinion on whether they were  
5 reasonable or consistent. They were  
6 material that was given to me as a  
7 starting point for my declarations. I  
8 don't think there is any point at which  
9 there was a problem with them from my  
10 point of view, but that's what I worked  
11 with.

12 Q. We will get to that. I think  
13 there may have been a couple that showed  
14 up in the declarations which we can talk  
15 about.

16 So I'm handing you a binder.  
17 Here is one that should have the patents  
18 that are being challenged. These have all  
19 been marked in the proceedings. Usually  
20 you will see it down in the lower right  
21 corner, there is some kind of marking.  
22 But I think it will be saner if we just  
23 refer to it by the patent number. If we  
24 go to the '791 patent to begin with.

25 A. I'm turning to my copy of it

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

here..

Q. Okay. Just so the record is clear, and I have no objection to it, but those are Dr. Dewar's broad binder full of -- and maybe you can describe what's in the binder.

A. What's in my binder here is my declarations, the patents, and the following documents, Woodhill, Kantor, Langer, Satyanarayanan and Fischer. And the ZIP standard, the CPIO standard, the TAR standard, and I believe that is a complete list.

Q. No notes of any sort?

A. There are some notes on some of the -- there are minimal number of notes in a couple of places tabbed for easy reference.

Q. Let's focus on the '791 patent. You certainly reviewed the '791 patent in forming your opinions, right?

A. Yes.

Q. Did you understand the subject matter of the '791 patent?

1 R. DEWAR

2 A. Yes.

3 Q. Was there any part that you  
4 didn't understand?

5 A. I'm very familiar with the '791  
6 patent.

7 Q. Do you have an opinion about  
8 whether the subject matter of the '791  
9 patent works?

10 MR. RHOA: Objection, beyond  
11 the scope of the declarations.

12 A. Works? What exactly does that  
13 mean?

14 Q. Does the system work?

15 MR. RHOA: Objection, form.  
16 Also beyond the scope of the declarations.

17 A. Well, it is an invention that  
18 can be used in the construction of a  
19 system. It is not a system itself. Most  
20 certainly there are systems around that  
21 successfully use the invention of this  
22 patent.

23 Q. And so the reason I'm asking  
24 this question is, or I should say one of  
25 the reasons I'm asking this question, when

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

I ask you about the patent, I'm going to ask you to just focus on the patent itself, not on whether you have any independent knowledge of whether Mr. Farber and company had some software product you might be familiar with or any third-party products or anything like that. It is just going to be about the patent.

Is that fair?

A. That is completely fair. That's why your first question surprised me.

Q. I have to admit, sometimes people -- there is a product associated with it and understanding bleeds between the two and I'm going to focus on the actual text, okay?

A. That won't be a problem here.

Q. So in talking about Woodhill, I want to start out with hopefully what is a simple example. Assume that you, Dr. Dewar, have a really short CV and that the only thing it says is "I live in

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Vermont and I have extensive experience in the Ada programming language." So far, so good?

A. Okay.

Q. That is your short CV.

A. Okay.

Q. Assume that that file, as luck would have it, that it would fit into what the patent calls a simple data item. Do you understand that so far?

A. Yes.

Q. Because the patent talks about simple data items and compound data items. I just want to focus on the simple for the moment.

A. I would like to correct a possible misimpression you put on the table that it is something to do with size. It is something to do with structure, not size.

Q. Let's assume that the size of the file and the structure of the system is such that that CV fits in a simple data item.

1 R. DEWAR

2 A. Okay.

3 Q. Let's just also assume that  
4 your CV is unique, that no one else in the  
5 system that is using the '791 technology  
6 has the same CV as you do.

7 A. Okay.

8 Q. That's a fair assumption,  
9 right?

10 A. That's a fair assumption.

11 Q. In fact, in file systems there  
12 is a lot of unique files, right?

13 A. Yes.

14 Q. There could be some that are  
15 duplicates and there could be some that  
16 are unique?

17 A. Yes.

18 Q. So we are talking about a  
19 unique file, your CV, short form, right?

20 A. I mean, I don't think I could  
21 guarantee it is unique. For instance, I  
22 have multiple copies of my CV that are  
23 absolutely identical on my PC, if you were  
24 to bring it here, under different file  
25 names and different directories. I don't



1 R. DEWAR

2 think I can presume uniqueness of the  
3 file.

4 Q. For this assumption, let's just  
5 presume it is.

6 A. We will presume it is unique.

7 Q. Your first version of it.

8 So just so that we have  
9 something concrete, if you could write  
10 whatever you want that short CV to be. I  
11 like the idea of "I live in Vermont and I  
12 specialize in Ada," but just so that we  
13 can refer back to it.

14 A. To clarify, you have no  
15 interest in whether this is accurate or  
16 not?

17 Q. Right. This is what we call a  
18 hypothetical.

19 A. There is a small file sitting  
20 in front of me, okay.

21 Q. This is so we have a concrete  
22 example and so we can refer back to it.  
23 For purposes of this hypothetical, we will  
24 talk about the assimilation process in a  
25 minute. Right now I just want to focus a

1 R. DEWAR

2 little bit on the internal structures of  
3 the '791 patent.

4 Assume it is already in the  
5 system. So it has been stored in the  
6 system as a true file and all that, and I  
7 just want to make sure our dots are  
8 connected.

9 A. Okay.

10 Q. Now, with the '791 patent you,  
11 Dr. Dewar, would refer to this with the  
12 conventional path name, right?

13 A. Right.

14 Q. And if it is okay with you I  
15 will suggest one and you can tell me  
16 whether it is correct or incorrect. Let's  
17 just assume, again, it is something simple  
18 like C:// --

19 A. One backslash, please.

20 Q. Dewar/CV.doc. And just so we  
21 have our terminology consistent, the part  
22 that says C:/Dewar, that's the path name?

23 A. Yes.

24 Q. And CV.doc is the file name?

25 A. Yes.

1 R. DEWAR

2 Q. Now, in the patent, and we can  
3 turn to column 8, line 19, in that area,  
4 you probably remember this, they have  
5 something called the local directory  
6 extensions table?

7 A. Yes.

8 Q. You can see it again down near  
9 the bottom of the page as well, around  
10 line 59.

11 A. Yes.

12 Q. Is local directory extension  
13 table, is that a term that's used in  
14 industry or is that a patent term? Have  
15 you ever heard of it outside of the  
16 context of the patent before?

17 A. Well, it is a plain language  
18 thing. Local directory is well understood  
19 and this is an extension to the local  
20 directory.

21 Q. And the local directory  
22 extension table has certain directory  
23 information in it, right?

24 A. Right.

25 Q. And some of that is shown

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

starting at the bottom of column 8 and going on to column 9, right?

A. Right.

Q. And this is information about the files?

A. Right.

Q. And if you take a look at like, on column 9, or 8, on 66, that's the part I want to do, so there is something called the path name?

A. Right.

Q. And that's in the example before you, your short CV, that would be the C:/Dewar?

A. Correct.

Q. And CV.doc would be the file name we said, right?

A. Yes.

Q. Is that part of the path name here; do you know?

A. CV.doc?

Q. Yes.

A. Casually people will sometimes refer to the whole thing as a path, but

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

strictly I think if pressed people would say no, C:/Dewar is the path, CV.doc is the file name.

Q. If you go to column 9, around line 15, there is a field in there they call time of last access, right?

A. Yes.

Q. As the name in the kind of description suggests, this is the time the file was last accessed, right?

A. Right.

Q. So if you opened your exemplary file at 9:40 on Wednesday, that should be reflected there?

A. Right.

Q. And then if you opened it again at noon, you would expect that to be updated at noon, right?

A. Right.

Q. And if let's say you modified it at 1 o'clock, you would see that in the field right below it where it says time of last modification, right?

A. Right.

1 R. DEWAR

2 Q. And the LDE, local directory  
3 extension table, also includes the true  
4 name, right?

5 A. Right.

6 Q. And that's shown at around  
7 column 9, line 6 or so, right?

8 A. Yes.

9 Q. And this is what the patent at  
10 points refers to as a substantially unique  
11 identifier; is that right?

12 A. Correct.

13 MR. RHOA: The witness is  
14 cautioned to pause before answering so I  
15 can lodge an objection, if needed.

16 THE WITNESS: I'm sorry, yes.

17 Q. And the true name is calculated  
18 as a hash on the data of the file, right?

19 A. Right.

20 Q. And just so we can be sane, for  
21 purposes of this hypothetical with your  
22 short form of the CV, let's just assume  
23 that the math happily works out that the  
24 calculation is just 2468, just so we have  
25 a concrete number. It might be longer

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

than that.

A. It would have to be longer than that. As long as that is understood that it would have to be longer to be substantially unique, which I think we all agree on that as a criteria. So if 2468 is a stand-in for some longer substantially unique identifier, that is fine.

Q. We can imagine it repeats or something. I just want to have something we can remember. You marked that on there, good, this way we won't have it be a memory test.

The patent also discusses something called the true file registry, right?

A. Right.

Q. And sometimes they refer to it as just TFR, right?

A. Right.

Q. The true file registry also includes information about the files, right?

1 R. DEWAR

2 A. Right.

3 Q. And the true file registry also  
4 includes a true name field, right?

5 A. Right.

6 Q. That's around line 44 or so on  
7 column 9?

8 A. Yes, correct.

9 Q. And it also -- the TFR also  
10 includes something called a true file ID,  
11 right? That's around line 63.

12 A. Right.

13 Q. And this specifies the disk  
14 location of the actual physical  
15 representation of the file, right?

16 A. Right.

17 Q. That's what the text actually  
18 says, right?

19 A. Yes.

20 Q. And then it continues that it  
21 is sufficient to use a file name in the  
22 registration directory, right?

23 A. Right.

24 Q. And by "this," they mean that a  
25 file name is sufficient to represent the



1 R. DEWAR

2 disk location of the actual physical  
3 representation of the file?

4 MR. RHOA: Objection, form.

5 A. The reason I'm pausing on the  
6 answer is I don't know whether file name  
7 would include a path name or not here.

8 Q. But the text says it is  
9 sufficient to use a file name, right?

10 A. Right.

11 Q. So just so we have our pieces  
12 together, we have the LDE, and that  
13 includes an entry that had the path name  
14 that we talked about, right?

15 A. Yes.

16 Q. C:/Dewar/CV.doc?

17 A. Right.

18 Q. That LDE entry would also have  
19 a true name value 2468, realizing we are  
20 shortening it for the sake of sanity?

21 A. Yes.

22 Q. And the true file registry  
23 would also have an entry with the true  
24 name 2468?

25 A. Yes.

1 R. DEWAR

2 Q. And it would include the  
3 location of where it was actually stored  
4 in the system, in the true name patent  
5 system, in the true file ID?

6 A. Yes.

7 Q. And if it makes sense, we can  
8 do it, for the true file ID, can you  
9 propose what might be -- I'm sure it is  
10 some kind of file name on the underlying  
11 file system, but if you just propose one  
12 that would be welcome.

13 MR. RHOA: Objection to form.  
14 Beyond the scope of the declaration.

15 A. Well, I would have assumed that  
16 what I would find here is the full path  
17 name of the file.

18 Q. But it could also be someplace  
19 out on the network where it might say  
20 "F: User"?

21 A. It could, yes.

22 Q. So let's just use one,  
23 whichever one you would like.

24 A. Let's assume that the file is  
25 local and that's what we see there as the

1 R. DEWAR

2 true file ID.

3 Q. Okay, fair enough. So at some  
4 later point in time when you try and read  
5 this simple data item, you are going to  
6 say something like "open" or "read  
7 C:/Dewar" --

8 A. Who is "you" in that situation?

9 Q. Dr. Dewar, in front of his  
10 computer, using the '791 technology. The  
11 actual user is first going to say "open  
12 CV.doc" and then the '791 technology is  
13 going to convert that to find where it  
14 actually is. That's where I'm heading.

15 A. That's not my understanding.  
16 My understanding is that I would type in  
17 2468.

18 Q. As a user?

19 A. Well, most likely I would be  
20 going through some -- I mean, I might be  
21 going from some remote thing that says I'm  
22 looking for Dr. Dewar's CV. I mean, the  
23 point of this patent is to find things.  
24 You are presuming I already know where it  
25 is, so that seems odd to me.

1 R. DEWAR

2 Q. Right. But isn't the purpose  
3 of the system to augment conventional  
4 operating systems?

5 A. Well, the purpose of the system  
6 is to find files using their true name  
7 without knowing where they are or what  
8 they are called.

9 Q. So as a user, Dr. Dewar, not a  
10 piece of software just yet, if you wanted  
11 to open your CV using this technology, how  
12 would you do it?

13 MR. RHOA: Objection, form.

14 A. So the reason this is difficult  
15 is it is a little odd use of the  
16 technology.

17 Q. Let me see if I can sort of  
18 propose something. You can tell me  
19 whether it is incorrect or correct.

20 A. Okay.

21 Q. If a user or a piece of  
22 software or something like that said "open  
23 C:/Dewar/CV.doc," the LDE would provide  
24 the true name for that, right? It has an  
25 entry that says for that path name here is

1 R. DEWAR

2 the true name, right?

3 A. Right.

4 Q. And the TFR will say for that  
5 true name, here is the true file ID,  
6 right?

7 A. Right.

8 Q. So if someone said --

9 A. All you said is true, but  
10 that's not somehow the normal use of the  
11 patent.

12 Q. And if someone said "open  
13 C:/Dewar/CV.doc," the LDE would provide  
14 the true name, right?

15 A. But, again, that's not -- I  
16 mean, a very odd interpretation of the  
17 patent.

18 Q. Okay, we will get back to that  
19 then. Your understanding is a person  
20 actually says "open 2468"?

21 A. Well, a more realistic view of  
22 how the patent would operate in this case,  
23 it says hey, you should find out about  
24 this guy named Dewar. You should go look  
25 up his CV. I say well, where can I find

1 R. DEWAR

2 it? I don't know, but use this software  
3 and use this identifier, and by searching  
4 using the true name, God knows where, not  
5 only will I find the file that might or  
6 might not have this name, but I will find  
7 the particular version of the CV that I  
8 was looking for.

9 I mean, it is very important  
10 that we are looking up by content and not  
11 by name. That's what the patent is about,  
12 is looking up by known content and not by  
13 name. So that's why you confused me by  
14 talking about looking at things by file  
15 name. The whole point of this is not to  
16 do that.

17 Q. And the question that I was  
18 having, is I realized at least in some  
19 point in the technology they are using the  
20 true names and to do precisely what you  
21 are saying, to look up by content, but the  
22 question was, the users of the system, do  
23 they even know that there is a true name  
24 or is that hidden from them and the system  
25 does that under the covers?

1 R. DEWAR

2 MR. RHOA: Objection, form.

3 A. That typically would be -- I  
4 mean, I would not expect that someone  
5 would type in the 16-digit MD5 code. It  
6 might happen, but it is not -- remember,  
7 you asked me not to think of systems  
8 outside the patent. So I'm doing that  
9 right now.

10 Q. We will circle back to this  
11 later.

12 A. You are asking me to tell you  
13 what systems outside the patent do and you  
14 instructed me not to think about that, so  
15 I'm stuck.

16 Q. Okay. We will move on to the  
17 next. So now we are going to talk about  
18 the true names and kind of how they are  
19 calculated.

20 A. Okay.

21 Q. So we had mentioned before that  
22 the true name uses a hash function to get  
23 that 2468 value, right?

24 A. Right. I would prefer -- I  
25 mean, I'm going to ask every time you say

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

hash, do you mean substantially unique hash?

Q. Right now I just mean hash.

A. In that case, that's incomplete, seriously incomplete specification you gave.

Q. If we turn to column 13, around line 16, it refers to SHA, right?

A. Yes.

Q. And the H in there is hash?

A. Yes.

Q. Secure hash algorithm?

A. Yes.

Q. So is it a hash or is it not a hash?

A. All these substantially unique hash mechanisms are hashes. All hashes are not substantially unique. You see the Venn diagram, so...

Q. And the input to that algorithm, secure hash, hash, whatever the debate is, but the input to that function is the file contents?

A. Right.



1 R. DEWAR

2 Q. So in the example that you have  
3 before you, is that I live in Vermont, I  
4 have extensive experience in the Ada --

5 A. I actually said "specialized"  
6 here.

7 Q. But it would be that that goes  
8 into the algorithm?

9 A. That is a little too vague. It  
10 be would every bit of that file, including  
11 the spaces, the end of line characters,  
12 the file character.

13 Q. The text, including the spaces  
14 and punctuation and so forth?

15 A. Well, I wouldn't have called  
16 the end of file mark text. Every bit in  
17 the file.

18 Q. And under our hypothetical, the  
19 spaces, the end of -- did you say --

20 A. End of file.

21 Q. End of file marker, the  
22 algorithm churns out 2468?

23 A. Right.

24 Q. And it doesn't consider any of  
25 the information in the LDE, right?

1 R. DEWAR

2 A. No.

3 Q. And it doesn't consider any of  
4 the information in the TFR?

5 A. Right.

6 Q. So it doesn't use the  
7 C:/Dewar/CV.doc, it doesn't put that into  
8 the algorithm?

9 A. Definitely not.

10 Q. And that includes the directory  
11 part of that path name, right?

12 A. Right.

13 Q. Or the file name part of that  
14 path name?

15 A. The file name.

16 Q. And realistically it wouldn't  
17 make any sense in the patent to do so, it  
18 would defeat the purpose?

19 A. Right. It is fundamental to  
20 the patent that it is all the bits in the  
21 file and nothing but the bits in the file.

22 Q. And it is also true that it  
23 wouldn't, for the same reason, it wouldn't  
24 use things like time of last access,  
25 right, that would be silly?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. Right. Unless the time of access is part of the bits of the file, which you can imagine it might be, but it isn't in our example, so it wouldn't be there.

Q. It is not taking it out of the LDE for certain?

A. Right, for sure.

Q. And it is not using the true file ID as part of that input to that file either?

A. Right.

Q. And that wouldn't make sense either, because if you moved the file from one spot to another, you still want it to have the same hash, it is the same file, right?

A. Right.

Q. So let's turn to column 3 and lines 32 through 35. That says, just so we are all synced up, "Thus, the identity of a data item is independent of its name, origin, location, address, or other information not derivable directly from

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

the data and depends only on the data  
itself," right?

A. Right.

Q. And that's what you were  
referring to as the fundamental; is that  
right?

A. Right.

Q. The fundamental property.  
And it is talking about the  
invention that's showing up on line 29,  
right?

A. Right.

Q. And by the identity of the data  
item, they are referring to the true name?

A. Right.

Q. So this is just what we were  
referring to, none of this kind of  
information would go into the hash  
algorithm?

MR. RHOA: Objection, form.

A. This kind of information?

Q. The name, origin, location,  
address, or other information not directly  
derivable from the data. That wouldn't go

1 R. DEWAR

2 into the --

3 A. That would not be part --

4 MR. RHOA: Objection to form.

5 A. That would not be part of the  
6 calculation.

7 Q. Now, if you turn back a column,  
8 column 2, and you go right around from 47  
9 through 57, and you can read that to  
10 yourself and then I will ask you.

11 The part that says "However,  
12 when a processor or some location obtains  
13 data from another location," this is the  
14 background of the patent.

15 A. Yes.

16 Q. And if you look at 47 through  
17 57, just that paragraph, I'm going to ask  
18 you a question about that.

19 A. Okay.

20 Q. Have you read it?

21 A. Yes, I have read it.

22 Q. This is talking about the  
23 problem I think you were referring to  
24 before about that there might be  
25 duplicates in the system, same file, but

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

really different names, let me make that clearer, same file, same identical contents, but they happen to have different names --

A. Different names or different paths or different both.

Q. And it wastes storage and whatnot, right?

A. Right.

Q. And they are criticizing this approach, right?

A. I don't see anything critical in 47 through 58. It just is noting that this is the case.

Q. So do you think that the patent is saying this is a good way to manage storage or that they are trying to set it up as the problem that they are trying to solve?

A. It is certainly not the problem they are trying to solve.

Q. A problem?

A. I don't see removing duplicates as a major focus of this patent. It is

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

true that the technology -- parts of the technology, just parts of it, impinge on that issue, but it is not a focus.

Q. Did you say remove duplicates? I have to take a look at your answer.

A. I mean, if you want to interpret 47 through 58 as some kind of problem, that paragraph does not do so, then you might deduce that the solution to that problem would be to remove those duplicates, but they might be there for a good reason.

I just don't see -- I mean, this is a comment that different files in the system will have the same true name. It is just a comment to that effect.

Q. But instead of focusing on remove, it at least wants to be able to identify that there are duplicates, right?

A. It is not central to this patent to identify duplicates. It may fall out, but it is not central to this patent.

Q. So let's turn to what we had at

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

least mentioned earlier, the process of assimilating a data item. Are you familiar with that term as it is used in the patent?

A. Yes.

Q. And that shows up in column 14 starting around line 40 and I think it goes on to column 15 just near the top, around line 4 or so.

(Witness perusing document.)

A. Okay, I think I'm clear on that.

Q. You are familiar with that technology?

A. Yes.

Q. If I could ask you a favor, so I don't confuse it, if I could get your short CV, and I'm just going to ask the reporter to mark that as Dewar Deposition Exhibit 1, which might make our life easier.

(Dewar Exhibit 1 marked for identification.)

Q. You can keep that to the side.



1 R. DEWAR

2 I'm going to ask you about a different  
3 hypothetical. Now we are going to talk  
4 about assimilate.

5 So for the purpose of this  
6 hypothetical, it is the '791 system,  
7 assume that there is 1,000 files in the  
8 system, a relatively small system, and  
9 that one of those files is a PDF document,  
10 and let's assume it is like a very short  
11 portion of a deposition transcript.

12 So far, so good?

13 A. Yes.

14 Q. And someone already has that in  
15 the system, they have got it, all the LDE  
16 and TFR technology has the necessary  
17 information, it has been recorded in the  
18 system.

19 So far, so good?

20 A. So it is already in the true  
21 file registry?

22 Q. Yes. I want the situation  
23 where a particular document, the short  
24 version, is already in the system.

25 A. Okay.

1 R. DEWAR

2 Q. And in this hypothetical, we  
3 are going to give it a different true name  
4 so we have a different set of numbers.

5 Let's assume, shortened  
6 version, I realize it needs to be longer,  
7 it is the odd numbers, 1357. Okay?

8 A. Okay.

9 Q. And you don't know about that  
10 in the system. Somebody else at your  
11 company or something like that already has  
12 it there.

13 A. Right.

14 Q. And assume that Mr. Rhoa or  
15 Mr. Siritzky just happens to e-mail you  
16 the same identical PDF.

17 A. Okay.

18 Q. Then you want to upload it or  
19 assimilate it into the system, right?

20 A. Assimilate it into the true  
21 name system, yes.

22 Q. Just starting out at 14-41 and  
23 42, the first thing that is going to  
24 happen as part of your assimilation  
25 process, is that it is initially going to

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

exist as a scratch file, right?

A. Correct.

Q. The next thing it is going to do is going to try and calculate a true name for that scratch file, that is what it is saying at 51 through 53, right?

A. Right.

Q. And under our hypothetical, since it is the same document, it should get 13579?

A. Correct.

Q. Even if the other user --

A. You didn't have a 9 the first time, but I don't think it is critical.

Q. I'm sorry. You are better at this than me. I'm trying to make this simple and it is still not simple enough.

So if the first person who had that document called it deposition transcript and you received it, and it was Clark deposition transcript, even though it has different file names, it is going to get the same hash, 1357 or 13579, whatever the right numbers are?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. Yes.

Q. That's the way it is going to work. And that, as we are just talking about, that is the fundamental idea of the patent, that you are going to get that same number, even if it has a different file name, different other information about the file, the time of access, anything like that, right?

A. Correct.

Q. And just as an aside, the same contents should yield the same true name value for any hash function -- strike that. I'm going to reask the question.

Even if you had what you would consider a trivial hash function, not a cryptographic hash, if you put the same contents in, you should get the same hash value out?

A. All hash functions have that property.

Q. And even if you had the most sophisticated cryptographic hash, you could have different files go into it and

1 R. DEWAR

2 get the same value, right? That's called  
3 a collision?

4 A. There is always some finite  
5 probability of a collision.

6 Q. And the patent actually says it  
7 is impossible to avoid, right?

8 A. It is impossible to avoid a  
9 finite probability of a collision.

10 Q. So in our hypothetical, there  
11 is the one file that has already been  
12 uploaded by somebody else, unknown to you,  
13 they had 13579.

14 You are trying to upload it,  
15 calculate the true name on that, it is  
16 13579, and in calculating that you just  
17 looked at the contents of the PDF file,  
18 right?

19 A. Right.

20 Q. Just the bits inside, right?

21 A. Yes.

22 Q. None of the information about  
23 that file?

24 A. Right.

25 Q. And just to be clear, when we

1 R. DEWAR

2 are talking about that it is not -- when  
3 it is calculating the true name and it is  
4 using the contents of the file, right, it  
5 is not using the LDE information or the  
6 TFR information, right?

7 A. No, it is not using that  
8 information.

9 Q. And that information is what  
10 people would call metadata; is that right?

11 A. Well, can we go back one step?  
12 That information doesn't even exist for  
13 the scratch file.

14 Q. Correct.

15 A. Because it is not assimilated  
16 yet.

17 Q. Okay. So as just a matter of  
18 fact, when it is calculating the true  
19 name, it doesn't even use the metadata  
20 because it doesn't even have the metadata  
21 yet, right?

22 A. You will have to define how you  
23 are using the word "metadata." I don't  
24 consider it a standard term of art.

25 Q. It is not using any of the

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

information not directly derivable from the data itself, we are in agreement?

A. We are in agreement with that definition.

Q. So we have calculated the true name on the one that you were trying to upload, right?

A. Right.

Q. And then starting at around line 51, on column 14, the patent then goes on, it says "determine the true name," and then the next thing it says, is it says "next, look for an entry for the true name in the true file" --

A. Wait. Where are you? You are too far. We skipped. We have to look at line 45 first.

Q. Okay. What's in 45?

A. "If the data item already exists in the true file registry," which in your hypothetical it does. So you can't skip that.

Q. Okay. So this is describing what is going to happen in the

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

assimilation process, that paragraph starting at 41 through 47, right?

A. Right. You started to read beyond that, and we don't get that far in your hypothetical.

Q. Let me start on 51.

A. Then you have to change your hypothetical.

Q. I will. So let's just start on 14-51. It says "First, determine the true name of the data item" --

A. But I must stop. Do we have a hypothetical on the table or not?

Q. Yes. You have the PDF file Mr. Rhoa has e-mailed you and you are trying to upload it. That is the hypothetical.

A. But then we will not get to line 51.

Q. Let's see if that's true or not. I think what we are going to see is this is the mechanism about how it does exactly what goes on in that opening paragraph, and you can tell me whether you



1 R. DEWAR

2 disagree.

3 But I think that opening  
4 paragraph, 41 through 47, is saying what  
5 is going to happen, and 51 onwards is  
6 saying how it will happen.

7 A. Okay, fair enough.

8 Q. So starting at 51, I think we  
9 were saying this before, that the first  
10 thing it is going to do, and it says it,  
11 "First, determine the true name of the  
12 data item corresponding to the given  
13 scratch file using the calculate true name  
14 primitive mechanism." That's what we  
15 talked about before?

16 A. Yes.

17 Q. And happily it turns out 13579,  
18 right?

19 A. Right.

20 Q. Then it says, next sentence,  
21 "Next, look for an entry for the true name  
22 in the true file registry 126" -- that's  
23 the true file registry we talked about  
24 before, right?

25 A. Yes.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. "And determine whether the true name entry record exists in the true file registry."

A. Right.

Q. So this one sentence where it is saying "look for an entry in the TFR," is that sufficient to tell someone how to implement that step, that lookup step?

A. Well, the true file registry is indexed in some manner by the true name. There is a statement to that effect. I don't believe, memory now, but I don't believe the patent specifies a particular mechanism or specification for how that indexing be done, but we have a million ways to do it and it is familiar.

Q. That is exactly what I'm getting at. This is a basic operation, right, looking up an entry in a database, right?

A. Right.

Q. And you don't really need a big discourse for that, right?

A. Right.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. One sentence will enable someone skilled in the art how to do this?

A. Yes.

Q. And I think you had mentioned, and I think I agree with it, that the patent really doesn't say how to do the lookup, it just says look it up?

A. Looking up data by key has been around for 60 years.

Q. And do you know how the true file registry is organized structurally or how it is implemented?

MR. RHOA: Objection, form.

A. You mean in this embodiment?

Q. Yeah.

A. I don't think it is clearly specified, and there could be any number of ways that are completely familiar for doing that.

Q. Would it be okay to just consider it as a list of entries?

MR. RHOA: Same objection.

A. Well, that would imply a serial search to find the entry, which is not a

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

structure that I think any half-competent programmer would use.

Q. And why is that?

A. Because it would be slow.

Q. I agree with that, too.

So when this entry -- this sentence is saying look for the entry for the true name in the TFR, in our hypothetical it is saying does 13579 exist in the TFR, right?

A. Right.

Q. And in our situation, it does, right?

A. Right.

Q. And in finding that, it is going to, with whatever the database technology is, it is going to find it, and when it finds it, it determines that it is there, right?

A. Right.

Q. And it stops, right?

A. Well, actually --

MR. RHOA: Objection, form.

Q. I mean, as far as this step is

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

concerned, it would stop as soon as it found it?

A. As soon as it found the entry, I mean, "stop" sort of implies a serial search, and that's not the way it would be done.

You use most typically a hashing, a separate level of hashing on the true names to find the entry in the true name table and that determines whether it is present. It says yes, it is present; no, it isn't.

Q. In the technology you were just describing, I want to know if I'm on the same page with you, is that a hash table?

A. Yes, a conventional hash table.

Q. And in a hash table, if you were given the true name, you would look in one spot and you would see is it there, right?

A. Well, hashing isn't quite that simple.

Q. It could be that simple, right?

MR. RHOA: Objection to form.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. No, because this would never be a unique hash. So you need some chaining mechanism or some collision search mechanism. But this is textbook stuff that has been around for 50 years. It is nothing new.

Q. And I agree with that. But the point I'm trying to make is, with the technology that people would use, you would look in a spot to see if the hash exists, right?

MR. RHOA: Objection, form.

Q. For the record, I need a verbal agree or disagree.

A. "Look in a spot" is hardly technical.

Q. Sorry. You would look in the hash table --

A. Are you speaking of a linear hash table or a chained bucket hash table?

Q. Would you prefer one over the other?

A. Two different ways of doing the same thing.

1 R. DEWAR

2 Q. In the patent you would look  
3 for a spot in the registry to see if that  
4 true name existed?

5 MR. RHOA: Objection, form.

6 A. One way or another I look in  
7 the TFR and find the entry, if it is  
8 there, or determine that it isn't there.

9 Q. Correct. And that's what I'm  
10 trying to probe, is when it says look --  
11 let me put it in a different way. And I  
12 think you were saying this when you were  
13 talking about why it would be probably  
14 silly to do a list, a sequential list.

15 If you look into the TFR and  
16 you find an entry with that true name, you  
17 don't continue on looking to see whether  
18 there are other entries with that true  
19 name?

20 A. No.

21 Q. It wouldn't make any sense?

22 A. There can't be other entries  
23 with the true name. We know that.

24 Q. And then likewise if you were  
25 looking for the true name in the TFR, the

1 R. DEWAR

2 technology isn't that you would have to  
3 look through each and every entry?

4 A. Right.

5 Q. There is mechanisms that could  
6 confine that so you can only look at one  
7 or a small number of entries --

8 A. A million such mechanisms exist  
9 and are familiar.

10 Q. Just to use an everyday  
11 example, I'm sorry it took so long to get  
12 to this point, if I gave you a dictionary  
13 and I said what's the definition of Ada,  
14 you would turn to some specific pages and  
15 you would either see the definition of Ada  
16 or you wouldn't?

17 A. Right. I would roughly use an  
18 address calculation hash.

19 Q. In the dictionary, you wouldn't  
20 go through every page of the dictionary?

21 A. No. I know it is Ada. As I  
22 said, I'm roughly doing an address  
23 calculation search.

24 I say okay, the A's are in the  
25 beginning of the dictionary. I take my



1 R. DEWAR

2 Oxford English Dictionary, I take the A  
3 volume, and I flip to, and then I'm  
4 roughly doing a binary search within some  
5 region. I'm looking at the headings at  
6 the top doing a binary search to get close  
7 enough. When I get really close, I'm  
8 doing a serial search on a small part of  
9 the dictionary.

10 Q. That is just everybody is  
11 familiar with from just looking at the  
12 dictionary.

13 A. Yes, it is a pretty incompetent  
14 way of searching.

15 Incidentally, I would not  
16 search that way if I have the dictionary  
17 on CD-ROM. I would type in Ada and it  
18 would have the kind of mechanisms we are  
19 talking about to go there, boom, without  
20 all that nonsense.

21 Q. So for both cases, to see if  
22 Ada is in the dictionary or to see whether  
23 it is not in the dictionary, you are never  
24 flipping through every page?

25 MR. RHOA: Objection, form.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. Either with a computer or a human being?

A. There is nothing -- I don't find anything in the patent that I remember, that I recall, that forbids a serial search there. And it may be that if you analyze your system, you decide, not a focus of efficiency, a serial search would be okay.

That is an implementation detail, an implementation efficiency detail that I think is below the level of what we are considering in the patent.

Q. I agree with that. And it certainly wouldn't require you to look -- the patent doesn't demand or require you to look at every entry in the database?

MR. RHOA: Objection, form.

A. It certainly does not demand that and it strongly implies that that isn't the case.

Q. I agree with that, okay.

So then just continuing, it says "Next look for the entry," and we

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

just said that, and it says, right around line 56, I think it says -- it says "If the entry record includes a corresponding true file ID or compressed file ID"; right, are you with me?

A. Yes.

Q. That is indicating that hey, this already exists in the system and there is actually a true file ID, right?

A. Right.

Q. It says "then delete the file with the scratch file ID," right?

A. Right.

Q. So this is where we were talking about in that opening paragraph, if it is a duplicate, then you go in to delete the scratch file, right?

A. Right.

Q. And then it continues, "otherwise store the given true file ID in the record," right? That means if it is not in the system, then you would store it somehow?

A. Right.

1 R. DEWAR

2 Q. So with regard to that first  
3 thing where it is talking about deleting  
4 the file with the scratch ID, that's the  
5 part where the assimilation process avoids  
6 duplicates, right?

7 A. Right. In this embodiment you  
8 present a file as a scratch file with the  
9 understanding that that scratch file will  
10 be deleted if it is not needed. If we go  
11 back to your hypothetical, it doesn't mean  
12 that after this process, oh, dear, the  
13 file that my attorney just sent me has  
14 been lost, because if I don't want that to  
15 happen, I can make a copy of it as a  
16 scratch file and then present the scratch  
17 file to the system.

18 Q. Or there is many ways that the  
19 patent says you can make that other copy,  
20 mirroring?

21 A. Right. I'm just making the  
22 point here that deleting duplicates is  
23 somehow not fundamental to this, it is  
24 just a particular aspect of this  
25 particular embodiment.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. So the way the patent works is you can avoid unwanted duplicates, right?

A. Yes.

Q. And there are some times you might want duplicates for reliability reasons or the like?

A. Many reasons you might want duplicates. Your hypothetical presents a very good example, because I think in your hypothetical you would typically want to retain all files that were sent to you.

If you ask me at a deposition, did you receive a duplicate of this file, I haven't the faintest idea. My system gets rid of them. That would be proper.

Q. So in this step that we were just talking about where it said if the entry record includes the true file ID, delete the file with the scratch file ID, right, that's the process where you are trying to upload this PDF file?

A. Right.

Q. Do you have any sense about how long that scratch file is going to -- that

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

scratch file is going to exist in the system during this assimilation process?

A. During the assimilation process?

Q. Yeah, the scratch file.

A. In the case where it is deleted?

Q. Yeah.

A. I mean, there is nothing -- I can't look to the patent for an answer to that question. I have to look to the particular program, the size of the system, but I would assume it is a rapid process.

Q. And I guess what I'm getting at is that the amount of time, for purposes of the patent, the amount of time it exists as a scratch file isn't important, is it?

A. Once you have started the assimilation process?

Q. Correct.

A. No, I don't think it is important.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. What is important is it is trying to avoid unwanted duplicates in the situation?

A. Unwanted duplicates in the TFR.

Q. Right.

A. I want to make that distinction.

Q. I appreciate it. I have a habit, unfortunately, of using pronouns sometimes and saying "it," but I do appreciate the clarification.

Now I want to switch gears a little bit and make a bigger file. Before we were talking about CV.doc, it was the world's shortest CV. Now we are going to assume that you have more of a real-world CV and given the way the system is arranged it is not going to be a simple data item within the '791 technology, it is going to be a compound data item.

So far, so good?

A. Forced example, but fine. We have a compound data item of some kind.

Q. Right. Because I just want to

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

walk through the mechanisms in that case.

A. Yes.

Q. So let's assume that, as we said, the way the system is set up, the file is now large enough, that it is not a simple, it is past the threshold to be a compound data item, right?

A. Right.

Q. Just so that we have something somewhat connected to what we said before, let's just refer to it as BigCV.doc. So same path name, but now it is called BigCV.doc. So far, so good?

So I want to turn towards column 14, I think it is up near line 13, if I'm counting right, where it says "a compound data item is one whose size is greater than," blah blah blah, a particular given size.

So it is past that threshold?

A. Right.

Q. And then it says, in the next sentence, paraphrasing a little bit, determine if it is simple or compound, and



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

you said that determination is that it is compound?

A. Right.

Q. And then when you start at around 17, it says "if the data item is simple," it says some stuff, and then it says "otherwise," and by "otherwise" they are talking about compound, right?

A. Right.

Q. It says "otherwise partition the data item into segments and assimilate each segment," right?

A. Right.

Q. And then in the same sentence, after the parenthetical, it says "computing the true name of the segment," right?

A. Right.

Q. So each segment is going to have its own true name?

A. Yes.

Q. And each one is going to be assimilated?

A. Right.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. Is it okay if I ask us to try and draw out this situation? Is this something that would be okay?

A. Okay.

Q. So we are going to assume it is BigCV.doc. You don't have to write the example. Let's say it is your CV. But what I'm trying to get at is what these data structures are going to look like.

So you have some big -- if it makes sense to you, some big block to identify your BigCV.doc file.

A. Big block where?

Q. I shouldn't be dictating this to you. If you could depict however you would to a classroom that you have this big file, and what I want to get into is the idea of the partitioning and the true names for each of the segments.

A. So what do you want me to put now? I have put this much so far.

Q. That is good. So we will do two segments. So what happens in this case, if I understand correctly, is that

1 R. DEWAR

2 segment 1 will get its own true name. So  
3 can we say like true name subscript 1 or  
4 something like that?

5 A. True name of segment 1 and a  
6 true name of segment 2.

7 Q. Okay. So that's the  
8 partitioning.

9 Then it says, it is also  
10 correct, because it is assimilated,  
11 segment 1 and segment 2 are going to get  
12 all the stuff we just talked about before  
13 for assimilation, right? It says that in  
14 the patent. It says assimilate each step,  
15 step S22.

16 A. Right.

17 Q. And by that, when we were  
18 talking about the assimilation process, it  
19 is actually going to get its own true file  
20 IDs, etc.?

21 A. I mean, we have otherwise  
22 partitioned the data into segments, and  
23 there is quite a bit, I mean, there is a  
24 lot of unstated detail, but I think we  
25 understand completely what the unstated

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

detail is.

Q. I think I agree with that. But that's what I'm trying to confirm. So you have the one big file. It has been partitioned into segments and each of those segments has a true name?

A. Right. Furthermore, each of these segments is now a scratch file.

Q. Because of the assimilation process?

A. Well, in preparation for the assimilation process. I'm saying that that is part of partition the data into segments. You have partition the data into segments and assimilate each segment.

So you can only assimilate things if they are scratch files, so we can deduce that part of the first sentence there is converting these segments into scratch files.

Actually, not quite, because in line 41, it says "assimilating a data item, scratch file or segment." So it is unclear. The details of that are unclear,

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

but I don't think it matters. If you think it matters, you ask questions.

Q. Well, let me ask this: As far as those segments are concerned, segment 1 and segment 2, are they going to be right next to each other as a continuous set of bits, or can they be disjoint?

A. I see different embodiments possible. So the answer to that is when you ask in computer science, is it A or B, and we say yes.

Q. Meaning it could be continuous?

A. It could be a single thing. A segment, for instance, could be a pointer to the original file with an offset or it could be a separate scratch file. Probably the first.

Q. But it could be a data item in either case, right?

A. Right. It is a contiguous sequence. Each segment for sure is a contiguous sequence of bits, logically contiguous.

Q. But each segment 1 and segment

1 R. DEWAR

2 2 need not be contiguous?

3 A. Need not be contiguous, right.

4 Q. Then on lines 23 through 25, it  
5 refers to saying -- this is right after  
6 you compute the true name of each segment  
7 -- it says "then create an indirect block  
8 consisting of the computed segment true  
9 names," they use plural, "an indirect  
10 block is a data item which consists of the  
11 sequence of true names of the segments."

12 A. Okay.

13 Q. So the piece of paper you have  
14 marked right there has the indirect block,  
15 one true name right next to the other?

16 A. Yes.

17 Q. And then in line 26, it says  
18 "Then in step 226 assimilate the indirect  
19 block and compute its true name," right?

20 A. Right.

21 Q. So the indirect block is  
22 likewise going to become a file, right?

23 A. Presumably it must become a  
24 scratch file.

25 Q. Right. And it is going to have

1 R. DEWAR

2 its own true name?

3 A. Correct.

4 Q. If you wouldn't mind marking  
5 the piece of paper, and I will let you  
6 choose whatever true name value you want  
7 for that, realizing that we are going to  
8 be fairly liberal on the math.

9 So could we just, for this, if  
10 you wouldn't mind, for the true name 1, if  
11 we could put in any kind of an example,  
12 for segment 2 and the indirect block, just  
13 some numbers so we have examples.

14 A. We will call the first one 41  
15 and the second one 102 -- 410, I will use  
16 three digits. And the true name of the  
17 indirect block, there is a detail here,  
18 789 isn't quite the true name of the  
19 indirect block. We have to fiddle a bit.

20 Q. That is exactly what I was  
21 going to ask. It then says you assimilate  
22 the indirect block, which makes the  
23 indirect block a file, right?

24 A. Yes.

25 Q. And then I think the part you

1 R. DEWAR

2 were referring to is the final sentence of  
3 the paragraph where it says it does some  
4 fiddling with the length, right?

5 A. Yeah.

6 Q. Is that what you are referring  
7 to?

8 A. There is an obvious typo in  
9 that sentence.

10 Q. What is the typo? This is the  
11 one that starts with "Finally" on line 27?

12 A. It should be modular 2 to the  
13 32.

14 Q. Okay, right, got you.

15 So what it is doing here is it  
16 is putting in the length of all of the  
17 segments as opposed to the length of the  
18 indirect block?

19 A. Right.

20 Q. So the true name calculation  
21 for the indirect block is done a little  
22 differently than the true name calculation  
23 for the segments or the files, because of  
24 this length manipulation, right?

25 A. I will signify that on our



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

example by crossing out the 9 and making it 782, where the 2 is the 2.

Q. Would you mind just marking that 2 to say that the mark of 2 is to deal with the length manipulation.

A. "Equals length of indirect block."

MR. DICHIARA: I'm just going to ask the reporter to mark that as Dewar Deposition 2.

(Dewar Exhibit 2 marked for identification.)

Q. So as we were saying in that example, you are computing the true name of each segment, right?

A. Right.

Q. And each of those segments is a portion of the original BigCV data, right, data item?

A. Right.

MR. DICHIARA: Do you want to take a break? We have been going over an hour.

THE WITNESS: You know best

1 R. DEWAR

2 where the breaks are.

3 MR. DICHIARA: Let's do one  
4 now.

5 (Recess taken.)

6 BY MR. DICHIARA:

7 Q. So we are still on the '791,  
8 continuing the discussion about the '791  
9 patent, and I don't know if I had asked  
10 this earlier, but if I have, pardon me for  
11 being redundant, you understand that each  
12 of the patents has the same detailed  
13 description, the same technical  
14 description?

15 A. Yes.

16 Q. In the patent world, we say  
17 they are continuations of one another.

18 A. Yes, I understand that.

19 Q. If we turn to column 15, we  
20 talked about this obliquely, about things  
21 like renaming a patent, right?

22 So if you had your file CV.doc  
23 and you wanted to call it MyCV.doc, it is  
24 still the same document, and the system  
25 has some mechanisms to try and handle that

1 R. DEWAR

2 properly?

3 A. Right.

4 Q. So that's what I want to ask  
5 about now.

6 And in column 15, starting  
7 around line 46 or 47 of the '791 patent,  
8 it is talking about the link path to true  
9 name, and it says "The mechanism to link a  
10 path to a true name provides a way of  
11 creating a new directory entry record  
12 identifying an existing assimilated item.  
13 This basic process may be used to copy,  
14 move and rename files without a need to  
15 copy their contents."

16 A. A mighty odd sentence. I mean,  
17 copy without the need to copy -- but I  
18 think we know what the intention is.

19 Q. It is going to copy by  
20 reference or rename by reference, right?

21 A. Yes.

22 Q. If it is making another copy,  
23 it is just making another reference --

24 A. Another logical copy.

25 Q. It is another reference to the

1 R. DEWAR

2 same true file?

3 A. Yes.

4 Q. And if it is renaming, it is  
5 going to make some new path name to the  
6 same true file?

7 A. Right.

8 Q. And you are familiar with that  
9 terminology, copy by reference, move by  
10 reference, stuff like that?

11 A. Yes.

12 Q. So as we were just saying as a  
13 hypothetical, this could be the situation  
14 where you just change CV.doc to MyCV.doc,  
15 right?

16 A. Right.

17 Q. And similarly to what we were  
18 talking about with the assimilate process,  
19 it includes a paragraph about what it  
20 wants to do and then it goes into a  
21 discussion about how to do it, right?

22 A. Yes.

23 Q. So the first paragraph around  
24 47 through 54 is what it wants to do with  
25 this mechanism, and starting around 54 it

1 R. DEWAR

2 is starting to say how to do it, right?

3 A. Yeah.

4 Q. And it says "First, if desired,  
5 confirm that the true name exists locally  
6 by searching for in the true file  
7 registry" --

8 A. "True name registry."

9 Q. I'm sorry, "the true name  
10 registry," which that is a typo probably,  
11 right? There is a true file registry.

12 A. It has got to be a typo.

13 Q. "Or local directory extensions  
14 table 135."

15 A. Yes.

16 Q. And by this, it is checking to  
17 see whether that true name -- whether  
18 there is a true name for the file that you  
19 are trying to move or copy or rename,  
20 right?

21 A. Right.

22 Q. So if it was you are trying to  
23 rename CV.doc, it wants to see do I even  
24 know a true name for that?

25 A. Right.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. The patent is trying to see if there is a true name for that.

And it is confirming whether the local directory extension table or the true file registry has a true name for that file name you provided, right?

I'm not sure if I got an answer. We spoke over each other.

I had asked, we might have talked over each other, I might have missed it, but it is trying to confirm that there is a true name in either the local directory extension table or the true file registry for that true name?

A. Yes.

MR. RHOA: Objection, form.

Q. Let me restate that. I keep on screwing up the file and the registry and so forth. It is probably because of the patent typo.

But this step is trying to see whether the local directory extension table or the true file registry has a true name for the file name you provided?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

MR. RHOA: Objection, form.

A. Say it once more.

Q. How about instead of restating it, let me just try and break it down a little bit.

So we have the situation with CV.doc, and that's the file you are trying to let's say rename. What this step is doing is trying to determine whether the local directory extension table or the true file registry has a true name for CV.doc?

MR. RHOA: Objection, form.

A. Yes.

Q. And then if you take a look at the next paragraph, so it says "First, confirm," that's what we just talked about.

Then the next paragraph says "Then create an entry record in the local directory extension with the specified path and update the entry record and other structures as follows."

Then it continues on to the

1 R. DEWAR

2 next column, right?

3 A. Right.

4 Q. This is the part where it is  
5 going to create an entry for MyCV.doc,  
6 right?

7 A. Right.

8 Q. And populate it with the  
9 necessary information to point to the  
10 file?

11 A. Right.

12 Q. So if you renamed the file  
13 CV.doc to MyCV.doc, that new path name  
14 would now be reflected in the local  
15 directory extension table?

16 A. Correct.

17 Q. And like we were saying  
18 earlier, if we go back to that paragraph  
19 that says "First, if desired, confirm that  
20 the true name exists locally by searching  
21 for it in the registry"; do you see that?

22 A. Right.

23 Q. That's the same situation as we  
24 discussed before with database technology,  
25 right? The patent is talking about you



1 R. DEWAR

2 are going to look in the database to see  
3 if a true name is there, right?

4 A. I mean, you might or might not  
5 be using a database, probably not in such  
6 a simple case, but who knows.

7 Q. And it is the same situation,  
8 though, that if you find it, it doesn't  
9 make any sense to keep on looking for it  
10 again and again and again, right?

11 A. Right, because it can only  
12 occur once.

13 Q. In the patent, right?

14 A. The structure is defined so  
15 that it has unique entries.

16 Q. I agree with that.

17 And for this particular  
18 operation, the one that is talking in  
19 column 15, line 54 or so, it is only  
20 looking locally, right?

21 A. Right.

22 Q. So it is not going to look at  
23 every TFR in the system, it is just  
24 looking at the local TFR, right?

25 A. Right.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. And as the sentence suggests, this step isn't even mandatory, right, it is if desired, it is optional?

A. Right.

Q. So there is no need to look at all the files in the whole system?

MR. RHOA: Objection, form.

A. Certainly you don't -- for the purposes of this sentence, confirming the true name exists locally, you are searching only locally.

Q. Correct, okay. I think we are in agreement on that. You can shift gears a little bit to column 22. I'm going to ask a little bit about how the patent talks about deleting a file.

So column 22 starts out deleting a file to a directory. I'm going to focus on the deleting a file. Okay?

A. Okay.

Q. So a similar structure, it starts out saying what it wants to do in this column and then it says how to do it, right?

1 R. DEWAR

2 A. Okay.

3 Q. And over here at line 2, it is  
4 talking about the process of deleting a  
5 file for a given path name, right?

6 A. Where are we exactly?

7 Q. 22 --

8 A. Right at the top of the column,  
9 okay, yes.

10 Q. So this is a situation where  
11 somehow the system, and it kind of gets  
12 back to one of the things we were talking  
13 about before, someone or something is  
14 saying delete CV.doc?

15 A. Right.

16 Q. It is not saying delete 2468?

17 A. Right.

18 Q. And if it was more formalized,  
19 they would be saying delete  
20 C:/Dewar/CV.doc?

21 A. That's the given path name.

22 Q. Let's just for this line of  
23 question assume that we are talking about  
24 CV.doc and it is a unique file, there is  
25 not multiple versions of it, no one

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

happened to have the same CV as you.

So far, so good?

A. Right.

Q. So around line 4 it is starting out how to do it, and it says "First determine the local directory extension table entry record and region table," etc., "for the file," right?

A. Okay.

Q. So this is the part where it is going into the LDE and it is trying to find the entry for CV.doc?

A. Right.

Q. And then the next -- as part of this, what it is doing is it is trying to get the true name for the path name you provided with your delete command, right?

A. Right.

Q. It is trying to get the true name for CV.doc? I'm not sure if you said yes. Okay, you did.

A. Yes.

Q. And these true names are true names that were calculated earlier, right?

1 R. DEWAR

2 A. Yes.

3 Q. They are not calculated as part  
4 of this process, they exist in the LDE?

5 A. Right.

6 Q. And then around, the next  
7 paragraph, it says "Identify the  
8 corresponding true file given the true  
9 name of the file being deleted using the  
10 true file registry."

11 A. Yes.

12 Q. So that's the part that says  
13 now that I have the true name, I can map  
14 it over to the true file?

15 A. Right.

16 Q. So over here you are getting  
17 the true file ID that corresponds to the  
18 true name you obtained in the prior  
19 paragraph, right?

20 A. Right.

21 Q. And that true name in turn  
22 corresponds to the path name you provided,  
23 CV.doc?

24 A. Right.

25 Q. So this is the mechanism where

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

if you give CV.doc, you now know the true file that actually has those contents?

A. Right.

Q. And then around 15 and 16 it says "If the file has a true name and the true file's use count is 1," and that's a situation we are talking about, it is a unique file?

A. Right.

Q. So this would be true in this case, right, use count is 1, right?

A. I'm not clear on that because I would have thought that if someone was accessing the file remotely, that it's use count would be incremented in that case.

I'm just not sure without rumbling all around what it says exactly about use counts or exactly how they are manipulated. I'm not sure I'm ready to agree in our case the use count is necessarily 1.

Q. Okay. Maybe the next sentence or so will clear it up.

So it says "If the file has a

1 R. DEWAR

2 true name and the true file's use count is  
3 1, then delete the true file and continue  
4 with the next step."

5 A. Right.

6 Q. So this is the situation where  
7 if it is CV.doc and it is unique and you  
8 are the only one who is accessing it or  
9 has a reference to it in any way and you  
10 are deleting it, it is saying it is okay  
11 to delete the true file?

12 A. Yes.

13 Q. And in a situation like we had  
14 before where there were two references to  
15 it, we had the situation with the  
16 deposition transcript, you have a  
17 reference to it, someone else had a  
18 reference to it, you wouldn't delete the  
19 true file just yet, you would just delete  
20 your reference to it?

21 A. You are giving an  
22 interpretation of use counts, which I'm  
23 not sure I agree with without research.

24 Q. We will move on on that.

25 Then in line 21 or 22, it is

1 R. DEWAR

2 talking about this situation, and it says,  
3 in step 428, which we just mentioned, the  
4 patent says "Delete the local directory  
5 extensions table entry record and add an  
6 entry to audit file 132 indicating the  
7 time and the operation performed  
8 (delete)."

9 A. Yes.

10 Q. So if you deleted CV.doc, and  
11 whatever use count means, if it was 1, it  
12 is going to delete the true file, right?

13 A. Right.

14 Q. And then the patent is going to  
15 delete the entry in the local directory  
16 extensions table, right?

17 A. Right. When you say "the  
18 patent," you mean this specification?

19 Q. Correct, the part we are  
20 talking about.

21 A. Yes.

22 Q. And then it is going to add an  
23 entry to the audit file 132 indicating the  
24 time and the operation performed, in this  
25 case (delete)?



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. Yes.

Q. And the audit file 132, is that a log file?

A. Explain to me what you understand to be a log file.

Q. Let's flip it around so maybe it will be a better question.

Have you heard of a log file before?

A. A log file is presumably a file that logs some information, but that is rather broad and general and it is used in all kinds of situations.

Q. So broadly speaking, is the audit file a log file?

A. Broadly speaking, I would say the audit file is a log file, yes.

Q. Does the audit file indicate the status of files?

A. Again, I would have to look and see everything that it says about audit files because the answer could be yes or no.

I'm not sure whether everything

1 R. DEWAR

2 relates -- first of all, it certainly  
3 doesn't indicate the status of files that  
4 have never been assimilated. I'm not sure  
5 what -- I just don't know off the top of  
6 my head if everything, all the  
7 information, is in the audit file to be  
8 able to say yes to the question you just  
9 asked. I would have to look at every  
10 mention of audit file.

11 Q. I understand. Let's turn to  
12 one such mention at least.

13 If we turn to column 19, around  
14 line 20, there is a description that talks  
15 about processing audit file entries.

16 A. Sorry, give me the exact  
17 reference again.

18 Q. This is the '791 patent, column  
19 19, starting around line 20, and it goes  
20 to about line 52.

21 A. Yes. Okay, I have read it all.

22 Q. In that first paragraph, which  
23 is the one that starts "This mechanism,"  
24 it says "This mechanism performs tasks  
25 which are required to maintain information

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

in the local directory extensions table and true file registry," and then it says "but which can be delayed while the processor is busy doing more time-critical tasks," right?

A. Right.

Q. Then it continues, the patent continues, "entries in the audit file should be processed at a background priority as long as there are entries to be processed," right?

A. Right.

Q. And this is referring to audit file 132, the same audit file we were just talking about, right?

A. Right.

Q. And around line 38, it is talking about, you can read that to yourself, 38 through whatever, it is talking about at least in this case doing some form of deletion again, deleting compound items?

A. Right.

Q. And how that process works,

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

right?

A. Can we look -- well --

Q. Tell me if you want to look at something else. I'm just trying to tie together --

A. It is a little unclear to me where the deletion take place. Also I don't think it matters.

Q. Let me ask you this: Particularly focusing on that first paragraph, we talked about the audit file deleting the file and it said you can add an entry to that effect, right, when we were just talking about deleting a file a moment ago?

A. Right.

Q. And now we are talking about processing the audit file, right?

A. Right.

Q. And it is saying that the thing in that audit file can be done in the future, it can be delayed as a background task?

A. Right.

1 R. DEWAR

2 Q. So if you put something in that  
3 audit file, it could be reflecting  
4 something that you expect to happen in the  
5 future?

6 A. Right.

7 Q. I'm going to switch gears a  
8 little bit, the same patent. We talked a  
9 little about files, and in that  
10 conversation I think we had also mentioned  
11 directories.

12 "Directories" is a well-known  
13 computer term, right?

14 A. Right.

15 Q. When you create a directory, it  
16 has no files in it, right? It is empty  
17 when you create a directory?

18 MR. RHOA: Objection to form.  
19 Beyond the scope of the declaration.

20 A. I mean, on some systems there  
21 are always files present. In some systems  
22 there are no files present. So I can't  
23 answer that question.

24 Q. But it is at least possible you  
25 create a directory, there is no files in

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

it?

MR. RHOA: Objection to form and beyond the scope of the declaration.

A. That is not always true.

Q. Can you have a directory with one file in it?

MR. RHOA: Same objection.

A. There are systems in which it is impossible to have a directory with one file in it.

Q. And there are systems where it is possible?

A. And there are systems where it is possible.

Q. And the patent refers to a directory, I'm not going to give you a memory test, one line out of a patent of this size, at line 46 at column 5.

A. Oh, column 5?

Q. Column 5.

A. Line 46?

Q. Right. Just at 46 it says a directory is a collection of named files, right?

1 R. DEWAR

2 A. Right.

3 Q. And I think we agreed that a  
4 directory in some systems can have one  
5 file?

6 A. In some systems, that's true.

7 Q. And in some systems it can even  
8 have zero files?

9 A. In some systems it can have  
10 zero files.

11 Q. I'm going to jump over, and  
12 that all sounds right to me, if we jump to  
13 column 32, I'm hoping to ask some  
14 questions about the system in operation.

15 A. I would just like to -- you  
16 asked me not to consider anything external  
17 to the patents. But then you ask me  
18 questions continually that require me to  
19 think of things external to the patents.  
20 I just want to make that comment. Perhaps  
21 what I will do is identify all those  
22 occurrences.

23 Q. I think you are doing a great  
24 job with that.

25 A. For instance, the question of

1 R. DEWAR

2 whether a directory can have zero or one  
3 files cannot be answered from this patent.

4 Q. Why is that?

5 A. Because it is an intensive  
6 property of all kinds of operating systems  
7 that I know about, which external  
8 knowledge I have to bring into play to  
9 answer that question.

10 Q. I think we are fine with the  
11 patent, because I think you said you don't  
12 know of any -- let me phrase this right.

13 You don't know of any code  
14 that -- let me totally back up.

15 When I'm talking about the '791  
16 technology, I don't want you to be  
17 referring to this technology by any code  
18 that was written by Mr. Farber or  
19 Mr. Lachman or any company they had. It  
20 is this patented technology as described.

21 A. Okay.

22 Q. That's really all I was after.

23 A. Okay. But I can bring in my  
24 knowledge of whatever?

25 Q. Yes, yes.



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. That is independent of this patent and these inventors?

Q. That's right. And if I'm asking about a computer term, I think you were giving excellent caveats to say a directory can mean this or that. That is all well.

A. Okay.

Q. So when we talk about the system in operation, the prior number of columns, we are talking about all these various mechanisms that the patent describes and they are talking about how some of the pieces work together, right?

A. Right.

Q. And I want to get to the part that starts around line 65. You can look at the preceding paragraph.

A. I'm sorry, column?

Q. 32, about line 49, they talk about the system in operation, and they are trying to get the forest for the trees, if you will. And I'm going to ask about some stuff that we touched on

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

earlier actually that starts around line  
65.

It says "Further, in operation  
of a DP system incorporating the present  
invention, multiple copies of data items  
are avoided (unless they are required for  
some reason such as backups or mirror  
copies in a fault-tolerant system)."

I think that's what you were  
referring to earlier that sometimes you  
want copies, sometimes you don't?

A. Right.

Q. And that's all that's saying,  
right?

A. Right.

Q. And when it is talking about  
mirror copies, that's a technology that  
you are familiar with; is that right?

A. Yes.

Q. And I'm assuming you have  
taught that in school, it is a well-known  
technique?

A. Well-known technique.

Q. Is it true that that technique

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

even predates this patent?

A. Yes.

Q. As a general technique?

A. As a general technique, yes.

Q. And that's a situation where you are keeping another copy of the data essentially for reliability reasons, or that's at least one goal of it?

A. That's one of many goals. I would say not the primary goal.

Q. What would be some other goals?

A. Accessibility.

Q. And in that case that's a situation where the system actually desires those copies?

A. Right.

Q. We are going to switch gears to take a look at one of the prior art patents. It is going to be the Woodhill patent, and I feel obligated, since we made all these copies, to give you a binder that has this prior art in it.

Do you want a copy or do you want to just look off of your own?

1 R. DEWAR

2 A. I have my own.

3 Q. It is up to you whether I put  
4 this in front of you or not.

5 A. I have it.

6 Q. Let me know if you would like  
7 another copy.

8 A. I have a complete copy here.  
9 Again, it may have a couple of notes on  
10 it, although it is not extensively  
11 annotated.

12 Q. Okay, it is the same form.

13 So we are now referring to what  
14 we refer to as the Woodhill patent. The  
15 official identification for the IPR  
16 proceedings is EMC VMWare 1005. I don't  
17 know if you have that on your copy.

18 A. It is not on my copy.

19 Q. It is the same thing, but --

20 A. I assume that this is the right  
21 patent number?

22 Q. Yes. So when I refer to it, we  
23 will refer to column and line numbers.  
24 That looks identical.

25 A. If we run into trouble -- I

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

think it must be identical.

Q. And this is one of the patents that you reviewed in connection with your reports, right?

A. Yes.

Q. Maybe we can just short-circuit some of the questions. If I say BOB when talking about the Woodhill patent, you know that is a binary object?

A. Yes.

Q. If I say BOBID, that's a binary object identifier?

A. Okay.

Q. I think that's going to be it. I might fall into the short form with some of these terms.

A. Okay.

Q. I want to use a similar hypothetical to what we had before. I'm going to go back to CV.doc, which is Dewar Exhibit 1. That is just there for reference. It is not going to be too tricky.

As we mentioned before, that is

1 R. DEWAR

2 a small, simple version of your CV, right?

3 A. Right.

4 Q. And for purposes of this  
5 hypothetical, let's just assume that the  
6 way the bits, the file work out, that the  
7 file is going to have two BOBs. You  
8 understand that a file can have multiple  
9 BOBs, right?

10 A. Yes. Fine, it has two BOBs, no  
11 problem.

12 Q. Let's assume the first BOB is  
13 the one which says "I live in Vermont."

14 A. Okay.

15 Q. And the second BOB is the one  
16 that says --

17 A. "I specialize in Ada  
18 technology."

19 Q. So it is a nice, simple  
20 hypothetical.

21 A. Right.

22 Q. We will assume like before that  
23 is just a unique -- it is the first time  
24 that you have that CV, you haven't had a  
25 chance to do multiple versions yet, no one

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

else has the same CV, it is a unique file, right? Is that clear? I'm just making sure that that hypothetical is clear.

A. I guess it is clear. I don't understand the relevance. But it is clear.

Q. I'm just trying to create a very simple hypothetical. I want to make sure I understand the way the ankle bone is connected to the leg bone for the Woodhill patent, and we have a simple file, two BOBs, the first one corresponds to the first sentence, the second BOB corresponds to the second sentence, just through sheer luck it works out that simply.

A. Yeah.

Q. And let's assume that this CV is stored on one of the local computers at Woodhill, so that if you look at Figure 1, it is going to be one of the local computers 21 and the disk 19, right?

A. Yes.

Q. And you are going to -- at some

1 R. DEWAR

2 point in time the system is going to  
3 initiate a backup procedure using the  
4 Woodhill backup procedure?

5 A. Right.

6 Q. If we turn to -- it starts a  
7 little bit on 8, but the stuff I'm going  
8 to ask about is mostly in column 9. For  
9 me, I think it starts on column 8, line  
10 66, but that doesn't really say much.

11 A. "Program control then  
12 continues"?

13 Q. Yeah. You have reviewed that  
14 portion of Woodhill in detail, right?

15 A. Right.

16 Q. So let's assume that this is  
17 the new CV, it is the first time it is  
18 being ever backed up.

19 A. Right.

20 Q. So the first time through,  
21 since it is a new file, all of the BOBs  
22 are backed up, right?

23 A. Right.

24 Q. And the patent, Woodhill  
25 patent, actually makes that clear around



1 R. DEWAR

2 lines 3 through 6, it says if it is a new  
3 file, then all binary objects are backed  
4 up, right?

5 A. Yes.

6 Q. And then for this hypothetical,  
7 assume that you modify your CV and you  
8 change "I live in Vermont" to just simply  
9 say "I love Vermont."

10 A. Okay.

11 Q. It is still two BOBs, the first  
12 BOB is on the first sentence, the second  
13 BOB is on the second sentence, just to  
14 keep the hypothetical clear.

15 So in this case the file will  
16 have been deemed as a modified file,  
17 right?

18 A. Right. I have a little bit of  
19 a problem with that hypothetical. Let me  
20 ask you, because we have to restructure  
21 this in the terms of Woodhill, is this one  
22 data stream?

23 Q. Yes, let's just assume that.

24 A. So data streams are chopped  
25 into BOBs arbitrarily based on the size.

1 R. DEWAR

2 So I think you better make it "I love in  
3 Vermont" so you don't switch sizes so we  
4 don't have a problem with your  
5 hypothetical.

6 Q. That is because of the  
7 fixed-size BOB?

8 A. Fixed-size BOBs, yes.

9 Q. Let's say "I love in Vermont."  
10 To keep the hypothetical simple, the first  
11 sentence is the first BOB and the --

12 A. Yeah. I just don't want to  
13 introduce that confusion.

14 Q. The only point I'm trying to  
15 get here is in whatever way the  
16 modification is going to be isolated to  
17 one of the BOBs and not the other.

18 A. Yeah.

19 Q. So for going forward, we will  
20 say that the first sentence has been  
21 modified to say "I love in Vermont," the  
22 second sentence hasn't been changed one  
23 iota. The file is considered modified.

24 And I want to talk through what  
25 will happen as a result of that on the

1 R. DEWAR

2 next backup cycle.

3 A. Right.

4 Q. On the next backup cycle,  
5 Woodhill is going to realize that the file  
6 is modified and it is now going to try and  
7 identify those BOBs which have changed by  
8 comparing BOBID values, right?

9 A. Right.

10 Q. And the way it does this is  
11 that Woodhill is going to calculate the  
12 BOBIDs based on the contents of the BOBs?

13 A. Right.

14 Q. There is no dispute about that,  
15 right?

16 A. Right.

17 Q. So under this hypothetical, the  
18 BOBID for the first BOB should be  
19 different than the BOBID the first time  
20 around?

21 A. Right, subject always to what  
22 we know is a low finite probability --

23 Q. Of a collision.

24 A. But I think we have clearly  
25 agreed we are talking about substantially

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

unique hash functions. Anyway, so that is not an issue.

So the answer to the question is yes, not worrying about that corner case.

Q. Keep it simple, avoid the corner case.

And the second BOBID should be identical to the prior time because it hasn't changed?

A. That's for sure.

Q. There is no issue of collisions?

A. There is no issue of collisions there.

Q. So in this situation when the comparison of BOBIDs is made, it sees that for the first BOB that they are going to differ, right?

A. Right.

Q. And the system knows that the modified first BOB exists on the local computer?

A. Yes.

1 R. DEWAR

2 Q. I mean, that's a simple case,  
3 there is no doubt about that?

4 A. Yes.

5 Q. It definitely exists there,  
6 right?

7 A. Right.

8 Q. And therefore, since it exists  
9 on the local computer, it exists in the  
10 system?

11 A. Yes.

12 Q. There is no doubt about that?

13 A. There is no doubt about that.

14 Q. Now, it happens to be the case  
15 that this new modified first BOB doesn't  
16 exist on the remote file server because it  
17 is a unique file in our example, right?

18 A. Okay.

19 Q. So in that case --

20 A. I don't think the uniqueness  
21 has anything to do with the answer to your  
22 question.

23 Q. I agree with that.

24 A. Okay.

25 Q. But I needed to confirm that,

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

that we were on the same page for that.

A. I mean, "unique" is a confusing and unnecessary term in your question there, in my view.

Q. Just to be clear, when we were talking about file systems earlier, we said that you could have files that are totally unique and you could have files that end up being highly duplicated, right?

A. Right.

Q. And I was using CV thinking that that might be an example where your CV should be different, assuming you only have one version of it, that your CV in all likelihood is going to be different than everybody else's, right?

A. Again, yes, but I don't see what possible relevance it has in the context of Woodhill.

Q. I'm just trying to get across the idea that systems are going to have unique files and there are going to be some files that are highly duplicated?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. Yes.

Q. Something like operating system code is highly duplicated, if every workstation on a network might have the same identical operating system, right?

A. Okay.

Q. I think that's one of the examples in the patent, actually.

A. Okay.

Q. So in this situation Woodhill will transmit the first BOB to the backup server to be stored there, right?

A. Right.

Q. So it is only going to transmit because the BOBIDs differ?

A. Right.

Q. And it wouldn't transmit if the BOBIDs were the same?

A. Right.

Q. And Woodhill actually says that it only backs up change data?

A. Right.

Q. That's at I think line 25.

A. Right.

1 R. DEWAR

2 Q. "This procedure allows the  
3 distributed storage program manager to  
4 determine which parts of a file have  
5 changed and only back up the changed data  
6 instead of backing up all the data  
7 associated with the file when only a small  
8 portion of the file has been modified."

9 A. Right.

10 Q. So if you get to right around  
11 line 14, column 9, it says "The binary  
12 object identifiers calculated in step 138  
13 are compared against their counterparts in  
14 the file database 25."

15 A. Right.

16 Q. Then there is a long  
17 parenthetical, right?

18 A. Well, you mean the "as  
19 determined by"?

20 Q. It says "as calculated" --

21 A. That starts a long  
22 parenthetical.

23 Q. The point I want to get at is  
24 the binary object identifiers calculated  
25 in step 138 are compared against their



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

counterparts in the file database 25, then it says, parenthetically, "(e.g. the binary object identifier 74 (as calculated in step 138)," right?

A. Right.

Q. "That identifies the first," blah blah blah, right?

A. Right.

Q. This sentence is saying that it is comparing the BOBIDs that were just calculated against their counterparts in the database, right?

A. Yes. The corresponding BOBID for the corresponding file.

Q. And it is how it determines if a BOB changed compared to its counterpart?

A. Right.

Q. And if something has changed, like in the case of the first BOB, this is how Woodhill identifies that the BOB should be transmitted from the local computer to the remote computer?

A. Right.

MR. RHOA: Objection to form.

1 R. DEWAR

2 Q. And the local computer to the  
3 backup server?

4 MR. RHOA: Objection to form.  
5 Incomplete hypothetical.

6 A. Say the question again, then.

7 Q. This is the step where Woodhill  
8 is identifying that the BOB should be  
9 transmitted from the local computer to the  
10 backup server?

11 A. Yes, at the conceptual level.  
12 It doesn't mean that all bits of that BOB  
13 will be transmitted, but that is a further  
14 detail later on.

15 Q. But I just want to get the  
16 direction of information correct.

17 This is how it determines  
18 whether to transfer something from the  
19 local to the remote?

20 A. Yes.

21 Q. And that's what is meant by  
22 backup?

23 A. Right.

24 Q. And it has nothing to do with  
25 getting a BOB from the remote to the

1 R. DEWAR

2 local, right?

3 A. The backup has nothing to do  
4 with that, right.

5 Q. That would be a restore?

6 A. That would be a restore.

7 Q. So now we are on the second BOB  
8 of the file, right?

9 A. Right.

10 Q. The one that hasn't changed at  
11 all, that talks about your specializing in  
12 Ada technology, okay?

13 A. Okay.

14 Q. And when Woodhill is processing  
15 that BOB, the comparison is going to  
16 determine that the BOBIDs are the same,  
17 right?

18 A. Right.

19 Q. And it is going to determine  
20 that the remote already has that BOB  
21 because the BOBIDs are equal, right?

22 A. Right.

23 Q. In this situation, the system  
24 knows that the second BOB exists on the  
25 local computer, right?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. Right.

Q. And there is no doubt about that because that's where it is, right?

A. Right.

Q. And it also knows that that second BOB exists in the backup file server?

A. Right.

Q. And there is no doubt about that, right?

A. I never quite know what it means to say no doubt about. If there was no doubt about anything, there wouldn't be an audit procedure here. Everything is potentially in doubt all the time.

Q. The BOBIDs were identical --

A. It is assuming -- rather than say there is no doubt, let's just say it assumes in this situation that. I prefer that rather than saying there is no doubt, because no doubt raises other issues.

Q. Let me see if I can rephrase it in a way that makes sense.

In this situation we are

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

talking about the second BOB which hasn't changed, right?

A. Right.

Q. And when Woodhill is comparing the BOBIDs, it says that they are equal, right?

A. Right.

Q. And at that point Woodhill has determined that that second BOB already exists on the backup server, rightly or wrongly?

A. It is determined that it can assume that. I think if we say that, then we are more comfortable.

Q. So let's move to some other part of Woodhill that concerns the self-audit. I think you had just mentioned the audit.

A. Okay.

Q. That starts around column 18. Around line 10 it says "auditing and reporting," right?

A. Yes.

Q. And I think it goes down to

1 R. DEWAR

2 about line 38?

3 A. Right.

4 Q. And I think, let me check,  
5 there is a figure for this as well.

6 Around line 11, it says "The  
7 distributed storage program manager is  
8 able to perform self-audits on a periodic  
9 basis to ensure that the binary objects  
10 that have been backed up can be restored,"  
11 right?

12 A. Right.

13 Q. So over here it is trying to  
14 see whether the restore procedure seems to  
15 be working?

16 A. Or would work.

17 Q. Would work, okay.

18 And around 19, line 19, it says  
19 "Program control continues with step 502  
20 where the selected binary object is  
21 restored from either a compressed file,  
22 compressed storage file 32 residing on one  
23 of the disk drives 19 of one of the local  
24 computers 20, or from the remote backup  
25 file server 12."

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Do you see that?

A. Yes.

Q. So in this case I want us just to focus on that second part, that it is coming from a remote file backup server.

A. Yes.

Q. We are not going to talk about any self-audits to another local computer, just from the remote file server.

A. Okay.

Q. So in this case we are talking about a restore, and we just said this before, I believe, where you are going to get data from the remote file server and bring it to the local computer?

A. Okay.

Q. And in this situation, since we are talking about the remote file server, you don't dispute that you need some kind of a request to get the data from the remote file server, right?

A. That's correct.

Q. And if we turn to lines 16 and 19 of that same column, it says "The

1 R. DEWAR

2 distributed storage manager program  
3 initiates a restore of a randomly selected  
4 binary object identified by a binary  
5 object identification record stored in the  
6 file database."

7 A. Yes.

8 Q. So Woodhill is explicit that  
9 the BOB being restored is identified by  
10 record 58?

11 A. That's correct.

12 Q. And if we turn to Figure 3 --  
13 do you have Figure 3 handy?

14 A. Yes, I do.

15 Q. You see there is a record 58  
16 depicted there, right?

17 A. Right.

18 Q. And it includes something  
19 called a binary object identifier 74?

20 A. Right.

21 Q. And that includes a hash 70?

22 A. Right.

23 Q. Now, in looking at this section  
24 of Woodhill on the auditing and the  
25 reporting, which, as we said, is like line



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

11 through line 38 maybe, there is nothing else in this section suggesting that the BOB is identified in any other way, is there?

A. Than from the information in the binary object identification record.

Q. Correct. It is identified by that record?

A. Right.

Q. And it doesn't suggest that it is identified in any other way?

A. That's correct.

Q. So I want to turn to some of the backup and restore operations of Woodhill.

A. Okay.

Q. And that's one of the things Woodhill is concerned about, right?

A. Right.

Q. I think it is all the way back in column 1, and I don't think this is controversial, but you will have to tell me if you disagree.

It says, around line 23,

1 R. DEWAR

2 "backup/restore systems have a long  
3 history on all types of computer systems,  
4 from mainframes to minicomputers, local  
5 area network file servers and desktop  
6 workstations," right?

7 A. Right. I couldn't quite agree  
8 with -- well, who knows what "long" means,  
9 but anyway...

10 Q. But the reason --

11 A. A long history is fair.

12 Q. The reason you perform a backup  
13 is in case there is some kind of a  
14 failure, you want a backup copy that you  
15 can use to restore data?

16 A. Right.

17 Q. And that could be a site  
18 disaster, right?

19 A. Right.

20 Q. And it could be something like  
21 a disk failure, something like that?

22 A. Right. Other reasons, too, but  
23 those are two examples.

24 Q. Those are easy examples, right?

25 A. Yeah, those are examples.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. And Woodhill, when it is doing this backup procedure we just talked about earlier in column 8 and 9, is trying to back up data on the remote file server for such a situation, right?

A. Right.

Q. So let's assume the situation where there is a media failure.

A. Okay.

Q. And you are using the Woodhill technology and you try to access your file, CV.doc, on the local computer, and you get some kind of error indicating the disk is broken, fried, corrupt, whatever it is, right?

A. Okay.

Q. There is no dispute that even by the time of Woodhill there was technology around that would determine that on the local computer, if your disk was fried or your file was corrupted, that it would say I can't get this file?

A. Right.

Q. And in that case, you would,

1 R. DEWAR

2 using the Woodhill technology, you could  
3 get that file from the backup file server?

4 A. Right.

5 Q. You could also get it from  
6 another local computer potentially, but we  
7 didn't talk about that?

8 A. I mean, there might be  
9 important first steps to be performed,  
10 like replacing your disk.

11 Q. That would be nice  
12 housekeeping, I would agree with that.

13 And when the Woodhill patent or  
14 anybody else talks about restore, you are  
15 talking about restoring a file from some  
16 prior stored version, right?

17 A. Right.

18 Q. So we are on the same page for  
19 that.

20 And at a high level, not the  
21 actual lines of code or anything like  
22 that, a restore operation is essentially  
23 just the flip side of a backup operation,  
24 right?

25 MR. RHOA: Objection.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. The backup writes to remote file server, the restore reads from the remote file server?

MR. RHOA: Objection.

Q. At that level of abstraction?

A. At that level of abstraction, I would agree.

Q. And when you were writing your reports or forming your opinions for your reports, did you look at all of Woodhill?

A. Yes.

Q. Cover to cover?

A. Cover to cover.

Q. And did that include the claims?

A. That included most certainly the claims, yes.

Q. So I want to --

A. Wait. Is that true? I just need to be careful on that. Because there is one situation I know -- no, not including the claims.

Q. And why didn't you consider the claims?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. I was told that the claims were not prior art because they should be given a later date than the specification. I believe I'm right in recalling that. Because the date on the actual patent is '77, right?

Q. Not that old.

A. Not '77, sorry. '97. Is that right?

Q. Well, we can put aside the dates for now. Did you consider the claims or did you ignore the claims?

A. I did not consider the claims, only the specification.

Q. I'm going to ask you to take a look at the claims, because that is part of Woodhill. I want you to focus on claim 1.

A. Okay.

Q. Is this claim at a high level generally directed to the backup procedure? If it helps any, the first means for element might help with that, but I don't want to tell you to limit

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

yourself to that, where it says "means for selectively copying data stored on one device to another."

A. What was your question?

Q. Whether at a high level this is referring to the backup procedure.

MR. RHOA: Objection, form.

A. Is it referring to the backup procedure? What do you mean by "the backup procedure," what we have seen described in the specification?

Q. Yeah, at least that.

A. With at least that, I'm comfortable in saying yes.

Q. Okay, thank you. So if you take a look around line 64 --

A. 64 of?

Q. Of this claim 1, column 21. The claim is saying that it is dividing each data file into one or more binary objects, right?

A. Right.

Q. So Woodhill was claiming that a file can be one object, divided into one

1 R. DEWAR

2 object or into multiple objects?

3 A. Right.

4 Q. And is that a correct  
5 description of Woodhill or not a correct  
6 description of Woodhill?

7 MR. RHOA: Objection, form,  
8 foundation.

9 A. What does that mean? This is  
10 Woodhill. So are you asking if it is a  
11 correct description of itself?

12 Q. Does Woodhill disclose dividing  
13 a file into one or more objects or not?

14 A. Other than in the claims?

15 Q. Whichever way you want to  
16 answer is fine by me.

17 A. If you asked me does a claim  
18 accurately represent itself, the answer is  
19 always yes. I really don't understand  
20 that question. If that isn't a correct  
21 answer, I don't understand the question.

22 Q. I am agreeing with you on that.  
23 And that's because -- let me just ask a  
24 backup question on this.

25 I should know this from your



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

CV, but do you have any patents of your own?

A. No.

Q. But you have studied patents before, right?

A. Yes.

Q. And the claims are supposed to have support in the specification, right?

A. Yes.

Q. Otherwise it would be an illegal claim, right?

A. Yes.

Q. And the Patent Office is supposed to determine that the claims are proper?

A. Right.

Q. So I want you to look on column 22 around line 13. Let me orient myself. It is not 13. It is line 3, I'm sorry.

It says "said calculated binary object identifier being saved as the name of the associated binary object."

A. Yes. Could I just ask a method question here? When you read something

1 R. DEWAR

2 from the patent and I say yes, I'm saying  
3 I read the same words that you do. Is  
4 that my correct understanding of those  
5 questions?

6 Q. Some of those questions I just  
7 want to make sure we are focusing on the  
8 same element, and when you say yes, I  
9 realize we are looking at the same stuff.  
10 Obviously the document says whatever it  
11 says. But I'm just trying to make sure we  
12 are looking at the same material when I'm  
13 asking questions.

14 A. Okay.

15 Q. So over on this part, the  
16 Woodhill patent, column 22, line 3, the  
17 claim is saying "said calculated binary  
18 object identifier being saved as the name  
19 of the associated binary object."

20 A. Yes, that's what it says.

21 Q. So what this means is that the  
22 binary object identifier, what we called  
23 the BOBID earlier, can be saved as a name  
24 of the BOB?

25 A. Right.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. And that isn't too startling, is it, it is called an identifier, an identifier is a name, right?

MR. RHOA: Objection, form.

A. In terms of the specification, the use of the word "name" there, I don't believe -- I mean, if you want to let me sit down and read every word of this, or if you want to point out to me where it uses "name" in that context, fine.

But it doesn't make sense to me and I don't recall. So to me it is a little out of the blue in this patent to see the word "name" here.

Q. Let me ask you just a little bit more generically, then. Is an identifier a name or is it not a name?

A. I can't answer that question generically.

Q. And what's the part of the question that I can help focus on?

A. The answer is sometimes yes, sometimes no, depending on the exact situation and how it is used.

1 R. DEWAR

2 I mean, to give an example in a  
3 programming language, some identifiers are  
4 names, some identifiers are not names.  
5 Some names are identifiers, some names are  
6 not identifiers. So the answer in any  
7 programming language would be no for that.  
8 So you have to give me a context.

9 Q. Maybe I can just ask some  
10 questions about a case where an identifier  
11 isn't a name.

12 A. Well, name, in a programming  
13 language?

14 Q. Yes. What I'm trying to get at  
15 is when you hear the word "identifier,"  
16 implicit is identify, right?

17 A. Well, in technical terms, yes.

18 Q. Let's just say broadest  
19 reasonable.

20 A. So the identifier -- let's say  
21 in a programming language, an identifier  
22 identifies a particular sequence of  
23 characters that is identifying something  
24 or other.

25 Q. But we agree that an identifier

1 R. DEWAR

2 is identifying, that's not debatable?

3 A. It is an odd view in a  
4 programming language. I have never heard  
5 anyone think of it that way and I don't  
6 think it is helpful to think of it that  
7 way. An identifier is an identifier.

8 Q. In the example you gave, you  
9 said it was to identify some particular --  
10 I think you said bits? Identifies a  
11 particular sequence of characters. It is  
12 identifying something?

13 A. I can't really understand what  
14 that would mean in, say, the context of a  
15 programming language, an identifier is an  
16 identifier. You will nowhere find in a  
17 programming language reference manual an  
18 identifier is something that identifies,  
19 da da da da, it won't be there.

20 It is a very peculiar notion,  
21 an identifier is an identifier. It is a  
22 sequence of characters that is an  
23 identifier used for many different  
24 purposes in a programming language.

25 Q. Let's talk about the '791

1 R. DEWAR

2 patent for a second.

3 A. Okay.

4 Q. They say that the true name is  
5 an identifier, right?

6 A. Yes.

7 Q. So at least in that case the  
8 identifier is a name, right?

9 A. You just said it the other way  
10 around. A name is an identifier. Yes, a  
11 true name is an identifier. That doesn't  
12 mean all identifiers are true names, and  
13 you don't intend to imply that.

14 Q. I think let's just move on on  
15 this.

16 A. I'm just trying to understand.  
17 I will agree, a true name is an  
18 identifier.

19 Q. But you won't agree that an  
20 identifier necessarily identifies stuff?

21 A. I have to know, are you using  
22 the term of art in a programming language  
23 or in an operating system or using the  
24 ordinary English word?

25 Q. Let's start with the ordinary

1 R. DEWAR

2 English word.

3 A. I guess I would have to look it  
4 up in the dictionary. I don't normally  
5 think of there as being a necessary  
6 correspondence. I don't suppose it  
7 matters in most situations. In most  
8 situations I think in ordinary English you  
9 would say an identifier identifies  
10 something.

11 Q. Let me ask it this way: Do you  
12 think it would be entirely unreasonable  
13 for the board to find that an identifier  
14 is a name?

15 A. In general?

16 Q. In general.

17 A. Yes.

18 Q. You would think it --

19 A. I would think that is  
20 definitely -- I mean, it would be a real  
21 reach to go back to the specification of  
22 Woodhill and say every time it uses binary  
23 object identifier, it means binary object  
24 name. That would be a huge change and  
25 make no sense in the context.

1 R. DEWAR

2 Q. What about in the context of  
3 the claim that we were just talking about  
4 where it says "said calculated binary  
5 object identifier being saved as the  
6 name," do you think it would be completely  
7 unreasonable for the board to conclude  
8 that a BOBID is a name?

9 A. Well, a name to me has the  
10 implication of access by name. You know,  
11 once you have the name of something, you  
12 can go find it. And that's the point of  
13 contention here because there is no such  
14 process in the specification.

15 So I don't know what you read  
16 into "name," but I worry that I read that  
17 into name, which is why I find the sudden  
18 appearance of "name" there dubious;  
19 dubious in terms of being supported by the  
20 specification.

21 Q. So I have two follow-up  
22 questions on that.

23 The first one was my initial  
24 question, which was whether you think the  
25 board would be completely unreasonable in



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

finding that an identifier in Woodhill is a name.

MR. RHOA: Objection.

Q. Not whether you would, but whether you think the board would be completely unreasonable.

MR. RHOA: Objection, asked and answered.

A. Well, I will answer it again.

The use of "identifier" in the specification here --

Q. The question was really just a yes or no. Do you think the board would be completely unreasonable? I understand your reasoning on it.

A. If the board said "identifier" in all contexts, everywhere, always means name --

Q. How about just in one context?

A. Well, which context?

Q. Let's start with the claim.

A. Well, the claim is what the claim is, it says an identifier is a name. So if you ask me if the board would err in

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

saying that in this claim an identifier is -- it says the claim that the identifier is a name. It is clear in the claim.

Q. I agree with that. And the claim was examined by a patent examiner, right?

A. The claim was examined by a patent examiner.

Q. Because it is a granted patent, right?

A. Right.

Q. So he thought that that claim had support in the spec, right?

MR. RHOA: Objection, beyond the scope of the declaration. Calls for speculation.

A. I have no idea what he thought. I can only give you my understanding of the relationship of the claim to the specification.

Q. So my question was, back to not your explanation, but whether you thought it would be completely unreasonable for

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

the board to find "identifier" as a name in Woodhill.

A. In the specification?

Q. In the whole document. Just anyplace in the document does it support --

A. It says "identifier," it means name, yes, I think that would be a mistake.

Q. And then the other thing that I think you mentioned that was implicit in your notion was that an identifier had to be used for access, right?

A. I said a name has that implication.

Q. A name has to be used for access?

A. I didn't say it that way. I said name has the implication of associated access, using the name.

Q. So let's just move forward a little bit to claims 3 and 4.

A. Okay.

Q. And the reason I'm pointing to

1 R. DEWAR

2 3 is because 4 depends from 3, but it is  
3 really going to be from 4.

4 So it says, in claim 3, "a  
5 means for calculating said current name  
6 includes means for calculating 128-bit  
7 binary value comprising four 32-bit  
8 fields."

9 And then claim 4 adds to that  
10 and says "four 32-bit fields include a  
11 binary object identifier size field, a  
12 cyclic redundancy check number field  
13 calculated against the contents of the  
14 binary object, a longitudinal redundancy  
15 check number field calculated against the  
16 contents of the binary object, and a  
17 binary object hash number field calculated  
18 against the contents of the binary  
19 object."

20 A. Okay, I read that with you.

21 Q. If you turn to Figure 3, which  
22 I think you had open a moment ago, that's  
23 precisely the same BOBID in Figure 3,  
24 right?

25 MR. RHOA: Objection to form.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. Right. The four fields described in claim 4 correspond to the four fields that appear in Figure 3.

Q. So when you read claim 1 and 3 and 4 together, these claims are claiming precisely that the BOBID in Figure 3 is being claimed in Figure 4?

MR. RHOA: Objection, form.

A. Claimed in Figure 4?

Q. No, claimed in -- I'm sorry if I misspoke. That claim 4 is claiming precisely the BOBID of Figure 3?

MR. RHOA: Objection to form.

A. Right, just focusing on 74 in this picture, yes.

Q. Just on 74?

A. Yes.

Q. And both in claim 4, and if you turn back to claim 1, at the sentence or lines that bridge column 21 and 22, there is no doubt that the BOBID is calculated against the contents of the BOB?

A. Right.

Q. And that the BOBID includes a

1 R. DEWAR

2 hash of the contents of the BOB?

3 A. Four hashes, in fact.

4 Q. Four hashes of that, I agree  
5 with that.

6 A. Well, I'm not sure you want to  
7 say the size is a hash, but the other  
8 three would be described as hashes.

9 Q. Correct, multiple hashes.  
10 And at least column 22, line 4,  
11 refers to the BOB as a named data item,  
12 right?

13 A. Column 22, line 4, calls that  
14 the name of the binary object, yes.

15 Q. The identifier being saved as  
16 the name of the binary object. And we  
17 have some disagreement about whether it  
18 would be reasonable or unreasonable to  
19 call the BOBID a name.

20 I think that's what we were --

21 A. I don't know if we have a  
22 disagreement. You didn't give your  
23 opinion. I told you what my opinion was.

24 Q. That's actually correct, I  
25 didn't state my opinion.

1 R. DEWAR

2 Let's look at the declaration.

3 Let me suggest this, if in any of the  
4 questions there is a natural point where  
5 you want to say the declaration should  
6 have said X instead of saying Y, raise  
7 your hand on that. If there is anything  
8 of substance, you know, not an easily  
9 identifiable typographical, grammatical  
10 thing, let me know that, too.

11 A. Just let me ask, are you  
12 referring to just changes in substance? I  
13 assume this is not an invitation to add  
14 completely new stuff?

15 Q. That is absolutely the case,  
16 because we haven't had a chance to  
17 consider that.

18 A. I just want to have the ground  
19 rules clear on that.

20 Q. How about if we propose this,  
21 that I'm going to ask some questions, and  
22 if I turn to a section in your declaration  
23 and you say well, before I answer that, I  
24 just want to let you know that there is a  
25 typographical error or some other error

1 R. DEWAR

2 here, you do it.

3 Then if we have time at the end  
4 of the deposition, I will invite you to  
5 make any other --

6 A. That is fine. If you feel that  
7 in answering that question, I'm trying to  
8 extend the thing, you can tell me that.

9 Q. Well, I will ask you --

10 A. But I think I understand the  
11 ground rules.

12 Q. I will ask you on any of those  
13 changes, is this cosmetic or are you  
14 changing the substance of your opinion,  
15 and if the answer is you are changing the  
16 substance of your opinion, we will explore  
17 that. If it is just inarticulate  
18 language, then it will be clear on its  
19 face.

20 A. Fair enough.

21 Q. Anyway, we just talked about  
22 the patent, and I think that you confirmed  
23 multiple times that the BOBID is a hash of  
24 the contents, and I think you said there  
25 is three hashes of the contents?



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. Right.

Q. Do you have your first  
declaration there, the one in IPR 82 and  
83?

A. Yes.

Q. I want you to turn to paragraph  
106.

In the second sentence, you say  
"Woodhill fails to disclose applying a  
hash to the contents of a named file"?

A. Right.

Q. That's not a correct sentence,  
is it?

A. That's a correct sentence.

Q. I thought you just said  
Woodhill has three hashes.

A. Of binary objects.

Q. Okay. So that's the  
distinction in there?

A. That's the substantive and  
important distinction that is being drawn  
here.

Q. So now a BOB is the contents of  
a named file, right?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. It is part of the contents of a named file.

Q. And what's the other contents?

A. Other BOBs.

Q. So let's assume the example before, there is two BOBs.

A. Right.

Q. Woodhill is going to hash the first BOB, right, there is no doubt about that?

A. Yes, hash the first BOB.

Q. And hash the second BOB?

A. Yes.

Q. But it is not in your opinion applying a hash to the contents?

A. It is applying a hash to pieces -- to two pieces, which is different from applying it to the whole file.

Q. Well, it says hash to the contents. Those are the contents of the file?

A. But it is not a hash of the contents, it is multiple hashes of pieces

1 R. DEWAR

2 of the contents. There is a big  
3 difference. A hash of the contents is a  
4 single hash value for the whole contents  
5 of the file.

6 Q. So if we had an even shorter CV  
7 that just said "I live in Vermont," is  
8 this sentence correct or incorrect?

9 MR. RHOA: Objection, form.

10 A. How many data streams are there  
11 in this file?

12 Q. One.

13 A. There is a strong implication  
14 in Woodhill, almost a statement, that  
15 every file that's backed up has at least  
16 two data streams. I can dig that out if  
17 you want.

18 Q. Let's just, for the time being,  
19 assume one data stream.

20 A. I don't think we can do that.  
21 I don't think that's consistent with  
22 Woodhill. You want to dig for that now?  
23 You are making a hypothetical of a file  
24 with one data stream consisting of one  
25 BOB.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. What would be in the other data stream? Extended attribute data?

A. Extended attributes is the example he gives. I have to conclude that the extended attributes are always being regarded as a data stream because of that statement in Woodhill that a file -- it talks about -- actually, it talks about dealing with a plurality of data segments in a file. I think that's the language.

Q. I think I know what you are talking about. But extended attribute data isn't the contents, it is attributes, right?

A. Well, hard to say exactly what Woodhill has in mind there, frankly. It is unclear what Woodhill is meaning -- I mean, we are just guessing what the two data streams are because it is never stated.

Q. You are guessing?

A. No, it is you who said extended attributes, not me.

Q. It is what Woodhill says.

1 R. DEWAR

2 A. He gives that as an example.  
3 He does not say that every file contains a  
4 data stream corresponding to extended  
5 attributes. But he does say that the data  
6 stream could be extended attributes.

7 Q. Let's assume one BOB, the other  
8 stream is extended attribute data, so you  
9 have the two streams that you think are  
10 necessary.

11 Does it hash the contents or  
12 not hash the contents?

13 A. In the situation -- in your  
14 hypothetical now, if I understand it, we  
15 have one data stream that corresponds to  
16 the entire data in the file and one BOB  
17 that corresponds to that data stream.

18 In that situation, and that  
19 situation alone, the hash of the BOB would  
20 correspond to the hash of the file.

21 Q. Okay, thank you.

22 So in that situation at least,  
23 that's the only one I was able to think up  
24 on the fly, this sentence is not right,  
25 this one that starts, "However, Woodhill

1 R. DEWAR

2 fails to disclose applying a hash to the  
3 contents of a named file"?

4 A. Well, it is more like -- the  
5 whole focus in Woodhill is applying hashes  
6 to binary objects. In your hypothetical  
7 you have created a situation in which it  
8 just happens that the hash of the binary  
9 object, to which the process is applied,  
10 corresponds to the hash of the whole file.

11 It happens to have the same  
12 value, but still the focus in Woodhill is  
13 it is computing hashes for binary objects.  
14 You happen to rig it up so that the hash  
15 that it computes by that mechanism  
16 corresponds to what it would have got by  
17 doing a hash on the contents of the file,  
18 if it did it, which it doesn't.

19 Q. Just to be clear, in the '791  
20 patent we were talking about before, I  
21 think it was the Exhibit 2, that does  
22 hashes of the pieces, right?

23 A. Correct.

24 Q. And Woodhill does hashes of the  
25 pieces?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. Right. But --

Q. I'm just pointing that fact out. They each are hashing pieces, right?

A. Yes. But this does a hash of the full file, which is missing in Woodhill.

Q. I want to switch gears a little bit. You can put your declaration -- well, it is in the binder, that is fine. Just put it to the side for a moment.

MR. RHOA: Whenever you guys want to take lunch is fine with me. If you are completely switching topics, it is 12:20.

THE WITNESS: We are aiming for 4:30. That's a reasonable division of the day, probably to take lunch now, if you are switching.

MR. DICHIARA: I agree with that.

(Luncheon recess: 12:23 p.m.)

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A F T E R N O O N        S E S S I O N

1:18 p.m.

R O B E R T   B . K .   D E W A R ,   P h . D . ,  
resumed.

CONTINUED EXAMINATION

BY MR. DICHIARA:

Q.            I just want to circle back on something we started earlier with, with the '791 patent, and how does it fit in a larger system. I wasn't sure whether we were disagreeing, kind of overlapping, or whatever. But if you turn to column 6 of the '791 patent, right around line 46, I'm going to jump to another area. This is the stuff I was thinking of and maybe you could help me out.

          It says "Operating system mechanisms provide typical familiar file system mechanisms while maintaining the data structures required to offer the mechanisms of the present invention. Operating system mechanisms are designed to augment existing operating systems and in this way to make the present invention



1 R. DEWAR

2 compatible with and generally transparent  
3 to existing applications. The following  
4 operating system mechanisms are  
5 described," and one of the first ones is  
6 open file, right?

7 A. Right.

8 Q. So by this what I was trying to  
9 get at earlier is the mechanisms in the  
10 '791 patent are meant to augment an  
11 existing operating system?

12 A. Right.

13 Q. And provide some extra  
14 functionality that the existing one didn't  
15 have, right?

16 A. Yes, okay.

17 Q. I have to get the answer at the  
18 end, so we can't talk over each other.

19 By generally operating  
20 transparently, that means that as far as  
21 the users are concerned, they are dealing  
22 with the operating system and they don't  
23 need to know about the '791 mechanisms,  
24 right, it is under the covers?

25 A. Yes, that's under the covers.

1 R. DEWAR

2 Q. Then I just want to jump  
3 specifically if we go to column 20 for the  
4 open file mechanism, which is the one I  
5 had been asking about.

6 A. Yes, I understand.

7 Q. So right there in kind of the  
8 middle of the page, column 20, line 36 or  
9 37, it says "A mechanism to open a file is  
10 described with reference to Figure 26.  
11 This mechanism is given as input a path  
12 name and the type of access required for  
13 the file and produces either the file ID  
14 of the file to be opened or an indication  
15 that no file should be opened," right?

16 A. Right.

17 Q. So this is saying, in  
18 substance, that if you say open  
19 C:/Dewar/CV.doc, this mechanism is going  
20 to give you back the true file ID we  
21 talked about earlier?

22 A. Right, yes.

23 Q. I thought we were saying that  
24 earlier but it wasn't totally clear to me.

25 A. That's fine. We are in

1 R. DEWAR

2 agreement.

3 Q. I'm going to ask you to put the  
4 '791 patent aside and we are going to go  
5 back to Woodhill. I think for Woodhill,  
6 just for the record, all of the IPRs that  
7 have Woodhill involved have the same  
8 exhibit number, 1005. That is not really  
9 a question for you, that is just for the  
10 record to be clear.

11 When Woodhill backs up certain  
12 large files, he uses something called the  
13 granularization technique, right?

14 A. Right.

15 Q. And you can turn to column 14  
16 of Woodhill starting around line 53 or so.  
17 It makes it clear, it says "The most  
18 important class of 'large' files on  
19 computing systems such as networked  
20 computer systems is databases."

21 A. Right.

22 Q. And we were saying earlier, and  
23 I think it is the same answer for this for  
24 large files, but that when you are backing  
25 up a file, that's a situation where you

1 R. DEWAR

2 are taking data from the local computer  
3 and putting it on the remote file server,  
4 right, or the backup file server I think  
5 Woodhill calls it?

6 A. Yes.

7 Q. And when performing a backup of  
8 a large file using the granularization  
9 technique, the local computer is going to  
10 form something that the Woodhill patent  
11 refers to as a shadow file?

12 MR. RHOA: Objection, form.

13 Q. Right?

14 A. Right. That is part of -- the  
15 formation of shadow files is part of the  
16 process of granularization.

17 Q. Just so we are on the same  
18 page, even if you looked at Figure 5G,  
19 element 404 --

20 A. I always like it when being on  
21 the same page is literal. All right, we  
22 are on the same page literally.

23 Q. So 404, you are going to create  
24 the shadow file?

25 A. Right.

1 R. DEWAR

2 Q. And the shadow file contains  
3 what Woodhill refers to as contents  
4 identifiers for each granule of that  
5 binary object, right?

6 A. Right.

7 Q. And the granule content  
8 identifiers are calculated as a hash of  
9 the contents of the granule?

10 A. Right.

11 Q. Let's assume that there was  
12 some change to this large file, you  
13 changed a bit someplace.

14 A. Okay.

15 Q. The next time that the  
16 computer, the local computer tries to back  
17 up that file it is going to use the  
18 granularization technique, because it is a  
19 large file, it qualifies. The shadow file  
20 is going to be updated too, right?

21 A. Right.

22 Q. And that's because it is  
23 calculating, I think that is 406 or  
24 something like that, the content  
25 identifiers?

1 R. DEWAR

2 A. Yeah.

3 Q. So shadow files can change just  
4 like any other file?

5 A. Yes.

6 Q. And they can be modified just  
7 like any other file?

8 MR. RHOA: Objection, form.

9 A. Well, they are modified very  
10 specifically by box 416 and nothing else,  
11 so not quite like any other file.

12 Q. Noted. It is not as easy as  
13 doing a Word document or something like  
14 that. That I understand.

15 A. Right.

16 Q. And a shadow file is just one  
17 of the files that the local computer is  
18 going to have?

19 MR. RHOA: Objection, form,  
20 foundation.

21 A. Right. It is a little unclear,  
22 maybe you can even say a lot unclear,  
23 exactly what the status of these shadow  
24 files is and where they reside in the file  
25 system, how they are treated, is not

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

really addressed very clearly.

Q. Let's ask about that. You don't dispute that the distributed storage manager program executes on the local computer, do you?

A. No.

Q. And there is nothing in the patent suggesting that the files that the DSM program creates exist anyplace other than the local computer, is there?

A. No.

Q. And I think we agree, but let's see, that if you turn to column 5, line 62 and 63 --

A. I'm sorry, column 5?

Q. Down near the bottom of Woodhill, around 61, it is explicit, it says "However, the default operation is to back up all files on all disk drives 19 on the local computer."

A. Right.

Q. So now we know that when you are doing the backup, as we said before, data is going from the local computer to

1 R. DEWAR

2 the remote computer, right?

3 A. Right.

4 MR. RHOA: Objection, form and  
5 foundation.

6 Q. And in this case it is using it  
7 with the granularization technique, right?

8 A. I would like to go back a  
9 little bit. Can I go back a little bit?

10 Q. Yes.

11 A. Back up all files on all disk  
12 drives is technically impossible in any  
13 existing operating system. So we have to  
14 interpret what that means.

15 Q. Why do you say it is  
16 impossible?

17 A. Example, if you are on a  
18 Windows system, which you are probably on  
19 your machine there, there are thousands of  
20 Windows files that are not accessible to  
21 you. You don't have the privilege to read  
22 which you can't just back up easily.

23 Q. I was going to ask, even if  
24 they are not accessible to you, they are  
25 accessible to the operating system surely?



1 R. DEWAR

2 A. They are not necessarily  
3 accessible to programs running on the  
4 operating system. I mean, there may be  
5 files with locked access and which don't  
6 permit them to be opened. There are in  
7 fact such files. You can find them if you  
8 go hunting. You will find files that you,  
9 for instance, cannot delete, that you  
10 cannot copy, that you cannot access  
11 because they are locked by the operating  
12 system.

13 So we sort of have to take the  
14 all files, understanding it to mean all  
15 files, which make sense.

16 Q. Let me just ask if this is  
17 fair, it is almost the case that every  
18 time something seems to be an extreme, you  
19 can find some exception to the extreme?

20 A. There are thousands of files on  
21 that machine that cannot be touched by a  
22 backup program.

23 Q. And probably millions that can,  
24 right?

25 A. I doubt you have millions of

1 R. DEWAR

2 files on your machine.

3 Q. So just to --

4 A. I will give another example.

5 Q. No, I think we are good on  
6 this.

7 A. There are some cases of files  
8 which are files in every sense, but won't  
9 make sense to back up. The dot file in  
10 Unix is an example. It would make no  
11 sense to back up the dot directory. It is  
12 the current directory. If you try to back  
13 that up and you back up the dot in it, you  
14 will be in trouble. So just an example.

15 Q. But would you understand when  
16 it says all files, that it would be -- it  
17 is not limited to user files, is it?

18 A. What do you mean by user file?

19 Q. Could it include system files?

20 A. What do you mean by system  
21 file? Those are vague terms.

22 Q. A file that was created by  
23 operating system software or file system  
24 software, it creates a file.

25 A. All files are created by file

1 R. DEWAR

2 system software. It is a little bit  
3 unclear. I'm just saying it is a  
4 difficult distinction to draw precisely  
5 without me asking a lot of not very  
6 helpful questions.

7 Q. Let me be a little clearer,  
8 then, and if we can't, that is fine, I  
9 feel pretty good about this.

10 But the operating system or the  
11 file system can create files that the user  
12 doesn't even know exists?

13 A. That is true.

14 Q. It might be bookkeeping kind of  
15 things that the file system cares about or  
16 the operating system cares about, right?

17 A. Right.

18 Q. Those would certainly be fair  
19 game to back up, at least some of them?

20 A. At least some of them. But I  
21 will ask you a question.

22 Q. You are not allowed to.

23 A. But it will help clarify where  
24 we are going.

25 Q. I will see if I can field it.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. The cache files of a browser for you are system files or user files?

Q. That is beyond my skill set.

A. It is beyond my skill set to answer the question, too. I'm saying it is a little bit of a difficult decision to draw. Cache files are a good example, you don't want the backup. I accept the model on a machine like that there is huge numbers of files that should be backed up and that are clearly user files, and that there are files that are clearly not user files that would also be backed up.

Q. So this technique where we were just sort of talking about the granularization technique and the shadow file, that is for the situation of backup going from a local computer to the remote backup file server, right?

A. Say that again.

Q. We were talking about the granularization technique, right?

A. Yes.

Q. And the purpose of the

1 R. DEWAR

2 granularization technique, kind of as the  
3 name suggests, is that they only want to  
4 back up data that is changed, but now they  
5 are even focused on smaller chunks of  
6 data?

7 A. Pieces of a BOB.

8 Q. Which they call a granule, the  
9 patent calls a granule?

10 A. Right.

11 Q. That's the backup operation. I  
12 want to now switch to what we referred to  
13 earlier as a restore operation.

14 A. Right.

15 Q. And in the granularization  
16 context, Woodhill, for those large files,  
17 uses the term "update request," right?

18 A. Yes.

19 Q. And that's a situation where  
20 you might have some large file and for  
21 whatever reason you think some or all of  
22 it got corrupted or something you don't  
23 like, and you want to restore it to a  
24 previous version of that file.

25 A. Okay.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. And the update request is trying to get just those pieces from the previous version that it needs to get back to the previous version.

A. Right.

Q. Because there might only be small changes between the current version and the previous version, right?

A. Right.

Q. And they are saying don't send the whole previous version, just send the pieces that have changed?

A. Right. Pieces of pieces, because there are really two levels here.

Q. Exactly. The pieces being the BOBs --

A. And the pieces of pieces being the granules. When you said large files a few times, every time I have converted that in my mind to large BOBs because that's actually a focus of granularization.

Q. So just in terms of the patent, they refer to it as large files, though?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. Right, which is a little bit misleading, because one file that is one BOB -- a file that is one BOB might not be a large file, but it is still subject to granularization, according to my understanding.

Q. So let's just put aside the size because it is all relative, kind of, I guess.

So if we look to column 17 of Woodhill, this is where I think they start talking about some of this stuff.

MR. RHOA: Objection, form.

Q. It is around line 18. There is no question yet. I'm just trying to get you to a certain spot.

It says "The technique of granularizing large files also becomes useful when a current version of a file," I'm skipping the parenthetical, "must be restored to a previous version of the file."

That's what we were just talking about, right?

1 R. DEWAR

2 A. Yes.

3 Q. So if something happened with  
4 the current version, you don't trust it or  
5 it is corrupted or something and you want  
6 to get back to yesterday's version or  
7 something like that, right?

8 A. Right.

9 Q. And then it continues, and it  
10 says "Each binary object comprising the  
11 current version of the file can be  
12 restored to the binary object comprising  
13 the previous version of the file by  
14 restoring and updating only those granules  
15 of the current version of the binary  
16 object that are different between the  
17 current and the previous version of the  
18 binary objects."

19 And that's what we were just  
20 talking about a moment ago, too?

21 A. Right.

22 Q. You will get those granules  
23 from the previous version that you need to  
24 get the current version to be identical to  
25 the previous version?



1 R. DEWAR

2 A. Yes.

3 Q. Now, down at around line 40,  
4 they talk a little bit more about this  
5 update request, right?

6 MR. RHOA: Objection, form.

7 Q. And it says "Program control  
8 then continues with step 446 where the  
9 distributed storage manager program  
10 transmits an update request to the remote  
11 backup file server which includes the  
12 binary object identification record for  
13 the previous version of each binary object  
14 as well as the list of contents  
15 identifiers calculated in step 444."

16 The content identifiers that  
17 they are talking about there are the  
18 content identifiers of the current version  
19 on the local computer, right?

20 A. Yes, right.

21 Q. That's its way of telling the  
22 remote backup server here's the data that  
23 I have, right?

24 A. Right.

25 Q. And the BOBID is its way of

1 R. DEWAR

2 saying this is the data that I want?

3 MR. RHOA: Objection, form,  
4 foundation.

5 A. No, that's not what it says.  
6 It says that it provides the -- where was  
7 it? Binary object identification record,  
8 you can't make the change you just made.

9 Q. What was the part, just so  
10 it --

11 A. You said binary object  
12 identifier.

13 Q. Okay.

14 A. And it is crucially important  
15 that it does not say that here. There  
16 wouldn't be any use to it. That wouldn't  
17 work. It is important that it be the  
18 binary object identification record.

19 Q. Now, when the remote backup  
20 file server receives the update request,  
21 it reconstitutes each BOB that the user is  
22 requesting according to the technique in  
23 Figure 5H.

24 I'm just reading out of 46  
25 through 57. I think you are with me,

1 R. DEWAR

2 right? Are we on the same lines there?

3 A. Yes.

4 Q. So the idea here is that on the  
5 remote file server it has to get that BOB  
6 that you want, right?

7 A. Well, more specifically it has  
8 to reconstitute it. That's much more than  
9 just getting.

10 Q. That's one of the questions I  
11 was going to have.

12 So when you get to Figure 5H,  
13 and we can take a look at it, if I  
14 understand correctly --

15 A. Now I'm beginning to suffer  
16 from not having this in the -- let's see,  
17 5E, 5F. I'm sorry, maybe it would be --  
18 okay, here we are, sorry. I had them out  
19 of order. Give me a moment to get back in  
20 order.

21 Q. It should be sheet 10.

22 A. Okay. Now I'm back in order.  
23 And we are on sheet 10. I have it.

24 Q. So if I understand the process  
25 here, before when we were talking about

1 R. DEWAR

2 the backup and we said how there is a  
3 shadow file and it only sends the granules  
4 over, right?

5 A. Right.

6 Q. So on the remote file server,  
7 the first time you back up that large  
8 file, it's a new file and the whole thing  
9 goes over, right?

10 A. Right.

11 Q. And then subsequently anytime  
12 there are changed granules, it sends the  
13 changed granules over?

14 A. Right.

15 Q. So there might be a period of  
16 here are some changes from yesterday, here  
17 are some changes from today, you know,  
18 just different granule changes, right?

19 A. Okay.

20 Q. And the purpose of this  
21 reconstitution procedure is to somehow  
22 rebuild to whatever day you asked for,  
23 right?

24 MR. RHOA: Objection, form.

25 A. Well, that's the purpose of the

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

whole flowchart.

Q. Because it is a complicated flowchart, I want to make sure we are on the same page about what it does.

A. Restore reconstituted binary object is one step in a complex process and not a trivial step.

Q. Just so that we have a very simple hypothetical, let's assume that you had a brand new large file and you backed it up, so the whole large file goes over to the backup file server.

A. Yes.

Q. Before you even did any granule updates, you want that back, you want to restore it back, right? What happens in that case?

A. Well, for each -- my understanding, for each BOB in the file you decide whether that BOB needs to be restored, whether you have the right version or not, based on the comparisons specifically of the BOBIDs for the corresponding BOB in the corresponding

1 R. DEWAR

2 file.

3 So perhaps the kind of  
4 comparison you do is to compare the third  
5 BOBID for this file with the third BOBID  
6 for this file over here, the other  
7 version.

8 Q. And if they mismatch, then you  
9 will say we will --

10 A. Well, if you mismatch, then you  
11 gear up, assuming it is a big BOB, which  
12 is the environment we are working in at  
13 the moment, then you gear up the  
14 granularization process -- yes, you get  
15 it, but part of that getting it is to use  
16 the granularization process to minimize  
17 the amount of data transmitted.

18 Q. So when it receives the update  
19 request, the first thing that the file  
20 server is going to do is create -- or  
21 maybe it is not the first, but one of the  
22 first things the file server is going to  
23 do is going to create what it refers to as  
24 a work area, right, that is step 420?

25 A. Yes..

1 R. DEWAR

2 Q. And then as the figure is  
3 saying, it is going to get the most recent  
4 complete copy of the binary object?

5 A. Right.

6 Q. That's like we were saying  
7 before, when the whole thing went over,  
8 right?

9 A. Right.

10 Q. And then it has to figure out  
11 what kind of granules do I need to build  
12 back in to bring it up to whatever version  
13 you asked for?

14 A. Right.

15 Q. So now it has, down in step  
16 438, this is what it is referring to as  
17 the reconstituted binary object?

18 A. You keep saying "it."

19 Q. I'm sorry, in that flowchart,  
20 step 438, Figure 438, eventually  
21 reconstitutes?

22 A. My understanding is that this  
23 restore reconstituted binary object is  
24 where the decompression takes place.

25 Q. By decompression, it means

1 R. DEWAR

2 putting all the granules back in?

3 A. No, I mean decompressing. But  
4 BOBs on the backup server are always  
5 compressed. You can only compute granule  
6 stuff from the uncompressed version.

7 So my understanding is restore  
8 reconstituted binary object is where you  
9 take the compressed BOB you have saved on  
10 the backup server and you need to  
11 decompress it before you can play the  
12 granule game. You can't play the granule  
13 game on compressed BOBs, I don't think.

14 Q. I think if you look at 428, you  
15 see granularized copy found, 428, and then  
16 it goes through 430 and then it kind of  
17 loops. I think this is the area where it  
18 is just building back up until it has all  
19 of the latest and greatest granules.

20 A. So I actually --

21 Q. It is not going to be important  
22 for the questions.

23 The key question is, at some  
24 point they are going to have what they  
25 refer to as the reconstituted binary



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

object, which is what the request cares about, right, the previous version of the BOB?

A. Right. I will tell you, the confusion in my mind, which I feel like I should read because I think it is important, is whether we granularize the compressed or the uncompressed version.

Q. Would it help if you looked at this portion of the patent that is in column 17?

A. That's where I'm looking at. There is really no mention of compression and decompression at all in this whole paragraph, hence my confusion.

Q. I might know the source of that. I will throw it out there. I'm not asking you to agree or disagree.

But there is at least some discussion of when something is backed up not to the remote file server, but to another local, that those can be in compressed form. So you can either have one backup copy on a local that is

1 R. DEWAR

2 compressed or you can have it on the  
3 remote file server for other reasons.

4 MR. RHOA: Objection.

5 Q. Maybe that's the thing. I'm  
6 not asking you to agree or disagree. If  
7 that jogs your memory in one way, it is  
8 not going to be important to the question.

9 MR. RHOA: Objection to form.

10 A. Well, it is significant whether  
11 the granules are computed over the  
12 compressed or uncompressed version. Maybe  
13 we could agree that that's not clear in  
14 this specification or maybe we can ask  
15 more questions.

16 Q. I think the next questions  
17 might help that out. But you will have to  
18 be the judge on that, I guess.

19 But we do know there is  
20 something that by this time that they have  
21 that is called a reconstituted BOB?

22 A. Yes.

23 Q. And then if you take a look  
24 right around line 50, so before 50, around  
25 46, it says "Program control continues

1 R. DEWAR

2 where the DS," distributed storage manager  
3 program, "reconstitutes each previous  
4 version," blah blah blah, and then around  
5 50, it says "Program control then  
6 continues with step 450 where the  
7 distributed storage manager program for  
8 each binary object compares the content  
9 identifiers of the next granule in the  
10 work area of remote backup server," so  
11 that's the stuff that is in the work area,  
12 "against the corresponding contents  
13 identifiers calculated in step 444,"  
14 right?

15 A. Right.

16 Q. And I think this is in the  
17 figure as well, one of the figures. This  
18 is where it is trying to see which  
19 granules have changed. I think 5I, 450,  
20 has that. Figure 5I might be a better  
21 figure for you to look at, but it is up to  
22 you.

23 If you see in Figure 5I, 444,  
24 you are calculating the contents  
25 identifiers, that's the current version,

1 R. DEWAR

2 you transmit the update request in 446,  
3 the file server then reconstitutes the  
4 binary object, and then in step 450 it now  
5 has to compare content identifiers to see  
6 which of them are different. That's what  
7 452 is doing.

8 Then 454 is saying transmit the  
9 granules, i.e., the ones that are  
10 different, back to the local computer,  
11 right?

12 A. Correct.

13 Q. Maybe I would have been better  
14 off with Figure 5I. I apologize.

15 So in 450 and 452 this is where  
16 it is identifying which granules are  
17 different and need to go back to the local  
18 computer?

19 A. Right.

20 Q. And the remote file server had  
21 to obtain the content identifiers of the  
22 reconstituted BOB somehow, right?

23 A. Right.

24 Q. And needed it to do the  
25 comparison, right?

1 R. DEWAR

2 A. Right.

3 Q. And it had to obtain the  
4 content identifiers of the current BOB in  
5 order to make the comparison?

6 A. Right.

7 Q. And then as we said the remote  
8 backup server compares each of those  
9 content identifiers in 452 in Figure 5I?

10 A. Yes, right.

11 Q. When the remote backup file  
12 server notices that these identifiers  
13 don't match, it knows that the local  
14 computer is missing the corresponding  
15 granule as it exists in the work area?

16 A. Right.

17 Q. And then it transmits it in  
18 step 454?

19 A. Right.

20 Q. And in order to make that  
21 transmission, it has to request that that  
22 granule get transmitted from the remote  
23 back to the local?

24 A. Right.

25 Q. And in this way the local

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

computer will obtain all the granules that it is missing in the previous version of the file that it requested?

A. Correct.

Q. I know this is a more complicated procedure than the normal one, so I apologize.

A. That's okay. I'm still confused about the interaction with compression, but maybe we can steam on and ignore that for a moment.

Q. At a break you might want to take a look at it. I think it is the local computer, but you have to figure it out.

So I want to focus on a particular situation like we have done in some of the other instances. So we have a granularized file. It has been backed up, right?

A. Right.

Q. So it is already on the system. That's the prior version, the prior version of the file. Then on the local

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

computer, assume that there is something like a malicious user, a virus, something, all of that data somehow gets changed?

A. Corrupted.

Q. Corrupted, but every single granule, okay?

A. Yes, okay. Every single granule?

Q. Some bit in every single granule, just whatever, everything gets written to 1's or 0's, something, but that file got clobbered. So that is certainly a reason to do a restore?

A. Right.

Q. Assuming that the user figures out there is a problem?

A. Right.

Q. So they want to do the restore and in this hypothetical, because no one knows this until you push the button for restore, every single granule has changed?

A. Right.

Q. So in this case all the content identifiers are going to be different

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

between the current and the previous  
version of the BOB?

A. Right.

Q. And in this case the update  
request will identify the BOBs over the  
remote file server, right?

MR. RHOA: Objection to form.

Q. It has to know what the  
previous BOBs are somehow?

A. Yes, okay.

Q. And it will include the content  
identifiers of the current version of the  
BOB?

A. Right, for comparison purposes,  
yes.

Q. That's what I'm getting at.  
You have to identify the prior BOB, but  
you don't have the data of the prior BOB  
by definition, and you have the data of  
the current version and you have to tell  
the remote side here is the data I have in  
this very efficient form with content  
identifiers, right?

A. Say that again. That doesn't



1 R. DEWAR

2 sound right to me.

3 Q. I mean, a very crude way of  
4 doing this would be not in the patent, to  
5 be saying I want yesterday's BOB, let me  
6 send over the whole database that I have  
7 right now, you send me what is different,  
8 that wouldn't make any sense, you would  
9 have to send the whole file, instead you  
10 are just sending over the content  
11 identifiers as they exist?

12 A. I believe you said the binary  
13 object identification records, because  
14 that's what it says.

15 Q. Okay, then maybe I misspoke.  
16 But you have to identify the prior BOB  
17 somehow?

18 A. Yes. You do that with very  
19 important and crucial difference; the  
20 binary object identification record, not  
21 the binary object identifier. I mean, it  
22 includes it.

23 Q. That's what I'm getting at. We  
24 do know that the BOB is identified with  
25 the binary object identification record?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. Record, yes, as long as you use the word "record" I won't be jumping up and down in my seat.

Q. We do know that the binary object identification record includes a binary object identifier?

A. It happens to include the binary object identifier.

Q. Well, it is actually part of the name, right? It is binary object identification record?

A. Yes.

Q. It is not surprising it has a binary object identifier?

A. Right. If I can add to my answer, it includes it. It is important not to jump to the conclusion that it is -- that it is used for any purpose, the binary object identification record was used. The binary object identification record contains other crucial fields beyond the binary object identifier.

Q. Would we be jumping to conclusions to assume that the binary

1 R. DEWAR

2 object identifier is an important field in  
3 the binary object identification record?

4 A. Of course it is important, but  
5 whether it is used at the particular place  
6 depends on what's being done. We are  
7 looking for the file, it is not used.

8 Once we have found the file and  
9 the BOB we are looking for, we want to see  
10 whether it matches some previous one.  
11 That's when we use the binary object  
12 identifier. It is kind of a crucial  
13 point.

14 Q. Let me see if this is correct  
15 or incorrect. The binary object  
16 identifier is not used to identify the  
17 binary object, that's your opinion?

18 A. Well, it depends what you mean  
19 by identify. If in your mind identifier  
20 means a name which I go then look up, that  
21 would be an entirely wrong viewpoint. If  
22 you mean that it is an encoding of the  
23 identity of the record, that's the sense  
24 in which it is really -- identifier here  
25 is related to identity, not identify.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

That's why it is called a binary object identifier, because it reflects the identity. I think that's a confusion that I can see happening, oh, it is used to identify it. No, it reflects its identity, that's the distinction.

Q. And the identity isn't the name?

A. The identity of a file is its contents in this regard. If you take the identity of a file being its contents, then the binary object identifier encodes that identity.

Q. So I'm going to try and ask it one more time because I'm not quite sure it was answered. I think it is a yes or no.

The binary object identifier, does it identify the binary object or not?

A. Define exactly what you mean by identifier and I'm willing to answer the question. I can look it up in a dictionary myself. But I just don't know what you have in mind when you say

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

"identify," because it is a very odd thing to say, so I can't tell you whether it is accurate or not.

Q. The broadest reasonable meaning of identifier, or identify.

A. I will say again, it encodes the identity, and I'm not willing to jump to saying it identifies it.

Q. So you are incapable of answering yes or no?

A. It is kind of a loaded question which needs terribly precise definitions of what is meant.

What I'm saying, the binary object identifier encodes the identity is precise and clear to me. So if you think they mean the same thing, fine, but I don't.

Q. Would it be unreasonable for the board to think it means the same thing?

A. It is hard to say. I can see how someone could jump to that conclusion just from the name, but if you read how

1 R. DEWAR

2 the binary object identifier is used, it  
3 is never used to identify the BOB. It is  
4 only used to confirm its identity with  
5 respect to another BOB.

6 So it is never -- if you say  
7 binary object identifier identifies the  
8 BOB, that has an implication of something  
9 going on which doesn't appear anywhere in  
10 the patent, and that's why I think it is  
11 confusing. I can't answer for some  
12 hypothetical patent examiner what he might  
13 or might not think. I can only tell you  
14 what I think.

15 I think it is very important to  
16 understand that the binary object  
17 identifiers are not names, they are not  
18 used to somehow find the BOB or figure out  
19 which BOB is which or anything like that.  
20 They are used solely to check whether the  
21 identities of two BOBs are the same.

22 Q. When you are making that  
23 statement, are you including claim 1 of  
24 the Woodhill patent or not?

25 A. I'm not including the claims.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

MR. DICHIARA: It is probably a good time for a very short break, maybe ten minutes.

(Recess taken.)

BY MR. DICHIARA:

Q. So the thing I want to ask about is when you started working on your analysis of are the '791 claims valid or not in view of Woodhill.

So in terms of that process, can you describe kind of generally what you did to, high level, what you did to do your work?

A. Well, I started off with a fair familiarity with the claims of '791. It is not the first time I have seen this patent. So I was pretty familiar with the patent, with its claims, at a low level, and with its shape at a high level. It was a known quantity to me.

So really I started in this case by looking through Woodhill and really trying to understand everything that was in the specification of Woodhill.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

So then, you know, I start off at a high level, how does it intersect with the '791 patent, and then I looked at the claims. I was only asked to look at certain claims in the '791 patent. I think we both have that list. So then I went through those claims one by one at a much lower level saying is this element of the claim in Woodhill, yes or no.

So that's a high-level description of how I proceeded.

Q. And when you were asking yourself whether it was in Woodhill, you were including or not including Woodhill's claims?

A. I was not including the Woodhill claims.

Q. That's because the attorneys told you not to?

A. Told me not to, yes.

Q. In terms of your declaration, who wrote the first draft?

A. Well, we sort of met at the office and constructed sort of detailed



1 R. DEWAR

2 notes on the points that would be made and  
3 then the first draft based on those  
4 notes -- those notes in a sense were a  
5 first draft, and then based on that draft  
6 my attorney's office came up with the full  
7 thing and the appropriate boilerplate at  
8 the front, and then I reviewed that  
9 carefully and we made modifications, not  
10 too many, because we had a pretty clear  
11 understanding of what we wanted to say  
12 from our previous conversations.

13 Q. But in terms of actually like  
14 typing the first draft, that was the  
15 attorneys trying to reflect your  
16 conversations?

17 A. And the notes we had made. So  
18 in some cases it was typing out exact  
19 words we had agreed on, and in some cases  
20 it was providing the words for things we  
21 had a clear and general agreement on.

22 Q. Do you have the '791 patent  
23 handy? I want you to turn to the back,  
24 towards the claims, and I want to focus on  
25 claim 1. Just let me know when that's

1 R. DEWAR

2 clear in your mind.

3 A. Yes, that's in my mind. I  
4 might also be able to write it out by  
5 memory, I'm afraid.

6 Q. And I want you to assume that  
7 the board, when considering what the term  
8 "existence means," as a second element,  
9 doesn't require the existence means to  
10 look at information for all files in the  
11 system and that it will not require that  
12 you identify all instances of a file in a  
13 system.

14 MR. RHOA: Objection, form,  
15 improper hypothetical.

16 A. I'm not quite sure what all  
17 instances of a file in a system might  
18 mean. Local existence means for  
19 determining whether an instance of a  
20 particular data item is present is a  
21 yes/no question.

22 Q. I'm not disagreeing with that.

23 A. It has to be by examining the  
24 identifiers of the plurality of data  
25 items.

1 R. DEWAR

2 Q. Correct. So maybe this isn't a  
3 hard assumption, maybe it is. I'm just  
4 asking very basically that when they  
5 analyze it, they are not going to say that  
6 the patent requires, in the prior art or  
7 accused device or anything else, that this  
8 claim doesn't require that you look at  
9 information for all files, that's one  
10 assumption, and that they are also going  
11 to say that the claim doesn't require that  
12 you identify all instances of a file in a  
13 system, identifying one is enough.

14 A. Right.

15 Q. With that in mind --

16 MR. RHOA: Objection, improper  
17 hypothetical, form.

18 Q. -- I want to know whether the  
19 claim is satisfied by Woodhill or not?

20 A. In claim 1, the issue with  
21 claim 1 is whether a substantially unique  
22 identifier is computed for a data item, or  
23 a named data item, for a file.

24 That's not 100 percent clear in  
25 Woodhill because I would say -- I would

1 R. DEWAR

2 say it doesn't do that because of the  
3 issue -- I mean, we went through that  
4 earlier this morning. The issue is does  
5 it compute a hash for the entire file or  
6 only for pieces of it.

7 Q. Just to be clear, his claim  
8 doesn't say "file," it just says "data  
9 item," and the board construed "data item"  
10 as less than a file. It could be a  
11 portion of a file. It could be any  
12 sequence of bits.

13 A. I guess that's right. Let's  
14 see, exactly what do we have as the  
15 construction? "Data item" just says a  
16 sequence of bits. Let's have -- let me  
17 look.

18 Q. Just let me know if you are  
19 looking at the decision.

20 A. Right, okay, so it can be a  
21 portion of a file.

22 Q. It could be any number of  
23 things, right?

24 A. Right.

25 Q. So we will assume that a BOB is

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

a data item; is that fair enough?

A. Under that interpretation --

MR. RHOA: I just want to make sure that my objection for the improper hypothetical and the form continues down all lines of questions flowing from that hypothetical.

MR. DICHIARA: You should just say "same objection" so it is clear.

MR. RHOA: Same objection.

A. So if you make the correspondence of data items to BOBs, then Woodhill is determining a substantially unique identifier of those BOBs.

Q. And then turning to the existence means --

A. That's completely missing from Woodhill.

Q. And I want to understand your basis for that, because earlier when we were talking about the backup procedure and we were talking about comparing the binary object identifiers of the local version and the backed-up version, we had

1 R. DEWAR

2 said that during that comparison you can  
3 determine whether the BOB is on the local,  
4 it is certainly there, and you can also in  
5 some instances determine that it was on  
6 the remote.

7 A. But you are comparing one BOB  
8 with one BOB. There is no process of  
9 examining the identifiers of the plurality  
10 of data items. There is never a place in  
11 Woodhill where it says gee, does this BOB  
12 have this, no, does this BOB have this,  
13 no.

14 Q. But I had asked that in the  
15 assumption. I had said that we are going  
16 to assume that the board says that the  
17 existence means, or that the claim doesn't  
18 require that you look at all of the file  
19 information.

20 A. No, but you have to look at at  
21 least two.

22 Q. Which two are you thinking of?

23 A. Whatever plurality might mean.  
24 And the comparisons in Woodhill only look  
25 at one.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. Let's turn back to Woodhill, if you would.

MR. RHOA: Same objection along this whole line of questioning regarding improper hypothetical, form.

Q. I want you to turn to column 22 and line 5. It says "means for comparing said current name of a particular binary object to one or more previous names of said binary object."

Now, I think earlier you said you didn't consider the Woodhill claims when you made your judgments?

A. No, I have no comments on this claim because I didn't examine it.

Q. But at least that language is satisfying what you just said, it is examining the plurality?

A. I'm not willing to make that determination on the fly.

Q. So then if we turn to column 2 of Woodhill, you looked at that.

In line 14, it says "means for comparing the current value of the binary

1 R. DEWAR

2 object identifier associated with a  
3 particular binary object to one or more  
4 previous values of the binary object  
5 identifier associated with that particular  
6 binary object."

7 A. Right. What that is saying is  
8 that you can restore to different versions  
9 of the file. You can make a request to  
10 restore to this particular version in  
11 which case it will make a particular  
12 comparison, or you can ask to restore to  
13 this version or make a particular  
14 comparison.

15 It is never doing some search  
16 across different possibilities. That  
17 doesn't occur in any of the flowcharts.  
18 It doesn't occur in any of the  
19 specification. And it is just not an  
20 element of Woodhill, in my opinion.

21 Q. Do you think it would be  
22 unreasonable to interpret the language  
23 comparing the current value of the binary  
24 object identifier to one or more previous  
25 values of the binary object identifier as



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

looking at a plurality of identifiers?

A. If you interpreted it that way, it would be inconsistent with everything else in the specification. It would be inconsistent with anything that's in the flowcharts and it would be inconsistent with the detailed description of how things work.

Q. Just for that text alone, if you were considering that text in isolation?

A. Well, it could mean a number of things, but it has to be read in context of the rest of the description.

Q. Doctor, it is in the summary of the invention, right?

A. Right.

Q. You are talking about the invention, right? In the summary of the invention, they are talking about the invention?

A. Right.

Q. It is saying it is comparing a current value -- I don't want to misquote

1 R. DEWAR

2 it. It says it is comparing "the current  
3 value of the binary object identifier to  
4 one or more previous values of the binary  
5 object identifier."

6 A. But there is no capability of  
7 doing that in any of the description, in  
8 the terms you are thinking of. You can  
9 ask it to compare this and this. You can  
10 ask it to compare this and this.

11 At no point in Woodhill can you  
12 say hello, I have got a binary identifier,  
13 go and see if there is a previous version  
14 that matches it. We have no process  
15 described that leads in that direction and  
16 we have a very specific description of a  
17 process that leads away from that.

18 Q. Let me just ask a simpler  
19 question, perhaps.

20 Is this portion that we are  
21 just looking at in column 2 where it says  
22 "comparing the current value of the binary  
23 object identifier to one or more previous  
24 values of the binary object identifier,"  
25 is that examining the identifiers of the

1 R. DEWAR

2 plurality of data items?

3 A. Well, let's look at the whole  
4 claim. "Existence means for determining  
5 whether a particular data item is present  
6 in the system by examining the identifiers  
7 of a plurality of data items."

8 Q. Let's take that in pieces.

9 The first part, before we get  
10 to the "by," "existence means for  
11 determining whether a particular item is  
12 present in the system," you would agree  
13 with me that Woodhill can do that?

14 A. No.

15 Q. I thought when we were talking  
16 about Woodhill earlier in the backup  
17 process, it compares two BOBIDs --

18 A. But --

19 Q. Let me finish the question,  
20 please. You are comparing --

21 A. Two BOBIDs.

22 Q. We used your CV.doc example and  
23 you changed the first sentence, and it  
24 said, when it looked at the BOBIDs for the  
25 first BOB, the system knew that the BOB

1 R. DEWAR

2 was on the local, right, it determined it  
3 was there and there was no dispute about  
4 that?

5 A. Right.

6 Q. And then later on when we were  
7 looking at the second BOB, which was  
8 unchanged, it determined not only that it  
9 was at the local, but it was at the  
10 remote?

11 A. Right. It can give an answer  
12 of yes. It can never give an answer of  
13 no. There is no procedure -- it is very  
14 important in the claim that it says  
15 "existence means for determining whether,"  
16 that's a yes/no question.

17 So you say I have an  
18 identifier, is this object present among  
19 these data items. There is never a  
20 process in Woodhill that does that and  
21 gives you an answer of no.

22 Q. But just, Doctor, it says  
23 "determining whether it is present," it  
24 doesn't say "determining whether it is not  
25 present." There are separate claims that

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

say that.

A. Determining whether an item is present must include saying it is not present if it isn't present. There is no other reasonable reason. There is no other reasonable interpretation.

Find out if Joe is in the office. You have to be able to say yes, Joe is in the office; no, he isn't. You can't say oh, well, I can only tell you if he is in the office. That doesn't make any sense.

Q. But that's what the claim says, it just says determining whether he is present?

A. Determining whether is a Boolean question with an answer of yes or no. Determining whether is a process that gives an answer of yes or no.

Q. And if you answer yes --

A. It means it is present, and if you answer no, it means it is not present.

Q. And this claim says determining whether present, there are other claims

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

that say determining whether not present?

A. There is semantic nonsense as far as I'm concerned. If I ask whether something is present, I'm giving a yes/no answer, and a no means it isn't present.

Q. We agree at least that it can say, in the instance of the hypothetical, that it is present, it might not be able to say it is not present, if I understand your analysis?

A. There are cases where they will say it is not present and it is present. So we have cases in Woodhill where it says it is present when it isn't present, and it isn't present when it is present. If, by "present," you mean the comparison works.

Q. But there are definitely times when it knows that it is present?

A. Right.

Q. There is at least that, you are going to say sometimes maybe --

A. But that would be true of a procedure that said yes all the time.

1 R. DEWAR

2 Suppose I had a procedure that  
3 said yes all the time, it would be right  
4 some of the time. That is not a  
5 determination procedure. You can't say I  
6 have a question to ask. Well, I answer it  
7 right some of the time, therefore I have a  
8 determination method. No. You have to  
9 have an answer that is correct.

10 And we are not in the business  
11 of answering the question, and I will  
12 repeat again, Woodhill gives an answer of  
13 yes when the answer is no, and an answer  
14 of no when the answer is yes. So it is  
15 not a determination procedure.

16 Q. When you were making that  
17 answer, are you assuming as part of that  
18 answer that when the claim says present  
19 "in the system" that you have to look at  
20 all the other file information or not?

21 A. You never look at, even in the  
22 ideal embodiment of '791, you don't look  
23 at all files, you just look at a  
24 subsection of files which are in the true  
25 file name registry. So no one claims that

1 R. DEWAR

2 you are looking at all the files. That  
3 would be an incorrect construction.

4 Q. That would be an incorrect  
5 construction?

6 A. To say that you have to look at  
7 all the files in the system.

8 Q. What about all the information  
9 for all the files?

10 A. I'm sorry, say it again.

11 Q. Does the claim require that you  
12 look at information for all of the files?

13 A. In the system?

14 Q. Yeah.

15 A. No.

16 Q. That would be incorrect?

17 A. Right. There are plenty of  
18 files in the system, in the ideal  
19 embodiment of '791 there are plenty of  
20 files in the system which aren't in the  
21 true file, whatever it is called.

22 Q. I'm trying to see where we  
23 agree and disagree. We at least agree the  
24 claim doesn't require --

25 A. That you look at all the files



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

in the system.

Q. Or information for all the files in the system, in case that is different?

A. I'm not sure I understand the difference. But the answer is no and no, I think.

Q. The reason I'm trying to make it is that "look at all the files" might mean you actually have to look at the content, information about the files might be something else.

A. Okay. In that case I don't have a problem and the answer is no, you don't have to look at all the file's contents and you don't have to look at all the information -- information about all the files.

Because you do not -- you are not required to give an answer that's universally quantified over all files, or you could even say all data items, because we shouldn't jump to files.

Q. Correct. I think we have some

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

points of agreement, some points of disagreement.

A. Fair enough.

Q. Not the first time, right?

Now I want to focus on claim 2, and I just want to focus on just the local existence means.

We realize this is a depending claim and we realize we have a dispute about whether claim 1 is satisfied or not, and I just want to focus on the particular element of local existence means.

The other thing that I'm going to ask you to assume --

A. I'm sorry, let me just find the right -- I'm in the wrong place and I need to be in the right place. Okay.

Q. So focusing on claim 2, this claim, besides local existence means, has another little caveat, it says "Determining whether an instance of a particular data item is present at a particular location in the system," okay? It is not just -- the prior claim said

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

whether it is present in the system. Now it is particular location. Okay?

A. Right.

Q. And I'm going to ask that you make the following assumption, that a particular location can be specified by a single file name.

A. I don't think that is compatible with the claim construction or plain reasonable technical understanding.

Q. Let's go back to the '791 patent then. We talked about this a little earlier. At column 9, line 62, or 3, it says "Identity" -- by the way -- which is --

A. Column 9? Oh, yes.

Q. -- "or disk location of the actual physical representation of the file or file segment. It is sufficient to use a file name."

So I'm going to ask you to assume, and I realize you might not agree with that, that is fine, I'm just trying to narrow the disputes for the board to

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

see where we agree and disagree.

A. Okay.

Q. But for present purposes I'm asking you to assume that the board says that a particular location can be a particular file name.

A. You can ask me to assume that. It is nonsense to me, so I'm not going to be able to conclude much from nonsense.

Q. Let's see if that works.

A. I mean, I have no idea what that means. Because a location is pretty well defined in column 2 and it is things that hold a plurality of files in general.

To try and say that a file is a location makes no sense to me at all. You are talking about the location of the bits on disk?

Q. Yes.

A. Well, that's a very long list of crap that only the operating system knows.

Q. But that column in the patent says it is sufficient, that's the words it

1 R. DEWAR

2 uses, to use a file name for the disk  
3 location.

4 A. Right.

5 MR. RHOA: Objection to form.

6 A. A file name leads to a disk  
7 location. The word "location" and "disk  
8 location" has nothing to do with the use  
9 of location in this patent.

10 Q. This is this patent, this is  
11 the '791 patent.

12 A. But disk location is not a  
13 location.

14 Q. I'm asking you to assume that  
15 the board says that a disk location is a  
16 location.

17 A. Well, that makes no sense to  
18 me, so I will assume nonsense for the  
19 moment, and you fire on with the  
20 questions.

21 Q. Well, let me ask a question  
22 about that. You say disk location isn't a  
23 location, right?

24 A. Location is a technical term in  
25 this patent which we have a construction

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

for.

Q. And what does it say?

A. It says "In many data processing systems, data items are transferred between different locations in the system. These locations may be processes in the data processing system, storage devices, memory. For example, one processor may obtain a data item from another processor or from an external storage device, such as a floppy disk, and may incorporate that data item into its system."

Q. Let me give the board's construction.

A. I think that is copied word for word from somewhere.

Q. So on page 15 of the decision.

A. Where do you want me to be?

Q. Page 15 of the board's decision.

A. Let's also make sure that --

Q. We use the same construction?

A. Since I quote what I used as

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

location, let me also see --

Q. It is an excellent exercise, because maybe we are using different constructions.

A. It would surprise me if these aren't the same. What am I looking for? I'm looking for my binder that says Decisions.

Q. So I want you on the first tab, page 15. I want you to focus particularly on the part where it says "any other physical location in the system."

A. Right.

Q. Do you have that in mind?

A. Yes.

Q. "Any other physical location in the system." And column 9, line 63, says "disk location of the actual physical representation of the file," right? Are you with me so far?

A. I'm with you so far.

Q. It is sufficient to use a file name. So far, so good?

A. Right. Always understanding

1 R. DEWAR

2 that disk location isn't somehow a place  
3 on the disk.

4 Q. This says "at disk location of  
5 the actual physical representation."  
6 That's almost word for word, it says "any  
7 other physical location." This says  
8 "actual physical location."

9 A. I'm allowed to ask you  
10 questions. I will tell you my  
11 understanding is that location of a file  
12 can be thought of in two ways. One is  
13 just as some high-level thing identified  
14 by its file name, there are bits  
15 somewhere, maybe some of them are  
16 compressed, maybe they aren't, maybe they  
17 are encrypted, maybe they aren't, maybe  
18 they are spread out over multiple disks,  
19 spread out over multiple pieces, you don't  
20 know and don't care.

21 You could think at a very low  
22 level of the operating system of all that  
23 stuff I just said, where the encrypted  
24 bits are, encrypted key is.

25 Q. Putting aside the detail of



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

encryption, you and I, I think, both agree you can specify a file by a name like CV.doc?

A. Right.

Q. And conventional operating systems say it is easier for a user to think of it as CV.doc than to go to disk 52, sector 49, offset 36, and that kind of mumbo-jumbo?

A. That wouldn't begin to be enough because you have a giant list of locations and all sorts of metadata.

Q. I agree 100 percent with that. But I'm just trying to confirm that the patent, the actual '791 patent, when it is using the word "disk location," it says the actual physical representation and it says that a file name is sufficient.

MR. RHOA: Objection to form.

Q. This clause is saying that a file name for its intended purposes as used in the patent is sufficient to represent the disk location.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

MR. RHOA: Objection to form.

Q. It is an abstraction, but it --

A. It is an abstraction, yes.

MR. RHOA: Objection to form.

Q. For present purposes it is saying it is sufficient?

MR. RHOA: Objection to form.

Q. And you can debate that there is more specific ways to identify it or more detail and so forth. But the patent is saying for its purposes of the use of location, a file name is sufficient to identify disk location.

MR. RHOA: Objection to form.

A. It might help to tell you how I read that sentence. A file name is sufficient to tell where the file is. I mean, it seems like the word "location" has got you into a whole lot of confusion.

Q. It is in the patent, it says "disk location."

A. Disk location is not the same thing as location here. It is just not the same. There are many, many reasons

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

for making that conclusion.

Q. Let me just ask you, what's the part that is so unclear when it says "disk location of the actual physical representation," and the claim construction says, for location, "any other physical location"?

MR. RHOA: Objection, form.

A. When we look at "location" here, the only thing that makes sense in terms of the '791 patent is that the location is something that holds plurality of files. Nothing else makes sense in terms of the rest of the patent.

Again, you are playing with games. You found the word "disk location" here and you assume it is connected with "location" here, and it is an incorrect, wrong assumption, which doesn't stand up to scrutiny on a whole lot of bases. I understand the point you are making and I disagree with it.

Q. Under your understanding of the word "location," it has to have multiple

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

files for it to be proper under this construction?

A. Yes.

Q. And is there anything in the construction that leads you to that conclusion or is it just your understanding of the word?

A. It is the whole embodiment and the procedures are about finding a file among a plurality of files by using the true name. To say that that includes comparing two specific true names in the case where you have one file and only one file misses the point of the patent.

I mean, you have to look at every claim in the context of the whole patent to have some idea of what it is talking about, and what it is talking about here is a true name search, and it is just not there. I understand the semantic game you are trying to play with location, but I don't accept it as valid.

Q. Just so we can sort of see if we can help the board a little bit on

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

this, and for purposes of my question if the board were to construe "location" broadly enough to encompass that it could be a single file, I fully understand that you disagree with that --

A. Let's say all the bits located that are occupied by a single file, I guess.

Q. All I'm trying to get at, and you can disagree with the board, I'm sure in every case people have all kinds of disagreements, but let's assume if the board says we think "location" is broad enough --

A. Then I wouldn't be able to understand the patent anymore.

MR. RHOA: Objection, form, incomplete hypothetical.

A. Then I wouldn't be able to understand the patent, so I would be confused. I would have to ask some follow-up questions if that happened.

Q. So then you wouldn't have any opinion to the contrary either, right? If

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

the board said that "location" is a single file, you don't have any opinion to say the rest of their reasoning is wrong, right?

MR. RHOA: Objection, incomplete hypothetical and form.

A. I'm sorry, give me that hypothetical again.

Q. I'm asking you to assume that the board says, in effect, our construction of "location" is so broad that it can cover a file name, specified by a file name.

A. Right.

Q. And we say that because there is at least one example in the patent that at least suggests that, you can disagree with that, but that's what they say.

If they make that assumption, do you have an opinion or not about whether claim 2 -- the element of claim 2 and only claim 2 is satisfied by Woodhill or not?

MR. RHOA: Objection,

1 R. DEWAR

2 incomplete hypothetical, and form.

3 A. Well, I would be in a situation  
4 where claim 2 has no relationship to the  
5 specification now, so I'm at sea. If I  
6 see a claim that has no relation to the  
7 specification, I really don't know what to  
8 make of it.

9 The board has put me in a  
10 position of that disconnect. So I don't  
11 know how to handle that, because you  
12 always have to interpret the claims in  
13 terms of the specification. I mean, the  
14 claims just in isolation -- you always  
15 have to use the specification and there  
16 has to be a match. That's really why I'm  
17 saying what I'm saying about location.

18 Q. I understand, Doctor.

19 A. I'm starting from that  
20 assumption that the claims must be  
21 supported by the specification.

22 Q. The reason I'm bringing it up  
23 is this, is that sometimes you can say in  
24 an argument, well, if that were true,  
25 Woodhill doesn't satisfy the rest of the

1 R. DEWAR

2 claim for this other reason. I'm just  
3 trying to figure out whether you have  
4 another reason or not.

5 What I'm hearing is that if the  
6 board were ever to construe "location"  
7 broad enough to cover a single file, the  
8 whole claim is so confusing to you at that  
9 point that you don't have any opinion one  
10 way or the other that it was satisfied by  
11 Woodhill?

12 MR. RHOA: Objection to form,  
13 improper hypothetical.

14 Q. Because if you do have an  
15 opinion, I want to know what it is.

16 MR. RHOA: Same objection.

17 A. The claim is supposed to build  
18 on claim 1.

19 Q. I'm just focusing on the  
20 specific -- I know you have a dispute  
21 about claim 1.

22 A. I'm saying something else. Let  
23 me finish my answer first.

24 Claim 2 is supposed to build on  
25 claim 1. We are talking about claim 2,



1 R. DEWAR

2 right?

3 Q. Yes.

4 A. Claim 2 is supposed to build on  
5 claim 1. You are setting up a  
6 hypothetical which has the consequence  
7 that it doesn't have any relationship to  
8 claim 1 now, and that's a real disconnect  
9 for me.

10 I mean, it is really -- maybe I  
11 would have some opinion if I thought about  
12 it more, but it is just so weird that I  
13 don't have an opinion.

14 Q. Okay. Let's move to another  
15 claim.

16 MR. DICHIARA: Can we take a  
17 short break, five minutes?

18 THE WITNESS: Sure.

19 (Recess taken.)

20 BY MR. DICHIARA:

21 Q. Earlier we were talking about  
22 the file CV.doc and we were saying that  
23 you can open a file with its path name,  
24 right?

25 A. Right.

1 R. DEWAR

2 Q. So you can say it opens  
3 C:/Dewar/CV.doc?

4 A. Right.

5 Q. And the '791 patent will take  
6 that CV.doc and get you the actual file  
7 that is associated with that?

8 A. Right.

9 Q. And I think we were in  
10 agreement that the LDE is the entity that  
11 will take the path name and convert it to  
12 a true name?

13 A. You are talking about the open  
14 procedure now?

15 Q. Yes.

16 A. Okay, fine. Could we perhaps  
17 get to where the open procedure is?

18 Q. It is column 20.

19 A. Okay, open file, line 35.

20 Q. Right. And what I wanted to do  
21 is kind of walk through the data  
22 structures a little bit. When you say  
23 "open C:/Dewar/CV.doc," what happens.

24 And I'm asking you whether as  
25 part of that opening, and it is a simple

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

data item in this case, the LDE is going to take that path name and get a true name that is associated with that path name, right?

A. Okay.

Q. Okay --

A. I mean, yes, I understand.

Q. And it is the true file registry which will then take that true name and give you the true file ID?

A. There is more to it than that, I think. Because there is the business of fetching it from a separate region. That's at the bottom of the column.

Q. And the point I want to get at is when you say "open CV.doc," it is, for lack of a better term, the true name technology that is going to provide the associated true name and then eventually say here's where that file actually is, right?

A. Right.

Q. And in column 9, in the TFR field, what is the field that is saying

1 R. DEWAR

2 where that actual CV.doc actually lives?

3 A. It might be the true file ID,  
4 it might not.

5 Q. You don't know?

6 A. Well, no, it says it might or  
7 might not. It says that if the true file  
8 ID is absent if the actual file is not  
9 currently present at the current location.

10 Q. In the case that it is  
11 populated, that is the location?

12 MR. RHOA: Objection to form.

13 A. That's the file name which it  
14 would go after.

15 Q. You can put '791 aside for a  
16 little bit and you could put Woodhill  
17 aside a little bit, but we are still going  
18 to talk about prior art. I don't know if  
19 it is organized that way for you in the  
20 book, but we are going to turn to Kantor,  
21 the Kantor reference.

22 Just for the record, it is not  
23 a question, the Kantor reference in each  
24 of the IPRs is identified as Exhibit 1004.

25 A. I must say that we are looking

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

at Kantor with respect to '791 now?

Q. Right now I'm just going to ask questions about Kantor.

A. Kantor in general?

Q. In general, to make sure we know where the knee bones and ankle bones are connected. Do you need a copy of Kantor?

A. No, I have the whole gruesome document here, 400 and whatever pages it is, 226 pages. I have it in front of me.

Q. So are you ready?

A. Yes.

Q. You are looking at the right page. So the title page is FWKCS Content Signature System Version 1.22, 1993 August 10, right?

A. Right.

MR. RHOA: Objection, hearsay. Can I just have a standing objection? I object to any statement alleging dates of Kantor, any statement about prior art, etc.; is that okay to have a standing objection along those lines?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

MR. DICHIARA: Yes. I will try to keep the questions in view of the document and what the document says so that these objections shouldn't be an issue.

MR. RHOA: Thank you.

A. Just to underline that, I have no opinion on the dates.

Q. I understand that.

A. I have an EMCV number on the bottom of that which should match yours.

Q. It is the same in all of them. The reason I'm doing it, there is one or two instances where an exhibit is some number in one IPR and some number in some others. That's the reason I'm saying it.

A. All right.

Q. The Kantor document, and I'm talking about the entire four corners of this document, describes the FWKCS system, right?

MR. RHOA: Objection, foundation.

A. I mean, I will say "describe"

1 R. DEWAR

2 somewhat implies that this is a manual  
3 that you can read through, and it is not.  
4 It is a help system. It is the underlying  
5 document for a help system. I think if it  
6 was intended to be a description that you  
7 would read end to end, it would be written  
8 differently.

9 But we can deduce a description  
10 from it, a little painfully, without even  
11 the help system that goes on top of that.

12 Q. Maybe the better word to say is  
13 it discusses how to use FWKCS system in  
14 the case of bulletin boards, right?

15 A. Yes. It is incomplete in that  
16 regard. There are aspects of using it  
17 that are not included here which I don't  
18 have an opinion on because I confined my  
19 looking at Kantor specifically to this  
20 document. I didn't go beyond it.

21 Q. And Kantor, and, again, when I  
22 say Kantor, just so it is clear, we are  
23 talking just about the document, not the  
24 system, whether or not it was used in the  
25 real world, just the document.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

But Kantor discusses how to detect duplicate files on a BBS, right?

A. Right.

Q. And BBS is the acronym I will use for bulletin board system or bulletin board service, right?

A. Right.

Q. That is a known acronym?

A. Right. My hesitation there was I would agree that we have a very broad idea of what duplication is, he has a very broad idea of what duplication is.

Q. I think I understand that.

A. I think as we get into it, it will be clear.

Q. Just turn to page 4, and 4 in the upper right corner. It should say page 4 in the upper right corner of the document.

A. I see, page 4.

Q. So down underneath the introduction, second full paragraph, it says "One use is to provide a convenient solution to a problem which faces the



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

electronic bulletin board system operators: duplicate or redundant material."

And that's what we are talking about?

A. That's really what I had in mind when I say if you only want to say duplicate, then you have to have a broad view of that word that includes the redundant, because that is important.

Q. And you read all of Kantor; is that right?

A. Yes.

Q. So Kantor utilizes something that it calls a content signature. Do you remember that?

A. Right.

Q. That is in the title, I believe. And these are content-based identifiers?

A. Right.

Q. And you don't dispute that they are generated based on the content?

A. I don't dispute that. When you

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

say that, are you very specifically referring to CS or to all the things that are used?

Q. Let's just say content signature for --

A. What he calls CS here?

Q. Yes. I think I know what you are getting at.

A. Not the ZCS, you are excluding that for the moment?

Q. We can exclude it for a moment. We will deal with that separately.

A. Yes, fine.

Q. And I lost my question a little bit. But as the name suggests, the signature is based on the contents of a file?

A. Right.

Q. And you don't dispute that the content signature is a hash of the file, do you?

A. No, I don't dispute that.

Q. And then I think this is what you were getting at, Kantor also utilizes

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

something that it refers to as a zipfile content signature?

A. Right.

Q. I think what you were getting at, it sometimes uses the acronym ZCS?

A. ZCS for the zipfile contents.

Q. And if we turn to page 9, you talk about that a little bit.

A. The CS as it is used is specifically for non-zipfiles and ZCS is for zipfiles.

Q. I think that is correct. If you turn to page 9, I think this is what you were getting at actually. There is a tile there, Zipfile Content Signature ("ZCS"), right?

A. Yes.

Q. And what he says is "FWKCS also generates a special 'zipfile contents signature' ('ZCS') in which all the files in the zipfile are treated in a special way as a whole," right?

A. Right.

Q. So all the files in the zipfile

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

are treated as a whole to create the zipfile content signature; that's what it says?

A. Right. I just want to be clear, because I don't want to start on a path, for the CS that is supplied to a non-zipfile I can agree we have a hash based on the contents. You cannot extend what I just said to ZCS. You have to ask me separately.

Q. I will.

A. Okay.

Q. Well, at least the name of it is zipfile content signature, we agree on that?

A. I agree that's the name.

Q. And I also want to ask if you have two absolutely identical zipfiles, so it is a situation like we were discussing before --

A. The same sequence of bits for the entire thing.

Q. Someone else at your company already had the zipfile in the system --

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

A. And they just copied the zipfile.

MR. RHOA: The witness is cautioned to pause to allow objections to be lodged if needed.

Q. So there is no dispute, it is the same exact file in the situation where somebody already had it in Kantor and you got it through an e-mail or something else and you are going to generate, it is the identical file, you will get the identical zipfile content signature?

A. You will get the identical ZCS, yes.

Q. And at the bottom of page 9, the very bottom, there is hardly anything on there, it is just that it continues through 10 and a little bit on to page 11, he is talking about reports of experimental data, right?

A. Yes.

Q. And he is talking about some experimental data on channel 1, right, that's on page 10?

1 R. DEWAR

2 A. Yes.

3 Q. And that's a BBS?

4 A. Yes.

5 Q. That is a well-known BBS?

6 A. Right.

7 A. Yes.

8 Q. And he is also talking about  
9 experimental data on the Invention  
10 Factory?

11 A. Yes.

12 Q. At the bottom of page 10,  
13 bridging to 11, he says "Based on these  
14 experimental results, the enhanced  
15 accuracy provided by the FWKCS  
16 contents\_signature appears to have  
17 resulted, in effect, in a typical pairwise  
18 statistical error rate of less than one  
19 part in ten trillion."

20 MR. RHOA: Objection, hearsay.

21 Q. I'm just asking what it says.

22 A. I do not understand the  
23 arithmetic.

24 Q. Okay, we will pass through with  
25 that.

1 R. DEWAR

2 A. I raised that question to  
3 myself when I read it. I do not  
4 understand where the ten trillion comes  
5 from.

6 Q. That is not a collision rate  
7 statistic or anything like that?

8 A. If you say one part in ten  
9 trillion from experimental observations,  
10 you are talking about an observation that  
11 is over ten trillion events. That can't  
12 be right.

13 So I believe that that ten  
14 trillion is obtained by some kind of  
15 mathematical process. It isn't I did this  
16 ten trillion times, gosh, and it only  
17 failed once. That cannot be what it means  
18 even though that's what it appears to say.

19 Q. Because that is just too many  
20 operations?

21 A. It is too many operations to be  
22 done.

23 Q. On page 2 --

24 A. Going back to page 2?

25 Q. Yes. I'm just trying to get

1 R. DEWAR

2 some early stuff to make sure we are on  
3 the same wavelength. The bottom of page  
4 2, going on to 3, it talks about the  
5 purpose and it says that "FWKCS can  
6 produce a kind of content signature which  
7 does not depend on file name, dates, order  
8 of collection, nor method, nor amount of  
9 compression."

10 Do you see that?

11 A. Right.

12 Q. And you can keep your finger on  
13 that, or it doesn't really matter, but on  
14 page 9, in the middle, he kind of says the  
15 same thing again, underneath the zipfile  
16 content signature in that first paragraph,  
17 there is another sentence which says "the  
18 resulting ZCS does not depend on the names  
19 of the files, the dates, the order, nor on  
20 the method, nor amount of compression,"  
21 right?

22 A. Right.

23 Q. So in both cases the content  
24 signature and the zipfile content  
25 signature, it doesn't depend on the method



1 R. DEWAR

2 or the compression?

3 MR. RHOA: Objection, form.

4 Q. That's what those sentences  
5 mean?

6 A. You are talking about CS and  
7 ZCS now?

8 Q. Right. In both cases.

9 A. It doesn't correspond to my  
10 understanding. My understanding is that  
11 the CS is computed from the data in the  
12 file.

13 Q. So let's focus --

14 A. Whereas ZCS does other things.

15 Q. But it is clear that it doesn't  
16 depend on the method or the amount of  
17 compression?

18 MR. RHOA: Objection to form.

19 Q. That's what he means when he  
20 says "nor on the method, nor amount of  
21 compression"?

22 MR. RHOA: Same objection.

23 A. Right, the ZCS does not depend  
24 on either of those factors. I think I  
25 will modify that a little bit. It is

1 R. DEWAR

2 intended by design not to depend on those  
3 factors. We can't really say that  
4 absolutely, but given that is its design  
5 intent.

6 Q. And the ZCS doesn't depend --  
7 the zipfile content signature, the ZCS,  
8 doesn't depend on things that are not  
9 directly derivable from the data itself,  
10 right?

11 A. That's false because it depends  
12 on the CRCs which it cannot be sure derive  
13 from the data. It just believes them.

14 Q. We will get to that.

15 A. But you asked me the question.  
16 It is relevant to the question.

17 Q. I think I know what you are  
18 saying. Okay.

19 A. It computes something which by  
20 design hopefully most of the time reflects  
21 something along those lines.

22 Q. Meaning that most of the time  
23 it depends on the data and not on stuff  
24 that --

25 A. Well, it doesn't depend on the

1 R. DEWAR

2 data in the zipfile.

3 Q. We will get to that.

4 A. But, I mean, again, I want to  
5 make sure that I don't make statements  
6 about ZCS that are incompatible later on.

7 Q. We know, right, that it doesn't  
8 depend -- ZCS, in looking at page 9, it  
9 says the ZCS does not depend on the names  
10 of the files, right?

11 A. Right.

12 Q. I'm just reading from it. The  
13 dates of the files, right?

14 A. Again, I mean, the ZCS, it is  
15 easier to say what it is depending on than  
16 what it isn't depending on.

17 So it is depending on the  
18 32-bit CRCs. Now, you can ask me what  
19 they are supposed to be or you can ask me  
20 what they might actually be.

21 Q. I'm asking you about page 9.  
22 We will get to the CRCs, I promise you  
23 that.

24 But what we are clear on from  
25 page 9 is that the ZCS does not depend on

1 R. DEWAR

2 the names of the files, the dates of the  
3 files, right, the order in which they  
4 appear in the zipfile, nor on the method,  
5 nor amount of compression, it is  
6 independent of that?

7 A. How about we put it this way,  
8 which I think we can agree on and be happy  
9 with.

10 The computation of the ZCS does  
11 not -- is not affected directly by any of  
12 those parameters.

13 Q. It doesn't hash those  
14 parameters?

15 A. It doesn't hash those  
16 parameters. As long as we put it that  
17 way, I think we can agree on that and then  
18 we have a clear picture.

19 Q. In your prior art book, you  
20 probably have it marked separately, there  
21 is the PKWare file format document.

22 A. The zipfile format?

23 Q. Yes. And in IPR 85 and 87, it  
24 had Exhibit 2004, and in --

25 A. Starting at page 11 of 14?

1 R. DEWAR

2 Q. Yes.

3 A. I assume we are looking at  
4 exactly the same document.

5 Q. I'm just making the comment,  
6 because this is one of the instances I was  
7 talking about, and in IPR 84 it was marked  
8 officially as Exhibit 2007. This is just  
9 in case if anybody is reading the  
10 transcript, they know.

11 You obviously read this  
12 document and recognize it, all that kind  
13 of stuff, right?

14 A. Yes.

15 Q. You are familiar with it. And  
16 this is, I believe in your direct  
17 testimony, what you believe to be the  
18 relevant zipfile standard in the Kantor  
19 time frame, right?

20 A. Right. That's my  
21 understanding.

22 Q. And this is actually the  
23 zipfile format that you believe it was  
24 referencing when it was talking about  
25 PKZIP and Phil Katz and all that kind of

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

stuff?

A. Yes.

Q. So if we turn to page 3 -- and this is the order we got it in. I know it is a little confusing because it starts out 11 of 14 and then it says 1 of 13.

A. That's because now we are on the pages of the actual standard itself.

Q. So I want to go to page 3. This describes, in the middle, it has compression methods, right?

A. Right.

Q. And there are six different methods?

A. Seven.

Q. Seven, correct. I stand corrected. And method zero says just the file is stored, no compression?

A. Right.

Q. And it is correct that the zipfile standard allows for uncompressed files?

A. It does allow for that, yes.

Q. And you don't dispute that?

1 R. DEWAR

2 A. I don't dispute that. I think  
3 it is extremely rarely used. Most users  
4 of ZIP would not know about it. But it is  
5 there in the standard.

6 Q. And what is that based on? I'm  
7 just curious.

8 A. 40 years of working with  
9 zipfiles from me and everyone else. The  
10 default is somewhere in the region of 2 to  
11 5. I don't know if that is defined in the  
12 standard.

13 But the defaults in ZIP is  
14 somewhere in the middle. 99.9 percent of  
15 use of ZIP is the normal default. I think  
16 some ZIP users know about minus 9, which  
17 says compress the hell out of it, even if  
18 it takes longer. But really 99.9 percent.  
19 If you look at typical bulletin boards at  
20 the time, nearly all of the zipfiles would  
21 have been compressed at standard  
22 compression ratio.

23 Q. I want to confirm a couple of  
24 quick points.

25 You don't dispute you could

1 R. DEWAR

2 have a zipfile with uncompressed inner  
3 files?

4 A. That is possible, yes.

5 Q. If your files were small, you  
6 would be less inclined to compress them?

7 A. I don't think so, because what  
8 you are interested in is the compression  
9 ratio, and you zip a bunch of small files  
10 and you get something smaller. Smaller is  
11 always better.

12 Q. Sometimes you get something  
13 smaller, sometimes you don't, right?  
14 Small files that carry any compression  
15 scheme carries extra information with it  
16 as part of the compression, right?

17 A. For the kind of files that were  
18 typically on bulletin boards at this time,  
19 almost any file would compress. I mean,  
20 today we have files that don't compress  
21 because we have these very elaborate  
22 super-compressed music files and video  
23 files that don't compress further.

24 But for the kind of files that  
25 would be on a bulletin board, everything



1 R. DEWAR

2 would compress. I have never seen ZIP  
3 make a file larger except in the case of  
4 music or video.

5 Q. But we are certainly in  
6 agreement that you can have a zipfile with  
7 uncompressed inner files?

8 A. Right, it is possible to do  
9 that.

10 Q. So let's get back to Kantor.

11 A. Okay.

12 Q. Kantor refers to something that  
13 it calls a CSLIST. Do you remember that?

14 A. The what, I'm sorry?

15 Q. CSLIST.

16 A. Yes.

17 Q. And that's the contents  
18 signature list?

19 A. Right.

20 Q. If we turn to page 18, up near  
21 the top, right underneath System  
22 Housekeeping -- are you with me so far?

23 A. Yes.

24 Q. It says "FWKCS typically  
25 maintains four working files called," and

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

then it gives four different flavors of CSLIST, right?

A. Right.

Q. And it says, two sentences down or whatever says "CSLIST.SRT is the main list of content signatures."

That is just what we were talking about, it is the list of content signatures, right?

A. Right.

Q. And if we turn to page 52, we get a glimpse of what CS list contains down near the bottom. Are you familiar with this portion of Kantor?

A. Well enough, I think.

Q. So there are some fields there going left to right numbered 1 through 8.

The first field seemingly has a name that says 16-character CS?

A. Right.

Q. If you look on page 53, unsurprisingly it describes it as a 16-character hexadecimal content signature, right?

1 R. DEWAR

2 A. Right.

3 Q. And then field 3 says CS Owner  
4 back on page 52, for example?

5 A. Yes.

6 Q. And then on 53, it says the  
7 file which has that content signature?

8 A. Right.

9 Q. That's the file that yielded  
10 the content signature in field 1?

11 A. Right.

12 Q. And field 2 doesn't include a  
13 description on page 52, but on 53 it  
14 refers to it as "column 17 used for  
15 lowercase flags, see special column 17  
16 flags below," right?

17 A. Yes.

18 Q. If you do the math, I think it  
19 is saying column 17 because there were 16  
20 columns of hex?

21 A. Yes.

22 Q. That is just his way of  
23 commenting on things.

24 Then field 5, going back to 52,  
25 says "in, or has" seems to be the name of

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

that field?

A. Right.

Q. And you have to jump to 54 for the description, and it says "if the owner of the contents signature is a file in a zipfile, then the zipfile which contains that file is listed here," right?

A. Okay.

Q. If this were an inner file, this field would say what's the zipfile that has that?

A. Right.

Q. And then field 7 says "where," right?

A. Right.

Q. And that is a, according to both page 54 and the name, that's saying where the file actually is, right?

A. Okay.

Q. This is the D:/ path for reaching the file, right?

A. Right.

Q. So there is some similarity here to the true file ID, right?

1 R. DEWAR

2 A. I wasn't asked to look at that  
3 and I haven't looked at it. I don't like  
4 to give an off the top of my head opinion.

5 Just to be clear, I was not  
6 asked to look at Kantor with respect to  
7 the '791.

8 Q. I'm not asking about '791, I'm  
9 just asking about Kantor right now.

10 A. Yes, okay, about the  
11 similarities of the specification. Yeah,  
12 I agree there is some similarities.

13 Q. I apologize for jumping around,  
14 but I'm trying to follow the subject  
15 matter.

16 A. You can't do anything else in  
17 Kantor than jump around.

18 Q. So on page 19, I'm going to ask  
19 about some commands in connection with the  
20 CSLIST.

21 This is referring to, what it  
22 says is the FWKCS command line. And you  
23 are familiar with the concept of the  
24 command line?

25 A. Yes.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. This is back in a different time frame when you might run a command and have all kinds of parameters and options?

A. We do that every day in real life today.

Q. So if you jump, I apologize for the jumping, to page 33, they have two, right before it, they refer to it as options, an F and a G, right?

A. Yes.

Q. And the F option says "find if matching content signature in CSLIST," right?

A. Yes.

Q. And G says "get all matching content signatures in CSLIST"?

A. Yes.

Q. So these are commands that exist to see if a content signature is in the CSLIST?

A. Yes.

Q. In fact, the G command can find multiple instances if there was multiple

1 R. DEWAR

2 instances of it, right?

3 A. Yes.

4 Q. I'm going to start asking some  
5 questions about the way Kantor can kind of  
6 detect or identify duplicates.

7 So if we jump to page 189, in  
8 the top of the page they are talking about  
9 running FWKCS with an electronic bulletin  
10 board system.

11 MR. RHOA: Objection, hearsay.

12 Q. That's just what it states,  
13 right?

14 A. Yes, that's what it states.

15 Q. Then it says suppose you have  
16 an electronic bulletin board system with  
17 more than 10,000 zipfiles and have an  
18 ongoing problem of people accidentally  
19 uploading zipfiles which contain the same  
20 files as ones which you already have,  
21 right?

22 A. Right.

23 Q. So this is where he is  
24 specifically talking about zipfiles and  
25 duplicates?

1 R. DEWAR

2 A. Right.

3 Q. Then I think he has a phrase  
4 for this a little lower. He says "then to  
5 do a partial cleanup, you can run," and he  
6 gives one of these command line  
7 instructions, right?

8 A. Yes.

9 Q. It is a fairly complicated one  
10 and it ends in MULTIS. Do you see that?

11 A. Yes.

12 Q. And you understand that the  
13 MULTIS, this command is the one that  
14 creates a MULTIS report that lists  
15 duplicate entries from the CSLIST?

16 A. Right.

17 Q. It says that right here on page  
18 189.

19 A. I'm always understanding when  
20 you say duplicates as he does in this  
21 document, duplicates or redundant.

22 Q. Well, he refers to it down in  
23 189, I have a smaller version of it, but  
24 if you can sort of see where I'm at, it  
25 says "to put all the duplicate zipfiles



1 R. DEWAR

2 together in groups, in the file MULTIS;  
3 and to make a report, MULTCNT.RPT, showing  
4 number of probably duplicate zipfiles."

5 So he uses the word  
6 "duplicates"?

7 A. Right.

8 Q. Then he says in the same  
9 paragraph we were just reading from that  
10 you could use a word processing system and  
11 put a d in column 17; that's the one we  
12 talked about before, right, the special  
13 column flag?

14 A. Yes.

15 Q. On each line containing the  
16 file that you wished to delete, right?

17 A. Right.

18 Q. And then he has a sample right  
19 underneath it?

20 A. Yes, I see that.

21 Q. And the first line of that  
22 sample on 189, there is a file that is  
23 called LAWN2.ZIP, right?

24 A. Yes.

25 Q. And the second one is

1 R. DEWAR

2 LAWN200.ZIP, right?

3 A. Right.

4 Q. And even though they have  
5 different file names, they have the same  
6 16-character content signature just shown  
7 there to the left, right, the one that  
8 starts 014FF56D?

9 A. Yes, and those are the two ZCS  
10 values.

11 Q. Unfortunately, there is a space  
12 and it goes on, 158AC, right? And then on  
13 the second guy, the one that says  
14 LAWN200.ZIP, you will see there is a d  
15 there between the two?

16 A. Yes.

17 Q. That is the thing they were  
18 referring to right above it, marking it  
19 with the d?

20 A. Yes.

21 Q. I'm just making sure we are in  
22 total sync on it, the LAWN2.ZIP doesn't  
23 have the d mark, right?

24 A. Right.

25 Q. So one has a d -- this is his

1 R. DEWAR

2 way of saying here are two files with the  
3 same content signature, I want to get rid  
4 of one of them, I'm putting the d in  
5 there?

6 A. Yes.

7 Q. Then on page 190, he says,  
8 right at the top, you could run this  
9 FWKCS17d command to delete all of those  
10 marked files, right?

11 A. Yes.

12 Q. And to save those lines in a  
13 file named, without the d, in a file named  
14 DELETED.LOG?

15 A. Right.

16 Q. And then if you look at the  
17 example below, he has the -- and you can  
18 go back and forth between 189 and 190, I'm  
19 just going to see if you agree with me  
20 that this snippet is all the files that he  
21 marked with the d?

22 A. Right.

23 Q. So LAWN200, VIS.ZIP and I think  
24 it is CHEMIC20?

25 A. Yes.

1 R. DEWAR

2 Q. So this is showing the files  
3 that were deleted when you marked d?

4 A. Right.

5 Q. So after you run FWKC17d,  
6 DELETED.LOG is going to show the files  
7 that were deleted?

8 A. Yes.

9 Q. And that file is going to  
10 contain the zipfile name, right?

11 A. Yes.

12 Q. For example, LAWN200.ZIP,  
13 right?

14 A. Right.

15 Q. And its content signature,  
16 which is that 16-character hexadecimal  
17 thing to the left, right?

18 A. Right. Let's say ZCS, because  
19 since content signature is -- I mean, it  
20 is a little confusing because content  
21 signature sometimes is used for CS and ZCS  
22 together and sometimes used only for CS.  
23 So just be clear when you say it.

24 Q. I think in page 52, if you  
25 wanted to go back, you can look here, but

1 R. DEWAR

2 in this file, you see how there is a z to  
3 the right of LAWN200.ZIP?

4 A. Yes, that says it is a ZCS.

5 Q. That's the way it says it is a  
6 ZCS?

7 A. Yes.

8 Q. So we agree on the way this  
9 command, FWKC17d is working, it is  
10 processing the MULTIS file?

11 A. Yes.

12 Q. It is deleting the files that  
13 were marked d?

14 A. Yes.

15 Q. And it is creating something  
16 called DELETED.LOG, which is including the  
17 kind of information shown on page 190?

18 A. Yes.

19 Q. Now I want to talk about the  
20 exclude function. And you have reviewed  
21 the exclude function in Kantor?

22 A. Yes, I have.

23 Q. And this time we don't have to  
24 jump as far, but it is around page 205.  
25 There is going to be a little jumping

1 R. DEWAR

2 here. You are not going to be surprised  
3 by that, I'm sure.

4 On 205, under the number 6, it  
5 says "Protecting against abuse," and it  
6 just says "The FWKCS Contents Signature  
7 System is able to recognize files it has  
8 seen before even if their names have been  
9 changed," and we understand that works  
10 that way?

11 A. Yes.

12 Q. And then there is a sentence in  
13 there about authenticity verification, and  
14 then there is a sentence that says "These  
15 recognition functions are based on  
16 contents signatures, and are written in  
17 assembly language"?

18 A. Yes.

19 Q. Then he refers to a specific  
20 one underneath it called exclude, right?

21 A. Right.

22 Q. And that description says "To  
23 exclude specific files from your VBS,  
24 after you run this on a specific file or  
25 run it on a zipfile which contains one or

1 R. DEWAR

2 more files, every one of those files is  
3 automatically rejected even if its name  
4 has been changed and even if it is inside  
5 a zipfile. If the excluded file is inside  
6 a zipfile, then that whole file is  
7 rejected."

8 In the art they sometimes refer  
9 to this as a blacklist; are you familiar  
10 with that term?

11 A. I wouldn't use it in that  
12 context, because blacklists are used  
13 specifically these days for transactions  
14 that involve mail systems on the Internet.  
15 I would say it is similar to a blacklist.

16 Q. It is similar in the sense that  
17 it is saying beware of these guys, don't  
18 yourself upload them?

19 A. The point of a blacklist is it  
20 is broadcast, a fundamental part of a  
21 blacklist is it something someone concocts  
22 and other people use to exclude it. I  
23 don't see that element here. I wouldn't  
24 use that term. But I understand exactly  
25 what this is about.

1 R. DEWAR

2 Q. I won't use that term either,  
3 then.

4 A. I don't think it helps us  
5 either way.

6 Q. But it is meant to exclude  
7 files that have a certain content  
8 signature even if the file names have  
9 changed, right?

10 A. Right.

11 Q. I want to jump back to page  
12 154, and you see there is a section in  
13 here talking about some of the special  
14 column flags, and one of them at the  
15 bottom of the page is X, for exclude?

16 A. Yes.

17 Q. And it says "to exclude from  
18 the system any file or any zipfile which  
19 contains any file whose content signatures  
20 matches one marked with this flag," right?

21 A. Yes.

22 Q. So these are the column 17  
23 flags in the CSLIST that will identify  
24 which guys to get rid of if it ever sees  
25 it, right?



1 R. DEWAR

2 A. Right.

3 Q. Would you consider this column  
4 17 flag to be a status flag?

5 MR. RHOA: Objection, form.

6 A. Not really. It is just  
7 information about the entry.

8 Q. It is just a flag?

9 A. It is just a flag. I don't  
10 think it is particularly useful to append  
11 status to it.

12 Q. With the Kantor exclude  
13 function, if you try and upload a file,  
14 Kantor will calculate a content signature  
15 for that file you are trying to upload,  
16 right?

17 A. Right. It would calculate --

18 Q. We can use a simple file if it  
19 is easier.

20 A. It is actually a little harder  
21 with a simple file. If we have a simple  
22 file, we assume that it is noncompressed  
23 and it computes the CS from that.

24 Q. That's all I'm trying to get  
25 at.

1 R. DEWAR

2 So it doesn't know anything  
3 about a content signature yet, you are  
4 just trying to upload a file that is  
5 CV.doc, it calculates the content  
6 signature, right?

7 A. Right.

8 Q. Then it is going to see if it  
9 has an X next to that content signature in  
10 the CSLIST?

11 A. Right.

12 Q. And if it does, it is going to  
13 be automatically rejected?

14 A. Right.

15 Q. So let's turn to page 100.

16 MR. RHOA: Peter, can I run to  
17 the men's room?

18 MR. DICHIARA: Sure.

19 (Recess taken.)

20 BY MR. DICHIARA:

21 Q. So page 100, at the bottom,  
22 they are referring to the upload log and  
23 its format and contents, right?

24 A. Okay.

25 Q. And on 101 they are giving the

1 R. DEWAR

2 partial -- page 101, they are giving the  
3 partial example of what the log file may  
4 look like, right?

5 A. Okay.

6 Q. And I think we were talking  
7 about it before in terms of log files, but  
8 log files are just lists where something  
9 happens, you add an entry, something else  
10 happens, you add another entry, and so  
11 forth, right?

12 A. Right.

13 Q. And this log file is concerning  
14 upload events, right?

15 A. Right.

16 Q. Immediately below the table,  
17 the text says "What is done with the file  
18 in the various cases depends on the  
19 options you have set up. Option S saves  
20 the rejected files, otherwise files are  
21 typically either deleted or sequestered."

22 A. Right, I read that.

23 Q. The first entry in the log file  
24 is for a file that had a file name  
25 IGLYPOFF.FOO and the second -- the first

1 R. DEWAR

2 field is the date, right, that's computer  
3 code for saying October 18th, 1992?

4 A. Right.

5 Q. And the second field is a  
6 computer code for time, which I don't want  
7 to get into details, but I'm taking a  
8 guess it is 9:52 in the morning?

9 A. Yeah.

10 Q. And then the next field is a  
11 comment field, which if you look down at  
12 the bottom of 101 and forward, they are  
13 trying to give a description of comment  
14 fields, and for this particular one, I  
15 think throughout Kantor he refers to it as  
16 "accessioned," I think is the term he  
17 uses?

18 A. Yes.

19 Q. I think it is his way of saying  
20 assimilated, that something is being  
21 uploaded into the system.

22 And in the description, he is  
23 saying the file, or zipfile, was accepted  
24 and entered into the system?

25 A. Yes.

1 R. DEWAR

2 Q. And that same entry also  
3 includes the content signature for that  
4 IGLYPOOF.FOO file?

5 A. Yes.

6 Q. Then if you go down to the  
7 fifth line, there is another file, it has  
8 the same kind of information, file name,  
9 content signature, date/time, but the  
10 comment says, "duplicat," short form,  
11 "duplicate," and if you look on page 102,  
12 it says "a duplicate zipfile was found;  
13 its ZCS is given. If NOT s" -- and "s" is  
14 that flag they talked about under the  
15 table?

16 A. Yes.

17 Q. -- then "IF TRASHOLD" -- and  
18 that's the flag on whether to sequester or  
19 not I believe?

20 A. Right.

21 Q. It says "directory is  
22 specified, file is put there," otherwise  
23 you delete it.

24 So if you are not saving it and  
25 not sequestering it, then you delete it,

1 R. DEWAR

2 right?

3 A. Yes.

4 Q. So it is going to delete  
5 duplicates on upload if the flags are set  
6 a certain way?

7 A. Yes.

8 Q. If you turn to page, just back  
9 quickly to 103, there are a couple of  
10 entries, a little bit more than halfway  
11 down, that say "excluded" as a comment,  
12 right?

13 A. Yes.

14 Q. And they, too, include the file  
15 name, the content signature, the date and  
16 time, right?

17 A. Yes.

18 Q. If you go back to 103 this  
19 time, the comments are explaining  
20 "excluded," right?

21 A. Yes.

22 Q. And it says, just like we were  
23 saying before, it is just closing the loop  
24 on this, that an x was found in column 17  
25 of CSLIST.SRT next to an entry with a

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

matching content signature?

A. Yes.

Q. "Flagging that item for exclusion," which is what it said before. "The content signature, the CS, for the x flagged file is given." Then it says "if NOT s," meaning it is unsaved, that is the flag, "then the zipfile or file is deleted," right?

A. Yes. All my yes's are just saying you read something to me and I read the same thing.

Q. And they are self-explanatory stuff for the most part?

A. I don't know whether they are self-explanatory or not. You are just reading.

Q. Let me see if I can summarize. Kantor is describing that you can mark the CSLIST with an x flag for certain content signatures, right?

A. Yes, that's my understanding.

Q. And if subsequently you tried to upload a file and it had calculated to

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

that content signature, it can be automatically deleted?

A. Yes.

MR. RHOA: Objection, form.

Q. And in fact, it says --

A. That's what it appears to say.

I agree.

Q. We walked through at least two examples, both the duplicate and the exclude, where it is automatically deleted, right?

MR. RHOA: Objection to form.

A. Yes, it seems to suggest in some cases there is an automatic deletion.

Q. In fact, you can go through 102, 103, 104, and even on 105, there are any number of upload events that will yield automatic deletion?

A. Yes, it would appear so.

Q. So the upload log file is going to give you both the type of operation, right, accessioned or excluded, right?

A. Right.

Q. The file name, the content



1 R. DEWAR

2 signature, the date it happened, date and  
3 time it happened, correct?

4 A. Yes, that's what I read, yeah.

5 Q. So let's turn to page 109.

6 There is, I can't even venture  
7 to count, but there is some number of  
8 testing operations that Kantor discusses  
9 in this document, right?

10 A. Right.

11 Q. For example, it is on page 110,  
12 they refer to an N option, I'm looking at  
13 page 110, and it talks about like if N is  
14 equal to 1, zipfile integrity is tested  
15 using PKUNZIP?

16 A. Right.

17 Q. Underneath it, there is a V  
18 option. It is talking about "call the  
19 SCAN for plain file Virus," so that is  
20 doing some kind of virus test?

21 A. Right.

22 Q. And on page 112, Kantor is  
23 discussing authenticity checks. I think  
24 they refer to it as authenticity  
25 verification, tests on AV of uploaded

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

file?

A. Yes, okay.

Q. And then on page 113, he is saying, like right in the middle of the page there, right under "ELSE if not UPLOAD nor ATTACH," he says "performs file integrity test and/or makes contents\_signatures," right?

A. Okay.

Q. And he continues, "This carries out the not\_upload test functions under PCBTEST.BAT. This function is called under PCBoard when a user requests TEST," right?

A. Right.

Q. And he continues, he says "If the file under consideration is a zipfile, it is tested for file integrity using PKUNZIP-t."

A. Right.

Q. And you are familiar with PKUNZIP, I take it, from your earlier comments?

A. Right.

1 R. DEWAR

2 Q. Then he has a note, and the  
3 second indent under the note, he says he  
4 is referring to the y option, right? He  
5 says "under the y option," and he has a  
6 parenthetical saying "below"; do you see  
7 that?

8 A. Right.

9 Q. And then a few lines down below  
10 it, there is y and then a hyphen, and it  
11 says "list content signatures for all the  
12 files in a zipfile followed by its zipfile  
13 content signature."

14 A. Okay.

15 Q. So this option lists the  
16 content signatures for all the files in a  
17 zipfile?

18 A. Right.

19 Q. And that is invoked by this  
20 test function with the y option?

21 A. Right.

22 Q. So let's turn to page 173. Now  
23 I want to talk about something that Kantor  
24 at times refers to as lookup.

25 At page 173, the first thing he

1 R. DEWAR

2 talks about -- I'm sorry, I jumped ahead a  
3 little bit, I will get there in a moment.

4 The first thing I want to talk  
5 about is precheck. He uses "'Precheck'  
6 works together with FWKCS Version 1.22 to  
7 let you precheck files listed in the  
8 uploads directory of a BBS which uses  
9 option g of FWKCS Version 1.10 or later.  
10 It provides you with the ability to  
11 automatically skip files which are  
12 duplicates of files on your system."

13 Right?

14 A. Right, that's what it says.

15 Q. And the precheck feature is a  
16 mechanism to say, in short, should I  
17 bother uploading it or do you already have  
18 it, right? This is back in the day of  
19 slow modems, and before I send this big  
20 file, maybe we can cut to the chase and  
21 ensure --

22 A. Okay.

23 Q. And then lookup, which is a  
24 little higher up, it says "works together  
25 with Version 1.22 to let you use large

1 R. DEWAR

2 BBSs as high-speed, multi-gigabyte, remote  
3 access reference libraries, and helps you  
4 avoid uploading duplicate or redundant  
5 material. To support this function, the  
6 BBS runs FWKCS Version 1.10 or later," and  
7 then it says "remote inquiry option i,"  
8 right?

9 A. Yes.

10 Q. Now we are going to try to dig  
11 into option i a little bit, and let's go  
12 back to page 96. About halfway through,  
13 you see the i with a dash?

14 A. Yes.

15 Q. It says "process remote  
16 Inquiries," this is the option i, right?  
17 MR. RHOA: Objection, form,  
18 foundation.

19 Q. It says "process remote  
20 Inquiries"?

21 A. Yes, I read that.

22 Q. And it says "Requesting a  
23 contents\_signature search. With option i,  
24 a person can ask ahead to find out if  
25 material which he/she is thinking of

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

uploading is already on a BBS," right?

A. Yes, that's what it says.

Q. This is a way to see that you already have this content there, right?

A. That's what it appears to say, yes.

Q. And a little lower, you see the paragraph that says "the format for the content signature is provided"? It is two paragraphs underneath it.

A. Yes.

Q. It says "the content signature must be presented in a zipfile which contains only one file named FWKCS," and then it kind of says lookup with a period in the middle?

A. Yes.

Q. The zipfile which is sent can essentially have any name permitted by DOS, right?

A. Yes.

Q. They are saying if you have this zipfile with this weird named file called FWKCSLOO.KUP, that's going to have

1 R. DEWAR

2 the content signature within that guy,  
3 right?

4 A. Right.

5 MR. RHOA: Objection to form.

6 Q. Then right underneath it, it  
7 says "If the i option is used on the  
8 receiving BBS, then that incoming zipfile  
9 is unzipped."

10 By unzipping it, they are going  
11 to get FWKCLOO.KUP, right?

12 A. Yes.

13 Q. "The single FWKCLOO.KUP," which  
14 we just talked about, "(if it contains  
15 more than one contents\_signature), is  
16 sorted," so you can put actually multiple  
17 content signatures in that specially-named  
18 file, right?

19 A. Yes.

20 Q. And then it says "and that list  
21 of contents\_signatures is used as an input  
22 for finding matching contents\_signatures.  
23 Both CSLIST.SRT and CSLIST1.SRT are  
24 searched," right?

25 A. Yes, right.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

Q. So this is a way where you can see or look up or inquire, whatever term you want to use, to certain content signatures appear in the CSLIST?

A. Yes.

Q. It essentially says bundle it up in this special file, FWKCL00.KUP, with the weird extension, put that in a zipfile, right?

A. Yes.

Q. And the way Kantor would do this is if it saw this strange named file, it knows that it is containing a list of content signatures and to search the CSLIST?

A. Yes.

Q. Right in the middle of the page, right underneath where it had the "i-," page 96, it says "option i also provides potentially valuable cross-searching capabilities, for example, a user could use this to find other files in a BBS which contained material related to a file he/she has by learning in which



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

zipfiles the specific file appears."

So far, so good?

A. Yeah.

Q. And this is what we were talking about, it is a way to see if the CSLIST has the content signatures, right?

A. Right.

Q. And then it says, same sentence continues, "and then use the y form of the test function to obtain full sets of contents\_signatures for all files in each of those zipfiles," right?

A. Right.

Q. So whatever inner files a zipfile consists of, you will be provided with those content signatures from this test y function?

A. Right.

Q. And on page 97, at the bottom, it says "A utility is provided, LOOKUP.BAT, which the remote BBS user can use to automatically create the material to send, for the remote contents\_signature inquiry to take place," right?

1 R. DEWAR

2 A. Right.

3 Q. And you understand that that's  
4 just a utility that will create this  
5 special FWKCSLOO.KUP file?

6 MR. RHOA: Objection, form and  
7 foundation.

8 A. I can't really tell that  
9 specifically. I would have to look in  
10 detail at LOOKUP.DOC, I guess.

11 Q. You might want to look at that  
12 to confirm; is that what you are saying?

13 A. Right, to understand the exact  
14 operation.

15 Q. But just these pages 96 and 97,  
16 doesn't that seem like a reasonable  
17 interpretation?

18 MR. RHOA: Objection to form.

19 A. It is a possible  
20 interpretation. I really can't -- I  
21 really don't know what LOOKUP.BAT does  
22 exactly.

23 Q. Let me ask you this. This  
24 strange file with the ".KUP" extension,  
25 this is, just to be a little honest, it

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

seems a little maybe kludgy that they are trying to find a way to do a lookup, creating a special file that Kantor will recognize and do some special process, right?

A. Yes, that's what I understand.

Q. So when page 97 is suggesting here is something so you don't have to worry about it, something under the covers will make that FWKC lookup file for you?

MR. RHOA: Objection to form.

A. I would prefer to be able to look at LOOKUP.BAT and LOOKUP.DOC to understand exactly what they do.

(Continued on the next page.)

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

R. DEWAR

MR. DICHIARA: I understand.

This is a perfect time for you to make  
your break.

(Time noted: 4:27 p.m.)

*Robert B.K. Dewar*


ROBERT B.K. DEWAR, Ph.D.

Subscribed and sworn to before me

this 1 day of Oct, 2013.

*Marie B...*

Notary Public



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X

WITNESS	EXAMINATION BY	PAGE
DEWAR	DICHIARA	3

E X H I B I T S

DEWAR	DESCRIPTION	PAGE
Exhibit 1	Handwritten document by witness	56
Exhibit 2	Handwritten document by witness	89

DIRECTIONS NOT TO ANSWER

Page        Line  
            (NONE)

REQUESTS

Page        Line  
            (NONE)

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CERTIFICATION

I, TODD DeSIMONE, a Notary Public for  
and within the State of New York, do  
hereby certify:

That the witness whose testimony as  
herein set forth, was duly sworn by me;  
and that the within transcript is a true  
record of the testimony given by said  
witness.

I further certify that I am not related  
to any of the parties to this action by  
blood or marriage, and that I am in no way  
interested in the outcome of this matter.

IN WITNESS WHEREOF, I have hereunto set  
my hand this 25th day of September, 2013.

-----  
TODD DESIMONE

ERRATA SHEET  
VERITEXT REPORTING COMPANY

CASE NAME: IN RE EMC  
DATE OF DEPOSITION: 9/25/13  
WITNESS' NAME: ROBERT B.K. DEWAR, Ph.D.

PAGE/LINE(S)/	CHANGE
7 4	replace "often be more so introduced" with "often also be introduced"
12 8	replace "which" with "and"
17 10	replace "only, and" with "only. And,"
24 15	replace "/" with "
36 13	replace " with "
37 3	replace " with "
41 16	replace " with " (both occurrences)
43 7	replace " with "
44 23	replace " with "
45 13	replace " with " (both occurrences)
48 20	replace "diagram" with "diagrams"
49 12	replace "file" with "end of file"
50 7	replace "/" with " (both occurrences)
54 21	replace "the" with "the main"
77 16	replace "be proper" with "be a problem"
88 17	replace "modular" with "module"
89 20	replace " with " (both occurrences)
170 19	replace " with " (both occurrences)
177 13	replace "files, which" with "files that"
201 12	replace "said" with "meant"
222 3	replace "there" with "this"
232 9	replace "allowed" with "not allowed"
262 23	replace "/" with " (both occurrences)
268 21	replace " with "

*Robert B.K. Dewar*

ROBERT B.K. DEWAR, Ph.D.

SUBSCRIBED AND SWORN TO BEFORE ME  
THIS 10 DAY OF Oct, 2013.

*Van B...* 10-12-13  
(NOTARY PUBLIC) MY COMMISSION EXPIRES:

ROBERT W BROWN  
Notary Public - State of New York  
No. 01880162807  
Qualified in Kings County  
My Commission Expires 10-12-15

1 UNITED STATES PATENT AND TRADEMARK OFFICE  
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD

3 -----X  
4 EMC CORPORATION, ) Case No.  
 ) IPR2013-00087  
5 Petitioner, )  
6 vs. ) Docket No.  
 ) 100157-00240  
7 PATENT OWNER OF U.S. PATENT )  
NO. 8,001,096 TO FARBER, et al. ) VOLUME II  
8 -----X

9 September 26, 2013  
10 9:09 a.m.

11  
12 CONTINUED DEPOSITION OF ROBERT B.K.  
13 DEWAR, Ph.D., an expert herein, held at the  
14 offices of Wilmer Cutler Pickering Hale &  
15 Dorr LLP, 7 Times Square, New York, New York,  
16 pursuant to Notice, before Mayleen Ahmed  
17 (Cintrón), a Registered Merit Reporter,  
18 Certified Realtime Reporter, and Notary  
19 Public of the State of New York.  
20  
21  
22  
23  
24  
25



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A P P E A R A N C E S:

WILMER CUTLER PICKERING HALE & DORR  
Attorneys for Petitioner

60 State Street

Boston, Massachusetts 02109

BY: PETER M. DICHIARA, ESQ.

CYNTHIA D. VREELAND, ESQ.

NIXON & VANDERHYE P.C.

Attorneys for Patent Owner

901 North Glebe Road

Arlington, Virginia 22203-1808

BY: JOSEPH A. RHOA, ESQ.

- - -

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- DR. DEWAR - CROSS -

R O B E R T            D E W A R,            having been  
previously sworn, resumed as a witness  
and testified further as follows:

CONTINUED CROSS-EXAMINATION BY  
MR. DICHIARA:

Q.    Good morning, Dr. Dewar.

A.    Good morning.

Q.    You understand this deposition is  
continuing from yesterday?

A.    I understand that.

Q.    And that you are still under oath  
as we discussed yesterday?

A.    I understand that.

Q.    And do you have your book with the  
prior art from yesterday, your binder?

A.    Everything.    Yes, I have  
everything.

MR. DICHIARA:    And Joe, I think  
you have the prior art binder.

Q.    I want to turn to --

Let me just ask, is this --

A.    Oh, that's my -- that's music.    So  
I don't think that's relevant.    I don't think  
that needs logging in.

1                   - DR. DEWAR - CROSS -

2                   Q.    Does it involve hash codes?

3                   A.    I don't think there is any  
4 intersection subject matter.

5                   Q.    Okay.

6                   So, I would like to turn one of  
7 the prior art exhibits for Satya,  
8 Satyanarayanan. The one that is marked  
9 EMC 1026, the title is "Coda: A Highly  
10 Available File System For a Distributed  
11 Workstation Environment."

12                  A.    Correct.

13                           (Witness complying.)

14                  Q.    Do you have that before you?

15                  A.    I -- I have that in front of me  
16 right now.

17                  Q.    And you reviewed that in  
18 connection with preparing for your reports,  
19 right?

20                  A.    I did, yes.

21                  Q.    And are you familiar with the Coda  
22 file system?

23                  A.    No. Other than from this  
24 document.

25                  Q.    So, I just want to turn to the

1 - DR. DEWAR - CROSS -

2 abstract first, appearing in the first page,  
3 and I think it is the second and third  
4 sentence down.

5 He's referring to server  
6 replication. And it says, "One mechanism,  
7 server replication, involves storing copies  
8 of a file at multiple servers," right?

9 A. Yes.

10 Q. And Coda -- actually, this Satya  
11 paper discloses that, right?

12 A. I'm sorry. What?

13 Q. The abstract is correct, that this  
14 paper discloses --

15 A. Yes.

16 Q. -- server replication, storing  
17 copies of files at multiple servers?

18 A. Yes.

19 Q. Okay. I didn't think that was  
20 anything that was in dispute.

21 So, then if we turn to page 450,  
22 there's a section there entitled "Server  
23 Replication", and I just want to confirm a  
24 couple of things.

25 A. Okay.

1                   - DR. DEWAR - CROSS -

2                   Q.    Are you there?

3                   A.    Yes, I'm there.

4                   Q.    So, the second sentence, each file  
5                   in directory Coda has a unique low level file  
6                   identifier, a component of which identifies a  
7                   parent volume, right?

8                   A.    Okay.

9                   Q.    So, it's true that this paper is  
10                  disclosing that Satya is using unique file  
11                  identifiers?

12                  A.    Although they do involve and as  
13                  are disclosed here, a component which is  
14                  outside the file. But subject to that, yes.

15                  Q.    It's the location, the parent  
16                  volume is the location of the file, right?

17                  A.    Right. And so it's not a unique  
18                  file. The unique file identifier here, FID,  
19                  is not something that is based only on the  
20                  contents of the file.

21                  Q.    I agree with you.

22                  A.    I'm just making that point.

23                  Q.    It doesn't mention one way or  
24                  another other than it has to be unique?

25                  A.    No. He mentions that the

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- DR. DEWAR - CROSS -

component identifies the parent volume which is a specific disclosure that didn't -- doesn't depend only on the bits in the file.

Q. Right. It just has to be unique, and a component of it has to be identified at the parent volume, correct?

A. And the -- and I would add the -- I would add my notes of understanding that when I see "unique" here, I understand that means to me substantially unique.

Q. Okay.

A. And I think that's not in dispute either. I think that's clear.

Q. That's the way it works.

A. And I think he is just using "unique" quite perfectly.

Q. But that's true, though, I mean, he uses what he's calling a "unique identifier" to identify files?

A. Yes.

Q. He just doesn't say how to generate the identifier, right?

A. Yes.

Q. And he also mentions in the next

1 - DR. DEWAR - CROSS -

2 sentence that all replicas of an object will  
3 have the same file identifier, right?

4 (Witness reviewing document.)

5 Q. The very next sentence.

6 A. Right.

7 Q. So, the Satya system that he is  
8 describing here, this version of Coda, was  
9 designed to work with unique file identifiers  
10 to identify files; you don't dispute that?

11 A. Right. I have to conclude from  
12 this that replicas have the same parent  
13 volume.

14 Q. Okay.

15 A. Just -- just -- I mean --

16 Q. I want an answer to my question  
17 first.

18 A. Okay. All right.

19 Q. Which is --

20 A. Well, I was just going back to  
21 something I thought was part of the answer.  
22 So ask the question again.

23 Q. Satya was designed to work with  
24 unique file identifiers to identify files;  
25 you don't dispute that?

1 - DR. DEWAR - CROSS -

2 A. To identify files in connection  
3 with a particular parent volume.

4 Q. So yes, with that caveat?

5 A. Yes, with that caveat, yes.

6 Q. All right. And switching gears  
7 from Satya. I want to talk about the  
8 Internet, kind of as it existed in the  
9 1990-ish time frame just for context.

10 You've heard of Archie, right?

11 A. I've heard of Archie.

12 Q. Are you familiar with Archie?

13 A. Not by use. I knew it at the  
14 time, but I -- I'm -- I looked at it a little  
15 bit. I haven't studied it closely.

16 Q. And you would agree, though, that  
17 it was a tool for indexing FTP sites, you  
18 looked at it on that level?

19 A. Yes.

20 MR. RHOA: Objection.

21 A. It's a some kind of search tool.

22 Q. It's like a database where you  
23 could submit a query, and it will tell you  
24 where FTP files were?

25 A. Right.



1 - DR. DEWAR - CROSS -

2 Q. And with Archie, you would submit  
3 some kind of a query?

4 Like if you were looking for a  
5 software package or something like that, you  
6 could say I'm interested in that package, and  
7 it would reply back and say, Here are some  
8 locations that have something that satisfies  
9 whatever your search string was?

10 MR. RHOA: Objection. Lack of  
11 foundation; outside the scope.

12 A. I mean, it has -- it has some data  
13 about locations of some things and in some  
14 places. It's -- it's not a search tool in  
15 the sense of rumbling around.

16 Q. Like a modern search?

17 A. Like a modern system. That's the  
18 important distinction to draw, I think.

19 Q. And I don't dispute that.

20 You might already have a copy of  
21 this. Well, I can give you this copy and you  
22 can tell me whether you have it in your book.  
23 And you're free to use the copy you have in  
24 your book.

25 MR. DICHIARA: What I just handed

1 - DR. DEWAR - CROSS -

2 Dr. Dewar is an exhibit that's marked  
3 EMC BMW 1034. And one of the titles is  
4 "Guide to Network Resource Tool". It's  
5 on the first page. It is the Network  
6 Working Group, Request For Comments  
7 1580.

8 MR. RHOA: I would like to lodge  
9 objections to the extent necessary to  
10 this document; it's hearsay; and lack  
11 of authentication.

12 Q. So, did you review this document  
13 in connection with --

14 A. No, I did not review this  
15 document.

16 Q. Well, let's turn to page 36  
17 and 37. I'm going to ask some questions to  
18 see if it jogs your memory in any way  
19 concerning your memory of Archie and the way  
20 it worked. Okay? Is that fair?

21 MR. RHOA: Objection. Outside the  
22 scope.

23 A. I didn't say I had any memory of  
24 Archie. I'm not being aware of Archie until  
25 this case.

1                   - DR. DEWAR - CROSS -

2                   Q.    Okay.  So --

3                   A.    I used the Internet extensively,  
4                   but I'm -- not in the content of looking  
5                   around at FTP sites.  I used it extensively  
6                   for working communication, but I had no --  
7                   Archie was unknown to me until a few months  
8                   ago.

9                   Q.    Okay.  So let's --

10                  A.    So there's no memory to jog there.

11                  Q.    So, let's see if what I'm going to  
12                  point to is consistent with whatever your  
13                  understanding is of Archie --

14                  A.    Okay.

15                  Q.    -- and whatever way it was  
16                  involved with your review in this case.

17                                If I heard you correctly, you  
18                  didn't review this document --

19                  A.    I did not review this document.

20                  Q.    Okay.  So, if we turn to page 36  
21                  and 37, it provides some examples.  And this  
22                  is all going to be high level stuff.  We're  
23                  not going to get into 1s and 0s and so forth.

24                                So, Example 5.5, it says, "If you  
25                  are using an archie client, and enter the

1 - DR. DEWAR - CROSS -

2 command: archie -s," for search, and then it  
3 gives this name "eudora".

4 Does "eudora" ring any bells. Do  
5 you know what Eudora is?

6 MR. RHOA: Objection. Beyond the  
7 scope. Lack of foundation.

8 Can I have a standing objection  
9 for all questions relating to this  
10 exhibit that --

11 MR. DICHIARA: Just say "same  
12 objection" so we know when it ends.

13 MR. RHOA: Okay.

14 MR. DICHIARA: So --

15 MR. RHOA: So, same objection as  
16 used in connection with questions  
17 regarding this exhibit, being: lack of  
18 authentication; lack of foundation;  
19 outside the scope of the deposition;  
20 lack of foundation; hearsay, etcetera.

21 A. No.

22 Q. Okay. So then right underneath  
23 it, after you enter either this "archie"  
24 command, or if you enter this "find" command,  
25 the example is saying, "Then archie will send

1 - DR. DEWAR - CROSS -

2 you the following results".

3 And you see that there are like  
4 three or four results it provides?

5 MR. RHOA: Same objection.

6 A. I see that.

7 Q. Right. And part of what it  
8 provides is a host and some directory path  
9 name --

10 MR. RHOA: Same objection.

11 Q. -- location stuff, right?

12 MR. RHOA: Same objection.

13 A. Right.

14 Q. And you'll see, for example, in  
15 the first case, it has a given host. There's  
16 an IP address of some type to the right, and  
17 then there's a directory location, and then  
18 directory information which at the end says  
19 "eudora" again.

20 MR. RHOA: Same objection.

21 Q. Right?

22 MR. RHOA: Same objection.

23 A. Right. It has a string with  
24 "eudora" in it.

25 Q. Right.

1 - DR. DEWAR - CROSS -

2 A. Yes.

3 Q. And then on the next search hit,  
4 if you will, there's a different kind of  
5 location.

6 This time it says, this is at the  
7 top of page 37, "/pub/mac/eudora," right?

8 MR. RHOA: Same objection.

9 A. That's what it says.

10 Q. Right. And then on the next  
11 search hit, there's again something else,  
12 looks a little different.

13 But it returns something that says  
14 "/pub/NetNews/comp.binaries.mac", and then  
15 there is some file information that says  
16 "readme".

17 MR. RHOA: Same objection.

18 Q. Do you see that?

19 A. I see that.

20 Q. So, this document is at least  
21 suggesting to you, as someone skilled in the  
22 art, these are kind of the results you would  
23 get from an Archie search?

24 MR. RHOA: Same objection.

25 A. Well, it's an example of what

1 - DR. DEWAR - CROSS -

2 might come from an Archie search.

3 Q. Right.

4 A. Or at least alleged to be an  
5 example. I mean, I...

6 Q. But it's what you would expect to  
7 see from a database search, something like  
8 this?

9 MR. RHOA: Same objection.

10 A. Can you repeat that question?

11 Q. As a person skilled in the art,  
12 this is the kind of results you would see  
13 from a database search, this is consistent  
14 generally with what you would expect to see  
15 from a database search?

16 MR. RHOA: Same objection.

17 A. I think the use of the word  
18 "database" is a little odd given the date.  
19 We're talking about 20 years ago, and I have  
20 no knowledge that Archie is using anything  
21 that we would call a database today.

22 Q. You don't know one way or another  
23 whether Archie was a database?

24 MR. RHOA: Same objection.

25 A. Was a database?

1 - DR. DEWAR - CROSS -

2 Q. Correct.

3 A. Certainly it wasn't -- it was  
4 certainly more than a database because it had  
5 an interactive component. A database is some  
6 kind of component that allows data to be  
7 stored.

8 Q. And searched?

9 A. And searched.

10 Q. And the question was, I just want  
11 to know the extent of your knowledge here as  
12 far as your opinion is concerned.

13 You don't know whether Archie was  
14 a database or not?

15 MR. RHOA: Same objection.

16 A. That's like asking whether an  
17 orange is an apple. Archie is -- Archie is a  
18 search tool; it's a tool that you interact  
19 with on the Internet. That cannot be, by its  
20 nature, a database. It might employ a  
21 database, but the terminology confusion is  
22 too significant for me to answer the  
23 question.

24 Q. Do you have any understanding  
25 whether Archie included a database?



1 - DR. DEWAR - CROSS -

2 MR. RHOA: Same objection.

3 A. I have no knowledge of that.

4 Q. So, do you have any knowledge  
5 about WAIS?

6 MR. RHOA: Objection.

7 A. Huh?

8 Q. WAIS, W-A-I-S.

9 MR. RHOA: Same objection.

10 A. No.

11 Q. And you don't know one way or the  
12 other whether it was an acronym that stood  
13 for Wide Area Information Server?

14 MR. RHOA: Same objection.

15 A. No.

16 Q. You just don't know the tool?

17 A. No.

18 MR. RHOA: Same objection.

19 Q. So, let's turn -- you certainly  
20 have it in your document -- to the Langer  
21 exhibit.

22 A. Yes.

23 Q. That's marked as Exhibit 1003  
24 across all IPRs. Let me know when you have  
25 that.

1                   - DR. DEWAR - CROSS -

2                   A.    I have that in front of me.

3                   Q.    And you reviewed this in  
4 connection with your reports, right?

5                   A.    I -- I reviewed the last part of  
6 it that relates to packages containing a  
7 directory or directory tree.

8                   Q.    You didn't review the whole  
9 document?

10                  A.    No.  Because I -- the rest of the  
11 document -- I looked through it, but it  
12 didn't seem to be relevant to the specific  
13 issue of multipart files.

14                  Q.    So I just want that to be clear on  
15 the record.

16                            You only looked at the part that  
17 said packages; you didn't look at the whole  
18 document?

19                  A.    I looked -- I looked through the  
20 rest to see whether it had anything to say on  
21 multipart files.  Since it didn't, I did not  
22 pay any further attention to it.

23                  Q.    Now, in your review, you don't  
24 dispute that Langer is referring to both  
25 Archie and WAIS, right?

1 - DR. DEWAR - CROSS -

2 A. I'm sorry. What?

3 Q. You don't dispute that Langer is  
4 referring to both Archie --

5 A. Right. I understand that.

6 Q. -- and WAIS, right?

7 And in your review, you didn't  
8 know what Archie or WAIS even was? You  
9 didn't know whether it had a database  
10 included?

11 A. At the time, actually, that's what  
12 triggered me to go up and -- go and look to  
13 see what Archie was about.

14 Q. And when you looked at it, you  
15 still couldn't confirm whether it included a  
16 database or not?

17 I want that -- maybe I misheard  
18 you earlier. I just --

19 A. Can I -- can I add some  
20 explanation there?

21 Q. Sure.

22 A. To me, it's totally irrelevant  
23 whether -- whether it includes a database.  
24 It includes some kind of internal data which  
25 it can search. Whether that's organized in a

1                   - DR. DEWAR - CROSS -

2           way that we would define, describe as a  
3           database, that is as I -- you know, something  
4           like a Relational Schema, I have no idea and  
5           I think I regard it as totally irrelevant.

6                   It has some internal data that it  
7           is able to search. If that -- if any such  
8           mechanism is included in your definition of  
9           database, it is not included in mine, then  
10          I'm -- according to your definition of  
11          database, I would say yes.

12                   Q.    Okay. So maybe you had some very  
13          broad notion of database. Archie includes  
14          one, if it just simply means a database  
15          search.

16                   A.    Well, I'm just saying if your  
17          notion of database includes any kind of data  
18          structure which can be searched -- certainly  
19          not mine, I don't think it is the general  
20          definition -- but if you want to present that  
21          definition for the purpose of your question,  
22          then yes, it has a database, in that sense in  
23          which you're using the question.

24                   Q.    Okay. I think that's all we'll  
25          need for the question.

1 - DR. DEWAR - CROSS -

2 A. Okay.

3 Q. So, on page 3 of Langer, there's a  
4 section there that refers to unique  
5 identifiers.

6 A. Yes.

7 Q. And you certainly reviewed that  
8 section, right?

9 A. Yes.

10 Q. Okay. And he says at the very  
11 beginning of that section, "Finally another  
12 issue that will arise is that of uniquely  
13 identifying files which may have different  
14 names..."

15 And he goes on, "...and/or be in  
16 different directories on different systems  
17 (and also being sure that the files with the  
18 same name are identical)", right, etcetera?

19 A. Right.

20 Q. Are you with me?

21 So, this was the problem we were  
22 discussing yesterday, right, that you might  
23 have two files with different names, yet they  
24 might be the same thing?

25 A. Well, I don't think we were

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- DR. DEWAR - CROSS -

discussing it in the context in which I was asked to look at this document. So, if you're asking me how this relates to the material in -- I mean, we're discussing it, I think, in connection with '791.

If you're asking me how this material relates to what we discussed in '791, I didn't consider that at all.

Q. And in the exhibit we were just looking at, 1034, where we had the example of eudora.

A. Yes.

Q. This is the situation where there were several files from the search result that said "eudora", right?

A. That contained Eudora.

Q. Right.

A. Somewhere in the file identi -- in the file path name.

Q. Right. And what Langer is referring to here is essentially saying, well, how do we know that that's really the same file as in --

MR. RHOA: Objection.

1 - DR. DEWAR - CROSS -

2 Q. -- it has the name, but we don't  
3 know if it's really the same file?

4 MR. RHOA: Objection to foundation  
5 and form.

6 A. I can't really -- I didn't  
7 consider that, and I don't have an answer.

8 Q. You don't have any opinion one way  
9 or the other to dispute that notion? Did --

10 A. No, I wasn't asked. I was -- I  
11 was only asked very narrowly to look at  
12 Langer.

13 Q. Okay.

14 A. So I -- so I did not consider the  
15 answer to that question. I don't think it's  
16 a good to do it on the fly.

17 Q. That's fine. But we can agree you  
18 have no basis. Right now, you haven't  
19 disputed that notion?

20 A. I have not disputed the notion...

21 Q. That Langer --

22 A. State your question again.

23 Q. Let me state it again. Yes.

24 You are not disputing that Langer  
25 uses unique identifiers for files, right?

1 - DR. DEWAR - CROSS -

2 A. I'm -- well, unique identifiers --  
3 I have to say. The only sense in which I  
4 looked at Langer was in the sense of  
5 identifiers for multipart files. And I don't  
6 find that in Langer.

7 So, that was the only thing I was  
8 looking for in Langer, and I didn't find  
9 that, so. And that was my job, to find that  
10 and...

11 You know, so, I have no -- I have  
12 no considered opinion on the situation of  
13 files that aren't multipart, because I  
14 have -- you know, that was not in my scope of  
15 the examination of Langer.

16 Q. Okay. And we'll probe that,  
17 whether it was relevant or not. Okay?

18 A. That's fine.

19 Q. Okay. So then --

20 A. I'm just telling you what, you  
21 know, how I address that.

22 Q. That's fine. That's fine.

23 Turn to page 4.

24 (Witness complying.)

25 Q. In the first full paragraph there,



1 - DR. DEWAR - CROSS -

2 it says, "A simple method of defining a  
3 unique identifier that does NOT include a  
4 particular site identifier would be to use a  
5 hash function of the entire contents of the  
6 file."

7 You reviewed this portion of  
8 Langer, right?

9 A. Yes. I -- I'm aware of that. I  
10 mean, as -- as soon as I read that, I  
11 understand that it is not relevant to my  
12 reading, so...

13 Q. To the multipart package?

14 A. To the multipart package.

15 Q. You're saying it's not relevant?

16 A. Right.

17 Q. But in your reading of it, you  
18 didn't dispute -- and we can read through the  
19 rest of it -- that he is disclosing using an  
20 MD5 code to uniquely identify files, right?

21 A. He mentions MD5. I did not -- I  
22 did not have -- I was not asked to give an  
23 opinion on whether this process formed  
24 non-multipart files -- You know, I was asked  
25 to look at this very specifically for

1                   - DR. DEWAR - CROSS -

2                   multipart files. Because it's -- I was asked  
3                   to look at -- look at it in connection with a  
4                   patent that is very specifically restricted  
5                   to multipart files.

6                   MR. DICHIARA: I'm going to move  
7                   MO to strike that answer as nonresponsive.

8                   A. Again? Ask the question again?

9                   Q. In reading this, you didn't  
10                  dispute that Langer is disclosing using an  
11                  MD5 code to uniquely identify a file?

12                  A. I did not --

13                  Q. Let me restate that. That didn't  
14                  come out the way I wanted it.

15                  You don't dispute that Langer is  
16                  disclosing using an MD5 code to uniquely  
17                  identify a file?

18                  A. I neither dispute it nor confirm  
19                  it.

20                  Q. And then the next paragraph, did  
21                  you review that?

22                  A. Which paragraph are we talking  
23                  about?

24                  Q. The very next full paragraph, the  
25                  one that starts with "instead of providing,"

1 - DR. DEWAR - CROSS -

2 that paragraph; the second full paragraph on  
3 page 4.

4 A. I don't regard it as relevant to  
5 what I was asked to look for.

6 Q. I'm not asking you --

7 A. In skimming this --

8 Q. Doctor. Doctor, the question  
9 wasn't whether you thought it was relevant.  
10 I asked whether you reviewed it. We'll get  
11 to the relevance.

12 A. I -- I read it.

13 Q. Okay. And in this sentence, he  
14 says, "...it should be quite simple for ftp  
15 sites to notify the MD5 codes and local  
16 directory path/filenames of new files to  
17 central database servers."

18 A. Right. I understand that.

19 Q. Does that sentence have any  
20 meaning to you?

21 A. Yes. It is a totally standard  
22 technique used by everyone for -- you know,  
23 that's what MD5 codes are used for, is that  
24 kind of identification.

25 Q. Okay. And what Langer is saying

1                               - DR. DEWAR - CROSS -

2                               here -- let me see if this is consistent with  
3                               your understanding of this sentence or not --  
4                               is he is saying that the FTP sites will  
5                               notify and update the databases and say, here  
6                               is an MD5 code and here is the path name?

7                               MR. RHOA: Objection to form.

8                               Q.    Is that what that sentence is  
9                               saying to you?

10                              A.    Updating the databases. What  
11                              databases? Who is doing the updating?

12                              Q.    In this sentence, it says the FTP  
13                              sites are going to do the updating, it says  
14                              the FTP sites to notify. It should be quite  
15                              simple for the FTP sites to notify the  
16                              central databases. That's what that sentence  
17                              says. And it is going to update it with the  
18                              MD5 codes and the path names.

19                              MR. RHOA: Objection to form.

20                              (Witness reviewing document.)

21                              A.    I'm sorry. I don't -- I don't  
22                              read into it what you read into it that it  
23                              updates those database servers. I only see  
24                              "notify".

25                              Q.    Let's take it in --

1 - DR. DEWAR - CROSS -

2 A. I only see "notify".

3 Q. Let's take it in pieces.

4 It says, "It should be quite  
5 simple for ftp sites to notify."

6 A. Right.

7 Q. So, the FTP site is going to the  
8 notifying?

9 A. Right.

10 Q. Right? And what it's going to  
11 notify, or what it's going to include in the  
12 notification, is the MD5 code and local  
13 directory path name?

14 A. Right.

15 Q. Okay. And who it's going to  
16 notify are the central database servers?

17 A. Right.

18 Q. That's just a fair reading of that  
19 sentence?

20 A. Right.

21 Q. And do you have any opinion one  
22 way or the other whether the central database  
23 servers here are referring to Archie and WAIS  
24 that the rest of the document refers to?

25 A. No opinion.

1 - DR. DEWAR - CROSS -

2 Q. So no reason to dispute it then?

3 A. I can neither dispute nor confirm  
4 it.

5 Q. Nor confirm it. Okay.

6 And at least in this sense,  
7 whatever the central database servers are --  
8 and I understand you don't have an opinion  
9 one way or the other whether it is Archie or  
10 WAIS -- those databases have an MD5 code and  
11 a path name? That's what that sentence says?

12 MR. RHOA: Objection to form.

13 A. It implies that is a possibility,  
14 but it does not say that.

15 Q. Under that reading, this implied  
16 possibility --

17 A. Okay.

18 Q. -- that, at least in that regard,  
19 has a similarity to the True File Registry  
20 which includes a True Name and the location  
21 of where that file is?

22 A. I really can't comment on that. I  
23 wasn't asked to look at that, and I don't  
24 feel like...

25 Q. That's okay.

1                   - DR. DEWAR - CROSS -

2                   A.    To make that -- so I --

3                   Q.    You can just say -- I don't want  
4                   to talk over each other.

5                   We will get into the reasoning  
6                   later.  If you don't have an opinion on it,  
7                   it's fine.  Then what I'm going say is, "You  
8                   don't have any reason to dispute it?" And you  
9                   can say what you said before, that you  
10                  don't --

11                  A.    Okay.

12                  Q.    -- have any reason to dispute it  
13                  or to confirm it.

14                  A.    Okay.

15                  Q.    Okay?  Because I don't want the  
16                  off-the-cuff stuff.  There might be some  
17                  times where I'm going ask if something is a  
18                  fair reading, but I don't want you to do any  
19                  on-the-fly opinions.

20                  A.    Fair enough.  So, can we go back  
21                  and see where were in the process?

22                  Q.    Yes.  I had asked that under that  
23                  possible reading-- you said it is implied  
24                  but you're not quite sure, where we said that  
25                  the central database server has been updated

1 - DR. DEWAR - CROSS -

2 by the FTP sites and, as a result, that  
3 central database server has both to the MD5  
4 code and the locations of where those files  
5 are, right? And you said that's possible?

6 A. Under your supposition, yes.

7 Q. Well, you also said that it  
8 implies it, but you were a little hesitant to  
9 say --

10 A. Actually, I believe my words are  
11 that it implies that's a possibility.

12 Q. Okay.

13 A. Which is a little bit...

14 Q. So under that possibility --

15 A. Yes.

16 Q. -- it's at least like the True  
17 File Registry in the sense that it has a  
18 hash-based identifier, right?

19 A. (No response.)

20 Q. I need a confirmation instead of a  
21 head shake for the record.

22 A. It -- I really -- under your  
23 supposition that a data structure is created  
24 that has an MD5 code in it, it has an MD5  
25 code in it.



1 - DR. DEWAR - CROSS -

2 Q. Okay. As the rest of the document  
3 talks about, as a unique identifier for a  
4 file, right?

5 A. Right.

6 Q. And it also has the location of  
7 where that file is?

8 A. Under your supposition of the  
9 possibility that it has a location, it has  
10 the location.

11 Q. And my supposition, you had agreed  
12 is a possibility?

13 A. It's a possibility.

14 Q. Okay. All right.

15 So, I want to talk a little bit  
16 about how Langer discusses how you can  
17 request a file with an MD5 code. Okay?

18 A. (Witness nodding.)

19 Q. At the bottom of page 3 and onto  
20 page 4, I want to focus on that bridging  
21 paragraph. Okay? The one that starts  
22 "if" --

23 A. Okay.

24 Q. -- comp.archives.

25 Let me ask you: Are you familiar

1 - DR. DEWAR - CROSS -

2 with comp.archives at all?

3 A. Yes, I'm familiar with that.

4 Q. What is comp.archives?

5 A. It is one of the components of the  
6 newsgroup systems of the time.

7 Q. And the newsgroup systems at the  
8 time, that might be something where people  
9 like yourself at the time might say, Hey, I  
10 have some new Ada software and here's what it  
11 does, and here's where it is, or something  
12 like that?

13 A. Yeah. And I would be interacting  
14 with a particular newsgroup.

15 Q. Other programmers and folks like  
16 that?

17 A. Well, actually there was a  
18 newsgroup called comp.lang.ada, which I was a  
19 member of for many years.

20 Q. Did you ever put postings on  
21 comp.archives, just so --

22 A. No.

23 Q. -- that we have a concrete  
24 example?

25 A. No.

1 - DR. DEWAR - CROSS -

2 Q. For the reporter's sanity, let's  
3 try to --

4 A. Sorry.

5 Q. I know it is tough.

6 A. I need to give more time.

7 Q. So, you have a familiarity with  
8 comp.archives, right, high level?

9 A. I just know from a mention here  
10 that it is most probably one of the  
11 newsgroups at the time, but I have no  
12 knowledge of that independent of the  
13 suggestion by its mention here.

14 Q. We'll get to that.

15 So, it says, "If comp.archives and  
16 WAIS etc provide a unique identifier for each  
17 file which is independent of location" --  
18 right? -- "and there are convenient ways to  
19 automatically insert that identifier into a  
20 news article" -- I think that's what you were  
21 just referring to -- "when referring to a  
22 file, then users would HAVE to lookup a  
23 directory before ftping the file, and could  
24 then be automatically informed of the nearest  
25 location."

1 - DR. DEWAR - CROSS -

2 So, you have that in mind?

3 A. I --

4 Q. Okay. I want to take it in pieces  
5 so that we're all in sync, and we know what  
6 we know and what there is an opinion and what  
7 there isn't. Okay?

8 So, on that first page part where  
9 it says, "If comp.archives and WAIS etc  
10 provide a unique identifier for each file  
11 which is independent of location", they're  
12 talking about the unique identifiers that we  
13 just mentioned before, the MD5 identifiers  
14 for files, right?

15 A. I assume so.

16 Q. It's a fair reading, right?

17 A. It is certainly a reasonable  
18 reading.

19 Q. Okay. And then it says, "...and  
20 there are convenient ways to automatically  
21 insert that identifier into a news article  
22 when referring to a file," right?

23 A. Right.

24 Q. So, what Langer is saying here is  
25 that there is some convenient way that if you

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- DR. DEWAR - CROSS -

had a newsgroup posting, like you were saying before, you can automatically inject the MD5 code into that news article when it refers to the file?

A. From the casual reading we're doing, that's certainly a possible reading.

Q. And then the last part of it says, let me get that so I read it correct.

"...then users would HAVE to lookup a directory before ftping the file", right?

A. That's what it says.

Q. What he is suggesting here, now that you have the MD5 code, you can do that Archie lookup and find out where it FTPed the thing, like we said before?

A. I'm not clear on that conclusion.

Q. So, you don't have an opinion one way or the other?

A. I don't have an opinion on -- one way or the other on whether that conclusion is accurate.

Q. And the next sentence, parenthetical sentence says, "This need be no

1                   - DR. DEWAR - CROSS -

2           burden on the user - they should be able to  
3           request by the unique identifier and have  
4           that request acted upon by the appropriate  
5           ftp archive in one operation or reading news  
6           or mail."

7                   A.    That's what it says.

8                   Q.    So, here he is saying it is some  
9           kind of operation while you're reading news  
10          or mail, it can get that file in one  
11          operation?

12                   A.    I neither confirm nor dispute  
13          that.

14                   Q.    Okay.

15                   A.    I -- I really --

16                   Q.    Is it a possibility? Do you have  
17          any sense of that?

18                   A.    I really can't give on-the-fly  
19          interpretations of material here which I have  
20          not studied from the point of view of the  
21          questions you're asking.

22                   Q.    Okay. And we'll get to whether  
23          it's relevant to the package stuff which  
24          immediately follows this.

25                   A.    Right.

1 - DR. DEWAR - CROSS -

2 Q. Whether this is the context for  
3 the package identifier. Okay?

4 A. (Witness nodding.)

5 Q. So, down at the bottom of the  
6 page, the last paragraph, it says, "A simple  
7 ftp implementation would just hardlink every  
8 file available for ftp to a filename encoding  
9 of it's MD5 token." Right?

10 A. That's what it says.

11 Q. Do you know what hard linking is?

12 A. I know what hard linking is.

13 Q. Okay. And what's your  
14 understanding of hard linking?

15 A. Hard linking is a mechanism  
16 available that's high only in UNIX, not in  
17 any other system, which allows multiple  
18 directory entries at the second level of the  
19 UNIX file system to refer to the same data.

20 Q. Is it another way of saying it's a  
21 way of creating an alias to a file?

22 A. Broadly speaking, that's fair,  
23 although that doesn't capture the difference  
24 between soft link and hard link, which is a  
25 technical difference which I don't believe is

1 - DR. DEWAR - CROSS -

2 relevant.

3 Q. With hard linking, you can either  
4 refer to it by the file name or whatever was  
5 hard linked to the file name, right?

6 A. It's actually hard linked to the  
7 data.

8 Q. Right.

9 A. I mean, if you -- if you want to  
10 get carefully technical, those are different,  
11 but I don't think it matters at the level of  
12 this discourse here. I mean, I think we can  
13 almost replace "hard link" by "link" here.

14 Q. I agree.

15 A. And -- I don't understand, for  
16 instance, why a soft link won't be just as  
17 good.

18 Q. Right. But what he's saying here  
19 is something you understand, right, that you  
20 can link, or hard link, the MD5 code to the  
21 file name?

22 A. I'm saying -- I said I understood  
23 what "hard link" is. I don't want to give an  
24 opinion about what the interpretation of this  
25 sentence is. And so I -- again, so I can



1 - DR. DEWAR - CROSS -

2 neither confirm --

3 Q. Nor dispute it?

4 A. -- nor dispute what you just said.

5 Q. Okay. And I think you said  
6 earlier, this was not part of your opinion at  
7 all on the packages; is that right?

8 A. Right.

9 Q. Okay. Let me ask you something.  
10 Did the lawyers tell you not to  
11 consider this portion?

12 A. The lawyers gave me the patent. I  
13 mean, my understanding is that there was  
14 only -- that the patent where Langer was  
15 relevant claimed prior art is talking only  
16 about files that have multiple parts.

17 So it was my job to look at  
18 Langer -- all of Langer. You know, I wasn't  
19 told to just look at a part of Langer,  
20 because the whole of Langer is -- is claimed  
21 to be potential prior art. I understand  
22 that.

23 But given that the patent is very  
24 specifically about multipart files and  
25 forming unique identifiers for multipart

1                   - DR. DEWAR - CROSS -

2                   files in a particular way, I was looking at  
3                   Langer from the point of view of whether I  
4                   saw prior art for that particular aspect of  
5                   the patent. Because that was all I was asked  
6                   to do.

7                   Q.     And it was your opinion that the  
8                   section we were just talking about wasn't  
9                   relevant to any part of that patent?

10                  A.     Right.

11                  Q.     And is "that patent", just so  
12                  we're clear, the '539 patent?

13                  A.     I'm sure that's right.

14                  Q.     It's the only one where Langer --

15                  A.     That is ---

16                  Q.     -- is granted ground.

17                  And let me just ask you, I know we  
18                  talked about this yesterday: You haven't had  
19                  any discussions with Mr. Rhoa about this case  
20                  since yesterday, right?

21                  A.     No.

22                  Q.     Okay. So, let's turn to page 5.

23                  A.     Okay.

24                  Q.     And there's the part that starts  
25                  with "packages," right?

1                   - DR. DEWAR - CROSS -

2                   A.    Right.

3                   Q.    And it begins saying, "A related  
4                   problem is that essentially the same  
5                   collection of information may be available as  
6                   different .tar.Z or zoo, or ZIP or shar files  
7                   etc," right?

8                   A.    Right.

9                   Q.    The very first sentence he's  
10                  talking about a related problem?

11                  A.    Related to?

12                  Q.    What he was just talking about.

13                  A.    Okay.

14                  Q.    The previous section, right?

15                  A.    Yes.  Okay.

16                  Q.    And the previous section was  
17                  talking about the problem with file names,  
18                  and whether they're uniquely identified?

19                  A.    Okay.

20                  Q.    So, this very sentence is saying  
21                  the prior section is relevant?

22                               MR. RHOA:  Objection to form.

23                  Q.    It's the same problem?

24                  A.    It's saying it's related.

25                  Q.    Relevant?

1                   - DR. DEWAR - CROSS -

2                   A.    Relevant to what?

3                   Q.    The problem --

4                   A.    All he's saying is that these two  
5                   problems are related.

6                   Q.    Okay. Well, we can let someone  
7                   else decide whether this suggests the prior  
8                   section was relevant and whether it should  
9                   have been considered or not.

10                          But I understand that you didn't  
11                   really consider it too tightly, didn't think  
12                   it was too important for your opinion, right?

13                   A.    Well, I have a very firm opinion  
14                   that the previous section is not relevant.

15                   Q.    Okay.

16                   A.    So, I'm certainly not going to  
17                   agree to your interpretation from a casual  
18                   reading of this one sentence that it is  
19                   relevant. Because the reasons for it not  
20                   being relevant are technical and -- and I  
21                   have considered them carefully.

22                   Q.    We'll explore that.

23                                So, what he is saying here,  
24                   though, when he is talking about the related  
25                   problem, right, he says, "essentially the

1                   - DR. DEWAR - CROSS -

2           same collection of information may be  
3           available" -- in this case, he's talking  
4           "essentially" is different file name,  
5           different extensions. Same information but  
6           one might be in a .tar file, one might be in  
7           a .Z, one might be in a ZIP; that kind of  
8           stuff?

9                   A.    I see no mention of extensions,  
10           and I don't understand its presence in your  
11           question.

12                   Q.    What is .tar referring to?

13                   A.    That's just -- oh, you're just  
14           saying, it is listing a possible extension of  
15           a file?

16                   Q.    A file name extension is what I  
17           meant.

18                   A.    Okay.

19                   Q.    But that's what it's saying, it's  
20           saying the same collection of information in  
21           one case might be called package .tar,  
22           another one might be package .Z, yet they're  
23           still the same essentially collection of  
24           information, but they have different file  
25           names?

1 - DR. DEWAR - CROSS -

2 A. He's not quite saying -- I -- I  
3 dispute that reading.

4 .tar.Z files are not files that  
5 end in .tar.Z; it is just a generic term to  
6 refer to things that are being tarred and  
7 gzipped. T-A-R-R-E-D. Let's do it that way.  
8 One of those words that I can say and have no  
9 idea how to spell, and gzipped,  
10 G-Z-I-P-P-E-D. That one I did know how to  
11 spell.

12 So, he is talking about kinds of  
13 files, not particularly that they have  
14 different names. He's just -- he's just  
15 listing examples of classes of files that are  
16 multipart files.

17 Q. They're multipart files that  
18 contain other files?

19 A. That contain other files.

20 Q. And what he's saying is that you  
21 might have one that includes a set of files  
22 and it's been tar zipped, right?

23 (Witness reviewing document.)

24 Q. Correct?

25 A. Yes.

1 - DR. DEWAR - CROSS -

2 Q. And he might have the same set of  
3 files that have been gzipped, essentially the  
4 same collection. And that's what he says.

5 A. Right. But I -- as I read this, I  
6 don't think it's important. But I don't  
7 think he is emphasizing the comparison of two  
8 files with two different compression  
9 techniques. He's equally talking about two  
10 ZIP files that contain the same information.

11 So, I just -- he's not emphasizing  
12 that it is different kinds of files. That  
13 might be the case, but that's not important.

14 Q. We can use ZIP if you want;  
15 whatever format is the simplest example to  
16 discuss.

17 But essentially what he's talking  
18 about here is that there could be one set of  
19 files formed one way, another package with  
20 another set of files formed another way, and  
21 how does the user identify whether those are  
22 the same?

23 A. What do you mean by -- but in  
24 asking that question, this is a request for  
25 clarification.

1 - DR. DEWAR - CROSS -

2 Q. Yes.

3 A. Do you specifically have in mind  
4 that one would be zipped and one would be  
5 gzipped?

6 Q. Whichever way is easier for you to  
7 understand it, I'm happy to work with.

8 We can talk about two files that  
9 have both been zipped.

10 A. Okay. As long as -- as long as  
11 it's clear to me that your question includes  
12 that.

13 Q. Well, let's do that. That's  
14 probably the simplest fashion.

15 A. I think it is the simplest path.  
16 Because I think it is a distraction, that  
17 doesn't come from an accurate reading of  
18 this, to assume they are different  
19 compression techniques. They might be, but  
20 you can't assume that.

21 Q. Well, let's assume this  
22 hypothetical, and see if this sentence is  
23 referring to it or not.

24 A. I'm just going to get some water.  
25 I don't need it to be a formal break.



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- DR. DEWAR - CROSS -

(Pause in the proceedings.)

A. Okay. Sorry.

Q. So he is saying you might have a collection of files that have been zipped, right?

A. Yes.

Q. And then someone else has packaged, collected those same set of files and zipped them?

A. Yes.

Q. And what he's concerned with is how do we know whether they're letting someone know that they're actually the same?

A. Right.

Q. Right? And that's the same related problem we were talking about before: two different file names, but yet they're really the same thing?

A. Right.

Q. So let's use that hypothetical going forward, so we don't have to worry about tar.zip and g.zip. We'll just talk about two ZIP files. Is that okay?

A. That's fine.

1                   - DR. DEWAR - CROSS -

2                   Q.     And then in the next paragraph, he  
3                   says, "Nevertheless" -- this is the second  
4                   sentence -- "a user may be wondering whether  
5                   to ftp a package..."

6                             He is talking about requesting a  
7                   package here, right?

8                   A.     Right.

9                   Q.     "... that has a new MD5 code to  
10                   see if it contains new revisions."

11                             So far so good?

12                   A.     Okay.

13                   Q.     Okay.   And so the user knows  
14                   there's a new MD5 code; so something's new,  
15                   right?

16                   A.     Right.

17                   Q.     But the problem with an MD5 code,  
18                   you see it is a new MD5 code, you have no  
19                   idea what that translates to?  You don't know  
20                   whether an inner file has changed, whether  
21                   all the inner files have changed or anything,  
22                   it is just a number, right?

23                   A.     Right.

24                   Q.     So we will back up.

25                             "...a user may be wondering

1                   - DR. DEWAR - CROSS -

2           whether to ftp a package that has a new MD5  
3           code."

4                   And what that means is the user is  
5           wondering whether to request a package now  
6           that he has this new MD5 code associated with  
7           it?

8                   A.    Right.

9                   MR. RHOA:  Objection to form.

10                  A.    Right, that's my understanding.

11                  Q.    And then he says "to see if it  
12           contains new revision."

13                   Now, he uses the word "contains,"  
14           right?  So, he's talking about whether that  
15           package contains anything new in it, right?

16                  A.    Right.

17                  Q.    And then he continues, "...and it  
18           would be nice to be able to tell the user  
19           without the need for collecting the entire  
20           package," right?

21                  A.    Right.

22                  Q.    And so what he is saying is, he's  
23           trying to propose a way so that the user can  
24           find out what the new revisions are without  
25           having to get the whole package over?

1                   - DR. DEWAR - CROSS -

2                   A.     Right.

3                   Q.     And there is no dispute about  
4                   that?

5                   A.     No.

6                   Q.     And as we said, since it is a new  
7                   MD5 code, he knows something is new, he just  
8                   doesn't know what's new?

9                   A.     (Witness nodding.)

10                  Q.     Right?

11                  A.     Right.

12                  Q.     And they're specifically focusing  
13                  on trying to tell the user what that package  
14                  contains, which might have changed?

15                         MR. RHOA:  Objection to form;  
16                         speculation; lack of foundation.

17                  A.     Trying to tell the user if it  
18                  contains new revisions.  That's what it says  
19                  here.

20                  Q.     Right.  That the package contains  
21                  something, and they want to see if it's a new  
22                  revision?

23                  A.     Right.

24                  Q.     Okay.  And then in the next  
25                  paragraph, he's talking about --

1 - DR. DEWAR - CROSS -

2 And I'm sure you've considered  
3 this at length.

4 A. Yes.

5 Q. -- how to create the package  
6 identifier, right?

7 A. Right.

8 Q. And he says, "Likewise the  
9 code..."

10 And here he's referring to an MD5  
11 code, right?

12 A. Right.

13 MR. RHOA: Ob--

14 Q. "...for" --

15 MR. RHOA: Objection. Form and  
16 foundation.

17 Q. "Likewise the code for a tar or  
18 cpio or ZIP archive etc or a collection of  
19 shar files (with or without uencoding)."

20 So, "Likewise the code for a tar  
21 or cpio or ZIP archive etc or a collection of  
22 shar files (with or without uencoding etc)  
23 could be the code obtained by applying MD5  
24 again to the concatenation of the codes of  
25 the extracted files, in numeric order,"

1 - DR. DEWAR - CROSS -

2 right?

3 A. Right.

4 Q. And the code of the extracted  
5 files are MD5 codes, right?

6 A. But not MD5 codes of the data in  
7 the ZIP files.

8 Q. It says "the codes of the  
9 extracted files". What are they codes of if  
10 they're not the extracted files?

11 A. He -- he suggests that, first, the  
12 data should be uncompressed, and then -- then  
13 it should be edited to UNIX conventions; that  
14 involves extensive modifications of the text.

15 Q. Where --

16 A. Changing every line of the text.

17 Q. And where do you see that in that  
18 sentence?

19 A. I'm looking at the paragraph above  
20 which says how those codes are calculated.  
21 You skipped that.

22 Q. "A simple convention should  
23 require that the code is always calculated on  
24 the raw file."

25 A. Right.

1                   - DR. DEWAR - CROSS -

2                   Q.    What does that mean?

3                   A.    It means that you've got -- the  
4 files in the ZIP, in the ZIP archive are  
5 compressed.

6                   Q.    They could be compressed?

7                   A.    They are compressed virtually  
8 always. Just like unique identifiers are  
9 virtually unique. The files in ZIP files are  
10 virtually always compressed.

11                  Q.    Let's just focus on "virtually"  
12 for a second.

13                            I believe yesterday, you were  
14 quite clear saying that ZIP files allow  
15 uncompressed inner files, right?

16                  A.    They allow uncompressed inner  
17 files.

18                  Q.    And this refers to ZIP files?

19                  A.    Right.

20                  Q.    Let's focus on that example.

21                  A.    And then after --

22                  Q.    Wait. Let's focus on that  
23 example.

24                  A.    If you have the artificial case,  
25 which would never occur in this context -- I

1 - DR. DEWAR - CROSS -

2 mean, this is the context back in '90s when  
3 data transmission was extremely expensive.

4 I dispute the practical  
5 possibility of un -- of uncompressed files  
6 ever appearing in this situation, but it's  
7 technically possible to imagine someone doing  
8 that.

9 MR. DICHIARA: Okay. So the first  
10 thing I'm going to do is move to  
11 MO strike that answer as nonresponsive.

12 Q. Yesterday we had talked about ZIP  
13 files could have uncompressed inner files,  
14 right?

15 A. It is technically possible for a  
16 ZIP file to have uncompressed inner files.

17 Q. Okay. And with that in mind --  
18 and I understand you might have a view about  
19 whether people did it or didn't do it, but  
20 with that in mind, let's focus on that  
21 sentence.

22 A. Okay.

23 Q. Where it says, "A simple  
24 convention should require that the code is  
25 always calculated on the raw file."



1 - DR. DEWAR - CROSS -

2 What does that mean?

3 A. The raw file is the one that comes  
4 from extraction from the ZIP file?

5 Q. So, in this case it's an  
6 uncompressed file?

7 A. So the sequence of bits in the raw  
8 file --

9 Q. I need a confirmation that you  
10 understand.

11 A. Say it again?

12 Q. I need a confirmation of whether  
13 you understood what I was saying.

14 A. Okay. Ask the question again?

15 Q. So, in the situation where I'm  
16 asking, where you're talking about extracting  
17 an uncompressed file, right?

18 A. We are extracting an uncompressed  
19 file in the situation you are talking about.

20 Q. In the situation I'm talking  
21 about.

22 And then it says, "A simple  
23 convention should be that the code is always  
24 calculated on the raw file."

25 So, we now have an MD5 code of an

1 - DR. DEWAR - CROSS -

2 uncompressed file, right?

3 A. Well, the MD5 code computed here  
4 is always of an uncompressed file.

5 Q. Excellent. Okay. Great.

6 So, now below, when it's saying  
7 that it's doing this concatenation of the  
8 codes of the extracted file, they're talking  
9 about a concatenation of MD5 codes of  
10 uncompressed files?

11 A. No. That's incorrect.

12 Q. And tell me --

13 A. Because you skipped the second  
14 line of the paragraph above which is  
15 important.

16 Q. Okay. Tell me --

17 A. Do you want me to read it?

18 Q. Yes. And explain it.

19 A. "Also text files should be encoded  
20 from the unix form (ASCII code with LF as  
21 line end and TABS not expanded)."

22 Q. And what does that mean to you?

23 A. That means that, in general, one  
24 of these uncompressed files would have to be  
25 subjected to extensive editing before

1                   - DR. DEWAR - CROSS -

2                   computing the MD5 code.

3                   Q.     Unless, of course, it is already  
4                   in the UNIX form?

5                   A.     Unless it happens to be in the  
6                   UNIX form in all respects already.

7                   Q.     Right.  So if you had UNIX files  
8                   in the package, they are uncompressed, the  
9                   MD5 code is just in the file that's in the  
10                  package?

11                  A.     If that unlikely combination of  
12                  circumstances occur, yes.

13                  Q.     Okay.  So now on this next  
14                  paragraph where it says, what we were  
15                  referring to is saying, "Likewise the  
16                  code..."

17                             And one of the things it mentions  
18                  it was for a ZIP archive, right?

19                  A.     Right.

20                  Q.     "...could be the code obtained by  
21                  applying MD5 again to the concatenation of  
22                  the codes of the extracted files, in numeric  
23                  order," right?

24                  A.     Right.

25                  Q.     So this is at least a hash of

1 - DR. DEWAR - CROSS -

2 hashes?

3 A. Not in the sense of the patents.

4 Q. Can you answer my question "yes"  
5 or "no"? Is it a hash of hashes?

6 A. It's a hash of hashes, yes.

7 Q. Okay. And it's specifically a  
8 hash of a concatenation of MD5 codes of the  
9 extracted files, right?

10 A. Right.

11 Q. And by concatenation in numeric  
12 order, he's talking about one MD5 code right  
13 next to the order, right?

14 A. I -- I make that assumption.

15 Q. In some kind of a block, right?

16 A. (No response.)

17 Q. A block?

18 A. There are no details here, so we  
19 can speculate on what's a reasonable way of  
20 doing this based on his hints. And that's  
21 one reasonable speculation.

22 Q. And then he hashes those MD5  
23 codes?

24 A. Right.

25 Q. And he says that's the code for

1 - DR. DEWAR - CROSS -

2 the package?

3 A. Right.

4 Q. So we now have an MD5 code for a  
5 package, right?

6 A. Right.

7 Q. And he says that that could be a  
8 hash of hashes, right?

9 A. It is a hash of hashes, yes.

10 Q. And it is specifically a hash of  
11 the MD5 codes of the extracted files, right?

12 A. Yes.

13 Q. And we mentioned before that the  
14 extracted files, at least theoretically, have  
15 been uncompressed files to begin with?

16 A. They could be, theoretically,  
17 uncompressed files.

18 Q. And they could be UNIX files?

19 A. And they could, theoretically, be  
20 UNIX files.

21 Q. And in the two paragraphs above,  
22 he's specifically referring to FTPing a  
23 package that has, in this case he's talking  
24 about a new MD5 code?

25 A. Right.

1 - DR. DEWAR - CROSS -

2 Q. So, he's talking about that you  
3 can FTP a package with an MD5 code?

4 A. Well, the MD5 code that's  
5 mentioned in paragraph 2 is not the same as  
6 the hash of hashes you're talking about  
7 further down.

8 Q. Well, we can --

9 A. That would be a confusion.

10 Q. Well, we can argue that and people  
11 can come to different views. I'm sure that  
12 you want that to be your opinion.

13 But, I mean, he's talking about  
14 FTPing package with a new MD5 code, and then  
15 he says how to create that MD5 code?

16 A. Absolutely not. That's incor --  
17 clearly incorrect reading of this.

18 Q. And your basis for that is?

19 A. The scenario of this section is,  
20 he's computed MD5 codes on entire files. You  
21 have an old ZIP file and a new ZIP file.

22 He's talking about where the MD5  
23 computed, from the raw data of the file, this  
24 file, is different from the MD5 of the raw  
25 data of this file; meaning that there's some

1                   - DR. DEWAR - CROSS -

2                   difference between these files: file names;  
3                   different versions; different ordering. Many  
4                   reasons -- well, different files completely  
5                   -- why these two MD5s should be different.

6                   That's the situation which sets up  
7                   the scenario in which it will be nice to tell  
8                   the user, without getting the whole  
9                   package --

10                  Q.    I understand.

11                  A.    -- what's going. And it's for  
12                  that second purpose that we compute this  
13                  completely different than the MD5 code.

14                  Q.    I understand what you're saying  
15                  now. Okay. So --

16                  A.    I think that's the only possible  
17                  reading.

18                  Q.    Let's see if we are in agreement.

19                  So, in the second paragraph, he is  
20                  saying you can FTP a package with an MD5  
21                  code, right?

22                  A.    Right.

23                  Q.    But your understanding of it is  
24                  that in this paragraph, he's talking about an  
25                  MD5 code that would have been calculated

1 - DR. DEWAR - CROSS -

2 across everything --

3 A. Right.

4 Q. -- in the package?

5 A. As described in the first part of  
6 this document.

7 Q. Got you.

8 And so then he's saying, that's  
9 not very helpful because --

10 A. Right.

11 Q. -- what we were saying before, you  
12 have a new MD5 code --

13 A. Right.

14 Q. -- and you don't know how it  
15 differed?

16 A. That's my understanding.

17 Q. I was probably unclear with my  
18 question. Because I agree with what you  
19 said. Okay?

20 A. Okay.

21 Q. And then two paragraphs down, he  
22 is saying how to create a new MD5 code?

23 A. A different MD5 code with  
24 different properties, with different  
25 intentions.



1 - DR. DEWAR - CROSS -

2 Q. Right. So now it's actually  
3 specifically in this paragraph, he's talking  
4 about a different MD5 for the package where  
5 it is an MD5 code calculated against the MD5  
6 codes of the extracted files?

7 A. Right.

8 Q. The first one is across everything  
9 in paragraph two; the one in paragraph four  
10 is just for the MD5 codes of the file; is  
11 that --

12 A. Right.

13 Q. Okay. We're in sync.

14 And we don't dispute though that,  
15 at least in this section, he is saying you  
16 can FTP a package with an MD5 code?

17 (Witness reviewing document.)

18 Q. That's the second paragraph.

19 A. Well, he actually says "whether to  
20 ftp a package that has a new MD5 code." So  
21 the "with" there is a substitution which I  
22 don't accept. Because it has an  
23 implication --

24 Q. Okay.

25 A. -- that is not in this.

1                   - DR. DEWAR - CROSS -

2                   Q.    He is at least suggesting you can  
3                   FTP a package with an MD5 code?

4                   A.    No.   That has a new -- I just want  
5                   to use the language here.

6                   Q.    Okay.

7                   A.    That has a new MD5 code.

8                   Q.    Okay.

9                   A.    I -- it worries me to use the word  
10                  "with" because it implies an access method,  
11                  which is not implied here by that wording.

12                  I just want to -- I prefer to  
13                  stick with the wording he has than make  
14                  modifications to it that might have other  
15                  implications.

16                  Q.    So, let me ask a question.

17                  Before, when we were referring not  
18                  to the packages, but just uniquely  
19                  identifying a file, right?

20                  A.    Right.

21                  Q.    We had talked about that they  
22                  referred to a central database, right?

23                  A.    Right.

24                  Q.    And that database can have an MD5  
25                  code?

1 - DR. DEWAR - CROSS -

2 A. Right.

3 Q. Correct? And that MD5 code could  
4 be associated with the location where the  
5 file is?

6 A. Right.

7 Q. Right? And again, just in that  
8 simple case, not package file but a normal  
9 file, its says you can search that central  
10 database -- I think it said "look up the  
11 directory" -- and find out where the file is?

12 A. I will neither confirm nor deny  
13 that. Because I nor -- I neither confirm nor  
14 dispute that because that was beyond the  
15 scope of my investigation of Langer.

16 Q. Okay. And you didn't think it was  
17 relevant to this section?

18 A. No. I didn't think it was  
19 relevant to this section.

20 Q. Okay.

21 A. Can I -- can I restate that  
22 answer?

23 Q. Yes.

24 A. I'm not saying that it isn't  
25 relevant to the section in terms of the whole

1 - DR. DEWAR - CROSS -

2 Langer argument. I'm saying it wasn't  
3 relevant in terms of the narrow focus of my  
4 examination of Langer, which is to see its  
5 relationship to claim -- the claim of the  
6 patent.

7 Q. Okay. Great.

8 MR. DICHIARA: How about if we  
9 just take a short break?

10 (Whereupon, a recess was taken  
11 from 10:11 a.m. to 10:36 a.m.)

12 BY MR. DICHIARA:

13 Q. So you still have the binder  
14 before you?

15 A. I do.

16 Q. So, before the break, we were  
17 talking about the package identifiers, right?

18 A. Right.

19 Q. And I think we had agreed that in  
20 the second full paragraph in this section, it  
21 was your understanding that new MD5 code was  
22 an MD5 code calculated over everything in the  
23 package, all the bits, right?

24 A. Oh, the first MD5, yes.

25 Q. Yes. And then two paragraphs

1 - DR. DEWAR - CROSS -

2 down, he's proposing a different way in which  
3 he's saying the MD5 code for the package is a  
4 hash of the hashes, which are the hashes of  
5 the extracted files?

6 A. Right. As possibly edited, as he  
7 suggests.

8 Q. Depending on whether it's a UNIX  
9 file?

10 A. Yes.

11 Q. And then right underneath that --  
12 and I think this gets to your exact point  
13 that you were raising -- he says, "That  
14 convention would help a lot, but does not  
15 solve the problem concerning packages that  
16 ARE slightly different", right?

17 A. I'm sorry. Where exactly?

18 Q. The very next paragraph after  
19 the --

20 A. So, skipping the paragraph before  
21 that?

22 Q. No. No. We talked about that.  
23 The "likewise" paragraph is the hash of  
24 hashes?

25 A. Well, we didn't -- but you're

1 - DR. DEWAR - CROSS -

2 skipping this "deliberately loses any date  
3 and mode or ownership information" of the  
4 file?

5 Q. Right.

6 A. Okay. We are skipping that?

7 Q. It is just hash of hashes. It is  
8 not hashing this other stuff, although it  
9 says it can be one easily enough.

10 MR. RHOA: Objection to form.

11 Q. If you want to go through the  
12 sentence, we can. But it says what it says.

13 A. Let's go through that sentence,  
14 because I consider it important.

15 Q. Okay. So, he says, "This  
16 deliberately loses any date and mode or  
17 ownership information and also loses the  
18 filename and directory structure  
19 information..." right?

20 A. Right.

21 Q. "...although there are arguments  
22 for retaining the latter and it could be done  
23 easily enough..."

24 A. "The latter" just means file name  
25 and directory structure in that sentence.

1                   - DR. DEWAR - CROSS -

2                   Q.    According to you?

3                   A.    I think that's the -- that's the  
4                   clear reading.

5                   Q.    Again, in your opinion?

6                   A.    That's my opinion.

7                   Q.    Okay.

8                   A.    My technical opinion is the only  
9                   reasonable reading of "the latter".

10                  Q.    Okay.  So, then the next paragraph  
11                  says, "That convention..."

12                                And by "that convention", he's  
13                                referring to this hash of hashes convention,  
14                                right?

15                  A.    Right.

16                  Q.    "...would help a lot but does not  
17                  solve the problem concerning packages that  
18                  ARE slightly different," right?

19                  A.    Right.

20                  Q.    So, he is proposing yet another  
21                  approach to try and build on his prior  
22                  approach, right?

23                  A.    Right.

24                  Q.    And he says, "The best approach  
25                  for the latter would be for Prospero and

1 - DR. DEWAR - CROSS -

2 archie etc to explode the contents of such  
3 files..."

4 And by "such files", he is  
5 referring to the package, right?

6 A. Right.

7 Q. And are you familiar with the  
8 notion or the term "explode the contents"?

9 A. Yes.

10 Q. Okay. And can you explain that?

11 A. That's a decompression step of --  
12 it's a generic term. Because the actual term  
13 would differ depending on what -- not a  
14 technical term "explode". He's just using it  
15 as a generic term to talk about going to the  
16 uncompressed versions of the inner file.

17 Q. Are you familiar with the way the  
18 term "explode a package" is used in the  
19 Archie context?

20 A. Well, "explode", it is used in a  
21 number of different contexts. But I think  
22 the best way to read it here is as a generic  
23 description of the idea of taking a package  
24 file and getting all the uncompressed files  
25 from within that package.



1 - DR. DEWAR - CROSS -

2 Q. Well, he actually clarifies it,  
3 right? He says "explode the contents of such  
4 file and list the individual items within  
5 them"?

6 A. Right.

7 Q. Right? So, what he's saying here  
8 is that by exploding the package, you were  
9 going to list the individual files within the  
10 package?

11 A. No. That's two separate steps:  
12 exploding the contents of such files; and  
13 list the individual items within it. I mean,  
14 he is suggesting that what Archie should do  
15 is first explode the file --

16 Q. Right.

17 A. -- second, list the individual  
18 items within them.

19 Q. Create a list --

20 A. Right.

21 Q. -- of the actual files within the  
22 package?

23 A. Right.

24 Q. And then he says this can easily  
25 be done, right?

1 - DR. DEWAR - CROSS -

2 A. Right.

3 Q. And he suggests some ways.

4 And what he's suggesting here is  
5 that the MD5 for the package would be  
6 associated with that listing, right?

7 A. Right.

8 MR. RHOA: Objection to form.

9 A. That's my understanding of the  
10 suggestion.

11 Q. All right. Because that's the way  
12 that a person can tell if one package is  
13 slightly different than another?

14 A. Well --

15 Q. By seeing the actual files --

16 A. I would say it is the direct --

17 Q. -- listed. The person can see the  
18 actual files listed and then determine how  
19 different one package is from another.

20 A. Right. It's part of the solution  
21 of that proper.

22 Q. Because what we have, as we said  
23 before, if you look at the MD5 code, you have  
24 no idea what caused the differences?

25 A. Right.

1                   - DR. DEWAR - CROSS -

2                   Q.    And even if you had a list of MD5  
3 codes and you were comparing two lists of MD5  
4 codes, you could see that the lists differ  
5 but it --

6                   A.    I'm sorry.  In what context are  
7 you talking about comparing lists of MD5  
8 codes?

9                   Q.    Two paragraphs above where there's  
10 a concatenation of MD5 codes.

11                  A.    Right.

12                  Q.    If I gave you one concatenation of  
13 MD5 codes and another concatenation of MD5  
14 codes, you could see that the -- those two  
15 concatenations differ, but you wouldn't be  
16 able to discern much more information than  
17 that?

18                  A.    I dispute that.  Because if you  
19 had the full list of MD5 codes, you can say:  
20 Is this one the same as this one?  Is this  
21 one the same as this one?  Is this one the  
22 same as this one?

23                  Q.    I agree with that.  It was a  
24 poorly-worded question.

25                  A.    And I think that is somewhat

1                   - DR. DEWAR - CROSS -

2                   equivalent to what he is talking about in the  
3                   next section anyway.

4                   Q.     In the next section, he is  
5                   carrying it one step further, he is saying  
6                   you can actually list the item. So, he is  
7                   talking about something more meaningful, like  
8                   a file name?

9                   A.     It is not clear to me. That's  
10                  speculation. Because, obviously, two ZIP  
11                  files can have the same stuff in it with  
12                  different file names.

13                  I mean, very possibly, for  
14                  instance -- since we're speculating about  
15                  what might happen, here's a speculation --  
16                  that you have a file called Mumble Version 1  
17                  in the first ZIP file, and in the second one  
18                  it's called Mumble Version 2. You know, you  
19                  have different file names.

20                  So, you can't conclude that  
21                  different file names means different data,  
22                  and you can't conclude that the same file  
23                  name means the same data. So, actually, my  
24                  interpretation is -- of his suggestion. It  
25                  is all very vague, so we're -- we're all in

1 - DR. DEWAR - CROSS -

2 the speculation business here because this is  
3 so vague.

4 My understanding is that he's  
5 suggesting -- remember that he has previously  
6 suggested that tools like Archie should list  
7 the MD5 codes. So, he's actually presenting  
8 you more than a list of file names. I think  
9 that's, at least that --

10 Q. I --

11 A. That's a possible construction of  
12 what he's suggesting.

13 Q. And I agree with that.

14 When he is referring to listing  
15 the individual items, for this sentence to  
16 make any sense --

17 A. Right.

18 Q. -- in the context of this sequence  
19 of one step after another, he is trying to  
20 propose an improved solution, right?

21 A. Right.

22 Q. By this point in time, he is  
23 saying there is going to be a listing with  
24 file names and codes, so it might say Mumble  
25 Version 1 and Mumble Version 2, and by

1                   - DR. DEWAR - CROSS -

2           looking at the MD5 codes, you can say are  
3           they actually the same thing or not?

4                   A.    It is one possible realization --

5                   Q.    Okay.

6                   A.    -- of these vague suggestions.

7                   Q.    Now you can put Langer away.

8                   A.    Okay.

9                           (Witness complying.)

10                  Q.    Unless you insist.

11                  A.    What should we look at next.

12                  Q.    I'm just going to ask you a little  
13           bit about yourself.

14                  A.    Okay.

15                  Q.    Now, for the six patents that we  
16           have been talking about --

17                  A.    Right.

18                  Q.    -- for the last day plus, would  
19           you agree with me that they concern storage  
20           systems or file systems?

21                  A.    How would you understand the word  
22           "concern" in that?

23                  Q.    Well, I'm interested in what you  
24           think the field of technology is for the  
25           patents?

1 - DR. DEWAR - CROSS -

2 A. To me, the field of technology is  
3 distributed systems. And I think a focus is  
4 definitely -- of these patents, has in mind a  
5 distributed system, so a collection of file  
6 systems.

7 So I would say yes, they concern  
8 file systems, but I don't -- but it's more  
9 than just a single file system that they have  
10 in mind.

11 Q. So, did the patents require  
12 distributed systems?

13 MR. RHOA: Objection to form.  
14 Foundation.

15 A. You're saying, you're asking --

16 Q. Just generally.

17 A. -- do the patents in the claims  
18 require specifically? I don't know. I have  
19 to -- off the top of my head, I'm not sure  
20 whether that's a requirement.

21 You started off by asking a  
22 general interpretation, and we certainly  
23 plunged down into a question about the  
24 claims. It's a different level, and I have  
25 to answer it in a different way.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- DR. DEWAR - CROSS -

I'd have to actually go --

Q. Well, let me --

A. -- and look at the --

Q. -- focus on, my real question of interest is: What do you consider the field of technology?

A. So the field of technology to me here is distributed file systems, systems that are distributed across some communication --

MR. RHOA: Off the record.

(Pause in the proceedings.)

A. Go back and repeat the last question. Where are we exactly? I'm confused for a moment.

Q. I said I want to focus on, my real question of interest is: What do you consider the field of technology?

A. Okay.

Q. And you said, "So the field of technology to me here is distributed file systems, systems that are distributed across some communication..."

A. Network.



1 - DR. DEWAR - CROSS -

2 Q. Network. Okay?

3 And in your materials there -- I  
4 won't have a working copy for a second. Do  
5 you have a CV in your --

6 A. Yes. I have some. I think it is  
7 at the end of the first declaration, right?

8 Q. Yes. Is your CV accurate?

9 A. It is not up-to-date, but it's  
10 accurate as far as I know.

11 Q. Is it generally accurate? There  
12 might be --

13 A. Yeah. Yeah. It's probably -- you  
14 can get an idea of what it is. I'm writing  
15 articles all the time, so you know, it's not  
16 quite up-to-date. There are some -- are  
17 some -- there's probably another page of  
18 articles that isn't reflected. But nothing  
19 significant, I think.

20 Q. And the other articles would be  
21 more recent articles?

22 A. More recent articles on same sort  
23 of things.

24 Q. So, just at a high level,  
25 generally, what did you do after you received

1                   - DR. DEWAR - CROSS -

2           your Ph.D.?

3           A.    So, my Ph.D. was in chemistry, but  
4           it involved heavy use of computers. So my  
5           Ph.D. thesis is a chemistry thesis. I was  
6           solving a chemistry problem, but I was using  
7           computers very extensively. I wrote a suite  
8           of computer programs that is still in use  
9           today for solving these problems in the  
10          domain of chemistry.

11                 Then right after I got my Ph.D., I  
12          was approached by actually a Chemistry  
13          Professor at IIT who asked me if I wanted an  
14          Assistant Professorship in Computer Science,  
15          or the Information Science Department. And I  
16          go, well, that's really where my interest  
17          lies. And I never did any chemistry again,  
18          or at least almost no chemistry again.

19                 And I took a job teaching computer  
20          science at the Illinois Institute of  
21          Technology.

22                 Q.    And that was around 1970?

23                 A.    It would be 1968 to 1975.

24                 Q.    Okay.

25                 A.    That's what it should say.

1 - DR. DEWAR - CROSS -

2 Q. You're right. I didn't focus on  
3 the Assistant Professor.

4 A. In 1975 -- so, I was an Assistant  
5 Professor. After two years, I received  
6 tenure and was made Associate Professor.

7 And then in 1975, I was in touch  
8 with Jack Schwartz, and he -- he basically  
9 asked me, "Do you want to come to NYU and be  
10 the second chairman of the department?" He  
11 had founded the Computer Science Department  
12 at NYU, and he was the first chairman. He  
13 didn't really have the authorize to posit  
14 that question, but he asked me if I wanted to  
15 come.

16 And I thought about it, I visited,  
17 and that's when we moved to New York in 1975.  
18 And, indeed, I became Chairman of the  
19 department, I think, in '78. You know, the  
20 dates are here.

21 Q. Yes.

22 A. And I was Chairman of the  
23 department. I was also an Associate Director  
24 of the Courant Institute in which the  
25 Department of Computer Science resided.

1                   - DR. DEWAR - CROSS -

2                   And I taught there 'till I was 59  
3 and a half, which is a magic age for the  
4 time. But I have formed this -- I mean,  
5 two -- two other things to get a sort of  
6 clearer view of my experience.

7                   I did extensive consulting all the  
8 time I was a Professor. In particular, I was  
9 involved in the development of Ada language  
10 early on. Actually, I mean, it's  
11 interesting, I was just checking them out  
12 just a moment ago.

13                   I was using the Internet  
14 extensively in 19 -- in 19 -- I forget the  
15 first date when I would have been using it.  
16 In 1978.

17                   By 1978-1979, I was sending dozen  
18 of e-mail messages a day on the original  
19 ARPANET, which was the precursor of the  
20 Internet. So, I'm one of the ancient  
21 Internet people.

22                   But that was in consult -- in  
23 conjunction with my consulting activities  
24 which always, I would say, as extensive a  
25 part of my activities is my teaching

1 - DR. DEWAR - CROSS -

2 research.

3 Q. So, in terms of the CV, I just  
4 have a couple of questions in terms of time  
5 period.

6 A. Ask away.

7 Q. So, realizing that the dates are  
8 "best estimate" kind of thing.

9 A. Fire away.

10 Q. You were the Director at NYU for  
11 Computer Science up to 1987. And then what  
12 happened after that?

13 A. Well, that in -- from '81 to 87, I  
14 was Director of Undergraduate Studies.  
15 That's just -- some faculty member of the  
16 department takes on the job of kind of  
17 supervising and overseeing the undergraduate  
18 program and figuring out what courses to  
19 offer, and who should teach them and things  
20 like that. So, it is sort of a subsidiary  
21 administrative job to the chair of the  
22 department.

23 Q. Okay.

24 A. And it is something you hold for a  
25 short while. It's one of these jobs that

1 - DR. DEWAR - CROSS -

2 gets -- like a chairmanship itself, which  
3 gets rotated through the faculty and the  
4 department and sort of by tradition. It is  
5 not something that people -- a job that  
6 people seek, but it's part of the service of  
7 the department.

8 Q. I'm more interested in, like, what  
9 happened afterwards.

10 Was that the end of your NYU work?

11 A. Oh, no. No.

12 Q. Okay.

13 A. It I was -- it was just -- not at  
14 all. I was fully involved at NYU.

15 Q. Okay. Until when?

16 A. Until I resigned from NYU, which  
17 is -- as I said, when I was 59 and a half, so  
18 you have that date somewhere, research and...

19 (Witness reviewing document.)

20 A. Maybe, Full Professor of Computer  
21 Science at NYU from 1976 to 2005.

22 So, I was, in fact, my -- the  
23 period from 1995 to 2000 -- let's see, even  
24 it out. From 1990 to 2005, I had a very  
25 extensive research program, millions of

1                               - DR. DEWAR - CROSS -

2                               dollars a year developing -- helping develop  
3                               the Ada language. So I was very active.

4                               In a certain sense, when you see  
5                               these things, those are distractions from my  
6                               main activity, which was research. And then  
7                               I became -- and I was Associate Director of  
8                               the Courant Institute. Again, that's a  
9                               part-time job, administrative job; by no  
10                              means my main activity.

11                             My main activity was always in  
12                             research and, you know, I had a -- I had a  
13                             big research group.

14                             Q.     Researching Ada?

15                             A.     Research mostly focused on Ada,  
16                             yes.

17                             Q.     And you said you were developing  
18                             the Ada language, right?

19                             A.     Yes. I was -- I was a member of  
20                             all of the -- of -- it should be here  
21                             somewhere. I was a member of all sorts of  
22                             groups that were involved in developing Ada.

23                             There was a formal DOD group that  
24                             I was a member of that I -- that I was a  
25                             member of the Relative -- Relevant ISO

1                   - DR. DEWAR - CROSS -

2           Groups, ISO, International Standards  
3           Organization, and the ANSI groups that were  
4           developing Ada.

5                   So I helped develop, design and  
6           check them through the development of Ada in  
7           international standards.

8                   Q.    And Ada, just so that we're  
9           perfectly clear, is a specific programming  
10          language?

11                  A.    Yes.   And it's always spelled  
12          capital A, little d, little a.   We're very  
13          particular.

14                  Q.    And in your research, in terms of  
15          developing it, does that mean you were doing  
16          things like developing compilers for it or --

17                  A.    That was one aspect is we were  
18          developing one of the early -- we were the  
19          first NYU -- there was a formal validation  
20          procedures for compilers that said -- run by  
21          the DOD, that says, "Your compiler meets the  
22          requirements of the Ada language".

23                        I still have sitting in my office  
24          Certificate No. 001.   We were the first  
25          compiler to meet the criteria the DOD had



1                   - DR. DEWAR - CROSS -

2                   established, passed that set of tests.

3                   Q.     Were you involved with the  
4                   development of the formal syntax for Ada?

5                   A.     Absolutely. I was a member of all  
6                   the groups that were involved in developing.  
7                   I wasn't a formal member of the design team,  
8                   but I was a member of -- critically of a  
9                   group called the Distinguished Reviewers,  
10                  which was a group put together by the DOD to  
11                  oversee the development that was going on by  
12                  the developers.

13                  And we liked some things; we  
14                  didn't like other things; we changed some  
15                  things. This is the usual kind of quite  
16                  difficult work that happens in designing a  
17                  program -- which I've been involved in that  
18                  kind of thing more than once, but this was a  
19                  major involvement.

20                  Q.     And Ada is an object-oriented  
21                  programming language?

22                  A.     It wasn't in its initial  
23                  incarnation. Although, I'm answering that  
24                  question in -- "object-oriented programming  
25                  language" is a tricky term, but people

1 - DR. DEWAR - CROSS -

2 usually mean it -- use it to describe a  
3 language that has inheritance.

4 Q. And instances in objects?

5 A. And instances in objects.

6 Although, what is an object is -- that's not  
7 so clear.

8 Some people would argue that  
9 certainly Ada, in its original form, allowed  
10 object-oriented design, OOD. And I was  
11 involved in the continuing development of  
12 Ada.

13 I mean, most recently, I've been  
14 very involved in the development of Ada 2012,  
15 which is the version of the standard that  
16 came out two years ago.

17 But the version that came out in  
18 1995, Ada 95 was fully -- it was the first  
19 internationally standardized object-oriented  
20 language. So yes, it was definitely object  
21 oriented.

22 Q. Was it object oriented before '95  
23 or not?

24 A. Interesting debate. I think --  
25 you know, people use "object oriented" in a

1 - DR. DEWAR - CROSS -

2 casual way, you know, to include things like  
3 abstract data types. And, you know, to me,  
4 an abstract data type is not an object --  
5 though many C++ programs seem to think  
6 otherwise. So, you're touching on an  
7 controversial area.

8 MR. RHOA: One second.

9 (Whereupon, a short recess was  
10 taken from 11:00 a.m. to 11:01 a.m.)

11 BY MR. DICHIARA:

12 Q. So, we were just discussing Ada.  
13 And prior to 1995, we were discussing whether  
14 it had been universally recognized as object  
15 oriented or whether it was debated whether it  
16 was object oriented.

17 Prior to 1995, did Ada have the  
18 notion that you could instantiate an object?

19 A. Yes. Because you could -- it had  
20 the notion of instantiated generics. And  
21 those -- in an object-oriented design, you  
22 could use the generic facility of Ada to  
23 create a conventional object-oriented design  
24 which would have objects and message passing.

25 Q. And you, I believe, had mentioned

1                   - DR. DEWAR - CROSS -

2                   that you were involved with developing some  
3                   of the earliest Ada compilers; is that right?

4                   A.     Right.

5                   Q.     And the Ada compilers needed to  
6                   generate code that would create object  
7                   identifiers, right?

8                   A.     Right.

9                   Q.     Once it's compiled --

10                  A.     Yes.

11                  Q.     -- you need some kind of  
12                  identifier to find the object, right?

13                  A.     Right.

14                  Q.     And how were those object  
15                  identifiers created?

16                  A.     At what level of abstraction?  
17                  Because at the lowest level abstraction, it's  
18                  just a region of data. At the lowest level  
19                  of abstraction, an object is nothing but a  
20                  data type, a record. That's true of C++,  
21                  too.

22                                 And so, an object is just a record  
23                                 containing certain data. It resides at a  
24                                 certain address in memory, and it's  
25                                 identified in the generated code by its

1 - DR. DEWAR - CROSS -

2 address.

3 Q. But Ada also allowed distributed  
4 programming, right?

5 A. So, Ada allows distributed  
6 programming in the sense of the distributed  
7 programming annex of Ada.

8 Q. So, you could have one object on  
9 one machine, right?

10 A. Right.

11 Q. And in the same program, a  
12 different object on a different machine?

13 A. Right.

14 Q. And how would you identify that  
15 object on a different machine?

16 A. The -- the communication that's  
17 defined between nodes in the Ada model is  
18 purely based on RPCs, Remote Program --  
19 remote procedure calls. So, it's not a  
20 data-oriented connection; it is a remote  
21 procedure call connection.

22 Q. So, in the period of 1990 to 2000,  
23 were you designing any storage systems?

24 A. I'm trying to -- I'm not quite  
25 sure. I would have to check the dates.

1 - DR. DEWAR - CROSS -

2 Because I wrote a whole sequence --

3 Q. You can consult your CV.

4 A. I wrote a whole sequence of  
5 operating systems for Honeywell which most  
6 certainly have full-blown file systems in  
7 them.

8 Q. Right. I think that might have  
9 occurred earlier. But I wonder --

10 A. I think that occurred earlier.  
11 I was also involved from -- in the  
12 '80s, and I don't know quite when that  
13 connection ended -- in doing the file systems  
14 for the COBOL, Realia COBOL compiler.

15 Q. And COBOL is another computer  
16 language?

17 A. It's another computer language,  
18 yes.

19 Q. So, if you look at your CV -- and  
20 I don't want to confine your search to a  
21 particular spot. Maybe it is in the area  
22 that starts with "Software Experience".

23 I'm interested in knowing whether  
24 you were doing any storage systems from 1990  
25 to 2000.

1 - DR. DEWAR - CROSS -

2 A. From 1990 to 2000? I'm trying to  
3 remember.

4 (Witness reviewing document.)

5 A. I'm certainly involved in the  
6 early '90s in developing the IO system and  
7 file system for the Ada compiler at Alsys.  
8 That's item 11 in the section on "Other  
9 Consulting Experience".

10 Q. I want to make sure I'm looking at  
11 the same --

12 A. It goes to the end. It goes to  
13 the end. There's a section called "Other  
14 Consulting Experience".

15 Q. I don't see that just yet.

16 A. It's after "Operating Systems and  
17 Executives" and "Other System Software."

18 Q. Okay. So item 11?

19 A. It is item 11 there. And that was  
20 concerned with developing the high level -- I  
21 wrote much of the run time for that compiler  
22 for Alsys. I was consulting for Alsys. And  
23 "consulting" meant writing. I mean, it was  
24 pretty much halftime, and I was writing  
25 extensive software.

1 - DR. DEWAR - CROSS -

2 And one of the things I was  
3 involved in --

4 Q. Let me just ask for clarification,  
5 writing extensive software. On this item it  
6 says the "Ada compiler technology"?

7 A. Right.

8 Q. Was there anything else?

9 A. Just so you understand, "compiler  
10 technology" includes the entire run time  
11 environment and the issue of mapping the Ada  
12 semantics onto multiple file systems.

13 So, I was very aware of -- of the  
14 development of file systems at the time and  
15 their -- and their capabilities.

16 Q. And if I ask you to review this  
17 CV, can you tell me -- not constrained by '90  
18 to 2005 -- your work experience with storage  
19 systems or file systems? Like this is one  
20 example.

21 A. Okay.

22 Q. And so --

23 A. Well, I had -- one of my earlier  
24 consulting connections was with Incoterm,  
25 later with Honeywell. And I wrote the whole



1                   - DR. DEWAR - CROSS -

2           system, a whole sequence of operating  
3           systems, full-blown operating systems.

4                   So, to give an idea, somewhere  
5           between the sophistication of MS-DOS,  
6           comparable to UNIX, I would say is fair.  
7           Because in some respects, they were much  
8           higher level than UNIX and they had, for  
9           instance, visual interfaces. I believe that  
10          those operating systems I wrote were the  
11          first operating systems ever built with  
12          visual interfaces.

13                   So, those were complete operating  
14          systems, complete file systems, in some cases  
15          quite sophisticated file systems with multi-  
16          index files and all kinds of complex files  
17          operation.

18                   So, I was -- at the time, I was  
19          doing that, I was very aware of file  
20          technology.

21                   I also developed, one of my -- one  
22          of my important consulting relationships was  
23          on the COBOL compiler for Realia. And there  
24          I wrote the run time system, the whole run  
25          time system is mine. And I wrote the entire

1 - DR. DEWAR - CROSS -

2 file system, that means I -- because there is  
3 almost nothing on MS-DOS, we were PC-DOS I  
4 guess it was by then.

5 There is nothing there in 1980.  
6 So we had to create a full-blown file system  
7 on top of MS-DOS, including index files, you  
8 know, the kind of things that in the  
9 mainframe would have be called ISM files.

10 And we were -- we were duplicating  
11 the IBM mainframe environment on a PC. So  
12 that was all my creation, was that file  
13 system.

14 Q. Would it be possible, similar to  
15 the way you identified item 11, to be able to  
16 tell me, at least work, would you be able to  
17 identify these experiences on your CV? Would  
18 they have entries on your CV?

19 A. Okay.

20 (Witness reviewing document.)

21 A. So, I'm just seeing where I  
22 mention...

23 (Witness reviewing document.)

24 A. Yeah, look under "Compilers". And  
25 if you look at item 3k, and notice that it

1 - DR. DEWAR - CROSS -

2 says "and the run time library."

3 Q. Okay.

4 A. 80,000 lines of assembly language.

5 And a major part of that 80,000 lines was  
6 complete sophisticated file system. And it  
7 is a run on top of PC-DOS.

8 Q. So that was in '83?

9 A. That was -- that was in '83. As I  
10 said, I don't really know when -- you know,  
11 it was released in '83.

12 Q. Okay.

13 A. And then we -- we -- many years  
14 went back of extensive modifications,  
15 improvements, additional versions.

16 I don't know from this CV when my  
17 relationship with Realia COBOL -- with Realia  
18 terminated. It was when they were purchased  
19 by Pansophic, which is a matter of record,  
20 but I just don't know that off the top of my  
21 head.

22 Q. Would you know whether it was  
23 before or after 1993?

24 A. I hate to guess.

25 Q. Sometimes there are particular

1                                 - DR. DEWAR - CROSS -

2                                 specific events that make it easier?

3                                 A.    Yeah, I can't --

4                                 Q.    That's fine.

5                                 A.    I...

6                                 Q.    Is there anything else in this CV  
7                                 that would relate to storage systems or file  
8                                 systems, as far as your work?

9                                 A.    Well, I guess one interesting  
10                                 relevant piece of work which should be  
11                                 reflected somewhere here, but it's -- there's  
12                                 so much stuff it's hard to find.

13                                 I did work on distributed data  
14                                 entry systems for Transac, which was the  
15                                 Alsys -- an Alsys connection in France. and  
16                                 that involved ---that was one of my first  
17                                 extensive creations for distributed systems  
18                                 because there were distributed -- distributed  
19                                 database servers and distributed data entry  
20                                 systems that were updating that database.  
21                                 And there I wrote that whole program. It was  
22                                 a demonstration program.

23                                 Q.    Is that item 9?

24                                 A.    Item 9 of what?

25                                 Q.    Where you had the 11, two items

1 - DR. DEWAR - CROSS -

2 up.

3 (Witness reviewing document.)

4 A. Right. Yes, it was.

5 Q. And how did that involve storage  
6 systems or file systems?

7 A. Because we were actually really  
8 creating file -- local and remote file  
9 systems that stored the relevant data. This  
10 was a system for a bank. And it was -- it  
11 wasn't the final software, but it was a model  
12 for the entire data -- distributed data  
13 system, data processing system, and data  
14 handling system for Société Générale, which  
15 is a bank that's spread all around France.

16 Q. Were there any other pieces of --

17 A. Pieces of stuff --

18 Q. Yes.

19 A. -- that's available?

20 Q. Consulting work that would --

21 A. Really, let me look through this  
22 almost item-by-item.

23 (Witness reviewing document.)

24 A. Ooh, that's out of date. There  
25 are other fully validated Ada compilers.

1 - DR. DEWAR - CROSS -

2 There are other fully validated Ada 95  
3 compilers, a minor point.

4 (Witness reviewing document.)

5 A. Again, the -- and that compiler,  
6 on which I still work every day today, one of  
7 the --

8 Q. Where are you pointing?

9 A. I'm at 3p.

10 Q. This is under "Compilers"?

11 A. Right.

12 Q. Okay?

13 A. I mean, 3p is a much bigger item  
14 than it appears here. Because we took that  
15 technology and founded the company on the  
16 basis of this technology 20 years ago, and  
17 we've been developing it ever since. And  
18 I've been a major technical contributor to  
19 that development for the last 20 years -- in  
20 the context of the company. Before that, it  
21 was 20 years in the context of the  
22 university, or 15 years in the context of the  
23 university.

24 And a lot of what we need, a lot  
25 of what we do is worry about how to build the

1 - DR. DEWAR - CROSS -

2 file systems that Ada needs on top of all  
3 kinds of different file systems from  
4 different...

5 So, I'm very familiar with the  
6 file systems of all current operating  
7 environments because I have -- part of my job  
8 is to figure out how to interface, build on  
9 top of those, layers on top of the file  
10 systems.

11 Q. You --

12 A. So I'm still --

13 Q. -- need to design the file system  
14 interface to the operating system?

15 A. Exactly. Yes. I mean, we have a  
16 set of requirements in Ada that the file  
17 system and the high level of extraction  
18 should look like this. We are presented with  
19 this, and this completely different stuff. I  
20 mean, let's say UNIX and DOS. Radically  
21 different -- well, not radically, but  
22 different in their view. VMX, radically  
23 different in its view.

24 So, we have all kinds of different  
25 operating systems, so we somehow have to map

1 - DR. DEWAR - CROSS -

2 the file system, extract file system view of  
3 Ada to all these different file systems.

4 So, while I didn't write all these  
5 different file systems, I have to understand  
6 them very well.

7 Q. You used them?

8 A. In addition, I've been involved in  
9 the distributed aspects of the Ada compiler,  
10 so I've -- I've written -- I've written and  
11 designed some of the critical components that  
12 relate to implementing distributed view.

13 And again --

14 Q. I'm listening.

15 A. Oh, and again, that's a case where  
16 we're building the Ada model on top of  
17 distributed capabilities. For instance, we  
18 have -- an implementation of Ada's view of  
19 the distributed systems on top of CORBA,  
20 C-O-R-B-A, Common -- I don't even know what  
21 it stands for. I can't.

22 Q. I know what you're talking about.

23 A. Okay. So, CORBA is an  
24 international standard for low-level data  
25 communication, and we build on top of that.



1 - DR. DEWAR - CROSS -

2 So I'm still answering your  
3 question so, by just checking everything else  
4 that's listed here. I can't necessarily  
5 be...

6 (Witness reviewing document.)

7 A. That's earlier, but I guess a  
8 little bit relevant is I was the author of  
9 the Spacemaker™ utility, it was actually  
10 featured on the cover of PC Magazine. It was  
11 the first compression utility for executable  
12 files on DOS, self-unpacking executable  
13 files.

14 So that's -- that's another place  
15 which I had to -- I mean, I very thoroughly  
16 understand the MS-DOS, PC-DOS file system.

17 Q. And which --

18 A. And in those days, when it was  
19 MS-DOS, PC-DOS, I pretty much knew every bit  
20 of that operating system.

21 Q. And what entry were you looking  
22 at?

23 A. That's 5e.

24 Q. So, I'm trying to circle the ones  
25 we were talking about. We had 5e, 3p.

1                   - DR. DEWAR - CROSS -

2                   They're not necessarily in the order --

3                   A.     3p.

4                   Q.     Not necessarily in the order you  
5                   mentioned them, it's just the way I circled  
6                   them.

7                   You had 5e, 3p, 3k.

8                   A.     Right. The whole --

9                   Q.     Number 9; number 11.

10                  A.     Pretty much everything in  
11                  Section 4. You know, I had said I wrote a  
12                  series of operating systems, so that's really  
13                  the whole of Section 4.

14                  And again, I'm not sure of the  
15                  termination dates of that work. I would have  
16                  to check. I think they're moderately  
17                  accurate the date ranges here. So let's see  
18                  what else.

19                  (Witness reviewing document.)

20                  A.     If we're thinking in terms of file  
21                  systems and communication systems and  
22                  distributed systems -- which I think come a  
23                  little bit related -- another relevant thing  
24                  would be 5a, again, earlier work in the  
25                  Incoterm days.

1 - DR. DEWAR - CROSS -

2 And that's -- these were to do  
3 with remote batch emulators, so the  
4 technology of the day, where you had remote  
5 terminals that were programmed to look like  
6 local card readers, really, for remote  
7 mainframes. But that involved understanding  
8 communication protocols of the day.

9 Q. Have you ever worked with Archie?

10 A. I never worked with Archie  
11 specifically.

12 Q. Or WAIS?

13 A. Or WAIS. That I remember. I  
14 mean, it doesn't -- those terms don't ring a  
15 bell, and I wasn't really -- although I was a  
16 very early extensive user of the Internet, I  
17 wasn't really in the business of fox'ing with  
18 Bulletin Board systems except in very  
19 specific cases, Bulletin Board systems that I  
20 was involved with.

21 So there were no general search  
22 capabilities of the kind that we have now  
23 with Google. And those would have been  
24 useful at the time.

25 Q. Right.

1 - DR. DEWAR - CROSS -

2 A. Very useful. But I wasn't really  
3 in the -- in the business of doing what I  
4 understand Archie to be doing, which is  
5 saying, Hey, do you know about any files that  
6 contain this keyword?

7 Q. Have you ever taught any classes  
8 whose primary subject was storage systems?

9 A. Many, many times. Well, Operating  
10 Systems classes where -- a major component of  
11 operating systems is the file system. So  
12 I've taught those courses forever.

13 Q. Does that have a number associated  
14 with it, like a course number, that you can  
15 think of?

16 A. Ooh. I don't -- I have a huge  
17 list somewhere of courses I've taught. I  
18 don't -- did I put that in this?

19 Q. If you don't remember the number,  
20 I'm just --

21 A. Well, I can tell you that I taught  
22 the -- both the graduate and undergraduate  
23 Operating Systems course at the Illinois  
24 Institute of Technology, and I taught both  
25 the undergraduates and graduate Operating

1 - DR. DEWAR - CROSS -

2 Systems courses at NYU.

3 And I taught many other related  
4 courses. I mean, I -- I was there for a long  
5 time. I was one of the main teachers. I  
6 taught pretty much every course in the  
7 catalog, and various of those courses have  
8 various intersections with file systems.

9 But certainly, the Operating  
10 Systems courses would go into file systems in  
11 great detail. And I taught a Microprocessors  
12 courses, the basis of my book. That also  
13 goes into some detail on files, on support,  
14 the hardware level of file system operation,  
15 so...

16 Q. When you taught your Operating  
17 Systems courses, do you remember what  
18 textbooks you used?

19 A. No. I didn't really use -- I  
20 mean, I would -- I would put some standard  
21 operating systems textbooks. I don't want to  
22 try and recall from memory, because it is  
23 just one of many course I taught.

24 I make those books, you know,  
25 available and say, "Oh, this is reading you

1                   - DR. DEWAR - CROSS -

2           should do." But I never really followed the  
3           textbook in any of my courses, except my  
4           course in microprocessors where I followed my  
5           own textbook. That was really the only  
6           course I taught where I followed a textbook.

7                   Otherwise, I taught -- you know, I  
8           taught a course where I created the -- I  
9           created the sequence of topics; I created the  
10          sequence of notes; I created the sequence of  
11          slides. I really never used a textbook very  
12          closely. I -- I more regarded textbooks as  
13          something a student should read to get  
14          another perspective of what's going on.

15                   Q.    Do you know the Peterson and  
16          Silberschatz textbook?

17                   A.    Yeah. I mean, it's a while since  
18          I looked at any textbooks. I mean, remember,  
19          I've been retired from the university for  
20          some time now so...

21                            But Peterson certainly. I'm  
22          not -- you know, it is interesting, I think  
23          Peterson had books on his own earlier than  
24          that. Vague memory.

25                   Q.    But it is certainly a known, well

1 - DR. DEWAR - CROSS -

2 regarded textbook?

3 A. It is certainly a known, yes.

4 Q. What about Tanenbaum, are you  
5 familiar with Tanenbaum's work?

6 A. He's a good friend, and I do  
7 know -- yes, I'm familiar with his books.

8 Q. And his Operating Systems textbook  
9 is well regarded as well?

10 A. Yes. And, in fact, I'm pretty  
11 sure that Tanenbaum was my primary  
12 recommended reading for one or more of my  
13 courses. I mean, I know that book too well  
14 for that not to be the case. I don't sit  
15 down and read textbooks except to see whether  
16 they's suitable for teaching a course.

17 Q. Have you ever designed a system  
18 that uses hashes to uniquely identify files?

19 A. I'm just trying to see if that  
20 ever came up. No, not on my systems.

21 Can I just add to a previous  
22 question? Because you're asking all my  
23 experience. I mean, I just want to say one  
24 other aspect of experience is legal cases  
25 that I've been involved in.

1 - DR. DEWAR - CROSS -

2 Q. Uh-hmm.

3 A. Like the -- the Boston case,  
4 Akamai and Digital Island was -- as we all  
5 know, you become sort of experts in specific  
6 areas.

7 And that's when I became, you  
8 know, very familiar with the whole business  
9 of -- the kind of business that Akamai is in,  
10 of content replication. And in fact, the  
11 '791 patent was in dispute there, the '791  
12 patent was in part of that case.

13 So, that's another situation in  
14 which, you know, I was a general expert going  
15 into that consulting experience. I was  
16 specific expert by the time I came out in  
17 understanding those content delivery systems  
18 very well.

19 Q. And that was in connection with  
20 the '791 and '280 patent, right?

21 A. I don't recall the '280 patent  
22 as--

23 Q. Let's just focus on the '791.

24 A. I think it was just 'the 791 that  
25 was on the table. It wasn't the primary



1 - DR. DEWAR - CROSS -

2 focus. The primary focus was patents on  
3 content delivery systems that were held by  
4 Digital Island and by Akamai. It was kind of  
5 a side thing, 'the 791.

6 So, my primary testimony was with  
7 respect to the main patents that were  
8 fighting one another on the -- on the whole  
9 issue of content delivery systems.

10 'The 791 was kind of on the side.  
11 It was one of those things where they were  
12 suing us for patent infringement. So, okay,  
13 you're infringing one of our patents, too, so  
14 we put...

15 Q. Let me get that straight.

16 In that lawsuit, were you employed  
17 or engaged by the owner of the '791 patent or  
18 was it the other way around?

19 A. Oh, by the owner, yes. Yes.

20 Q. And did you offer any opinions  
21 about the '791 patent?

22 A. I did.

23 Q. And do you remember whether you  
24 offered an opinion about the field of  
25 technology of the '791 patent?

1 - DR. DEWAR - CROSS -

2 A. Well, that certainly was a general  
3 background to the testimony. But the -- the  
4 more significant part of that testimony, and  
5 I'm reaching back to memory, was -- had to do  
6 with infringement, whether there was  
7 infringement.

8 Q. Whether who was infringing?

9 A. Whether -- we owned the patent.  
10 Whether Akamai was infringing.

11 Q. But in terms of that, you had to  
12 form an opinion about the patent, right?

13 A. Right.

14 Q. And do you remember what your  
15 opinion was about the field of technology for  
16 the '791 patent?

17 A. The field of technology? I mean,  
18 I was asked to specifically address claims of  
19 prior art and infringement. I mean, it --  
20 again, a little bit similar to this case with  
21 very specific focus. It said, these -- these  
22 are items of prior art that are alleged, are  
23 any of them -- you know, do any of them  
24 qualify as prior art?

25 Q. But you don't remember whether you

1 - DR. DEWAR - CROSS -

2 offered a specific opinion about the field?

3 If you don't, I'm sure --

4 A. No, I don't think -- I'm not even  
5 sure exactly what that would mean, so I -- I  
6 better say no to that since I don't quite  
7 understand the question, and I'm not sure  
8 it's worth pushing.

9 MR. DICHIARA: Okay. How about if  
10 we take a short break and see if we can  
11 wrap up after that?

12 (Whereupon, a recess was taken  
13 from 11:29 a.m. to 11:42 a.m.)

14 BY MR. DICHIARA:

15 Q. Doctor, are you ready?

16 A. Ready go back on.

17 Q. So, earlier in the deposition you  
18 told me that you had assumed the Board's  
19 claim construction in preparing your report?

20 A. Right. I was instructed to do  
21 that.

22 Q. So, you have no opinion one way or  
23 the other whether the Board's constructions  
24 are correct?

25 A. In a -- I wasn't asked to -- I

1 - DR. DEWAR - CROSS -

2 wasn't asked to answer that question  
3 specifically. It has come up in the  
4 discussions a couple of times that there's  
5 some dispute over the claims, so, I'm --  
6 claim constructions.

7 So I'm aware of some of the cases  
8 where -- and I thought, I think one came up  
9 in our testimony yesterday of the definition  
10 of "True Name", so I'm aware of that issue.

11 But I wasn't asked to second-guess  
12 the Board's construction.

13 Q. And you have no opinion one way or  
14 the other whether the Board's construction  
15 should be changed or supplemented in any way,  
16 right?

17 A. Well, I do have the one opinion  
18 that I think it's a mistake to ignore a  
19 paragraph 4 in the construction of "True  
20 Name", but it is not my job. You know, I  
21 have not been asked to do that job.

22 So, if you ask me, are there any  
23 cases that I know of where, if it was my job,  
24 I would dispute the claim constructions of  
25 the Board? The only case that I'm aware of,

1 - DR. DEWAR - CROSS -

2 I think -- it is not off the top of my head.  
3 The only case that I'm really aware of is the  
4 True Name's case.

5 Q. And the opinions that you  
6 expressed in your report, do they use your  
7 construction of "True Name" or the Board's  
8 construction?

9 A. Always the Board's construction.  
10 I always follow the constructions that I've  
11 been given.

12 Q. And you relied on the Board and on  
13 PersonalWeb's lawyers to identify the  
14 constructions you should use in evaluating  
15 the issues?

16 A. Right. They gave me a list of  
17 constructions. They said, "This is how the  
18 Board has construed these things." A couple  
19 of times, when we would discuss some of  
20 those, as I said, the only one that sticks in  
21 my mind from those discussions -- since those  
22 discussions weren't really relevant to my --  
23 to my job which -- but it was assuming those  
24 claim constructions. The only one that  
25 sticks in my mind is the "True Name", and its

1 - DR. DEWAR - CROSS -

2 not a huge issue in this context anyway.

3 Q. I'd like to ask a few further  
4 questions about your discussions with  
5 PersonalWeb's lawyers yesterday and today.  
6 Okay?

7 A. Yesterday and today?

8 Q. Right.

9 So you told me earlier that you  
10 had not had any discussions about the  
11 substance of the case --

12 A. Right.

13 Q. -- with PersonalWeb's lawyers?

14 A. We avoided that because we  
15 understood that that was, by agreement, not  
16 permitted.

17 Q. And since the deposition --

18 A. Frustrating, but we still -- we  
19 stuck to it.

20 Q. Since the deposition has started,  
21 have you had any discussion with Mr. Rhoa or  
22 Mr. Siritzky or any of the other lawyers  
23 about the deposition process in general?

24 A. Not -- the deposition process in  
25 general? You know, I think Mr. Rhoa had

1 - DR. DEWAR - CROSS -

2 reminded me on one occasion to pause before  
3 answering questions. Only at that level.

4 Q. Did he say anything else?

5 A. Not that I recall.

6 Q. And since the deposition started,  
7 have you had any discussions with any of  
8 PersonalWeb's lawyers about any of my  
9 questions?

10 A. No.

11 Q. And since the deposition has  
12 started, have you had any discussions with  
13 PersonalWeb's lawyers about approaches or  
14 strategies in answering questions?

15 A. No. Other than "pause before  
16 answering", and that...

17 Q. Okay. Have you had any  
18 discussions with any of PersonalWeb's lawyers  
19 about compressed or uncompressed ZIP files?

20 A. No. Again, you're always saying  
21 "since the beginning"--

22 Q. Not that question.

23 A. -- "of the deposition".

24 Q. Not that question. That question  
25 is more generic.

1 - DR. DEWAR - CROSS -

2 A. Have I had any discussions with  
3 PersonalWeb lawyers on the issue of  
4 compressed versus uncompressed files? Most  
5 certainly, yes.

6 Q. And during that discussion, did  
7 you discuss whether ZIP files include  
8 uncompressed files?

9 A. Right. Yes, we did.

10 Q. And was that the result reflected  
11 in your declaration when you said that ZIP  
12 files almost always are compressed?

13 A. The statement that ZIP files  
14 almost always are compressed comes from  
15 external knowledge and experience, not from  
16 the -- not from any discussions with the  
17 lawyers or not from any materials  
18 specifically here.

19 I mean, I've been working -- I've  
20 been working with ZIP files for 30 years.  
21 There is nothing -- they're a very well-known  
22 quantity to me.

23 Q. Have you ever worked with  
24 PersonalWeb before in any capacity?

25 A. No, I don't think so. I mean,



1 - DR. DEWAR - CROSS -

2 I -- you know, I tend to think more in terms  
3 of who. I've worked with Mr. Siritzky  
4 before, but I'm pretty sure at that time  
5 PersonalWeb wasn't in the picture.

6 Q. Do you have any understanding of  
7 PersonalWeb as a company, what they do or  
8 anything like that?

9 A. No. Well, I -- I understand  
10 generally that they're -- that it is a  
11 company that has to do with patents, but I --  
12 no, no more detailed knowledge than that.

13 Q. Have you worked with a company  
14 called Brilliant before?

15 A. Sorry?

16 Q. Have you worked with a company  
17 called Brilliant before?

18 A. How is that spelled, just  
19 B-R-I-L-L-I-A-N-T?

20 Q. I think so.

21 A. Not that I recall.

22 Q. And have you worked with a company  
23 called Digital Island before?

24 A. Digital Island I have. Digital  
25 Island was -- if I'm recollecting correctly,

1                   - DR. DEWAR - CROSS -

2                   was one of the litigants in the Akamai case.

3                   Q.     Have you worked with them in  
4                   anything other than in that case?

5                   A.     No.

6                   Q.     Do you know any of the founders,  
7                   officers, investors, employees or agents of  
8                   PersonalWeb?

9                   A.     I can't be sure because I don't  
10                  know who they are. But I would be pretty  
11                  sure no, and certainly not to my knowledge.

12                  Q.     Not to your knowledge.

13                  A.     Maybe I find out someone lives  
14                  next to me in Vermont, but it would be an  
15                  amazing coincidence.

16                  Q.     And how much have you invoiced or  
17                  expected to invoice for your work in this  
18                  case?

19                  A.     All right. I haven't invoiced  
20                  anything because I'm always lazy in getting  
21                  around to that, and I don't know how much I  
22                  expect to invoice because I haven't done the  
23                  invoice yet. But I've put a fair amount of  
24                  work over the last two months on this.

25                  Q.     Do you have a rough idea?

1 - DR. DEWAR - CROSS -

2 A. I guess that it will be, including  
3 or during this week, I guess it will be more  
4 than 100 or 200 hours.

5 Q. Hours you said?

6 A. Hours, yes. But that -- that  
7 really is a guess. As I do the -- as I go  
8 through all the -- I have records, but they  
9 need all assembling. I mean, it needs to be  
10 done urgently. In fact, you just reminded me  
11 that I should do that.

12 Q. Do you have any form of financial  
13 interest in PersonalWeb or the patents or any  
14 of the founders or investors?

15 A. No and no.

16 Q. And one more no?

17 A. I'm sorry. What?

18 Q. It was PersonalWeb?

19 A. No.

20 Q. The patents?

21 A. No.

22 Q. Or with any of the founders or  
23 investors?

24 A. No.

25 MR. DICHIARA: I believe we're

1 - DR. DEWAR - CROSS -

2 through with our cross-examination.

3 Per the agreement we had with  
4 Mr. Rhoa, you two, and the lawyers in  
5 general shouldn't talk to you about any  
6 testimony or any questions in case  
7 Mr. Rhoa is going to ask you any  
8 questions.

9 THE WITNESS: Okay.

10 MR. DICHIARA: This instruction  
11 stands until the end of the whole  
12 examination process.

13 THE WITNESS: So, the sequestering  
14 ends until he declares that he's  
15 finished?

16 MR. DICHIARA: And we might have  
17 an opportunity after that to do  
18 something called re --

19 THE WITNESS: Until you both agree  
20 that it is finished --

21 MR. DICHIARA: Yes.

22 THE WITNESS: -- then it is  
23 finished.

24 MR. DICHIARA: Yes.

25 THE WITNESS: Okay. I consider

1 - DR. DEWAR - REDIRECT -

2 myself under that constraint.

3 MR. RHOA: I have some questions.

4 REDIRECT EXAMINATION

5 MR. RHOA:

6 Q. Do you have any typos you want to  
7 correct in any of your declarations?

8 A. Oh, yes, there is one typo. Let's  
9 see if we can find it.

10 MR. DICHIARA: I'm going to just  
11 lodge an objection that it's outside  
12 the scope of the cross-examination.

13 THE WITNESS: But I should still  
14 answer, right?

15 MR. DICHIARA: It is just I have  
16 to --

17 THE WITNESS: Okay, fine.

18 (Witness reviewing document.)

19 A. I thought I had this tab. But the  
20 tab fell off.

21 (Witness reviewing document.)

22 A. It is very minor, it is not  
23 substantive, but it was a definite mistake.

24 (Witness reviewing document.)

25 A. Oh, maybe that's the tab. Ah,

1                   - DR. DEWAR - REDIRECT -

2                   okay.

3                   It is the declaration on the '544  
4                   patent. And this is in the section titled  
5                   "Kantor does not anticipate Claim 1." And in  
6                   paragraph 48 --

7                   MR. DICHIARA: Can I just ask -- I  
8                   was just trying to follow.

9                   Paragraph 48.

10                  A. Paragraph 48, the last sentence of  
11                  paragraph 48, that should -- this is all  
12                  talking and Kantor, and that should say  
13                  Kantor and not Woodhill.

14                  It is just -- it is sort of an  
15                  obvious slip from context without any great  
16                  significance. But that -- that's the only  
17                  thing I noticed in answer to your question,  
18                  so...

19                  Q. You remember talking about Langer  
20                  today?

21                  A. Yes.

22                  Q. Would one of ordinary skill of the  
23                  art at the time of the invention reading  
24                  Langer interpret the files in a package to be  
25                  compressed or uncompressed?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- DR. DEWAR - REDIRECT -

MR. DICHIARA: Objection.

Leading.

A. Compressed.

Q. Why?

A. Well, he talks --

MR. DICHIARA: Same objection.

A. He talks about uncompressing them, and he talks about the need to apply the code to the uncompressed file instead of the compressed file. So, he certainly has in mind, as we read Langer, that there's something to do when -- that step doesn't do nothing.

MR. DICHIARA: You spoke a little too soon for my objection.

THE WITNESS: I'm sorry.

MR. DICHIARA: I understand. But I was also going to object to it being outside the scope of the cross-examination.

Q. Does the same apply to Kantor?

MR. DICHIARA: Same objection.

A. Yes. The same applies to Kantor. Again, Kantor talks about the need to compute

1                   - DR. DEWAR - REDIRECT -

2                   on the basis of the uncompressed files, so he  
3                   clearly has in mind that he's dealing with  
4                   compressed files.

5                   And to -- I further formed that  
6                   opinion, based on the environment of very  
7                   slow communication lines, which are expensive  
8                   to use, everyone compressed.

9                   Q.    Do you remember talking about  
10                  Woodhill?

11                  A.    I do.

12                  Q.    Assume that a file stored in  
13                  Woodhill's backup server has ten binary  
14                  objects numbered 1 through 10.

15                  A.    Okay.

16                  Q.    When binary object number one is  
17                  going to be backed up and Woodhill was  
18                  deciding to back it up, can Woodhill tell  
19                  whether that binary object number 1 is in any  
20                  of the binary object numbers 2 through 10 of  
21                  that file at the backup server?

22                  A.    No.

23                  MR. DICHARA:  Objection.  
24                  Leading.

25                  A.    No.



1 - DR. DEWAR - REDIRECT -

2 Q. Let me rephrase that question.

3 A. Okay.

4 Q. You understand that hypothetical,  
5 correct?

6 A. I understand the hypothetical.

7 Q. When Woodhill is deciding whether  
8 to backup binary object number 1 of that  
9 file, can Woodhill tell whether that binary  
10 object number 1 is in any of binary object  
11 numbers 2 through 10 of that file at the  
12 backup server, or not?

13 MR. DICHIARA: Still leading.

14 Objection.

15 A. No.

16 Q. Why?

17 A. Because --

18 MR. DICHIARA: Still leading.

19 A. Because in Woodhill, all that ever  
20 happens is comparing the MD5 code of a binary  
21 object with a -- I'm sorry. Not the MD5.  
22 The hash code of a binary object with a hash  
23 code of the corresponding binary object in a  
24 corresponding file. It's a process:  
25 Compare 1 with 1, 2 with 2, 3 with 3, 4

1 - DR. DEWAR - REDIRECT -

2 with 4.

3 Q. Do you recall Exhibit 2 to your  
4 deposition?

5 A. Right, I do.

6 Q. And there was a file with first  
7 and second binary objects?

8 A. Right.

9 Q. Would the same apply there when  
10 the first was being backed up?

11 MR. DICHARA: Objection.

12 Leading.

13 A. Can you be a little more explicit  
14 rather than just saying "the same"?

15 Q. Given Exhibit 2 to your  
16 deposition, the file includes first and  
17 second binary objects, right?

18 A. It does, yes.

19 Q. When the first binary object is  
20 being backed up and Woodhill is deciding  
21 whether to back it up, can Woodhill tell  
22 whether that first binary object is in binary  
23 object number 2 of that file at the backup  
24 server or not?

25 A. No.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- DR. DEWAR - REDIRECT -

Q. Is that for the reasons you previously explained?

A. It's for the reasons --

MR. DICHIARA: Objection.

A. -- I previously explained.

Q. I'd like you to refer to the '791 patent, please.

A. Yes, I have it in front of me.

MR. DICHIARA: One second.

Q. Please turn to column 10, line 61 of the '791 patent.

(Witness complying.)

A. Column 10, line 61.

Q. And I would like you to review the '791 patent from column 10, line 61 through column 11, line 25.

MR. DICHIARA: Objection. Outside the scope of the cross-examination. No questions were asked about this part of the patent.

(Witness reviewing document.)

A. Okay. I've read that. I read that.

Q. Is the '791 patent here saying that the source is a location, or not?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- DR. DEWAR - REDIRECT -

MR. DICHIARA: Objection.  
Leading.

A. It is not.

Q. In column 10, line 61, it says, "A source table 130 identifies a source location." Do you see that?

A. Right.

MR. DICHIARA: Objection. Outside the scope and leading.

Q. What does that mean?

A. It means that the source table gives you the information to find that file.

Q. And is that source table in column 11, from lines 1 through 25?

A. Right.

MR. DICHIARA: Objection. Outside the scope.

THE WITNESS: I'm sorry.

A. Right. Yes.

Q. Are there any source types in that table?

A. Yes, there are.

MR. DICHIARA: Objection. Outside the scope.

1 - DR. DEWAR - REDIRECT -

2 Q. Where are they?

3 A. Removable storage volume, local  
4 region, cache server, mirror group server,  
5 cooperative server, publishing server,  
6 clients.

7 Q. I'd like you to refer to column 9,  
8 line 60 of the '791.

9 A. Yes.

10 Q. Do you see where it says "Source  
11 ID"?

12 A. Yes.

13 Q. Are those referring to sources or  
14 not?

15 MR. DICHIARA: Objection.

16 A. Yes.

17 Q. Are those related to sources or  
18 not?

19 A. They're related to sources.

20 Q. And this is in the True File  
21 Registry, right, or not?

22 A. Yes, this is in the True File  
23 Registry.

24 Q. Is a file name a physical  
25 location, or not?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- DR. DEWAR - RECROSS -

MR. DICHIARA: Objection.

Leading.

A. A file name is not a physical location.

Q. Does the '791 patent ever state that "filename" is a physical location, or not?

MR. DICHIARA: Same objection.

A. No, it does not.

Q. Would one of ordinary skill in the art reading the '791 patent think that "filename" is a physical location, or not?

MR. DICHIARA: Same objection.

Leading.

A. No.

MR. DICHIARA: And just for the record, I mean, presenting a question as a "yes" or "no" answer with that much specificity, I'm just going to be on the record, is a leading question.

MR. RHOA: No further questions.

MR. DICHIARA: I have two, I think two.

RECROSS-EXAMINATION BY

1 - DR. DEWAR - RECROSS -

2 MR. DICHIARA:

3 Q. Mr. Rhoa was just asking you about  
4 source IDs, right?

5 A. Right.

6 Q. And he was asking about the source  
7 table?

8 A. Right.

9 Q. That concerns where a file comes  
10 from, correct?

11 A. Right.

12 Q. It is not where the file is  
13 stored, right?

14 (Witness reviewing document.)

15 MR. RHOA: Objection. Form.

16 A. I don't understand the distinction  
17 you're drawing.

18 Q. The True File ID is the entity in  
19 the True File Registry which says where the  
20 file is stored?

21 MR. RHOA: Objection. Form.

22 (Witness reviewing document.)

23 A. I have you to say no because it is  
24 sufficient to use the file name. And the  
25 file name is enough to find the file, but it

- DR. DEWAR - RE-CROSS -

is not enough, for instance, for a user to tell where it is on the disk.

Q. When it says "disk location," that doesn't mean location to you?

A. No. I -- it's -- True File ID, in my interpretation, tells you how to find the information in the file.

Q. And it says it is sufficient to use a file name?

A. And it's sufficient to use a file name.

MR. DICHIARA: No further questions.

MR. RHOA: He's going to read and sign. Thank you.

(Time noted: 12:06 p.m.)


*Robert B. K. Dewar*

ROBERT B. K. DEWAR, Ph.D.

Subscribed and sworn to before me, this 1 day of Oct, 2013.

*Notary Signature*

Notary Public



VERTENT REPORTING COMPANY



C E R T I F I C A T E

STATE OF NEW YORK )

) ss.:

COUNTY OF KINGS )

I, MAYLEEN AHMED (CINTRÓN), a Registered Merit Reporter, Certified Realtime Reporter and Notary Public within and for the State of New York, do hereby certify:

That ROBERT B.K. DEWAR, Ph.D., the witness whose deposition is hereinbefore set forth, was duly sworn by me, and that such deposition is a true record of the testimony given by such witness.

I further certify that I am not related to any of the parties to this action by blood or marriage; and that I am in no way interested in the outcome of this matter.

IN WITNESS WHEREOF, I have hereunto set my hand this 27th day of September, 2013.

MAYLEEN AHMED (CINTRÓN), RMR, CRR, CLR

ERRATA SHEET  
VERITEXT REPORTING COMPANY  
1250 BROADWAY  
NEW YORK, NEW YORK 10001  
212-279-9424

NAME OF CASE: EMC CORP. VS. PERSONALWEB  
DATE OF DEPOSITION: SEPTEMBER 26, 2013  
NAME OF DEponent: ROBERT B.K. DEWAR, Ph.D.

6	PAGE	LINE(S)	CHANGE	REASON
7	310	17	insert "not" before "quite"	
8	314	24	replace "I'm not being" with "I was not"	
9	315	3	replace "extensibility" with "extensively"	
10	327	16	insert "thing" after "good"	
11	343	16	delete "high"	
12	355	6	replace "some" with "one"	
13	386	16	replace "or" with "in"	
14	387	13	replace "posit" with "pose"	
15	394	4 and 5	replace "in" with "of"	
16	401	16-17	replace "files operation" with "file operations"	
17	402	7	replace "index" with "indexed"	
18	402	9	replace "ISM" with "ISAM"	
19	407	22	replace "vms" with "VMS"	
20	427	4	replace "100 or 200" with "100 but less than 200"	
21	430	12	replace "and" with "about"	

*Robert B.K. Dewar*  
ROBERT B.K. DEWAR, Ph.D.

SUBSCRIBED AND SWORN TO BEFORE ME  
THIS 10th DAY OF Oct, 2013.

*Notary Public* 10-12-13  
MY COMMISSION EXPIRES:

NOTARY WEDDOW  
Notary Public - State of New York  
NO. 2188168807  
Qualified in Kings County  
My Commission Expires 10-12-15