

BEFORE THE PATENT TRIAL AND APPEAL BOARD IN THE
UNITED STATES PATENT AND TRADEMARK OFFICE
TRIAL NOS.: IPR 2013-00082 through 2013-00087
PATENT NOS.: 5,978,791; 6,415,280; 7,945,544;
7,945,539; 7,949,662; 8,001,096

PATENT OWNERS: PERSONALWEB TECHNOLOGIES, LLC
& LEVEL 3 COMMUNICATIONS

Certified Copy

PETITIONER: EMC CORPORATION & VMWARE, INC.

INVENTOR: DAVID A. FARBER and RONALD D. LACHMAN


DEPOSITION OF
DOUGLAS W. CLARK, PH.D.

October 24, 2013

9:20 a.m.

Wilmer Cutler Pickering Hale And Dorr LLP
60 State Street
Boston, Massachusetts

Reporter: Rosemary F. Grogan, RPR, CSR No. 112993



1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

APPEARANCES

On Behalf of the PATENT OWNER:

By: Joseph A. Rhoa, Esquire

NIXON & VANDERHYE, P.C.

901 N. Glebe Road

Arlington, VA 22203

703-816-4043

jar@nixonvan.com

On Behalf of EMC CORPORATION and VMWARE, INC.:

By: Cynthia D. Vreeland, Esquire

By: Peter M. Dichiaro, Esquire

By: Tyler Lacer, Esquire (afternoon session)

WILMER CUTLER PICKERING HALE AND DORR LLP

60 State Street

Boston, MA 02109

617-526-6000

cynthia.vreeland@wilmerhale.com

peter.dichiaro@wilmerhale.com

tyler.lacey@wilmerhale.com

1 INDEX OF EXAMINATION

2 WITNESS: DOUGLAS W. CLARK, PH.D.

3 CROSS-EXAMINATION PAGE NO.

4 By Mr. Rhoa 4

6 REDIRECT EXAMINATION

7 By Mr. Dichiaro 119

10 INDEX TO EXHIBITS

11 CLARK REPLY EXHIBIT

12 NUMBER DESCRIPTION PAGE NO.

13 Exhibit 1 Webpage Merriam-Webster 55

14 "Metadata" Definition

15 Exhibit 2 Webpage Webopedia.com 56

16 "Metadata" Definition

17 Exhibit 3 Excerpt from American 109

18 Heritage Dictionary

19 "Collection" Definition

20

21 (Original exhibits were retained by Attorney Rhoa)

22

1 DOUGLAS W. CLARK, PH.D., having been
2 satisfactorily identified by the production of a
3 driver's license, and duly sworn by the Notary Public,
4 was examined and testified as follows:

5

6 CROSS-EXAMINATION

7 BY MR. RHOA:

8 Q. Would you please state your name and address.

9 A. Douglas Clark, 2215 St. James Place,
10 Philadelphia --

11 Q. Sorry. Philadelphia?

12 A. Yes.

13 Q. Are you the same Douglas Clark who was deposed
14 earlier in these six IPR proceedings?

15 A. I am.

16 Q. And that earlier deposition was in July of
17 2013; is that right?

18 A. Yes.

19 Q. I'm going to hand you some documents, and I
20 will identify what I'm going to hand you on the record.
21 The first is your reply declaration in IPR 2013-00082;
22 then next is your reply declaration in IPR 2013-00083;

1 next is your reply declaration in IPR 2013-00084; next
2 is your reply declaration in IPR 2013-00085; next is
3 your reply declaration in IPR 2013-00086; and then we
4 have your reply declaration in IPR 2013-00087.

5 And we're not going to mark these as
6 separate exhibit numbers because each of these already
7 has an exhibit number on it. For instance, your reply
8 declaration in IPR 2013-00082 is identified as
9 Exhibit 1081.

10 Do you have your six reply declarations
11 in front of you?

12 **A. I do.**

13 Q. Are these the reply declarations that you
14 signed in these six IPRs?

15 **A. I will refrain from checking every page, but I
16 believe they are.**

17 Q. Next, I would like to hand you U.S. Patent
18 No. 5,649,196 to Woodhill, W-O-O-D-H-I-L-L, which is
19 identified as Exhibit 1005.

20 Do you have Woodhill in front of you?

21 **A. I do.**

22 Q. Is this the Woodhill document that you

1 referred to in your reply declarations?

2 **A. It is.**

3 Q. Next, I would like to hand you Exhibit 1004,
4 also known as Kantor, K-A-N-T-O-R.

5 Do you have Exhibit 1004 to Kantor in
6 front of you?

7 **A. Yes.**

8 Q. Is this the Kantor document that you referred
9 to in your reply declarations?

10 **A. It appears to be.**

11 Q. Any reason to believe that it's not?

12 **A. No.**

13 Q. Next I'm going to hand you a copy of U.S.
14 Patent No. 5,978,791 which is marked Exhibit 1001 in
15 IPR 2013-00082. Is this the '791 patent that you
16 referred to in at least one of your reply declarations?

17 **A. Yes.**

18 Q. Who drafted your six reply declarations?

19 **A. The drafting was done by me and the attorneys,
20 but the opinions represented in the drafts are all mine.**

21 Q. Who prepared the first drafts of each one?

22 **A. The very first drafts were the attorneys.**

1 Q. How many drafts did you remember exchange with
2 the attorneys?

3 A. The process was not so organized as that. It
4 was more -- there weren't like numbered drafts. There
5 were edits going back and forth and there were phone
6 conversations. And it was somewhat more haphazard then,
7 you know, first draft, second draft, final draft.

8 Q. Did the attorneys email you drafts and you
9 would redline them and email back to them?

10 A. Yes and vice versa.

11 Q. Which attorneys were emailing you drafts?

12 A. Mr. Dichiaro, Mr. Lacey.

13 Q. How do you spell that?

14 A. L-A-C-E-Y. I am blanking on Corey's last name
15 but his first name is Corey. And I do not know Andrea's
16 last name at all, but his first name is Andreas (sic).

17 Q. Do you still have copies of those emails?

18 A. I have at least some. I'm not sure I have
19 all.

20 Q. You didn't delete any of those, did you?

21 A. I might have.

22 Q. How much time did you spend working on your

1 six reply declarations?

2 A. So ballpark, more than 10 hours each. I would
3 say less than 30 hours each and different amounts for
4 different ones.

5 Q. Have you been retained by any company other
6 than EMC or VMware in connection with any of the patents
7 involved in these IPR s?

8 MR. DICHIARA: Objection, outside the scope.

9 A. I have not. Beg your pardon. Wait.

10 NetApps --

11 Q. Anyone else?

12 MR. DICHIARA: I'm not sure if finished the
13 first answer before the second question came in.

14 A. So NetApps, I had like a two-hour retention
15 which I think we discussed last time, and I forget when
16 that was; some time in the spring.

17 Q. Anyone else?

18 A. No.

19 MR. DICHIARA: Same objection.

20 BY MR. RHOA:

21 Q. What did you do to prepare for this
22 deposition?

1 A. I -- let's see. On my own, I reviewed the
2 declarations and the patents and the references. I had
3 phone conversations with the attorneys and the most
4 intense work has been in the last day I arrived on
5 Tuesday and we spent sometime Tuesday night and then all
6 of yesterday.

7 Q. Who did you meet with?

8 A. I met with -- I know what you mean.
9 Mr. Dichiarra and Miss Vreeland and Mr. Lacey.

10 Q. Are you taking any medications today that
11 could affect your testimony?

12 A. So as I have -- may have explained last time,
13 I -- I have type one diabetes. I take insulin. And I
14 doubt that will affect my testimony. It's very unlikely
15 but it's not completely impossible.

16 Q. So in these six IPRs, we have one IPR for the
17 '791 patent, one for the '280 patent, one for the '544
18 patent, one for the '539 patent, one for the '662
19 patent, and one for the '096 patent, right?

20 A. Yes.

21 Q. Is it your understanding that the
22 specifications of all six of those patents are the same?

1 **A. Yes.**

2 Q. If I refer to True Name patents, will you
3 understand that I'm talking about those patents?

4 **A. Yes.**

5 Q. In the specifications of these patents,
6 there's reference to filenames, addresses, origins,
7 things like that, right?

8 MR. DICHIARA: Objection.

9 **A. I'm not positive about origins, but I believe**
10 **you.**

11 Q. Do you have the '791 patent in front of you?

12 **A. I do.**

13 Q. Please refer to the '791 patent at column 3,
14 lines 29 through 35 in the Summary section. Are you
15 there?

16 **A. Yes.**

17 Q. Can you briefly read lines 29 through 35 and
18 tell me when you've read them?

19 (Witness doing as requested)

20 **A. I have read them.**

21 Q. So the specification refers to a data item,
22 correct?

1 **A. Yes.**

2 Q. The specification also refers to a name,
3 origin, location and address, correct?

4 **A. Yes.**

5 Q. Is the name part of the data item?

6 **A. No.**

7 Q. Is the origin part of the data item?

8 **A. No.**

9 Q. Is the location part of the data item?

10 **A. No.**

11 Q. Is the address part of the data item?

12 **A. No.**

13 Q. Is there a difference between determining
14 something and saving something?

15 MR. DICHIARA: Objection, outside the scope.

16 **A. I do not understand the question.**

17 Q. Do you have an understanding of what the word
18 "determining" means?

19 MR. DICHIARA: Same objection, outside the
20 scope.

21 **A. In any particular context?**

22 Q. In the context of the technology involved here

1 with these patents and the art cited?

2 MR. DICHIARA: Same objection.

3 A. So I think at least one of the patents uses
4 the word "determining" in the claims. And "saving," the
5 word seems so ordinary and -- and not the same.

6 I'm not I'm not following the question.

7 Q. Is there a difference between determining
8 something and saving something?

9 MR. DICHIARA: Same objection, outside the
10 scope.

11 A. I need more context.

12 Q. Would there be a difference between
13 determining a data item and saving a data item?

14 MR. DICHIARA: Same objection.

15 A. Determining a data item? So first, I don't
16 understand what determining a data item might mean. So
17 I guess I'm not able to answer the question whether
18 there's a difference between determining and saving.

19 Q. Would there be a difference --

20 MR. DICHIARA: Can he finish the answer?

21 Thanks.

22 Were you finished? Sounded like you were

1 still going. Sorry.

2 BY MR. RHOA:

3 Q. Would there be a difference between
4 determining data and saving data?

5 MR. DICHIARA: Same objection, outside the
6 scope.

7 **A. So again, I do not know what "determining
8 data" might mean. I need more context.**

9 Q. What's your understanding of saving?

10 MR. DICHIARA: Same objection, outside the
11 scope; vague.

12 **A. Very generally, keeping somehow.**

13 Q. Is it safe to say the ordinary meaning of
14 saving is different than the ordinary meaning of
15 determining?

16 MR. DICHIARA: Same objection, outside the
17 scope; form.

18 **A. So I'm sure if one opened a dictionary and
19 looked up "determining" and looked up "saving," you
20 would see different definitions, so yes.**

21 Q. Is it safe to say the ordinary meaning of
22 copying something is different than the ordinary meaning

1 of determining something?

2 MR. DICHIARA: Same objections.

3 A. Scoping and saving, ordinary meaning? So I
4 may make a copy of a document by sticking it in a copy
5 machine. Then I would have a copy. I might save a
6 document by putting it -- it in a desk drawer. Save it,
7 I might save it by putting it -- yeah, putting it in a
8 file, file cabinet, or something like that.

9 So I think they're different, ordinary
10 meanings.

11 Q. Do you remember referencing the MULTIS,
12 M-U-L-T-I-S, lists from the Kantor document?

13 A. Yes.

14 Q. Is the MULTIS list for allowing a user to
15 choose which duplicate to save or delete?

16 A. I think that's generally right.

17 Q. Do you recall stating that in one of your
18 reply declarations?

19 A. I don't recall that exact phrase, but I'm sure
20 there's something like that in there.

21 Q. If you can refer to the -- refer to your reply
22 declaration regarding the '096 patent at page 17.

1 (Witness doing as requested)

2 BY MR. RHOA:

3 Q. Are you there?

4 A. Yes.

5 Q. You see on lines 2, 3, and 4, you state that
6 "the user chooses which duplicates to save or delete
7 from the MULTIS file"?

8 A. I see that.

9 Q. So the MULTIS list could be used for saving or
10 deleting, right?

11 A. Yeah. So the way it works is the MULTIS list
12 is -- presents a group of files that are -- have
13 identical content, but perhaps different names. And the
14 user has the ability to signal the deletion of -- the
15 user's intention to have deleted certain of those copies
16 and not certain others of those copies.

17 So in that sense, the ones -- the ones
18 that are deleted are deleted. And the ones that are not
19 deleted are saved. So it's saved in the sense of
20 rescued, I guess, not deleted.

21 Q. A user can mark items on the MULTIS list with
22 a D, right?

1 **A. Yes.**

2 Q. Can one looking at the MULTIS list tell
3 whether files marked with a D have been deleted?

4 **A. One could not tell only by looking at the**
5 **MULTIS list whether a file had -- had yet been deleted.**
6 **One learns from the MULTIS list the intention for future**
7 **deletion, but not a record of the -- of any actual**
8 **deletion.**

9 Q. Does marking a file on the MULTIS list with a
10 D necessarily mean that that file will be automatically
11 deleted?

12 **A. No. The file is deleted by running another**
13 **command to actually go and do the dirty work of**
14 **deletion.**

15 Q. And that other command doesn't automatically
16 run simply by marking a file with a D, right?

17 **A. That is right.**

18 Q. So it's entirely possible that if a file gets
19 marked with a D in the MULTIS list, that it may, for one
20 reason or another, not end up getting deleted?

21 MR. DICHIARA: Objection.

22 **A. It would not -- it would be peculiar for the**

1 user to mark the files for deletion and then,
2 essentially, forget to delete them. Everything is
3 possible. People can make mistakes.

4 But that's the way the system is supposed
5 to work. If you mark them with a D, then you're
6 supposed to delete them.

7 Q. You think it would be peculiar for a user to
8 change his or her mind about something?

9 MR. DICHIARA: Objection, vague; outside the
10 scope.

11 A. I assume you mean in the morning, mark
12 something with a D, and then an hour later, say, Oh, no,
13 I want -- I really meant that other file to be marked
14 with a D before running the actual delete command? Is
15 that a scenario you're --

16 Q. That's one possible scenario.

17 A. So I --

18 MR. DICHIARA: Objection, vague, outside the
19 scope.

20 A. These are actions undertaken by humans, and I
21 assume people can change their minds in that sense,
22 sure.

1 Q. So it's entirely possible that if a file gets
2 marked with a D on the MULTIS list, it doesn't
3 necessarily mean that that file is going to be deleted,
4 right?

5 MR. DICHIARA: Same objections.

6 A. Under your changing-one's-mind scenario,
7 then -- then -- then that's true. The user could make
8 another decision before running the delete command. I
9 would call that, though -- actually, I would say that
10 that --

11 I'm imagining the user faced with a list
12 of -- list of files, maybe a big list of files, and
13 sticks some Ds in. And maybe goes to lunch and comes
14 back and sticks some more Ds in. And, you know,
15 reconsiders a previous decision and moves his Ds around.
16 I could see a lot of scenarios like that.

17 I would think that when the user runs the
18 actual delete command is when the user is kind of
19 committed to the Ds in the MULTIS file. It's like a
20 work in progress until that point.

21 Q. What is the deletion command that you're
22 referring to?

1 A. I cannot recall it exactly. I would need to
2 search.

3 Q. Is it on page 190 of Kantor?

4 MR. DICHIARA: Just for a break here.

5 (Interruption from door)

6 (Off Record Discussion)

7 (Record Read)

8 A. I see the command on page 190 of Kantor. I'm
9 not sure it's not in other places too.

10 Q. What is that command called?

11 A. He names everything after himself; fwkc17d,
12 all lower case.

13 Q. So when the user hits that command, that
14 causes the files that were marked with D to be deleted,
15 right?

16 A. Yes.

17 Q. Does the MULTIS list itself delete files?

18 A. No. The MULTIS list is a record of -- well,
19 the list that is produced by the software is just a list
20 of the -- of the repeated contents signatures in the --
21 in the big database, in the CS list. And then a person
22 annotates it with Ds to -- to instruct -- well, to give

1 input to the command that the user later runs to
2 actually do the deletion.

3 So it's not right to say the list itself
4 does any deletion.

5 Q. After a user runs fwkc17d to delete files, is
6 the MULTIS list changed to reflect those deletions?

7 A. I do not know. I could see it -- it going
8 either way. If you -- if there's not an automatic
9 removal of the -- I -- I guess it would be removal of
10 the filename with the D --

11 Q. Is there any --

12 MR. DICHIARA: I'm not sure if he was finished
13 with his answer.

14 A. And so I can see how it wouldn't be harmful to
15 leave the MULTIS list alone because after you've done
16 the round of deletion, you're kind of done with the
17 MULTIS list. That's what it was for. Next time you run
18 the program that generates the MULTIS list, you'll get a
19 fresh one.

20 So leaving it around seems harmless. I
21 can also imagine it might have been -- there might have
22 been some automatic removal of the -- of the deleted

1 files from the MULTIS list.

2 Q. Does Kantor describe either of those
3 scenarios?

4 A. So that, I do not know.

5 Q. You're not aware of any description in Kantor
6 that describes modifying the MULTIS list after a
7 deletion occurs?

8 A. I would like just to check my declarations, if
9 that's all right?

10 Q. Sure.

11 (Witness reviewing)

12 THE WITNESS: So I'm ready for the question to
13 come again.

14 BY MR. RHOA:

15 Q. You're not aware of any description in Kantor
16 that describes modifying the MULTIS list after a
17 deletion occurs, question mark?

18 A. That's correct.

19 Q. So as far as you're concerned, Kantor does not
20 describe that happening?

21 A. So I'm not aware of that happening, but it's a
22 big volume and -- and maybe there's something in there.

1 Q. As you sit here today, you're not aware of any
2 description in Kantor of modifying the MULTIS list after
3 deletion?

4 A. After the deletion command has been run, I'm
5 not aware of a description like that.

6 MR. RHOA: Can we go off the record for a
7 second?

8 (Off Record Discussion)

9 BY MR. RHOA:

10 Q. Is there an Exclude feature in Kantor?

11 A. Yes, there is.

12 Q. Does the Exclude feature in Kantor work
13 together with or separate from the MULTIS feature in
14 Kantor?

15 A. Let's see. So I would say separately. The
16 Exclude feature let's you mark files in the CS list, the
17 main database, that you wish to be permanently banned
18 from this system. So that anything with a matching
19 contents signature that anybody attempts to upload,
20 can't be uploaded. It's like a black list.

21 So that works with the CS list. I would
22 think the MULTIS list is a different feature allowing

1 deletion of duplicates not banning forever of a
2 particular file.

3 Q. With the Exclude feature, you say that allows
4 you to mark a file in the CS list, right?

5 A. Yes.

6 Q. In the Exclude feature, when you mark a file
7 in the CS list, what do you mark it with?

8 A. I think it's X. Are you okay with I think --

9 Q. Sure.

10 A. -- because I could check?

11 Q. Sure. That's fine.

12 In the Exclude feature of Kantor, when
13 you mark a file in the CS list with an X, that file that
14 you've marked does not get excluded, does it? It's
15 future files that would be excluded?

16 A. No. So my understanding is that when you mark
17 a file with an X, that means you don't want any of these
18 in this list ever. You keep the entry so that future
19 attempts to upload things with the same contents
20 signature will fail.

21 But you also don't want the one you have
22 right now because it might be full of malware or

1 something. You want to exclude everybody including that
2 one.

3 Q. So what happens to the file you marked with an
4 X, in your opinion?

5 A. So that file is excluded also --

6 Q. Where does Kantor say that?

7 MR. DICHIARA: Let him finish the first
8 answer.

9 A. So it wouldn't make sense. The whole feature
10 wouldn't make sense to allow a -- a -- a file like that
11 to survive in the system.

12 What survives is the flag in the -- not
13 the flag. The line in the CS list that signals that no
14 future attempt to upload this file will be allowed.

15 Q. Isn't the purpose of the Exclude feature to
16 prevent duplicate files from being uploaded?

17 MR. DICHIARA: Objection.

18 A. No. It is to prevent -- it is to ban,
19 effectively, a certain -- a file -- file contents -- let
20 me back up.

21 It is to ban permanently any file that
22 has a certain contents signature.

1 Q. Where does Kantor say that the file that you
2 marked with an X is excluded as opposed to future files
3 with the same signatures?

4 MR. DICHIARA: Objection, form.

5 A. So I will look at my declaration and look at
6 Kantor, but it -- even -- it's got to be in there some
7 place. The system has to work like that because it just
8 wouldn't make sense otherwise.

9 Q. Please look.

10 (Witness reviewing)

11 A. So I was not able to find a description of
12 that. Back up and -- let's back up to the question,
13 actually.

14 Q. Where does Kantor say that the file you marked
15 with an X in the CS list is excluded as opposed to
16 future uploaded files being excluded?

17 A. So I'm going to -- I'm going to assume that
18 you mean actually the file in the list got deleted. I
19 don't want to say because excluded seems to be a term --
20 a -- I don't want to use -- back up.

21 I understand the issue to be whether
22 excluding a file that's in the CS list, namely which

1 exists in your system, causes the deletion of that file,
2 as well as the prohibition of uploading matching
3 contents signatures in the future.

4 And you were asking me to find support
5 for my view in the document. And my answer is, in part,
6 that I kind of don't need to because it's got to be in
7 there some place. It's got to work like that because it
8 doesn't make sense otherwise to have a file that, you
9 know, could have -- have a virus or whatever in your
10 system. That is not sensible.

11 I did find a suggestion in support of
12 that, although it does seem kind of obvious, that on
13 page 117, there's a way to send an excluded list to
14 another system. So that's contemplating putting a list
15 of -- of entries in -- a list of recommended exclusions
16 in the CS list format into a CS list in another system.

17 So that would establish the situation in
18 another system, where there was a line in the CS list
19 with an X, but no file, unless they already had a file.

20 MR. DICHIARA: Off the record.

21 (Off Record Discussion)

22 BY MR. RHOA:

1 Q. Aren't the exclusions on page 117 referring to
2 things that were excluded when they were attempted to be
3 uploaded and there was a match found?

4 MR. DICHIARA: Objection, outside the scope,
5 form.

6 (Witness reviewing)

7 A. So can I get the question again, please?

8 Q. How do you know the exclusions on page 117
9 aren't future uploads that matched and were therefore
10 excluded?

11 MR. DICHIARA: Objection, outside the scope --

12 A. So I'm interpreting the passage --

13 MR. DICHIARA: -- form.

14 A. -- the second half of page 117, as a
15 suggestion that you can send a list of recommended --
16 essentially recommended exclusions to others. It says
17 make a file available to others. And they can load it
18 into their systems.

19 And I -- I cited that only for support
20 that it made sense to have a line that says "exclude"
21 without having the file. So that would be the
22 situation -- that would be the result of a deletion of

1 an existing file when someone decides to put the X in.

2 Q. Let's take a look at page 81 of Kantor. The
3 Exclude feature is discussed there, right?

4 A. Yes.

5 Q. Doesn't page 81 of Kantor state that "future
6 uploads of the same material can then be quickly and
7 automatically recognized as redundant or duplicate"?

8 A. I'm not seeing that. Can you just point me to
9 where on the page?

10 Q. Bottom paragraph of page 81.

11 (Witness reviewing)

12 A. So I interpret the bottom paragraph of page 81
13 to apply to the heading in the margin that says
14 exclamation point capital N and not to the excludes
15 thing in the margin. I think that ends in the line
16 remarking material for exclusion, C all caps exclude, et
17 cetera.

18 I think that's just describing some
19 different thing and not the Exclude feature.

20 Q. Does Kantor ever describe deleting a file that
21 is marked with an X?

22 MR. DICHIARA: Objection, scope, form.

1 A. So I've already said that I'm not aware of a
2 place where he describes deleting that exact file when
3 you put the X in there, as a consequence of putting the
4 X in there, although that must be what happens.

5 Is that what you meant?

6 Q. Why do you say "that must be what happens"?

7 A. The feature does not make sense otherwise.

8 Q. Isn't it true that a file is excluded only if
9 a match is found?

10 A. File is --

11 MR. DICHIARA: Objection --

12 A. -- is --

13 MR. DICHIARA: -- form, scope.

14 A. -- I would say that a -- I would put it this
15 way: That an attempted upload is -- is forbidden, which
16 I think is the meaning of excluded. Is forbidden if
17 it's contents signature matches a line that's marked
18 with an X in the CS list.

19 Q. Right. So you have to, according to the
20 Exclude feature, you have to do a comparison in order to
21 exclude something that's trying to be uploaded?

22 MR. DICHIARA: Objection, scope, form.

1 A. You -- you -- I -- I think that's about right.
2 I would say that the upload prohibition occurs because
3 your contents signature, the uploader's contents
4 signature matched and excluded contents signature.

5 Q. Is there any description in Kantor of deleting
6 a file under this Excludes feature without doing a
7 comparison?

8 MR. DICHIARA: Objection, scope, vague.

9 A. So under that feature, you -- that matching is
10 required. So if by comparison you mean the comparison
11 of the two contents signatures, then that wouldn't work.
12 You would have to look at the two contents signature and
13 they have to match.

14 Q. So if you deleted the file that had the X and
15 it wasn't in the system anymore, how could you do a
16 comparison?

17 A. The line stays in the CS list. It's like a
18 warning. You delete the file, but you don't delete the
19 line in the CS list.

20 Q. You can't find anything in all 225 pages of
21 Kantor that describes deleting a file when you mark it
22 with an X?

1 MR. DICHIARA: Objection, mischaracterizes.

2 If you want to look through all 225 pages to answer
3 that question, be my guest --

4 **A. I'm --**

5 MR. DICHIARA: Let me just finish the
6 objection. Just for the purpose of that question
7 is mischaracterizing his testimony.

8 **A. So I can't speak for all the pages. I didn't**
9 **look for that in particular. I don't really need to**
10 **look for that in particular because it must be in there**
11 **some place. The feature does not make sense.**

12 **Again, it does not make sense if you**
13 **leave the file around.**

14 **Q: You don't think it makes sense to have an**
15 **Exclude feature to prevent duplicate files from being**
16 **uploaded? You don't think that makes sense at all in**
17 **what Kantor's goal is?**

18 MR. DICHIARA: Objection, mischaracterizes his
19 testimony, scope, and form.

20 **A. So that is not my understanding of the purpose**
21 **of the Exclude feature and Kantor has other ways to**
22 **remove duplicates.**

1 Q. What is your understanding of the purpose of
2 the Exclude feature then?

3 A. It is, as I've said a few times now, banning
4 permanently any file whose contents signature matches
5 the excluded one including the one you now have at the
6 time -- well, sorry. Sorry. Right.

7 At the time you exclude by marking the X,
8 that file is around because it's in the CS list that
9 exists in your system. You mark the X. And not only
10 are future uploads of matching -- files with matching
11 contents signatures forbidden, but the one you have is
12 deleted.

13 Q. And where does Kantor say this?

14 A. So --

15 MR. DICHIARA: Same objections as before.

16 A. -- we have been over this. I think it is
17 likely in there somewhere. On the other hand, the
18 meaning of exclude seems so clear to me that maybe it --
19 it isn't because maybe he doesn't need to be so
20 specific.

21 Q. Where does Kantor say that you permanently ban
22 files marked with an X?

1 A. That's -- that's my -- my, I guess, florid
2 interpretation. I guess it's just a way of saying
3 exclude. It's like a blacklist.

4 MR. DICHIARA: Just for the record, when you
5 said, I guess that's my?

6 THE WITNESS: Florid.

7 BY MR. RHOA:

8 Q. This goal that you're talking about of the
9 Exclude list, where's that in Kantor?

10 A. What do you mean "goal"?

11 Q. What do you think the goal of the Exclude list
12 is? I'm sorry. What do you think the goal of the
13 Exclude feature is in Kantor?

14 A. To make it impossible for the files with that
15 same contents signature to exist in the system.

16 Q. Impossible?

17 A. Impossible.

18 Q. So if it's marked with a S, it won't stay
19 either?

20 MR. DICHIARA: I think you said S.

21 MR. RHOA: Right.

22 A. Marked with an S?

1 Q. What happens when there's a match found in the
2 Exclude feature?

3 A. So I'm -- I assume you mean an attempted
4 upload of an excluded file matches -- well, you know
5 it's excluded because its content signature matches the
6 contents signature in the line marked X.

7 And while I don't know the details, I
8 know that the intent is that that file is not allowed in
9 the system.

10 Q. Is it automatically deleted, in your opinion?

11 A. I don't -- whatever partial remnants or copy
12 or whatever exists in the course of the attempted
13 upload, that goes away, but I don't know the details of
14 that.

15 Q. Please go to page 103 of Kantor.

16 A. Okay.

17 Q. Top half, do you see the word "excluded"?

18 A. Yes.

19 Q. What does "if not S then zipfile deleted"
20 mean?

21 MR. DICHARA: Objection, misstates.

22 A. So I will need to study to give a precise

1 answer, but I assume that "if not S" means if there's
2 not an S already in the line or something like that.

3 Q. And if there was an S in the line, would it be
4 deleted or not?

5 A. So I need more context. I mean I don't --
6 this is a list of things, and one of things is excluded.
7 But I don't know what they're talking about actually in
8 this section, so I need to investigate.

9 Q. Didn't you say that if there is a match, it
10 was automatically deleted?

11 (Witness reviewing)

12 A. Yes, I did.

13 Q. You stand by that?

14 A. So I described the scenario of an exclude --
15 the attempted uploading of an excluded file. And when
16 you match, in the contents signature matches, you were
17 not -- you were not allowed to be uploaded.

18 And whether that involves deleting
19 some -- some data of -- involved with the attempted
20 upload, I don't know the details.

21 Q. And if there's an S there, is it deleted or
22 not?

1 **A.** So I would need to investigate. I would
2 assume the S is another line in the -- another flag in
3 the contents in the CS list, but I would need to
4 investigate to answer properly.

5 **Q.** Go ahead. See if you can find the S.

6 **MR. DICHIARA:** For a question like that, you
7 should feel free look at the entire document, if
8 you need to.

9 (Witness reviewing)

10 **A.** So progress report: I've been looking for
11 option S and not finding an explanation for it. So I'm
12 not able to answer the question at the moment.

13 **Q.** Let's go to page 154 of Kantor.

14 **A.** One what, I'm sorry?

15 **Q.** 154.

16 **A.** Okay.

17 **Q.** Is there a description on page 154 of Kantor
18 of what the X does in the Exclude feature?

19 **A.** There is.

20 **Q.** Where is that on page 154?

21 **A.** Special Column 17 Flags. The very last thing
22 on page 154.

1 Q. Can you read what it says?

2 A. "X - eXclude" with a capital X, "to exclude
3 from the system any file, or any zipfile which contains
4 any file, whose contents_signature matches one marked
5 with this flag."

6 Q. Does it say anything there about deleting the
7 file that's marked with the flag?

8 A. While that is not stated here, it would be --
9 it would -- the feature does not make sense unless that
10 happens.

11 Q. So you don't think that a goal or purpose of
12 the Exclude feature is to prevent duplicate files from
13 being uploaded?

14 A. I do not. I mean duplicates, in the ordinary
15 sense. It prevents duplicates of duplicates and all
16 occurrences of excluded files.

17 Q. Why would you want to prevent all occurrences
18 of a file?

19 A. Simplest answer is malware.

20 Q. Where does it say in Kantor that a purpose of
21 the Exclude feature is to address malware problems?

22 A. I'm not sure that he says that. I don't think

1 we had malware back then. But that's one reason to
2 exclude files.

3 Q. Is there anything in Kantor that you could
4 point to that supports your theory that the purpose of
5 the Exclude feature is for preventing files with malware
6 from being in the system?

7 MR. DICHIARA: Same objection. That type of
8 question, if you're going to ask him to review the
9 whole document, seems to be an invitation to do
10 that; otherwise it's mischaracterizing his
11 testimony.

12 A. So you could use the Exclude feature to
13 exclude files for any reason you like. I thought a file
14 that contained -- that you didn't care to run because it
15 had some evil property would be a -- a reason to exclude
16 that file.

17 Q. Does Kantor ever say that the Exclude feature
18 is for the purpose of addressing files with evil
19 properties?

20 A. No --

21 MR. DICHIARA: Let me make my statement. Same
22 objection. That kind of question, does Kantor say,

1 is an invitation for him to read the whole
2 document.

3 **A. So I'm...**

4 Q. Are you aware of any statement in Kantor that
5 states that the Exclude feature is for the purpose of
6 addressing files with evil properties?

7 **A. I doubt he uses --**

8 MR. DICHIARA: I object to the form of that
9 question.

10 **A. I doubt that he uses the word "evil," but I**
11 **could not say, without looking closely, whether he**
12 **expresses a similar sentiment.**

13 Q. When a file is marked with a --

14 **A. Can I say, when you get to a good time for a**
15 **break, it would be fine with me but --**

16 Q. Let's go one question and then we'll go for a
17 break.

18 **A. Okay.**

19 Q. When a file is marked with an X in Kantor, is
20 that file necessarily going to be deleted or not?

21 **A. It is --**

22 MR. DICHIARA: Objection scope, form.

1 still in the system or not?

2 A. So that's a little bit of a tricky question,
3 not meaning to criticize you. But in computer
4 systems -- most people actually know this nowadays --
5 that when you delete a file, it's not the same as kind
6 of wiping it from the face of the earth. It's often
7 just a matter of preventing any reference to it.

8 So, for example, you might have some
9 blocks on a disk that constitute a file. And the way
10 you get to that file is because you have a directory
11 that has a way to point to the physical spot on the disk
12 where the file is. And if that directory entry is
13 deleted, then there's no way for you or anyone to refer
14 to the file as good as not bare even though the bits are
15 still lying around on the disk.

16 Q. Is that how one of ordinary skill in the art
17 would have interpreted deleted as of 1995?

18 A. Yes.

19 Q. Does the CS list in Kantor list files that
20 have been deleted?

21 MR. DICHIARA: Objection, form.

22 A. So it does, in the case of excluded files, it

1 lists a file that was deleted. In the case of --

2 Q. Could --

3 MR. DICHIARA: Let him finish his answer.

4 A. In the case of deletions, let's say, via the
5 MULTIS list, that gets reflected in the CS list. So I
6 would say no, those files are not.

7 So the regular deletion, the files leave
8 the CS list. The exclude deletion, the -- the -- sorry.
9 The entries leave the CS list, but for excluded files,
10 the entry does not leave the CS list.

11 Q. And you're assuming in your theory a file
12 marked with an X is deleted --

13 MR. DICHIARA: Objection, misstates
14 testimony --

15 BY MR. RHOA:

16 Q. -- when you answered that last question, you
17 were assuming your theory that files marked with an X
18 are deleted, right?

19 MR. DICHIARA: Objection.

20 A. I was assuming that the Exclude feature works
21 in the only sensible way.

22 Q. Other than the Exclude feature, deleted files

1 are not in the CS list, right?

2 MR. DICHIARA: Objection, scope --

3 A. I would say --

4 MR. DICHIARA: -- form.

5 A. -- there are times when they are. For
6 example, when you've made a MULTIS list to delete
7 duplicates -- I lost the question. I'm sorry.

8 Q. Other than the Exclude feature, deleted files
9 are not in the CS list, right?

10 MR. DICHIARA: Objection scope and form.

11 A. So no, that's not right because files that
12 have not yet been deleted, but are going to be deleted,
13 via the MULTIS feature, are still in the CS list.

14 Q. The question asked you about deleted files.

15 A. Actually deleted files?

16 Q. That's what the question was. I'll read the
17 question a third time.

18 Other than the Exclude feature, deleted
19 files are not in the CS list, right?

20 MR. DICHIARA: Objection scope and form.

21 A. There might be a time when the deleted file is
22 in the list, but -- but only for a short time because it

1 hasn't yet -- the CS list hasn't been brought up to
2 date, but not for long.

3 Q. Are you aware of any statement in Kantor
4 indicating that the deleted files are in the CS list?

5 MR. DICHIARA: Objection scope and form.

6 A. Other than the excluded ones, I'm not aware of
7 anything like that, but neither have I looked for it.

8 Q. What's the purpose of the upload log in
9 Kantor?

10 A. I'm going to look in my reports, if that's
11 okay?

12 Q. Sure.

13 (Witness reviewing)

14 A. So I believe the purpose of the upload log is
15 to keep a record of what happened when the upload was
16 attempted.

17 Q. So the upload log reflects things that have
18 already occurred, right?

19 A. Yes.

20 Q. The upload log does not indicate future
21 activity, right?

22 A. Let me back up on that a little. So it says

1 that you can...

2 (Witness reviewing)

3 A. So I think it makes sense that the upload log
4 reflects things that happened in the past. But I think
5 it might include things that, you know, are about to
6 happen. As good as happened, but maybe haven't -- maybe
7 the entire thing hasn't happened yet.

8 I'm not sure, you know, when you write
9 the upload log compared to when you do the thing the
10 upload log reports.

11 Q. Is the upload log the same as or different as
12 the CS list?

13 A. It is different.

14 Q. Are you on page 101 of Kantor?

15 A. I am.

16 Q. On page 101 is there an upload log?

17 A. There is --

18 MR. DICHIARA: Objection, form --

19 BY MR. RHOA:

20 Q. Upload log?

21 A. There is an example of upload log, yes.

22 Q. You see the paragraph right under that?

1 **A. Yes.**

2 Q. You see where it mentions option S?

3 **A. Yes.**

4 Q. Do you know what that means?

5 **A. No. We talked about that before. I was**
6 **looking for what that might mean. But no, I couldn't**
7 **find it before, although I didn't look in the entire**
8 **document. I looked in the vicinity of this page.**

9 Q. Can you tell, looking at page 101, what the S
10 does?

11 **A. If I -- if I could, I would have said so**
12 **before. I cannot.**

13 Q. So you saw it before, you just didn't --

14 **A. You pointed this out before.**

15 Q. You see where it says "option S saves rejected
16 files"?

17 **A. Yes.**

18 MR. DICHIARA: And then it continues.

19 BY MR. RHOA:

20 Q. You don't think this means that if there's an
21 S there, the file is saved, and if there's not an S
22 there, it's deleted or sequestered?

1 A. I do not understand this fully. I don't --
2 rejected now seems -- I'm not sure what rejected is.
3 This -- this --

4 Q. You don't think rejected is referring to
5 things that were excluded, do you?

6 MR. DICHIARA: Objection --

7 A. I think it might --

8 MR. DICHIARA: -- form, scope.

9 A. -- I think it might include things that were
10 excluded and perhaps other things, but I'm not sure.

11 Q. So is it possible that things that are
12 excluded are saved if there's an S present?

13 A. So that goes to your -- actually, you didn't
14 point that paragraph out before. You pointed out the
15 paragraph on page 103, which has the same language, "if
16 not S then zipfile deleted."

17 So I'm just not sure what -- what's being
18 talked about here without knowing more about what option
19 S is.

20 Q. Is it possible that an excluded file is not
21 deleted if there's an S marking there?

22 A. So again --

1 MR. DICHIARA: Objection, form and scope.

2 A. -- I don't know the purpose of S. And I
3 further wouldn't know the purpose of S with an excluded
4 file.

5 Q. You don't think S means save?

6 MR. DICHIARA: Objection, misstates, form and
7 scope.

8 A. S is -- save starts with S, but I don't know
9 that that's what that means. I would need to know more
10 about what that option S.

11 MR. DICHIARA: And just for the record, when
12 I'm saying "scope," it's outside the scope of his
13 redirect. So I'm just using the short form for the
14 record. Outside the scope of his reply declaration
15 is what I should have said.

16 BY MR. RHOA:

17 Q. Do you have your reply declaration regarding
18 the '096 patent in front of you?

19 A. Yes.

20 Q. Can you turn to paragraphs 12 and 13, please,
21 of that declaration?

22 A. Yes.

1 Q. Can you review paragraph 12 and 13 of this
2 reply declaration and tell me when you're done?

3 (Witness reviewing)

4 A. Okay.

5 Q. So are you saying here that metadata is not
6 data?

7 A. In the context of this document, I'm saying
8 that metadata is data that's -- with respect to a
9 particular data item, metadata is -- is, you could call
10 it, data because it's ones and zeros, what is data.

11 Metadata is about the data item and not
12 part of the data item.

13 Q. So is metadata data or not?

14 A. What do you mean by "data" 'cause everything
15 in the world is data at some level?

16 Q. I'm asking you, do you have an opinion about
17 whether metadata is data or not?

18 MR. DICHIARA: Objection, scope and form.

19 A. So in the context of this discussion, I'm
20 treating data as meaning the content of a file or -- or
21 a block or a segment, not the -- its name or owner or
22 path or anything like that.

1 **In that context metadata is not data.**

2 Q. Did you apply that context in all of your
3 reply declarations?

4 **A. Yes.**

5 MR. DICHIARA: Objection, form. And for that
6 kind of question, you have to give him some time to
7 fairly answer that question.

8 BY MR. RHOA:

9 Q. So would it surprise you to learn that you
10 called metadata data in another one of your reply
11 declarations?

12 MR. DICHIARA: Vague, scope.

13 **A. Very much.**

14 Q. Let's take a look at your reply declaration
15 for the '544 patent. Do you have it in front of you?

16 **A. Mm-hmm. Yes, I do.**

17 Q. Please go to paragraph 13 of your reply
18 declaration regarding the '544 patent.

19 (Witness doing as requested)

20 **A. Okay.**

21 Q. In the last two lines of paragraph 13, doesn't
22 it state that "extended attribute data is metadata"?

1 **A. Yes.**

2 **Q. So aren't you saying there that metadata is**
3 **data?**

4 **MR. DICHIARA: Objection, misstates, scope --**

5 **A. So --**

6 **MR. DICHIARA: -- and form.**

7 **A. -- this is -- this is not exactly the same**
8 **context as the one that we were in on the '096**
9 **declaration. But still here, I'm distinguishing normal**
10 **data, the content one devoted -- sorry. Let me back up.**

11 **Normal data, as in the content of the**
12 **thing, and -- and extended attribute data, which is**
13 **about the thing, and the extended attribute thing data**
14 **is about it, is metadata.**

15 **Q. So again, is metadata data or not?**

16 **MR. DICHIARA: Objection, form, scope, asked**
17 **and answered.**

18 **A. In the context of both of these declarations,**
19 **I've got in the '096 declaration, I'm talking about just**
20 **data versus metadata. In here, I'm talking about normal**
21 **data versus extended attribute data.**

22 **And in the first context metadata isn't**

1 the data that's the contents of a file. And here, the
2 metadata, extended attribute data, is not the normal
3 data that is the contents identifiers.

4 Q. Is metadata data or not?

5 MR. DICHIARA: Same objections.

6 BY MR. RHOA:

7 Q. Yes or no?

8 MR. DICHIARA: Same objection.

9 A. So without -- without context, it's not a
10 yes-or-no question.

11 Q. You have no opinion on whether metadata is
12 data, right?

13 MR. DICHIARA: Objection to form;
14 mischaracterizes the testimony.

15 A. I haven't -- in the context of all of these
16 declarations, actually, metadata is not data.

17 Q. So your position is that metadata is not data,
18 right?

19 MR. DICHIARA: Objection misstates, form --

20 A. In the -- in the context of these --

21 MR. DICHIARA: -- and scope.

22 A. -- in the context of this matter, metadata is

1 not data. And, in fact, in general, in the field, if
2 you had some data and some metadata, you wouldn't call
3 the metadata part of the data.

4 Now, you can say it's data in the sense
5 that it's made of zeros and ones, but lots of things are
6 made of zeros and ones. And lots of things could be
7 called data under that rubric in that context, I guess.

8 Q. Would one of ordinary skill in the art
9 consider metadata to be data or not?

10 MR. DICHIARA: Objection form, outside the
11 scope, asked and answered.

12 A. Again, it would depend on the context. If --
13 if one of skill in the art were speaking kind of
14 abstractly about zeros and ones in the world and talking
15 to freshman or something, you know, all data is made of
16 zeros and ones. And metadata is made of zeros and ones,
17 so maybe metadata is a kind of data.

18 But in the context in the matter before
19 us, metadata is not data.

20 Q. Do you think it would be reasonable or
21 unreasonable for someone to say that metadata is data?

22 MR. DICHIARA: Objection, form and outside the

1 scope.

2 **A. In the context of this matter, it would be**
3 **unreasonable.**

4 Q. How about in the ordinary practice of this
5 art?

6 MR. DICHIARA: Same objections, asked and
7 answered, form, outside the scope of the reply
8 declaration.

9 **A. It would be pretty much unreasonable. A**
10 **person of -- practitioner of this art would think that**
11 **metadata was about the data, not constituent of the**
12 **data. That's, by its name, meta about -- well, it**
13 **doesn't mean about, I guess.**

14 Q. You think it would be unreasonable generally
15 for someone in this art to say that metadata is data?

16 MR. DICHIARA: Misstating the testimony,
17 outside the scope, and form, and asked and
18 answered.

19 **A. In any particular context in which there is**
20 **data and metadata where the meta part applies to the**
21 **first data, it would be unreasonable to call that data.**

22 MR. RHOA: I would like to introduce -- let's

1 call this Exhibit 1 to this deposition, Clark Reply
2 Exhibit 1.

3 (Exhibit 1 marked for identification)

4 BY MR. RHOA:

5 Q. Do you have Exhibit 1 in front of you?

6 A. I do.

7 Q. This is a Merriam-Webster definition of
8 metadata. Do you see that?

9 MR. DICHIARA: Objection, form, outside the
10 scope.

11 (Witness reviewing)

12 A. So I don't know the providence of this
13 document and I don't know if this is the only -- the
14 entirety of the definition, but I see that this is
15 apparently Merriam-Webster and offers one definition.

16 Q. And what is the definition that
17 Merriam-Webster is giving to metadata?

18 A. Data that provides information about other
19 data.

20 Q. Do you agree with that definition?

21 A. Sure. This is exactly the context I was
22 speaking of before. If you have -- if you have data and

1 metadata that is about that data, that the metadata
2 provides information about the first data. This is --
3 that's consistent. I mean this is data in the sense
4 of --

5 Q. So you agree that one of ordinary skill in the
6 art as of 1995 would have considered metadata to be data
7 that provides information about other data?

8 A. Yes, that's fair. That's consistent with what
9 I was saying.

10 MR. RHOA: Let's mark this Clark Reply 2.

11 (Exhibit 2 marked for identification)

12 BY MR. RHOA:

13 Q. Do you have Exhibit 2 in front of you?

14 A. Yes.

15 Q. This is a definition of metadata from
16 Webopedia. Do you see that?

17 A. I do.

18 Q. Have you ever heard of Webopedia before?

19 A. I think so, but maybe not.

20 Q. Here metadata is defined as data about data.
21 Do you see that?

22 A. That is the first sentence. It continues,

1 "metadata describes how and why and by whom a particular
2 set of data was collected and how the data is
3 formatted," which I think that's -- needs some work,
4 but...

5 Q. Do you agree with the definition that's given
6 here for metadata?

7 (Witness reviewing)

8 A. I don't -- I agree with the general notion
9 that's conveyed here. I think that is too particular
10 about metadata. Metadata is a more general concept to
11 me.

12 This definition says how and when and by
13 whom a particular set of data was collected and how the
14 data is formatted. And those things could be elements
15 of metadata, but that's not the entirety of metadata.
16 For example, metadata of a file would include its name.
17 And that's not listed in this list of particulars.

18 Q. So you're more comfortable with the definition
19 that metadata means data that provides information about
20 other data?

21 A. Yes.

22 Q. Is the word "metadata" used in Kantor?

1 MR. DICHIARA: Objection.

2 BY MR. RHOA:

3 Q. To your knowledge, as you sit here today, are
4 you aware of the word "metadata" being used in Kantor?

5 MR. DICHIARA: Outside the scope and the form.

6 **A. I do not know.**

7 Q. In Kantor a Zip file includes names, dates,
8 and comments, right?

9 MR. DICHIARA: Objection, outside the scope
10 and form.

11 **A. In Kantor, and in the world, generally, a Zip
12 file includes names, dates and such, yes.**

13 Q. In Kantor are the names, dates and comments
14 part of the Zip file?

15 MR. DICHIARA: Objection, form.

16 **A. Yes, as -- as in the rest of the world, the
17 metadata is part of the Zip file.**

18 Q. Shift back to your -- another one of your
19 reply declarations. Can you grab your reply declaration
20 for the '662 patent, please?

21 (Witness doing as requested)

22 **A. I have it.**

1 Q. Please turn to page 9.

2 MR. DICHIARA: Just one moment.

3 (Witness doing as requested)

4 **A. I am there.**

5 Q. Let me ask you first: Do you have a copy of
6 the '662 patent?

7 **A. I do not.**

8 Q. Here is a copy of the '662 patent which is
9 marked Exhibit 1001 in IPR 2013-00086. So now you have
10 both the '662 patent and the reply declaration regarding
11 the '662 patent in front of you, right?

12 **A. Yes.**

13 Q. On page 9 of your reply declaration at lines 4
14 to 5, you say that the '662 patent "obtains a copy of
15 the file's True Name from the local directory
16 extensions, LDE, table." Do you see that?

17 **A. I see that.**

18 Q. I would like to ask you to show me where the
19 '662 patent describes, in your opinion, obtaining a copy
20 of the file's True Name from the local directory
21 extensions table.?

22 **A. Okay. We're referring to the local directory**

1 extensions table and Figures 27A and B. The directory
2 extensions table has the, among other things, the True
3 Name in it. And that, I think, helps us understand that
4 what's happening here is, among other things, is that
5 the -- you want to delete a file, you get the file's
6 True Name from that table.

7 In fact, let me back up. From the exact
8 cited passage a few lines to line -- no, I cited this.
9 So the process of deleting a file for a given pathname,
10 so as you start with the pathname, and then you go to
11 the local directory extensions table and get a true
12 file -- a True Name and that leads you to the True file.

13 Q. So is the word -- you're citing to the '662
14 patent in column 21 lines 51 through 58, right?

15 MR. DICHIARA: I think that misstates the
16 given line numbers.

17 A. So the citation in the declaration goes to
18 column -- from column 21, line 51, to column 22, line 6.

19 Q. In column 21, line 59, it already has a True
20 Name, right?

21 A. Yes.

22 Q. So my question is: Is obtaining the True Name

1 in column 21, you're saying from lines 51 through 58; is
2 that right?

3 MR. DICHIARA: Objection, misstates.

4 (Witness reviewing)

5 A. I think that's right.

6 Q. And how does it obtain a True Name?

7 A. Walks up to the local directory extensions
8 table with a pathname. And presumably that is organized
9 so you can look up stuff by pathname. And finds the
10 record depicted in Figure 3 from the local directory
11 extensions table that has that pathname. And then in
12 the next field, there is the True Name. Off you go.

13 Q. Why are you using the word "copy" in your
14 declaration in this respect, page 9, line 4?

15 (Witness reviewing)

16 A. Let's see. Copy obtains -- I suppose I could
17 have said it obtains a -- the True Name, but there would
18 be -- you know, in ordinary computer processing, it
19 would be -- you would find that thing and make a copy of
20 it into some variable, or whatnot, in your software.

21 Q. Does this portion of '662 patent say that you
22 make a copy of it?

1 the file has been deleted or before it's been deleted?

2 A. A person of skill looking at that would say,
3 in my opinion, that it doesn't matter whether that's
4 before or after. These are two things that happen and
5 it could work either way.

6 Q. Well, I'm just asking what the patent says.
7 I'm not asking if it matters.

8 Can you tell if the patent is saying that
9 the entry to the Audit file 132 is done before or after
10 the file is deleted?

11 MR. DICHIARA: Objection, form of the
12 question.

13 A. Reading this sentence in isolation and just
14 reading it for what it says, it offers deletion, and
15 coma, and add an entry to the Audit file suggesting an
16 order. But a person of skill reading this would
17 understand that there is no particular order specified
18 and that either order would work.

19 Q. So take a look at 27B.

20 A. Okay.

21 Q. You see step 430 says "delete True file"?

22 A. I see that.

1 Q. And then there's an arrow going to step 428
2 that says "add entry to Audit file"?

3 A. Yes.

4 Q. So I'll ask again: Is the file deleted before
5 or after the entry is added to the Audit file?

6 A. So I will say again, I guess, that while this
7 float chart depicts deletion before adding entry to the
8 Audit file, a person of skill would see other ways to do
9 this including reversing that order will still work.
10 The order is not important.

11 Q. Figure 27B does show the True file was deleted
12 before the entry was made to the Audit file, right?

13 A. Yes.

14 Q. In Kantor -- I'll withdraw that.

15 How are you doing, Dr. Clark, okay?
16 We're going to shift --

17 A. I'd rather be on the beach, but I'm okay.

18 Q. We're going to shift topics here. You want to
19 keep going?

20 A. Keep going.

21 MR. DICHIARA: Can I make a request for a very
22 short break even if it's just a couple of minutes.

1 MR. RHOA: Yeah.

2 THE WITNESS: Like right now?

3 MR. DICHIARA: Yes.

4 (Short Recess)

5 BY MR. RHOA:

6 Q. Do you have the Kantor reference in front of
7 you, Dr. Clark?

8 **A. Yes.**

9 Q. Please turn to page 55.

10 **A. I'm there.**

11 Q. On page 55, Kantor describes a contents
12 signature for a Zip file using the y procedure, right?

13 **A. Yes.**

14 Q. In Kantor's y procedure, the contents
15 signature of the Zip file is based on what you were
16 calling metadata in the Zip file, right?

17 **A. The y format makes -- pretends the Zip file
18 were just the file and ignores its metadata, but treats
19 all of its internals as the -- as the contents.**

20 Q. So in Kantor's y procedure, the contents
21 signature of the Zip file is based on what you are
22 calling metadata in the Zip file, correct?

1 A. I think that's basically correct. I would
2 just like to clarify that the Zip file -- so the Zip
3 file has metadata about its own inner files, but there
4 is metadata about the Zip file itself like its name, and
5 its pathname, and the last time it was changed, and so
6 on.

7 So that is not in the contents signature
8 made under the y, but everything else is.

9 Q. Can you give me some examples of what you're
10 calling metadata that is in the Zip file in Kantor?

11 A. Easiest would be the name of the inner file.

12 Q. Dates?

13 A. Last written date. There's a Zip file format
14 that specifies exactly what's in there.

15 Q. Comments?

16 A. Yeah, I think comments is one of the entries.

17 Q. So names, dates, and comments would be
18 examples of metadata that is part of the Zip file in
19 Kantor, right?

20 MR. DICHIARA: Objection, form.

21 A. In fact, part of any Zip file.

22 Q. So in Kantor's y procedure, Kantor's content

1 signature is based on this metadata of the Zip file,
2 right?

3 MR. DICHIARA: Objection, form.

4 A. So that's correct because the y -- the y
5 procedure is pretending that the Zip file is not a Zip
6 file. It's just a regular file. So that's all content.
7 So it -- it zips it up. Sorry. It -- it makes a
8 content signature.

9 Q. So in the y procedure isn't Kantor indicating
10 that the names, dates, and comments are part of the Zip
11 file's content?

12 MR. DICHIARA: Objection to form, outside the
13 scope.

14 A. So the Zip file's content does include the --
15 the metadata of the inner files. That's the meaning of
16 the Zip file. That's what a Zip file is.

17 Q. Can you turn to page 113 of Kantor?

18 (Witness doing as requested)

19 A. I am there.

20 Q. You see at the bottom of page 113, it says
21 "y-list contents signatures for all the files in a Zip
22 file," et cetera? You see that?

1 **A. It does not say anything about it right here**
2 **in these two lines.**

3 Q. When Kantor performs the y-list procedure on
4 page 113, does Kantor obtain the contents signatures
5 from the CS list or from some place else?

6 **A. So I don't know but the CS list makes the most**
7 **sense to me.**

8 Q. A Zip file includes CRC values in it, right?

9 MR. DICHIARA: Objection.

10 **A. It does.**

11 Q. Are the CRC values in a Zip file metadata or
12 not, in your opinion?

13 **A. They are metadata. They're about the data.**

14 Q. So on page 55 of Kantor, Kantor describes the
15 z procedure where he talks about a Zip file contents
16 signature or ZCS for Zip file, right?

17 **A. Yes.**

18 Q. Is the ZCS in Kantor based on the CRC values
19 in the Zip file?

20 **A. Yes, and on their lengths also.**

21 Q. So then the ZCS in Kantor is based on metadata
22 in a Zip file?

1 MR. DICHIARA: Objection to form, outside the
2 scope.

3 **A. ZCS, interesting. Yes.**

4 Q. Do you recall mentioning compression method
5 zero in some of your reply declarations?

6 **A. Yes.**

7 Q. Does Kantor ever mention compression method
8 zero?

9 **A. I do not know if he calls out compression
10 method zero explicitly, but he does mention the Zip file
11 format which includes compression method zero.**

12 Q. Are you aware of any statement, as you sit
13 here today, in Kantor, that refers to compression method
14 zero?

15 MR. DICHIARA: Objection, form of the question
16 and -- that's it.

17 **A. I do not recall a mention of compression
18 method zero. But since he does talk about ZIP files and
19 since ZIP files have compression method zero, it is kind
20 of implicit in Kantor that compression method zero is
21 part of the story.**

22 Q. Does Kantor ever describe a compression ratio

1 of one to one: Yes or no answer?

2 MR. DICHIARA: Objection to form, outside the
3 scope.

4 **A. So I would --**

5 MR. DICHIARA: And also for that kind of
6 question, I'm just going to preserve my objection
7 on that as well for that kind of question. It's
8 sort of asking him about the whole document. And
9 to be fair, he should be able to review it.

10 **A. So I assume -- so I don't know. But to answer**
11 **correctly, I would have to look through the whole**
12 **document. And I think both of us would rather I not do**
13 **that.**

14 Q. As you sit here today, you're not aware of any
15 statement in Kantor referring to compression ratio of
16 one to one, right?

17 **A. I am not aware of any particular statement,**
18 **although the Zip file has that possibility, so it's**
19 **implicit.**

20 Q. ZIP files have a lot of other possibilities
21 too, right?

22 **A. Yes.**

1 MR. DICHIARA: Objection, form, vague, outside
2 the scope.

3 A. Yes.

4 Q. In fact, ZIP files have many, many other
5 possibilities, right?

6 MR. DICHIARA: Objection misstates, outside
7 the scope, form.

8 A. It's a famously successful idea.

9 Q. Does Kantor describe a Zip file with a
10 plurality of uncompressed inner files therein? Yes or
11 no answer.

12 MR. DICHIARA: Objection, form, outside of the
13 scope.

14 A. So the form of the question, again, to answer
15 that, I would need to look. But I'm not aware of that
16 possibility being explicitly mentioned by Kantor. On
17 the other hand, it's a possibility with ZIP files, so
18 it's -- I regard it as implicit.

19 Q. Does Kantor ever describe jpeg images,
20 J-P-E-G?

21 A. I can't answer without looking, but I'm not
22 aware that he does. But again, it would be -- people

1 understood that's jpeg is just another kind of file. It
2 can be a file file or a component of a Zip file.

3 Q. So you think jpeg files would be implicit in
4 Kantor, right?

5 A. It just talks about files without any limits,
6 so yes.

7 Q. Kantor reads CRC values from ZIP files, right?

8 A. Yes.

9 Q. Does Kantor ever say that it would be
10 difficult or problematic to read CRC values from ZIP
11 files?

12 MR. DICHIARA: Objection. Same objections as
13 before in terms of the scope of the question.

14 A. So I can't answer the ever-say question, but I
15 can answer am-I-aware-of-an-example question. And the
16 answer to that is no.

17 Q. Does Kantor describe a PC connected to a BBS
18 where the PC creates a Zip file while it is connected to
19 the BBS?

20 MR. DICHIARA: Objection outside of the scope.

21 A. I do not recall that, but to answer with
22 certainty, I would, of course, need to look thoroughly.

1 Q. Please refer to your reply declaration for the
2 '539 patent.

3 (Witness doing as requested)

4 **A. I have it.**

5 Q. What, in Kantor, do you contend to be the data
6 item recited in claim 34 of the '539 patent?

7 **A. Could I have a copy of that patent?**

8 Q. I do not have a paper copy. I can give you an
9 electronic copy or look at it on my computer screen.

10 MR. DICHIARA: I can get you copies at the
11 next break --

12 MR. RHOA: Okay.

13 MR. DICHIARA: -- or maybe sooner.

14 MR. RHOA: Just review it for notes, just so
15 we don't have to go down that road.

16 MR. DICHIARA: Why don't you review it.

17 BY MR. RHOA:

18 Q. Dr. Clark, I'm handing you a copy of the '539
19 patent. Do you have it in front of?

20 **A. I do.**

21 Q. So please refer to claim 34 of the '539
22 patent.

1 A. So I'll go to my declaration on that. And I'm
2 on page 23, line -- sorry. Page 23, paragraph 37. And
3 the last three lines, "In fact, there are a number of
4 instances where Kantor discloses the contents signatures
5 of the inner files of the Zip file to form a second data
6 item."

7 And then in paragraph 38, one example is
8 the general format of a contents signature list such as
9 the master contents signature list, CS list.SRT, all
10 caps.

11 Q. So is that entire CS list on page 24 of your
12 declaration, what you're calling the second data item?

13 A. No. It would be the concatenation of the
14 contents signatures of -- so the second data item with
15 respect to a particular ZIP file would be in this
16 example, the concatenation of the contents signatures of
17 the inner files who had that ZIP file as a parent.

18 Q. Where would that be?

19 A. So the contents signatures are there in
20 column -- I guess he calls this column 1. And this
21 is -- this is not a concrete example, unfortunately.
22 But the thing where it says 32 bits CRC-32 bit length is

1 the content signature of a not ZIP file name.

2 So let's go to line 3. So there's --
3 that first thing is the contents signature of a random
4 file called filename.extension. And it is declaring
5 that it is an inner file of a file called
6 zipfile.extension. So the second item would be the
7 second -- sorry. Second --

8 MR. DICHIARA: Data item.

9 A. -- data item would be the concatenation of --
10 for a particular ZIP file would be the concatenation of
11 all of the contents signatures of filenames that had
12 that particular ZIP file's name in column 5.

13 Q. Where are they concatenated?

14 A. So concatenated in, I could say, I guess the
15 sequence of those. The second data item is a subset of
16 the CS list with all of those 16 character content
17 signatures strung not.

18 Q. Does Kantor ever disclose them being next to
19 each other?

20 A. They are not disclosed as being next to each
21 other.

22 Q. So then in claim 34, it calls for a True Name

1 of said second data item. Do you see that?

2 **A. Yes.**

3 Q. What are you contending is the True Name of
4 the second data item?

5 (Witness reviewing)

6 **A. So I'm thinking that because this is a -- that**
7 **is combination of Kantor with Langer, that Langer gives**
8 **us that second one. But alas, I do not see that**
9 **exposition in this declaration and would need to look at**
10 **the original declaration to see that that's what I**
11 **meant.**

12 Q. As you sit here right now, can you point to
13 anything in Kantor which you allege to be the True Name
14 of said second data item as required by claim 34 of the
15 '539 patent?

16 MR. DICHIARA: Objection, outside of the scope
17 of the reply declaration.

18 **A. I would be happier if I could see the original**
19 **declaration to this patent.**

20 MR. RHOA: I don't have a copy. If you have a
21 copy and want to show him, that's fine.

22 MR. DICHIARA: Yes. We would have to get it

1 over the break and then show him over the break.

2 BY MR. RHOA:

3 Q. So as you sit here right now, can you point to
4 anything in Kantor which you allege to be the True Name
5 of said second data item as required by claim 34?

6 MR. DICHIARA: Same objection. And also just
7 noting Dr. Clark's comment that he would prefer to
8 see the original declaration to answer that
9 question, so I don't want any misimpression on
10 that.

11 (Off Record Discussion)

12 (Witness reviewing)

13 THE WITNESS: I don't need the declaration.

14 MR. DICHIARA: You don't?

15 THE WITNESS: No.

16 A. A little brain fog there for the last few
17 minutes. So let me talk myself through claim 34, if I
18 could.

19 So the particular data item is the
20 sequence of contents of the inner files and those are
21 the segments. And the segment identifiers mentioned in
22 the claim are the contents signatures of those files.

1 Those appear, as I've said, in the CS list constituting
2 the second data item.

3 And then the data item for those is just
4 the ZIP file contents signature. It's the sum modulo 32
5 of CRCs combined with the sum modulo 32 of the length.

6 I apologize for my brain fog. That's
7 what I say.

8 Q. Clause claim 34 says, "in response to a
9 request to access said data item, said request
10 comprising said data item identifier providing at least
11 said second data item."

12 Do you see that?

13 A. Yes.

14 Q. Okay. Can you explain where you allege that
15 to be found in Kantor?

16 A. There, I do think I need the other
17 declaration. Is it still en route?

18 MR. DICHIARA: I'm just going to object.
19 That's outside the scope of his reply declaration
20 or at least preserve that objection.

21 And just Joe, so I don't have to keep
22 objecting on that stuff, if there is a part that

1 you think is in the reply declaration, where he's
2 commenting on, I won't litter your record with all
3 these objections.

4 (Witness reviewing)

5 **A. Actually, I don't need the declaration. I've**
6 **got it here. So I address this in paragraph 41, bottom**
7 **of page 26. So where I say, continuing on page 27, "I**
8 **disagree, as I explained above, it would have been**
9 **obvious to modify read and download commands of a BBS to**
10 **take a zipfile contents signature (data item identifier)**
11 **as input, and provide the CRC values in a sequence of**
12 **bits in response. In fact, Kantor already implemented**
13 **the functionality to provide the inner file contents**
14 **signatures in response to Lookup request including a**
15 **zipfile contents signature when using the 'y form of the**
16 **TEST function.'**"

17 **Q.** So you're saying, as I understand it, that
18 clause F of the '539, claim 34, is not in Kantor, but
19 you are alleging that it would have been obvious; is
20 that right?

21 **A. No --**

22 MR. DICHIARA: Objection to form.

1 A. So not quite because the functionality is
2 largely there. It's just, it would be obvious to make a
3 read and download command to do clause F of the claim
4 element.

5 Q. Are you saying that clause F of claim 34 is
6 described in Kantor or not?

7 A. I'm saying the functionality that one would
8 need is described in Kantor. But the request to access
9 said data item, would, while not explicitly disclosed,
10 it would be obvious to add.

11 Q. Do you contend that Kantor discloses providing
12 the second data item in response to a request to access
13 the data item?

14 A. So --

15 MR. DICHIARA: Same objection as to form and
16 scope.

17 A. So the modified read would do that using the
18 functionality that is already present in Kantor, would
19 provide the list of the -- provide the inner file
20 contents signatures.

21 Q. And you're saying it would have been obvious
22 to modify Kantor to do that?

1 **A. Yes.**

2 Q. Okay. Do you have Woodhill in front of you?

3 MR. DICHARA: Can I suggest if we're going to
4 go into a different line of questioning, it might
5 be a good time for lunch?

6 BY MR. RHOA:

7 Q. Dr. Clark, if that's your desire, that sounds
8 good to me.

9 **A. I'm always hungry.**

10 **(Off Record Discussion)**

11 **(Lunch Recess)**

12
13 BY MR. RHOA:

14 Q. Are we ready?

15 **A. We are ready.**

16 Q. You understand you're still understood oath?

17 **A. Yes.**

18 Q. Do you have a copy of Woodhill in front of
19 you?

20 **A. Yes.**

21 Q. Woodhill has a database 25, right?

22 **A. Time database 25, I see that in Figure 2.**

1 Q. Is database 25 stored at the remote server 12
2 or not in Woodhill?

3 MR. DICHIARA: Objection, scope.

4 (Witness reviewing)

5 A. So a reason that it is not stored at the
6 remote because of the language describing Figure 3
7 illustrate -- wait, wait, no. Figure, where -- what was
8 I?

9 Figure 2. So right, sorry. At the
10 bottom of column 2 describing Figure 2, "Figure 2
11 illustrates the manner in which the Distributed Storage
12 Manager program of the present invention allocates the
13 storage space on each of the storage devices illustrated
14 in Figure 1."

15 And if I go to Figure 1, the storage
16 devices shown are the two disks on the local computers
17 20.

18 Q. Server 12 is not a storage device, right, in
19 Figure 1?

20 A. It does not show a storage device attached to
21 12, but it would, of course, need storage device to be a
22 file server.

1 Q. So would one of ordinary skill in the art have
2 thought that backup server 12 was or was not a storage
3 device?

4 A. One of ordinary skill in the art would have
5 thought that backup server 12 included a storage device.

6 Q. So if backup server 12 includes a storage
7 device, what is your answer about whether or not
8 database 25 is at the backup server 12?

9 MR. DICHIARA: Objection outside the scope.

10 A. It is the same. I do not think that thing is
11 at the backup server.

12 Q. And why not?

13 A. Just the reasoning I just -- I just gave you,
14 that the text about the figures says where that thing is
15 and among them is not the backup file server.

16 Q. So in Figure 1, can you identify everything
17 that you contend is a storage device?

18 MR. DICHIARA: Objection outside the scope.

19 A. So it's a little tricky. The local computer
20 has a disk about which one wish to speak, so they have
21 boxes 19. But the user workstation certainly has a
22 storage device and the remote backup file server

1 **certainly has a storage device and --**

2 **Yeah, end of answer.**

3 Q. So the Woodhill patents at column 2, lines 59
4 through 62, says that "Figure 2 illustrates the manner
5 in which the Distributed Storage Manager program of the
6 present invention allocates the storage space on each of
7 the storage devices illustrated in Figure 1," right?

8 **A. That is what it says.**

9 Q. So you've now told me twice that there are
10 storage devices at the remote backup server 12. So I'm
11 trying to understand why the database 25 is not at the
12 remote backup server 12?

13 **A. I'm just reading the language literally. And**
14 **it says Figure 2 is about the storage devices in**
15 **Figure 1. And the -- interpreted literally, I would say**
16 **that that would mean the two disks.**

17 Q. So it's not implicit that the backup server 12
18 has a storage device, right?

19 MR. DICHIARA: Objection, misstates his
20 testimony.

21 **A. Any person of skill in the art would**
22 **understand that a backup file server doesn't make sense**

1 without some storage device.

2 Q. So you're saying that server 12 does have a
3 storage device?

4 A. Must have a storage device to store the files.

5 Q. So is it possible that for database 25 to be
6 also stored at the remote backup server 12 or not in
7 Woodhill?

8 MR. DICHIARA: Objection outside of the scope.

9 (Witness reviewing)

10 A. So I've just been looking for some call-out of
11 a storage device on the backup file server. And I don't
12 see anything explicit. But it would be, of course,
13 obvious to a person of skill in the art that such a
14 thing has to have a storage device.

15 It might be if I -- it might be if I
16 continue to look through the specifications, I would
17 find some mention of storage devices at the backup file
18 server, but it would be obvious.

19 Q. What's the purpose of the backup file server?

20 A. That's the place that the backups are stored
21 and managed in this system.

22 Q. So would it have to have a storage device?

1 **A. Yes --**

2 MR. DICHIARA: Objection, asked and
3 answered --

4 **A. -- it would have to have --**

5 MR. DICHIARA: -- outside of scope.

6 **A. It would have to have a storage device. Maybe**
7 **not No. 19 storage device, but a storage device of some**
8 **kind to make sense.**

9 Q. So is it possible that database 25 is also
10 stored at the remote backup server 12 in Woodhill or
11 not?

12 MR. DICHIARA: Objection outside of the scope.

13 **A. I don't think it's not possible -- sorry. I**
14 **think it is unlikely that that database is stored at the**
15 **remote backup.**

16 Q. Is it possible or not?

17 MR. DICHIARA: Same objection.

18 BY MR. RHOA:

19 Q. You said "unlikely." Is it possible or not?

20 **A. Oh, it's possible, sure.**

21 Q. Woodhill describes a backup procedure, right?

22 **A. Yes.**

1 Q. During Woodhill's backup procedure, does
2 Woodhill compare a Binary Object Identifier with a prior
3 Binary Object Identifier for the same file or for a
4 different file?

5 MR. DICHIARA: Objection, vague.

6 A. The same file.

7 Q. So during backup, Woodhill can only tell if a
8 binary object for a given file is in that particular
9 file, correct?

10 MR. DICHIARA: Objection, form.

11 A. I think that's right. I understand that the
12 binary object is -- a binary object gets an identifier.
13 And if that it identifier has not changed from the last
14 one, then there is no need to back the new one up
15 because it's the same as the last one.

16 Q. So in Woodhill's backup procedure, Woodhill
17 cannot figure out if a given binary object exists in
18 other files that are at the remote backup server 12?

19 MR. DICHIARA: Objection, form.

20 A. So I -- I agree with that except for with the
21 following caveat: That, to me, the idea of a binary
22 object is that it is associated with a particular file.

1 **So it wouldn't make sense for a particular binary object**
2 **to be in any other file.**

3 Q. So if there are 100 files at the backup server
4 12, okay?

5 **A. Okay.**

6 Q. And during Woodhill's backup procedure,
7 Woodhill is backing up a binary object for one of those
8 files. Let's call it file No. 20, okay?

9 **A. Okay.**

10 Q. So Woodhill cannot determine if that binary
11 object is in any of the files 1 through 19 or 21 through
12 100, there at the remote backup server, right?

13 MR. DICHIARA: Objection, form.

14 **A. I wouldn't put it that way. Woodhill -- it's**
15 **not an inability of Woodhill. It's that that does not**
16 **make sense in Woodhill.**

17 **The binary object you're backing up**
18 **belongs to a certain file. So it doesn't exist in any**
19 **way in any of the other files.**

20 Q. Is it possible for a binary object to exist in
21 multiple files?

22 **A. No.**

1 Q. So explain that to me.

2 A. So that is the way --

3 MR. DICHIARA: Objection outside of the scope.

4 A. So that is the way I understand Woodhill, is
5 it divides a file into binary objects. And that's where
6 they are. And another file gets other binary objects.

7 And there aren't -- there's no sharing of
8 binary objects between files.

9 Q. Let's say I'm an author and I write a book,
10 okay?

11 A. Okay.

12 Q. And, you know, it's a pretty long book with a
13 lot of pictures and stuff in it. And at the end of
14 every day, I save my version in a different file.

15 So after day one, I have book draft one.
16 After day two, I have book draft two. After day three,
17 I have book draft three. After day 200, I have book
18 draft 200. And let's say each page in that book is
19 binary object, okay?

20 A. Okay.

21 Q. The first page doesn't change through many of
22 the drafts, okay?

1 A. I'm with you.

2 Q. So you're saying that that first page binary
3 object cannot exist in the different files?

4 A. Your hypothetical was that you were not making
5 changes to the same file. You were starting over and
6 giving it a new name and having a new file on each day.

7 So every draft is a separate file. So
8 every draft's binary objects are separate from every
9 other draft's binary objects.

10 Q. Even though the first page is a binary object
11 and it's identical in all the drafts, you're saying it
12 still doesn't exist in more than one file?

13 MR. DICHIARA: Objection misstates testimony,
14 outside the scope.

15 A. The binary object, it does -- the way Woodhill
16 understands this is that the binary -- it doesn't make
17 sense for a binary object from one file to be in another
18 file despite the fact that the contents might be
19 identical.

20 Q. Can the contents of a binary object exist in
21 multiple files?

22 A. Oh, yes.

1 Q. So in Woodhill, the contents of a binary
2 object could be in 10 different files?

3 A. Yes.

4 Q. So in our hypothetical where there are a
5 thousand different files of book drafts, page 1 of the
6 book is always the same.

7 The contents of that page 1 binary object
8 are present in all 1,000 files, right?

9 A. That is my understanding of Woodhill.

10 Q. So when, if there are 100 files at the remote
11 backup server 12 and Woodhill is backing up a binary
12 object No. 20, okay?

13 A. In some file?

14 Q. I'm sorry. Woodhill is backing up a binary
15 object for file No. 20?

16 A. I'm with you.

17 Q. Woodhill cannot determine if the contents of
18 that binary object are in any of the files 1 through 19
19 or 21 through 100 at the remote backup server 12, right?

20 MR. DICHIARA: Objection outside of the scope,
21 improper hypothetical, form.

22 A. The system does not do that.

1 Q. So Woodhill cannot figure out if a given
2 binary object -- let me rephrase that.

3 Woodhill cannot figure out if the
4 contents of a given binary object exists in other files
5 in the system, right?

6 MR. DICHIARA: Objection, form, outside of the
7 scope.

8 **A. That's right.**

9 Q. Let's take a file that has 10 binary objects
10 numbered 1 through 10, okay?

11 **A. Okay.**

12 Q. Can Woodhill figure out if the contents of
13 binary object No. 1 exists as any of the other binary
14 object numbers 1 through 10 --

15 MR. DICHIARA: Objection.

16 BY MR. RHOA:

17 Q. -- for that file?

18 MR. DICHIARA: I'm sorry. Objection, form,
19 outside of the scope.

20 **A. That is not how Woodhill works in my**
21 **understanding.**

22 Q. Why not? Why can't Woodhill figure that out?

1 MR. DICHIARA: Same objections.

2 A. I don't like to phrase it, he can't figure it
3 out, but that's not what the system does.

4 Q. Why doesn't Woodhill figure that out?

5 A. It wasn't --

6 MR. DICHIARA: Asked and answered.

7 A. Not the way the system was designed. He could
8 have figured that out, I guess, but that's not his
9 system.

10 Q. So Woodhill cannot figure out if the contents
11 of a given binary object exists in other files in the
12 system or in other binary objects of the same file; is
13 that right?

14 MR. DICHIARA: Objection misstates, outside of
15 the scope, and object to the form of the question.

16 A. So I would say -- I would not say he can't do
17 something. I would say his system doesn't do that.

18 Q. But his system does not do that, right?

19 A. Yes.

20 Q. It does not do that?

21 A. It does not do that.

22 Q. So Woodhill cannot figure out if the content

1 of a given binary object exists only at the remote
2 backup server 12 because Woodhill cannot figure out if
3 the content of that binary object exists in other files
4 at the local computers, correct?

5 MR. DICHIARA: Objection outside of the scope,
6 form of the question.

7 **A. I actually lost the scenario there.**

8 Q. I'll read it back.

9 **A. Okay.**

10 Q. So Woodhill cannot figure out if the contents
11 of a given binary object exists only at the remote
12 backup server 12 because Woodhill cannot figure out if
13 the contents of that binary object exists in other files
14 at the local computers, correct?

15 MR. DICHIARA: Same objections, outside of the
16 scope and form of the question.

17 **A. I didn't lose it this time. I think that is**
18 **correct. But again, I would say, I wouldn't say cannot.**
19 **That's not the way the system works.**

20 Q. Woodhill does not do that?

21 **A. Does not do that with respect to the contents,**
22 **the stream of bits that constitutes the binary object.**

1 Q. Do you have Woodhill in front of you?

2 A. I do.

3 Q. Can you turn to claim 3 of Woodhill?

4 (Witness doing as requested)

5 A. Okay.

6 Q. Before I go down that road, let me ask one
7 other question. I'm shifting backwards here, okay?

8 A. Okay.

9 Q. So similarly, Woodhill cannot figure out if
10 the contents of a given binary object exists only at a
11 local computer because Woodhill cannot figure out if the
12 contents of that binary object exists in other files
13 that are at the remote server 12, correct?

14 MR. DICHIARA: Same objections, outside of the
15 scope and form of the question.

16 A. So I think that's right with the proviso that
17 I still would rather not say that something can't
18 happen. But rather that's not the way the system works
19 in my understanding. And further that we are talking
20 about the sequence of bits and not about the binary
21 object, which Woodhill does establish as present here
22 and there because he's organized around binary objects

1 **as pieces of particular files.**

2 Q. Woodhill does not do that?

3 **A. Does not do --**

4 MR. DICHIARA: Objection, vague, outside of
5 the scope.

6 BY MR. RHOA:

7 Q. Does not do what we -- I'll withdraw it. I
8 understand what you're saying.

9 Back to claim 3 of Woodhill. Do you have
10 it in front of you?

11 **A. I do.**

12 Q. In claim 3 of Woodhill is in column 22; is
13 that right?

14 **A. Yes.**

15 Q. Above claim 3 is the bottom of claim 1. Do
16 you see that, the continuation of claim 1 that goes into
17 column 22?

18 **A. Yes.**

19 Q. At column 22, lines 3 and 4, it says "said
20 calculated Binary Object Identifier being saved as the
21 name of the associated binary object."

22 Do you see that?

1 **A. Yes.**

2 Q. Do you understand what the claims section of
3 Woodhill is and where that is?

4 MR. DICHIARA: Objection vague, outside of the
5 scope.

6 **A. I understand where claims appear normally in a
7 patent and here they are.**

8 Q. So you understand that the claims in Woodhill
9 are from column 21, line 56 up to column 24, line 47,
10 right?

11 **A. Yes.**

12 Q. Does Woodhill, other than in the claims,
13 describe saving a binary object identifier as the name
14 of the associated binary object?

15 MR. DICHIARA: Objection outside of the scope,
16 form.

17 **A. I am not positive. I think not.**

18 Q. Do you recall stating in several of your reply
19 declarations about Woodhill dividing something? Let me
20 rephrase that. I'll take you to something directly
21 here.

22 Do you have your reply declaration for

1 the '791 patent in front of you?

2 **A. Not on top yet. Yes.**

3 Q. On page 3 of that declaration, you state
4 "Woodhill's" --

5 MR. DICHIARA: Sorry.

6 BY MR. RHOA:

7 Q. Do you have page 3 in front of you?

8 **A. I do. He doesn't.**

9 MR. RHOA: Tell me when you're ready, Pete.

10 MR. DICHIARA: Okay.

11 BY MR. RHOA:

12 Q. At the beginning of paragraph 5 on page 3, you
13 state: "Woodhill's backup procedure creates backup
14 copies of files by dividing each file into one or more
15 data streams."

16 Do you see that?

17 **A. Yes.**

18 Q. Can you identify where Woodhill discloses
19 that?

20 (Witness reviewing)

21 **A. Yes. Starting in column 7, line 40, I want to
22 go to the picture also. "Program control then continues**

1 with step 132 where the Distributed Storage Manager
2 program 24 separates the file identified by the backup
3 queue record 75 currently being processed into its
4 component data streams."

5 And then I just want to check the -- what
6 the flowchart is 132.

7 (Witness reviewing)

8 A. It's flowchart in Figure 5A and 132 is a box
9 that is labeled separate file info data streams --
10 sorry. Separate file into data streams.

11 Q. Doesn't box 132 in Figure 5A of Woodhill
12 disclose separating a file into data streams where
13 streams is in the plural?

14 MR. DICHIARA: Objection outside the scope,
15 form of the question.

16 A. It uses the plural of streams. But a person
17 of skill in the art looking at this would understand it
18 could be just one stream.

19 Q. Please refer to column 7, where you cited in
20 Woodhill, column 7, lines 40 - 44. Are you there?

21 A. I am there.

22 MR. DICHIARA: I am not.

1 (Interruption from court reporter)

2 THE WITNESS: I just now said, in answer to
3 Mr. Rhoa's question, I was reading from column 7,
4 line 40 through 44.

5 BY MR. RHOA:

6 Q. Similarly, doesn't Woodhill, at columns
7 line 40 through 44, disclose separating the file into
8 multiple data streams, again, where streams is in the
9 plural?

10 A. I would give the same answer. That the word
11 "streams" is definitely in the plural, but a person of
12 ordinary skill in the art reading this would understand
13 that a file might have just one data stream.

14 Q. The letter S at the end of stream means that
15 streams is plural, right? You don't dispute that?

16 A. Well, looking at the word "streams" in
17 isolation, I would expect two streams.

18 Q. Two or more?

19 A. Two or more, right. But the phrase "process
20 into its component data streams" would mean to a person
21 of skill, you would separate the file into as many
22 streams as it has.

1 Q. Does Woodhill ever describe dividing a file
2 into one data stream?

3 A. Well --

4 MR. DICHIARA: I'm going to object to that
5 form of the question. That form of the question
6 invites him to review the document. It's unfair to
7 ask the question without giving him an opportunity
8 to do that.

9 A. So I would say yes because this very sentence
10 disclose -- what was the question? Says? Discloses?

11 Q. Does Woodhill ever describe dividing a file
12 into one data stream?

13 A. So I would say this very passage describes
14 that with the understanding of a person of skill in the
15 art.

16 Q. Which passage are you referring to?

17 A. The same one, 740 to 43, essentially.

18 Q. And you would say that even though streams is
19 in the plural there?

20 A. Yes.

21 MR. DICHIARA: Objection, asked and answered;
22 form of the question.

1 BY MR. RHOA:

2 Q. Is there any place else in Woodhill where you
3 would contend that that is disclosed?

4 MR. DICHIARA: Same objections as to form of
5 the question and unfair to ask that question
6 without giving him an opportunity to review it.

7 A. I would say at least at the figure where we
8 just were. The -- I forget what it was -- 5A. Yeah, 5A
9 box 132 separate file into data streams.

10 Q. And again, that says "streams" in the plural,
11 right?

12 A. Yes.

13 MR. DICHIARA: Same objections as before.

14 BY MR. RHOA:

15 Q. But you're alleging that means singular?

16 A. I didn't understand. Say --

17 Q. Even though Box 132 in Figure 5A of Woodhill
18 says "separating into data streams," plural --

19 A. Yes.

20 Q. -- your opinion is that that means a single
21 stream?

22 A. No. My opinion is that means as many streams

1 as that thing has. The text at column 7 was a little
2 clearer about separating the file into its component
3 data streams; that is however make up the file.

4 Q. Are there any other places in Woodhill where
5 you believe Woodhill describes separating a file into a
6 single data stream?

7 MR. DICHIARA: Same objections as before.

8 A. So that would require me to go through every
9 column to make sure.

10 Q. Are you aware of any other places in Woodhill,
11 as you sit here right now?

12 A. I am not.

13 Q. Please refer in Woodhill to column 4, lines --
14 let me back up. Different question:

15 Program 24 in Woodhill is the program
16 that handles the backup processes, right?

17 A. Program 24? Is that from a figure?
18 Distributed storage management program.

19 (Witness reviewing)

20 A. So that would -- I don't think that's all of
21 the program that does the backup function. I would
22 expect something at the backup -- what did they call it?

1 **The remote backup server.**

2 Q. Refer to column 4 in Woodhill line 62 to 64.

3 Do you see that?

4 **A. Yes.**

5 Q. So the backup procedures of Figures 5A through
6 5L in Woodhill are all handled by program 24, right?

7 MR. DICHIARA: Objection misstates the
8 document. You might want to look at the question.

9 MR. RHOA: I'll ask the question again because
10 it's a little weird on the screen here.

11 BY MR. RHOA:

12 Q. Referring to Woodhill column 4 lines 62
13 through 64, Woodhill discloses that the program 24
14 handles the procedures of Figures 5A through 5L, right?

15 **A. Is illustrated through by way of flowcharts in**
16 **5A and 5L. That's what it says.**

17 Q. Are there any backup procedures in Woodhill
18 that are not controlled by program 24, to your
19 knowledge?

20 **A. Controlled? To my knowledge, no.**

21 Q. So let's go to column 4 of Woodhill now, lines
22 14 to 15, okay? Are you there?

1 **A. Yes.**

2 Q. Woodhill states that "program 24 views a file
3 as a collection of data streams." You see that?

4 **A. Yes.**

5 Q. Does that indicate to you that a file is
6 separated into multiple data streams?

7 **A. It does not -- that passage does not suggest
8 to me it must be separated in multiple data streams. I
9 continue to say that one data stream is fine.**

10 Q. Does it indicate to you that a file is
11 separated into multiple data streams, that sentence?

12 MR. DICHIARA: Asked and answered.

13 BY MR. RHOA:

14 Q. You didn't answer the question.

15 MR. DICHIARA: I --

16 **A. So if you mean by multiple more than one, then
17 I would say that is not telling me that.**

18 Q. So you think the phrase "collection of data
19 streams," where "streams" is in the plural, you think
20 that covers a single data stream?

21 **A. Certainly, yes.**

22 Q. And what's your basis of that allegation?

1 A. That --

2 MR. DICHIARA: Objection.

3 A. Two bases, really. One is that is what a
4 person of ordinary skill in the art would understand
5 about this context. And second, a collection doesn't
6 mean plural.

7 I can have -- you know, I can be a stamp
8 collector of triangular stamps with upside down
9 airplanes. And I only own one of those in my collection
10 of one stamp.

11 Q. One of ordinary skill in the art would
12 understand that streams with an S at the end means
13 multiple streams, right?

14 A. Well --

15 MR. DICHIARA: Objection, asked and answered;
16 misstates testimony.

17 A. So separated from the context, if you put the
18 word "streams" on a flashcard in front of a person of
19 ordinary skill in the art without telling the person
20 what sort of streams or any context at all, a person
21 would say that's plural. That means more than one.

22 Q. Is there any place in Woodhill where Woodhill

1 describes a file going into a single data stream?

2 MR. DICHIARA: Same objection as before, form
3 of the question is unfair to ask without giving an
4 opportunity to review.

5 A. So we've been to a few places like that where
6 I've said that a person of ordinary skill would say that
7 showing this file of being divided into its component
8 data streams which could be just one data stream.

9 Q. And all three of those places in Woodhill use
10 stream with an S on the end to be plural, right?

11 A. That is right.

12 MR. DICHIARA: Objection, form.

13 BY MR. RHOA:

14 Q. What's your understanding of the meaning of
15 the word "collection"?

16 A. Collection is not particularly a term of art.
17 I would say it's a set. I think it has the ordinary
18 colloquial meaning here.

19 MR. RHOA: I would like to introduce an
20 exhibit Clark Reply Exhibit 3.

21 (Exhibit 3 marked for identification)

22 BY MR. RHOA:

1 Q. Do you have Clark Exhibit 3 in front of you?

2 A. I do.

3 Q. Does this appear to be the excerpt from the
4 American Heritage Dictionary?

5 A. A very old one, yes.

6 Q. Is the word "collection" defined in Exhibit 3
7 in this dictionary?

8 (Witness reviewing)

9 A. Yes, it is.

10 Q. Are there any definitions of collection in
11 Exhibit 3 that you disagree with?

12 (Witness reviewing)

13 MR. DICHIARA: Objection to form, outside the
14 scope.

15 A. No, I don't disagree with any of them.

16 Q. So the definition that collection "means a
17 group of objects or works to be seen, studied or kept
18 together," do you think that's a reasonable definition
19 of collection?

20 A. Yes. And I further interpret that to mean
21 that the group of objects could have one object. My
22 stamp collection isn't not -- doesn't fail to be a

1 **collection just because I only have one thing.**

2 Q. Your testimony is that group can be one?

3 **A. Yes.**

4 Q. Don't you think that's a little strange?

5 MR. DICHIARA: Objection, argumentative; form
6 of the question.

7 **A. I don't find it strange, no, I don't.**

8 Q. So at Woodhill column 4, line 15, it says
9 "collection of data streams," right?

10 **A. Yes.**

11 Q. If we replace collection with group, it would
12 say "group of data streams," right?

13 **A. Yes.**

14 Q. And your testimony is that group of data
15 streams means one data stream?

16 **A. No.**

17 MR. DICHIARA: Objection misstates.

18 **A. No. I would say it -- it includes the**
19 **possibility of one as interpreted in this context by a**
20 **person of ordinary skill in the art.**

21 Q. You don't think that the phrase, group of data
22 streams, requires multiple data streams?

1 MR. DICHIARA: Objection, asked and answered.

2 A. So the hypothetical is the word "collection"
3 is replaced by the word "group." And then I put this in
4 front of a person of skill in the art. And what does
5 that person think about the possibility of one data
6 stream in that group.

7 And that person would say Fine with me.

8 Q. Do you think Woodhill is actually describing
9 one data stream here?

10 MR. DICHIARA: Asked and answered multiple
11 times already.

12 A. So he includes the possibility of one and all
13 of the algorithms that work with one.

14 Q. Woodhill describes a granularization
15 procedure, right?

16 A. Yes.

17 Q. Is Woodhill's granularization procedure used
18 for large database files with multiple binary objects?

19 A. Yes.

20 Q. There are granules in Woodhill's
21 granularization procedure, right?

22 A. Yes.

1 Q. Are the granules in Woodhill named files?

2 MR. DICHIARA: Outside of the scope of reply
3 declaration; form of the question.

4 A. Can I be reminded of whether the named file is
5 something that somebody construed? I don't remember.

6 Q. Use your ordinary meaning in the art of named
7 file, are the granules in Woodhill's granularization
8 procedure named files?

9 (Witness reviewing)

10 A. I think not.

11 Q. Do the granules have filenames?

12 A. I think not.

13 Q. Is a hash ever applied to multiple granules in
14 Woodhill?

15 A. Yes.

16 MR. DICHIARA: Objection, form.

17 BY MR. RHOA:

18 Q. So your testimony is that a hash is applied to
19 multiple granules in Woodhill?

20 A. Yes.

21 Q. Where?

22 A. When the shadow file that -- the shadow file

1 of a binary object consisting of a sequence of granules,
2 when that shadow file is backed up, that shadow file
3 gets its own -- becomes it's own BOB. And gets its own
4 BOB ID. And there's a hash of that set of granules.

5 Q. Is the shadow file a granule?

6 MR. DICHIARA: Objection, form.

7 A. The shadow file is not a granule.

8 Q. So let me ask the question again. Try to
9 listen to it and answer the question --

10 MR. DICHIARA: I don't like that tone. He
11 answered the question you asked. Maybe you didn't
12 ask the question --

13 BY MR. RHOA:

14 Q. Is a hash ever applied to multiple granules in
15 Woodhill?

16 MR. DICHIARA: Objection to the form.

17 A. Yes. And when that happens, the reason that
18 happens is that a shadow file, collection of granules,
19 is backed up by the ordinary file backup process. And a
20 hash is taken to compute the BOB ID for the BOB that
21 consists of the granules.

22 Q. What's a shadow file made up of?

1 MR. DICHIARA: Outside of the scope.

2 A. I think not.

3 Q. Is a hash ever applied to multiple binary
4 objects in Woodhill?

5 MR. DICHIARA: Same objection outside the
6 scope.

7 A. No, it isn't.

8 Q. Can you pull up your reply declaration for the
9 '544 patent, please?

10 (Witness doing as requested)

11

12 A. Yes.

13 Q. Turn to page 5. Are you there?

14 A. I am there.

15 Q. Last four lines of paragraph 7, you state "One
16 of ordinary skill in the art would understand that the
17 only logical place for shadow files to be stored is on
18 the disk drives of the local computer that stores and
19 processes the binary objects being backed up using the
20 granularization technique."

21 Do you see that?

22 A. Yes.

1 Q. Does Woodhill ever expressly state that?

2 MR. DICHIARA: Objection, form of the
3 question.

4 A. I do not know whether he ever expressly states
5 that, but that would be the understanding of a person of
6 skill reading this. Not reading my declaration but
7 reading Woodhill.

8 Q. Is it possible that shadow files are stored
9 somewhere else in Woodhill?

10 MR. DICHIARA: Objection form of the question.

11 A. I don't know what other candidate there would
12 be. I'm finished.

13 Q. Is it possible that shadow files could be
14 stored somewhere else in Woodhill?

15 MR. DICHIARA: Asked and answered; form of the
16 question.

17 A. So I would say --

18 MR. DICHIARA: Outside of the scope.

19 A. -- that is not what a person of skill would
20 think happens in Woodhill. There's always ways you can
21 redesign the system in different ways. But that's not
22 my understanding of what happens in Woodhill.

1 Q. Is it possible?

2 MR. DICHIARA: Same objections, outside the
3 scope, form, asked and answered.

4 A. I -- you can do things in a lot of ways, but I
5 think if you did that, you wouldn't be Woodhill.

6 Q. Does Woodhill describe the format in which
7 shadow files are stored?

8 MR. DICHIARA: Objection form of the question.

9 A. I don't believe so. On the other hand, a file
10 is a file and there's no particular format for this one.

11 Q. Could Woodhill's shadow files be stored as ZIP
12 files?

13 A. Oh --

14 MR. DICHIARA: Objection, improper
15 hypothetical, form of the question, outside of the
16 scope of the reply declaration.

17 A. But intriguing. Could a shadow file -- you
18 can zip anything, so you can zip a shadow file, sure.

19 Q. And Zip files existed at the time of Woodhill,
20 right?

21 MR. DICHIARA: Same set of objections; outside
22 the scope.

1 **A. Yes.**

2 Q. So multiple shadow files could be stored in
3 one ZIP file with Woodhill, right?

4 MR. DICHIARA: Same objection, improper
5 hypothetical.

6 **A. You can zip anything, sure.**

7 MR. RHOA: No further questions on cross.
8 Reserve the right to recross if appropriate.

9 MR. DICHIARA: Short break?

10 MR. RHOA: Sure.

11 (Short Recess)

12

13 REDIRECT EXAMINATION

14 BY MR. DICHIARA:

15 Q. Dr. Clark, do you recall a little bit earlier
16 that Mr. Rhoa was asking you some questions about
17 Woodhill and specifically asked you about the phrase
18 "collection of streams" and particularly just the word
19 "stream"?

20 **A. Yes.**

21 Q. All right. Do you have Woodhill patent in
22 front of you?

1 Q. Do you have an opinion whether a file
2 comprised of one binary object would have zero, one,
3 two, three, any number of streams? Do you have any
4 sense of how many streams would be involved for a file
5 with one binary object?

6 A. I think with one binary object, you would have
7 one stream.

8 Q. And you're certain about that; is that right?

9 A. I am not certain. It's just that makes sense.

10 Q. And then I'm going to also ask you to turn to
11 column 4. And specifically starting around line 15 and
12 extending down to about line 19, you see there that
13 there is a sentence that says "The data stream is
14 defined as distinct collection of data within the file
15 that may be changed independently from other distinct
16 data within the file," right?

17 So far so good?

18 A. Yes.

19 Q. And it says, "For example, a file may contain
20 its normal data and may also contain extended attribute
21 data."

22 Do you have an opinion about how a person

1 of skill in the art would interpret that second
2 sentence, where it says a file may contain its normal
3 data and may also contain extended attribute data, as it
4 relates to the number of streams that may be in a file?

5 **A. So may contain this and may also contain that**
6 **suggests to me that a file may contain one or the other**
7 **or both or neither.**

8 Q. And I believe that there were points where
9 Mr. Rhoa was asking you about directories. Do you
10 remember that?

11 MR. RHOA: Objection, beyond the scope.

12 **A. I don't remember directories.**

13 Q. Do you have an understanding about what a file
14 directory is?

15 **A. Yes.**

16 MR. RHOA: Objection, beyond the scope of
17 cross.

18 BY MR. DICHIARA:

19 Q. And do you have an opinion about whether a
20 directory is a collection of files --

21 MR. RHOA: Objection.

22 BY MR. DICHIARA:

1 Q. -- or a group of files?

2 MR. RHOA: Objection, beyond the scope of
3 cross. It's also leading.

4 **A. Do I have an opinion about whether a**
5 **directory --**

6 Q. First, I'm going to ask if you have an
7 opinion, and then I'm going to ask you what that is.

8 MR. RHOA: Same objections.

9 **A. I lost the question now.**

10 Q. Do you have an opinion about whether a
11 directory is a collection of files?

12 MR. RHOA: Objection beyond the scope of the
13 cross.

14 **A. In the computer biz, I do have an opinion.**

15 Q. And what is that opinion?

16 MR. RHOA: Objection beyond the scope of
17 cross.

18 **A. A directory can be a collection of files. It**
19 **can be a collection of other directories. It can be a**
20 **collection involving some files and some directories.**

21 Q. Do you have any --

22 **A. I think that's it.**

1 Q. -- opinion about whether there's a limitation
2 on a minimum number of files in a directory?

3 MR. RHOA: Objection beyond the scope of the
4 cross.

5 BY MR. DICHIARA:

6 Q. And if so, what is that?

7 MR. RHOA: It's also leading. Same
8 objections.

9 **A. I also have -- I lost the question again.**

10 Q. Do you have an opinion about whether there is
11 a minimum number of files in a directory? And if, so
12 what is that?

13 MR. RHOA: Objection beyond the scope of
14 cross.

15 **A. Yes, zero.**

16 Q. Even though it says "files"?

17 **A. I've had directories with nothing in them. I
18 have plenty of those.**

19 Q. And do you have the exhibit Mr. Rhoa handed
20 you earlier, Clark Reply Exhibit 3? It's the
21 dictionary.

22 **A. Now I do.**

1 Q. And he asked you about the definition of
2 collections in connection with this dictionary, right?

3 A. Yes.

4 Q. And there's several definitions there, right?

5 A. Yes.

6 Q. And if you take a look at some that say things
7 like a collection of monies as in church with a sum
8 collected, do you have an opinion about whether there's
9 any minimum amount of money that must be collected for
10 that definition?

11 MR. RHOA: Objection, leading.

12 BY MR. DICHIARA:

13 Q. And if so, what is that?

14 MR. RHOA: Objection, leading.

15 A. I hope that churches always collect some
16 money, but I don't see any limit to -- lower limit to
17 the amount they could collect.

18 Q. Okay. So I'm going to move on to Kantor, the
19 Kantor exhibit behind you?

20 A. I left him on the floor.

21 Q. Okay. So that's the one that's marked EMC
22 1004 in the lower right-hand corner?

1 **A. Yes.**

2 Q. And I'm going to ask you to turn to pages --
3 bottom of 2 to 3. And that's the real 2 and 3, not the
4 preface 2 and 3?

5 **A. Where there are little Roman numerals ii and**
6 **iii?**

7 Q. Right, which should say in the upper right
8 corner "page 2." Just like that. (Indicating)

9 The Overview and Introduction section, if
10 that helps you?

11 **A. I'm on page 2.**

12 Q. You see at the bottom where it says "purpose"?
13 I would like you to review that and the bridging
14 paragraph on the top of page 3.

15 (Witness reviewing)

16 THE WITNESS: Okay. I've read that.

17 BY MR. DICHIARA:

18 Q. All right. And when Mr. Rhoa was asking you
19 questions before about compression, he didn't direct to
20 you that passage, did he?

21 **A. No.**

22 Q. And in that passage, it says "FWKCS can

1 produce a kind of contents signature," quote/unquote,
2 "which does not depend on filenames, dates, order of
3 collection, nor method nor amount of compression." And
4 then it continues on, right?

5 **A. Yes.**

6 Q. Would a person of skill in the art have an
7 understanding about what Kantor was saying when he was
8 saying "nor method nor amount of compression?"

9 **A. I think it's pretty clear on its face, but**
10 **clearer still, when you realize he's talking about Zip**
11 **files which will shortly be, I guess --**

12 Q. What is that understanding that someone would
13 know from reading that passage?

14 **A. Knowing that it's a Zip file, it's -- there's**
15 **various methods of compression, and -- and they achieve**
16 **various amounts of compression. And one of the methods**
17 **that achieves no amount of compression.**

18 Q. And that would be method zero; is that
19 correct.

20 **A. That's correct.**

21 Q. And do you recall that Mr. Rhoa was asking you
22 about the Exclude feature earlier?

1 it's inside a zipfile, "right?"

2 **A. That's what it says.**

3 Q. Would a person of skill in the art reading
4 that have some understanding what Kantor was saying or
5 meaning with that sentence? And if so, what is that?

6 **A. I think that the meaning that a person of
7 skill in the art would already have about the Exclude
8 feature, that it causes the deletion of all files with
9 the matching contents signatures.**

10 Q. I'm going to ask you to turn to page 82.

11 (Witness doing as requested)

12 BY MR. DICHIARA:

13 Q. And Mr. Rhoa didn't ask you any questions
14 about page 82 when he was asking you to comment on the
15 Exclude feature, did he?

16 **A. I don't think he did.**

17 Q. So do you see there, maybe two paragraphs up,
18 there's one that starts off saying "virus testing"?

19 **A. Yes.**

20 Q. "File integrity testing, testing for x_flag,
21 for example, to exclude known commercial files and known
22 trojans, and the like are treated the same whether

1 UPLOAD or ATTACH," right?

2 **A. Yes.**

3 Q. Would a person skilled in the art have an
4 understanding about what that passage was meaning in
5 terms of the X flag? If so, what is that?

6 **A. I didn't -- I actually don't understand your
7 question.**

8 Q. Okay. Let me back up. Let me just ask you
9 about the paragraph in general.

10 Would a person of skill in the art have
11 an understanding about what that paragraph was saying?

12 **A. I think a person of skill in the art would
13 have to read back in the document, to figure out what
14 upload and attach were about.**

15 Q. Okay. But earlier, Mr. Rhoa was asking you
16 about the upload log file, for example. Do you recall
17 that?

18 **A. Yes.**

19 Q. And he was asking you about the X flag that's
20 used in the Exclude feature?

21 **A. Yes.**

22 Q. If I remember correctly, you had some mention

1 whether this would be used to ban files for all kind
2 because they might have malware or viruses, but there
3 was some difficulty when he was asking you, can you
4 point to a spot in a 200-page document that referred to
5 that.

6 Do you remember that?

7 MR. RHOA: Objection, leading.

8 **A. I do remember.**

9 Q. And he didn't point you to page 82, did he?

10 **A. No, nor I him.**

11 Q. Is this referring to the testimony you had
12 earlier about what a person of skill in the art would
13 understand in connection with viruses?

14 MR. RHOA: Objection, leading.

15 **A. So it is an example of a thing of which I did**
16 **not produce in my cross; namely that an application of**
17 **the X -- the Exclude feature of the X flag would be**
18 **excluding known bad files, namely Trojans is a name for**
19 **a certain kind of attacking file.**

20 Q. And I'm going to shift gears from the Exclude
21 feature.

22 Do you recall Mr. Rhoa asking you about

1 how Kantor formed a Zip file contents signatures, the
2 ZCS procedure in --

3 **A. Oh, we talked about the y and the z procedure.**

4 Q. Right. How does Kantor perform a Zip file
5 contents signature?

6 **A. So the Zip file contents signature, the Z
7 kind, that's what the Zip file contents signature, is
8 the Z variety, composes the modulo 2 to the 32 sum of
9 the CRCs of the component files. And the mod 2 to the
10 32 sum of the lengths of the uncompressed, if they're
11 uncompressed, just the lengths, the lengths of the inner
12 files. And those two things constitute the Zip file
13 contents signature.**

14 Q. Okay. And then I'm going to ask you in
15 connection with the True Name patents that Mr. Rhoa was
16 asking you about, '791 patent, '544, '539, and so forth,
17 right?

18 They have a notion of a compound data
19 item, right?

20 **A. Yes.**

21 Q. How do the True Name patents form -- how do
22 those patents form a True Name for a compound data item?

1 A. True Name of a compound data item is a True
2 Name of -- is the -- is the -- just say hash function of
3 the -- hash functions of the components.

4 Q. Of the components meaning the segment?

5 A. The pieces into which a file was divided, a
6 compound file, the pieces of the compound file.

7 Q. Okay. True Name of the segment True Names?

8 A. It's the hash of the hashes of the segments or
9 the True Name of the -- I guess that would be the True
10 Name of the set of True Names.

11 Q. And in terms of just focusing on that how
12 Kantor formed a Zip file contents signature in
13 comparison to the way the True Name patents formed a
14 True Name of the compound data item, are those processes
15 the same or different?

16 A. They are different in that the -- oh, no. I
17 think Kantor has a length also. Not Kantor. The True
18 Name patents, I think, also have a length, but I think
19 that's optional.

20 So if you have a True Name that does not
21 include a length, then at least in that respect the True
22 Name computation would be different from the Kantor Zip

1 **file contents signature calculation.**

2 Q. But if it had the length, would they be
3 similar or different?

4 MR. RHOA: Objection, form.

5 **A. If it had the length, they would be -- if we**
6 **had the length, they would be more similar.**

7 Q. And do you recall that Mr. Rhoa was asking you
8 some questions about the second data item in the
9 patents?

10 **A. Yes.**

11 Q. Okay. And in terms of Kantor, he asked you
12 some questions about where does Kantor have in this
13 second data item. Do you remember that?

14 **A. Yes.**

15 Q. And he was asking you whether the second data
16 item, whether it contains or not the contents signatures
17 for the inner file. Do you recall that?

18 **A. I don't remember the questions, but I remember**
19 **the subject.**

20 Q. Was that a fair characterization of the
21 questions. Maybe not verbatim but the line of
22 questioning he was asking you?

1 MR. RHOA: Objection leading.

2 A. As I recall, it was.

3 Q. So in that second data item, what is the data
4 of the second data item?

5 A. The data of the second data item is the
6 contents signatures of the inner files.

7 Q. And in turning to the patent, the patent is
8 the second data item, right? The True Names have a
9 second data item, right?

10 A. Yes.

11 Q. And what is the data of the second data item
12 in the patent?

13 A. Those are the True Names of the segments of
14 the compound file.

15 Q. Are those second data items in the patent and
16 the second data item in Kantor similar or different?

17 A. They are very similar.

18 MR. DICHIARA: I have no further questions.

19 MR. RHOA: Is he going to read and sign?

20 MR. DICHIARA: Yeah, I think. Do we have a
21 choice? In terms of the read and sign, we can go
22 off the record on this.

1

(Off Record Discussion)

2

(Deposition concluded at 3:15 p.m.)

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

ACKNOWLEDGMENT OF DEPONENT

I, Douglas Clark, Ph.D., do hereby
acknowledge that I have read and examined the
foregoing testimony, and the same is a true, correct
and complete transcription of the testimony given by
me, and any corrections appear on the attached Errata
Sheet signed by me.

10/29/13
(DATE)

/Douglas Clark/
(SIGNATURE)

ERRATA SHEET

Deponent: Douglas W. Clark, Ph.D.

Date: October 24, 2013

Page/Line	Correction
9:9	"Miss Vreeland" to "Ms. Vreeland"
14:3	"Scoping" to "Copying"
28:16	"re marking material for exclusion, C" to " 're marking material for exclusion, see' "
30:4	"and" to "an"
35:16	"in" to "if"
41:14	"bare" to "there"
64:7	"float" to "flow"
103:17	"740" to "7:40"
105:3	"make" to "many make"
112:13	"that" to "would"
120:12	"hot" to "not"

10/29/13

/Douglas W. Clark/

Date

Douglas W. Clark, Ph.D.

IPR2013-00082 (U.S. Patent No. 5,978,791)
IPR2013-00083 (U.S. Patent No. 6,415,280)
IPR2013-00084 (U.S. Patent No. 7,945,544)
IPR2013-00085 (U.S. Patent No. 7,945,539)
IPR2013-00086 (U.S. Patent No. 7,949,662)
IPR2013-00087 (U.S. Patent No. 8,001,096)