

1 UNITED STATES PATENT AND TRADEMARK OFFICE  
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD  
3

4	XILINX, INC.	)	
	Petitioner,	)	
5		)	
		)	
6		)	Case Nos.:
	vs.	)	IPR2013-00029 & 00112
7		)	
	INTELLECTUAL VENTURES I LLC,	)	
8	Patent Owner.	)	
		)	
9		)	
		)	
10	_____	)	

11  
12  
13  
14 VIDEOTAPED DEPOSITION OF  
15 ROBERT SMITH-GILLESPIE  
16 Volume I (Pages 1 through 165)  
17 Taken in behalf of the Petitioner  
18 Thursday, August 29, 2013  
19  
20  
21  
22  
23  
24  
25

1 BE IT REMEMBERED that the deposition of ROBERT  
2 SMITH-GILLESPIE, Volume I, was taken before Victoria A.  
3 Guerrero, Notary Public and CSR, RPR, CRR, on Thursday,  
4 August 29, 2013, commencing at the hour of 9:25 a.m., in  
5 the conference room of the law firm of Schwabe  
6 Williamson & Wyatt, PC, in the City of Portland, County  
7 of Multnomah, State of Oregon.

8  
9 -:-

10 APPEARANCES:

11  
12 For the Petitioner:

13  
14 HAYNES BOONE  
15 THOMAS KING  
16 1800 Von Karman, Suite 750  
17 Irvine, California 92612-0169  
18 Phone 949.202.3059 Fax 949.202.3159  
19 E-mail:  
20 Thomas.king@haynesboone.com

21  
22 For the Patent Owner:

23 FOLEY & LARDNER LLP  
24 GEORGE E. QUILLIN  
25 CHRIS KALAFUT  
3000 K Street, NW, Suite 500  
Washington, DC 20007-5143  
Phone 202.672.5413 Fax 202.672.5399  
E-mail:  
Gquillin@foley.com  
E-mail:  
Ckalafut@foley.com

1 APPEARANCES: (cont'd)

2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

ALSO PRESENT:

Walter Sanford, Videographer

Don Coulman, Intellectual Ventures IP Attorney

INDEX TO EXAMINATION

WITNESS: Robert Smith-Gillespie

EXAMINATION:	PAGE	LINE
Mr. King	8	22

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25



INDEX TO EXHIBITS  
 ROBERT SMITH-GILLESPIE  
 Xilinx, Inc. v Intellectual Ventures I LLC  
 Thursday, August 29, 2013  
 Victoria A. Guerrero, CSR, RPR, CRR

MARKED	DESCRIPTION	PAGE	LINE
Exhibit 1	'545 patent; Exhibit No. 1001 (4 pages)	10	2
Exhibit 2	'334 patent; Exhibit No. 1001 (6 pages)	10	4
Exhibit 3	Declaration of Robert Smith-Gillepsie in '334 action; Exhibit No. 2008 (33 pages)	35	16
Exhibit 4	Takanashi reference, US Patent No. 5,264,951; Exhibit No. 1003 (23 pages)	75	18
Exhibit 5	Tannas reference, US Patent No. '334; Exhibit No. 2012 (21 pages)	87	19
Exhibit 6	Lee Patent, Exhibit 1004 (8 pages)	98	10
Exhibit 7	Declaration of Bruce Buckman, Ph.D., in '334 action; Exhibit No. 1005 (57 pages)	114	22
Exhibit 8	Board's Decision in the '334 proceeding dated 6-17-13, Paper No. 14 (27 pages)	115	22
Exhibit 9	Board's Decision in the '545 proceeding dated 3-12-13, Paper No. 11 (29 pages)	116	20

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

INDEX TO EXHIBITS (cont'd)  
 ROBERT SMITH-GILLESPIE  
 Xilinx, Inc. v Intellectual Ventures I LLC  
 Thursday, August 29, 2013  
 Victoria A. Guerrero, CSR, RPR, CRR

MARKED	DESCRIPTION	PAGE	LINE
Exhibit 10	Declaration of Robert Smith-Gillespie in the '545 matter; Exhibit No. 2005 (33 pages)	117	21
Exhibit 11	Lackner reference in '545 matter, US Patent No. 5,170,271; Exhibit 2011 (13 pages)	147	1
Exhibit 12	Declaration of Bruce Buckman in the '545 action; Exhibit No. 1006 (32 pages)	158	6

1 Thursday, August 29, 2013; 9:25 a.m.

2 Portland, Oregon

3  
4 THE VIDEOGRAPHER: Good morning. My name is  
5 Walter Sanford, the videographer for I Witness Video  
6 Group of Irvine, California.

7 This marks the beginning of Media No. 1 for the  
8 deposition of Robert Smith-Gillespie in the matter of  
9 Xilinx Incorporated, petitioner, versus Intellectual  
10 Ventures I, LLC, patent owner, pending before the Patent  
11 Trial and Appeal Board of the United States, Patent and  
12 Trademark Office, Case No. IPR2013-00029, regarding  
13 Patent No. 5,632,545, under the title of Enhanced Video  
14 Projection System; and Xilinx Incorporated, petitioner,  
15 v Intellectual Ventures I, LLC, patent owner, pending  
16 before the Patent Trial and Appeal Board of the United  
17 States Patent and Trademark Office, Case No.  
18 IPR2013-0012 (sic), regarding Patent No. 5,779,334 under  
19 the title of Enhanced Video Projection system.

20 This deposition is being taken on behalf of 9:25AM  
21 Xilinx Incorporated and is being held at the Pac West  
22 Center, Suite 1900, 1121 SW Fifth Avenue, Portland,  
23 Oregon 97204 on Thursday, August 29th, 2013.

24 We are now going on the record. The time is  
25 approximately 9:25 Pacific Daylight Time. Counsel and 9:25AM

1 all others present will now identify themselves for the 9:25AM  
2 record beginning with the deponent.

3 THE WITNESS: Oh, Robert Smith-Gillespie.

4 MR. QUILLIN: I'm George Quillin on behalf of  
5 the patent owner, Intellectual Ventures. With me is my 9:25AM  
6 colleague, Chris Kalafut. Both of us are from the law  
7 firm of Foley & Lardner LLP. And also with us is a  
8 representative from patent owner, Mr. Don Coulman.

9 MR. KING: Tom King from Haynes & Boone here on  
10 behalf of Xilinx. 9:25AM

11 THE VIDEOGRAPHER: The court reporter will now  
12 administer the oath.

13 ooOoo

14 ROBERT SMITH-GILLESPIE,  
15 having first been sworn by the Notary Public, 9:26AM  
16 testified under oath as follows:

17  
18 EXAMINATION

19  
20 BY MR. KING: 9:26AM

21 Q Good morning.

22 A Morning.

23 Q Would you please state your name and address,  
24 or your address for the record?

25 A Home address? 9:26AM

1 Q Yes. 9:26AM

2 A 2790 Timberline Drive, Eugene, Oregon.

3 Q Now, I understand you've been deposed before?

4 A I have.

5 Q Approximately how many times? 9:26AM

6 A Probably around ten times.

7 Q Always in patent matters?

8 A Yes.

9 Q Now, I understand that since you've been  
10 deposed before you're probably familiar with the ground 9:26AM  
11 rules for depositions?

12 A Yes, I am.

13 Q And so I don't want to spend a lot of time on  
14 this, but you understand that we're here to have a  
15 conversation about the opinions that you've expressed on 9:26AM  
16 two different patents, right?

17 A That's my understanding, yes.

18 Q Okay. And you understand that Mr. Quillin here  
19 is here to represent interests of his client,  
20 Intellectual Ventures, right? 9:27AM

21 A Yes.

22 Q And from time to time he'll make objections;  
23 but notwithstanding his objections, you understand that  
24 you need to respond to my questions, right?

25 A I do. 9:27AM

1 Q I'm going to hand you two exhibits. 9:27AM

2 (Exhibit 1, Exhibit No. 1001 from '545 IPR, was  
3 marked.)

4 (Exhibit 2, Exhibit No. 1001 from '334 IPR;  
5 Exhibit No. 1001, was marked.) 9:27AM

6 BY MR. KING:

7 Q The first I'm going to mark as Exhibit 1. This  
8 is Exhibit 1001 from the '545 IPR.

9 Have you seen this document before?

10 A Yes, I have. 9:27AM

11 Q And you understand that this is the patent at  
12 issue in the '545 IPR?

13 A Yes, I do.

14 Q Okay. I'm also going to hand you what I've  
15 marked as Exhibit 2. And this is Exhibit 1001 in the 9:28AM  
16 '334 matter. And it's the '334 patent, correct?

17 A That's correct.

18 Q Now, I understand that you have some experience  
19 with liquid crystal displays, right?

20 A I do. 9:28AM

21 Q Can you describe your experience with LCDs for  
22 me?

23 A Sure. I worked beginning in around 1989 doing  
24 display design and research and development for control  
25 panel and user interface displays on commercial aircraft 9:29AM

1 while I was at Honeywell. 9:29AM

2 Worked really well LCD manufacturers in the US  
3 to develop some control panel LCDs. The lighting  
4 associated with those LCDs as well. Then moved on to  
5 larger displays for instruments such as what's called an 9:29AM  
6 attitude position indicators, TCOS. They're roughly  
7 3 1/2 inch square displays.

8 Then was involved in the development of the  
9 triple seven large format LCDs, active matrix,  
10 eight-inch displays. For that program I was responsible 9:30AM  
11 primarily for the backlight in the beginning and then  
12 LCD supplier optimization for the actual TFC cell.

13 After my work in Honeywell I moved on to  
14 Three-Five Systems where Three-Five Systems was a  
15 manufacturer of liquid crystal displays for cell phone, 9:30AM  
16 medical devices, office instrumentation; that sort of  
17 thing.

18 They had an automated processing line in  
19 Arizona. So we not only designed and -- designed the  
20 displays, we built the LCDs as well. Though, for 9:30AM  
21 back-end processes we sent those elsewhere.

22 So I was involved in, most of the time,  
23 optimizing the display assembly, not doing the LCD --  
24 the LCD design itself, but basically characterizing the  
25 LCD performance, designing backlight systems, ancillary 9:31AM

1 requirements for it. 9:31AM

2 Then beyond that, I just stayed involved doing  
3 product design, recordization for liquid crystal panels.  
4 Because after -- after those displays, pretty much  
5 everything is built in Asia and now purchased as what we 9:31AM  
6 call commercial off-the-shelf and then modified as  
7 necessary.

8 But I've been basically working in the LCD  
9 field for over 25 years.

10 Q Okay. I noticed that you mentioned lighting 9:31AM  
11 and backlighting a couple times in your response.

12 Can you explain to me what that means?

13 A Most LCDs, not all, but most are passive  
14 devices that require some sort of illumination. Well,  
15 all LCDs are passive devices. Some require rear 9:32AM  
16 illumination, some utilize front illumination, such as,  
17 say, a calculator or watch display.

18 So most of the product I've been involved with  
19 have either been transmissivity requiring backlighting  
20 or transflective requiring backlighting and optimization 9:32AM  
21 of front surface properties as well.

22 Q Transflective; what does that mean?

23 A Means that the display acts in both  
24 transmissivity and reflective modes depending upon the  
25 ambient environment. 9:32AM



1 Q Can you explain that in lay terms? 9:32AM

2 A Okay. So on your watch you have a little back  
3 light. And when it gets dark you press the button, at  
4 least I do, and the little Indiglo thing comes on and I  
5 see the characters illuminated from behind. 9:33AM

6 But in daylight mode it operates in reflective.  
7 You have dark characters on kind of a silver background  
8 and we're just using the ambient illumination.

9 Q I thought I heard you say that you, at least  
10 right now, you don't build LCD panels; is that right? 9:33AM

11 A One of the companies that I'm involved with, I  
12 guess -- let me clarify what I did say. I said  
13 primarily LCD panels nowadays are built in Asia. And --  
14 for active matrix cells, at least. And they're brought  
15 in as modules. And typically, the involvement now is 9:33AM  
16 more around using commercial off-the-shelf product and  
17 modifying it.

18 So disassembling the product and enhancing it  
19 in various ways through a lot of what I've done is  
20 backlight design for conversion to very high bright 9:34AM  
21 backlights for outdoor applications.

22 Night vision, filtering, and then also  
23 installing heaters to extend operating temperature  
24 range, you know, characterizing the displays to  
25 provide -- figure out how to provide the best 9:34AM

1 performance and meet customers' needs in rugged -- in a 9:34AM  
2 rugged environment.

3 Q Okay. I want to get a sense for where in the  
4 LCD space you have expertise. So why don't I ask it  
5 this way: Can you, at a high level, just describe the 9:35AM  
6 key components of a typical LCD panel in, say, 1993?

7 A Okay. Starting with the liquid crystal cell,  
8 you essentially have two glass plates separated by some  
9 small space, roughly three -- well, in 1993 it would be  
10 probably around five microns. The cell is sealed with 9:35AM  
11 an epoxy perimeter seal between the two pieces of glass.  
12 Well, really on the inside if you're drawing. And then  
13 it's filled with fluid.

14 Q Would it help to draw a picture?

15 A I'll explain it. The cell is filled with a 9:35AM  
16 liquid crystal fluid and then a little plug seal is  
17 administered. And inside the liquid crystal display  
18 would be, for the type of displays that I've worked on,  
19 would be the, basically, the electrodes for the pixels,  
20 or if you want to look at character displays also, the 9:36AM  
21 characters themselves, segment electrodes, those are  
22 usually formed on the glass as a transparent metal oxide  
23 called Indium tin oxide, which is installed via  
24 photolithographic process or a pattern via  
25 photolithographic process. 9:36AM

1           In active matrix displays there's additionally           9:36AM  
2 thin film transistors that are formed on one glass that  
3 connect to each of the pixels in the cell. And then  
4 also to row and column drivers. And further on the  
5 other glass that does not have the active matrix array           9:37AM  
6 form on it, there would be color filters formed.

7           Typically stripes of red, green, and blue, one  
8 per pixel, corresponding each to a TFT, thin film  
9 transistor on the opposite plate. So that's the cell.

10 Attached to the cell are electronic components --           9:37AM

11         Q    Can we stop with the cell?

12         A    Okay.

13         Q    I'll come back to this, but I just have a  
14 couple questions about the cell.

15         A    Okay.   9:37AM

16         Q    So I just want to make sure I have this  
17 straight. In an LCD cell there are two glass plates  
18 that are separated by a very small distance, right?

19         A    Yes.

20         Q    And in between those plates there is -- there           9:37AM  
21 are liquid crystal molecules that are trapped between  
22 those plates, right?

23         A    Yeah. Fluid contained between, yeah. Okay.

24         Q    And then one of the plates has an electrode on  
25 it made out of Indium tin oxide? Or is that on both of           9:38AM

1 the plates? 9:38AM

2 A That would be on both of the plates.

3 Q Is that on the side of the glass plate that is  
4 touching the liquid crystal or on the side of the glass  
5 plate that's not touching the liquid crystal? 9:38AM

6 A It's on the side of the glass plate that's  
7 touching the liquid crystal.

8 Q And then you mentioned some thin film  
9 transistors that make up a pixel, right? Or that make  
10 up the pixels? 9:38AM

11 A In a particular -- so there are different  
12 varieties, flavors of liquid crystal display. Passive  
13 matrix displays just simply have rows and columns of  
14 ITO. That's the Indium tin oxide. And the active  
15 matrix displays have thin film transistors formed on one 9:39AM  
16 of the pieces of glass and the other has an array of ITO  
17 only.

18 Q So let's talk about the active -- let's just  
19 talk about the active matrix LCD type.

20 A Okay. 9:39AM

21 Q So in the active matrix LCD type, are the thin  
22 film transistors formed on the side of -- they're formed  
23 on one side of the glass, right?

24 A That's correct.

25 Q And is it the side of the glass that's touching 9:39AM

1 the liquid crystal? Or is it the side of the glass 9:40AM  
2 that's not touching the liquid crystal?

3 A It's on the side of the glass that's touching  
4 the liquid crystal. So basically, internal to the cell.

5 Q Those transistors don't stretch so that they 9:40AM  
6 cover -- so that they touch the other electrode, right?  
7 Let me ask a different way.

8 Those -- those thin film transistors that are  
9 touching the liquid crystal, do they also touch the  
10 other plate of glass? 9:40AM

11 A No. There has to be liquid crystal between the  
12 thin film transistors and the other plate. So they're  
13 very small in height. I mean, probably microns. And  
14 then there's usually a layer that I didn't tell you  
15 about. 9:41AM

16 What they would call a planarization layer.  
17 And then a layer, an alignment layer made out of  
18 polyimide that's spin cast onto the plates after the DFT  
19 structure's gone down. And that acts as -- that's  
20 mechanically or optically adjusted to provide alignment 9:41AM  
21 to the liquid crystal at the interface of the plate.

22 Q Okay. So looking at the plate of glass that  
23 has the transistors on it, so if you were just looking  
24 at that glass plate, you'd have a glass plate and then  
25 on top of that you would have transistors, right? 9:42AM

1 A In a very simplistic model, yes. 9:42AM

2 Q And then on top of the transistors, what would  
3 you have?

4 A Well, the transistors connect to transparent  
5 conductor material, the ITO. So they basically are 9:42AM  
6 electrically attached to ITO. Then over top of the  
7 transistors is probably a black mask to prevent photo  
8 activation of the transistors.

9 Black mask is, in this period of time, or let's  
10 say 199- -- 1996 time frame, it would have been chromium 9:43AM  
11 chromium oxide.

12 Q The glass, then above the glass the  
13 transistors?

14 A Yes.

15 Q Then above the transistors an ITO layer? 9:43AM

16 A Adjacent to the transistors.

17 Q I'm sorry. Adjacent to the transistors.

18 MADAM COURT REPORTER: Can you repeat that  
19 part?

20 Q And then adjacent to those transistors on the 9:43AM  
21 glass is an ITO layer, right?

22 A That's correct.

23 Q And then --

24 A And then there's --

25 Q Then going up a layer? 9:43AM

1 A Polymer layer above that. 9:43AM

2 Q Okay.

3 A The polymer layer is polyimide, with an I. The  
4 polyimide itself is, at this time, was mechanically  
5 rubbed, 1996, to provide surface alignment of the liquid 9:44AM  
6 crystal material on the cell surface, inner cell  
7 surface.

8 Q Okay. And then what's above -- what's the next  
9 layer up from the polymer layer?

10 A Liquid crystal. 9:44AM

11 Q So the liquid crystal doesn't actually touch  
12 the transistors physically, right?

13 A No. There's a separation layer to protect  
14 them, obviously. That's the polyimide.

15 Q And that's because liquid crystal is a 9:44AM  
16 sensitive material and transistors are sensitive and you  
17 don't want them touching each other because they might  
18 chemically react?

19 A I think they're pretty much protected by the  
20 chromium layer, chromium chromium oxide black layer; but 9:45AM  
21 there's no reason to not just pattern the polyimide over  
22 the whole glass substrate.

23 The pixel area where the TFT is located is not  
24 a light emitting area. It's usually kept dark. So the  
25 key is to make that as small as possible relative to the 9:45AM

1 rest of the pixel. 9:45AM

2 Q Okay. And then the liquid crystal layer itself  
3 is just a continuous layer across the entire display,  
4 right?

5 A That's correct. 9:45AM

6 Q All right. So above the liquid crystal layer  
7 there's going to be another plate of glass, right?

8 A Yes.

9 Q Starting from the part of that plate of glass  
10 that touches the liquid crystal, can we keep on going up 9:46AM  
11 the stack and can you describe that for me, please?

12 A Sure. There would be a polyimide layer, a  
13 color filter layer that would be basically three  
14 different colors apply down, you know, three per pixel,  
15 basically, forming red, green, and blue sub pixels. 9:46AM

16 And then there may be some black mask between  
17 them. I'm not certain at the time of this patent. Then  
18 above that would be the ITO, planer ITO layer. Then on  
19 the outside --

20 Q Sorry. Above the planer ITO is the glass, 9:46AM  
21 right?

22 A Yes.

23 Q Sorry. You were saying on the outsides?

24 A Would be polarizers placed on each glass.

25 Q Would that be physically connected to the glass 9:47AM



1 or would that be a separate element? 9:47AM

2 A Laminated, too.

3 Q So the polarizer would be fabricated as a  
4 separate element from the glass and then it would be  
5 connected by a process? 9:47AM

6 A Yes. It's a sheet of film.

7 Q And there's a polarizer on each side?

8 A I'm sorry. I didn't hear you.

9 Q There's a polarizer outside of each plate of  
10 glass, right? 9:48AM

11 A That's correct.

12 Q Are there any other elements that make up an  
13 LCD cell?

14 A Well, there's the perimeter seal which is  
15 usually an epoxy layer that's formed -- if you have a 9:48AM  
16 rectangular display, an epoxy layer is screen printed  
17 down with leaving a little opening at the end. And  
18 there's also typically spacers inside the cell which I  
19 didn't tell you about either.

20 That's how you keep the glass apart. They're 9:48AM  
21 micro beads that set the cell gap. And the micro beads  
22 are present also in the perimeter seal. And before the  
23 liquid crystal is inserted, the planes of glass are  
24 brought together and, on the seal, that's, you know,  
25 preformed. 9:48AM

1           And then that assembly is secured, then it's           9:48AM  
2           evacuated in a vacuum chamber. And then liquid crystal  
3           is allowed to basically move by capillary action into  
4           the cell to fill the liquid crystal cell, the vacuum's  
5           released, and then the little port that the liquid           9:49AM  
6           crystal entered in is sealed off finally with a UV-cured  
7           epoxy. That's your whole cell.

8           Q     Okay. And what you just described was an  
9           active matrix LCD cell, right?

10          A     That's correct.                                   9:49AM

11          Q     Okay. And if we just look at Exhibit 1, which  
12          is the '545 patent.

13          A     Okay.

14          Q     Would the system of the '545 patent use the  
15          active matrix LCD cell that you just described?           9:50AM

16          A     No, it would not use the active matrix that I  
17          described.

18          Q     How would the -- how would the LCD cell of the  
19          '545 system differ from what you just described?

20          A     It would not have the color filters formed           9:50AM  
21          inside the cell.

22          Q     Okay. Any other differences?

23          A     No.

24          Q     All right. Now, let's look at Exhibit 2.  
25          That's the '334 patent.                                   9:51AM

1 A Okay. 9:51AM

2 Q Are there any differences between the LCD cell  
3 that you just described and the LCD cell that would be  
4 used with the system of the '334 patent?

5 A Again, the color filters would not be formed 9:52AM  
6 inside the cell. So the LCDs of this patent and the  
7 previous one are what are called monochrome LCDs. Oh,  
8 and I need to amend my previous answer, if you don't  
9 mind.

10 The pixel structure would not be a three-pixel 9:52AM  
11 structure. Because if you remove the color filters,  
12 then you don't need to have a red, green, and blue thin  
13 film transistor, one each for those sub pixels.

14 So you reduce the number of -- I didn't say  
15 what the number of transistors were, but just to 9:52AM  
16 clarify, in a monochrome LCD you would have fewer thin  
17 film transistors. In a monochrome active matrix LCD.

18 Q But those transistors would still be located in  
19 the same place, right?

20 A Yes. 9:53AM

21 Q Any other differences between the LCD cell that  
22 you described and the LCD cell that's described in  
23 either the '334 or the '545 patents?

24 A I don't believe so.

25 Q All right. 9:53AM

1           A     I did happen to say that there's a black mask           9:53AM  
2           in both the patents. They say an aluminum process can  
3           be used for the mask.

4           Q     Okay.

5           A     So that could be a minor difference. But I did           9:54AM  
6           say that it could have been something other than  
7           chromium chromium oxide. Go ahead.

8           Q     So looking at the plate of glass that has the  
9           transistors, where does the black mask fit on the stack  
10          of that glass plate?   9:54AM

11          A     It would be over top of, that's towards the  
12          liquid crystal-facing surface of the active matrix, of  
13          the thin film transistor. And typically, the address  
14          lines. Because they would be very reflective also.

15          Q     Okay. Would that be underneath the polymer           9:55AM  
16          layer?

17          A     Yes.

18          Q     Would it be underneath the ITO layer?

19          A     ITO is probably -- hmm. Can't say for certain.

20          Q     Whatever it is, it doesn't touch the liquid           9:55AM  
21          crystal itself, right?

22          A     Black layer does not touch the liquid crystal.

23          Q     All right. We started this conversation on LCD  
24          cells when I asked you what were the major components of  
25          a flat panel display and I cut you off. So can you --           9:55AM

1 A Actually, I believe you asked of a liquid 9:55AM  
2 crystal display.

3 Q Of a liquid crystal display. All right.  
4 Thanks for that clarification. So I want to -- can you  
5 keep on running through the other major elements of a 9:56AM  
6 liquid crystal display?

7 A Can you tell me which type of liquid crystal --  
8 give me an example of a liquid crystal display that you  
9 would like me to describe.

10 Q Okay. So I think you're asking, am I asking 9:56AM  
11 about projection or I'm asking about flat panels?

12 A I want to be sure.

13 Q Okay. We will ultimately get to both of them.  
14 So let's start with -- but I want to start with where  
15 your experience is. I understand your experience is 9:56AM  
16 more with flat panels than with projectors, right?

17 A That's correct.

18 Q Let's start with the flat panel side.  
19 Can you tell me the major -- at a very high  
20 level, can you tell me the major components of a -- 9:56AM

21 A Okay. So we'll try and sift through this. So  
22 you have to drive the pixels. That means basically  
23 apply a voltage field across the cell. To do that you  
24 have to connect to the outside world. Okay? So each of  
25 the TFT pixels is connected through column and row 9:57AM

1 address lines which go to ledges on the outside of the 9:57AM  
2 cell.

3 And those ledges, so the glass is -- the two  
4 pieces of glass are not the same size. I guess I should  
5 point that out to you. The, what we call the bottom 9:57AM  
6 glass, that would be the TFT glass, has a ledge on, you  
7 know, at least one edge, on which electronic  
8 interconnect circuitry is attached.

9 Those circuits, typically called flexes or  
10 tabs, contain driver chips on them that set up the 9:57AM  
11 voltage signal for each pixel. Okay.

12 Then behind the -- so just moving to the  
13 outside now, behind the liquid crystal display there  
14 needs to be a light source, whether it's projection or a  
15 notebook computer or TV. So the light source is 9:58AM  
16 typically formed either as an array of lamps, behind  
17 some diffusers, such as in a TV, you know, flat panel  
18 TV, LCD TV.

19 Or it can be lit through a -- what's called a  
20 light guide assembly, which would be a transparent 9:58AM  
21 acrylic plate that has illumination from the edge. And  
22 basically it illuminates a structure that uniformly  
23 distributes the light on the rear surface of the liquid  
24 crystal panel.

25 Those, at the time of these inventions, were 9:59AM

1 typically fluorescent lamps in an edge lit. Actually, 9:59AM  
2 fluorescent lamps in a direct view as well.

3 I actually did some designs even earlier where  
4 we were using incandescent lamps that were heavily  
5 filtered for backlighting LCDs. Nowadays, it's moving 9:59AM  
6 towards LEDs.

7 Q Okay. Are there any other major components  
8 that would go into a flat panel display?

9 A Structures. Optical films to help boost  
10 efficiency, reflector films to drive the light forward, 9:59AM  
11 housing that would support the entire structure. And  
12 then there's a controller board that would attach to the  
13 TFT chips.

14 Q Okay. Tell me about that controller board.  
15 Can you describe it in more detail? 10:00AM

16 A Typically, it sets up the timings and signal  
17 levels in response to some incoming signal and sends it  
18 out to -- it has a bunch of shift registers on it, you  
19 know, basic -- the main blocks are timing controller,  
20 shift registers, and D to A and A to D converters. 10:00AM

21 Q All right. Now, from reading your CV, it looks  
22 like you have a lot of experience with the backlight  
23 component; is that right?

24 A Lighting in general, but relative to LCDs,  
25 illumination of the display, yes. 10:01AM

1 Q Okay. What about the LCD cell itself? Do you 10:01AM  
2 have experience making or designing LCD cells?

3 A Yes, I do.

4 Q Can you describe that for me?

5 A Probably the first experience was having to 10:01AM  
6 redesign an aircraft display that's used in a control  
7 panel on the 737. From a sort of a low performing  
8 design that used a technology called dichroic liquid  
9 crystal, which means that there are dye molecules  
10 attached to a highly wound up cholesteric liquid 10:01AM  
11 crystal, very slow responding, especially at cold  
12 temperatures, poor viewing angle performance.

13 So I worked very closely with a US display  
14 supplier to develop -- to develop -- to commercialize an  
15 older technology that really hadn't been -- hadn't been 10:02AM  
16 commercialized for that application.

17 Actually ran experiments with them at their  
18 facility. The type of cell that we developed was called  
19 a Heilmeyer liquid crystal cell and it's known to have  
20 much faster response times, better viewing angle 10:02AM  
21 performance. There's a published paper that is in my CV  
22 around that.

23 And then at Three-Five Systems I was heavily  
24 involved in basically tweaking designs of super twisted  
25 nematic displays. I was really sort of the optics and 10:02AM



1 lighting guy. 10:02AM

2 You know, to achieve certain performance  
3 targets we'd make minor changes to rub directions, cell  
4 gap; things like that. So even though I'm not the guy  
5 down there, you know, turning the dials on the machine, 10:03AM  
6 I was part of the team.

7 Q And would you say that designing LCD cells was  
8 one of the focuses of your career or was your career  
9 really more focused on backlighting?

10 A Not designing LCD cells, no. That's a very, 10:03AM  
11 you know, specialized field. People -- people earn  
12 Ph.D.s at places like Kent State to do LCD cell design  
13 and optimization. Mine is more productization of  
14 products that utilize liquid crystal.

15 Q Okay. So were you -- were you helping people 10:03AM  
16 to tweak the designs of their LCD cells? Is that what  
17 you were describing earlier?

18 A That's what I did, yes. And then, like, on  
19 the -- the Boeing 777 program, I was given  
20 responsibility after the cell was designed, of course, 10:04AM  
21 by a Japanese company, working very closely with them on  
22 control parameters to optimize and stabilize variation  
23 in the cell.

24 So, you know, I was integrally involved in many  
25 aspects of the design. But, again, I was not the guy 10:04AM

1 doing the -- running the analysis program. 10:04AM

2 Q Okay. Now, what about the controller board  
3 component? Was that a focus of your career, working on  
4 the controller aspects of the liquid crystal display?

5 A No. 10:05AM

6 Q Okay. And then so we've been talking about  
7 flat panels so far. Can you tell me what the major  
8 components of a projection system or liquid crystal  
9 projection system are?

10 A Which type would you like me to talk about? 10:05AM

11 Q Well, what types are there?

12 A Place to start. There are transmissive and  
13 reflective, would be the first main category.

14 Reflective displays typically utilize some sort of  
15 crystalline silicone back plane. And transmissive tend 10:06AM  
16 to use the displays we just described in small sizes.

17 The elements include, of course, a light  
18 source, optics to pass the light source in efficient  
19 fashion through the liquid crystal cell. Optics to  
20 combine the lighting for projection, and then obviously 10:06AM  
21 a projection lens.

22 Additionally, there could be things like  
23 polarizing beam splitters, dichroic filters for  
24 extracting colors from a single light source.

25 Q And all of those components existed in 1996 10:07AM

1 when the '545 patent was filed? 10:07AM

2 A All of which components?

3 Q The components you just described.

4 A I'm not sure about, in the reflective one. I  
5 pretty much focused on transmissive. I would say the -- 10:07AM  
6 the reflective ones were being developed.

7 Q So did -- when you say being developed, what do  
8 you mean?

9 A They're not commercialized yet.

10 Q Are you aware of any reflective type 10:08AM  
11 commercial -- start over.

12 When you say they're not commercialized yet, do  
13 you mean there aren't -- there weren't any  
14 reflective-type LCD projectors in 1996 or just that  
15 there weren't very many? 10:09AM

16 A I'm not sure I can answer that authoritatively.  
17 In 19- -- excuse me. In 1997 to, or probably late '96  
18 to '99 I worked at Three-Five Systems and they were  
19 working in R & D to develop what's called liquid crystal  
20 on silicon which is a reflective mode display. I spent 10:09AM  
21 probably three years working on that and hadn't made a  
22 successful product upon my departure in late '99, 1999.

23 So there are others that were working on them,  
24 you know, competitors. I don't believe anybody was  
25 successful at that time because Three-Five was aiming to 10:10AM

1 be the main supplier of projection-based on reflective 10:10AM  
2 liquid crystal.

3 Q All right. Let's talk about transmissive  
4 projectors for a second. Looking at transmissive  
5 projectors, are there different types of liquid crystal 10:10AM  
6 cells that people could use for transmissive projectors  
7 in 1996?

8 A Yes.

9 Q And can you list those out for me?

10 A Well, you could see from looking at some of the 10:11AM  
11 prior art that they were trying to use things like  
12 polymer dispersed liquid crystal. Primarily, twisted  
13 nematic -- by the way, n-e-m-a-t-i-c -- twisted nematic  
14 is the design of choice. I think it was Copen was  
15 working at that time extensively in transmissive color 10:11AM  
16 active matrix projection.

17 Q Is that a different -- so there's PDLC and  
18 what's the next type?

19 A I just said twisted nematic.

20 Q Oh, that's a different type? 10:11AM

21 A Yes.

22 Q What else?

23 A Probably some work around ferroelectric liquid  
24 crystal. Electrophoretic were looked at; but, again, I  
25 don't think they had much of a chance. So they were not 10:12AM

1 successful. 10:12AM

2 Q Why didn't they have a chance?

3 A Wait a second. I take that back. That's  
4 reflective-only technology.

5 Q Okay. And were there different types of liquid 10:13AM  
6 crystal technologies that people were looking at for  
7 reflective projectors in 1996?

8 A Yes. Again, it's -- with the exception of that  
9 one that moved down there, there's also twisted nematic,  
10 we can call that TN from now on. So TN. And then 10:13AM  
11 polymer dispersed liquid crystal. Probably some --  
12 those are the main -- main categories.

13 Other types of liquid crystal -- or  
14 ferroelectric, too. I did mention that. And then  
15 there's another type that -- called a Pi cell which is a 10:14AM  
16 liquid crystal technology that's rather fast switching,  
17 but very high voltage.

18 Q Okay. And were people using -- talking about  
19 the transmissive projectors, were people using TN LCD  
20 cells to make commercial projectors in the 1996 time 10:14AM  
21 frame?

22 A Yes, they were.

23 Q Okay. And were they also using TN to make  
24 commercial flat panel displays in the 1997 time frame?

25 A Yes, they were. 10:14AM

1 Q What about PDLC? Were people using PDLC to 10:14AM  
2 make displays in the 1996 time frame?

3 A Not high information content displays. So if  
4 you could think of rudimentary signage displays and  
5 things like that. PDLC are -- there are some benefits 10:15AM  
6 to them in that they could operate in reflective mode  
7 well. But they just didn't have response time  
8 capability needed to operate, you know, in even slow  
9 refresh rates, you know, typing and things like that.

10 Q Okay. And so in 1996 no one was making a 10:15AM  
11 PDLC-type display that was commercially available?

12 A Not to my knowledge. Well, wait a second. You  
13 just generalized two display from -- so we're talking  
14 originally about projectors and the answer's no.

15 Commercially, there is probably some large 10:16AM  
16 segment signs. The best example of PDLC for, that I  
17 know of, are electronic windows. And that's, you know,  
18 primarily where I've seen PDLC go. There are probably  
19 niche applications that I'm not aware of.

20 Because there is a group called -- company 10:16AM  
21 called Kent Displays that was a spinout from Kent State  
22 University that was working to commercialize these for  
23 as long as I can remember.

24 Q But nobody was making a television out of --

25 A No way. 10:16AM

1 Q No way. That's your testimony: No way? 10:16AM

2 A No, they were not.

3 MR. KING: Thanks. Now is probably a good time  
4 for a break. That's okay with you?

5 MR. QUILLIN: I'm sorry? 10:17AM

6 MR. KING: Is now a good time for a break?

7 MR. QUILLIN: Yes.

8 THE VIDEOGRAPHER: We are going off the record.  
9 The time is approximately 10:15.

10 (Off record from 10:17 a.m. to 10:32 a.m.) 10:17AM

11 THE VIDEOGRAPHER: We are back on the record.  
12 The time is approximately 10:32.

13 BY MR. KING:

14 Q All right. I'm handing you what's been marked  
15 as Exhibit 3. 10:32AM

16 (Exhibit 3, Declaration of Robert  
17 Smith-Gillepsie in '334 action; Exhibit No.  
18 2008, was marked.)

19 BY MR. KING:

20 Q This is Exhibit 2008 in the '334 action. And 10:32AM  
21 this is your expert report, correct?

22 A Declaration.

23 Q Declaration. So just a couple more questions  
24 about your qualifications. If you could turn to page  
25 four, that's paragraph five. Now, do you see about 10:33AM

1 three-quarters of the way down where it says, 10:34AM

2 Technologies that I evaluated included -- actually,  
3 strike that.

4 Do you see the sentence before that where it  
5 says, In the early phases of this program we performed 10:34AM  
6 trade studies?

7 A That's correct.

8 Q Okay.

9 A I'm sorry. I do. Yes, I see that.

10 Q And the goal of those studies was to replace 10:34AM  
11 cathode ray tubes instruments on the flight deck, right?

12 A Yes.

13 Q You were studying alternatives to cathode ray  
14 tubes?

15 A Yes. 10:34AM

16 Q Then I see one of the technologies that you  
17 evaluated were, or was, rear projection micro display  
18 LCD panels?

19 A Yes.

20 Q Can you tell me about that? 10:34AM

21 A So mostly what we did was look at performance  
22 of existing projection devices. Again, I think they  
23 were not commercialized. They were, you know, offerings  
24 from companies like Copen. My work, again, was more  
25 aimed around the lighting aspect, illumination. 10:35AM



1           And what we found was that in order to meet --           10:35AM  
2           there's a term called MTBF that means meantime between  
3           failure, that with the current projection displays, that  
4           the meantime, the MTBF numbers, because of light sources  
5           themselves, were too low for commercial aircraft           10:35AM  
6           applications.

7           So this work didn't go that far. There's  
8           another group that I got involved with that then started  
9           doing development of actual flat panel LCD.

10          Q     How much time did you spend evaluating           10:35AM  
11          projection systems during this time period?

12          A     I was a contributor. So I would say it was,  
13          you know, over a period of months, maybe. I don't know.  
14          I was part of the team for a few weeks looking at the  
15          light sources.   10:36AM

16          Q     So a few weeks?

17          A     Yeah.

18          Q     And was that your primary responsibility during  
19          those few weeks or was it just something else you were  
20          doing?   10:36AM

21          A     Part of my lighting job. So it included  
22          other -- I was still doing other things, supporting  
23          products.

24          Q     Was evaluating projection systems your main  
25          responsibility during these few weeks?           10:36AM

1 A No. 10:36AM

2 Q And then moving on in paragraph five, you see  
3 where it says, Following my work at Honeywell, I moved  
4 to Three-Five Systems where I again worked as a  
5 technical specialist for displays in lighting? 10:37AM

6 A Yes.

7 Q And then it says, While there, I interfaced  
8 with the liquid crystal on silicon (LCOS) projection  
9 team (later to become Brillian Corp.) on light engine  
10 design. 10:37AM

11 Do you see that?

12 A Yes.

13 Q And then the sentence goes on.

14 A Right. Then it goes on.

15 Q Can you tell me what that was about? 10:37AM

16 A Sure. Three-Five Systems, at the time I was  
17 working there, had a team that was later spun into a  
18 parallel company, I guess, that was working on the LCOS  
19 reflective displays, active matrix thin film -- or not  
20 thin film, liquid crystal on silicon reflective back 10:38AM  
21 planes.

22 Because of my thermal and lighting and display  
23 test and measurement experience, I worked with their  
24 design team. Some of the tasks included characterizing  
25 the liquid crystal cell over temperature over viewing 10:38AM

1 angle and radiometrically. 10:38AM

2 And then also working with the design -- the  
3 optical engineer who was doing all the integration  
4 optics on thermal design of the light source elements.

5 Q And about how long would you say you worked 10:39AM  
6 with the projection team at Three-Five Systems?

7 A It was over a period of a year.

8 Q Now, it says you interfaced with the LCOS  
9 production team. I assumed you weren't part of the LCOS  
10 production team? 10:39AM

11 A No, I wasn't. I was a team by myself. I was  
12 called technical specialist for displays and lighting,  
13 so I supported several teams.

14 Q How much -- how big was the LCOS projection  
15 team at Three-Five Systems at that time? 10:39AM

16 A Guys actually working on hardware, I'd say four  
17 folks there. And then the liquid crystal guys, a few  
18 more. So maybe six or eight. And a technician or two.

19 Q Was that the largest team at Three-Five  
20 Systems? 10:40AM

21 A Oh, no.

22 Q What was the largest team you supported?

23 A Our handheld products team, I guess you could  
24 call them, LCDs for cellular phones and handheld  
25 devices. 10:40AM

1 Q And were there other teams you supported? 10:40AM

2 A That's the two primary teams.

3 Q What percentage of your time would you say was  
4 dedicated to supporting the LCOS projection team?

5 A Over that period, I would say not even 10:40AM

6 ten percent. Probably -- it was on a periodic basis and  
7 then there would be a half day of work here, day of work  
8 there. And then participating in meetings.

9 Q So is it fair to say you've been exposed to  
10 projection technology during your career, but you don't 10:41AM  
11 have experience designing projection systems?

12 A That's a fair characterization.

13 Q Have you ever done any applied research into  
14 projection systems?

15 A No. Oh, wait a second. Let me take that back. 10:41AM

16 What I was doing was supporting at Three-Five when --  
17 doing test of the LCOS LCD. I was participating in  
18 applied research. So running radiometers and doing lab  
19 tests, at the level I previously described.

20 Q Now, you mentioned Kent State University a 10:42AM  
21 couple minutes ago.

22 A Yes.

23 Q And I learned recently that Kent State is one  
24 of the leading research institutions into liquid crystal  
25 displays; is that right? 10:43AM

1 A That's correct. 10:43AM

2 Q And you've taken classes from them?

3 A I did, yes.

4 Q When did you take that class?

5 A I need to look at my CV to remember that, but 10:43AM

6 it was probably -- I would say '94 timeframe, maybe '92.

7 Somewhere in that timeframe. It was a week-long

8 professional short course.

9 Q What was your purpose in taking that class?

10 A To become -- become better skilled at working 10:43AM

11 at the LCD products we were developing at Honeywell.

12 And to understand at a deeper level the physics of

13 liquid crystal materials and devices.

14 Q All right. Let's go to paragraph 14 of your

15 report, page seven of the '334 report; are you there? 10:44AM

16 A I am.

17 Q Okay. I understand that you -- I see where it

18 says, I respectfully disagree with several of the

19 assertions made by the board with respect to the

20 interpretation of claims 1 to 6 and 11 to 14 of the '334 10:44AM

21 patent; do you see that?

22 A I do.

23 Q I was a little confused about that sentence.

24 Are you saying you disagree with the -- some of the

25 things the board said? Or are you saying you disagree 10:45AM

1 with their constructions? 10:45AM

2 A Their interpretation of what the -- what the  
3 claims meant.

4 Q Okay. So you disagree with their claim  
5 constructions? 10:45AM

6 A I guess that would have been a cleaner way to  
7 say that.

8 Q That's all right. I was thinking there was a  
9 cleaner way to ask the question.

10 But for the '334 patent, it's your opinion that 10:45AM  
11 the claims are valid regardless of which construction is  
12 applied; is that right?

13 A Yes. And I'd like to amend my previous answer,  
14 if I may.

15 Q Okay. 10:46AM

16 A I'm speaking to the board's decision as well in  
17 some of their -- not just the claims construction, but  
18 some of their interpretation of materials presented and  
19 reviewed by them.

20 Q That's fair. So let's turn to paragraph 15. 10:46AM  
21 And I see that paragraph 15 is discussing the board's  
22 interpretation of the term light shutter matrix system;  
23 do you see that?

24 A Yes.

25 Q And then at the bottom of the first paragraph, 10:47AM

1 it's all one paragraph, at the bottom of the first 10:47AM  
2 section do you see where it says, The board's definition  
3 references cells of a monochrome LCD array?

4 A I do.

5 Q And you reference Dr. Buckman's response to the 10:47AM  
6 question, what is an LCD cell; do you see that?

7 A I do.

8 Q And then it looks like you disagree with that  
9 definition.

10 A I disagree. 10:47AM

11 Q Can you explain to me in lay terms why you  
12 disagree with his?

13 A Dr. Buckman describes an LCD cell, and I quote,  
14 an LCD cell is -- I interpret that as being another way  
15 to describe pixels. It's a -- it's a part of a spatial 10:47AM  
16 light modulator that corresponds to a particular  
17 position on a two dimensional surface that corresponds  
18 to, in the case of a color projector, the transmissivity  
19 at a particular color.

20 So it's my opinion that Dr. Buckman is making 10:48AM  
21 up what an LCD cell actually is. I've described to you  
22 what an LCD cell is at the very outset of our discussion  
23 today. And what I described to you is the  
24 industry-accepted, you know, definition of what a cell  
25 is. 10:48AM

1           A cell is the part of the liquid crystal           10:48AM  
2           display that contains the liquid crystal between two  
3           glass plates and, you know, the structure therein, as  
4           well as the seal and, you know, any of the thin film  
5           transistors and color filters.           10:48AM

6           That complete unit is what's called the cell.  
7           Now it can be simpler or it can be more complex. But it  
8           is not pixels.

9           Q     Okay. So no one in the industry would ever --  
10          just to summarize what you're telling me. You're saying   10:48AM  
11          no one in the industry would ever call an LCD cell --  
12          let's try that again.

13          You're saying that no one in the industry would  
14          refer to a pixel as an LCD cell, right?

15          A     That's correct. A pixel is a picture element       10:49AM  
16          that basically has a job of holding a signal across the  
17          cell and transmitting light at that one location. The  
18          cell is the whole structure with the liquid crystal  
19          inside it. Like in a human cell, is a structure.

20          Q     Okay. And looking at -- nevermind.           10:49AM

21          Let's go to paragraph 16. And paragraph 16  
22          contains some of your opinions on the interpretation of  
23          the matrix in the context of the '334 patent, right?  
24          Matrix or matrices?

25          A     Yes.   10:50AM



1 Q Okay. Now, I see at the bottom of page nine 10:50AM  
2 that you say, In the context of the claims and  
3 specification of the '334 patent, a person of ordinary  
4 skill in the art would interpret matrix, in quotes,  
5 that, "comprises a rectangular arrangement of elements 10:51AM  
6 capable of limiting the passage of light," to mean  
7 something quite specific.

8 Do you see that?

9 A I do.

10 Q And then it says -- then you say, One of 10:51AM  
11 ordinary skill in the art would appreciate that the  
12 claim system of the '334 patent is an  
13 electrically-addressed system.

14 Do you see that?

15 A I do. 10:51AM

16 Q Okay. I wanted to ask you, what's the basis  
17 for that opinion?

18 A Well, the patent specification itself, first of  
19 all.

20 Q Okay. Where does the patent specification 10:51AM  
21 refer to electrically addressed systems?

22 A Okay. So if you look in column two, beginning  
23 in the description of preferred embodiments, at line 45  
24 and going down through the bottom of that paragraph or  
25 that column. 10:53AM

1           Basically they point out -- the inventor points       10:53AM  
2           out that the described device in the '334 patent is --  
3           uses an LCD, monochrome LCD array. And he points out  
4           how it's different than a color active matrix LCD array.

5           I described to you earlier what a color active       10:53AM  
6           matrix LCD array is. And then I also described to you  
7           how a monochrome version of that would be configured.

8           There's no -- no way to interpret this as other than  
9           being an electrically addressed, an active matrix LCD  
10          means that it's a thin film transistor LCD, transistors       10:54AM  
11          are electrically addressed.

12          So this is a monochrome thin film transistor  
13          LCD array.

14          Q     And where specifically on column two does it  
15          say that?   10:54AM

16          A     Monochrome LCD array. So let's put the pieces  
17          together. So we understand that an active matrix LCD  
18          array is electrically addressed. I described that to  
19          you. You agreed that there were drivers and you asked  
20          me questions about the drivers. Okay?                               10:55AM

21          The patent specification says the color filter  
22          is essentially a band pass filter for light and  
23          typically the scheme used is red, green, blue. By its  
24          very definition, it must absorb most of the energy  
25          reaching it.   10:55AM

1 Embodiments -- and I'm skipping down. 10:55AM

2 Embodiments of the present invention, the apparatus is  
 3 arranged in a distributive manner. Light from light  
 4 sources, typically lamps, is first colored and otherwise  
 5 prepared into three single beams which then pass through 10:55AM  
 6 a monochrome LCD array. Meaning that, essentially, you  
 7 have the same LCD array that they're describing above  
 8 without color -- color filters in it.

9 And it's well known to one of ordinary skill in  
 10 the art that an AM LCD is an electrically addressed 10:56AM  
 11 device.

12 Q Okay. So you've been reading from column two,  
 13 line 45 to 60, right?

14 A And then additionally in column three,  
 15 beginning around line 20, on the first glass of LCD unit 10:56AM  
 16 120, which comprises three monochrome LCD arrays, it  
 17 gives their numbers, 117, 118, and 119, there's an  
 18 optional metal mask, 116, blacking out the nonactive  
 19 areas of the LCD.

20 An aluminum process can be used for the mask 10:57AM  
 21 similar to the process known in the art for making  
 22 connections on the active matrix of the LCD. And  
 23 they're talking about this LCD active matrix.

24 Q And then they say that metal mask is optional,  
 25 right? 10:57AM

1 A Yes. 10:57AM

2 Q And you've agree with me that the '334 patent  
3 does not use the words electrically addressed?

4 A Well, I can't do a word search on this, but if  
5 you can represent to me that you didn't find the words 10:58AM

6 electrically addressed in there, then I would probably  
7 agree. It's inherent, however, in the description of  
8 the device for reasons I've previously pointed out.

9 Q That's fine. I haven't found it and I was  
10 wondering if you've found it. 10:58AM

11 A Not just now.

12 Q Okay. All right. Looking at column two, lines  
13 64 to 65, it talks about a monochrome LCD array. Is  
14 that referring -- when it's talking about a monochrome  
15 LCD array, is that talking about one monochrome LCD cell 10:59AM  
16 or is that talking about an array of three monochrome  
17 LCD cells?

18 A In this case, light from light sources,  
19 typically lamps, is first colored and otherwise prepared  
20 into three single color beams which then each pass 10:59AM  
21 through a monochrome LCD array.

22 So that would be one of the three devices, one  
23 cell.

24 Q Okay. So the array is one cell of the three  
25 devices, right? 10:59AM

1 A Yes. In this case. 10:59AM

2 Q Now, going back to your report and your  
3 reference to an electrically addressed system, I  
4 understand that Dr. Buckman talked about an optically  
5 addressed system, right? 11:00AM

6 A I do understand that.

7 Q Okay. Can you give me your understanding of  
8 what an optically addressed LCD system is?

9 A No, I can't.

10 Q Do you have an understanding? 11:00AM

11 A Of an LCD?

12 Q Sorry. Do you have an understanding of what an  
13 optically addressed LCD system is?

14 A You have to be more specific. Because if you'd  
15 like to ask me a question relative to Dr. Buckman's 11:01AM  
16 testimony, it was not relative to an optically-addressed  
17 LCD.

18 Q Okay. So let's take it out -- let's take it  
19 out of Dr. Buckman's testimony.

20 Do you have, just aside from Dr. Buckman's 11:01AM  
21 testimony -- let's do it this way: Are you aware of any  
22 optically-addressed LCD projection systems that were  
23 around in the 1997 time frame?

24 A No.

25 Q Now, in a couple minutes we're going to talk 11:01AM

1 about the Takanashi reference; do you understand that? 11:01AM

2 A I do understand.

3 Q You know what, let's save Takanashi for later.

4 I guess, have you heard the term

5 optically-addressed system in the context of -- of a 11:02AM

6 display technology before?

7 A No.

8 Q It's not something you were familiar with --

9 have you ever heard the term optically-addressed outside

10 of the context of this lawsuit or this IPR? 11:02AM

11 A No, I hadn't.

12 Q That's new to you?

13 A That's new to me.

14 Q Okay. All right. Looking at page ten, I see

15 that you have a figure from a book called flat panel 11:03AM

16 displays and CRTs; do you see that?

17 A Yes, I do.

18 Q Can you explain for me in lay terms how this

19 figure impacts your analysis?

20 A It really supports, not impacts. It's my 11:03AM

21 feeling that the board didn't have a proper

22 understanding of what the matrix in a matrix address

23 display is. Whether it's active matrix or passive

24 matrix.

25 So I basically wanted to point out that a 11:04AM

1 matrix display has addressable pixels and they're 11:04AM  
2 arranged in a, you know, a fixed structure. I should  
3 say, an organized fixed structure.

4 And I just wanted to put that in to show what  
5 the terminology and -- it's probably not the very best 11:04AM  
6 picture, but every other picture puts in so much detail  
7 that it muddies the water. Essentially, we have  
8 addressable fixed positions on a substrate that could  
9 be -- and those are called pixels.

10 Q And when you say they're addressable, I see 11:04AM  
11 some Xs and Ys on this figure. Am I looking at the  
12 right thing for addressing?

13 A So what essentially you do in the electronics  
14 is select the location that you want to apply a signal  
15 to. In the LCD case you basically apply a voltage 11:05AM  
16 across the cell. And that position is essentially  
17 connected to a row and column -- row and column  
18 electronics, drive electronics.

19 So you can select and adjust the signal at each  
20 of the individual pixels. 11:05AM

21 Q And you select the individual pixel using XY  
22 coordinates?

23 A Whatever you want to call them. They're --  
24 they might be called R and C for row and column. And  
25 that's -- that's typical. You'll see R1 through R 11:06AM

1 whatever and C1. 11:06AM

2 Q So this figure on page ten of your '334 report,  
3 it references -- it has X1, X2, X3, and Y1, Y2?

4 A Yeah. Basically showing there's 12 pixels that  
5 are addressed. 11:06AM

6 Q Okay.

7 A Addressable.

8 Q All right. So turning the page over to page 11  
9 of your report in the '334 matter. I see it says, If  
10 the example display from Figure 1-10 of Tannas were used 11:07AM  
11 in the claim system of the '334 patent, one of ordinary  
12 skill in the art would understand that each matrix of  
13 the claimed matrix system would include a rectangular  
14 arrangement of 12 elements corresponding to the 12  
15 depicted pixels; do you see that? 11:07AM

16 A Yes.

17 Q Is it your opinion that you could use the four  
18 by three matrix described in the work that we've been  
19 talking about --

20 A Yes. 11:07AM

21 Q -- with the '334 patent?

22 A Well, the answer is yes, if you wanted to have  
23 an image with only 12 pixels. The point now, of course,  
24 I pointed out that this is very diagrammatic, the figure,  
25 because more detailed pictures became overly complex 11:08AM



1 with address lines and TFTs and -- for the purpose of 11:08AM  
2 this, we're just trying to point out here that there's a  
3 set of fixed rows and columns and pixels corresponding  
4 to the intersections of those rows and columns.

5 And hypothetically, one could build a '334 11:08AM  
6 device by using 3 of a 12 pixel device. That's what --  
7 that's what that paragraph that you just read means.

8 Q Okay. Let's go to paragraph 17. Can you  
9 explain for me in lay terms what paragraph 17 means?

10 A I thought that was lay terms. 11:09AM

11 Q Can you explain to me how -- I guess I didn't  
12 understand why this paragraph was in your report. So I  
13 was hoping you could explain to me why -- how this  
14 supports your analysis.

15 A Okay. So essentially, the very very simple 11:09AM  
16 case of a pixel array or matrix of three rows, four  
17 columns was shown. And a hypothetical example set up  
18 that each matrix of the '334 patent would have a pixel  
19 structure similar to these 12 depicted pixels.

20 Seventeen days, that's not really realistic. 11:09AM  
21 To have a useful display, you'd basically want to have  
22 high resolution. Okay? Something at the time of the  
23 '334 patent, VGA resolution was achievable on the small  
24 cells.

25 VGA resolution being 640 pixels by 480 pixels, 11:10AM

1 not three by four of the Tannas figure. That would 11:10AM  
2 result in a total of 307,200 pixels.

3 Q Okay. Were you finished?

4 A Yes.

5 Q All right. Let's look at paragraph 18. Now, 11:11AM  
6 paragraph 18 is talking about your opinions on the  
7 proper construction of the term a video controller  
8 adapted for controlling the light shutter matrix system,  
9 correct?

10 A That's correct. 11:12AM

11 Q Okay. And if I understand your opinion  
12 correctly, you -- you think the board's construction  
13 that a video controller is a component that controls the  
14 light shutter matrix system to facilitate the display of  
15 video; do you think that construction is too broad, 11:13AM  
16 right?

17 A I do.

18 Q And you think that the board's construction is  
19 right, but it should also include the phrase 'in  
20 accordance with a video signal' at the end of that 11:13AM  
21 construction, right?

22 A Yes.

23 Q Can you explain to me why you think the words  
24 'driven in accordance with a video signal' should be  
25 added to the board's construction in, kind of, lay 11:13AM

1 terms? 11:14AM

2 A Yes. I saw, in reading the board's decision,  
3 that they believed that one of the pieces of prior art  
4 that was referred to, that a -- basically a motor drive  
5 that drove a spinning disk was sufficient to meet this 11:14AM  
6 requirement that -- of a video controller adapted for  
7 controlling the light shutter matrix system.

8 And that basically signaled to me that we  
9 really need to clarify, which I guess in patent terms  
10 maybe means narrow their interpretation so that a 11:14AM  
11 spinning wheel, a color wheel cannot be a video  
12 controller adapted to control an LCD array, my words.

13 So that's basically -- the color wheel in the  
14 reference that I'm talking to here, Lee, is not operated  
15 in response to a video signal. Basically, just a fixed 11:15AM  
16 frequency drive.

17 Q Okay. Now, you understand that Dr. Buckman  
18 has -- that Dr. Buckman agrees with you, that Lee --  
19 that the color wheel controller of Lee is not a video  
20 controller, right? 11:15AM

21 A Yeah, I did see that.

22 Q Okay. So given that there's now an agreement  
23 about that element of Lee, do we still need your extra  
24 language on the construction of driven in accordance  
25 with a video signal? 11:16AM

1           A     Basically, what does facilitate mean, is the           11:16AM  
2     question.  And, you know, I guess I just find that to be  
3     a rather loose term.  And I think in terms of the  
4     complexity of electronics that are required to  
5     facilitate, that something more is needed.                   11:16AM

6           So -- and that would be what I interpret as the  
7     decoding portion of the video controller.  And that's  
8     receiving and decoding the video signal and prepping it  
9     for controlling the LCD arrays.

10          Q     I guess I'm trying to understand if you're           11:17AM  
11     posing a new term for the board to adopt or if you're  
12     trying to provide your best interpretation of what the  
13     board's construction means.

14           Which one of those are you trying to do?

15          A     I'm not sure which one -- I'm not sure how to           11:17AM  
16     answer your question.  Maybe if you ask again and  
17     include the references that we're referring to.

18          Q     Okay.  I guess you said you've been deposed in  
19     about ten patent matters before, right?

20          A     That's correct.   11:18AM

21          Q     I know you're not a lawyer.  Are you somewhat  
22     familiar with the principles of patent construction?

23          A     I am somewhat familiar, but not in this aspect.  
24     Mostly it's been markman-type proceedings.

25          Q     I understand.  Are you familiar with the           11:18AM

1 principle that it is improper to read in a limitation 11:18AM  
2 from the specification into the claims?

3 A Improper to read in something that's not in the  
4 specification into the claims?

5 Q Are you familiar with -- 11:18AM

6 A What did you --

7 Q Yeah. You're familiar with the patent law  
8 principle that the claims govern the scope -- the claim  
9 language governs the scope of the claims, right?

10 A That I understand. 11:19AM

11 Q Right. And something that's disclosed in the  
12 specification, but not -- but it's not in the claims is  
13 just not claimed, right?

14 A Okay.

15 Q Did I say that wrong? 11:19AM

16 A I -- there could be extra stuff in the spec  
17 that was not set forth in the claims, is what you just  
18 said.

19 Q Yes. Okay.

20 A I understand that. 11:19AM

21 Q Now, I'm looking at your report and I see that  
22 the claims don't have any reference to video signal,  
23 right?

24 A I disagree.

25 Q I could get that wrong. I'm not -- 11:20AM

1           A     It doesn't use the word video signal, but it           11:20AM  
2           says a video controller adapted for controlling the  
3           light shutter matrix system. And then early on I think  
4           we talked about what the types of video signals could  
5           be.   11:20AM

6           Q     Okay. So let's talk about the -- I guess I'm  
7           just trying to figure out if we really have a dispute  
8           here. Because I'm not sure that we do.

9                     Can you tell me what types of video signals  
10           you're talking about here? When you say video signal in       11:20AM  
11           the context of your proposed construction, what do you  
12           mean?

13           A     I mean an electrical signal, first of all, that  
14           would be configured at the time of this patent, probably  
15           would be an analog signal, either with separate red,         11:21AM  
16           green, blue channels and some synch values, horizontal  
17           and vertical synch; or it could be something called  
18           composite video, which is essentially a single line  
19           encoding of all the video information, which would  
20           include, in that case, something they call luminance and       11:21AM  
21           chrominance, or lumen and chrominance, and the synch  
22           information.

23                     So that's what I'm interpreting video signal.

24           Q     Basically, any video display device is going to  
25           display information in accordance with a video signal,         11:22AM

1 right? 11:22AM

2 A When you say "video device," let's just clarify  
3 any video device, what you mean by that; like a  
4 television?

5 Q Television, projector, LCD flat panel. 11:22AM

6 A See, in projectors, they may be driven by a  
7 video input, you know, pure video input, such as that  
8 which I described; or they could -- or they could  
9 receive, say, some computer signal and put up static  
10 images. 11:22AM

11 Q But a video projection system would receive a  
12 video signal, right?

13 A Yes.

14 Q Any video projection signal is going to receive  
15 a video signal? 11:22AM

16 A I agree.

17 Q Any real video projection system is going to  
18 have a video controller, right?

19 A Somewhere in the system there would have to be  
20 a means of -- and when I say somewhere in the system, it 11:23AM  
21 could be a box sitting outside or it could be, you know,  
22 a board inside.

23 But you would have to deconstruct the video  
24 signal, you know, basically parse it, as I told you what  
25 they are, and condition it for driving the display 11:23AM

1 elements. 11:23AM

2 Q So what you're saying is in any video  
3 projection system, it's going to have a video  
4 controller. Now, the controller might be in the same  
5 box as the lamp and the LCD, the liquid crystal arrays, 11:24AM  
6 or it might be in a different box. But any video  
7 projection system in 1996 is going to have a video  
8 controller, right?

9 A Yes, most likely.

10 Q All right. Could we go to paragraph 23 on your 11:25AM  
11 '334 report, pages 18 and 19.

12 A Okay.

13 Q The court reporter wisely suggested we should  
14 take a break to change the tape. So let's do that.

15 THE VIDEOGRAPHER: We're going to go off the 11:25AM  
16 record now. The time is approximately 11:25.

17 (Off record from 11:26 a.m. to 11:37 a.m.)

18 THE VIDEOGRAPHER: And we are at the beginning  
19 of Media No. 2 in the deposition of Robert  
20 Smith-Gillespie in the matter of Xilinx Incorporated v 11:37AM  
21 Intellectual Ventures. The time is approximately 11:38.

22 BY MR. KING:

23 Q All right. Let's switch gears a little bit and  
24 talk about the Takanashi reference. Okay?

25 A Okay. 11:38AM



1 Q And in particular, let's talk about the -- 11:38AM  
2 well, I'm going to be looking at your '334 report. But  
3 I just want to talk about Takanashi generally at first.

4 A Okay.

5 Q Now, I understand that it's your opinion that 11:38AM  
6 Takanashi does not render any of the challenge claims of  
7 the '334 patent invalid; is that right?

8 A That's correct.

9 Q And one of the reasons is because, in your  
10 view, Takanashi does not have a -- that the LCD in 11:39AM  
11 Takanashi is not a matrix; that's your opinion, right?

12 A That's part of my opinion.

13 Q Is there more that you'd like to express?

14 A Not yet. We're getting into Takanashi, it'll  
15 come out, I'm sure. 11:39AM

16 Q I'm looking at -- I guess just looking at --  
17 looking at paragraph 23 of your report on page 18 of the  
18 '334 report, and I see that you say that Takanashi does  
19 not disclose an addressable pixel array; do you see  
20 that? 11:40AM

21 A Oh, you're in paragraph 28?

22 Q Twenty-three. Sorry.

23 A Oh. Oh. You said page 23. Sorry. Okay. I  
24 see it.

25 Q And the section about how Takanashi does not 11:40AM

1 disclose an addressable pixel array in your view was 11:40AM  
2 written in support of your opinion that Takanashi does  
3 not disclose a light shutter matrix system, right?

4 A That's correct.

5 Q Okay. Can you explain to me what you mean here 11:41AM  
6 by addressable pixel array in paragraph 23?

7 A Sure. A physical structure that comprises  
8 fixed elements that information can be loaded into and  
9 electrically addressed. In other words, you can  
10 basically change the characteristics by addressing 11:41AM  
11 individual elements of a matrix.

12 Q Okay. And one example of that you gave earlier  
13 when we were discussing the LCD cell, right, as an  
14 example of an active matrix LCD cell?

15 A An active matrix LCD cell is one example of an 11:42AM  
16 addressable pixel array.

17 Q Okay. Could you explain for me how at an  
18 electrical level a pixel, the pixel circuitry of a pixel  
19 causes the corresponding area of liquid crystal to act  
20 in operation? 11:42AM

21 A In which type of device? In an active  
22 matrix-type device or a passive matrix or just  
23 generally -- generally?

24 Q Generally.

25 A Generally, across a -- across the liquid 11:42AM

1 crystal cell, regardless of how many pixels there are, 11:43AM  
2 if you add a single liquid crystal cell, you apply a  
3 differential voltage, basically, a potential across the  
4 cell that's proportional to the -- proportional to the  
5 amount of light that you want to pass or block, 11:43AM  
6 depending on the characteristic of the display.

7 In a matrix device you would basically read the  
8 data in electrically to the cells, either by -- excuse  
9 me -- into the pixel array by either scanning it or by  
10 addressing rows and activating columns in the TFT-type 11:44AM  
11 structure.

12 So basically, what you're trying to do is apply  
13 a voltage across a pixel that's proportional to its  
14 optical property that you want.

15 Q Okay. So at a pixel, you apply a voltage to 11:44AM  
16 the pixel transistor, right?

17 A Yes.

18 Q And then that voltage causes the pixel  
19 transistor to have an electrical field around it, is  
20 that -- 11:44AM

21 A Well, no.

22 Q No?

23 A That basically drives current to the capacitor,  
24 which the pixel cell acts as a capacitor, and it  
25 basically charges the capacitor, which then holds, in an 11:44AM

1 active matrix, that voltage until it's readdressed. 11:44AM

2 Q Okay. And the -- just so the record's clear,  
3 can you describe this capacitor that you're talking  
4 about for me?

5 A Yes. Before I -- when I was describing the 11:45AM  
6 structure of a TFT array, I mentioned that the thin film  
7 transistor was attached to a patch of ITO that forms the  
8 pixel.

9 Q Right.

10 A That patch of ITO is one plate of the 11:45AM  
11 capacitor, the other plate is the opposite side of the  
12 cell, the ITO on the other glass.

13 Q Okay. And then the liquid crystal between the  
14 two plates is the insulator?

15 A It's a dielectric. 11:45AM

16 Q Sorry. The dielectric. It's been a long time  
17 for me.

18 A It's okay.

19 Q And so in a pixel, by energizing one plate of  
20 the capacitor, that causes the liquid crystal next to 11:46AM  
21 that plate to behave in a certain way, right?

22 A Yes.

23 Q Can you describe how that works for me?

24 A The -- basically, liquid crystal is a unique  
25 material that responds to an electric field. It aligns 11:46AM

1       itself with an applied electric field. And as you                   11:46AM  
2       increase the strength of the electric field, the  
3       alignment becomes more, how should we say this, more  
4       complete.

5               So when you apply a voltage across a cell                   11:47AM  
6       containing liquid crystal material, liquid crystal  
7       molecules align, or I should say dis-align with their  
8       relaxed state and align with the electric field.

9       Q       And when they're aligned with the electric  
10       field, that allows light to pass through the liquid                   11:47AM  
11       crystal, right?

12       A       Pass through or blocked. In fact, majority of  
13       our displays are what they call normally white. I'm  
14       talking, like, TVs and notebook computers and monitors.

15               And in that case, the light is allowed to pass                   11:47AM  
16       in the relaxed state. And when you apply the electric  
17       field, light's absorbed in the front polarizer, and  
18       proportionally to the strength of the electrical fields,  
19       the absorption is, and it goes dark.

20       Q       Now, going back where we started, which is                   11:48AM  
21       paragraph three and the concept of addressing.

22       A       Paragraph 23?

23       Q       Paragraph 23, yes. So is it fair to say that  
24       -- strike that.

25               What's your understanding of the term                   11:48AM

1 addressing in the context of liquid crystal display 11:48AM  
2 technology, whether flat panel or projector?

3 A Typically, it would be applying an analog  
4 voltage to a pixel or to the full array by clocking in  
5 the data, you know, a row at a time. 11:49AM

6 Q Okay. Are there different types of addressing  
7 in the context of LCD itself?

8 A Yes.

9 Q What are those types?

10 A There is what's called passive matrix 11:49AM  
11 addressing in which the rows are multiplexed together in  
12 high information -- well, let's go back one step.

13 There's direct addressing in, say, a numeric  
14 display, calculators of the old days. You could  
15 drive -- you could apply a signal to a particular 11:49AM  
16 segment of the display directly from the driver. Okay?  
17 So a seven segment display would have seven connections  
18 plus the back plane.

19 In a matrix-type display, so in early computer  
20 displays, for instance, there would be an array of rows 11:50AM  
21 and array of columns and information would be read in by  
22 holding the columns at a level and then cycling down  
23 through the rows to load the -- to basically address  
24 them.

25 So each cell would receive a voltage for a 11:50AM

1 short period of time and then it would decay. That's -- 11:50AM  
2 then the active matrix, basically you apply the signal  
3 in a similar fashion and it's -- it's held in place  
4 by -- the TFT structure holds the voltage on the  
5 capacitor. So you don't have the decay that you see in 11:50AM  
6 a passive matrix addressed cell.

7 Q Okay. You said each cell would see a voltage  
8 for a short period of time?

9 A I corrected myself. I said each pixel.

10 Q You just used the word cell and pixel 11:51AM  
11 interchangeably?

12 A It was an accident.

13 Q Okay. Now, you understand that in other areas  
14 of imaging technology the word cell and pixel are used  
15 interchangeably? 11:51AM

16 A I don't think that's quite true.

17 Q How about image sensors?

18 A That's definitely not true in image sensors.

19 Q Really?

20 A Yes. 11:51AM

21 Q How is that?

22 A Sorry. Let me compose myself. Image sensors  
23 may have a cell. Say, for instance, a CCD array, okay?  
24 A CCD array, when you -- when someone asks you how  
25 many -- what's the resolution of the camera in your cell 11:51AM

1 phone, okay? They're describing the number of pixels in 11:51AM  
2 your cell phone, not the number of cells.

3 And it's always been, when they talk about the  
4 device, it's, you know, five megapixels, eight  
5 megapixels. That's how many millions of pixels there 11:51AM  
6 are in that CCD array which may not actually be a cell,  
7 even, because there's nothing contained in it. It's  
8 just a matrix array.

9 Q All right. So we were talking about types of  
10 addressing. We have direct addressing, we have rows and 11:52AM  
11 columns.

12 A Or passive matrix.

13 Q Passive matrix. What other types of addressing  
14 are you aware of in the context of LCD display systems  
15 such as flat panels or projection systems? 11:52AM

16 A Active matrix. And then there was somewhere  
17 between, for a period of time, a -- I guess you would  
18 call it a step between the active matrix and directed --  
19 direct drive, where they just tried to form a diode, not  
20 a thin film transistor on the cells. Diode address 11:53AM  
21 displays, you might call them, or arrays.

22 Q Have you ever heard of a type of addressing  
23 called scanning?

24 A Yes.

25 Q What is that? 11:53AM



1           A     That's what you do with a passive matrix           11:53AM  
2     device, you scan the rows while you load information in  
3     on the columns.

4           Q     Are you familiar with any systems that use a  
5     CRT to do scanning addressing?                           11:53AM

6           A     That's a different kind of scanning than what I  
7     was just talking about.

8           Q     Right.

9           A     So let me be clear that scanning in  
10    electrically addressed is what I just mentioned,           11:54AM  
11    basically applying the signal a row at a time and  
12    it's -- you describe that -- or I described that as  
13    scanning the rows.

14           A     In a CRT, there are no rows and columns. There  
15    is a uniform phosphor plate that an electron beam that's    11:54AM  
16    scanned back and forth across the plate generates  
17    basically whatever electron it hits, generates an  
18    emission of light.

19           Q     Okay. So the -- with a CRT, the part of the  
20    display that actually shows you the light is continuous,    11:54AM  
21    it doesn't have rows and columns, right?

22           A     That's correct.

23           Q     So when we go to the store to buy TVs, even  
24    CRT TVs, if you can buy them anymore, that say are 720p,  
25    that's referring to the number of rows in a television,    11:55AM

1 right? 11:55AM

2 A Scan lines.

3 Q Number of scan lines. Okay. And scan lines  
4 are -- fair to call them rows, right?

5 A No. They're scan lines. 11:55AM

6 Q They're scan lines. Okay. They're lines.

7 A No. They don't even exist. They're not  
8 physical entities, they're positions where a scanned  
9 beam would strike the phosphor plate.

10 Q Okay. And in a typical video system, the video 11:56AM  
11 signal would define where the scan lines are; is that  
12 fair?

13 A No. That's not really -- really true either.

14 Q Okay. Can you explain for me?

15 A So we're talking about CRTs, okay? The CRT 11:56AM  
16 scan lines basically are addressed by deflecting an  
17 electron beam. So the scan lines don't actually exist  
18 as lines.

19 Yes, I guess there is a yes portion to your  
20 question, okay, that there is a device that basically 11:56AM  
21 has to control the -- the deflection beam to, I guess,  
22 put the rows in the same place each time.

23 Q When you say to put the rows in the same place  
24 each time, what do you mean?

25 A To scan the electron beam in a way that it 11:57AM

1 strikes roughly the same area of the phosphor screen. 11:57AM

2 Q Now, the video signal that controls the CRT,  
3 does that video signal contain pixel information?

4 A No.

5 Q It doesn't? Why not? 11:58AM

6 A There's no pixels.

7 Q I guess if it's not -- if they aren't pixels,  
8 how would you describe it in that line when I'm looking  
9 at a TV when it changes from one color to -- one  
10 intensity to another? How would you describe that piece 11:58AM  
11 of information?

12 A In a CRT?

13 Q Yes.

14 A That's -- my description of that is it's an  
15 analog emission of light. 11:58AM

16 Q But that analog emission of light has a  
17 resolution, right?

18 A I don't know. I don't think I could say yes to  
19 that. CRTs have a -- the emission is not governed by a  
20 physical structure. It's actually governed by a 11:59AM  
21 statistical position of where an electron might strike  
22 the screen. And typically, the emission doesn't have  
23 a -- an addressable location, first of all.

24 But to be more clear, it doesn't even have a  
25 fixed optical characteristic to it other than being 11:59AM

1 Gaussian in its intensity distribution. 11:59AM

2 Q Does the video signal have a resolution to it  
3 in a CRT system?

4 A I don't know. Depends, I guess, on what the --  
5 it has a vertical resolution which would be, you know, 12:00PM  
6 the number of rows of information before a scan return  
7 signal. I'm not sure I could say that there's a  
8 horizontal resolution to it.

9 Q And in, say, an old-fashioned CRT, color  
10 television CRT, that phosphorous screen that the CRT is 12:00PM  
11 striking, is that just one uniform continuous screen or  
12 does it have a color filter pattern on it?

13 A It does not have a color filter pattern.

14 Q Is it one continuous screen, though?

15 A No. 12:01PM

16 Q How do colors show up on a CRT?

17 A There's three different phosphors on a color  
18 CRT that are placed on the screen.

19 Q Okay. And how does the CRT know which one of  
20 those phosphors to strike when it wants a particular 12:01PM  
21 color?

22 A I guess the information must be presented to it  
23 somehow electrically.

24 Q And that's part of the video signal in a CRT,  
25 right? 12:02PM

1 A I guess so, yeah. 12:02PM

2 Q Now, I see you say Takanashi's devices are all  
3 based on a uniform continuous photo conductor structure  
4 which responds to incident light beams, the nature of  
5 which are not disclosed, but would have been nonmatrix 12:02PM  
6 devices such as a CRT disclosed in US patent 4,770,500  
7 (Kalmanash) (Exhibit 2015) or other scanned source such  
8 as a monochrome laser device; do you see that?

9 A I do.

10 Q Can you explain to me what that means? 12:03PM

11 A Which part?

12 Q The whole thing, in lay terms.

13 A So the device disclosed in Takanashi basically  
14 is a layer of some photo conductor material. Typical at  
15 the time would have been something like cadmium sulfide, 12:03PM  
16 which responds to incoming photons by generating  
17 electrons. That's photo conductor. So it's basically a  
18 semiconductor-type material. There's a bias voltage ply  
19 across.

20 Q Keep going. 12:04PM

21 A So what the Takanashi reference discloses is a  
22 device that basically responds to an image that's  
23 projected on it. Such as, say, a slide viewer would be  
24 placed, a slide would be placed in the path of a light  
25 source and you would see on a frosted screen the image 12:04PM

1 of the slide. 12:04PM

2 That's essentially what's disclosed. The photo  
3 conductor structure is a continuous structure. There's  
4 no disclosure of it being an addressable structure from  
5 what we've discussed relative to LCD displays. There 12:05PM  
6 are no rows and columns in it. There are no -- there's  
7 only one electrode across, you know, one on each side of  
8 the plate.

9 So that's -- so the light source that they call  
10 the write light, which is not disclosed at that time, 12:05PM  
11 would have been something such as a CRT, which you,  
12 yourself, described as a scanned device.

13 Q Okay. Would the -- Takanashi talks about a  
14 spatial light modulator, right?

15 A That's correct. 12:05PM

16 Q And is the spatial light moderator what you  
17 just described?

18 A You know, I need to look at the patent again.  
19 Because he has a number of elements in there that form  
20 the whole spatial light moderator. So in general, I 12:06PM  
21 describe one end of -- one -- one component of the  
22 Takanashi device.

23 Q Okay.

24 A And that's the part that responds to the write  
25 light. 12:06PM

1 Q Got it. Does that portion of Takanashi that 12:06PM  
2 responds to the write light contain liquid crystal?

3 A Yes, it does.

4 Q And is that liquid crystal the photoconductive  
5 material or is that something else? 12:07PM

6 A No. It's not the photoconductive material.

7 Q Okay. So let's talk about the liquid crystal  
8 cell of Takanashi, then. In the same way that we talked  
9 about the active matrix liquid crystal.

10 A Okay. 12:07PM

11 Q Fair to say there's two plates of glass with  
12 liquid crystal between them and then some other  
13 components?

14 A You don't want to give me a reference to look  
15 at to help you here? 12:07PM

16 Q Certainly. Takanashi good enough?

17 A Yes.

18 (Exhibit 4, Takanashi reference, US Patent No.  
19 5,264,951; Exhibit No. 1003, was marked.)

20 BY MR. KING: 12:08PM

21 Q All right. I'm handing you what's been marked  
22 as Exhibit 4. It's the Takanashi reference, US Patent  
23 No. 5,264,951. It's got Exhibit No. 1003.

24 A Thank you.

25 Q All right. And I think you wanted this 12:09PM

1 reference so that we could walk through the stack, if 12:09PM  
2 you will, of the Takanashi liquid crystal cell.

3 A Yeah. Well, you're starting to ask questions  
4 that were causing me to rely too heavily on my memory of  
5 the multiple figures in Takanashi. So this will just 12:09PM  
6 make it easier for us.

7 Q Fair enough.

8 A So if you want to read back the question, I can  
9 start answering now.

10 Q Sure. Can you read that back? 12:10PM

11 Let me ask it this way: Earlier today we  
12 walked through all of the elements of an active matrix,  
13 LCD cell, from basically one polarizer to the other and  
14 all of the components in between.

15 A Okay. 12:10PM

16 Q I'd like to do the same. I'd like to discuss  
17 the same, have the same discussion with you with regards  
18 to the liquid crystal cell of Takanashi.

19 A Okay.

20 Q I think where we were a couple minutes ago is 12:10PM  
21 Takanashi has two plates of glass and liquid crystal in  
22 between, but I was hoping we could delve into that in a  
23 little more detail.

24 A Okay.

25 Q So let's start with -- you can pick one plate 12:11PM



1 of glass and let's just move from that plate of glass to 12:11PM  
2 the other plate of glass up the stack.

3 A Okay.

4 Q If there's something here in Exhibit 4 that  
5 you'd like to refer to, please feel free. 12:11PM

6 A Okay. Well, so I'm looking -- I was looking at  
7 Figure 6 and looking for where the photo conductor layer  
8 is located in Figure 6 since it's not labeled. But  
9 essentially, Figure 6 has two plates of glass, number  
10 six and number nine, okay? 12:12PM

11 With transparent electrode, number seven, and  
12 liquid crystal layer located between the two of them.  
13 I'm still looking to see where the electrical photo  
14 conductor layer is.

15 Okay. This is important. I want to get it 12:12PM  
16 right, so if you don't mind, let me have a minute or  
17 two -- let me generally describe what happens first.  
18 Okay?

19 A light, if you just look at the figure --  
20 Figure 1. Is that Figure 1? No, it's not. The front 12:12PM  
21 figure, which becomes Figure 8. Okay. Figure 8.

22 A write light shines on a cell called -- that's  
23 labeled SIM, in this case R, for reflective. And  
24 essentially, the write light will -- shines on a  
25 photoconductive layer that's -- material that's 12:13PM

1 sensitive to incoming photons and in responding to 12:13PM  
2 incoming photons, it emits electrons which in the  
3 proximity of where the light hit, the -- causes a  
4 voltage to be generated.

5 Okay. Now, across the -- 12:13PM

6 Q And where is the photoconductive layer on  
7 Figure 1?

8 A Yeah. Well, it's not on Figure 1. That's why  
9 I was starting to read the spec to find out where it is.

10 Q That's all right. I think I'm understanding 12:14PM  
11 your testimony.

12 A Okay. So he describes it really almost more  
13 thoroughly in what I believe is his description of the  
14 problem to be solved. So in other words, in describing  
15 prior art. 12:14PM

16 And so if we look at the Figure 1 or Figure 2,  
17 which is the prior art, you'll see that there's a  
18 photoconductive layer between a pair of electrodes.

19 Q Where are you reading?

20 A In the PCL section that's between ET1 and ET2. 12:15PM  
21 Which are the electrodes.

22 Q So you're reading from column one --

23 A Oh, I'm sorry. Reading from column 1, line 25.

24 Q Okay. And then you're also referring back to  
25 Figure 1? 12:15PM

1 A That's right. 12:15PM

2 Q All right.

3 A So what happens is when the write light comes  
4 through, it generates a charge across the cell which is  
5 for the modulation layer, which is PML. Okay. 12:15PM

6 So the PML would be the liquid crystal or other  
7 responding material that he describes. So essentially,  
8 what you have is a layer that responds to incoming light  
9 and applies a voltage at the position where the photons  
10 are striking. 12:16PM

11 That voltage then acts across what was called  
12 the photo modulation layer, essentially distorting the  
13 liquid crystal as we described by, you know, because the  
14 liquid crystal responds to an electric field.

15 But it does so in a, I guess, a uniform 12:16PM  
16 distributed and analog approach. So it would have --  
17 there are no -- in Takanashi, there are not pixels that  
18 are addressed from the outside world. There's just  
19 charge being placed in a distributed pattern in response  
20 to the distributed pattern of the light source. 12:16PM

21 Q Okay. And that light source could be a CRT?

22 A It could be a CRT. I think I mentioned  
23 laser -- what they're trying to do is take a monochrome  
24 source of low energy and create a three-color system  
25 using a higher -- what would be a higher intensity lamp 12:17PM

1 on the read side. 12:17PM

2 Q Okay. So one example is a CRT, another example  
3 is a laser as a light source, right?

4 A Yes.

5 Q Are there other examples of light sources in a 12:17PM  
6 system like this?

7 A Actually, this maybe would be part of, say, a  
8 scanning system for transparencies or something if you  
9 want to convert your slides to -- slide film to -- but  
10 that doesn't make sense because you'd have to have three 12:18PM  
11 different color slides instead of a color slide. But a  
12 black and white negative could be placed in the middle  
13 or a positive black and white film, whatever.

14 Q Could you also use a lower intensity active  
15 matrix display to drive -- or to be the write light? 12:18PM

16 A No.

17 Q Really?

18 A Really.

19 Q Why not?

20 A Because LCD displays are nonemissive devices. 12:18PM  
21 So they don't actually produce light. So it can't be a  
22 write light.

23 Q What about the combination of an active matrix  
24 display, like an active matrix display and a low  
25 intensity backlight; could you project the image from 12:18PM

1 that system onto the system of Takanashi and have that 12:18PM  
2 be the write light?

3 A Um, hypothetically, you could put a matrix  
4 device in there and shine a write light through it. But  
5 you still don't end up with a pixilated structure on 12:19PM  
6 your spatial light moderator. What would happen is you  
7 would end up with smoothly varying information on your  
8 display.

9 Q Okay. So what you're saying, if I understand  
10 you right, is the write light might be pixilated in that 12:19PM  
11 hypothetical, but the liquid crystal would not be  
12 pixilated; is that what you're saying?

13 A Well, what I said was if one were to put a  
14 matrix device between a light source and the spatial  
15 light moderator, you would still end up with a 12:20PM  
16 continuous structure that does not have addressable  
17 pixels. And, in fact, it doesn't have the resolution  
18 that a pixilated structure could have because  
19 essentially you have a continuous substrate and there's  
20 no, I guess, physical limits to where the light is. 12:20PM

21 So everything becomes sort of Gaussian in its  
22 distribution again.

23 Q And when you say a continuous substrate, you're  
24 referring to the liquid crystal layer?

25 A Referring to the photoconductive layer. And 12:20PM

1 the liquid crystal layer. 12:20PM

2 Q In Takanashi?

3 A In Takanashi.

4 Q All right. Anything else in that topic?

5 A No. 12:21PM

6 Q Now I'm looking at paragraph 24 where you're  
7 talking about Dr. Buckman's testimony on this issue.

8 A Okay.

9 Q Paragraph 24 of your '334 report. Now, you  
10 cite Dr. Buckman's testimony for purposes of disagreeing 12:21PM  
11 with it, that the matrix is created by the write light;  
12 do you see that?

13 A I do.

14 Q And you see that Dr. Buckman refers to a matrix  
15 of transmissivity? 12:22PM

16 A I see that.

17 Q I understand you disagree with Dr. Buckman's  
18 testimony on that point.

19 A That's correct.

20 Q Okay. Can you explain for me why you disagree 12:22PM  
21 with his testimony?

22 A Yes. Takanashi does not disclose a matrix  
23 system. And as I described earlier, the matrix system  
24 is actual physical construction in the LCD device,  
25 liquid crystal cell. By simply applying a write light 12:22PM

1 to a rectangular cell in the case of the spatial light 12:22PM  
2 modulator, you do not create a matrix structure.

3 Q Now, paragraph 23 where you're talking about  
4 incident light beams such as a CRT or a monochrome laser  
5 device; do you see that? 12:23PM

6 A I do.

7 Q If you used a CRT with the system of Takanashi,  
8 would the CRT beam be scanning back and forth in lines  
9 similar to what we talked about with the television?

10 A Not necessarily. 12:24PM

11 Q But it could be?

12 A It could be. There are two different ways to  
13 scan a CRT.

14 Q Okay. What are the two different ways?

15 A One is -- one is what they call raster scan, 12:24PM  
16 which you just described; and the other is a swept beam  
17 approach.

18 Q What's a swept beam approach?

19 A Basically, you just describe whatever image you  
20 want to make with your -- with your electron beam. 12:24PM

21 Q Okay.

22 A By not actually scanning a row at a time and  
23 putting dots only where you want them, or electrons  
24 where you want them.

25 Q And if you'd read Takanashi in 1996, would you 12:25PM

1 have an expectation on whether the write light in 12:25PM  
2 Takanashi was a raster scanned or a swept scanned  
3 system?

4 A Not actually. In fact, the one reference that  
5 I point to, the gentleman, Kalmanash, worked in the 12:25PM  
6 military environment. And it's very likely that those  
7 CRTs were -- or some number of the devices that use  
8 those were not raster scanned. Radar CRTs, for  
9 instance, were not raster scanned.

10 Q Okay. So reading Takanashi, it could be either 12:25PM  
11 one. It could be either the swept or the raster scanned  
12 used for the write light; would you agree with that?

13 A Sure.

14 Q I'm sorry. It's getting late in the day. We  
15 need to stop talking over each other. I've noticed 12:25PM  
16 that. Fortunately, Victoria's doing a very good job of  
17 keeping this straight.

18 All right. I think you also testified that a  
19 person of ordinary skill in the art would understand  
20 that a light shutter matrix is a physical structure in 12:26PM  
21 the LCD cell? Did I summarize that right?

22 A That's correct.

23 Q What's the basis for your opinion that the  
24 matrix requires a physical structure in the context  
25 of -- the context of either the '334 or the '545 12:26PM



1 patents? 12:27PM

2 A The way LCDs are designed, they are all  
3 electrically addressed for displays as we've been  
4 discussing. So therefore, they don't have to be in a  
5 row and column matrix. 12:27PM

6 If you're thinking they all have to be those  
7 rectangles up and down, there are versions that use  
8 what's called a delta triad that -- but, again, each  
9 pixel within that delta triad is addressable by a row  
10 and column address. 12:27PM

11 And the reason that this is my opinion is  
12 because this is the way it is. I mean, there -- there's  
13 no way to construe a liquid crystal display device  
14 that's got a pixel structure to it that is anything  
15 other than a matrix device. 12:28PM

16 As I pointed out earlier, there are liquid  
17 crystal devices that have, like, segmented displays.  
18 Such as numeric or alpha characters. Again, each of  
19 those is electrically addressable.

20 Q All right. If I wanted to go out and -- if you 12:28PM  
21 wanted to go out and corroborate your opinion that a  
22 light shutter matrix requires a physical -- strike that.

23 MR. QUILLIN: We've been going about three  
24 hours. It's almost 12:30. Take a lunch break?

25 MR. KING: Let me just wrap up this line of 12:29PM

1 questioning; but yeah, it's about that time. 12:29PM

2 MR. QUILLIN: Okay.

3 BY MR. KING:

4 Q I guess I'm just asking, you know, your opinion  
5 that a matrix in this context requires a physical 12:29PM  
6 structure, one example of that would be the pixel  
7 structure that we talked about in the active matrix,  
8 right?

9 A That's correct.

10 Q And I guess I still have the same -- what 12:30PM  
11 evidence do you have besides your own say-so that your  
12 opinion is correct, that a matrix requires a physical  
13 structure?

14 A How about we get out the Tannas reference that  
15 was written in 1985 and look at that. I don't know if 12:30PM  
16 you have enough pages there to get you where you're  
17 going.

18 How do you have a matrix display without having  
19 a matrix? That's the question I can't -- you're wanting  
20 me to answer that yes, you can have a matrix display 12:30PM  
21 without having a matrix, but that's not possible. You  
22 can't address the information, that is control the pixel  
23 by pixel information, if you don't have physical pixels.  
24 And some way of electrically getting that information  
25 in. 12:31PM

1           And this is what we're talking about when we're       12:31PM  
2           talking about the '334 and the '545 patent, is matrix  
3           addressed displays. They point out active matrix LCD,  
4           monochrome LCD array. This is what's -- what's -- what  
5           the technology is.   12:31PM

6           MR. KING: All right. Let's take a break for  
7           lunch and then come back.

8           THE VIDEOGRAPHER: We are going off the record.  
9           The time is approximately 12:30.

10           (Off record from 12:32 p.m. to 1:33 p.m.)               12:31PM

11           THE VIDEOGRAPHER: We are back on the record.  
12           The time is approximately 1:33 p.m.

13           BY MR. KING:

14           Q     Welcome back.

15           A     Thank you.   1:33PM

16           Q     Now, before the break you mentioned the Tannas  
17           reference. I believe I have that here marked as  
18           Exhibit 5.

19           (Exhibit 5, Tannas reference, US Patent No.  
20           '334; Exhibit No. 2012, was marked.)                       1:33PM

21           BY MR. KING:

22           Q     That is Exhibit 2012 in the '334 IPR. Do you  
23           have that in front of you?

24           A     Okay.

25           Q     And this is the Tannas reference that you were       1:34PM

1 referring to before the break, right? 1:34PM

2 A That's correct. Panel displays and CRTs.

3 Q I believe we were discussing addressing  
4 techniques before lunch; is that right?

5 A I believe so. 1:34PM

6 Q If you could turn to page 25 of Tannas.  
7 Are you there?

8 A I am there.

9 Q And I see that Tannas has a table, 1-4, called  
10 classification of all known addressing techniques; do 1:34PM  
11 you see that?

12 A I do.

13 Q And did you review this table in connection  
14 with preparing your declaration in the '334 matter?

15 A I would have been familiar with it, yes. 1:35PM

16 Q I see this table identifies five different  
17 known addressing techniques; is that right?

18 A It does.

19 Q And these are the addressing techniques that  
20 could be used in flat panel televisions, sorry, flat 1:35PM  
21 panel displays and televisions, right?

22 A No, that's not correct.

23 Q Why isn't that correct?

24 A This book addresses flood panel displays and  
25 CRTs and several of the addressing techniques are CRT 1:35PM

1 addressing techniques. 1:35PM

2 Q Okay.

3 A So the matrix address is possible with all flat  
4 panel display technologies.

5 Q Okay. So let's just go down the list here. 1:35PM  
6 You see direct addressing?

7 A I do.

8 Q Okay. Is that -- is it possible to use that  
9 with liquid crystal display technology?

10 A Yes. 1:36PM

11 Q Is it possible to use that with video display  
12 technology?

13 A No.

14 Q And that's because there are too many -- too  
15 many wires would be required with direct addressing? 1:36PM

16 A That's correct.

17 Q Okay. All right. What about the scan  
18 addressing technique; what's that?

19 A That's what I described earlier where a beam is  
20 scanned across a phosphor panel and generates dots. The 1:36PM  
21 beam has got -- the beam is interrupted by the -- in the  
22 CRT control electronics so that it forms dots at  
23 locations.

24 Q Okay. When you say it forms dots, what do you  
25 mean? 1:37PM

1           A     There's -- basically where an electron strikes           1:37PM  
2     the phosphor screen, an emission of light comes from the  
3     phosphor. And the electrons hit in a little fuzzy area.  
4     That is what I'm calling the dot.

5           Q     Is the dot a pixel?   1:37PM

6           A     No.

7           Q     Why not?

8           A     It's not an addressable picture element.

9           Q     So a pixel has to be an addressable picture  
10    element?   1:37PM

11          A     Technically, a pixel is in a picture element,  
12    it's the shorthand for picture element. So I guess from  
13    that perspective, yes, it's a pixel.

14          Q     So the dot used in the scanning addressing  
15    technique, or the dots created by the scanning                       1:38PM  
16    addressing techniques, those are pixels; is that what  
17    you're saying?

18          A     They are -- they create a picture element, yes.

19          Q     Okay. And I think I know the answer to this,  
20    but I have to ask it. In your opinion, is the system                 1:38PM  
21    that uses the scanning addressing technique a matrix  
22    system?

23          A     No, it is not.

24          Q     Okay. What about the grid addressing  
25    technique, what is that?   1:38PM

1           A    It's the same thing as scan. Oh, wait a           1:38PM  
2           second. I was going to say that it's the same as a  
3           scan, but using a shadow mask to help sharpen the edges  
4           of the dots.

5                    But when you look in the right-hand column,           1:39PM  
6           he's talking about vacuum fluorescence and flat CRTs, in  
7           which case, then, they're actually physical structures  
8           that are a -- form a grid of pixels that would be  
9           electrically addressed.

10          Q    Is a display that uses the grid addressing           1:39PM  
11          technique a light shutter matrix system?

12          A    No, it would not contain a shutter.

13          Q    Okay. Is it a matrix system?

14          A    Uh, depends on the, I guess, the grid structure  
15          and how the information is put in the grids.           1:40PM

16          Q    Can you explain what you mean by that?

17          A    Well, according to this, each pixel's defined  
18          by a whole -- grid hole geometry. So how you place  
19          them, them being the grid holes, they could form a  
20          matrix. But in vacuum fluorescent displays, as he           1:40PM  
21          points to, and flat CRTs, there would be actually a  
22          physical structure that would have to be used to address  
23          each of those -- those locations.

24                    There would be a physical structure and you  
25          would address each of those locations, unlike scanning           1:40PM

1 with a beam. 1:41PM

2 Q Okay. So under what circumstances would a  
3 system that uses grid addressing be a matrix system, in  
4 your opinion?

5 A If it was a formed glass assembly that had 1:41PM  
6 cells that each of the little pockets of phosphor were  
7 physically separated from one another and there was an  
8 electrical control to each of those cells, not to  
9 confuse the words, but that's physically what they are,  
10 is a cell, really, than it would be a pixel. 1:41PM

11 Q Okay. And then under what circumstances would  
12 a system that uses the grid addressing technique not be  
13 a matrix system?

14 A If it were a shadow mask and it was a CRT that  
15 was scanning a shadow mask grid. 1:41PM

16 Q Now, the shadow mask that you're talking about,  
17 is that a -- does that shadow mask have a  
18 two-dimensional grid of rows and columns?

19 A Yeah, but they're not really rows and columns.  
20 They're -- yes. But the -- the pixel structure, or I 1:42PM  
21 should say the whole structure in the shadow mask is not  
22 usually just in rows and columns. It might be like  
23 triad patterns or something.

24 Q So why isn't a system that has a shadow mask  
25 using the grid addressing technique a matrix system, in 1:42PM



1 your opinion? 1:42PM

2 A Because there's really no switching that's  
3 taken place in the matrices.

4 Q Can you elaborate on that?

5 A You can't individually load data into, and 1:43PM  
6 access the state of, for instance, a particular cell  
7 in -- or particular pixel.

8 Q Why is that a requirement of a matrix system?

9 A The -- if you look at the controller  
10 requirements that are associated with LCD, which is what 1:43PM  
11 the '334 and '545 speak to, basically the controller has  
12 to be able to place signal data in individual pixel  
13 locations. That's the way an LCD works.

14 Q Okay. Is that disclosed in the '334 or '545  
15 patents? 1:44PM

16 A Yes. Inherently through stating that it's an  
17 active matrix LCD and then again a monochrome LCD array.

18 Q Okay. So in your view it's not explicitly  
19 disclosed in the '334 or the '545 patents, but it is  
20 implicitly disclosed? 1:44PM

21 A It would be no reason to state at that level  
22 when they're already explaining what the devices are and  
23 it's well known to one of ordinary skill what the  
24 structure of a liquid crystal display is and how it's  
25 addressed. 1:45PM

1 Q Okay. 1:45PM

2 A Rows and columns of physical pixels.

3 Q Okay. And just to close out the question on  
4 the grid addressing technique, I think what you're  
5 saying is that you can have a shadow mask in the grid 1:45PM

6 addressing technique and that shadow mask will have an  
7 organized repeating structure such as a row and column  
8 structure or a triad structure.

9 And that that structure is not a matrix as you  
10 interpret that term in the context of the patents; is 1:45PM  
11 that what you're saying?

12 A Okay. So I was just reading Section 1.8.3 of  
13 the Tannas reference at page 25 where he more clearly  
14 defines the grid addressing. And really, the grid  
15 addressing is aimed more at a way of addressing pixel 1:46PM  
16 displays, not CRTs.

17 Q I don't think that's an answer to my question.

18 A Okay. Then if you wouldn't mind asking the  
19 question again.

20 Q Sure. Would you mind asking my question again? 1:47PM

21 MADAM COURT REPORTER: "QUESTION: And  
22 just to close out the question on the grid  
23 addressing technique, I think what you're  
24 saying is that you can have a shadow mask in  
25 the grid addressing technique and that shadow 1:47PM

1 mask will have an organized repeating 1:47PM  
2 structure such as a row and column structure  
3 or a triad structure.

4 "And that that structure is not a matrix  
5 as you interpret that term in the context of 1:47PM  
6 the patents; is that what you're saying?"

7 THE WITNESS: So what I'm saying is that the  
8 grid addressing method is not a CRT scan method.  
9 There -- it's not a shadow mask as I first said. It's  
10 really an addressing technique for discrete pixels in a 1:48PM  
11 flat panel display. And it's a technique of addressing  
12 lines and columns that are physical structures.

13 BY MR. KING:

14 Q Okay. So the grid addressing technique is a  
15 matrix addressing technique, correct? 1:48PM

16 A That is, yes. Yes, it is.

17 Q Okay. What about the shift addressing  
18 technique? Is that a matrix addressing technique?

19 A It's a form of addressing a matrix.

20 Q So it's a yes? 1:48PM

21 A Yes.

22 Q Okay. And the last technique is the matrix  
23 addressing technique, correct?

24 A Yes.

25 Q It's safe to say that it's your opinion that 1:49PM

1 that is, in fact, a matrix addressing technique in the 1:49PM  
2 context of the patents here?

3 A That's correct.

4 Q Okay. Let me ask you a couple questions about  
5 the scan addressing technique. 1:49PM

6 A Okay.

7 Q Now, when we were talking about the scan  
8 addressing technique -- do you recall talking about scan  
9 addressing technique before the lunch break?

10 A Yes. 1:49PM

11 Q Is that scan addressing technique that we were  
12 talking about before the lunch break the same addressing  
13 technique that's discussed here in the table on page 25?

14 A Yes.

15 Q And then I see on page 24 that the Tannas 1:49PM  
16 reference teaches that scan addressing is used, for  
17 example, in a commercial television picture; do you see  
18 that?

19 A I do.

20 Q Do you agree that statement? 1:50PM

21 A Yes.

22 Q And do you agree with the discussion of scan  
23 addressing that's here in Section 1.8.2?

24 A Not necessarily, no.

25 Q What do you disagree with? 1:50PM

1           A     Well, basically, in a CRT there's a continuous           1:50PM  
2     layer of phosphor. And when you're scanning -- so he's  
3     combining two different things. Combining commercial TV  
4     signal and saying there's 480 columns and based on  
5     bandwidth, and this is where I'm able to clarify, there           1:50PM  
6     can be 320 columns. Okay?

7                     So that means that the columns are not actually  
8     physically fixed. Sort of what I was speaking to  
9     before. Depends on your signal capability. So it  
10    doesn't make 1,000 -- or I should say 153,600 usable           1:51PM  
11    addressable pixels.

12                    If that signal had full resolution and it were  
13    applied to a pixel device, then that's how many pixels  
14    you could get without doing interpolating. This does  
15    not say that a CRT has pixels. But the scan addressing           1:51PM  
16    is just a method of scanning a beam across.

17           Q     Well, doesn't it say that the scan addressing  
18    has a total of 153,600 usable addressable pixels?

19           A     In a standard NTSC composite video signal. But  
20    that's what he says. And I told you, I didn't           1:51PM  
21    address -- I don't believe that they're physical pixels.  
22    They're data bits, is what they are.

23           Q     Okay. Do you agree that they're pixels?

24           A     No, I don't.

25           Q     Do you agree that they're addressable?           1:52PM

1           A    He's not talking about a physical structure           1:52PM  
2           here. He's talking about information content in a  
3           signal. In which case, it would be -- it would be data  
4           bits or bytes of information. So in other words, you  
5           can get information to support a 480 x 320 column array           1:52PM  
6           from an NTSC signal.

7           Q    All right. Now, I notice the Tannas reference  
8           is not a book on projectors; is that right?

9           A    That's correct.

10                   (Exhibit 6, Lee Patent, Exhibit 1004, was           1:54PM  
11                   marked.)

12           BY MR. KING:

13           Q    All right. I'm handing you what's been marked  
14           as Exhibit 6. It's the Lee patent and it's  
15           Exhibit 1004. But before we get to that, let's go back           1:54PM  
16           to your '334 report. I just wanted to get that in front  
17           of you.

18           A    Okay.

19           Q    I'm looking at 20 paragraph, page 26 of your  
20           report where you're talking about the Lee reference.           1:55PM

21           A    Okay.

22           Q    I think we discussed this earlier. All sides  
23           agree now that the light controller shutter -- the light  
24           shutter controlling circuit, 19, of Lee is not the -- is  
25           not a video controller; that's your opinion, right?           1:55PM

1 A That's correct. 1:55PM

2 Q All right. And now, you understand that -- did  
3 you read Dr. Buckman's deposition testimony in this  
4 case?

5 A I did. 1:55PM

6 Q Did you see where Dr. Buckman corrected his  
7 opinion to identify element 20 in Lee as a video  
8 controller?

9 A Okay. I don't recall that, but I read through  
10 it and knew that he was pointing to something else. So 1:56PM  
11 whether it was that or one of the other 21 or 22, I'm  
12 not sure.

13 Q Okay. I see that your declaration doesn't have  
14 a response to Dr. Buckman's testimony in that point; is  
15 that right? 1:56PM

16 A No, I didn't respond to that.

17 Q All right. So that's just not an opinion that  
18 you've expressed in your declaration, if you have one on  
19 that point?

20 A I don't have one on that point, I guess. 1:57PM

21 Q All right. Let's go to paragraph -- let's go  
22 to paragraph 27 in your '334 report.

23 A Okay.

24 Q I understand it's your opinion that none of the  
25 claims of -- let me start over. 1:57PM

1 I understand it's your opinion that Takanashi 1:58PM  
2 does not satisfy the equivalent switching matrices  
3 elements in either of the two patents at issue, right?

4 A That's correct.

5 Q And can you explain the basis of your 1:58PM  
6 opinion -- of that opinion to me, please?

7 A Yes. Takanashi talks about a continuous layer  
8 of photoconductive material coupled together with  
9 another element to form a spatial light modulator on  
10 continuous planes of glass, the photoconductive layer is 1:58PM  
11 a continuous layer of material. And there is no pixel  
12 structure in the Takanashi disclosed devices. So  
13 therefore, they cannot be switching matrices.

14 Q Okay. And I understand you also have an  
15 opinion that the -- on whether the liquid crystal 1:59PM  
16 elements in -- start over.

17 The system of Takanashi uses three LCD cells,  
18 right?

19 A No. It uses three spatial light modulators.

20 Q What's the difference between a spatial light 1:59PM  
21 modulator and an LCD cell?

22 A Well, I think the common meaning of the liquid  
23 crystal -- well, it's a liquid crystal cell, not an LCD  
24 cell.

25 Q Understood. Okay. So let me -- thank you -- 1:59PM



1 be more careful, more precise with the terminology. 2:00PM

2 So if I understand what you're saying in  
3 Section C of your report starting on page 21, it's that  
4 Takanashi has three liquid crystal cells that are not  
5 equivalent; is that basically what you're saying? 2:00PM

6 A That's what I'm saying. That the way they're  
7 configured. They're configured to form wavelength  
8 selection filters, um, using a birefringent layer of  
9 liquid crystal. And as a result, yield three different  
10 transmitted colors. 2:01PM

11 So the devices of Takanashi taken together form  
12 three, in the case where they have three devices, form  
13 three unique devices.

14 Q Okay. Is there a figure in Takanashi that  
15 illustrates what you're talking about? 2:01PM

16 A Figure 17 would work.

17 Q Okay. Can you illustrate, use Figure 17 to  
18 illustrate what you're talking about?

19 A Sure. Figure 17 has three light sources. I  
20 should say three optical paths. There's a block 11,  
21 which is a three-color separation system. 2:02PM

22 Q What does that block do?

23 A Separates the light source into, looks like,  
24 red, green, and blue color bands. Okay. And then it's  
25 passing those light -- those light sources, which I 2:02PM

1 believe Takanashi even says that they're not narrowly -- 2:02PM  
2 narrow enough, passes them through an ECBtr, which is  
3 electronically controlled birefringent element.

4 Q What is that?

5 A That's a device with a couple polarizers on the 2:03PM  
6 outside of glass. It's, in the case of Takanashi, a  
7 single cell and it has liquid crystal material and it  
8 would be designed to pass a narrow band of red light in  
9 this case.

10 And then there's a spatial light modulator 2:03PM  
11 which would follow roughly the similar stack of  
12 components shown in some of the earlier figures that  
13 we've discussed already, having a photoconductive layer,  
14 which is, you know, sensitive to one wavelength of light  
15 and not sensitive in this case to the red light. So 2:03PM  
16 red's allowed to pass through.

17 Q Okay. Which one of the two liquid  
18 crystal-containing elements actually encodes an image on  
19 the read light?

20 A SIMtr. 2:04PM

21 Q Okay. And then what is the PL2R?

22 A I believe that's a second polarizer. Let me  
23 just double check. Figure PL2R is a polarizer that's in  
24 the red light beam.

25 Q Okay. Now, in Figure 17 it looks like there 2:05PM

1 are three major elements in the red light path, right? 2:05PM

2 The ECBtr, the PL2R, and the SIM2R?

3 A Yes, I see that.

4 Q Are those elements physically connected in

5 Takanashi? 2:05PM

6 A Yes.

7 Q So how are they physically connected?

8 A I would envision them to be a sandwich that's  
9 laminated together.

10 Q Okay. 2:05PM

11 A And I think that the operation of one depends  
12 on the other being there.

13 Q Okay. So you envision it as a sandwich  
14 laminated together. That means in your vision the three  
15 components are fabricated separately and then put  
16 together later? 2:06PM

17 A Not necessarily.

18 Q But they could be?

19 A Perhaps. Yes.

20 Q That was one way to do it in 1996? 2:06PM

21 A It could have been, yeah. In separate cells.

22 Q Okay. And I think you said that the ECBtr  
23 layer is designed to pass red light through; is that  
24 right?

25 A That's correct. 2:06PM

1 Q Okay. Is the PL2R layer designed with red 2:06PM  
2 light in mind?

3 A Not only red light, but red light with the  
4 exact polarization that's emitted or passed through the  
5 ECBtr. 2:07PM

6 Q Okay. And how is the -- in other words, is the  
7 PL2R layer any different than the PL2G layer in Figure  
8 17?

9 A I believe there could be a difference. It goes  
10 to pass particular color of light through the ECB 2:07PM  
11 elements, there will be more or less birefringence which  
12 will result in more or less rotation of the polarization  
13 vector.

14 So in order for these elements to work together  
15 optimally, the elements PL2R, G, and B are likely 2:07PM  
16 oriented differently, as is the cell structure likely of  
17 the ECB parts that they're -- they're either having  
18 alignment layer at a different angle or the cell gap is  
19 slightly different to achieve more or less  
20 birefringence. 2:08PM

21 Q So you said that's likely, but it's also  
22 possible that the PL2R, PL2G, and PL2B layers are just  
23 interchangeable, right?

24 A No. I don't believe that would be the case.  
25 Otherwise, they would just say PL2 and it would be the 2:08PM

1 same element throughout. Like I said, the amount of 2:08PM  
2 birefringence, and since these are all operating on a  
3 different color they're going to have a different amount  
4 of birefringence. And, therefore, there's likely that  
5 there's going to be differences in the output, not just 2:09PM  
6 color, but the polarization of the light.

7 So, therefore, there's going to be three  
8 different polarizer configurations. And there may be  
9 shifting, you know, 45 degrees from one another. I  
10 don't know. And they don't give that information in 2:09PM  
11 this spec.

12 Q Does that mean the polarizers are physically  
13 mounted in a different direction? Or does it mean the  
14 elements inside the polarizer would be configured in a  
15 different direction? 2:09PM

16 A I can't say from the information in here.

17 Q All right. What about the spatial light  
18 modulator layers. If we just look at the SIMtr, does  
19 that spatial light modulator have any special features  
20 that -- relating to red light? 2:10PM

21 A Uh, yeah. The way the specification describes  
22 it is in these transmissive devices, each spatial light  
23 modulator is different because it has to be sensitive to  
24 the write light, but then allow passage of the read  
25 light through the cell. 2:10PM

1           Whereas in reflective mode, I don't know that           2:10PM  
2           it has that same requirement. It doesn't have to  
3           transmit through the layer of photoconductive material.

4           Q     Okay. So if we were to look at the red spatial  
5           light modulator, would that be -- if you just took that           2:10PM  
6           by itself, would it be different than the spatial light  
7           modulator associated with the green pathway?

8           A     I believe so.

9           Q     How?

10          A     I am not sure how, but I just described why, as           2:11PM  
11          they describe in here, that the spatial light modulator  
12          has a photoconductive layer that has to be sensitive to  
13          light that is the write light. Okay?

14          Now, in order for it to work, it also has to  
15          pass the read light. So the photoconductive layer has           2:11PM  
16          to, in one case, pass red, in another pass blue, in  
17          another pass green. So it's very likely that the  
18          construction is going to be different to allow that to  
19          happen.

20          Because -- well, I don't see how you can cover           2:11PM  
21          that full spectrum of light and have a write light that  
22          is able to encode information without having your read  
23          light encode information for you.

24          You understand where I'm going?

25          Q     I think, are you saying that in the system of           2:12PM

1 Figure 17 that there's one write light that strikes all 2:12PM  
2 three spatial light modulators?

3 A I guess I was saying that, yes.

4 Q Okay. And what's the basis for you saying  
5 that? 2:12PM

6 A I'm looking that it's actually going through  
7 the three color separation filter first. So let me read  
8 a little more about 17.

9 Okay. So Figure 17 really is defined, those  
10 elements are defined in Figure 9. Which basically shows 2:16PM  
11 one -- one color mode. And the read light is applied  
12 through the polarizer through the -- it's made narrower,  
13 it states, through the ECB transmissive and then passes  
14 through a second polarizer.

15 And then it -- don't ask me how. There's some 2:16PM  
16 hand waving that's going on in the spec. The read light  
17 then encodes information and comes out as the read light  
18 out.

19 Q Okay. Figure 9 doesn't show the write light,  
20 right? 2:17PM

21 A It does not show a write light from the  
22 transmissive one, but it talks about the read light. In  
23 Figure 9 the read light RLi supplied to the spatial  
24 light modulator is outputted as the read light, RLo,  
25 through the optical path of the polarizer, PL1, the 2:17PM

1 transmission electrically-controlled birefringent liquid 2:17PM  
2 crystal element, ECBT, the polarizer, PL2, the modulator  
3 element, SLMT, and the polarizer, PL3. And says, said  
4 modulator element, SLMT, carries out the write and read  
5 operations as described and referenced in Figure 3. 2:18PM

6 So the main gist of what I was getting to was  
7 they have to be a very narrow wavelength. And that's  
8 the part of this I guess I should have also read.

9 Reading down column nine at line 50'ish. The read  
10 light, RLI, for the spatial light modulator is incident 2:18PM  
11 on the modulator element SLMT as the read light of a  
12 narrow wavelength band due to said wavelength selection  
13 filter, which was formed through the ECB portion.

14 So that's -- the basis for my opinion that the  
15 cells are different and are not interchangeable, in that 2:19PM  
16 their narrow wavelength selection, even though they're  
17 being provided with close to the right light, red,  
18 green, and blue through the three-color separation, in  
19 order to not affect the photoconductive layer, they have  
20 to be narrowed even further. 2:19PM

21 And therefore, it's my opinion that the three  
22 cells in Figure 17 are unique from one another.

23 Q Okay. My question was a little bit different.  
24 A little bit different. My question was specifically  
25 about the spatial light modulators. 2:19PM



1 A Just the SIM. 2:19PM

2 Q Just the SIM portion. Is there anything about  
3 the SIMtr that is specific to red light?

4 A My -- my statement before, and I still hold to  
5 this, though, I'm going to say there's not support in 2:20PM  
6 the specification for this. Because they don't  
7 define -- they say that the spatial light modulator  
8 needs to be sensitive to the write light and not  
9 sensitive to the read lights. Okay?

10 If the write light is broadband, or broader 2:20PM  
11 band, then you would have to have different devices to  
12 not be affected by some subset of that band. So it's my  
13 opinion that the spatial light modulator elements are  
14 different, but if I could see something else that would  
15 convince me, I could see -- basically what I'm saying, 2:21PM  
16 there's not enough information in the spec to lead me to  
17 believe other than what my opinion is here.

18 Q So I guess I want to look at Figure 16 for a  
19 minute, then.

20 A Okay. 2:21PM

21 Q Figure 16 shows write light, correct?

22 A Yes.

23 Q And, in fact, it shows three different types of  
24 write light, right?

25 A Write light red, write light green, and write 2:21PM

1 light blue. 2:21PM

2 Q And so if we look at the write light red, the  
3 write light red is coming into contact with the spatial  
4 light modulator red, right?

5 A Yes. 2:21PM

6 Q And then the write light green is coming into  
7 contact with the spatial light modulator green, right?

8 A Yes.

9 Q And the write light blue is coming into contact  
10 with the blue one, right? 2:22PM

11 A Yes.

12 Q So just looking at Figure 16 and putting aside  
13 Figure 17 for a minute, just looking at Figure 16, are  
14 the spatial light modulators in Figure 16  
15 interchangeable with one another? 2:22PM

16 A Yes, they're different.

17 Q How's that?

18 A One is forming a grid wavelength selection  
19 filter, the other is forming a green wavelength  
20 selection filter, and the third is forming a blue  
21 wavelength selection filter. 2:23PM

22 Q Where does it say that the spatial light  
23 modulator element is forming a wavelength selection  
24 filter?

25 A Uh, okay. So paragraph 15 at, say, line 50. 2:23PM

1 Before that, actually, so starting at 44. I'll read it. 2:23PM

2 In the spatial light modulators shown in figure 16, also  
3 the polarizer, D, in the polarization beam split term  
4 optically PBS, liquid crystal element, ECBtg, the  
5 polarizer, PLG, and the modulator element, SIMrg, form 2:24PM  
6 the green wavelength selection filter. And then they go  
7 and do the same thing for red and blue.

8 Q Okay. But is there anything specific to the  
9 spatial light modulator RG element that is specific to  
10 the green wavelength? 2:25PM

11 A So further down starting at line 53, the read  
12 light, RLi, incident on the spatial light modulator is  
13 changed into the read light of a narrow wavelength range  
14 by the wavelength selection filter respectively formed  
15 and supplied to the respective modulator elements, 2:25PM  
16 SIMrg, SIMrr, and SIMrb.

17 So that's telling me that basically we're --  
18 that the spatial light modulator are designed  
19 specifically to be responsive to the red, green, and  
20 blue narrow wave light. 2:25PM

21 Q So going back to Figure 17, the SIMtr element,  
22 is it fair to say that's a monochrome LCD -- sorry. A  
23 monochrome -- let me start over.

24 Going back to Figure 17, looking at the SIMtr  
25 element, is it fair to say that is a monochrome liquid 2:26PM

1 crystal cell? 2:26PM

2 A Let me go back and read where it's defined.

3 No. It is not.

4 Q Why not?

5 A It contains liquid crystal. But it has a photo 2:27PM

6 modulation layer on there which, you know, a liquid

7 crystal cell does not normally have. That's why I think

8 we're calling it a spatial light modulator, not a liquid

9 crystal.

10 Q Well, you'll agree with me, then, that the 2:29PM

11 SIMtr element only handles one color, right?

12 A Yes.

13 Q And that color's red, right?

14 A SIM -- oh, R as in color, not -- because

15 normally you're putting two subscripts there like tr or 2:30PM

16 rr, so not reflective.

17 Q SIMtr?

18 A Did you say tr?

19 Q I said tr.

20 A Sorry. 2:30PM

21 Q I meant to say tr. I thought tr.

22 A We'll see.

23 Q So the -- we'll see what I said later.

24 So the SIMtr element only addresses one -- only

25 passes through one color? 2:30PM

1 A That's correct. 2:30PM

2 Q And the SIMtg element only passes through one  
3 color of light, correct?

4 A Yes.

5 Q Okay. 2:30PM

6 A Green.

7 Q And the SIMtb element only passes through one  
8 color of light, correct?

9 A Yes, blue.

10 Q In looking at those SIMtr, SIMtg, and SIMtb 2:30PM  
11 elements, you would expect those elements to be, in your  
12 opinion, very similar except for some layer that  
13 addresses a particular color; is that right?

14 A Um, I'm not sure what to expect. It's not  
15 clear from -- Takanashi doesn't detail what's going on 2:31PM  
16 inside these.

17 As I pointed out before, I thought that there's  
18 a likelihood that the polarizers have different  
19 orientations. So, therefore, it's also likely that in  
20 order to match the polarization exit from PL2, that the 2:31PM  
21 SIM has to have a rub direction to align the liquid  
22 crystal to that unique polarization angle.

23 So what I'm saying is that there's a couple of  
24 different ways that SIMtr, G, and B can be different  
25 from each other. One can be orientation of liquid 2:32PM

1 crystal inside the cell, another could be the cell gap 2:32PM  
2 because you want to transmit a given wavelength of  
3 light. And there's an ideal total birefringence that  
4 you should see through the cell.

5 So that could be different also, though maybe 2:32PM  
6 it doesn't have to be. So I can't answer that those are  
7 identical.

8 Q So you just can't answer that one way or the  
9 other?

10 A There's not enough information in Takanashi to 2:32PM  
11 say.

12 Q Okay. Let's take a break.

13 THE VIDEOGRAPHER: This concludes Media  
14 No. 2 on the deposition of Robert Smith-Gillespie. We  
15 are going off the record. The time is approximately 2:33PM  
16 2:33.

17 (Off record from 2:33 p.m. to 2:53 p.m.)

18 THE VIDEOGRAPHER: This is the beginning of  
19 Media No. 3 for the deposition of Robert  
20 Smith-Gillespie. We are back on the record. The time 2:52PM  
21 is approximately 2:53 p.m.

22 (Exhibit 7, Declaration of Bruce Buckman,  
23 Ph.D., in '334 action; Exhibit No. 1005, was  
24 marked.)

25 /// 2:53PM

1 BY MR. KING: 2:53PM

2 Q All right. I'm going to hand you Exhibit 7.  
3 This is Exhibit 1005 in the '334 action and it is the  
4 declaration of a Bruce Buckman, Ph.D.

5 Have you reviewed this document, sir? 2:53PM

6 A Yes, I have.

7 Q Now, I understand from your earlier testimony  
8 that there are things in this declaration that you  
9 disagree with; is that fair?

10 A Yes. 2:54PM

11 Q Okay. Can you summarize what it is in this  
12 declaration that you disagree with?

13 A I've already done that in my declaration. So  
14 if you'd like me to open my declaration and look for  
15 that, I can go ahead and do that. 2:54PM

16 Q Okay. So whatever -- whatever disagreements  
17 you have with Dr. Buckman's declaration, they've already  
18 been expressed in your own declaration, right?

19 A That's correct.

20 Q I'm marking as Exhibit 8 a board's decision in 2:56PM  
21 the '334 proceeding.

22 (Exhibit 8, Board's Decision in the '334  
23 proceeding dated 6-17-13, Paper No. 14, was  
24 marked.)

25 /// 2:56PM

1 BY MR. KING: 2:56PM

2 Q It's dated June 27, 2013, and it is Paper  
3 No. 14. Have you seen this document before?

4 A Yes, I have.

5 Q I guess the same question I had -- I'll have 2:57PM  
6 the same question for this document that I did for the  
7 Buckman declaration.

8 I understand that you disagree with some of the  
9 statements and conclusions in this decision, correct?

10 A That's correct. 2:57PM

11 Q And your disagreements, just make things quick,  
12 your disagreements with this decision are set forth in  
13 your declaration, right?

14 A That is correct.

15 Q And you don't have any other disagreements 2:57PM  
16 other than those set forth in your declaration, right?

17 A No. Or yes.

18 Q You have no other disagreements?

19 A I have no other disagreements.

20 (Exhibit 9, Board's Decision in the '545 2:59PM  
21 proceeding dated 3-12-13, Paper No. 11, was  
22 marked.)

23 BY MR. KING:

24 Q All right. I'm handing you Exhibit 9. That's  
25 the decision from the board in the '545 proceeding. 2:59PM



1 It's dated March 12th, 2013, and it's paper 11. Do you 2:59PM  
2 have that in front of you?

3 A I do.

4 Q Have you reviewed this document?

5 A I have. 2:59PM

6 Q Same set of questions. I understand you  
7 disagree with some of the statements and conclusions  
8 that the board reached in their initial decision.

9 A I do.

10 Q And your disagreements are set forth in your 2:59PM  
11 declaration in the '545 matter; isn't that right?

12 A That's correct.

13 Q And there's no other disagreements besides  
14 those set forth in your declaration, right?

15 A I'm actually going to look through this a 3:00PM  
16 little bit to refresh myself because it's been a while  
17 since I've looked at this.

18 Okay. I don't have any that I can find right  
19 now, any others. I've went through this pretty  
20 thoroughly at the time I was writing the declaration. 3:01PM

21 (Exhibit 10, Declaration of Robert  
22 Smith-Gillespie in the '545 matter; Exhibit No.  
23 2005, was marked.)

24 BY MR. KING:

25 Q All right. I'm handing you what's been marked 3:02PM

1 as Exhibit 10. This is your declaration in the '545 3:02PM  
2 matter. And it's Exhibit 2005.

3 Is this your declaration, sir?

4 A Yes, it is.

5 Q And is it a complete expression of your 3:02PM  
6 opinions in the '545 matter?

7 A Yes.

8 Q You'll agree that there's quite a bit of  
9 overlap between your declaration in the '334 matter and  
10 your declaration in the '545 matter, right? 3:02PM

11 A I do agree.

12 Q And you'll agree that, in general, the  
13 testimony you've given about your '334 declaration  
14 remains true for kind of the high level issues in the  
15 '545 matter? 3:03PM

16 A What do we mean high level issues? Are you  
17 talking claim constructions?

18 Q Just our discussion of the LCD technology and  
19 projection technology in general.

20 A Oh, so the same technical discussion applies 3:03PM  
21 here as well.

22 Q That's true, right?

23 A Yes.

24 Q And our discussion of Takanashi applies in the  
25 '545 matter as well, correct? 3:03PM

1           A     That's correct. I think in the '334 I probably           3:03PM  
2     did a better job stating some things than I did relative  
3     to Takanashi than I did here. It's not materially  
4     different, but I think it's clear for the board to read.

5           Q     Understand. All right. I'd like to talk to           3:04PM  
6     you about some claim construction issues in the '545  
7     matter. Starting with paragraph 15 of your '545 report  
8     that's Exhibit 10.

9           A     Okay.

10          Q     All right. And then you see in paragraph 15           3:04PM  
11     where it says, one of ordinary skill in the art in  
12     July 1996 would have understood a video projection  
13     system to be a projector system that is capable of  
14     producing video or a where a video refers to the  
15     projection of moving images that change fast enough to           3:04PM  
16     be undetectable by the human eye.

17                   Do you see that?

18          A     Yes.

19          Q     And I guess my question is, how fast is that?

20          A     It's generally agreed that on the order of 16           3:05PM  
21     milliseconds is fast enough to merge images and give the  
22     appearance of smooth motion.

23          Q     You said 16, 1-6 milliseconds, correct?

24          A     1-6, yes.

25          Q     Now, images can change slower than that and           3:05PM

1 still be video images, right? 3:05PM

2 A To support video, yeah. Well, video images may  
3 not have motion that requires 16 millisecond response.

4 Q Okay. And so for example, television is  
5 frequently transmitted at 30 frames per second, right? 3:05PM

6 A Which I think if you flip 30 over you get 16  
7 milliseconds. That might be 60 hertz.

8 Q I think if you flip 30 over you get 33  
9 milliseconds.

10 A Then that's 60. TV has two interlaced frames. 3:06PM

11 Q Okay. So would you say that 30 frames per  
12 second is video speed?

13 A No.

14 Q You would not?

15 A Well, it's marginal. Sixty frames per second 3:06PM  
16 is really more like video speed.

17 Q Okay. In 1996, how fast did -- how many frames  
18 per second did television signals have?

19 A Uh, there are two fields presented in 30 frames  
20 per second. So that means each, so there's 60 frames 3:06PM  
21 per second totally or 60 fields per second. They're  
22 interlaced. One then the other, adjacent lines.

23 Q And some people refer to that as 30 frames per  
24 second, right?

25 A Thirty frames per second, but it's really 60 3:07PM

1 fields per second. 3:07PM

2 Q Sixty fields per second where each field is  
3 switching every 33 milliseconds?

4 A That's correct.

5 Q And then in 1996, if you went to the movie 3:07PM  
6 theater you would be watching films at 24 frames per  
7 second, right?

8 A That's correct.

9 Q Okay. So would you agree that 24 frames per  
10 second is video speed in the context of video 3:07PM  
11 projection?

12 A Um, it would have to be, I guess.

13 Q It has to be, right?

14 A If you ever sat in the front of a movie  
15 theater, though, and watched the blurring of images at 3:08PM  
16 24 frames per second, it's really uncomfortable; but it  
17 is what it is.

18 Q Someone in 1996 would consider 24 frames per  
19 second to be video speeds, right?

20 A Yes. 3:08PM

21 Q I just want to get out my calculator. So how  
22 would I calculate the switching speed associated with 24  
23 frames per second?

24 A Just invert it.

25 Q So one divided by 24, looks like about 41 and 3:08PM

1 2/3 milliseconds; does that sound about right? 3:09PM

2 A It's 0.041.

3 Q 666 repeating; does that sound about right?

4 A Yeah.

5 Q So if something had a switching speed of 41 or 3:09PM

6 42 milliseconds, you would agree that it could run at

7 video speeds?

8 A I don't feel that that's sufficient in an LCD

9 product to -- or device to support video switching

10 speeds. The answer's no, I don't agree with that. 3:09PM

11 In motion picture theater there's a different

12 way of presenting the image and there's a mechanical

13 shutter that breaks the image up so that you see

14 discrete new images each time.

15 With a liquid crystal display, there's lag 3:10PM

16 times associated with making the switches. And as a

17 result you end up with motion artifacts and blurriness

18 when you start getting below, really, the 16 millisecond

19 number, or I should say getting above the 16 millisecond

20 number. 3:10PM

21 Now, I'm not going to say that people wouldn't

22 have been happy to have a display in 1996 that was, you

23 know, 33 millisecond. Getting up into the 40s and 50s,

24 it's not acceptable for video, video speed.

25 For one, it doesn't keep up with the NTSC 3:10PM

1 signal. So you have lags. 3:10PM

2 Q So to keep up with the NTSC signal, you have to  
3 be faster than 33 milliseconds, right?

4 A Uh-huh.

5 Q Okay. Did they have digital theater projectors 3:11PM  
6 in 1996?

7 A No.

8 Q Those came out later, right?

9 A (Witness nods head.)

10 Q All right. Let's look at paragraph 16. Now, 3:11PM  
11 in looking at -- paragraph 16 has your discussion, your  
12 opinions about the board's claim construction for  
13 shutter matrix system, right?

14 A Yes.

15 Q And I see in paragraph 16 that you -- 3:12PM  
16 discussing the board's definition you say, This  
17 definition, which refers to limiting the passage of  
18 light, attributes little or no meaning to the term  
19 shutter and effectively replaces the claim term light  
20 shutter matrix with the nonclaim term light limiter 3:12PM  
21 matrix.

22 Do you see that?

23 A Yes, I do.

24 Q Can you explain to me what you mean by that and  
25 what's wrong with the term light limiter matrix? 3:12PM

1           A     Well, the shutter really blocks out light.     3:12PM  
2           it either allows it to pass or not pass.  And -- or pass  
3           to some degree, I guess.  So in that regard, the shutter  
4           is able to limit light, yes; but then one could easily  
5           see other versions of limiting which could be just     3:13PM  
6           scattering the light so that not as much makes it to the  
7           target.

8                     And that's really not what's intended by a  
9           light shutter matrix.  You really want to block the  
10          light that's not being used, not scatter it.  So I     3:13PM  
11          really felt that it was important to distinguish the  
12          difference between a light shutter and what everyone  
13          would think a light limiter is.

14          Q     Okay.  Now, I think you testified earlier that  
15          an active matrix monochrome LCD array is a light shutter     3:14PM  
16          matrix, right?

17          A     Yes.

18          Q     And that light shutter matrix will have pixels  
19          and associated pixel circuitry, right?

20          A     Correct.     3:14PM

21          Q     And just looking at one of those pixels, I seem  
22          to recall you testified earlier that you could control  
23          the transmissivity of a pixel by controlling the amount  
24          of charge applied to the pixel transistor; do I recall  
25          that right?     3:15PM



1 A Uh, by -- actually, the pixel capacitor, 3:15PM  
2 transistor allows the passage of, you know, current  
3 through the transistor to charge the capacitor.

4 Q Okay. And so if we were dealing with a  
5 normally white LCD array, if there was no voltage 3:15PM  
6 applied to the capacitor, then no light would get  
7 through that pixel, right?

8 A No. You've got it backwards.

9 Q Do I have it backwards? All right. Fix it for  
10 me. 3:15PM

11 A Okay. So with no field applied, the liquid  
12 crystal in its relaxed state has the 90 degree twist and  
13 light that passes the first polarizer ends up passing  
14 the second polarizer which is orthogonal to the first  
15 polarizer. 3:15PM

16 Q So with no field applied to a pixel of the type  
17 we're talking about --

18 A In a normal white version, as you said.

19 Q That's what I mean. In a normal -- so with no  
20 voltage applied to the pixel circuitry in a normally 3:16PM  
21 white system?

22 A Yes.

23 Q Light would pass through that portion of the  
24 liquid crystal without attenuation?

25 A Correct. 3:16PM

1 Q Okay. And then if you applied a little bit 3:16PM  
2 of -- a very trace amount of charge, then, to that  
3 pixel, then most of the light would get through, but  
4 some of it would be blocked, right?

5 A Yes. That's what's called a grey level. 3:16PM

6 Q That's called a grey level.

7 And so as you increase the charge on that  
8 pixel, the opacity of the pixel, of the liquid crystal  
9 elements in that pixel would increase, right?

10 A Well, what you're saying is correct, but it's 3:17PM  
11 technically not correct the way you said it.

12 Q Okay. Fix it for me.

13 A So as you increase the voltage, which comes  
14 about from increasing charges, more light is absorbed in  
15 the front polarizer which makes the pixel darker. 3:17PM

16 Q Okay. So in this system we described, it's  
17 fair to say that the pixel doesn't have to block all of  
18 the light that's passing through it, right? The amount  
19 of light that's blocked depends on the voltage that's  
20 applied to the pixel, right? 3:17PM

21 A Yes. I agree.

22 Q And when you have a voltage that's associated  
23 with a grey level, the light that's passing through the  
24 pixel is -- some of it is blocked, some of it's allowed  
25 through, but the light as a whole is just limited, 3:18PM

1 right? 3:18PM

2 A Yeah. It's absorbed in the front polarizer to  
3 differing degrees.

4 Q Okay. Now, I think you -- I don't want to put  
5 words in your mouth, but I think you said earlier that 3:18PM  
6 you disagreed with the use of the word limiter or  
7 limiting as part of a definition of shutter; is that  
8 right?

9 A That's correct.

10 Q Okay. And you understand that the board got 3:18PM  
11 the word limiter or limiting from the Miriam Webster's  
12 dictionary, right?

13 A That's where they pointed.

14 Q And you think they were --

15 A No. They got -- they looked -- yeah, from the 3:19PM  
16 definition of shutter in that dictionary. Yes.

17 Q And the definition of shutter in that  
18 dictionary, there's several, but one of them is a  
19 mechanical device that limits the passage of light,  
20 especially a camera attachment that exposes the film or 3:19PM  
21 plate by opening and closing an aperture?

22 A Talked about two different things. An aperture  
23 and a shutter. Because in a camera the aperture is one  
24 thing and the shutter is another thing.

25 Q Okay. So safe to say that you disagree with 3:19PM

1 the definition of the Miriam Webster's dictionary as 3:19PM  
2 being accurate?

3 A In regard to how the LCD works, yeah.

4 Q Okay. Do you think the definition of shutter  
5 in the American Heritage Dictionary is -- 3:19PM

6 A I don't recall what that was. Do you have that  
7 one with you?

8 Q Looking at your report in the '545 matter,  
9 you're not siding to the American Heritage Dictionary as  
10 being an accurate definition of what a light shutter -- 3:20PM  
11 what a shutter means in the context of these patents?

12 A Well, a light shutter may indeed limit the  
13 passage of light. Not everything that limits the  
14 passage of light is a shutter. So I agree that limiting  
15 the passage of light is something that a shutter will 3:21PM  
16 do.

17 Q So necessary, but not sufficient in your --

18 A Yes.

19 Q And just to get back to my question, you're not  
20 relying on the American Heritage Dictionary as 3:21PM  
21 supporting your opinions about the proper interpretation  
22 of light shutter matrix system in this case?

23 A I don't recall what the American Heritage  
24 Dictionary said, so I can't answer that question.

25 Q But you don't site it here in your report, 3:21PM

1 right? 3:21PM

2 A No. But that could be an omission. Omission.

3 Q So I see a little farther down in paragraph 16  
4 where you say, one of ordinary skill in the art in  
5 July 1996 would consider a light shutter to be a 3:22PM

6 component that selectively admits and blocks light where  
7 the light is blocked through an absorption.

8 Do you see that?

9 A Yes, I do.

10 Q Can you explain in lay terms what you mean by 3:22PM  
11 that?

12 A Essentially, that in a shutter device,  
13 something is placed in a beam to essentially absorb the  
14 light that was going through the beam. In optical  
15 systems they're always mat black to prevent scattering 3:22PM  
16 and reduction of contrast.

17 In the LCD case, as I pointed out before, even  
18 sort of clarified the operation of the LCD in that the  
19 light is -- the light that is not passed through the  
20 system is absorbed in the polarizer. It's not 3:23PM  
21 scattered. To basically support the analogy that I just  
22 provided, you know, LCD absorbing shutter and optical  
23 system absorbing.

24 Q All right. Now, you'll agree that it's  
25 possible to block light through scattering? 3:23PM

1           A     I don't believe that that's the same. And I           3:23PM  
2     just mentioned that scattering is not something that  
3     really wants to -- that you want to have happen in a  
4     shutter system, because it reduces the contrast of the  
5     light in the, say, for instance, the adjacent pixels in           3:23PM  
6     a display. Or if you're scattering light in a camera  
7     shutter, then you're getting wash-out of your image.

8           Q     Okay. But you can block light through  
9     scattering, right?

10          A     You could disrupt an image through scattering           3:24PM  
11     so you don't see an image anymore. But scattering is --  
12     is -- if it's true scattering, it's conservative. So  
13     you're not really blocking the light, you're just simply  
14     redirecting the light in random orientations.

15          Q     So if you have a -- well, would you agree that           3:24PM  
16     liquid crystal is capable of scattering light?

17          A     Certain configurations, yes.

18          Q     Okay. What kind of configurations of liquid  
19     crystal are capable of scattering light?

20          A     There's a mode called polymer dispersed liquid           3:25PM  
21     crystal where liquid crystal is formed in droplets in a  
22     cured polymer that's basically just a carrier for the  
23     droplets and the droplets have random orientation.  
24     There's no alignment of the liquid crystal.

25                 So when light hits them, it sees all kinds of           3:25PM

1 different indexes of refraction. And as a result, it's 3:25PM  
2 refracted in a large array of random directions.

3 Q Okay. What other configurations of liquid  
4 crystal besides PDLC scatter light?

5 A Could be a guest host mode where dye 3:26PM  
6 molecules -- but again, dye molecules tend to be  
7 absorbing. So I'm not sure that's a good example. In  
8 the on state they certainly -- I mean, in the  
9 transmissive state they scatter somewhat. In the off  
10 state they're more absorbing. 3:26PM

11 Q Anything else? Any other configurations of  
12 liquid crystal that scatter light?

13 A Any nonaligned versions, maybe cholesteric,  
14 liquid crystal without alignment layers. So no more,  
15 I'm not aware of many beyond the polymer dispersed 3:27PM  
16 liquid crystal.

17 Q Okay. And which ones had been used in -- which  
18 of the types of liquid crystal arrangements have been  
19 used in display systems?

20 A Predominantly, there are a few ordered phases 3:27PM  
21 of liquid crystal. The first and the most common is  
22 nematic liquid crystal. Another one is called  
23 homotropic. That's basically liquid crystals align  
24 themselves vertically to plates.

25 And then another -- another one would be 3:27PM

1 smectic phase. And then there's Pi phase also. So 3:28PM  
2 these are all, like, phases of liquid crystal that are  
3 used in displays.

4 Q And the nematic phase, does that scatter light?

5 A No. 3:28PM

6 Q It only absorbs light?

7 A Well, so I have to be clear. In a display  
8 device with a nematic liquid crystal, it would have a  
9 preferential alignment so that all the liquid crystals  
10 basically line up like cigars in a box and have a fixed 3:28PM  
11 twist to the front glass, from the rear glass to the  
12 front glass. So I'm not aware of any devices that are  
13 made where no alignment is provided for the liquid  
14 crystal in nematic-type devices.

15 In the other type cell that I described, 3:29PM  
16 homotropic, they tend to put structures inside the  
17 liquid crystals to get the liquid crystals to align  
18 preferentially in certain directions. Again, they're  
19 not designed for scattering, they're designed for  
20 transmission or absorption. 3:29PM

21 Q Do you agree that a liquid crystal display  
22 system controls the light transmission by varying the  
23 light scattering in the liquid?

24 A No, I do not.

25 Q Why not? 3:29PM



1           A     Because it doesn't. It basically controls the           3:29PM  
2     amount of retardation that a particular polarization of  
3     light ceases as passing through the cell. And that  
4     results in a phase change which causes the light to be  
5     absorbed or transmitted through the polarizer.           3:30PM

6           Q     Looking still on paragraph 16, but going to  
7     page nine. Do you see the section where you talk about  
8     the board's discussion of the Flasck reference?

9           A     Yes, I do.

10          Q     All right. Now, I see that you quote on page       3:31PM  
11     13 of the board's decision that says Flasck discloses  
12     the active matrix, 46, is covered by an LCD which is one  
13     example of a matrix capable of limiting the passage of  
14     light.

15                 Do you see that quote from the board's           3:31PM  
16     decision?

17          A     Yes, I do.

18          Q     That's quoted in paragraph 16 of your report?

19          A     Right. I do. I see it.

20          Q     Do you disagree with that statement from the       3:31PM  
21     board?

22          A     Actually, I do.

23          Q     Okay. What's wrong with that statement?

24          A     There's a problem with Flasck. And I noted  
25     this, unfortunately, after writing this. But Flasck       3:31PM

1 makes a mistake and he says that the active matrix is 3:32PM  
2 covered by an LCD. And really, what he means to say is  
3 covered by liquid crystal, not a liquid crystal display.

4 Because what he's forming is a liquid crystal  
5 cell, not covering the active matrix, and the active 3:32PM  
6 matrix is inside that part of it.

7 Q You understand that some people sometimes refer  
8 to --

9 A It just comes out -- I'm sorry. I did it  
10 again. 3:32PM

11 Q Sorry. Is it fair to say that some people in  
12 the industry sometimes refer to liquid crystal using the  
13 acronym LCD?

14 A They shouldn't.

15 Q They shouldn't, but they do, right? 3:32PM

16 A They make that mistake sometimes. Flasck knows  
17 better.

18 Q Do you know Mr. Flasck?

19 A No. But the lawyer that wrote his  
20 specification messed up. Say it that way. 3:32PM

21 Q Okay. So putting aside what looks like a typo  
22 from the patent lawyer and Flasck, is there anything  
23 about this sentence that you, from the board, that you  
24 disagree with?

25 A No. 3:33PM

1 Q Okay. Do you see where it says, accordingly, 3:33PM  
2 the board appears to regard every LCD layer or layer of  
3 material containing liquid crystals as a light shutter  
4 matrix?

5 A Yes. 3:33PM

6 Q Okay. Did you make the same LCD typo in that  
7 sentence that we were just talking about with Flasck?

8 A No, because you skipped an important sentence  
9 relative to Takanashi.

10 Q Okay. Is it your opinion, then, that the board 3:34PM  
11 is saying that every liquid crystal display is a light  
12 shutter matrix?

13 A I believe I'm arguing the converse of that,  
14 that the board jumped from seeing liquid crystal fluid  
15 in the ECB element and right away felt that it was an 3:34PM  
16 LCD array. And that's not the case.

17 Q Okay. So Takanashi has an LCD array, right?

18 A No, it doesn't.

19 Q Takanashi has a two dimensional spatial light  
20 modulator containing liquid crystal that encodes a 3:35PM  
21 projection image, right?

22 A That is true.

23 Q But you wouldn't call that an array?

24 A It's not a liquid -- it's not an LCD array by  
25 any means, just because it has liquid crystal in it. 3:35PM

1 Liquid crystal has many many uses. 3:35PM

2 Q Yeah.

3 A Okay.

4 Q Okay. And if I understand your primary  
5 complaint, is that -- your primary complaint is that the 3:35PM  
6 liquid crystal element ECB in Takanashi is not a light  
7 shutter matrix, right?

8 A It's not a light shutter matrix. In fact, the  
9 ECB in Takanashi is really an element of a wavelength  
10 selection filter. And the board -- my -- what I'm 3:36PM  
11 trying to do is explain so that when the board reads my  
12 declaration, that they just got it wrong. And I read  
13 Takanashi, you know, numerous times to make sure that  
14 I've got a proper understanding.

15 Q Okay. Let's go to page 18 of your '545 report; 3:37PM  
16 or declaration, as you call it. Paragraph 18 is  
17 discussing your claim construction opinions for the  
18 equivalent switching matrices term, right?

19 A Yes.

20 Q Okay. And I see in the middle of that 3:38PM  
21 paragraph where you wrote, At the time of the filing of  
22 the '545 patent, one of ordinary skill in the art would  
23 have understood equivalent switching matrices to be  
24 switching matrices that are virtually identical in  
25 effect or function; do you see that? 3:38PM

1 A Yes. 3:38PM

2 Q What's the basis for that opinion?

3 A Equivalency in functionality. Basically, in  
4 having the same -- the '545 patent describes a system  
5 which does all the light conditioning outside of the 3:38PM  
6 matrices, the switching matrices, or the monochrome  
7 LCDs. So therefore, the equivalence means that they  
8 have the same effect in the system or they perform the  
9 same function.

10 Q Is that your general understanding of the word 3:39PM  
11 equivalent?

12 A Yes.

13 Q Okay. Did you consult a dictionary to arrive  
14 at that interpretation?

15 A No. I guess I was going off of, you know, what 3:39PM  
16 I believe the specification taught and what my knowledge  
17 of monochrome LCDs was.

18 Q Okay.

19 A I provide support in the last paragraph or last  
20 sentence of that. 3:39PM

21 Q You provide support or you just provide a  
22 clarification?

23 A Yeah. Say -- clarification, yes.  
24 Clarification.

25 Q Okay. What's your basis for that 3:40PM

1 clarification? 3:40PM

2 A Oh, there's no unique characteristics defined,  
3 unlike, say, for instance, Takanashi, where we keep  
4 track of the red, the green, and the blue SIM and ECBS.

5 In the '545 patent they're identical devices and the 3:40PM  
6 only differences reside in the color filters.

7 So it's clear that they can be interchanged and  
8 still provide the same results.

9 Q Okay. Are you -- going back to your testifying  
10 experience in other cases, are you familiar with the 3:41PM  
11 concept called the doctrine of equivalence?

12 A Yes, I've been exposed to it; but I can't say  
13 that I can recall what exactly the doctrine of  
14 equivalence states.

15 Q Okay. In your -- in formulating your opinions, 3:41PM  
16 you weren't suggesting that the term equivalent in  
17 equivalent switching matrices refers to the concepts in  
18 the doctrine of equivalence, right?

19 A I was not referring to the doctrine of  
20 equivalence. 3:41PM

21 Q They're just two different things, right?

22 A Yes.

23 Q Okay.

24 A I was using the words from the '545 patent.

25 Q Once again, you did not consult a dictionary in 3:42PM

1 formulating this construction? 3:42PM

2 A Speaking of what I believe one of ordinary  
3 skill in the art would have understood. So the  
4 dictionary doesn't really define what one of ordinary  
5 skill in the art defines general public, I think, 3:42PM  
6 definition.

7 Q Did you use a dictionary to inform your  
8 construction here?

9 A I don't recall.

10 Q Do you recall taking a dictionary definition 3:42PM  
11 and changing it to arrive at your proffered  
12 construction?

13 A No, I don't. I don't recall.

14 Q Were you aware that the board considered this  
15 construction in the context of the '334 action? 3:43PM

16 A Which construction?

17 Q The construction of equivalent switching  
18 matrices.

19 A I read the board's decision, so I would have  
20 been aware of it. 3:43PM

21 Q Do you recall that the board declined to adopt  
22 this construction because it omitted a key word from the  
23 dictionary definition that IV offered?

24 A I do recall seeing that.

25 Q Is there a reason why you omitted that key word 3:44PM

1 from your construction? 3:44PM

2 A Maybe I didn't think it was so key.

3 Q You liked the rest of the definition, the  
4 dictionary definition, but you didn't like that part?

5 A I didn't say that. 3:44PM

6 Q All right. Let's go to paragraph 20 of your  
7 '545 report. Now, in paragraph 20 you're expressing  
8 your opinion that the Flasck system -- the Flasck  
9 projector system was not appropriate for video displays  
10 in the 1996 time frame; is that right? 3:45PM

11 A That's correct.

12 Q So I think you expressed your opinion that in  
13 particular, PDLC, or polymer dispersed liquid crystals  
14 materials, were not appropriate for use in video  
15 projectors at that time because their switching speeds 3:45PM  
16 were too slow, right?

17 A Yes, that's correct.

18 Q Okay. Now, I see at the last sentence of that  
19 paragraph that you say that PDLCs were not used in  
20 typical video displays in the 1996 time frame, right? 3:46PM

21 A Yeah.

22 Q Okay. So the word typical jumped out at me.

23 A Yeah. It just jumped out at me again, too.  
24 Because I think I put it in the wrong place. Would  
25 typically not be used in video displays at the time. 3:46PM



1 It's really not even necessary. It just wasn't used in 3:46PM  
2 video displays.

3 Q Was not used in video displays; that's your  
4 opinion?

5 A That's my opinion. 3:46PM

6 Q And then in paragraph 21 -- paragraph 21 --  
7 you're elaborating on that opinion with respect to the  
8 Lackner patent which is US Patent No. 5,170,271, right?

9 A I'm referring to Lackner to support my  
10 assertion that the PDLC devices have insufficient 3:47PM  
11 switching speeds to support video.

12 Q And then you give a specific example of where  
13 PDLC device had insufficient switching speed to support  
14 video, right?

15 A That's correct. 3:47PM

16 Q And can you describe that example for me?

17 A It says that the photo activated rise and decay  
18 times with a constant bias voltage. In typical LCLV  
19 operation, this is where 5 to 10 milliseconds on time  
20 and 1.5 to 3 seconds off time. Thus, the frame rate is 3:47PM  
21 very slow compared to dynamic television image frame  
22 time of less than 33 milliseconds.

23 Q Okay. Can you explain to me what that means in  
24 lay terms?

25 A Basically, the -- there are two switching 3:48PM

1 characterizations that one looks at when determining the 3:48PM  
2 total response time, which would be like the cycle from  
3 light to dark to light again.

4 So you have an on switching speed, which is  
5 typically when the -- not -- it's not optically like 3:48PM  
6 when the light comes through. It's when the signal is  
7 applied. So the on speed. And then you have an off  
8 speed, which was when the signal is removed. And the  
9 material has to relax back to its neutral state, if you  
10 will. Its relaxed state. 3:48PM

11 Here we're saying that they're able to drive an  
12 on -- a switching on time of 5 to 10 milliseconds, but  
13 the off time was over a second, 1 1/2 seconds. So when  
14 switching video, both directions are equally important.  
15 And the 1 1/2 second off time is just not acceptable. 3:49PM

16 Q I understand. So the five to ten milliseconds  
17 on time, that's acceptable, right?

18 A Yeah, if you can have it in both directions in  
19 5 to 10 milliseconds, you'd be in the ballpark.

20 Q Okay. And then if you had the on time at, say, 3:49PM  
21 30 milliseconds and the off time at 30 milliseconds,  
22 would that be fast enough for video speeds?

23 A It's marginal, because it's the combined time  
24 that usually is counted. And now you're talking upwards  
25 of 60 milliseconds. I don't think it would be, but -- 3:50PM

1 it would be borderline case. 3:50PM

2 Q It would be borderline. But you'd really want  
3 to see, say, something less than 16 milliseconds on --

4 A Somewhere on the order of 16 milliseconds -- I  
5 did it again. 3:50PM

6 Q Let me just --

7 A Go ahead. It's my fault.

8 Q I know you're going to answer my question. Let  
9 me just ask it again.

10 So for video speeds, you would want 3:50PM  
11 something -- you would want an on time less than 16  
12 milliseconds and you would want an off time less than 16  
13 milliseconds, right?

14 A Actually, you'd want the T on plus T off.  
15 That's the time to turn it on, plus the time to turn it 3:50PM  
16 off to be on the order of milliseconds. The round trip.

17 Q You would want the round trip to be 16  
18 milliseconds?

19 A Yeah.

20 Q Why wouldn't, say, a round trip of 33 3:51PM  
21 milliseconds be good enough?

22 A Uh, how would I answer that? It's just -- it's  
23 not -- always, always display response times are given  
24 in combined on to off, off to on times. And the  
25 combined time of 33 milliseconds is not fast enough to 3:52PM

1 keep up with a 16 millisecond field rate. 3:52PM

2 Even though the frame rate may be 33  
3 milliseconds, the field rate, if you're doing old school  
4 interlaced video, is twice that speed. Sorry. Should  
5 say half the speed, twice the frequency. So that's why 3:52PM  
6 16 milliseconds.

7 You're putting up two images on an LCD. One  
8 right after another. Both of them within 30 millisecond  
9 total time. Okay? So that means each one gets 16 -- or  
10 33 milliseconds, so each one gets 16 milliseconds. It's 3:52PM  
11 back to the 30 hertz --

12 Q Yeah. Yeah. I understand. I would have  
13 thought that because the lines were interlaced that each  
14 line would get a full 33 milliseconds.

15 A They're not in an LCD. They're only interlaced 3:53PM  
16 in CRTs.

17 Q Okay. And was that true in 1996?

18 A That they were not interlaced in LCDs?

19 Q Yes.

20 A Yes, that's true. Because that would basically 3:53PM  
21 cut your resolution in half.

22 Q Okay. So in 1996, if you had an LCD projection  
23 system that was displaying an interlaced signal, it  
24 wouldn't be really interlacing the lines?

25 A There's control electronics that de-interlaces 3:53PM

1 to set up the signal for driving the LCD. 3:53PM

2 MR. QUILLIN: We've been going about an hour.

3 Good time for a break?

4 MR. KING: Yeah. Now's a good time for a

5 break. 3:54PM

6 THE VIDEOGRAPHER: We are going off the record.

7 The time is approximately 3:54 p.m.

8 (Off record from 3:54 p.m. to 4:10 p.m.)

9 THE VIDEOGRAPHER: We are back on the record.

10 The time is approximately 4:10 p.m. 4:10PM

11 BY MR. KING:

12 Q Okay. Looking back at paragraph 21 of your

13 declaration where you're talking about the Lackner

14 reference. Now, as I understand your testimony about

15 the -- or at least your declaration on the Lackner 4:11PM

16 reference, is that Lackner describes a typical

17 experimental PDLC system, right?

18 A Yes.

19 Q So someone in 1996, in your opinion, would know

20 that a PDLC system did not have rise and fall times that 4:11PM

21 were sufficiently fast for video, right?

22 A That's correct.

23 Q Now, I see that you say that the photo

24 activated rise and decay times, I see you refer to the

25 photo activated rise and decay times, right? 4:11PM

1 A What it really means is you're using a photo 4:11PM  
2 sensor to look at the light and measure the rise and  
3 decay times.

4 Q So it's not talking -- it's not talking about  
5 activating the PDLC with light, it's talking about 4:11PM  
6 measuring it?

7 A Yes.

8 Q Okay. Then why does it use the word photo  
9 activated rise and decay times?

10 A I don't know. I'd need to look again at the 4:11PM  
11 specification.

12 Q Okay. Does PDLC -- can you activate PDLC  
13 liquid crystal with a beam of light, like a CRT?

14 A No.

15 Q No, you can't? 4:12PM

16 A (Witness shakes head.)

17 Q Can you activate PDLC crystals with an electric  
18 field?

19 A Yes. But activating is not really the right  
20 term. It's more aligning them or allowing to misalign. 4:12PM

21 Q All right. I'm marking as Exhibit 11 the  
22 Lackner reference. It's US Patent No. 5,170,271 and it  
23 is Exhibit 2011 in the '545 matter.

24 ///

25 /// 4:13PM

1 (Exhibit 11, Lackner reference in '545 matter, 4:13PM  
2 US Patent No. 5,170,271; Exhibit 2011, was  
3 marked.)

4 BY MR. KING:

5 Q Okay. This is the Lackner reference, right? 4:13PM

6 A Yes.

7 Q And I believe you were referencing the  
8 discussion of PDLCS on column two, lines 6 through 11,  
9 right?

10 A Yeah. Yes. 4:14PM

11 Q Okay. You see that, that's the -- column two,  
12 line 6 through 11, has the statement that the photo  
13 activated rise and decay times with a constant bias  
14 voltage and typical LCLV operation were 5 to 10  
15 milliseconds on time and 1.5 to 3 seconds off time. 4:14PM

16 A Yes.

17 Q Thus, the frame rate (on time plus off time)  
18 was very slow compared to a dynamic television image  
19 frame time of less than 33 milliseconds, right?

20 A Yes. 4:15PM

21 Q So that's saying that a typical television  
22 image frame time is 33 milliseconds, right?

23 A Image frame rate, yes. Well, frame time they  
24 say, but yeah.

25 Q All right. Now, the next sentence says, The 4:15PM

1 response of the PDLC type film layer to a square voltage 4:15PM  
2 pulse was much faster with rise and decay times of less  
3 than 1 millisecond and 15 milliseconds respectively; do  
4 you see that?

5 A I do. 4:15PM

6 Q What does that refer to?

7 A Must be the voltage across the cell that  
8 they're applying. And they're applying it in a sharp  
9 high energy pulse.

10 Q Okay. And is that relevant to the use of PDLC 4:16PM  
11 technology in video projection displays?

12 A It would be relevant.

13 Q How would it be relevant?

14 A If that were achievable on a matrix-type  
15 device, then it would be possible to at least achieve 4:17PM  
16 acceptable response times. I don't believe these are  
17 matrix-type devices. And so that's how it's relevant.

18 Q Okay. Now, if we just go down the list here, I  
19 see in column two, lines 16 through 25, there's a  
20 discussion of a Macknick reference; do you see that? 4:17PM

21 A Yes.

22 Q And the Macknick reference had fast response  
23 PDLC-type films, correct?

24 A Yes.

25 Q With -- can you explain to me, can you 4:17PM



1 interpret this section for me and explain to me what the 4:17PM  
2 on time and the off time is in this discussion of  
3 Macknick?

4 A It's hard to compare what they're talking about  
5 here because they're sort of mixing the means by which 4:18PM  
6 they're characterizing the response time. Right here  
7 they say about 50 percent transmission was reached  
8 during the 5.3 millisecond pulse.

9 And essentially, with liquid crystal displays,  
10 when characterizing response time you go from 10 percent 4:18PM  
11 transmission to 90 percent transmission, not to  
12 50 percent transmission.

13 So don't really know whether or not that's --  
14 that's meaningful. It slows down considerably. I  
15 didn't finish my -- I apologize. 4:19PM

16 Q It's my fault.

17 A So that's all I have to say.

18 Q Okay. So Macknick may or may not be relevant  
19 to video systems, you just can't tell from this  
20 discussion? 4:19PM

21 A Well, it even says full voltage activation of  
22 the film is not shown.

23 Q Do you see going on down the line they talk  
24 about the Takazawa reference, column two, line 25  
25 through 38? 4:19PM

1 A Yes. 4:19PM

2 Q That's another discussion of research into PDLC  
3 response times, right?

4 A Yes.

5 Q Is the discussion in Takazawa relevant to video 4:19PM  
6 speeds? Let me ask it a different way.

7 Is the discussion of Takazawa relevant to  
8 whether PDLC technology could be used with video  
9 displays in 1996?

10 A Again, I'd say that it depends on what the 4:20PM  
11 device is, but the speeds are getting in the  
12 neighborhood of where they need to be. These devices  
13 are single cell devices, obviously. Not matrix address  
14 devices. So I'm not sure if it's really relevant to  
15 video displays. 4:21PM

16 Q Okay. Let's keep on going down the line.  
17 Actually, sorry. Let's keep on going down the line.

18 Do you see in column two, lines 39 through 52,  
19 there's the discussion of the Kunigada reference?

20 A Yes. 4:21PM

21 Q And the Kunigada reference is a discussion of a  
22 PDLC display technology, right?

23 A Yes.

24 Q And, in fact, it looks like they made a full  
25 color projection TV using PDLC technology, right? 4:21PM

1 A That's what it says. 4:21PM

2 Q And that's not something you were aware of when  
3 you were testifying about PDLC technology earlier today,  
4 right?

5 A Apparently not. 4:22PM

6 Q Okay. So this example shows that people before  
7 1996 did, in fact, use PDLC to make a video display  
8 system, right?

9 A At least in the lab.

10 Q At least in the lab? 4:22PM

11 A Yes.

12 Q Let's keep on going down the line. Column two,  
13 lines 53 through 65, is discussing the Lauer reference,  
14 right?

15 A Yes. 4:22PM

16 Q And the Lauer reference discusses PDLC  
17 technology, right?

18 A Yes.

19 Q Is the discussion of the Lauer reference  
20 relevant to whether someone in 1996 could use PDLC to 4:22PM  
21 make a video display?

22 A Yes.

23 Q Okay. And, in fact, in Lauer -- in Lauer  
24 they're actually discussing an active matrix system,  
25 correct? 4:23PM

1 A Yes. 4:23PM

2 Q And that's also true of Kunigada, right?

3 Kunigada discusses an active matrix display, right?

4 A That's correct.

5 Q Let's go to paragraph 22 of your '545 report. 4:25PM

6 A Okay.

7 Q And paragraph 22 is discussing your opinions  
8 about electrophoretic materials, right?

9 A That's correct.

10 Q And I see that you say that electrophoretic 4:26PM  
11 materials are still not typically used for video speed  
12 displays, right?

13 A Yes.

14 Q Largely because of their very slow response  
15 time, correct? 4:26PM

16 A That's correct.

17 Q The word typically jumps out at me again; do  
18 you see that?

19 A Yes.

20 Q Okay. Does that mean that there are some 4:26PM  
21 electrophoretic materials that are suitable for video  
22 displays?

23 A Not to my knowledge.

24 Q So you're not aware of any electrophoretic  
25 materials in 1996 that had switching speeds fast enough 4:26PM

1 to be used in video applications? 4:27PM

2 A No.

3 Q You're not saying they don't exist, you're just  
4 saying you're not aware of any?

5 A Even to date the switching speeds of 4:27PM  
6 electrophoretic displays, even now I should say, are not  
7 sufficient to provide video.

8 Q What's the fastest switching speed for an  
9 electrophoretic display that you're aware of?

10 A Fastest. Tenths of a second. 4:27PM

11 Q And do those exist, were people in the art  
12 aware of the switching speeds associated with those  
13 materials being in the tenths of a second in 1996, in  
14 your opinion?

15 A I would say not, no. 4:28PM

16 Q What switching speed would you expect to be  
17 typical for an electrophoretic material in 1996?

18 A Well, upper tenths of a second, yeah, for on  
19 off, on off on.

20 Q So, like, .2 of a second or like .9 of a 4:28PM  
21 second?

22 A .5 to a second.

23 Q Can we turn to page 14 of your '545  
24 declaration, paragraph 25?

25 A Okay. 4:28PM

1 Q Here you're discussing your opinions about the 4:29PM  
2 reference to TV or computer interface electronics in  
3 Flasck Figure 9, right?

4 A Yes.

5 Q And I see one sentence there says, However, at 4:29PM  
6 the relevant time, one of ordinary skill in the art  
7 would have understood that the mere labeling of an  
8 interface as TV ... interface electronics, as Flasck  
9 does with Element 118 in Figure 9, does not imply the  
10 interface can necessarily be used to carry a video 4:29PM  
11 signal.

12 Do you see that?

13 A Yes.

14 Q Okay. The word necessarily jumps out at me  
15 again. What did you mean by that sentence and, in 4:29PM  
16 particular, the word necessarily?

17 A The existence of a cable that essentially has  
18 the connections to, say, for instance, a computer  
19 output, doesn't necessarily imply that it's carrying a  
20 video signal. 4:30PM

21 Q So it might be carrying a video signal, it  
22 might not?

23 A Right.

24 Q Is that what you're saying?

25 A Right. 4:30PM

1 Q When a person of ordinary skill in the art sees 4:30PM  
2 the words TV or computer interface electronics, wouldn't  
3 they -- they might not know for a hundred percent  
4 certainty that it's carrying a video signal, but  
5 wouldn't they think that it's probably carrying a video 4:30PM  
6 signal?

7 A I couldn't say. I don't know. One of ordinary  
8 skill in the art, TV interface electronics, I -- I'm not  
9 sure what -- I guess I would have to lean towards saying  
10 if it were me, I would have an idea of what it is. 4:31PM

11 Q And what would that idea be?

12 A It would be a connector and some electronics to  
13 accept a signal.

14 Q A video signal, right?

15 A A video signal. 4:31PM

16 Q Okay.

17 A Or to be more precise, a TV signal.

18 Q And a TV signal is one example of a video  
19 signal, right?

20 A Yes. 4:31PM

21 Q And -- or if it were a computer interface, it  
22 would be a computer signal, right?

23 A That's right.

24 Q And your understanding of a computer signal is  
25 that in this context, it would also be a video signal, 4:31PM

1 right? 4:31PM

2 A No. That's -- that's not true.

3 Q It's not true?

4 A No. It could just be static images being put  
5 up. 4:32PM

6 Q Okay. All right. Let's go to page 31 of your  
7 '545 declaration. This is the discussion of the --

8 A Page or paragraph?

9 Q Page 31, paragraph 59. Okay. So this is part  
10 of your discussion of proposed claims four and five. 4:33PM

11 Can we have -- I don't have -- I don't think your report  
12 has proposed claims four and five in them. Do you need  
13 to have that in front of you before we have this  
14 conversation?

15 A If I need them, I'll ask for them. 4:33PM

16 Q Okay. You say proposed claims four and five  
17 also require, in part, a second controller adapted to  
18 control the individual light sources and the fan and a  
19 control link adapted to connect the video controller to  
20 the second controller to provide individualized variable  
21 control of each of the light sources. 4:34PM

22 Do you see that?

23 A Yes. Each of the individual light sources.

24 Q Thank you. Can you explain for me what that  
25 means or what those terms refer to? 4:34PM



1 A What terms? 4:34PM

2 Q What you were talking about in paragraph 59.

3 A Okay. So what we're talking about is being  
4 able to have control of light source intensity as a  
5 function of incoming video signal. So as fields become 4:35PM

6 brighter, you know, the light source could be made  
7 brighter. As fields of picture information become  
8 lower, and the light sources could become lower. And  
9 then I think N is self-explanatory.

10 Q And going to paragraph 60, do you see where it 4:36PM  
11 says, Flasck and Lee, however, fail to disclose or  
12 suggest a second controller or a control link to provide  
13 individualized variable control of each of the  
14 individual light sources?

15 A Yes. 4:36PM

16 Q So I want to talk about the Lee reference for a  
17 second. Do you have that in front of you?

18 A Yes.

19 Q And remind me what the exhibit number of the  
20 Lee reference is? 4:36PM

21 A Exhibit 6.

22 Q Thank you. So does the Lee reference have a  
23 second controller adapted to control the individual  
24 light sources?

25 A Yes. It is item 18, a lamp controlling 4:37PM

1 circuit, lamp voltage controlling circuit. 4:37PM

2 Q Okay. And does controller 18 provide  
3 individualized variable control of each of the  
4 individual light sources?

5 A Yes. It shows that it does. 4:39PM

6 (Exhibit 12, Declaration of Dr. Buckman in the  
7 '545 action; Exhibit No. 1006, was marked.)

8 BY MR. KING:

9 Q All right. I'm handing you Exhibit 12. This  
10 is the Buckman declaration in the '545 action. It's 4:40PM  
11 Exhibit 1006.

12 Do you have that in front of you?

13 A Yes.

14 Q Okay. Same question as before. I understand  
15 that you've reviewed this declaration in connection with 4:40PM  
16 forming your opinions in this case?

17 A I have.

18 Q And I understand you disagree with some of the  
19 statements that Dr. Buckman made?

20 A That's correct. 4:40PM

21 Q And your disagreements with what Dr. Buckman  
22 said are set forth in your declaration, correct?

23 A That's correct.

24 Q Do you have any disagreements with what  
25 Dr. Buckman said that are not set forth in your 4:40PM

1 declaration? 4:40PM

2 A Not to my knowledge without going through this  
3 in fine detail. So I'd say no.

4 Q Okay. You understand that Dr. Buckman has a --  
5 has had a long career as a professor at the University 4:41PM  
6 of Texas, right?

7 A Yes.

8 Q And that includes writing a textbook on optics?

9 A Yes.

10 Q Do you have an opinion on whether or not 4:41PM  
11 Dr. Buckman is qualified to serve as an expert in this  
12 case?

13 A I feel his knowledge of liquid crystal displays  
14 doesn't seem to be equivalent to one of ordinary skill  
15 in the art. I don't question his other optics 4:42PM  
16 experience and knowledge.

17 I'll take back what I just said. And if we can  
18 strike the part where I said one of ordinary skill in  
19 the art.

20 You asked the question whether he's qualified 4:43PM  
21 as an expert. And my statement really should have been  
22 that I didn't feel that he was an expert relative to  
23 LCD, not that he didn't have the skill of one of  
24 ordinary skill in the art.

25 Q So help me to understand what your opinion is. 4:43PM

1 It sounds like you've got some nuance to it. 4:43PM

2 A No, it's not a nuance. It's just a correction  
3 of what I said. I used the term that we use often and  
4 it doesn't apply. Basically, there's some opinions  
5 presented in Dr. Buckman's declarations and then again 4:43PM  
6 in his testimony at deposition that lead me to believe  
7 that he's not an expert in liquid crystal displays.

8 Q Okay. And what opinions are those?

9 A Certain things like lack of knowledge of what  
10 an LCD cell gap is, not knowing what the standard 4:44PM  
11 definition of a pixel is, mischaracterizing nonpixilated  
12 device as a pixilated device.

13 Q Okay. I didn't see any discussion of LCD cell  
14 gaps in either of your declarations.

15 A There was a question in a deposition relative 4:45PM  
16 to cell gap.

17 Q Okay. But you didn't discuss that in your  
18 declaration, right?

19 A No.

20 Q And you say that Dr. Buckman got the definition 4:45PM  
21 of pixel wrong?

22 A Yes.

23 Q Do you mean he got the definition of pixel  
24 wrong in your view or do you mean he got the definition  
25 of LCD cell wrong in your view? 4:45PM

1           A     Both. He called an LCD cell something like a           4:45PM  
2     pixel. And when asked what a pixel was, he didn't give  
3     a clear answer. Pixel is an addressable picture  
4     element. And then he gave examples in terms of what it  
5     would mean relevant to '545 or '334 patents.           4:45PM

6           Q     All right. Going back to the construction, the  
7     board's construction of the term light shutter  
8     maintenance system.

9                     In the '334 action, do you recall that the  
10    board interpreted light shutter maintenance system as a       4:46PM  
11    set of matrices such as monochrome LCD arrays or cell of  
12    a monochrome LCD array where each -- where each matrix  
13    comprises a rectangular arrangement of elements capable  
14    of limiting the passage of light?

15          A     Your question was do I recall that statement?       4:47PM

16          Q     Yes.

17          A     I've heard it, so I don't need to recall it.

18          Q     I believe you testified earlier that a  
19    monochrome LCD array is -- what is a monochrome LCD  
20    array?   4:47PM

21          A     It's an array -- it's an LCD structure cell as  
22    defined earlier with no color filters and a plurality of  
23    addressable rows and columns of pixels.

24          Q     Okay. But it's just one two-dimensional array,  
25    right?   4:47PM

1 A That's correct. 4:47PM

2 Q And the '545 and the '334 systems use three LCD  
3 arrays, right?

4 A That's correct.

5 Q Okay. And each one of those LCD arrays, in 4:48PM  
6 your opinion, has one LCD cell, right?

7 A That's correct.

8 Q Okay. So it would be a mistake to refer to  
9 cells of an LCD array, in your opinion, right?

10 A Well, I think I understood an interpretation of 4:48PM  
11 the board. Should the device be formed on a single  
12 substrate, such that you had an array with three  
13 individual liquid crystal cells formed on it and those  
14 not being individualized, then that could -- that could  
15 fit that requirement. 4:48PM

16 And that's almost what the drawing on the '545  
17 figure, Figure 1 shows.

18 MR. KING: All right. Fair enough. All right.  
19 I think that's all I have. Should we go off the record?

20 MR. QUILLIN: Cross-examination's over? 4:50PM

21 MR. KING: Yes.

22 MR. QUILLIN: I understand our reporter has an  
23 appointment, so we will adjourn until tomorrow morning  
24 at 9:00 a.m.

25 MR. KING: Okay. 4:50PM

1 MR. QUILLIN: Off the record. 4:50PM

2 THE VIDEOGRAPHER: This concludes end of Media  
3 No. 3 at the end of Volume 1 of the deposition of Robert  
4 Smith-Gillespie and we will resume testimony tomorrow.

5 We are off the record at approximately 4:51 p.m. 4:51PM

6  
7 (Proceedings ended at 4:51 p.m.)

8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

ROBERT SMITH-GILLESPIE

EXAMINATION DATE:

Thursday, August 29, 2013

PAGE NO. LINE NO. CORRECTION

I, the undersigned, declare under penalty of perjury that I have read the foregoing transcript and I have made any corrections, additions, or deletions that I was desirous of making; that the foregoing is a true and correct transcript of my testimony contained therein.

EXECUTED this \_\_\_\_ day of \_\_\_\_\_, 20\_\_, at \_\_\_\_\_, Oregon.

\_\_\_\_\_  
ROBERT SMITH-GILLESPIE



1 STATE OF OREGON )  
 ) SS.  
2 COUNTY OF MULTNOMAH )  
3

4 I, Victoria A. Guerrero, CSR, RPR, CRR and  
5 Notary Public, hereby certify that, pursuant to the  
6 Rules of Civil Procedure, ROBERT SMITH-GILLESPIE  
7 personally appeared before me at the time and place set  
8 forth in the caption hereof;

9 that at said time and place I reported in  
10 stenotype all testimony adduced and other oral  
11 proceedings had in the foregoing matter;

12 that thereafter, my notes were reduced to  
13 typewriting under my direction;

14 and the foregoing transcript, pages 1 through  
15 163, both inclusive, constitutes a full, true, and  
16 correct record of such testimony adduced and oral  
17 proceedings had and of the whole thereof.

18 Witness my hand and notarial seal at Portland,  
19 Oregon, this Tuesday, September 2, 2013.

20  
21  
22  
23

24 \_\_\_\_\_  
Victoria A. Guerrero, RPR, CRR, CLR  
Washington CCR No. 3293

25