

1 UNITED STATES PATENT AND TRADEMARK OFFICE

2
3 BEFORE THE PATENT TRIAL AND APPEAL BOARD

4
5 SONY CORPORATION
6 Petitioner

7 v.

8
9 YISSUM RESEARCH DEVELOPMENT COMPANY
10 OF THE HEBREW UNIVERSITY OF JERUSALEM
11 Patent Owner

12
13 Cases IPR2013-00218 (Patent 6,665,003 B1)
14 IPR2013-00219 (Patent 7,477,284 B2)

15
16 Deposition of

17
18 TREVOR J. DARRELL, Ph.D.

19
20 Wednesday, November 6, 2013

21 REPORTED BY:

22 JOHN WISSENBACH,

23 RDR, CRR, CBC, CCP,

24 CLR, CSR 6862

25 FILE NO. 13-16497

1 BE IT REMEMBERED that, pursuant to the laws
2 governing the taking and use of depositions, on
3 Wednesday, November 6, 2013, commencing at 10:31 a.m.
4 thereof, at the law offices of Tensegrity Law Group LLP,
5 555 Twin Dolphin Drive, Suite 360, Redwood Shores,
6 California, before me, JOHN WISSENBACH, CSR 6862,
7 personally appeared TREVOR J. DARRELL, Ph.D., called as
8 a witness by the Petitioner, who, being by me first duly
9 sworn, was thereupon cross-examined by the Patent Owner
10 as a witness in said action.

11 APPEARANCES OF COUNSEL

12 For the Petitioner:

13 KENYON & KENYON LLP
14 BY: WALTER E. HANLEY, JR., Attorney at Law
MICHAEL E. SANDER, Attorney at Law
15 One Broadway
New York, New York 10004-1007
16 (212) 908-6263 whanley@kenyon.com
(212) 908-6412 msander@kenyon.com

17 For the Patent Owner:

18 TENSEGRITY LAW GROUP LLP
19 BY: WILLIAM NELSON, Attorney at Law
555 Twin Dolphin Drive, Suite 360
20 Redwood Shores, California 94065
(650) 802-6075
william.nelson@tensegritylawgroup.com

21 HAYNES AND BOONE, LLP
22 BY: GREGORY P. HUH, Attorney at Law
2505 North Plano Road, Suite 4000
23 Richardson, Texas 75082-4101
(972) 639-6939 gregory.huh@haynesboone.com

24 ALSO PRESENT: IRFAN ESSA, Ph.D.
25

INDEX OF EXAMINATIONS

PAGE

WITNESS:

TREVOR J. DARRELL, Ph.D.

Cross-Examination by Mr. Nelson

4

EXHIBITS

MARKED

DESCRIPTION

PAGE

Exhibit YRD-2007 Image (YRD-2007)

33

PRIOR EXHIBITS REFERENCED

Sony-1003

Sony-2004

Sony-1006

Sony-1010

Sony-1013

Sony-1040

Sony-1113

---o0o---

1 MR. NELSON: I guess we need to enter
2 appearances. My name is William Nelson. I'm here on
3 behalf of the patent owner, Yissum Research Development
4 Corporation and HumanEyes Technologies. With me is
5 Gregory Huh and Irfan Essa.

6 MR. HANLEY: I'm Walter Hanley, representing
7 the petitioner, Sony Corporation. With me is Michael
8 Sander. We are both from Kenyon & Kenyon.

9 CROSS-EXAMINATION BY MR. NELSON

10 Q. Okay. Professor Darrell, are you ready to
11 proceed here today?

12 A. Yes.

13 Q. Very good. Have you had your deposition taken
14 before?

15 A. Yes.

16 Q. How many times, as an expert, sir?

17 A. Four or five or six. I can't remember the
18 number. Maybe once in this building already.

19 Q. How many times have you had your deposition
20 taken as an expert in a patent case?

21 A. As I said, around a half dozen.

22 Q. Would you list the matters in which you've
23 served as an expert and had your deposition taken.

24 A. I've provided that to the counsel who I'm
25 working with. I don't -- I don't have it by memory.

1 MR. NELSON: Counsel, has that been provided to
2 us?

3 MR. HANLEY: I'm not sure I've seen it,
4 actually.

5 THE WITNESS: Well, then I would not --

6 MR. HANLEY: So if -- and when you say
7 "counsel" -- do you mind if I ask him?

8 MR. NELSON: No.

9 MR. HANLEY: To whom are you referring?

10 THE WITNESS: The counsel who is sitting to my
11 left.

12 MR. HANLEY: Okay. So --

13 THE WITNESS: Not to my --

14 MR. HANLEY: I will look into that. I don't --
15 I've seen his CV. I don't recall his CV listing the
16 cases in which he's testified. So if it's not on the
17 CV, then we'll have to look into --

18 MR. NELSON: Okay.

19 MR. HANLEY: -- where that information can
20 be --

21 MR. NELSON: Well --

22 MR. HANLEY: -- put together.

23 THE WITNESS: I have a document I can provide
24 if you'd like.

25 BY MR. NELSON:

1 Q. Rather than --

2 A. I can try and recite them by memory if you'd
3 like.

4 Q. Please.

5 A. How far back do you want me to go?

6 Q. Oh, since 2008.

7 A. 2008? So I worked as a -- it was a fact
8 witness for a matter involving Microsoft, and I think it
9 was called Impulse, regarding to technology from Alive,
10 the Alive system. And I'm currently retained as an
11 expert in a matter in London by the firm called
12 Bristows. And that involves Philips and Nintendo on
13 a -- and I'm an expert in a case in their judiciary
14 about infringement of one of Philips' patents.

15 I worked with Quinn, in this building, on the
16 Samsung-Apple fun stuff, as you, I'm sure, are aware of.
17 And my patent was dropped from the case before it went
18 to trial, but -- and I was driving down here to do a
19 deposition when I was informed of that. So I didn't get
20 to participate in that -- that trial.

21 Then -- yeah, I can't -- it's hard for me to
22 remember. One of the first ones I ever did was for a
23 company called Cytyc, involving imaging systems for
24 cytology screening, that I was deposed and went to a
25 mock trial. I also did one for Quinn in San Francisco

1 involving Bally Gaming, for imaging systems in gaming
2 tables in Los Vegas. And that's just what's coming off
3 the top of my head, so -- I sort of --

4 Q. Thank you.

5 A. Yeah.

6 Q. With respect to your service as an expert in
7 patent infringement or patent matters, how many of those
8 engagements have you served as an expert on behalf of
9 the patent holder?

10 A. About three. Well, served as an expert? Have
11 I worked for the patent holder? Around three of them,
12 including the current one with Philips.

13 MR. NELSON: Before we move on, I forgot the
14 one thing I said I was going to do, which is that I
15 wanted to note with Mr. Hanley and Mr. Sander on the
16 record that -- we'll talk about the implementation
17 details perhaps after the deposition, but that the
18 intent of this deposition is to serve as a single
19 deposition for the 218 and 219 matters, rather than
20 having two distinct depositions of Professor Darrell as
21 to these issues at this time.

22 MR. HANLEY: We agree with that.

23 MR. NELSON: Thank you.

24 Q. Professor Darrell, have you ever created images
25 for stereoscopic viewing?

1 A. Certainly.

2 Q. How many times?

3 A. I can't count. I can't remember.

4 Q. More than you can count?

5 A. Yes.

6 Q. When was the last time you created an image for
7 stereoscopic viewing?

8 A. That's a good question. So as a professor, I
9 don't personally do the research, but often I direct the
10 research, so images would have been created, in my
11 supervision, of course. We use stereo -- we -- we,
12 including myself and the collaborators in my research
13 projects, have used stereo cameras in a number of
14 research projects over the years, most notably those
15 which involve the perception and tracking of objects and
16 people. And the -- those systems would collect stereo
17 images, and we would often view them to verify their
18 nature.

19 Q. So I appreciate your answer, but my question
20 is, when was the last time you did this?

21 A. I don't recall the specific date.

22 Q. Have you ever created a left-eye and right-eye
23 image for stereoscopic viewing by taking portions of
24 images and mosaicing them together?

25 A. I don't have a specific recollection of that.

1 Most of the stereo images that I've been -- that I've
2 created or were used in my work came from specific
3 stereo camera rigs that collected images that were meant
4 for display and processing.

5 Q. I just want to make sure I understand your
6 answer. When you say you don't have a specific
7 recollection of that, do you have any recollection of
8 ever creating left and right-eye images for stereoscopic
9 viewing by taking portions of images and mosaicing them
10 together?

11 A. I believe I just answered that question. I
12 said I don't have a specific recollection. That means I
13 don't have a recollection.

14 Q. Now you've answered my question. Thank you.

15 A. Is there a difference?

16 Q. Yes.

17 Have you ever created a panoramic image for
18 stereo viewing?

19 A. That would roughly be the same thing that you
20 just asked, as well. So I do not have a specific
21 recollection of that.

22 Q. Again, my problem is with your word "specific
23 recollection." If you don't recall ever doing it, I'd
24 like to get that answer.

25 A. I don't -- it's a natural thing to have done.

1 I don't recall personally having done it.

2 Q. Thank you. When were you first engaged by
3 counsel as an expert in connection with the -- with
4 Sony's inter partes reexamination petition?

5 A. I don't recall the date.

6 Q. Do you recall the time frame generally?

7 A. No. I mean, they -- I've -- I was speaking
8 with these counsel prior to that as well. So I don't
9 remember exactly when that event happened.

10 Q. You were engaged by counsel for Sony in
11 connection with a matter before the International Trade
12 Commission; is that correct?

13 A. Yes.

14 Q. How many hours have you spent working on your
15 declaration or any other role in connection with Sony's
16 IPR petitions?

17 A. I don't recall a specific number. It's on the
18 order of several dozen.

19 Q. Several dozen hours?

20 A. Uh-huh.

21 Q. What's your hourly fee?

22 A. \$400.

23 Should I increase it?

24 (Discussion off the record.)

25 THE WITNESS: \$400. And I was making an

1 irrelevant comment, asking if I should increase it.

2 BY MR. NELSON:

3 Q. We'll see.

4 A. One never knows what the going rate is.

5 Q. Have you billed Sony yet for your work in
6 connection with this IPR?

7 A. I believe --

8 Q. Or these IPRs?

9 A. I believe I have.

10 And you've paid.

11 But, again, I only have a sporadic recognition.
12 I have several clients.

13 Q. Do you know the amount that you billed Sony for
14 your work on this petition?

15 A. I don't recall. It was -- I think it was
16 around \$5,000. That's my guess. Maybe more. But I
17 haven't billed for all the time that I've put in.

18 Q. You're being paid for your testimony today?

19 A. My time, yes. I don't believe I'm being paid
20 for my testimony.

21 Q. What were you asked by counsel to do in
22 connection with the IPR petitions?

23 A. I don't remember.

24 You mean originally, when they first started --
25 they've asked me to provide declarations or reports. I

1 can't remember what they're specifically called, but
2 have certain statements. And they asked me to review
3 certain documents that they provided to me and discuss
4 with them various points of those documents.

5 Q. Have you ever reviewed source code associated
6 with any product or technology produced by HumanEyes
7 Technologies?

8 MR. HANLEY: Objection.

9 THE WITNESS: No.

10 MR. HANLEY: Irrelevant.

11 THE WITNESS: Not to my knowledge.

12 BY MR. NELSON:

13 Q. Have you ever reviewed any document produced in
14 litigation by HumanEyes Technologies in connection with
15 your work for Sony?

16 MR. HANLEY: Objection; irrelevant.

17 THE WITNESS: Unless they've provided it --
18 only if they've provided it to me. I have no idea what
19 those documents would be.

20 BY MR. NELSON:

21 Q. Do you have any recollection of being provided
22 with any document produced in litigation by HumanEyes
23 Technologies in connection with your work for Sony?

24 MR. HANLEY: Same objection.

25 THE WITNESS: I -- how would I know if it

1 had -- what such documents would be? I mean, I know
2 it's -- I've never been told I have been, and it's never
3 been -- there's never been markings to that effect on a
4 document that I've seen.

5 BY MR. NELSON:

6 Q. I understand that you've provided several
7 declarations in connection with these petitions. Is
8 that right?

9 A. Yes.

10 Q. In forming the opinions expressed in those
11 declarations, what materials did you rely upon?

12 A. I relied on various documents that the counsel
13 sitting to my left provided to me, including the patents
14 that are at issue and various pieces of prior art that
15 are discussed in my report. Or is it a report or a
16 declaration? I can't remember. Can I refer to it as my
17 report?

18 Q. That's up to you.

19 A. Okay. The document.

20 Q. Is it your understanding that all of the
21 written material which you relied upon for your
22 declarations is listed in those declarations?

23 A. Yes.

24 Q. Apart from counsel, did you meet with or speak
25 with any person in connection with your investigation of

1 the issues discussed in your declarations?

2 A. No.

3 Q. You didn't meet with Hiroshi Ishiguro?

4 A. No, not if you -- not in conjunction with the
5 statements in my declaration.

6 Q. Have you ever met with Hiroshi Ishiguro?

7 A. I may have. I don't recall.

8 Q. To use your words, do you have a specific
9 recollection of meeting with Hiroshi Ishiguro?

10 A. I mean, I've been to Osaka University, and I
11 probably met him when I went there, so -- I don't
12 remember exactly which ones -- who I met at that time.

13 Q. Have you ever spoken with Masashi Yamamoto?

14 A. Again, these are all professionals who go to
15 all of the annual conferences. And so I don't have a
16 specific recollection, but I would have generally
17 interacted with them professionally over the years. But
18 I certainly have never spoken specifically about these
19 patents or these papers with them.

20 Q. How about Saburo Tsuji, T-S-U-J-I?

21 A. Same answer.

22 Q. With respect to Kawakita, have you ever met
23 with Yasuhiro Kawakita?

24 A. I'm not familiar -- I don't think I know him.
25 And I don't think I've ever met him.

1 Q. Yoshitaka Hamaguchi?

2 A. Same answer.

3 Q. Toshihiko Miyazaki?

4 A. Same answer.

5 Q. Were any of the opinions stated in any of your
6 declarations in these petitions opinions that were
7 provided you by counsel?

8 A. No, they're my opinions.

9 Q. In connection with forming the opinions stated
10 in your declarations, did you review or were you shown
11 anything which contradicted your stated opinions?

12 A. Could you repeat that question?

13 Q. Sure. In connection with the analysis that you
14 undertook to form the opinions stated in your
15 declarations, did you review or were you shown anything
16 which you regarded as contradicting --

17 A. No.

18 Q. -- your stated opinions?

19 A. No.

20 Q. Were there any opinions that you provided to
21 your counsel that were not included in your
22 declarations?

23 A. I've certainly talked about a lot of things
24 about a lot of topics with counsel and -- so not
25 everything I've said to counsel is written in those

1 declarations. But there were no specific opinions that
2 were directly relevant to the formal opinions in those
3 declarations that differed, in my opinion, as I see it.

4 Q. Were there any opinions that you were asked by
5 counsel to give but refused to give?

6 A. No.

7 When you say opinions -- I mean, I -- I was
8 never asked to give an opinion, in the sense they never
9 said, "Could you say this." You mean asked -- yeah, I'm
10 not sure if I totally understand your question. But I
11 never refused to say anything or refused to put
12 something, you know --

13 Q. Well, I think we're there, but let me try a
14 different way of asking the question.

15 A. Sure.

16 Q. Was there any conclusion or statement you were
17 asked to offer by counsel but you refused to do so?

18 A. No. But I would never accept counsel telling
19 me what to say anyway, so --

20 Q. I didn't ask about telling you to say. I asked
21 you about being asked to support a conclusion.

22 A. Yes, no. The answer to your question is no.

23 Q. Okay.

24 A. But it also would reflect the wrong way of
25 doing the -- of having opinions, in my view.

1 Q. With respect to the opinions stated in your
2 declarations here, did you perform any experimentation
3 to confirm your conclusions?

4 A. No.

5 Q. You relied, then, on your analysis of the
6 written materials that are listed in your declaration?

7 A. Yes.

8 Q. I'm just going to pull out the various
9 declarations so that we have them in front of us.

10 Why don't I get them all together, and then
11 I'll hand them over to you.

12 I am handing you and your counsel here what has
13 previously been marked by Sony in these matters as Sony
14 Exhibit 1010, Sony Exhibit 1113, Sony Exhibit 1013, Sony
15 Exhibit 1040. And at this point, Professor Darrell, I'm
16 not going to dive in yet. I would just like you to
17 confirm that you know what those documents are.

18 A. Yes.

19 Q. And I've got some questions for you about them
20 there. Do you know what those documents are?

21 A. Yes.

22 Q. What are they?

23 A. They're my expert declarations that were
24 provided in this matter.

25 Q. Apart from these four documents, are you aware

1 of any other declaration that you've offered in
2 connection with these petitions?

3 A. Before the patent trial board? No. However --
4 yeah, no.

5 Q. So looking at Exhibit Sony-1010 -- do you have
6 it in front of you?

7 A. I do.

8 Q. This is your March 28 or your original
9 declaration in connection with the '003 patent petition?

10 A. Is that a question?

11 Q. Do you think it's a question?

12 A. No.

13 Q. Is it?

14 A. Yes.

15 Q. Are you okay?

16 A. I just go a little --

17 Q. I can get you some water.

18 A. I actually have a little bit. I'm a little
19 cold. If that AC can be turned off, that might be nice.
20 But not too much.

21 Q. In connection with this declaration, it states
22 that you reviewed the patent, in paragraph 6; is that
23 correct?

24 A. Yes.

25 Q. It also states that you reviewed a "Certified

1 English Translation of VRSJ Research Report." Do you
2 see that?

3 A. Yes.

4 Q. This is -- will you agree with me that we can
5 for this deposition call that certified English
6 translation the Kawakita reference or the Kawakita
7 article?

8 A. Yes.

9 Q. Okay. And you'll know what I'm talking about
10 when I say that?

11 A. Yes.

12 Q. It also says in paragraph 9(b) that you
13 reviewed an article titled "Acquiring Omnidirectional
14 Range Information," by Ishiguro, et al. Is that
15 correct?

16 A. Yes.

17 Q. Can I call that, for this deposition, Ishiguro,
18 or the Ishiguro reference, and you'll know that we're
19 talking about this article?

20 A. Yes.

21 Q. Okay. So just to confirm, there's nothing else
22 here in this declaration that you state that you
23 reviewed or analyzed or did to generate the conclusions
24 or opinions stated here; is that correct?

25 A. Correct.

1 Q. And not only is -- is that what you state,
2 that's the truth. You didn't look at anything else?

3 A. Not to make the conclusions in this document.

4 Q. Let's look at Sony Exhibit --

5 A. Let me -- I just want to amend that by saying,
6 for example, there are other versions of that Ishiguro
7 paper, like the one at the ICCV conference that I
8 reviewed. But it's not necessary to support the
9 conclusion of this document.

10 Q. Well, did you rely on that other version --

11 A. Not in this document.

12 Q. -- of -- I apologize for pausing, but this
13 record's going to get messed up if you cut me off each
14 time. So give me a minute to finish. Thank you. All
15 right.

16 Did you rely on that other version of Ishiguro
17 or any other article or writing not disclosed in this
18 declaration in forming the opinions stated in this
19 declaration?

20 A. No.

21 Q. Thank you. Let's look at Exhibit Sony 1113.

22 Actually, let's -- let's step back. I
23 apologize. I'd like to go back to 1010.

24 With respect to Kawakita, what was your
25 assignment by counsel for this declaration.

1 A. I'm not sure what "assignment" means here.

2 Q. What were you asked to do?

3 A. I was asked to review these documents and
4 review the patent and make statements about the content
5 of some of the prior art, the -- some of the content of
6 Kawakita and Ishiguro.

7 Q. At any time during your analysis that led to
8 this declaration, did your assignment change?

9 A. I mean, we would -- did it change?

10 Over the months of having conversations with
11 the counsel sitting to my left, they asked me various
12 questions about various documents. So I never -- I
13 never felt that I had a formal assignment. With regard
14 to this declaration, this is all they asked me to do.

15 Q. With respect to Kawakita, did counsel ask you
16 to state the opinion that "Kawakita discloses a
17 technique to generate" stereo -- "stereoscopic panoramic
18 images by excising slit images from images captured by a
19 rotating camera and mosaicing the respective slit images
20 together"?

21 A. Could you repeat the question?

22 Q. Sure. With respect to Kawakita, did counsel
23 ask you to state the opinion that "Kawakita discloses a
24 technique to generate stereoscopic panoramic images by
25 excising slit images from images captured by a rotating

1 camera and mosaicing the respective slit images
2 together"?

3 A. So I was not given the specific instruction to
4 make a specific statement. I was not asked to state a
5 specific conclusion. I was asked to describe my opinion
6 on what Kawakita disclosed. And I then made that
7 statement.

8 Did I answer your question?

9 Q. Yes, sir.

10 Were you asked to render an opinion on whether
11 Kawakita discloses each element of any claim of the '003
12 patent?

13 A. No.

14 Q. And same question with respect to Ishiguro.
15 Were you asked to render an opinion on whether Ishiguro
16 discloses each element of any claim of the '003 patent?

17 A. No.

18 Q. Thanks for your patience.

19 Let's look at the -- Sony-1113. You agree with
20 me that is your second declaration in connection with
21 the '003 patent, dated June 27th, 2013?

22 A. Yes.

23 Q. Do you agree with me that you don't state any
24 opinion about any prior art reference in this
25 declaration?

1 A. Yes.

2 Q. Was there any -- with respect to this
3 declaration, was there any opinion you were asked to
4 offer but did not?

5 A. No.

6 Q. Let's take a look at Sony-1013. Let me know
7 when you're there.

8 A. I have it in front of me.

9 Q. Do you agree with me that this is your first
10 declaration in connection with the IPR petition related
11 to the '284 patent?

12 A. Yes.

13 Q. Paragraph 6 of this declaration, Sony-1013,
14 states that you reviewed the '284 patent; you -- as well
15 as the '003 patent. Is that what you did?

16 A. Yes.

17 Q. Okay. Paragraph 9(a) states that you reviewed
18 Kawakita, correct?

19 A. Yes.

20 Q. Paragraph 9(b) states that you reviewed the
21 Ishiguro reference?

22 A. Yes.

23 Q. In connection with the opinions about Kawakita
24 stated in paragraph 10, did you review any other
25 document or did you rely on any other document other

1 than what we've just discussed?

2 A. No.

3 Q. In connection with your opinion about Ishiguro
4 at paragraph 11, apart from Ishiguro, as well as the
5 patents, did you rely on any other document in
6 generating the opinions stated in paragraph 11?

7 A. No specific document. I referred to common
8 sense, however.

9 Q. In connection with Sony-1013, with respect to
10 Kawakita and Ishiguro only -- I don't intend to ask you
11 about the other parts of -- of this declaration today --
12 what was your assignment?

13 A. I'm not sure what "assignment" means here. Can
14 you be more specific?

15 Q. What were you asked to do?

16 A. I was asked to make a declaration that
17 described the content of Kawakita and Ishiguro regarding
18 the generation of stereoscopic panoramic images.

19 Q. In the course of your analysis that led you to
20 express the opinions stated in paragraphs 10 and 11 of
21 Sony-1013, were there any changes or modifications to
22 what you were asked to do?

23 A. No.

24 Q. Any opinions that you were asked to give but
25 refused to give?

1 A. No.

2 Q. Let's look at Sony-1040. Do you agree with me
3 that this is your second declaration in connection with
4 the IPR petitions concerning the '284 patent?

5 A. Yes.

6 Q. Why did you do a different declaration?

7 A. I was asked to do so. And I don't recall the
8 reason the counsel sitting to my left desired it.

9 Q. For your opinions expressed in paragraph 10,
10 letters (a) through (e), regarding Kawakita, did you
11 rely on any information in forming those opinions other
12 than your past as an expert, your reading of Kawakita,
13 and your reading of the patents?

14 A. Only the items listed in paragraph 9.

15 Q. For your opinions expressed regarding Ishiguro
16 in paragraph 11, did you rely on anything other than
17 what's stated in paragraph 9(b) and 6?

18 A. 9(b) and 6? Sorry.

19 No, I did not. I only relied on those.

20 Q. By the way, Professor Darrell, we didn't talk
21 about it, but I know you've had enough depositions to
22 know that if you ever decide you need a break or need to
23 stop, or you've gone on for a while, just let me know,
24 and I'm happy to take a pause.

25 A. Thank you.

1 Q. So just so that I understand your testimony
2 before we move on, with these four different
3 declarations in front of you, your earlier testimony was
4 that you estimated the amount of time that you spent in
5 your work to prepare these declarations was in the
6 possibly dozens of hours; is that right?

7 A. Several dozens.

8 Q. Several dozens of hours.

9 Professor Darrell, what is a stereoscopic
10 image?

11 A. "Stereoscopic image" is a broad term but most
12 naturally would be defined as a pair of images that view
13 a scene from multiple -- that view a scene from two
14 different viewpoints, although you can have
15 generalizations of that concept that have more than two
16 views.

17 Q. So just so I understand, your testimony that --
18 is that a stereoscopic image has as its most natural
19 meaning a pair of images that view a scene from two
20 different viewpoints?

21 A. Yes.

22 Q. Do I have that right?

23 A. Yes.

24 Q. Is there anything else that you'd add to that
25 natural or most natural definition?

1 A. No.

2 Q. Now, you did say it was a broad term. Are
3 there other meanings that you believe, as an expert,
4 could be ascribed to the term "stereoscopic image"?

5 A. You can have multiview stereo, where you have
6 more than two, and the like.

7 Q. Anything else that comes to mind?

8 A. Not that's relevant right now.

9 Q. Humor me. Anything that might not be relevant
10 in the judgment of Professor Darrell but that
11 nonetheless --

12 A. Not right now.

13 Q. In view of your definition of a stereoscopic
14 image, is -- my next question was going to be, do you
15 have an understanding or -- of the term "stereoscopic
16 image pair." And is it -- based on that definition that
17 you've given, do you think they're one and the same, a
18 stereoscopic image and a stereoscopic image pair are the
19 same thing?

20 A. Generally, yes.

21 Q. What's your reaction, as an expert, to this
22 definition?

23 A stereoscopic image pair is "two images...of a
24 scene recorded from slightly displaced positions, which,
25 when viewed simultaneously by the respective eyes,

1 provides a perception of depth."

2 A. It seems reasonable, although maybe not
3 completely limiting. One could have stereo with wider
4 baselines, for example.

5 Q. What do you mean by that?

6 A. You -- I think -- I don't remember exactly what
7 you said, but you were referring to a very small
8 displacement between the cameras, or some language like
9 that. So that doesn't seem a requirement for stereo,
10 but it is often the case.

11 Q. So you reject that part of my definition that
12 recites "two images...of a scene recorded from slightly
13 displaced positions"?

14 A. It may not be a completely precise term.
15 There's some elasticity in this definition. I'm just
16 trying to give a sense of that.

17 Could you repeat the definition again for me?

18 Q. Sure. "Two images...of a scene recorded from
19 slightly displaced positions, which, when viewed
20 simultaneously by the respective eyes, provides a
21 perception of depth."

22 A. This is also, obviously, referring to stereo
23 pairs being viewed by a human, which I think is an issue
24 being -- that's in discussion, as I've understood it.
25 And I think that it's also possible to have stereo

1 images that are viewed by algorithms or machine vision
2 as well. But I -- but for the purposes of this
3 discussion, I'm happy with either definition for the
4 legal definition. For the academic definition, it could
5 be any pair of images that views a scene, that is taken
6 of a scene.

7 Q. So I just want to make sure I understand. In
8 your view as an expert within your field, the concept of
9 a stereoscopic image pair includes not only human vision
10 but stereo images, as you say, that are viewed by
11 algorithms or machine vision as well?

12 A. It could. As I said, it's a very broad term,
13 and if -- if I was having a discussion with a fellow
14 colleague at -- at a conference, it could have either
15 meaning.

16 Q. Is the provision -- is it a requirement, to be
17 a stereoscopic image pair, that the images when viewed
18 simultaneously by the respective eyes of a human
19 provides a perception of depth?

20 A. Are you asking me with regard to these patents
21 or the field in general?

22 Q. I'm asking you about the field.

23 A. In the field in general, the definition is
24 broad enough to include both -- either meaning.

25 Q. So you would reject any definition that limited

1 a stereoscopic image pair to being one that, when viewed
2 simultaneously by human eyes, provides a perception of
3 depth?

4 A. With regard to this patent?

5 Q. I'm asking you in the field. I'm not sure what
6 you mean by in "this patent."

7 A. Well, when -- let's see. "Reject."

8 Could you ask your question again?

9 Q. So would you reject any definition of a
10 stereoscopic image pair, from the standpoint of a person
11 in the field, that required that when those images were
12 viewed simultaneously by humans, that they provide a
13 perception of depth?

14 A. They can -- the definition I would adhere to
15 would not require that a human actually view them, but
16 that if a human were to view them, suitably transduced,
17 the human would get a sense of depth.

18 Q. That would be a requirement, if suitably
19 transduced. I'm going to ask you what that means. But
20 if -- if so transduced, that -- that's a requirement?

21 A. In the --

22 MR. HANLEY: Objection; form.

23 THE WITNESS: I would be willing to accept such
24 a definition. But I don't think it's the only
25 definition of stereo that would be relevant --

1 "stereoscopic image" that would be relevant, and that
2 one can have stereoscopic images that are computed
3 solely for robotic vision, and that that would be a
4 reasonable use of the term as well, in -- for a specific
5 academic subfield.

6 BY MR. NELSON:

7 Q. I just want to make sure I understand your
8 testimony. Your testimony is that you would be willing
9 to accept a definition of "stereoscopic image pair" that
10 required the perception of depth by a human when those
11 images are viewed as suitably transduced, correct?

12 A. I would accept it in the sense that if you gave
13 that to me as a definition to work with, it would be
14 meaningful to me, and I would understand what to do. If
15 somebody else gave me a definition that said a stereo
16 image pair also includes robotic computer vision stereo
17 processing, I would understand that definition, and I
18 would know how to have a conversation using those terms.

19 Q. What did you mean in your testimony by
20 "suitably transduced"?

21 A. For example, the brightness or contrast of an
22 image might need to be changed to make it perceivable.
23 The -- there may need to be geometric transformations to
24 an image to make it appear within a position that an --
25 that it could be viewed by -- by an observer.

1 Q. You asked me earlier in our discussion of the
2 meaning of "stereoscopic image pair" whether I was
3 asking you generally in the field versus as it applies
4 to these patents. With respect to the topics of
5 discussion today, these patents, is an appropriate
6 definition of "stereoscopic image pair" "two images...of
7 a scene recorded from slightly displaced positions,
8 which, when viewed simultaneously by the respective
9 eyes, provides a perception of depth"?

10 A. Yes, I could accept that.

11 Q. So using that definition here today, if a pair
12 of images does not provide a perception of depth to the
13 human eyes when viewed simultaneously, it doesn't meet
14 that definition of a stereoscopic image pair, correct?

15 A. Correct.

16 Q. What does it mean in your field to provide a
17 perception of depth to a human?

18 A. I would say that it would mean that if a human
19 viewed the stimulus, that they would sense differential
20 distances of objects or surfaces or other elements of a
21 scene, and that they could distinguish that from the
22 case where there were no such differences in depth of
23 such elements.

24 Q. Are you familiar with the term "stereo fusion"?

25 A. Yes.

1 Q. Is stereo fusion the concept that you just
2 discussed, which is that a human would sense
3 differential distances of objects or surfaces from other
4 elements of a scene, and that they could distinguish
5 that from the case in which there were no such
6 differences in depth?

7 A. No.

8 Q. What is stereo fusion?

9 A. Stereo fusion refers to a process whereby
10 corresponding points in two scenes are brought together
11 by the vision system, the visual system, so as to create
12 that sense of depth that I referred to in my previous
13 answer.

14 (Deposition Exhibit YRD-2007 was marked for
15 identification.)

16 BY MR. NELSON:

17 Q. I'm going to hand you what has been marked for
18 this deposition as Exhibit YRD-2007.

19 A. Do I get the glasses, too?

20 Q. Stay tuned.

21 Given what you've just asked, I take it you
22 have a sense of what this image represents?

23 A. Yes, I hope.

24 Q. What does it appear to be?

25 A. A printed reproduction of a stereo pair,

1 displayed in two colors, which will likely be viewed by
2 a device that filters colors to the respective eyes.

3 Q. Is this a -- is what you've just described a
4 well-known method of displaying an image pair for
5 stereoscopic viewing?

6 A. Yes.

7 Q. A special pair of glasses would be used in
8 connection with this form of stereoscopic viewing?

9 A. Some device to filter color in the eyes, yes,
10 usually a pair of glasses.

11 Q. Sir, I do have a pair of glasses for you. Have
12 you ever worn a pair of anaglyph glasses before?

13 A. Sure.

14 I'm so happy there's no videographer today.

15 Q. I'm going to ask you to put those on and
16 observe the image for me.

17 A. I should say my sense of stereo has never been
18 a very strong one.

19 Q. I understand that. And understanding that
20 there are differences in subjective perception of stereo
21 using these techniques, do you perceive depth in the
22 picture that's been provided to you in YRD-2007?

23 A. I do.

24 Q. What -- what is your perception of which
25 objects are in the foreground?

1 A. The lion is in the foreground.

2 Q. And the structures behind -- or there is a
3 structure that appears to be in the background, behind
4 the lion, by virtue of your viewing this?

5 A. A building.

6 Q. A building? Okay.

7 Okay. You can take off the glasses. Thank
8 you.

9 A. Thank you for not asking me to auto-fuse.

10 Q. Would you -- well, do you know how an image
11 like YRD-2007 could be generated?

12 A. Yes.

13 Q. Are there conventional techniques for doing so?

14 A. Yes.

15 Q. Would you describe for me how an image like
16 this could be generated using a two-camera setup, or a
17 two-lens setup?

18 A. Sure.

19 Q. Please.

20 A. One could take a pair of cameras that are
21 spaced closely together, aligned along the same
22 direction of view, and take two pictures and superimpose
23 those two pictures with different -- in different color
24 channels to produce the image that we see here.

25 Q. And just to further make sure we're on the same

1 page, because those have been -- those two images have
2 been rendered in red and blue, would you explain how the
3 use of the anaglyph glasses allows the perception of
4 depth in such an image.

5 A. When you view the printed image through one of
6 the colored filters, you only see one of the images, and
7 then when you view it through the other colored filter,
8 you see the other image. So it effectively transmits or
9 conveys one image to one eye and the other image to the
10 other eye. So the two eyes see the two different views
11 that the two cameras originally captured, and so it's as
12 if your two eyes are sitting where those two cameras
13 were, and you see the same viewpoint -- each of your two
14 eyes sees the same viewpoints as if they were from those
15 two cameras. And since humans would naturally perceive
16 depth in such a scene, they -- they do when viewing the
17 reproduction of that scene using this mechanism.

18 Q. So just to tie back to something we talked
19 about a few minutes ago, if successful -- if depth
20 perception in a human is successful when undertaking
21 this viewing, what has occurred is stereo fusion,
22 correct?

23 A. There will, yes.

24 Q. Now, you -- we talked about one conventional
25 way to create this kind of image, which is, as you

1 described, to have two cameras which are displaced from
2 each other by some amount. Is that correct?

3 A. Yes.

4 Q. What amount of separation or displacement
5 between those two cameras is required so that a human
6 would perceive depth in connection with those images?

7 A. To be required I think would only -- let me
8 restart the sentence.

9 The positions would have to be such that with
10 regard to the positions of objects in the scene, the
11 positions of the cameras would produce images that would
12 allow fusion, stereo fusion.

13 Q. In a human, correct?

14 A. If we're -- if we're so limiting our definition
15 of stereoscopic images, then yes.

16 Q. In the method we're discussing for generating
17 these types of images with two cameras, is there a
18 relationship between the necessary distance between the
19 cameras for human perception of depth and what is
20 understood to be the normal or average distance between
21 the human eyes?

22 A. Sorry. Could you repeat the question?

23 Q. I won't repeat it. I'll try a different way to
24 get there. Maybe that will clarify it.

25 I think you've testified and we both agree that

1 in order for a stereophotography method to work to
2 create these types of images in a two-camera setup,
3 there must be some displacement between the cameras,
4 some distance --

5 A. Yes.

6 Q. -- that they are separated.

7 My question to you as an expert is around,
8 well, what's the necessary distance to enable the
9 perception of depth by a human from the resulting
10 images? And my question to you was whether there --
11 that necessary distance to create a human perception of
12 depth is related to the distance between a human's eyes.

13 A. There's -- there's certainly a relationship.
14 And it would be likely that the optimal position would
15 be that. But humans will perceive depth when viewing
16 images taken from two views of a scene, under conditions
17 where they can fuse the images of those scenes, as we've
18 discussed, across quite a range, almost arbitrary sets
19 of positions of cameras. So I don't have a strict
20 definition of what the requirements on the positions
21 would be, other than that they be such that they're --
22 cameras are viewing the same scene and that the
23 displacements in the two images are within the range
24 such that stereo fusion is possible.

25 Q. Isn't your answer to my question about how much

1 displacement is required to create stereo fusion
2 circular, in that it answers it by saying, well, the
3 displacement needs to be enough so that stereo fusion is
4 possible?

5 A. I thought you asked --

6 MR. HANLEY: Objection; argumentative.

7 THE WITNESS: I thought you asked the question
8 what displacement is needed to create a sense of depth.
9 Did it change, and I not notice the change, to
10 displacement?

11 BY MR. NELSON:

12 Q. I'm happy to reask the question.

13 Isn't your answer to my question about how much
14 displacement is required between the cameras to create a
15 perception of depth circular, in that it answers my
16 question by saying, well, the displacement needs to be
17 enough so that perception of depth is possible?

18 A. No.

19 MR. HANLEY: Same objection.

20 BY MR. NELSON:

21 Q. What amount of displacement is required in the
22 image acquisition arrangement here to create a
23 perception of depth in a human?

24 A. I'm not aware of a bound on that quantity,
25 other than stating that -- what I've already stated.

1 Q. And -- and what you've stated, correct, is that
2 it just needs to be displaced enough so that it results
3 in the perception of depth, correct?

4 A. I believe I said that it needs to be displaced
5 enough such that stereo fusion is possible.

6 Q. Is it your opinion as an expert that the state
7 of the art in generating stereoscopic images is that the
8 only guideline provided for the capturing of these
9 images in terms of the required camera displacement is
10 that it needs to be displaced enough such that stereo
11 fusion is possible?

12 A. Across all the range of possible scenes and
13 stereo images that stereo photographers would wish to
14 collect, my answer would be yes.

15 Q. Are you aware of any academic work, or any
16 work, relating the necessary displacement between the
17 images to the distance between the human eyes?

18 A. I have a general recollection of having read
19 papers that discussed the issue of the perception of
20 depth under different conditions than -- different
21 conditions than when they're taken. I believe there are
22 papers that discuss that. But I don't have specific
23 references on my mind right now.

24 Q. Did you, in forming the opinions stated in your
25 declaration, particularly your opinion that the Kawakita

1 reference discloses a technique for generating
2 stereoscopic panoramic images, consider the question of
3 what displacement was necessary between resultant images
4 to create stereo fusion in a human being?

5 A. Yes.

6 Q. What were your considerations in that regard
7 with respect to Kawakita?

8 A. I believe Kawakita discloses a method for
9 generating a stereoscopic omnidirectional image pair
10 which has an effective baseline that's reasonably close
11 to the human intraocular distance. However, even if it
12 didn't, I think it also discloses that there are a range
13 of possible set -- intraocular distances that could be
14 captured using their apparatus. And, further, even if
15 you had quite a divergent intraocular distance relative
16 to the human intraocular distance and collected images
17 of a scene and offered them to a human observer, that
18 human observer still would have some sense of depth when
19 viewing those images of that scene.

20 Q. In forming your opinions stated in your
21 declaration with regard to Ishiguro, in particular your
22 opinion that the Ishiguro reference "discloses a method
23 for generating a stereoscopic omnidirectional image
24 pair," did you consider the question of what
25 displacement was necessary between the resultant images

1 in order to create stereo fusion in a human being?

2 A. Yes.

3 Q. And in connection with Ishiguro, what were your
4 considerations in this regard?

5 A. That the apparatus disclosed in Ishiguro would
6 collect images of scenes that, when presented to human
7 viewers, could be fused and provide a sense of depth to
8 the human viewers.

9 Q. And like Kawakita, did you discern from
10 Ishiguro that Ishiguro discloses a method for generating
11 a stereoscopic omnidirectional image pair which has an
12 effective baseline that's reasonably close to human
13 intraocular distance?

14 A. Maybe less close, but you would still have a
15 sense of depth when perceiving those images.

16 Q. Let's return for the moment to discussion of
17 the kind of conventional image-taking arrangements to
18 generate images like YRD-2007.

19 Apart from a necessary -- you need not wear the
20 glasses.

21 Apart from some necessary displacement between
22 the cameras, are there other considerations, with
23 respect to the image-taking process, that are necessary
24 for a captured image to provide a perception of depth?

25 A. Certainly the images have to be exposed

1 properly and be viewing the same scene. So there are
2 others. I'm not quite sure where your question is
3 going.

4 Q. Well, it was open-ended. I'll ask you a more
5 closed-ended one.

6 Are there -- is there anything about the scene
7 that is to be captured that are necessary for that scene
8 to provide a perception of depth when viewed in this
9 way?

10 A. I'm not sure a scene -- I'm not sure I
11 understand the question, insofar as the scene doesn't
12 provide a sense of depth. The image would present a
13 sense of depth.

14 Q. Is there any minimum distance from the cameras
15 to objects depicted in the scene required for human
16 perception of depth from a resultant image?

17 A. The objects would have to be sufficiently
18 distant from the camera such that stereo fusion could
19 occur, because there's a limit on the displacement
20 within an image that humans can accommodate.

21 Q. What is that limit?

22 A. I forget the precise number. But you can --
23 you can find it out for yourself by saying if you focus
24 at infinity, at what point can you no longer fuse the
25 object in front of you.

1 Q. Did you have the precise minimum distance from
2 the camera to objects in the scene in mind when you
3 performed the analysis that led to the conclusions
4 stated in your declarations?

5 A. No.

6 Q. Is there any maximum distance of objects in a
7 scene beyond which the capturing cameras and resultant
8 images will not provide a perception of depth to a
9 human?

10 A. I mean, you can take images of a scene with an
11 arbitrarily wide baseline of objects that are nearly
12 arbitrarily far away, subject to the -- you know,
13 subject to your optics and resolution of your sensor,
14 and show those images to a person and get a sense of
15 depth. So it's hard for me to quantify that.

16 Q. Is your answer no, that there is no maximum
17 distance you're aware of?

18 A. Well, there will have been a maximum distance
19 that's ever been tried, and I -- so I -- it's much
20 larger than any number you were probably thinking of.

21 Q. With respect to human perception when viewing
22 an image or an image pair in which the minimum distance
23 from the camera to objects in the scene has not been
24 respected, will perception of depth occur?

25 MR. HANLEY: Objection; foundation.

1 THE WITNESS: In your question, did you -- in
2 the hypothetical your question constructed, were all of
3 the objects closer than that distance, or were only some
4 of the objects closer than that distance?

5 BY MR. NELSON:

6 Q. It's a fair objection to my question.

7 With respect to human perception of depth with
8 respect to an object in an image wherein that object is
9 closer than the minimum distance that we've been
10 discussing necessary to provide a perception of depth,
11 will a human viewer be able to perceive depth as to that
12 object?

13 A. The general answer to that question would be
14 no. But I would want to note that I believe that if you
15 looked into the literature, you would probably learn
16 that when -- in that condition, humans would still sense
17 something was very -- was wrong and very close to them.
18 So I think there would be no precise sense of depth, but
19 there might be a sense of like your nose is in front of
20 your face and you can still see it there, even though
21 it's not being fused and you know it's very close.

22 Q. Would such an image be a stereoscopic image, in
23 your opinion?

24 MR. HANLEY: Objection; lacks foundation.

25 THE WITNESS: I think so, yes.

1 BY MR. NELSON:

2 Q. Why?

3 A. Because it's two views of a scene, taken from
4 overlapping views, and different humans will have
5 different abilities to fuse, so a different -- another
6 human might come and look at it and be able to fuse it.
7 So it's a -- it's a bit of a gray area with respect to
8 the definition.

9 Q. So as long -- your definition is that so long
10 as some human somewhere could perceive depth, it's still
11 a stereoscopic image?

12 A. If we're still limiting the definition of
13 "stereoscopic image" to incorporate a human view.

14 Q. Again, with respect to a two-camera arrangement
15 for generating or capturing stereoscopic images of the
16 type we've been discussing, is it necessary, to create
17 human perception of depth, that there be some disparity
18 between the objects in the scene with respect to their
19 distances from the camera?

20 A. Could you ask that question one more time,
21 please? Please repeat the question.

22 Q. With respect to generating or capturing
23 stereoscopic images of the type we've been discussing,
24 is it necessary, for the resultant images to create
25 perception of depth, that there be some disparity

1 between the objects in the scene with respect to their
2 respective distances from the camera?

3 A. I want to make sure I fully understand the
4 question and your use of the term "disparity" there.
5 Were you referring to disparity between the two views or
6 were you referring to disparity between the objects'
7 depths or between -- the disparity of the disparity in
8 fact?

9 Q. All the objects in the scene to be recorded are
10 roughly the same distance from the cameras. Will the
11 resultant image provide a perception of depth as to
12 those?

13 MR. HANLEY: Objection; incomplete
14 hypothetical.

15 THE WITNESS: It would -- it would -- it would
16 provide the degenerate sense of depth, that everything
17 was at the same distance. So generally one would answer
18 the question no, because you -- you want to see depth
19 differences. But strictly speaking, you would probably
20 see everything at infinity or close to you, in whatever
21 depth it was. So it depends exactly on the precise
22 definition of "depth" that you'd like to work with and
23 whether it includes the notion that there has to be some
24 depth differences in the scene.

25 I apologize for a somewhat circular answer, but

1 I think it was the correct answer.

2 MR. NELSON: Let's take a short break.

3 (Recess taken.)

4 BY MR. NELSON:

5 Q. All right, Professor Darrell. Before we broke,
6 one of the questions I was asking you related to
7 whether -- in connection with arrangements for capturing
8 stereoscopic images, whether there was any maximum
9 distance beyond which that resultant images would not
10 produce human perception of depth. And I want to return
11 to that by asking you a slightly narrower version of
12 that question.

13 In an arrangement in which the two cameras are
14 distant by an amount that approximates human intraocular
15 distance, is there a maximum distance for objects in the
16 scene beyond which the images will not provide a
17 perception of depth to a human?

18 A. There is a -- if I understood your question
19 correctly, there is a distance for -- with -- when two
20 cameras with the intraocular distance of -- human
21 intraocular distance, there's a distance beyond which
22 the disparities will be so small as to not be able to be
23 resolved by the resolution of the imaging sensors, such
24 that everything will seem infinitely far away. So that
25 is true. And did that answer your question?

1 Q. Yes. And is there some formula or algorithm
2 that can be used to determine that maximum distance?

3 A. Sure. It would depend on the resolution of the
4 sensor and the intraocular distance, which -- but we're
5 setting that to be human intraocular distance. So it
6 would just be the resolution of the sensor.

7 Q. Okay. I'm going to ask you now to return to
8 your declarations, which are Exhibits Sony-1010,
9 Sony-1113, Sony-1013, and Sony-1040. And when I've got
10 a specific question, I'll direct you to the appropriate
11 declaration. But I just want to confirm that these
12 declarations represent the full extent of the opinions
13 you've offered regarding the Kawakita reference as it
14 concerns the '003 patent and the '284 patent.

15 A. In this matter, yes.

16 Q. Do you hold any other opinion about these
17 references as they pertain to the '003 or '284 patents
18 that you intend to offer in this case?

19 A. Not at this time.

20 Q. If I could ask you to look at Exhibit
21 Sony-1010, paragraph 10(a), on page 4. Let me know when
22 you're there, please.

23 A. I'm here.

24 Q. Your declaration, at paragraph 10(a), states
25 that "Kawakita discloses a technique to generate

1 stereoscopic panoramic images by excising slit images
2 from images captured by a rotating camera and mosaicing
3 the respective slit images together. In Section 1 of
4 the paper, Kawakita also discusses creating a 2D
5 panoramic image using center slit images."

6 Did you write that paragraph?

7 A. I wrote it with -- with the counsel sitting to
8 my left, and we had iterated on several versions. It
9 was originally my idea, and they put it to paper, and
10 then I revised it.

11 Q. With respect to your use of the term
12 "stereoscopic panoramic images," what do you understand
13 a stereoscopic panoramic image to be?

14 MR. HANLEY: Objection; asked and answered.

15 THE WITNESS: Yeah, I don't see why my
16 definition would have changed from earlier. My -- it is
17 a panorama that is, you know, viewable -- you can have a
18 stereo view that can -- can look through a very wide
19 field of view, hopefully 360 degrees, but certainly very
20 wide.

21 (Discussion off the record.)

22 THE WITNESS: "Certainly very wide."

23 BY MR. NELSON:

24 Q. And those images provide a perception of depth
25 when viewed by a human, under your definition, correct?

1 A. Yes.

2 Q. So the only difference in the definition or the
3 meaning that you're trying to convey here from what we
4 talked about with respect to stereoscopic image pairs
5 earlier is the introduction of panorama?

6 A. Yes.

7 Q. Okay. This is not a memory test, so I'm going
8 to give you Kawakita, which has been marked as Exhibit
9 Sony-1003.

10 Just so we're on the same page, do you
11 recognize Sony-1003?

12 A. Yes.

13 Q. You've seen it before?

14 A. Yes.

15 Q. It's an English translation of Kawakita, to
16 your understanding?

17 A. A booklet that includes Kawakita, yes.

18 Q. Is it your understanding that Kawakita was
19 written and published in the Japanese language
20 originally?

21 A. Yes. I think the abstract might have been in
22 English. I'm not sure.

23 Q. Do you speak or read Japanese?

24 A. No.

25 Q. You relied upon the English translation that's

1 in front of you now in forming your opinions?

2 A. Yes.

3 Q. What is the technique disclosed in Kawakita for
4 generating stereoscopic panoramic images?

5 A. It excises "slit images from images captured by
6 a rotating camera and mosaicing the respective slit
7 images together." I'm reading from my declaration.

8 Q. What is the camera setup disclosed in Kawakita?

9 A. It's illustrated in figure 1 quite clearly. A
10 camera is mounted on the end of an arm atop a tripod or
11 other axis around which it can rotate.

12 Q. How many lenses does the camera have?

13 A. One.

14 Well, it could have a compound lens, which has
15 multiple lenses inside of it. But there's only one
16 optical center in this camera.

17 Q. You understand -- putting aside the question of
18 what it could have, you understand this article to
19 disclose a single-lens camera, correct?

20 A. When you say "single lens," I mean, if you want
21 to be -- strictly speaking, many of the single lenses
22 actually have many lenses inside of it. That's not what
23 you meant, though. But generally, yes.

24 Q. Is there any -- in the Kawakita disclosure of
25 the camera arrangement, are there slits?

1 A. There are slit images.

2 Q. So it's your understanding that there is no
3 filter or other covering over the lens that provides for
4 the capturing by the camera itself of slits; rather,
5 slits are excised from a complete image. Is that
6 correct?

7 A. I think that's the most likely case.

8 Q. Do you know one way or the other?

9 A. Well, I'm not -- they could have put slits in
10 here. It would have worked. I didn't see a description
11 of -- of a mask in their camera in this document.

12 Q. You testified that the camera is mounted on a
13 tripod and rotated; is that correct?

14 A. Yes.

15 Q. Is the -- does Kawakita disclose a manual or
16 motorized rotation of the camera?

17 A. It could be either. I think it suggests that
18 the technique described here is especially designed for
19 a manual one.

20 Q. Directing your attention to section 2, on page
21 14 of this exhibit, Sony-1003, doesn't it say that the
22 camera is rotated manually?

23 A. Yeah, it could be manual, or it could be
24 automatic. It's saying that because the technique here
25 does not have the limitation of constant rotation, you

1 can have erratic rotation, such as manually. At least
2 that's how I interpret the translation.

3 Q. How does Kawakita disclose that slit images are
4 determined and excised?

5 A. Kawakita extracts optic flow between frames to
6 estimate the angle of rotation between views, uses that
7 to determine the width of the slit image, that is then
8 used to determine which regions of the image are
9 extracted to create the resulting mosaics that are shown
10 on figure 5.

11 Q. Is it your understanding that Kawakita
12 discloses that that determination of optical flow
13 determines the width of the slit images?

14 A. Yes.

15 Q. So, for instance, would this result in the
16 speed of the rotation from frame to frame affecting the
17 width of the slit images?

18 A. Yes.

19 Q. From each frame, how many slit images does
20 Kawakita disclose are created?

21 A. At least two, corresponding to the left
22 panorama and right panorama, the left eye and right eye
23 slit images.

24 Q. And is it your understanding that Kawakita
25 discloses that the left eye slit images are for the left

1 panorama or for the right panorama?

2 A. I don't recall that detail right now. I would
3 have to review the document to check that. I remember a
4 discussion like that, but I can't remember which
5 document it was in at this moment.

6 Q. What does Kawakita disclose is done with the
7 slit images once they're determined?

8 A. They're composited continuously into a
9 sequence.

10 Q. How many sequences?

11 A. Two.

12 Q. Is it your understanding that Kawakita
13 discloses the result of that process are images of the
14 sort shown in figure 5 of page 16?

15 A. Yes.

16 Q. Does the process of acquiring images, excising
17 slits, and compositing left and right eye -- left and
18 right panoramas result in the creation of a stereoscopic
19 panoramic image pair?

20 A. Yes.

21 Q. Those images will result in a human perception
22 of depth?

23 A. When viewed.

24 So my answer was yes, in case it wasn't clear.

25 Q. Where is it described in Kawakita that the

1 process we've just described, of acquiring images,
2 excising slit images, and compositing left and right
3 panoramas, results in the creation of an image pair that
4 results in a human perception of depth?

5 A. At the bottom of what's marked as page 16, in
6 section 6, in the section entitled "Stereoscopic Viewing
7 Using Depth Parallax Angle," the first sentence of that
8 section discloses that.

9 Q. And that sentence reads, "When the left and
10 right panoramic images obtained using the foregoing
11 procedure are viewed binocular stereoscopically, a
12 stereoscopic view is possible that faithfully reproduces
13 the positional relationships, if the image was captured
14 from a sufficient distance." That's the sentence you're
15 referring to?

16 A. Yes.

17 Q. And that sentence is where in Kawakita a
18 description is provided that this process results in the
19 creation of a stereoscopic image pair?

20 A. Yes.

21 Q. Does Kawakita describe that the image
22 composition process we've just discussed always result
23 in a stereoscopic panoramic image pair, as you've
24 defined that term?

25 A. If a pair was created with everything so far

1 away as to have no depth differences, then we might not
2 consider it to have any depth variation.

3 Actually, let me -- let me -- let me strike
4 that answer and ask you to repeat the question.

5 Q. Does Kawakita describe that the image
6 composition process we've just discussed always result
7 in a stereoscopic panoramic image pair, as you've
8 defined that term?

9 A. I think so, in that there would always be some
10 sense of depth, broadly defining "depth."

11 Q. Doesn't Kawakita disclose that there are some
12 circumstances in which faithful stereoscopic viewing is
13 impossible?

14 A. Yes. Kawakita discloses that in certain scene
15 conditions, depth will not be faithfully reproduced, or,
16 more specifically, that the depth perception will not
17 faithfully reproduce the positional relationships.

18 Q. In light of that testimony, I'm going to ask
19 you again, does Kawakita describe that the image
20 composition process that we've just discussed always
21 result in a stereoscopic panoramic image pair, as you've
22 defined that term?

23 A. Yes.

24 Would you like me to make a clarifying comment?

25 Q. I'm satisfied with your answer.

1 A. Okay.

2 Q. If an image doesn't provide a perception of
3 depth to a human viewer -- let me rephrase the question.

4 If an image pair created by the Kawakita
5 process doesn't provide a perception of depth to a human
6 viewer, is it a stereoscopic panoramic image pair?

7 A. No. It need not faithfully reproduce the
8 positional relationships, however, to provide a sense of
9 depth.

10 You can have an unfaithful relationship -- an
11 unfaithful reproduction or an approximate reproduction
12 or a ordered relationship that -- that perceives the
13 ordering but not the metric properties of the depth.

14 Q. So even, if I understand your testimony, in
15 circumstances where Kawakita and his colleagues
16 themselves state that for certain image pairs objects
17 appear to overlap or have some other fault, making
18 faithful stereoscopic viewing impossible, for you it's
19 nonetheless a stereoscopic panoramic image pair?

20 A. I -- you didn't quote his language exactly.
21 Could you repeat the question?

22 Q. So if I understand your testimony, even in
23 circumstances where Kawakita and his colleagues
24 themselves state that for certain image pairs "objects
25 appear to overlap or some other fault, making faithful

1 stereoscopic viewing impossible," for you that image
2 pair is nonetheless a stereoscopic panoramic image pair?

3 A. Can you show me -- can you guide me to the
4 language you're quoting?

5 Q. You don't recall seeing this in Kawakita?

6 A. I may recall.

7 Q. It's on page 17, directly above figure 6.

8 A. So when you have faithful stereoscopic viewing,
9 such that "a stereoscopic view is possible that
10 faithfully reproduces the positional relationships," I
11 take that to mean, by the authors, they're expressing
12 the goal of a very high-fidelity, accurate
13 reconstruction and perception, I should say, of the
14 depth relationships in the scene, and that is a stricter
15 definition of depth perception than the one that I would
16 use when defining the term "stereoscopic panorama."

17 Q. So even in the circumstances described by
18 Kawakita and his colleagues in which objects appear to
19 overlap, such objects are still part of a stereoscopic
20 panoramic image pair under your definition?

21 A. In that hypothetical case, if there were other
22 objects in the scene that didn't overlap, that did have
23 proper depth, there would be some depth perception in
24 that scene. It may not be a very high-quality
25 perception, or faithful.

1 Q. So even -- in your view, even an image pair
2 that produces an inaccurate perception of depth is a
3 stereoscopic image pair, under your definition?

4 A. If "inaccurate" means imperfect, then I would
5 agree with that.

6 Q. Under what -- excuse me.

7 Under what circumstances does Kawakita describe
8 that their process will not generate images capable of
9 faithful stereoscopic viewing?

10 A. When the image is not captured from a
11 sufficient distance or if the distance from the camera
12 to the objects vary greatly in similar circumstances.

13 Q. Let's talk about the scenario that Kawakita
14 describes regarding when an object is not captured from
15 a sufficient distance. Do you agree with the conclusion
16 of Kawakita that that would result in an image that does
17 not provide -- or, rather, it makes "faithful
18 stereoscopic viewing impossible"?

19 A. Correct.

20 Q. You agree with that statement?

21 A. Yes.

22 Q. Why?

23 A. Because the "faithful stereoscopic viewing"
24 means that it faithfully reproduces the positional
25 relationships, and if the objects we're discussing are

1 too close, those objects will not be fused, using the
2 process of stereo fusion that we described earlier in
3 this deposition, and therefore there won't be a accurate
4 or faithful sense of depth possible for those objects,
5 so at least part of the scene would be inaccurate or
6 disturbing to view.

7 Q. What distance from the camera does Kawakita say
8 is sufficient to permit faithful stereoscopic viewing?

9 A. I don't recall that he gave a number for that.

10 Q. Do you know one way or the other whether
11 Kawakita states what distance is sufficient to permit
12 faithful stereoscopic viewing?

13 A. I don't recall.

14 Q. What would a skilled artisan in your field
15 understand to be a sufficient distance for perception of
16 depth resulting from the Kawakita process?

17 A. Again, it's the analysis you would go through
18 for a typical human. Say if they're -- if they're
19 fixating at infinity, how close can -- an object can
20 they perceive as not appearing as two objects or
21 ghosting. So it's some distance in front of the
22 observer. I don't have that number in my head right
23 now, but it's well understood.

24 Q. At the top of page 17, Kawakita describes that
25 "However, if the camera was placed at a comparatively

1 close distance, or if the distance from the camera to
2 the object varies greatly, the positions representing
3 the left and right panoramic images must be adjusted."

4 What do you understand Kawakita to mean by a
5 comparatively close distance?

6 A. That the distance was too close for faithful
7 perception of depth, because -- most likely because
8 fusion was impossible.

9 Q. An image generated using the Kawakita process
10 in which the objects in the scene are too close or
11 comparatively close, as Kawakita describes, is
12 nonetheless a panoramic stereoscopic image pair, in your
13 view?

14 A. If everything in the scene is -- in your
15 hypothetical, could you clarify whether everything in
16 the scene is too close?

17 Q. Let's call that hypothetical 1. Everything in
18 the scene is comparatively close, using Kawakita's
19 terminology. Is it a stereoscopic -- is the resultant
20 image pair a stereoscopic panoramic image?

21 A. So nowhere in the scene -- if it is the case
22 that nowhere in the scene fusion is possible, there's
23 not a single object that can be fused, then I would
24 probably not characterize that as a stereoscopic image
25 pair that's viewable by a human.

1 Q. If one portion of the panorama provides a
2 perception of depth but the others do not, is it a
3 stereoscopic panoramic image pair?

4 A. I believe it would, because the human would
5 perceive depth in a portion of that stereoscopic
6 panorama.

7 Q. Does Kawakita disclose that faithful
8 stereoscopic viewing is impossible if the distance from
9 the camera to the objects in the scene varies greatly?

10 A. Yes.

11 Q. Do you agree with that statement by Kawakita,
12 that faithful stereoscopic viewing would be impossible
13 if the distance from the camera to the objects in the
14 scene varies greatly?

15 A. Yes.

16 Q. Why?

17 A. Because it's difficult to have a perception of
18 depth that's consistent for all the objects in that
19 condition.

20 Q. What do you understand "varies greatly" to mean
21 in connection with the disclosure of Kawakita?

22 A. I think it has the ordinary definition, just a
23 lot of variation.

24 Q. How would one of skill in the art take that
25 statement, that faithful stereoscopic viewing is

1 impossible when objects in the scene vary greatly with
2 respect to their distance from the camera and implement
3 an arrangement that results in images that are capable
4 of being viewed stereoscopically?

5 A. Could you repeat that question?

6 Q. How could one of skill in the art take what's
7 said here about faithful stereoscopic viewing being
8 impossible when the distance from the camera to the
9 objects in the scene varies greatly and ensure that they
10 are capturing images that do not produce that problem?

11 A. They could follow the instructions -- the --
12 they could follow the ideas described in this paper that
13 provide for such corrections to overcome that problem.

14 Q. You're referring to the process of adjustment
15 that is described in sections 6 and 7 of the Kawakita
16 reference?

17 A. Section 6, yes. Section 7 I believe describes
18 the field test, so I would not include it in my
19 reference there.

20 Q. Short of the adjustment process disclosed in
21 section 6, Kawakita provides no guidance as to how to
22 avoid or what distance from objects to the camera would
23 count as varying greatly?

24 MR. HANLEY: Objection; vague.

25 THE WITNESS: Yeah, I didn't quite catch the

1 question. Can you repeat the question, please?

2 BY MR. NELSON:

3 Q. Other than the adjustment process disclosed in
4 section 6, it's your understanding that Kawakita
5 provides no guidance as to what it means for objects to
6 vary greatly in terms of their distance from the camera?

7 A. That is correct, other than the reference to
8 the fact that there would be sufficient distances. But
9 that's not defined precisely either.

10 Q. And it's your understanding, as you've just
11 testified, that Kawakita does describe, in section 6, a
12 technique to address the issue of providing proper depth
13 perception for images that aren't captured from a
14 sufficient distance or do have objects that vary greatly
15 in their distance?

16 A. I think my testimony was that they do that such
17 that you can have faithful reproduction of -- and
18 faithful stereoscopic viewing.

19 Q. What does Kawakita describe as the adjustments
20 that must be made to make stereoscopic -- faithful
21 stereoscopic viewing possible?

22 A. In section 6, Kawakita describes various
23 mechanisms to adjust the parallax angle computed when
24 constructing these stereo panoramas.

25 Q. How does Kawakita describe these mechanisms are

1 implemented in a viewing system?

2 A. When you say "implemented," are you referring
3 to -- I'm not sure I understand the question.

4 Q. Well --

5 A. He says that computations can be taken to
6 compute these quantities.

7 Q. And then he calls it a day? Or does he
8 implement it in a system to permit what he describes as
9 faithful stereoscopic viewing?

10 A. He has built artifacts that perform these
11 functions, yes.

12 Q. So what does he describe there?

13 A. Where are you -- where are you referring to in
14 the document?

15 Q. You've testified that Kawakita has built
16 artifacts that perform these adjustment functions.
17 Describe the artifacts for me.

18 A. Well, in section 7 he refers to "A field
19 test...conducted applying these techniques to panoramic
20 images of an elevator hallway in which...distance to
21 objects varies greatly."

22 Q. And what does he do?

23 A. What does his document describe, or what do I
24 speculate he did? What -- I'm not sure what you're
25 asking.

1 Q. Well, let's start with what the document
2 describes. What does the document describe as the
3 implementation in section 7?

4 A. The implementation?

5 The -- this -- the -- section 7 does not
6 describe an implementation. It describes a field test.
7 So I don't know how to answer your question.

8 Q. Do you know what he did?

9 A. Yes.

10 Q. He and his colleagues did?

11 What did they do?

12 A. They used the method that they describe in
13 sections -- in the previous sections on images that they
14 collected in their laboratory.

15 Q. So describe the artifact that performs these
16 adjustment functions as disclosed in Kawakita.

17 A. Kawakita does not describe the precise model
18 number of any computer or camera that they use for a
19 specific experiment, so I would not be able to tell you,
20 based on this document, with -- any description of such
21 artifact. I can certainly speculate as to what I would
22 imagine they have done, if you'd like -- if that's what
23 you're asking me to do. But it would be clear to -- it
24 was clear -- it would have been clear to me at the time,
25 and anyone in our field, how to implement the ideas that

1 are described here using the conventional tools and
2 processes that our field has.

3 Q. Does Kawakita describe any display mechanism
4 for displaying images and adjusting them according to
5 their technique?

6 A. It describes that panoramic images were viewed
7 stereoscopically and that there was stereoscopic viewing
8 with alignment control. I do not recall any disclosure
9 of which specific stereoscopic viewing technique. But
10 if it's in there and I just don't recall it, please draw
11 my attention to it.

12 Q. Did you discern in this Kawakita article any
13 mechanism to perform the adjustments they describe so as
14 to permit faithful stereoscopic viewing of these images?

15 A. Yes. They say that the method -- they disclose
16 the method in section 6 which performs the adjustments
17 of depth parallax angle and applied that in a field
18 test, using an apparatus that they constructed, and had
19 human viewers -- they mentioned ten research
20 personnel -- view the panoramas stereoscopically,
21 through some apparatus that isn't specifically
22 disclosed, but a stereoscopic viewing apparatus that
23 could have had double images, which is indicative of the
24 failure of stereoscopic fusion, and they say those ten
25 personnel experienced a faithful reproduction of

1 their -- of a sense of depth.

2 Q. How does Kawakita disclose that that apparatus
3 performs the necessary adjustments?

4 A. I believe I've already answered that question.
5 It's described -- disclosed using the technique
6 described in section 6.

7 Q. Well, section 6 describes a technique for
8 calculating what adjustments must occur, correct?

9 A. Uh-huh.

10 Q. I'm asking you a different question, sir.
11 Where does Kawakita describe the implementation of those
12 adjustments with respect to an image pair to permit
13 faithful stereoscopic viewing? Where?

14 A. I believe it's -- I'm not sure I fully
15 understand your question. But section 6 describes how
16 one would actually manipulate the panoramic images. And
17 there's a reference to figure 7, that shows the rotation
18 of the panoramic images, that would perform the
19 corrections or adjustments that section 6 is spending
20 all of its time talking about. And those were the
21 implementation I believe you're asking for of the -- of
22 the -- that would be realized in an apparatus.

23 Q. So your testimony is that Kawakita describes
24 rotating the images so that faithful stereoscopic
25 viewing is possible?

1 A. In part, yes.

2 Q. What else does it disclose, other than rotating
3 the images?

4 A. It discloses all of the content of section 6.

5 Q. Where in section 6 other than rotating images
6 is manipulation of the images to permit stereoscopic
7 viewing described?

8 A. I'm not sure. I haven't studied this section
9 in detail recently. But I could do so if -- if it was
10 useful.

11 Q. Do you think an understanding of how this
12 occurs is necessary to your conclusion that Kawakita
13 discloses a technique for generating stereo panoramic
14 image pairs?

15 A. No, not at -- not beyond what I've testified
16 today.

17 Q. As far as you know, does Kawakita describe
18 anything other than image rotation to perform the
19 adjustments that it describes as necessary to permit
20 faithful stereoscopic viewing?

21 A. I haven't given an opinion on that, and I don't
22 have an opinion on that.

23 Q. Do you understand what Kawakita did in his
24 field test to permit faithful stereoscopic viewing?

25 A. Yes, sir.

1 Q. What did he do?

2 A. He continuously varied the depth parallax angle
3 using linear interpolation, such that "correspondences
4 were made with the panoramic images." I'm reading the
5 text from section 7.

6 Q. What does that text mean?

7 A. It means what it says. I don't know exactly
8 what you're trying to -- where you're trying to steer my
9 testimony.

10 Q. What does it mean in the field test when it
11 states that "the depth parallax angle obtained was
12 continuously varied using linear interpolation?

13 A. It means there's a correction term, that's
14 computed as described in the previous section, that's
15 based on the depth -- the depths of the objects in the
16 scene at that point, and that that value is one that
17 changes continuously through the panorama, because the
18 depths change, and that is then used to correct the
19 panoramic images.

20 Q. And how does Kawakita describe that it's used
21 to correct the images?

22 A. I don't recall precisely where. I haven't
23 memorized this text before the deposition.

24 Q. I didn't ask you precisely where. I asked you
25 how Kawakita describes that it's used to correct the

1 image.

2 A. I believe it's done in the last part of the
3 section.

4 Q. Are you referring to section 7?

5 A. Yeah. It -- I mean, rather than reread the
6 text as I sit here now, I think it's better for me just
7 to answer other questions that you have. I don't know
8 exactly which sentence is the one that does describe
9 that computation. But I could reread it and -- and --
10 and find it.

11 Q. Sir, you either have an answer to my question
12 or you don't have --

13 A. I think that --

14 Q. -- an answer to my question.

15 A. Would you like to repeat your question?

16 Q. How does Kawakita describe that the information
17 that you have described is calculated is used to perform
18 these corrections?

19 A. As I've testified, I believe it's disclosed in
20 figure 7, which is described in the last paragraph on
21 page 17. That's my understanding of my testimony.

22 Q. And what is done? What is actually happening?
23 You've just repeated the words to me. What is being
24 done?

25 A. It's rotating the panoramic images for display.

1 Q. And how is the degree of rotation determined
2 and applied, according to Kawakita?

3 A. I don't recall the specific details on that.

4 Q. Did you --

5 A. Based on my memory of this document.

6 Q. Did you consider those details in forming your
7 opinions stated in your declaration?

8 A. I -- no. I don't believe that is necessary to
9 support the conclusions that I've made.

10 Q. Let me direct your attention to section 7. It
11 says first, "A field test was conducted applying these
12 techniques to panoramic images of an elevator hallway in
13 which the distance to objects varies greatly." Do you
14 see that?

15 A. I do.

16 Q. Do you understand that to be referring to
17 figure 5, on page 16?

18 A. It might be. It's not specifically referring
19 to that.

20 Q. Figure 5 is labeled "Panoramic Images of an
21 Elevator Hallway"?

22 A. There may be more than one elevator hallway in
23 their laboratory.

24 Q. Uh-huh.

25 A. So I -- I'm not sure of that.

1 Q. I note that Kawakita here, in section 7, does
2 not refer to the images on which these adjustments are
3 being performed as stereoscopic panoramic images.
4 Instead they're panoramic images. Do you agree with me?

5 A. That's literally true in the document, yes.
6 However, this is a translation, so I don't know what it
7 said in the original Japanese.

8 Q. Section 7 says then, "First, while actually
9 looking at the panoramic images, alignment was performed
10 in several sight line directions so faithful
11 stereoscopic viewing would be possible." What do you
12 understand is occurring in connection with that
13 statement in this field test?

14 A. I understand that most likely to be the process
15 of finding corresponding regions of the scene in the two
16 stereo panoramic images which can then be presented for
17 stereoscopic viewing.

18 Q. So there's some one or some ones viewing the
19 two images, as a starting point. Someone's looking at
20 them, correct --

21 A. They --

22 Q. -- in Kawakita?

23 A. They will -- the -- to look at a panorama, you
24 would -- to view a panorama, you have to view a region
25 of the panorama corresponding to a viewpoint. You --

1 you can't view 360 degrees at the same time, usually.
2 At least that's not my understanding of what they're
3 talking about here.

4 Q. And is that why here Kawakita describes that
5 alignment was performed in several sight line
6 directions?

7 A. Yeah, I'm not exactly sure what that specific
8 phrase was referring to.

9 Q. You don't know what this phrase refers to?

10 A. I -- my understanding is that it's aligning the
11 two panoramic images such that corresponding regions of
12 the scene can be viewed by the human observer.

13 Q. Is it performing such alignment repeatedly
14 across different sight line directions, as disclosed by
15 Kawakita?

16 A. It sounds like a circular question. Could you
17 repeat the question?

18 Q. Sure. Is -- let me ask it a different way.

19 Does this process of adjustment that you've
20 read in Kawakita describe a single adjustment for a pair
21 of panoramic images or does it describe performing
22 multiple adjustments as a viewer observes the images?

23 A. I'm not sure it actually says whether the --
24 the alignments are performed while the viewers are
25 viewing the images or as -- let me strike that, that

1 answer. I got it sideways.

2 Kawakita discloses performing the alignment in
3 several sight line directions while viewing the
4 panoramic images.

5 Q. So for each sight line direction in a pair of
6 images, Kawakita describes that a new adjustment must be
7 made for each of those sight lines when an observer is
8 viewing?

9 A. I think so. Yes.

10 Q. Does Kawakita describe how these adjustments
11 are being made while a human is viewing the image pair?

12 A. I believe Kawakita discloses that those
13 adjustments are made according to the algorithm and
14 computations described in the previous section.

15 Q. I have a much more basic question.

16 Does Kawakita describe that a person is making
17 these adjustments, depending on where the viewer is
18 looking?

19 A. That's not my understanding.

20 Q. How are the adjustments described in Kawakita,
21 then, made as one views an image pair in this process?

22 A. I mean, I think we're back to the previous
23 questions that you've -- I've already testified to.
24 Section 6 describes a process of assessing the depths
25 of -- displayed of objects in the scene and a mechanism

1 to rotate images according to the depth parallax angle.
2 And those are the techniques that are employed in the
3 test that they -- that they conducted.

4 Q. I agree with you that section 6 describes
5 assessing depths. I want to know what Kawakita teaches
6 you, as an expert, about how to implement this. What
7 does it tell you about how to implement this, so that I
8 can go look at a pair of images captured using the
9 Kawakita process?

10 A. I don't believe I formed an opinion on that for
11 my declarations. Reading this document, when I first
12 read it, and as I look at it again today, you know,
13 it -- the instructions that it provides to one -- to me
14 or one of ordinary skill in the art seems
15 straightforward to realize using conventional methods
16 and processes that one would know. That's all I can
17 say, is it appears to be something that would be
18 straightforward to implement. I haven't done that
19 myself, so I don't know that I can say exactly how you
20 would do it. But it appears to be straightforward.

21 Q. Does Kawakita describe to you how to build such
22 a mechanism?

23 A. That's what I just said. Yes.

24 Q. Well, it's different than what you testified.
25 If I understand your earlier testimony, you said it

1 seems straightforward to realize using conventional
2 methods. And my question to you, sir: Was Kawakita
3 telling you how to do it? I'm not asking you if someone
4 could figure it out. Does --

5 A. No, no, no, no.

6 Q. -- Kawakita tell you how to do it?

7 A. I -- maybe I should -- let me tell you what I
8 believe my testimony to be, which is that Kawakita
9 explains methods that you could realize using
10 conventional tools and processes.

11 Q. What are those tools and processes? Describe
12 how you would --

13 A. Oh, you --

14 Q. -- implement this.

15 A. You -- you have computers and -- with a
16 certain -- with operating systems and image processing
17 toolboxes, and you have methods for collecting images
18 and loading images into memory and computing image
19 processing operators on those. Is this -- is this the
20 kind of that that you're -- you are looking for?

21 I'm really not sure what direction I'm supposed
22 to go in to help you with your understanding with this
23 material.

24 Q. I'm asking about your understanding of this
25 material. And I'm looking for the answer of, do you

1 understand how to build what Kawakita discloses?

2 A. I have not tried to build what Kawakita
3 discloses. And based on my review and reading of the
4 method, it appeared to me that it would not be difficult
5 to do so. But I have not gone through the exercise of
6 specifically determining how I would build it or how I
7 would direct a student to build it.

8 Q. And can you determine, from what you've read in
9 Kawakita, what he built?

10 A. I -- can you tell me at what level you -- you
11 want that question answered? I don't know exactly what
12 type of computer or camera or image processing software
13 he used. I do know the level of mathematics that he
14 used, because that's what's disclosed in here. So
15 that's the best answer I can give to you.

16 Q. You've stated that you don't know what type of
17 computer or camera or imaging processing software he
18 used. Do you know what form of display was used?

19 A. No.

20 Q. Do you know what mechanism was used to actually
21 perform the adjustments?

22 A. Can you define "mechanism"?

23 Q. Any mechanism to rotate the images as we've
24 described.

25 A. Well, the mathematic -- the mathematical

1 mechanism is disclosed directly. When one goes to
2 rotate images in a particular image processing
3 environment, one would call various functions or
4 operators that would perform the digital manipulations
5 of the data structures that contain the pixels
6 corresponding to the images or panoramas or other
7 data -- or other representations, to do the rotation.
8 That would be one way one could do it.

9 Q. And when does Kawakita disclose that the --
10 that the adjustments must be implemented when viewing an
11 image pair?

12 A. I don't understand that question.

13 Q. Does Kawakita disclose that a set of
14 adjustments is implemented once and then the image pair
15 is ready for faithful stereoscopic viewing?

16 A. I think that -- no, because I believe he
17 discloses continuously varying adjustments.

18 Q. And on what principle does the adjustment
19 continuously vary?

20 A. I'm not sure he states it in that -- in that
21 fashion.

22 Q. Does it vary according to what portion of the
23 scene the viewer is looking at?

24 A. Of course.

25 Q. That's when an adjustment must occur in the

1 Kawakita disclosure: when someone looks at a different
2 part of the scene?

3 A. According to the different -- the adjustment is
4 based on the depths of the objects in the scene. So one
5 wouldn't have to recompute it when one looks at the same
6 part of the scene again.

7 Q. But is it your understanding that what's being
8 described here in Kawakita is that when a viewer of the
9 image pair that's been generated using the Kawakita
10 process shifts their viewing to a different part of the
11 panorama --

12 A. I see where you're going now.

13 Q. -- an adjustment must then be implemented?

14 A. No.

15 Q. No?

16 A. No.

17 Q. It does not disclose that?

18 A. I think it discloses the adjustment once for
19 the entire scene, based on the composition of the scene.
20 That's my understanding.

21 Q. And this is how you interpret the statement in
22 the field test that "the depth parallax angle...was
23 continuously varied using linear interpolation"?

24 A. That is, yes.

25 Q. And the statement there in section 7 goes on

1 that "the depth parallax angle for all sight line
2 directions was calculated." Do you see that?

3 A. I do.

4 Q. In the Kawakita process, would a different
5 depth parallax angle in one sight line direction require
6 a different level of rotation than another depth
7 parallax angle for a different sight line direction?

8 A. Can you repeat the question?

9 Q. Well, maybe we can get at it a different way.

10 You agree with me that Kawakita here describes
11 determining the depth parallax or calculating the depth
12 parallax angle for all sight line directions in a
13 panoramic image?

14 A. Yeah.

15 Q. So just we don't know for sure, but let's
16 assume there's at least a far left view and a far right
17 sight line direction.

18 A. At least. But I would expect there to be many
19 more.

20 Q. Many more across the panoramic image?

21 A. Yeah.

22 Q. And you agree with me that Kawakita describes
23 that you must calculate a depth parallax angle for each
24 of these sight line directions?

25 A. I would agree that it discloses that they did

1 it. I don't know if he says that you always have to do
2 it. But it was done.

3 Q. And do you understand, then, that the
4 description is that there may well be different depth
5 parallax angles for each sight line position --

6 A. Indeed.

7 Q. -- that are calculated?

8 Does that fact, of different depth parallax
9 angles across the scene, mean that in fact a new
10 adjustment must be performed at each sight line
11 direction to permit faithful stereoscopic viewing?

12 A. Yeah. Yes.

13 Q. So as Kawakita describes in the field test,
14 when the viewer switches their field of view to a
15 different sight line direction, there's a new adjustment
16 to that image pair to be made, correct?

17 A. It may have been precomputed just once for the
18 whole scene. But if it hadn't been computed yet and
19 this is the first time the viewer looked there, it could
20 be computed at that moment. But that would be the more
21 awkward way to do it.

22 Q. I understand your testimony about it may have
23 been computed previously. And my question is slightly
24 different, which is, when the focus of the viewer
25 changes, regardless of whether it has already been

1 computed, doesn't Kawakita describe that a new
2 adjustment may be made?

3 A. I think it discloses that it could be done that
4 way, yes.

5 Q. If it wasn't done and the depth parallax angle
6 of the new sight line direction is different than the
7 depth parallax angle of the old sight line direction,
8 will faithful stereoscopic viewing of that portion of
9 the scene be possible?

10 A. There may be other ways to have corrected
11 for -- for the issue. I mean, I -- there may be other
12 ways to precompute this data structure. But let me --
13 maybe if you reask the question again, I can ask it --
14 answer it directly.

15 Q. If no subsequent adjustment of the panoramic
16 images is made when a viewer shifts their viewing to a
17 different sight line direction in the panoramic images
18 and the depth parallax angle that's been calculated for
19 that new line of sight is different than the old, will
20 faithful stereoscopic viewing of that portion of the
21 scene be possible --

22 A. No.

23 Q. -- in Kawakita?

24 A. I don't think so.

25 MR. NELSON: You know what? We've been going

1 on for a little while. Let's grab some lunch, and then
2 let's --

3 MR. HANLEY: Sure.

4 MR. NELSON: -- reconvene. Thank you.

5 (Whereupon, a lunch recess was taken.)
6

7 AFTERNOON PROCEEDINGS

8 BY MR. NELSON:

9 Q. Professor Darrell, before we broke for lunch,
10 we were talking about Kawakita and the nature of the
11 disclosure in Kawakita of an implementation of a system
12 to adjust images. Do you remember that discussion?

13 A. Yes.

14 Q. Okay. And when we broke, I -- just before we
15 broke, I had asked you that in the disclosure of
16 Kawakita's system for these adjustments, that if no
17 subsequent adjustment of the panoramic images is made
18 when a viewer shifts their viewing to a different sight
19 line direction in the panoramic image and the depth
20 parallax angle that's been calculated for that new line
21 of sight is different than the depth parallax angle
22 associated with the old line of sight, faithful
23 stereoscopic viewing of that portion of the scene in
24 Kawakita wouldn't be possible absent a new adjustment.
25 Was that your testimony?

1 A. It sounds right, yes.

2 Q. And so would you agree with me, then, that what
3 Kawakita's process is describing is, or, rather,
4 contemplates, that there may need to be a whole series
5 of adjustments performed upon a panoramic image pair
6 depending on which direction the viewer is viewing that
7 image?

8 A. For faithful viewing?

9 Q. For perception of depth.

10 A. For faithful perception of depth or for -- or
11 for perception of depth?

12 Q. Well, let's --

13 A. Maybe you should reask the question.

14 Q. Sure. Would you agree with me, then, that the
15 process of adjustment described by Kawakita contemplates
16 that depending on variance in the calculated depth
17 parallax angle across different viewing lines in the
18 panoramic image pair, there may need to be a whole
19 series of adjustments performed as to that image pair in
20 order to permit faithful stereoscopic viewing, depending
21 on which direction the viewer is looking?

22 A. Yes, I think it contemplates that.

23 Q. And you, in your earlier response to my
24 question, were drawing a distinction, I think, between
25 faithful perception of depth and perception of depth.

1 Is that -- do I understand you to be drawing a
2 distinction there?

3 A. Yes.

4 Q. What distinction is that, in your mind?

5 A. Extremely accurate versus approximate.

6 Q. Let me ask you, Professor Darrell, is a
7 panoramic image pair as to which only a fragment of the
8 panoramic image provides a perception of depth
9 nonetheless a stereoscopic image pair?

10 A. Sure. Seems reasonable to me.

11 Q. Why do you say that?

12 A. Just -- I mean, I don't have any specific --
13 it's because it is -- it meets the definition that it is
14 a stereo panorama, and a portion of it -- some parts of
15 it are accurate, and some parts of it are inaccurate.
16 The fact that parts of it are inaccurate wouldn't change
17 its -- the nature of it as being a panoramic stereo
18 pair.

19 Q. Let me ask you to look at page 19 of Kawakita.
20 In particular I want to draw your attention to the
21 sentence about halfway through that paragraph which
22 reads, "However, we believe it is possible to
23 automatically derive the depth parallax angle using the
24 relationship between the size of the flow vector
25 obtained from optical flow detection and the distance

1 from the camera to the object, and the correlation
2 between the generated left and right panoramic images."

3 Do you see that?

4 A. Yes.

5 Q. Do you understand the statement here that the
6 authors believe it possible to automatically derive the
7 depth parallax angle to indicate that Kawakita itself
8 discloses only manual determination of the depth
9 parallax angle along different sight lines in the scene?

10 A. That wasn't my interpretation.

11 Q. What is your interpretation?

12 A. That there -- that's an additional automatic
13 derivation "using the relationship between the size of
14 the flow vector obtained from optical flow detection and
15 the distance from the camera to the object," and the
16 rest of that sentence. They're describing an additional
17 method to do it.

18 Q. So it -- that doesn't --

19 A. I interpreted that to be future work, the way
20 it's written.

21 Q. So you understand Kawakita separately, earlier
22 here, to be disclosing an automatic derivation of the
23 depth parallax angle across all sight lines?

24 A. That's my present understanding, yes.

25 Q. What causes you to have that understanding in

1 this article?

2 A. Because everything that was described in the
3 previous section suggested that it was computations
4 performed using automatic means, not using manual
5 intervention.

6 Q. Does the description of the field test in
7 figure 7, in which, "while actually looking at the
8 panoramic images, alignment was performed in several
9 sight line directions so faithful stereoscopic viewing
10 would be possible, and the depth parallax angle in each
11 sight line direction was recorded" support your
12 conclusion that what Kawakita is describing is automatic
13 detection or derivation of depth parallax angles?

14 A. I think there the calibration process has an
15 interactive component, where there's some, maybe, user
16 intervention of that calibration process. But the
17 continuously variation -- continuously varying depth
18 parallax handle -- angle, excuse me, and the application
19 of that was automatic. So -- but this calibration
20 process that you've directed my attention to does appear
21 to be potentially including manual intervention at that
22 step.

23 Q. So -- so could I ask you a little bit about
24 your understanding of what you've described as the
25 calibration process. Do you understand Kawakita to be

1 describing a scenario in which a viewer is observing a
2 panoramic image pair from some line-of-sight direction,
3 some portion of it across some line of sight, and based
4 on different adjustments of the rotation of the two
5 images, that viewer is describing better or worse
6 perception of depth?

7 A. Sorry. Could you repeat the question?

8 Q. Sure. I just want to know what you think is
9 going on in this calibration process. I mean, at -- so
10 my question is, do you understand this language to --
11 of -- that I won't read again, but that we're pointing
12 at, to refer to a process in which, first of all, some
13 viewer is viewing a pair of -- of panoramic images,
14 designed to be a left and right pair, along a particular
15 line of sight and looking at what's viewable --

16 A. Uh-huh.

17 Q. -- along that line of sight? Yes?

18 A. Uh-huh.

19 Q. And how do you understand Kawakita to be
20 describing, then, that a calibration occurs? Does that
21 person report that depth looks good?

22 A. It could be that, yes. It could be as simple
23 as just a person adjusts it so that it has stereo depth
24 perception that appears to have high fidelity.

25 Q. Is any other way disclosed in Kawakita that

1 you're aware of?

2 A. Well, and aside from that sentence that we were
3 describe -- we were referring to in section 8 about the
4 possible automatic method, I'm not -- I don't recall any
5 at this moment.

6 Q. Okay. And I want to make sure I understand
7 your testimony. Is it your testimony that Kawakita
8 describes that once this calibration process, as you've
9 described it, has been completed, the adjustment -- that
10 is to say, the rotation of the image pairs -- along each
11 line of sight is performed automatically as a viewer
12 views the scene?

13 A. I think so.

14 Q. How would that be implemented in 1997?

15 A. I think it would be implemented by rotating the
16 images using digital image processing.

17 Q. And how would viewing along different lines of
18 sight be detected in such a scenario in 1997?

19 A. How would viewing along different lines be
20 detected?

21 I'm not sure I understand the question.

22 Q. Well, you've already testified that different
23 amounts of adjustment of rotation may be required at
24 different points along the panoramic image pairs --

25 A. Yes.

1 Q. -- depending on where the viewer is looking.

2 A. Yes.

3 Q. So if it's your testimony that what Kawakita
4 discloses is a technique by which that adjustment, that
5 rotation, is performed automatically, I'm asking you how
6 Kawakita -- first how Kawakita discloses that
7 line-of-sight viewing by the viewer is detected?

8 A. I don't understand the detection part of that.
9 Right? I don't know what you're referring to when you
10 refer to "detected."

11 Q. Well, if -- if you're telling me you read
12 Kawakita as automatically performing these adjustments
13 for a viewer of the scene -- which is what you've said,
14 I believe, correct?

15 A. Yes.

16 Q. How does the disclosure of Kawakita tell you
17 how to know where the person is looking?

18 A. You mean what angle they're viewing --
19 they're --

20 Q. Yeah, what line of sight they are viewing the
21 scene from.

22 A. The stereoscopic viewing apparatus would have
23 some user interface that would presumably allow the user
24 to change their viewpoint, so as to enjoy the panorama.
25 So there's some mechanism for guiding the viewport to a

1 new location to observe a new direction.

2 Q. So they're only viewing a part of the panorama
3 at a time, is your understanding?

4 A. I believe that's how these panoramas are
5 viewed. You can't view 360 degrees at the same time
6 with human eyes.

7 Q. So just a portion is being viewed at a given
8 time, correct? That's your understanding?

9 A. That's my -- yes.

10 Q. And that portion is -- is, if this adjustment
11 occurs, being displayed stereoscopically?

12 A. Yeah.

13 Q. Correct?

14 A. Could you repeat the question?

15 Q. I'm comfortable with your answer.

16 A. Great.

17 Q. I want to confirm something we talked about
18 before lunch. It's your opinion that the image pairs
19 generated by the Kawakita process are a stereoscopic
20 panoramic image pair even prior to any of these
21 adjustment techniques that Kawakita discloses, correct?

22 A. Quite possibly, yes.

23 Q. Possibly? Not always?

24 A. I think we discussed this very degenerate case
25 where you had a scene where everything was so -- so --

1 arranged such as to not be fusible. And maybe that one
2 wouldn't be. But if any person can look at them or any
3 part them and get a perception of approximate depth, I
4 would say, yeah, then it was.

5 Q. Could I ask you to look at figure 5 of
6 Kawakita, on page 16.

7 A. Yes.

8 Q. You understand this to be an image pair that's
9 the output of the Kawakita technique for capturing
10 images, excising slit images, and compositing image
11 pairs?

12 A. I think so, yes.

13 Q. Does figure 5 depict a stereoscopic image pair?

14 A. I think so.

15 Q. How do you know?

16 A. Because it meets the definition that we've been
17 discussing throughout today.

18 Q. Did you test it?

19 A. I have not performed any operations on this
20 image, no.

21 Q. Did you attempt to view it stereoscopically?

22 A. I have not.

23 Q. If viewed stereoscopically, without any
24 adjustment for parallax depth angle, as described in
25 Kawakita, does figure 5 provide to a human perception of

1 depth?

2 A. I believe it would.

3 Q. What basis do you have for making that?

4 A. Because it -- there are corresponding scene
5 elements when regions -- if you present the
6 corresponding scene region from these two panoramas to
7 each eye, different scene elements would have different
8 disparities, within a range that would generally be
9 fusible, and it -- therefore I conclude that a human
10 would get a -- at least a sense of approximate depth
11 from this scene or scenes like it that would generally
12 be collected by this apparatus. So I need not limit
13 myself to just this one image. I can consider all of
14 the images that -- that this apparatus would generally
15 produce, although you did ask me about this one image in
16 your question, so I should probably only talk about that
17 in my answer.

18 Q. Directing your attention to Sony Exhibit 1010,
19 your first declaration in the '003 matter, paragraph
20 10(b) -- do you see where I am?

21 A. Yep.

22 Q. Paragraph 10(b) states that "It would have been
23 obvious to a person of ordinary skill in the art to
24 combine the 2D panorama and stereoscopic panorama
25 embodiments discussed in Kawakita by excising a center

1 slit as well as a left and right slit to obtain both a
2 2D panoramic image and a stereoscopic panoramic image
3 pair." Do you see that?

4 A. Yeah.

5 Q. What do you mean by "it would have been
6 obvious"?

7 A. It would have been readily apparent.

8 Q. Do you have an understanding of what the legal
9 standard is for a piece of prior art or an activity in
10 connection with a piece of prior art to be obvious?

11 A. I do.

12 Q. What is that standard?

13 A. That it would have to be obvious to someone of
14 ordinary skill in the art, given what they knew at the
15 time, to combine these things to achieve the outcome.

16 Q. Is that what you meant by "obvious" in your
17 statement in this declaration?

18 A. Yeah.

19 Q. In forming your conclusion that it would have
20 been obvious to combine a 2D panorama and a stereoscopic
21 panorama as discussed in Kawakita, did you consider your
22 own work?

23 A. Only generally, insofar as I considered the
24 general knowledge that I knew people -- that I knew I
25 had and that I knew people would have, but limiting it

1 to people of ordinary skill; but, no, no specific work
2 of mine did I rely on for that consideration.

3 Q. And in your own work, did you rely on your
4 entire body of work, as a general matter, in forming
5 your opinion that it would be obvious to combine these
6 two?

7 A. I didn't exclude any of my previous knowledge.
8 I'm not sure.

9 Q. Okay. What about the work of others in the
10 field? Did you consider any of -- any work by any other
11 person in the field in coming to your conclusion that it
12 would have been obvious to combine these two components?

13 A. No. It just seems obvious on the face of it.

14 Q. Did you consider the teachings of the '003
15 patent or the '284 patent to come to your conclusion
16 that it would be obvious to combine these two?

17 A. No.

18 Q. I'd like to direct your attention to the
19 second sentence in paragraph (b) of your declaration,
20 which --

21 A. In paragraph 10?

22 Q. Yes, thank you, paragraph 10(b). It reads that
23 "One of ordinary skill in the art would understand that
24 setting the focal point of the camera at a reasonable
25 distance away from the rotational axis will not

1 materially affect the quality of the center slit 2D
2 panorama," comma, "just as it does not materially affect
3 the quality of the left and right slit images." Do you
4 see that?

5 A. I do.

6 Q. What is the significance of the second sentence
7 of your opinion in paragraph 10(b) to the first
8 sentence?

9 A. It's pointing out to -- pointing out that one
10 of ordinary skill in the art would -- who might want to
11 have a traditional panoramic view, such as was commonly
12 done prior to -- to these works, where you rotate a
13 camera around its own axis to get a monocular panorama,
14 that if you also wanted that image, it could be directly
15 excised from the center slit of the apparatus that's
16 being described by Kawakita, and that you get the same
17 image -- it's the same panorama. Even though that
18 Kawakita's cameras is shifted a reasonable distance away
19 from the rotational axis, you get the same panorama for
20 the center slit image as you would for the -- if you
21 hadn't translated it.

22 Q. Does Kawakita disclose a center slit image?

23 A. Yes.

24 Q. Where?

25 A. I'll have to look through it to find it.

1 Q. Sure.

2 A. I think it really just does so in the
3 introduction, I recall, almost just the second sentence
4 and the third sentence of the introduction.

5 Q. Are you referring to the sentence that reads,
6 "In the case of a 360-degree panoramic image, a single
7 panoramic image can be generated from images in all
8 direction with the photographing position at the
9 center"?

10 A. Yeah.

11 Q. You're not aware of it being disclosed anywhere
12 else in Kawakita?

13 A. I'm not sure.

14 Q. Okay. Does this two-dimensional center slit
15 technique disclosed here we're looking at in the
16 introduction describe center slit images having a fixed
17 width?

18 A. I'm sorry. Could you repeat that question?

19 Q. Does the technique for two-dimensional
20 panoramas that you've pointed me to here describe that
21 the center slit images have a fixed width?

22 A. It doesn't specify that in that disclosure
23 right there. It refers to the fact that this has been
24 done in the past and can be done in the present. My
25 most natural reading would be that in the past it hadn't

1 been done -- sorry, it had been done with a fixed slit,
2 but then the disclosure here would obviously include
3 that you could take that rotation about the center axis,
4 and if you want to estimate the rotation angle, so as to
5 have a varying rotation angle and adjustable slit, it
6 would be clear that that was possible here as well.

7 Q. Okay. But do you agree with me that the
8 disclosure here of the single panoramic images -- image
9 with -- from a center slit describes that the slit
10 images from that center are of a fixed width?

11 A. No. I didn't say that.

12 Q. Does it not say, right after that sentence,
13 "However, most generation of panoramic images requires
14 that the camera be rotated while maintaining a precise
15 angular speed. This limitation exists because a slit
16 width of fixed size is set in advance corresponding to
17 the rotation angle speed of the camera"?

18 A. But -- yes, it says that there. But then later
19 in the document, it describes how to have a variable
20 slit computed from optic flow.

21 Q. For left and right image pairs, correct?

22 A. To me it's apparent that it could also be done
23 for the center.

24 Q. Understanding your testimony that it's apparent
25 that it could be done for the center, does Kawakita

1 disclose that it can be done for the center?

2 A. I think he does.

3 Q. Where?

4 A. By virtue of talking about the traditional way
5 of collecting a panorama with the optical axis of the
6 camera at the center of rotation and -- and fixed slits
7 and saying that that's a limitation and that we overcome
8 the limitation with our interesting new technique for
9 optic flow estimated rotation angle and thereby
10 computing slits of varying size.

11 Q. I understand that you are drawing that
12 correspondence between the center slit of fixed width
13 and this new technique described. Where does Kawakita
14 and his colleagues in this article describe that the
15 center slit images may be of variable width?

16 A. To me, that is described in the entire section
17 that describes how you can have -- the first sentence of
18 section 4 says "the size of the flow vector is used to
19 set the slit width."

20 Q. "And the respective right eye and left eye slit
21 images are excised from the frame images." Isn't that
22 what the rest of the sentence says?

23 A. I don't know that that's limiting. It could be
24 any of the slit width -- any of -- the widths of any of
25 the slits.

1 Q. Does it say that?

2 A. The words -- those words do not appear in ink,
3 but to me it discloses it.

4 Q. Could I ask you to turn to Sony-1013, your
5 first declaration in connection with the '284 patent,
6 and in particular paragraph 10(b). Will you let me know
7 when you're there?

8 A. I'm sorry. Could you tell me which -- which
9 document to look at?

10 Q. 1013.

11 A. 1013. Good. Fine.

12 Q. I'm not going to read to you the entire
13 paragraph, but I -- I would like to draw your attention
14 to the first sentence of that paragraph, which states
15 that "A person of ordinary skill in the art reading
16 Kawakita would understand that the processing steps
17 disclosed by Kawakita would necessarily have been
18 performed by a processor within a computer or
19 workstation." Do you see that?

20 A. Yes.

21 Q. Understanding your opinion is that a person of
22 skill in the art who read Kawakita would understand that
23 you'd need to use a processor, does Kawakita disclose a
24 processor for use in this process?

25 A. What I said here is what I believe: that it

1 would be necessarily understood that the processing
2 described in Kawakita would have been performed on a
3 processor within a computer. And I'm not sure the
4 precise legal definition of "disclose" that would --
5 that might be distinct from that statement.

6 Q. Does the word "processor" appear in Kawakita,
7 to your knowledge?

8 A. I don't -- I'm not -- I don't know.

9 Q. How about a workstation?

10 A. I don't know.

11 Q. Paragraph (c) of your declaration states that
12 "In fact...every imaging project to which I have
13 contributed, including the nearly 200 listed in my CV,
14 has employed a processor, such as, for example, within a
15 computer or workstation." Do you see that?

16 A. Yeah.

17 Q. How many of those 200 imaging projects involved
18 the generation of stereo panoramic images?

19 A. Stereo panoramic images, I believe I've already
20 testified that I don't recall any. So --

21 Q. Paragraph (d) of your declaration in the '284
22 case states that "A person of ordinary skill in the
23 art...would understand that it would be preferable to
24 miniaturize the image generation components disclosed by
25 Kawakita so that they would all fit within a single

1 housing including the imager, processor, and display."

2 Do you regard that still as your opinion?

3 A. I have not changed my opinion.

4 Q. How would a person of skill in the art
5 understand to miniaturize the display arrangement
6 disclosed in the field test of Kawakita into a housing
7 including the imager, processor, and display, so that
8 the required adjustments can be accomplished?

9 A. I'm sorry. Could you please repeat? I lost
10 the verb in there.

11 Q. How would a person of skill in the art
12 understand how to miniaturize the display arrangement
13 disclosed in the field test of Kawakita into a housing
14 that also includes the imager and the processor, such
15 that the required adjustments disclosed by Kawakita
16 could be accomplished?

17 A. I --

18 MR. HANLEY: Objection; lacks foundation.

19 THE WITNESS: Right. I don't know. Nor did I
20 say that in that paragraph -- in that sentence.

21 BY MR. NELSON:

22 Q. Your sole opinion with respect to the
23 miniaturization, then, is that a person of skill in the
24 art would find it preferable to do so?

25 A. For paragraph 10(d), yes.

1 Q. You do not opine, for instance, that Kawakita
2 discloses how to do so, correct?

3 A. Correct.

4 Q. Is it your opinion, apart from what you've
5 stated here, that one of skill in the art reading
6 Kawakita would know how to miniaturize --

7 A. I haven't --

8 Q. -- that display arrangement?

9 A. -- formed an opinion on that question.

10 Q. Do you agree with me that your recitation in
11 10(e) in Sony-1013 is the same recitation you provide in
12 Sony-1010 at 10(b)?

13 A. It appears to be. Yes.

14 Q. Would you please turn to Sony-1040. This is
15 your second declaration in connection with the '284
16 patent. Will you let me know when you're there. And
17 I'm going to direct your attention to paragraph 10(d).

18 Are you there?

19 A. Yep.

20 Q. Here you offer an opinion about what one of
21 ordinary skill in the art reading the "Field Test"
22 section of Kawakita would understand, correct?

23 A. Sorry. Could you repeat the question?

24 Q. Sure.

25 A. I was reading my text.

1 Q. Yeah. Here you offer an opinion about one "of
2 ordinary skill in the art reading the 'Field Test'
3 section of Kawakita would understand," correct?

4 A. Yes.

5 Q. Okay. And you state halfway through that "Even
6 if the report of stereoscopic viewing of the panoramic
7 mosaic images were not included in Kawakita, a person"
8 of "ordinary skill in the art reading the remainder of
9 Kawakita would understand that stereoscopic display of
10 the panoramic mosaic images to be disclosed or, at
11 least, obvious." Do you see that?

12 A. Yes.

13 Q. Without the field test report, which of the two
14 possibilities is your actual opinion, that stereoscopic
15 display of the panoramic mosaic images is disclosed or
16 that it would be obvious to do so?

17 A. It's both.

18 Q. It's both?

19 A. Yeah.

20 Q. Is it disclosed?

21 A. Yes.

22 Q. Absent the field test?

23 A. Yes.

24 Q. Where?

25 A. The fact that it talks about stereoscopic

1 viewing in the section -- header of section 6 is
2 disclosing that. The whole section, as we've discussed
3 in this deposition, is about stereoscopic viewing.

4 Q. What mechanism for display is disclosed in
5 section 6?

6 A. There's no --

7 MR. HANLEY: Objection; asked and answered.

8 THE WITNESS: There's no specific mechanism
9 that I see disclosed, just the general mechanism.

10 If you don't mind, I'm going to get a bottle of
11 water.

12 BY MR. NELSON:

13 Q. That's fine.

14 A. No, I'm not.

15 Q. Oh. Let's --

16 A. It's not necessary.

17 MR. NELSON: Let's take one minute, and I'll
18 get some more bottles of water. All right? I don't
19 mind.

20 (Recess taken.)

21 BY MR. NELSON:

22 Q. I'm handing you what Sony has marked as Sony
23 Exhibit Sony-1004. Have you seen this exhibit before?

24 A. Yes, I have.

25 Q. What is it?

1 A. It's the reference that we've been referring to
2 as Ishiguro.

3 Q. Could you tell me, if you can, from a
4 50,000-foot level, what does Ishiguro describe?

5 A. Ishiguro describes "Acquiring Omnidirectional
6 Range Information," is the title of the -- of the paper,
7 and in so doing the collection of omnidirectional
8 stereoscopic panoramas and images using a imaging
9 apparatus that's most clearly shown in figure 4, where
10 there's a camera rotating about an -- about a axis of
11 rotation, but shifted from that rotation axis by some
12 amount, and a mechanism of using a pair of slits to
13 composite together two panoramas is described.

14 Q. What do you understand the purpose of the image
15 acquisition technique described in Ishiguro to be?

16 A. The purpose is to acquire stereoscopic
17 panoramic images, which are then used for estimation of
18 depth and robotic applications of various forms.

19 Q. So when you testified that your understanding
20 is that the purpose of the technique as described in
21 Ishiguro is to acquire stereoscopic panoramic images,
22 it's your testimony that the purpose of Ishiguro is to
23 generate stereoscopic panoramic images for human viewing
24 for a perception of depth?

25 A. I didn't testify that that was his purpose.

1 But I would testify that those panoramas could be viewed
2 by a human. So they would fit the definition of
3 "stereoscopic panorama" we've been working with and
4 agreeing to today.

5 Q. So if I've missed your testimony about what the
6 purpose of -- of the image acquisition technique was,
7 could I ask you to tell me again what it is?

8 A. Do you want me to repeat what I just testified
9 to?

10 Q. Well, I missed it, because I asked you to
11 confirm that your testimony was that the purpose was to
12 acquire stereoscopic panoramic images, and you said I
13 had it wrong. What is the purpose of the image
14 acquisition technique of Ishiguro?

15 A. I'm sorry. I'm lost. I don't recall where I
16 said you had it wrong. Could you just -- can we start
17 over and ask me a question?

18 Q. What do you understand the purpose of the image
19 acquisition technique described in Ishiguro to be?

20 A. To acquire stereoscopic panoramic images for
21 the purpose -- for the use by robotic vision algorithms,
22 for depth estimation and navigation, and other purposes.

23 Q. Are stereoscopic panoramic images, which by
24 definition provide a perception of depth to a human,
25 necessary, in Ishiguro, to provide for depth estimation

1 and navigation using robotic vision algorithms?

2 A. I'm not sure if they're necessary, but they are
3 used.

4 Q. Do you agree with me that Ishiguro -- Ishiguro
5 describes the use of this image acquisition technique to
6 provide depth calculation to aid a robot in navigating a
7 space?

8 A. Yeah. I just testified to that effect.

9 Q. Directing your attention to page 48 of
10 Ishiguro, Sony-1004, the first full paragraph describes
11 that "Feature (3) can be used for stereo vision."

12 A. Unfortunately, I'm lost. Could you help me
13 find where I'm supposed to be?

14 Q. Sure. The first full paragraph -- sorry, on
15 the second column --

16 A. Okay.

17 Q. -- of page 48. I apologize. Are you with me
18 there?

19 A. Yeah.

20 Q. There's a description -- there's a statement
21 that says, "Feature (3) can be used for stereo vision by
22 using two omnidirectional images taken at different
23 locations in an environment."

24 A. Okay.

25 Q. What does stereo vision mean in Ishiguro?

1 A. I think here he's referring to stereo vision by
2 a robotic algorithm, by a -- by a machine algorithm that
3 will be used to compute depth.

4 However, that's what he means. It could also
5 be used for human viewing. I don't think he was
6 thinking of that as he wrote that word. So you asked me
7 the question what did he mean when he wrote the word.
8 And that is -- that was my testimony.

9 Q. Can I ask you to describe the technique
10 Ishiguro discloses for image acquisition?

11 A. Sure. Do you mean the -- what I've described
12 in figure 4?

13 Q. Is that what you understand to be the image
14 acquisition arrangement, the camera arrangement?

15 A. It's the imaging method.

16 Q. This camera in Ishiguro is mounted on a robot,
17 in your understanding?

18 A. Could be.

19 Q. Does Ishiguro describe that the camera is
20 mounted on a robot?

21 A. I -- he certainly describes that the camera
22 moves around so as to do these maps in different
23 locations. And I can't remember whether he specifically
24 says a robot or not.

25 Q. And the camera has two slits; is that correct?

1 A. It has several slits. It's not clear.
2 Figure -- if you look at figure 4, it -- three -- three
3 slits are drawn there.

4 Q. Well, let me direct your attention to just
5 above figure 4. If you read it, it says, "We set two
6 vertical slits with a 1-pixel width symmetrically to the
7 image center." Does that clear it up?

8 A. That clears up that there are two slits that
9 are set symmetric to the image center. It doesn't state
10 that he doesn't additionally set another slit.

11 Q. Do you see another slit anywhere in this
12 disclosure?

13 A. Only in figure 4.

14 Q. You think that's a slit, rather than the image
15 center that he just referred to?

16 A. I'm not sure. It could be either.

17 Q. To the extent you detect slits in the
18 disclosure of Ishiguro, are they one pixel wide?

19 A. In this paragraph they certainly are.

20 Q. Is there ever a description in Ishiguro of the
21 slits for the camera being wider than one pixel?

22 A. I don't recall.

23 Q. What freedom of movement does the camera
24 arrangement disclosed in Ishiguro have during image
25 acquisition?

1 A. Rotation around the axis.

2 Q. Anything else?

3 A. Not that I recall.

4 Q. Is there any description of horizontal movement
5 of the camera during image acquisition?

6 A. I have to say I -- not that I recall. I
7 haven't reread this in the last -- in -- recently, so --
8 not that I recall.

9 Q. How does Ishiguro describe that images are
10 created using the camera arrangement disclosed?

11 A. Well, I'm sure images are collected by the
12 camera and stored as images, as a sequences of images.
13 I don't recall where he describes that in the text.

14 Q. Do you understand -- do you have an
15 understanding of how the omnidirectional images, such as
16 those presented in figure 5, are generated from the
17 acquired images --

18 A. Yes.

19 Q. -- in Ishiguro?

20 A. Yes.

21 Q. How does that occur?

22 A. By compositing together the slits, the images
23 taken from the slits.

24 Q. With respect to the camera arrangement of
25 Ishiguro, which you've pointed me to in figure 4, what

1 does Ishiguro describe about the distance between the
2 slits?

3 A. In figure 4?

4 Q. Anywhere in Ishiguro. What does -- what is
5 disclosed about the distance between the slits?

6 A. There's a relationship between the -- equation
7 1 certainly governs the relationship of the depth of a
8 point involving the angle of the slits used in the
9 imaging geometry.

10 Q. You're referring to equation 1 at the top of
11 the second column of page 50?

12 A. Yeah.

13 Q. And does that equation 1, to your
14 understanding, describe how the distance between the
15 slits should be set so as to provide perception of depth
16 to a human viewer of the resulting images?

17 A. Well, in concert with figure 9, it would
18 help -- it would help if one had a desired error --
19 target error to know what -- and work space in terms of
20 distance. Ishiguro has analyzed the relationship
21 between the intraocular radius R and the error that
22 results. And so if one had a work space that one wanted
23 to have high-fidelity depth perception, there would be
24 some guidance provided by that figure.

25 Q. Is it your understanding that figure 9, when it

1 discusses "Relationship between radius R and error," "R"
2 refers to the intraocular radius of a human being?

3 A. Oh, no, it's the rotation axis of -- of the
4 camera. My apologies.

5 Q. Well, I'm just trying to understand the
6 testimony just previous to that, where you say, I
7 believe, "Ishiguro has analyzed the relationship between
8 the intraocular radius R and the error that results."

9 A. Yes. And I misspoke in that testimony, and I
10 should have said the camera rotation radius R.

11 Q. Okay. Where does Ishiguro discuss any
12 relationship between the intraocular radius of a human
13 being and the separation between slits of the camera in
14 Ishiguro?

15 A. Well, there is a relationship between the
16 rotation axis of the camera and the intraocular
17 distance. I'm not sure if it's explicitly described in
18 Ishiguro, but -- I don't remember where it is.

19 Q. Okay. I know you're going to feel like you
20 answered this question, but I need to ask you. Do you
21 know one way or the other whether that relationship is
22 explicitly described in Ishiguro?

23 A. I'm not -- I don't recall if it's explicitly
24 described.

25 Q. Thank you.

1 A. That was a fair question.

2 Q. How many omnidirectional images does the
3 process of Ishiguro create following this process of
4 image capture and slit compositing?

5 A. One stereoscopic panoramic image or image pair,
6 depending on how we define these terms.

7 Q. How does Ishiguro describe that the
8 omnidirectional image pair that's created are used?

9 A. He describes the use of that stereoscopic
10 panorama for depth estimation in a robotic vision system
11 and map make -- making a map of a scene and thereby
12 having an understanding of the structure of an
13 environment that a robot might want to navigate in.

14 Q. So in that use, just to make sure I understand,
15 I -- if I understand it correctly, the robot, or,
16 rather, some computer, can take those two images and
17 from the relative separation of objects detected in them
18 can derive an estimate of depth, how far this object is
19 away from the point of image capture, correct?

20 A. Yes.

21 Q. Does Ishiguro describe showing these
22 omnidirectional images to a person, to a human?

23 A. Not that I recall.

24 Q. Does Ishiguro describe displaying these images
25 to a human in a manner that would provide a perception

1 of depth to a human?

2 A. Isn't that redundant from the previous
3 question?

4 Q. You can solve it by saying it doesn't.

5 A. And I'll amend my previous answer in saying
6 except insofar as, by publishing this image, they're
7 showing these images to people who read their paper.
8 But no.

9 Q. Does -- with respect to the composition process
10 for these image pairs disclosed by Ishiguro, after the
11 omnidirectional images are created, does Ishiguro
12 disclose any technique for further modifications to
13 those resultant images or adjustments to those resultant
14 images?

15 A. I -- I don't recall. He discloses -- however,
16 he certainly does disclose various forms of further
17 processing towards the goals that we just discussed that
18 he has.

19 Q. Can I ask you to look at figure 5, on page 50.

20 A. Yes.

21 Q. Is it your understanding that figure 5 is an
22 example of the output of the technique described in
23 Ishiguro?

24 A. Of an intermediate component of the technique,
25 yes.

1 Q. Put another way, then, figure 5, to your
2 understanding, is an example of the image pairs that are
3 generated by the Ishiguro technique and then later used
4 for --

5 A. Yes.

6 Q. -- depth calculation?

7 Yes?

8 A. Used in the Ishiguro technique, yes.

9 Q. Do you see that figure 5 has a description
10 below it that says "Two omnidirectional views for stereo
11 method"?

12 A. Yeah.

13 Q. What do you understand the authors to have
14 meant by the term "stereo method"?

15 A. Same as the question you asked me earlier when
16 you asked for the definition of "stereo vision." That's
17 referring to the process of automatically determining
18 the range. That's their intent when they use the term,
19 is my -- that's my -- that would be my understanding of
20 their intent. That wouldn't preclude, however, using
21 these images for human stereo viewing.

22 Q. I understand.

23 You may feel as if you've answered this
24 question, too, but it would help me to understand what
25 you believe or what you understand Ishiguro to describe

1 in terms of what the system of Ishiguro does with these
2 images to estimate or calculate depth. How are these
3 images taken, in the disclosure of Ishiguro, and used to
4 calculate depth of objects in a scene?

5 A. I don't -- I haven't memorized that part of the
6 document, but I can slightly speculate that you can --
7 it's apparent that you can just run traditional
8 stereo -- machine stereo perception on these algorithms
9 to find corresponding scene elements, estimate
10 disparity, and from disparity get depth. I don't,
11 frankly, recall the specific paragraph that discloses
12 that right now.

13 Q. Does that process, as disclosed in Ishiguro,
14 require the further display of these images in a manner
15 that could be viewed by a human being?

16 A. It doesn't require it, no.

17 Q. The computer system's not going to composite it
18 red and blue and put on glasses?

19 A. It would be a cool computer that did that.

20 Q. But it's not disclosed in Ishiguro as doing
21 that?

22 A. One shouldn't make jokes on the record.

23 So no.

24 Q. Thank you.

25 A. But I have a good one for later.

1 Q. If it's a computer vision joke, I can tell you
2 from my discussions with Professor Essa, they are
3 generally lost on me. But I'm happy to try.

4 Is figure 5 of Ishiguro a stereoscopic
5 panoramic image?

6 A. Yes.

7 Q. How do you know?

8 A. Because it is apparent to me that if you took a
9 viewport corresponding to a human viewer of a region of
10 this panorama and took the corresponding scene region
11 from the left eye and the right eye and showed it to
12 human eyes, there -- pardon the pun -- there would be
13 varying disparities of corresponding elements in the
14 scene, which would provide a sense of depth to a human
15 observer.

16 Q. And you know this because you tested it?

17 A. No. I know this because it's obvious to me.

18 And, again, I will say not only is it apparent
19 to me for this image, but in general the kinds of images
20 the apparatus disclosed would acquire would have that
21 characteristic.

22 Q. Is it necessary, for a depth calculation
23 process for robotic navigation, that the disparity
24 between the images be such that the resultant image is
25 appropriate for human perception of depth?

1 A. It's not necessary. The computer could be
2 searching a much larger range of disparities than a
3 individual human would.

4 Q. Does Ishiguro disclose a disparity that's
5 appropriate for human perception of depth?

6 A. The images that Ishiguro collects and the
7 scenes that he shows would have ranges of disparities
8 that would be fusible by human observers.

9 Q. Well, so let me ask you. Where in Ishiguro do
10 you see a description of how the method they disclosed
11 that leads to figure 5 is designed so that it will
12 provide a perception of depth to humans?

13 A. I don't recall any discussion of that. I would
14 just state, in response to that question, that they
15 designed a method to create omnidirectional views that
16 would have a sense of depth to a machine algorithm. The
17 machine algorithm senses depth in ways that are not
18 dissimilar from how humans sense depth. And so the
19 human would also end up sensing depth from these images,
20 even though it wasn't their purpose in designing these
21 images per se.

22 Q. Is it your understanding of Ishiguro that the
23 fact that in your opinion the resultant images are
24 suitable for human perception of depth is happenstance?

25 A. Meaning luck or --

1 Q. It's -- it's not an intentional aim of
2 Ishiguro?

3 A. It's a correlate aim. You really -- it's hard
4 to design something that would work for the robotic case
5 and not work for the human case. It's not impossible,
6 but --

7 Q. Is it disclosed anywhere in Ishiguro, that is
8 to say "it" meaning human perception of depth of the
9 resultant images disclosed as a corollary aim or a
10 correlate aim anywhere in Ishiguro?

11 A. I didn't see it, to my recollection.

12 Q. If I could ask you to turn to tab -- sorry, not
13 tab 5, Sony-1010, which is your first declaration in
14 connection with the '003 patent. And will you let me
15 know when you're there.

16 A. 1010. Is that what I'm looking for?

17 Q. Uh-huh. It -- it should be a declaration that
18 will have on the front of it "Sony-1010."

19 A. I don't have that one.

20 Do -- oh, sorry. I must be missing it. Where
21 did it go?

22 Q. You know, I bet it's under here somewhere.

23 A. There it is. Thank you.

24 Q. Paragraph 11(a) is where I'd like to direct
25 your attention. That's on page 4. Are you there?

1 A. Sure.

2 Q. You state that "Ishiguro discloses a method for
3 generating a stereoscopic omnidirectional image pair."
4 Do you see that?

5 A. Yes.

6 Q. What do you mean by "omnidirectional"? Same
7 thing as panoramic?

8 A. Yeah. Yeah. It's maybe even stronger than
9 panoramic. It's really all the way around.

10 Q. But otherwise, the definition of a stereoscopic
11 image pair that we've talked about today is what you
12 meant to convey?

13 A. Sure. Yes.

14 Q. Paragraph 11(b) states, "It would have been
15 obvious to a person of ordinary skill in the art to
16 combine the embodiments depicted in figure 1 and figure
17 4 in Ishiguro by including a center slit in the figure 4
18 system." Do you understand figure 1 to disclose a
19 center slit?

20 A. Yes.

21 Q. Okay. And just so I understand, where in
22 figure 1 is there -- let me just make sure I'm looking
23 at the right thing. It may be obvious.

24 I'll withdraw that question.

25 Can I ask you to turn to Exhibit Sony-1013.

1 This is, I believe, your first declaration in the '284
2 patent. And I'm going to ask you to turn to page 6,
3 paragraph 11(b). You state there, "It would have been
4 commonplace, if not necessary, for scientists such as
5 Ishiguro and his colleagues to stereoscopically view the
6 images generated by the technique disclosed by Ishiguro
7 on a stereoscopic display in order to confirm that the
8 mosaic images accurately" present "relative depths of
9 objects in the scene."

10 That's your opinion?

11 A. Yes.

12 Q. What is the basis for your statement that it
13 would have been commonplace, if not necessary, to do so?

14 A. My general understanding of the field and its
15 practice and just the, you know, clear understanding I
16 have that as you built a system like this, you would
17 want to check if it worked, and one of the ways you
18 would check if it worked would be to look at the images
19 that are coming out of the system.

20 Q. And that's based on all of your experience up
21 until today?

22 A. Correct, and also the fact that they even
23 publish a figure illustrating it. You know, to convince
24 the reader of their method, they found it useful to show
25 figure 5. I think that they would have found it useful

1 themselves to have looked at such a display when they
2 were building the system.

3 Q. Do you cite any evidence in your declaration as
4 to or supporting your statement that it would have been
5 commonplace, if not necessary, to stereoscopically view
6 those resultant images?

7 A. I did not cite any documents in that paragraph.

8 Q. Anyplace else in your declaration where you
9 cite any evidence to that regard?

10 A. No, not regarding that paragraph.

11 Q. You write that "It would have been commonplace,
12 if not necessary." I want to make sure I understand
13 what you mean by that. There's two ways of reading that
14 phrase. It could be that you're trying to suggest that
15 it's pretty close to necessary to do it. The other way
16 of reading it is that, well, it would have been
17 commonplace but really not necessary. Which is it?

18 A. Well, I think the former is the grammatical way
19 to read that. It's pretty commonplace.

20 Q. Do you also, then, think it's necessary?

21 A. Not strictly necessary. Very commonplace. Not
22 strictly necessary. So it's hard to imagine they
23 wouldn't have looked at the images at some point when
24 they built the system. But if you had given them a
25 million dollars to build it without looking at the

1 images, they would have found a way.

2 Q. And the reason your opinion is that they'd need
3 to view the images stereoscopically is in order to
4 confirm that the mosaic images accurately present
5 relative depths?

6 A. Yeah.

7 Q. Why is confirmation that the mosaic images
8 themselves accurately present to a human the relative
9 depths of objects in the scene necessary for calculating
10 depths for these images for a robot?

11 A. I didn't testify it was necessary.

12 Q. Commonplace, if not necessary?

13 A. Because it's the best way to just check to see
14 if you have a stimulus that has depth that -- that is
15 apparent. Humans are the best depth -- well, not --
16 humans are always generally the best vision systems we
17 have. So when one is trying to build a machine vision
18 system, you often want to -- if a human couldn't do it,
19 it would be hard for a machine to do it. So it would be
20 an easy way to check that things were working, at least
21 for the first -- for the acquisition part of the system.

22 Q. Wouldn't it -- wouldn't it just be easier to
23 measure the actual distances and compare them to the
24 depth map?

25 A. Well, let's say you were building a system and

1 the system wasn't working and you had complete garbage
2 as your depth map. You have no idea why. You might
3 want to look -- you would want to visualize the
4 omnidirectional panoramas, so as to see whether -- is it
5 this part of my algorithm that's broken or that part?

6 Q. In the situation you just described, wouldn't
7 you just need to look at the images side by side, rather
8 than stereoscopically?

9 A. You wouldn't get a sense of depth if you looked
10 at them just side by side, so you might not get all the
11 information from them. So it would be preferable to
12 view them stereoscopically.

13 Q. Your opinion, as I understand it, is that it
14 would be commonplace to view these stereoscopically in
15 order to confirm that the mosaic images accurately
16 present relative depths. But doesn't figure 13 of
17 Ishiguro show how Ishiguro measured the accuracy of the
18 estimates of relative depths of objects in the scene?

19 A. And what page is figure 13, now?

20 Q. 55, with the description beginning on page 54.

21 A. Figure 13 shows, as I recall -- I haven't
22 reviewed this figure recently -- the positions of points
23 in a scene. I'm not sure it's actually doing an error
24 analysis. But even if it were, it would be a nice way
25 to analyze the error of the complete system once it's

1 functioning. I don't think it would really address the
2 scenario that I testified to earlier.

3 Q. In the Ishiguro technique for estimating depth
4 of objects in the images, do the omnidirectional image
5 pair that's been acquired need to be aligned against
6 each other in any way to estimate depth?

7 A. I have to say I don't recall where that's
8 disclosed in this document, since I don't think I relied
9 on that for my testimony. But I -- if you draw my
10 attention to it, I can look at it.

11 Q. Well, doesn't Ishiguro just disclose that you
12 need to determine the correspondence between two objects
13 in the images?

14 A. He probably does, since that's the basis of
15 stereo -- stereo -- robotic stereo vision.

16 Q. I'd like to draw your attention back to Exhibit
17 Sony-1013, page 6. And I'm going to ask you to look at
18 paragraph 11(d).

19 A. Page 6?

20 Q. I'm sorry. Your declaration, sir.

21 A. 10 --

22 Q. 1013.

23 A. 1013 --

24 Q. Yeah.

25 A. -- is where I am. Great. Page --

1 Q. Yeah, it should be the same one. And it's page
2 6, paragraph 11(d).

3 A. It was right where I was. I apologize. All
4 over the world to come back to where I was. Thank you.

5 Q. Uh-huh.

6 It states that "A person of ordinary skill in
7 the art reading Ishiguro would also understand that
8 Ishiguro discloses an experimental arrangement for
9 laboratory use and that" in "order to implement
10 Ishiguro's arrangement as a transportable unit for field
11 use or as a commercial product, it would be necessary
12 and normal to miniaturize and package the image
13 generation components disclosed by Ishiguro so that they
14 would all fit within a single housing including the
15 imager," the "processor, and display." Do you see that?

16 A. Yes.

17 Q. What's the basis for your opinion?

18 A. My knowledge of the person of ordinary skill in
19 the art as of the time of these inventions, and just
20 common sense about my understanding of the field.

21 Q. Just so I understand, when you're talking about
22 a transportable unit for field use or as a commercial
23 product, you're talking about a robot, right?

24 A. No.

25 Q. No? What are you talking about?

1 A. If you -- it could be a robot, or it could be a
2 commercial product for depth sensing that wasn't
3 necessarily on a robot, but just for other measurement
4 applications, like architecture, or -- I'm not sure
5 this -- my testimony is limited only to Ishiguro's
6 applications of his methods. So if one wanted to apply
7 Ishiguro's methods to other applications and one wanted
8 to make a field use or commercial mobile, handheld
9 version of it, if you had that goal, I think it would be
10 necessary and normal to use all the ideas and tools and
11 directions from the development of technology to make
12 everything smaller and package them into a single
13 housing.

14 Q. Does Ishiguro anywhere disclose its arrangement
15 for creating and analyzing these images, other than in
16 connection with robot navigation?

17 A. No.

18 Q. Why would a robot of the sort described in
19 Ishiguro need a display included in its housing?

20 A. If the robot was going to show the map to a
21 user or ask for navigation assistance to be drawn upon
22 the map or if the robot were a telepresence robot and
23 were to convey the appearance of the scene to a remote
24 viewer, or any number of ideas like that.

25 MR. NELSON: It's time for a short break.

1 (Recess taken.)

2 BY MR. NELSON:

3 Q. Okay. I'm going to hand you what Sony has
4 marked as Sony-1006. I'm going to ask you to tell me if
5 you recognize what it is.

6 A. I do not.

7 Q. Are you familiar with an Asahi article in this
8 case?

9 MR. HANLEY: Objection; beyond the scope of the
10 direct.

11 THE WITNESS: I've heard Asahi discussed, but
12 I've not seen this -- but I do not recall seeing this
13 document.

14 BY MR. NELSON:

15 Q. Okay. Do any of your declarations offer an
16 opinion regarding Sony-1006, the Asahi reference?

17 A. I do not believe so.

18 Q. Were you asked to provide an opinion regarding
19 Sony-1006, the Asahi reference?

20 A. I haven't seen this reference before, so no.

21 Q. Were you asked to provide any evidence
22 necessary to make out a case of invalidity as to the
23 '003 or '284 patents in view of Sony-1006, the Asahi
24 reference?

25 A. I haven't seen this specific document before.

1 I remember a long time ago having some discussions of
2 some -- some version of Asahi, but I was not asked to
3 make any opinions, nor have I formed any opinions.

4 MR. NELSON: Okay. That concludes the
5 deposition.

6 MR. HANLEY: Okay. No redirect.

7 (Whereupon, the deposition was adjourned at
8 3:24 p.m.)

9 ---o0o---

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

AFFIDAVIT

I, the undersigned, declare under penalty of perjury that I have read the foregoing transcript and that it is a true and correct transcript of my testimony contained therein.

Subscribed at _____, California, this _____ day of _____, 2013.


TREVOR J. DARRELL, Ph.D.

1 CERTIFICATE OF REPORTER

2 I, JOHN WISSENBACH, hereby certify that the
3 witness was duly sworn by me, before commencement of
4 testimony by the witness, to testify the truth, the
5 whole truth, and nothing but the truth in the
6 within-entitled cause; that said deposition was taken at
7 the time and place therein stated, in the presence of
8 the above-noted people; that the testimony of said
9 witness was reported by me, a Certified Shorthand
10 Reporter and disinterested person, and was thereafter
11 transcribed into typewriting and is a true record of the
12 testimony given by the witness, and that the pertinent
13 provisions of the applicable code or rules of civil
14 procedure relating to the notification of the witness
15 and counsel for the parties hereto of the availability
16 of the transcript of the deposition for reading and
17 signing have been met.

18 And I further certify that I am not a relative or
19 employee of a party or of an employee of an attorney or
20 agent of a party, or interested, directly or indirectly,
21 in the proceeding either as counsel, attorney, agent, or
22 otherwise.

23 DATED: 11/01/13

24
25 
JOHN WISSENBACH, CSR No. 6862