

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

ORIGINAL

RICOH AMERICAS CORPORATION
and XEROX CORPORATION,

Petitioner,

vs.

Case: IPR2013-00302
Patent 7,986,426 B1

MPHJ TECHNOLOGY INVESTMENTS, LLC,

Patent Owner.

- - -

VIDEOTAPED DEPOSITION OF
GLENN WEADOCK
APRIL 10, 2014
8:30 A.M.

HILL, KERTSCHER & WHARTON
3350 RIVERWOOD PKWY
SUITE 800
ATLANTA, GEORGIA

REPORTED BY:

STEVEN S. HUSEBY, RPR
CCR-B-1372

1	INDEX		
2			PAGE
3	Examination by Mr. Sabharwal		5
4	Examination by Mr. Hill		230
5			
6			
7			
8			
9			
10	DEPOSITION	DESCRIPTION	PAGE
11	EXHIBITS		
12	Exhibit 1	Substitute Patent Owner Response and Opposition to Petition	25
13		Pursuant to 37 C.F.R. 42.120;	
14		50 pages	
15	Exhibit 2	"Bulletproof Your PC Network" by Glenn Weadock; 9 pages	28
16			
17	Exhibit 3	Petition for Inter Partes Review of U.S. Patent	178
18		No. 7,986,426; 66 pages	
19			
20			
21			
22			
23			
24			
25			

1 APPEARANCES OF COUNSEL:

2 On Behalf of the Petitioner:

3 H. KEETO SABHARWAL, Esq.
4 RICHARD M. BEMBEN, Esq.
5 MICHAEL D. SPECHT, Esq.
6 Sterne Kessler Goldstein Fox
7 1100 New York Avenue, NW
8 Washington, DC 20005
9 (202) 371-8549
10 Keetos@skgf.com
11 Mspecht@skgf.com
12 Rbemben@skgf.com

13
14 NAKUL K. WARRIER, Esq.
15 Ricoh Americas Corporation
16 70 Valley Stream Parkway
17 Malvern, PA 19355
18 (610) 408-2998
19 Nakul.warrier@ricoh-usa.com

20 On Behalf of the Patent Owner:

21 STEVEN G. HILL, Esq.
22 Hill, Kertscher & Wharton
23 3350 Riverwood Pkwy
24 Suite 800
25 Atlanta, Georgia 30339
(770) 953-0995
Sgh@hkw-law.com

VIVEK A. GANTI, Esq.
Thomas Horstemeyer, LLP
400 Interstate North Parkway, SE
Suite 1500
Atlanta, GA 30339
(770) 933-9500
Vivek.Ganti@thomashorstemeyer.com

24
25 Videographer: Damon Okoro

1 P R O C E E D I N G S

2

3

4 THE VIDEOGRAPHER: This is the
5 beginning of Disc Number One in the deposition of
6 Glenn Weadock in the matter of Ricoh Americas
7 Corporation and Xerox Corporation versus MPHJ
8 Technology Investment, LLC, Case Number
9 IPR2013-00302.

10 Today's date is April 10, 2014, and the time
11 on the monitor is 8:42 a.m.

12 My name is Damon Okoro, and I'm the
13 videographer. The court reporter is Steve
14 Huseby. We're with Huseby Global Litigation.

15 Counsel, please introduce yourselves, after
16 which the court reporter will swear in the
17 witness.

18 MR. SABHARWAL: Keeto Sabharwal from
19 the law firm of Sterne Kessler Goldstein Fox on
20 behalf of the petitioner.

21 MR. BEMBEN: Richard Bemben, also
22 from the law firm of Sterne Kessler Goldstein &
23 Fox on behalf of the petitioner.

24 MR. WARRIER: Nakul Warriier with
25 Ricoh Americas Corporation.

1 MR. SPECHT: Michael Specht, also
2 with Sterne Kessler, on behalf of the petitioner.

3 MR. HILL: Steve Hill for patent
4 owner, MPHJ, and for the witness.

5 GLENN WEADOCK,
6 being first duly sworn, was examined and
7 testified as follows:

8 EXAMINATION

9 BY MR. SABHARWAL:

10 Q. Good morning, Mr. Weadock.

11 A. Good morning.

12 Q. Did I pronounce your name correctly?

13 A. It's actually Weadock. It's not
14 pronounced like it's spelled, unfortunately.

15 Q. If I mispronounce it, please correct me.

16 A. It's not a problem.

17 Q. Mr. Weadock, have you been deposed
18 before?

19 A. Yes, I have.

20 Q. When was the last time you were deposed?

21 A. It would have been about a month ago.

22 Q. Was it a patent case?

23 A. Yes.

24 Q. Prior to that?

25 A. Prior to that, probably about ten

1 months.

2 Q. Okay. In sum total how many times,
3 approximately, have you been deposed?

4 A. I think at this point it's about ten.

5 Q. All right, sir. You're probably pretty
6 familiar with the ground rules, but let me go
7 over them just in case, okay?

8 A. Okay.

9 Q. First of all, you understand you're
10 testifying today under oath?

11 A. I do.

12 Q. And as part of your oath, do you
13 understand that you are to testify fully and
14 accurately to the best of your knowledge?

15 A. I understand.

16 Q. Mr. Weadock, I'm not here to play word
17 games with you. I expect you to understand plain
18 English. Having said that, however, if you don't
19 understand any question or any portion of a
20 question, will you let me know?

21 A. I will.

22 Q. Otherwise, can I assume you understood
23 the question?

24 A. Yes.

25 Q. And if you need a break at any time,

1 just let me know, all right?

2 A. And vice-versa.

3 Q. Great. Thank you.

4 Can you just tell me, sir, what you did to
5 prepare for your deposition today?

6 And before you answer, you don't need to tell
7 me any conversations that you had with your
8 counsel.

9 A. Sure. I looked over the relevant
10 materials, the patent, the prior art, the board
11 institution document, and I looked over my
12 declaration as well.

13 Q. You have some materials that are set
14 forth in Appendix A of your declaration. Do you
15 recall that?

16 A. I do.

17 Q. Did you review any materials other than
18 the ones set forth in Appendix A for the purposes
19 of your deposition today?

20 A. If you have a copy of Appendix A --

21 Q. Sure.

22 A. -- I could answer that question.

23 Q. Sure. Sir, I'm going to be handing you
24 what has been marked previously marked as
25 Exhibit 2002 for identification purposes.

1 A. (Witness reviews document.)

2 So the only document that I reviewed in
3 preparation for today that's not on this list is
4 my declaration.

5 Q. Okay. Did you review any deposition
6 transcripts in preparation for your deposition
7 today?

8 A. No.

9 Q. So you did not review the deposition
10 transcript of Dr. Melen; is that correct?

11 A. That's correct.

12 Q. Did you speak to anyone other than your
13 lawyers in preparation for your deposition today?

14 A. No.

15 Q. And did you meet with your attorneys in
16 preparation for your deposition today?

17 A. Yes.

18 Q. When did you meet with them?

19 A. Yesterday.

20 Q. For how long?

21 A. About half a day; the afternoon.

22 Q. Was that the only time that you met with
23 them?

24 A. Yes.

25 Q. And who did you meet with?

1 A. Mr. Hill and Mr. Ganti.

2 Q. And when were you first retained for
3 this engagement?

4 A. Oh, I don't remember exactly when it
5 was, but I guess it was pretty close to the
6 beginning of the year.

7 Q. And how were you contacted?

8 A. By Mr. Hill.

9 Q. And how did he get your name?

10 A. Through a service called Technikon that
11 I'm working through in California.

12 Q. And had you had any face-to-face
13 meetings with Mr. Hill or any of the other
14 attorneys involved on behalf of the patent owner
15 before yesterday?

16 A. No, I don't think so, although I should
17 say that I'm not necessarily aware of all the
18 attorneys that might be working for the patent
19 owner. But to my knowledge, no, yesterday was
20 the first meeting.

21 Q. Have you spoken to or been in contact
22 with any counsel other than Mr. Hill or Mr. Ganti
23 for the purposes of your engagement in this
24 patent office proceeding?

25 A. No, I don't think so.

1 Q. Okay. Sir, directing your attention to
2 Exhibit 2002, just turn to the last page,
3 page 40, please.

4 A. Okay.

5 Q. All right. And is that your signature,
6 sir?

7 A. It is.

8 Q. And as you sit here today, is there
9 anything in your declaration that you would like
10 to change, revise or add?

11 A. No, I don't think so.

12 Q. No corrections whatsoever?

13 A. No.

14 Q. All right. Sir, I'm also going to be
15 handing you what has been previously marked as
16 1001, if you could take a look at that.

17 Do you recognize the document, sir?

18 A. I do.

19 Q. Can you identify it for me, please?

20 A. This is U.S. Patent 7,986,426, to Klein.

21 Q. And you've obviously read this document
22 before, correct?

23 A. Yes.

24 Q. Do you believe you understand the
25 invention disclosed?

1 A. Yes.

2 Q. Tell me what your definition is of the
3 invention disclosed.

4 MR. HILL: Object to the form.

5 THE WITNESS: My definition of the
6 invention --

7 BY MR. SABHARWAL:

8 Q. What your understanding of the
9 invention?

10 MR. HILL: Same objection.

11 THE WITNESS: Well, I hesitate to
12 try to distill a 90-page patent into a sentence
13 or two, but I think we can look at the abstract
14 of the patent and get a sense of what it means.

15 BY MR. SABHARWAL:

16 Q. Uh-huh.

17 A. So the patent owner describes and
18 summarizes as the patent as a system and/or
19 method that enables the typical PC user to add
20 electronic paper processing to their existing
21 business process, and it goes on from there. But
22 I think the abstract is a pretty good summary of
23 what's here.

24 Q. Okay.

25 A. But, again, I wouldn't -- I wouldn't

1 presume to summarize a 90-page patent in a
2 paragraph.

3 Q. But do you agree with me that the
4 invention includes software referred to as a
5 virtual copier or VC?

6 MR. HILL: Objection, calls for a
7 legal conclusion.

8 You can still answer.

9 THE WITNESS: Well, the patent
10 certainly -- the patent specification certainly
11 spends a fair amount of time on the concept of a
12 virtual copier or VC, and I think it's a central
13 concept in the patent.

14 BY MR. SABHARWAL:

15 Q. You think it's a what?

16 A. Central concept.

17 Q. What do you mean, it's a central
18 concept?

19 A. The inventor spends a fair amount of
20 time in the patent explaining that concept,
21 discussing what it means, and it receives a fair
22 amount of attention. It's mentioned many times.

23 Q. So when you say it was a central
24 concept, you mean like it's a fundamental
25 concept?

1 MR. HILL: Objection, form. It's
2 vague.

3 THE WITNESS: I think it's an
4 important concept in this patent. I don't have
5 any particular disagreement with the word
6 fundamental.

7 BY MR. SABHARWAL:

8 Q. All right. Let me direct your attention
9 to Column 47 of the '426 patent.

10 A. Okay.

11 Q. Line 50.

12 A. Okay, Column 47.

13 Q. Yes. And are you at line 50?

14 A. Yes.

15 Q. Now, at line 50, I'm going to read it
16 into the record. It says, For example, VC,
17 meaning virtual copier, can be viewed as a
18 copier. Like a copier, VC takes paper in and
19 produces paper going out. The only difference is
20 that VC does not distinguish between electronic
21 and physical paper.

22 Do you see that?

23 A. I do.

24 Q. So you would agree with me that this can
25 be viewed as essentially a copier, correct?

1 MR. HILL: I object to the form.

2 It's vague.

3 BY MR. SABHARWAL:

4 Q. At least the software?

5 A. I'm sorry, can you state the question
6 again?

7 Q. Sure. Would you agree with me that the
8 VC can be essentially viewed as a copier?

9 A. Well, it's not identical to a copier. I
10 think the patent owner here is using a metaphor
11 in order to help explain the concept. So it can
12 be viewed as a copier according to the inventor,
13 but it's not exactly the same as a copier.
14 Otherwise, we wouldn't have a patent here to
15 discuss.

16 Q. All right. Well, let's look at
17 Column 45 for a second.

18 A. Okay.

19 Q. So to your point, if you go to lines --
20 starting on line 34, Column 45, it says, VC,
21 meaning virtual copier, is an extension of the
22 concept we understand as copying.

23 You would agree with that, correct?

24 A. I think that's a fair statement, yes.

25 Q. It says, In its simplest form it extends

1 the notion of copying from a process that
2 involves paper going through a conventional
3 copier device, comma, to a process that involves
4 paper being scanned from a device in one location
5 and copied to a device in another location.

6 You agree with that?

7 A. Yes.

8 Q. Okay. So is it fair to say, sir, that
9 the VC software was essentially designed to mimic
10 the functionality of a conventional copier at the
11 time of the invention?

12 A. No.

13 Q. Why do you say that?

14 A. Well, I think your question had to do
15 with intent.

16 Q. Okay.

17 A. And I don't know what was in the patent
18 owner's mind.

19 Q. Okay. Putting aside the intent part of
20 it, if you read the lines 34 to 39 that I just
21 asked you about, would you agree with me that
22 based upon the express wording of Column 45,
23 lines 34 to 39, that the VC was designed to mimic
24 the functionality of a conventional copier?

25 A. No.

1 Q. Why do you say that?

2 A. Well, again, we wouldn't have a patent
3 to discuss if that's all it did.

4 Q. What in your mind is the precise
5 difference between a conventional copier and
6 whatever you think is part of the invention here?

7 A. Well, there are lots of differences.

8 Q. Okay.

9 A. I mean, the V in VC indicates virtual
10 copier, so that would be the first and most
11 obvious difference, right? It's not just a
12 copier. It's a virtual copier, and there are
13 quite a number of differences between this
14 invention and a -- and a physical copier.

15 Q. So help me understand then. If the
16 inventor said VC is an extension of the concept
17 we understand as copying, and then goes on to say
18 in its simplest form it extends the notion of
19 copying from a process that involves paper going
20 through a conventional copier device, comma, to a
21 process that involves paper being scanned from a
22 device in one location and copied to a device in
23 another location, what other differences are
24 there other than what's recited there?

25 MR. HILL: Objection, calls for a

1 legal conclusion, vague.

2 THE WITNESS: Well, we have some
3 90 pages talking about all the differences.

4 BY MR. SABHARWAL:

5 Q. Okay. So what?

6 Tell me -- tell me in your mind, as a
7 purported expert here, what are the differences
8 between a VC and a conventional copier other than
9 what's discussed here between lines 34 to 39?

10 A. Well, I'm happy to do that. Let's go
11 through the patent and see.

12 Q. All right.

13 A. And we'll start where you pointed to on
14 Column 45. So we'll just continue from where you
15 left off and what you read into the record.

16 Q. Okay.

17 A. In its more sophisticated form, VC can
18 copy paper from a device at one location directly
19 into a business application residing on a network
20 or on the internet or vice-versa. So we didn't
21 have to go very far before we found the first
22 distinction.

23 Q. Well, I guess maybe -- let me try it
24 this way. It says here, In its simplest form it
25 extends the notion of copying from a process that

1 involves paper going through a conventional
2 copier device, comma, to a process that involves
3 paper being scanned from a device at one location
4 and copied to a device at another location.

5 So taking that sentence in the simplest form,
6 what other differences are there between a VC and
7 a conventional copier?

8 MR. HILL: Let me just object to the
9 extent that you interrupted the witness before he
10 finished answering --

11 MR. SABHARWAL: I apologize.

12 MR. HILL: -- the question that
13 preceded that question.

14 THE WITNESS: So I don't think that
15 the sentence that I read, which was the very next
16 sentence, is the only distinction. We see that
17 in a more sophisticated form, and much of the
18 rest of this document addresses itself to
19 discussing the various permutations and
20 variations on that very simple concept that the
21 patent inventor began with.

22 He goes on to elaborate ways in which the VC
23 invention is different. So the first one was in
24 that very same paragraph; that we can
25 electronically and seamlessly copy in and out of

1 devices and business applications, as I read.

2 Continuing in the same paragraph, the
3 inventor states that the VC software can reside
4 on a PC, LAN, WAN server, digital device such as
5 digital copier, or on a web server to be accessed
6 over the internet. So that would be -- that's
7 the end quote at the word internet. So that
8 would be another example of how the invention can
9 differ from the simplest form sentence that you
10 asked about.

11 We can continue into the next paragraph and
12 see more distinctions.

13 BY MR. SABHARWAL:

14 Q. Well, you're not answering my question,
15 though.

16 A. I'm doing my best to. I'm sorry.

17 MR. HILL: Objection to form,
18 argumentative.

19 THE WITNESS: Please help me to
20 answer the question.

21 BY MR. SABHARWAL:

22 Q. Would you agree with me that the
23 invention in its simplest form takes a physical
24 process and makes it a virtual process?

25 MR. HILL: Objection. The word

1 invention there calls for a legal conclusion,
2 outside the scope.

3 MR. SABHARWAL: Mr. Hill, could you
4 please stop coaching the witness?

5 MR. HILL: I'm not.

6 MR. SABHARWAL: The rules are very
7 strict about the way you can object in a patent
8 office proceeding. Maybe this is your first one.
9 You can't get into speaking objections. State
10 objection to form. That's all you can say.

11 I'm sorry, sir. Go ahead.

12 MR. HILL: Objection to form.

13 THE WITNESS: Can you repeat your
14 question, please?

15 BY MR. SABHARWAL:

16 Q. Sure. Would you agree with me that, in
17 its simplest form, the invention takes a physical
18 process and makes it a virtual process?

19 MR. HILL: Object to form.

20 THE WITNESS: Well, I don't know
21 that that's a completely fair characterization.
22 Again, I would hesitate to reduce a 89-page
23 document to one sentence.

24 BY MR. SABHARWAL:

25 Q. Do you think that sentence is inaccurate

1 then?

2 A. Well, it's not -- it's not a sentence
3 that I would use. I think it's probably an
4 oversimplification.

5 Q. I didn't ask you whether you would use
6 it. I asked you, based on the invention
7 disclosed and the language I just read you, would
8 you agree with me that in its simplest form it
9 takes a physical process and makes it a virtual
10 process?

11 Please listen to the question I'm asking you.

12 A. Would you repeat the question, please?

13 Q. Sure. Let's do it for the third time.
14 All right.

15 MR. HILL: Objection.

16 Argumentative.

17 BY MR. SABHARWAL:

18 Q. I'm going to go back to line 34. Are
19 you there?

20 A. Yes.

21 Q. Okay. Read it to yourself. Tell me
22 when you're done.

23 A. I'm done.

24 Q. Okay. Would you agree with me based
25 upon that sentence that in its simplest form, in

1 its simplest form, the invention takes a physical
2 process and makes it a virtual process?

3 MR. HILL: Objection. Form.

4 THE WITNESS: No.

5 BY MR. SABHARWAL:

6 Q. Why not?

7 A. Because the sentence that you're
8 referring to does not restrict itself to virtual
9 activities. It discusses devices which are
10 physical.

11 Q. Okay.

12 Okay, let me try it this way. Would you
13 agree with me that in its simplest form the
14 invention takes a physical process and makes it
15 an electronic process?

16 MR. HILL: Objection, form.

17 THE WITNESS: No.

18 BY MR. SABHARWAL:

19 Q. Why not?

20 A. For the same reason I gave before.

21 Q. Because it has -- uses physical devices?

22 A. Right. There's more involved here than
23 electronics.

24 Q. All right. So is it fair to say, sir,
25 that you disagree with the inventor's statement?

1 A. No.

2 Q. Okay. If it says it extends the notion
3 of copying from a process that involves paper
4 going through a conventional copier to a process
5 that involves paper being scanned from a device
6 at one location and copied to a device at another
7 location, what was inaccurate about my statement
8 that the invention takes a physical process and
9 makes it an electronic process?

10 A. Well, I don't think that it's accurate
11 to describe it as a purely electronic process.

12 There is -- there are electronic components
13 to the process, but that doesn't define the
14 entire process. We're still dealing with
15 physical, where we still in this sentence can be
16 dealing with physical documents and physical
17 devices.

18 So to the extent that your
19 re-characterization might be interpreted to mean
20 a purely electronic process, I disagree with it.

21 Q. Would you agree with me, sir, that the
22 invention included software that implemented the
23 concept of distributed copying?

24 A. No, I don't think I would.

25 Q. Let me see if I -- make sure I read that

1 correctly.

2 Would you agree with me that the invention
3 included software that implemented the concept of
4 distributed copying, and you say no?

5 A. Well, it depends on how we want to
6 define distributed copying.

7 Again, this -- you began the deposition by
8 saying we're not going the play word games, and
9 yet you're trying to distill an 89-page patent
10 into a single sentence, which is kind of a word
11 game.

12 I mean, the patent is what it is, and it is
13 comprised of a fair amount of content here. And
14 so I'm not trying to be resistive to answering
15 your questions, but the exercise of trying to
16 distill this invention into a single sentence is
17 not an analysis that I have undertaken, nor is it
18 an analysis that I think is called for by the job
19 I was asked to do here today.

20 Q. So you're just not prepared to answer
21 the question; is that right?

22 A. I'm not prepared to answer every
23 question you ask if it pertains to an analysis
24 that I wasn't asked to do.

25 Q. Okay. Fair enough. All right.

1 Sir, since you aren't prepared to undertake
2 the type of, as you called it, analysis that I
3 asked, let me try it from a different direction.

4 Sir, I'm going to be handing you -- we should
5 probably mark this as an exhibit.

6 (Exhibit Number 1
7 marked for identification.)

8 BY MR. SABHARWAL:

9 Q. Mr. Weadock, you've been handed what's
10 been marked by the court reporter as Exhibit 1
11 for identification purposes. Please take a look
12 at the document and just look up when you're
13 ready.

14 MR. HILL: Can you reread the
15 instruction?

16 (The record was read.)

17 MR. HILL: Objection. Lack of
18 foundation.

19 THE WITNESS: (Witness reviews
20 document.)

21 BY MR. SABHARWAL:

22 Q. Are you ready, sir?

23 A. I'm ready.

24 Q. You've seen this document before?

25 A. No, actually.

1 Q. Oh, you haven't?

2 A. I don't think I have.

3 Q. Okay. This is the substitute patent
4 owner response and opposition to petition that
5 was served, I believe -- when was it filed? On
6 March 10th of 2014. And you have not seen this
7 document before?

8 A. No, sir.

9 Q. Okay. Well, this was a response that
10 was filed by the patent owner, do you understand
11 that?

12 A. I do.

13 Q. All right. Now, since you haven't read
14 it, I'm not going to try and put words in your
15 mouth. If you need to get some context that's
16 fine, but if you direct your attention to
17 Roman II, Technology Background, can you read
18 that first sentence under Technology Background
19 into the record, please?

20 A. Yes. It says, "The '426 patent is
21 directed to a single software solution referred
22 to as a virtual copier."

23 Q. Do you agree with that sentence?

24 A. The wording I think is correct here,
25 directed to. So this sentence doesn't attempt to

1 distill the entire patent into one sentence. I
2 think it's fair to say that the patent is
3 directed to a software solution referred to as a
4 virtual copier.

5 Q. And we agreed before that a virtual
6 copier is, according to you, a central concept of
7 the invention, correct?

8 A. That's right.

9 Q. And it goes on to say, While printers,
10 fax machines and scanners were in use in business
11 environments, an extensible software solution
12 that provides integration for destination devices
13 and destination applications did not exist at the
14 time of the invention.

15 Do you agree with that?

16 A. I think that's a fair statement, yes.

17 Q. It goes on, In some embodiments, the
18 virtual copier disclosed in the '426 patent
19 provides a stand-alone modular application that
20 enables a user to scan (copy) paper from a device
21 to a third-party application, and to print (copy)
22 the reference of an image document from a
23 third-party application to a printing device.

24 Do you agree with that statement?

25 A. (Witness reviews document.)

1 Well, I'm not entirely sure what is being
2 referred to by the reference of an image
3 document. That language is not entirely clear to
4 me, I have to admit.

5 Q. So you cannot agree with that statement?

6 A. Well, I don't know that I disagree or
7 agree with it. I don't entirely understand
8 what's being meant by the reference of an image
9 document.

10 Q. Sir, you were the author of a number of
11 books and other publications, correct?

12 A. Yes.

13 Q. And were you the author of a book
14 entitled, Bulletproof Your PC Network?

15 A. Yes.

16 Q. I assume as you sit here today you agree
17 with the contents?

18 A. I hope that they are accurate.

19 Q. Okay.

20 A. I do my best.

21 Q. All right.

22 MR. SABHARWAL: Let's mark this as
23 Exhibit 2 for identification purposes.

24 (Exhibit Number 2
25 marked for identification.)

1 BY MR. SABHARWAL:

2 Q. Sir, while the court reporter is marking
3 this, were you aware of any software in the
4 mid-1990s that mimicked the software of a copier
5 or scanner?

6 A. Well, let me think back.

7 That mimicked -- your question was that
8 mimicked the functionality of a copier or a
9 scanner?

10 Q. Yes.

11 A. It wouldn't surprise me if there was
12 software at the time that might have mimicked the
13 ability to copy a document in the sense of, for
14 example, performing a scan and then a printout.

15 Q. Flipping back to the patent owner
16 response, which is Exhibit 1.

17 A. Okay.

18 Q. The second sentence in the first
19 paragraph that we read into the record, While
20 printers, fax machines and scanners were in use
21 in business environments, an extensible software
22 solution that provides integration to destination
23 devices and destination applications did not
24 exist at the time of the invention.

25 Do you see that?

1 A. I do.

2 Q. All right. Would you agree with me that
3 an extensible software solution for integration
4 of these types of devices did exist in the
5 mid-'90s?

6 A. I'm sorry, you said devices, but this
7 says devices and applications, if we're talking
8 about the same sentence.

9 Q. Yes. An extensible software solution
10 that provides for integration of devices and
11 destination applications, did that exist as of
12 the mid-1990s?

13 A. As I said before, I don't think that it
14 did.

15 Q. Well, what specifically don't you think
16 existed?

17 A. What you just read.

18 Q. All right. Let's go back.

19 We agree that as of the mid-1990s there was
20 software that mimicked the functionality of a
21 copier or scanner, correct?

22 A. No. I don't think I said that.

23 Q. Oh, okay. What did you say?

24 A. I think I said that it wouldn't surprise
25 me if that were the case.

1 Q. So you think there might have been, it
2 wouldn't surprise you, but you don't know for
3 sure?

4 A. Sitting here right now, that's correct.

5 Q. All right. Going back to the second
6 sentence, in the patent owner response, it says,
7 While printers, fax minutes and scanners were in
8 use in business environments, an extensible
9 software solution that provides integration for
10 destination devices and destination applications
11 did not exist at the time of the invention.

12 You agree 100 percent with that statement?

13 A. To the best of my knowledge, sitting
14 here today, that looks like an accurate
15 statement.

16 Q. Okay. So help me understand something.
17 If it wouldn't surprise you that there was
18 software that mimicked the functionality of a
19 copier/scanner as of the mid-1990s, how can you
20 at the same time say with 100 percent confidence
21 that an extensible software solution that
22 provides for integration of printers, fax
23 machines and scanners and destination
24 applications absolutely did not exist as of the
25 mid-1990s?

1 MR. HILL: Objection,
2 mischaracterizes the witness's testimony.

3 THE WITNESS: I believe a few
4 minutes ago you said you weren't going to put
5 words in my mouth, but that was a pretty good
6 attempt.

7 BY MR. SABHARWAL:

8 Q. Okay. I wasn't trying to do it.

9 A. You did it nevertheless.

10 Q. Okay.

11 A. You characterized my statements
12 inaccurately.

13 Q. Okay.

14 A. You used words like --

15 Q. Listen, we've got seven hours. We'll
16 spend as much time as we need to to make sure we
17 understand each other.

18 A. My understanding of these proceedings is
19 it's important to try to be accurate.

20 Q. Absolutely.

21 A. And I think what I said, and I didn't
22 use words that you characterized in my response
23 like 100 percent and absolutely.

24 I think I said that sitting here today,
25 looking at a sentence from a document that I

1 haven't read, that I haven't gone back and
2 researched myself, I believe that the sentence
3 that you read, While printers, fax machines and
4 scanners were in use in business environments, an
5 extensible software solution that provides
6 integration for destination devices and
7 destination applications did not exist at the
8 time of the invention, close quote, to be a fair
9 statement.

10 I did not state that it is -- that I'm
11 absolutely, 100 percent certain that it is
12 correct. I said I believe, sitting here today,
13 based on my recollection at the time that that's
14 a fair statement and an accurate statement.

15 Q. Okay. What -- were you done?

16 A. Well, I think it's important that you
17 not mischaracterize my statements.

18 Q. Okay. What's the difference between a
19 fair statement and 100 percent accuracy?

20 A. Well, I think we can look at the plain
21 English meaning. I think you have a
22 understanding of the difference between a fair
23 statement and 100 percent accuracy, but I'll
24 explain it to you.

25 Q. Okay.

1 A. In my view, I try to make accurate
2 statements all the time, as accurate as I can
3 make them based on my knowledge at the time.

4 You're handing me a document that I haven't
5 read before with words that I did not myself
6 write, so if I look at a sentence and it seems to
7 me to be correct based on my knowledge sitting
8 here, without the benefit of doing my own
9 research, without the benefit of going back to
10 the time here and doing a study of the products
11 that were available at the time, then I think my
12 characterization of a fair statement is what it
13 says. I believe that this is, as far as I'm
14 aware, sitting here today, without the benefit of
15 that research.

16 Now, if I were able to conduct extensive
17 research, then I could get close to a 100 percent
18 answer. But even with the benefit of extensive
19 research, I'm not sure I could tell you that an
20 answer would be 100 percent correct because there
21 might be a reference that I missed.

22 I recognize that despite my best efforts I
23 sometimes might make a statement that's not
24 100 percent accurate. So although I do my best,
25 I wouldn't presume to say that I could comment

1 with 100 percent accuracy on this sentence.

2 Q. Okay. Let me just make sure I
3 understand. You said here -- let me pause for a
4 second. Okay.

5 So is it fair to say, sir, that you haven't
6 actually done research with respect to the
7 accuracy of whether or not an extensible software
8 solution provided integration for destination
9 devices such as printers, fax machines and
10 scanners and destination applications as of the
11 mid-1990s?

12 A. No. I have done some investigation into
13 that and I do have personal recollection of that
14 time, but I'm not going to comment on a sentence
15 that I didn't write and give you a 100 percent
16 answer. I would have to think about it. I would
17 have to do a little bit of checking, but I'm
18 trying to answer your question as best as I can.

19 I believe this is an accurate statement. I
20 don't -- I don't recall an extensible software
21 solution that provided integration for
22 destination devices and destination applications
23 that existed at the time of the invention.

24 And I would also like to comment that I think
25 in one of your earlier questions you asked about

1 software that mimics the action of a scanner, and
2 I'm not sure I have a complete understanding of
3 what you may have meant by that.

4 I understand the concept of mimicking the
5 action of a copier, but also in order to try to
6 answer your question I think it might be helpful
7 for you to explain to me what you mean by
8 mimicking the action of a scanner.

9 Q. Well, I'll tell you what, I'll ask the
10 questions, and you answer the questions. And you
11 still haven't answered the question that I asked
12 you previously.

13 So let me just make sure I understand. Let's
14 use your words. You think that the sentence
15 in -- the second sentence in the first paragraph
16 of the patent owner response on page 2 is fair,
17 right?

18 A. Right.

19 Q. Okay. And without putting any
20 percentages on it, you think it's accurate?

21 A. It strikes me as an accurate statement.

22 Q. Okay. Now, I'm going to go back to
23 another question that I asked you previously.

24 Were you aware of any software in the
25 mid-1990s that mimicked the functionality of a

1 copier/scanner?

2 I thought you said it wouldn't surprise me if
3 there was such software. And I can read it back
4 into the record.

5 A. Right, and thinking back about it, there
6 was something that bothered me a little bit about
7 the question at the time. And in quick
8 retrospect, I'm realizing that I wasn't entirely
9 clear on what you meant by mimicking the
10 operation of a scanner. But --

11 Q. I thought you said --

12 A. But focussing on the part -- excuse me,
13 I was still talking.

14 Q. Okay.

15 A. Focussing on the part of your question
16 that did make sense to me, mimicking the action
17 of a copier, that was the basis for my response,
18 which I stand by.

19 I'm just trying to answer your questions as
20 completely and accurately as I can.

21 Q. Okay, but now I'm completely confused by
22 your answer.

23 A. Well, let me see if I can clear it up
24 for you.

25 Q. Were you aware of any software in the

1 mid-1990s that mimicked the functionality of a
2 copier?

3 A. Yes. I think -- I think -- well, I
4 think it wouldn't surprise me if there was such
5 software. I can't think of a specific product
6 sitting here right now, but it wouldn't surprise
7 me if that were the case.

8 Q. Were you aware of any software in the
9 mid-1990s that mimicked the functionality of a
10 scanner?

11 A. I'm not sure what that question means.

12 Q. What is confusing about the question?

13 A. Well, a scanner is a device for making
14 an electronic copy of a physical document. I'm
15 not sure how you would mimic that function.

16 Q. What's the difference between a scanner
17 and a copier?

18 A. Well, I think of a copier as a device
19 that duplicates a document. So I'm happy to try
20 to explain the distinction.

21 Q. Hold on. Hold on.

22 A. Oh, okay.

23 Q. I'm just reading your answer. You would
24 agree with me that a scanner duplicates a
25 document, correct?

1 A. No.

2 Q. It doesn't duplicate a document?

3 A. Not necessarily, no.

4 Q. Have you used a scanner before?

5 A. Yes, many times.

6 Q. What does it do with a document?

7 If I'm scanning a document in, are you
8 telling me that when I scan a document into a
9 scanner that it does not duplicate the copy?

10 A. It doesn't necessarily duplicate a
11 document. You have a piece of paper. You're not
12 necessarily making a copy of that piece of paper.
13 You're converting it into an electronic format.

14 Q. But when you convert it into an
15 electronic format, are you not duplicating its
16 contents?

17 A. Oh, I suppose one could in some sense
18 use that -- use that word, but you're creating a
19 copy of the contents in a different form. So I
20 suppose one could use the term duplicate, but I
21 can scan a document without creating another hard
22 copy of that original document.

23 Q. Okay. All right. So let me ask it this
24 way. Were you aware of any software in the
25 mid-1990s that mimicked the functionality of a

1 scanner, meaning it duplicated the contents?

2 MR. HILL: Object to the form.

3 THE WITNESS: Duplicated the
4 contents, I'm sorry, in hard copy form or --

5 BY MR. SABHARWAL:

6 Q. Any form. Electronic form.

7 MR. HILL: Same objection.

8 THE WITNESS: Oh, I think so. I
9 think I probably used software at the time that
10 could scan a document into an electronic file,
11 and if one considers that to be duplicating the
12 content then sure.

13 BY MR. SABHARWAL:

14 Q. Okay. And at that same time in the
15 mid-1990s were you aware of any software that
16 duplicated the contents of a document, hard copy
17 document using either a copier or scanner and
18 then transmitted across a network?

19 A. A single program that did both of those
20 things?

21 Q. Yes.

22 A. I'm not sure that I am, sitting here
23 today.

24 Q. Okay. Were you aware of a multiple
25 program, meaning something other than a single

1 program, that did both of those things?

2 MR. HILL: Object to the form.

3 THE WITNESS: Something other than a
4 single program?

5 BY MR. SABHARWAL:

6 Q. Yeah, you know what? That's a bad
7 question.

8 When you said a single program, what did you
9 mean by that?

10 A. I don't know how to say it any better.
11 One program.

12 Q. One program, meaning what?

13 A. One program that does those two
14 functions.

15 Q. Okay. How would I as a lay person
16 define something as -- something that's a single
17 program versus not a single program?

18 A. Well, there are various ways to
19 distinguish one program from another program.

20 For example, application programs or programs
21 that users use typically have names associated
22 with them. They typically require actions in
23 order to start them. Those would be examples of
24 ways that I would know that I was running two
25 programs as opposed to one program.

1 Q. Okay. I use Microsoft Office. Is that
2 a single program?

3 A. Well, that's a debatable point. But I
4 think most users would consider, for example, if
5 they were running Word that they would be running
6 one program.

7 And if they were running PowerPoint, also, I
8 think most users and most IT professionals would
9 consider that you're running two programs, even
10 though those two programs might be you bundled in
11 a package called -- or in a suite called
12 Microsoft Office.

13 Q. When you're reading the sentence in
14 patent owner's response beginning with the word,
15 While printers, the one we've been talking
16 about --

17 A. Yes.

18 Q. -- are you assuming that the extensible
19 software solution is a single program?

20 A. Probably so. I mean, solution is maybe
21 a little bit ambiguous. The software solution to
22 any given problem might be a single program. It
23 might be a collection of programs.

24 Q. All right. Now, let me try it this way.
25 If we assume that the words extensible software

1 solution would include multiple programs, would
2 you still agree with that statement; that an
3 extensible software solution that provides for
4 integration of destination devices and
5 destination applications did not exist at the
6 time of the invention, meaning 1995?

7 A. Well, I mean, when we say a solution,
8 that to me would imply or suggest that we're
9 talking about a program, but if we're going to
10 take the premise of your question and say that we
11 could have multiple programs, I would be inclined
12 to read this in the sense of perhaps multiple
13 programs from the same vendor, right?

14 When I think of a software solution I would
15 be inclined to think, well, we're talking
16 about -- if you're going to posit that we must
17 consider the possibility of multiple programs,
18 that those programs would be somehow designed to
19 work together as a solution to this particular
20 problem.

21 So I don't remember exactly what your
22 question was, but I don't think my opinion would
23 change.

24 Q. All right. So now what you're saying is
25 that even if the term extensible software

1 solution included multiple programs, you still
2 believe it's a fair statement that an extensible
3 software solution that provides for integration
4 of destination devices like printers, fax
5 machines, and/or scanners, and destination
6 applications did not exist at the time of the
7 invention, meaning around the mid-1990s?

8 MR. HILL: Objection, form.

9 THE WITNESS: Yeah, I still think
10 that's a fair statement.

11 BY MR. SABHARWAL:

12 Q. Okay. Now, I'm going to go back to
13 another question that I asked you before.

14 Were you aware of any software, meaning not
15 just a single software application, multiple
16 software applications, in the mid-1990s that
17 duplicated the functionality of a copier?

18 A. Gosh, I think that's the same question
19 you asked me earlier.

20 Q. Yes.

21 A. And I think I would give you the same
22 answer.

23 Q. Which is?

24 A. Which is it wouldn't surprise me if that
25 were the case. I can't think of a specific

1 program sitting here today, but it wouldn't
2 surprise me if that were the case.

3 Q. Okay. Now, the same question, but
4 instead of copier what about a scanner?

5 A. Right, and that gets to what I was
6 trying to explain a little while ago. I'm not
7 entirely sure what you mean when you say
8 mimic the operation --

9 Q. I'm sorry, I tried to use your word,
10 which is duplicate the functionality. Let's say
11 duplicate the contents.

12 A. Okay. So, for clarity, could you state
13 the question completely for me here?

14 Q. Sure. Absolutely.

15 A. Thank you.

16 Q. Were you aware of any software in the
17 mid-1990s that mimicked the functionality of a
18 scanner, meaning it took a hard copy and
19 duplicated the contents of that into, for
20 example, electronic form?

21 A. Just software that did that?

22 Q. Yes.

23 A. That could take a physical document and
24 duplicate its contents?

25 Q. Yes.

1 A. Yes.

2 Q. Could a scanner be an output device?

3 A. Yes.

4 Q. So could a printer be a destination
5 device as being used in this sentence?

6 A. It could, but it couldn't be a
7 destination application.

8 Q. It didn't say a destination application.

9 A. Well, it sounded to me like you were
10 equating them in your question. We can have the
11 question read back.

12 Q. Sure. We've got seven hours. We'll do
13 it again.

14 All right. Assuming that when I'm talking
15 about destination devices I'm talking about, as
16 examples, printers, fax machines and scanners,
17 are you following me so far?

18 A. Well, actually no.

19 Q. Okay.

20 A. I don't think a scanner would normally
21 be considered a destination device.

22 Q. Okay. How about printers and fax
23 machines?

24 A. Right.

25 Q. Right.

1 A. That's fine.

2 Q. You would agree with me that printers
3 and fax machines could be destination devices,
4 right?

5 A. I would agree with that, yes.

6 Q. What about a PC, is that a destination
7 device?

8 A. It could be.

9 Q. All right. So would you agree with me
10 then that, assuming that the term destination
11 devices could include as examples printers, fax
12 machines and/or PCs, while printers, fax machines
13 and scanners were in use in business
14 environments, an extensible software solution
15 that provides integration for destination devices
16 and destination applications did not exist at the
17 time of the invention, meaning the mid-1990s, you
18 still think that's a fair statement?

19 A. I do. And I note that this sentence
20 doesn't discuss PCs.

21 Q. Well, it says destination devices,
22 right?

23 A. Right.

24 Q. And you would agree with me that a PC
25 could be a destination device?

1 A. It could be.

2 Q. All right. I tell you what, let's take
3 a look at your book. I'm sorry, pages from your
4 book. And I believe the date on this is 1995; is
5 that right?

6 A. That sounds right.

7 Q. Okay.

8 A. Although the copyright I think is maybe
9 '96. Yeah, the copyright is '96.

10 Q. Okay, but it was publically available as
11 of 1995, right?

12 A. I honestly don't remember.

13 Q. Well, it says here Library of Congress,
14 on the second page, Library of Congress catalog,
15 cataloging in publication data, and it has Glenn
16 Weadock, Bulletproof Your PC Network, solving the
17 210 most common problems before they happen, and
18 there's a date there of 1995. Do you see that?

19 A. Oh, yeah, I do, uh-huh.

20 Q. All right. And I would like to direct
21 your attention to the -- this is going to be
22 page 239 of Exhibit 2.

23 A. Okay.

24 Q. All right. The top there, first full
25 sentence, Figure 6-12, do you see that?

1 A. I do.

2 Q. It says, Figure 6-12 illustrates a
3 typical TWAIN-compliant scan dialogue box. Do
4 you see that?

5 A. I do.

6 Q. Can you define TWAIN for me, please?

7 A. Sure. TWAIN was an acronym at the time
8 used to describe some of the technological
9 details of scanning a document into an electronic
10 format.

11 I think -- I'm not sure about the accuracy of
12 this, but the joke at the time was that the
13 acronym stood for tool kit without an interesting
14 name.

15 Q. All right. Now, this particular
16 depiction says Epson TWAIN scanner control, do
17 you see that?

18 A. I do.

19 Q. What is meant by Epson TWAIN scanner
20 control?

21 A. That appears to be the title of this
22 window.

23 Q. Right. And what does scanner control
24 mean?

25 A. Well, this window is allowing the user

1 to control the scanner's operation.

2 Q. And this is Epson software?

3 A. Yes.

4 Q. Would you agree with me that this is an
5 example of a graphical user interface for
6 scanning a document, or GUI?

7 A. Well, I don't think I'd phrase it that
8 way. The GUI is really Windows, and this is
9 using the Windows GUI.

10 Q. Okay. But this is something that --
11 that an individual who is looking to scan a
12 document would use, correct; this is the user
13 interface?

14 A. This is at least a part of the user
15 interface for this Epson scanner, yes.

16 Q. And just so we're clear, this is
17 software, right?

18 A. We are looking at a screen snap of the
19 user interface of a piece of software, yes.

20 Q. And is Epson the scanner that's being
21 used?

22 A. This, in fact, was an Epson scanner, as
23 I recall.

24 Q. And this existed as of the mid-1990s,
25 correct?

1 A. It did.

2 Q. All right. And how did you get ahold of
3 it?

4 A. I paid a lot of money for it. Scanners
5 were expensive back then. I think that was a
6 1700 dollar scanner, something that we would
7 spend 50 dollars on today.

8 Q. So you purchased it?

9 A. I did.

10 Q. From Epson?

11 A. I don't remember where I purchased it
12 from.

13 Q. All right. Now, sir, would you agree
14 with me here that the scanner is the device for
15 which you are providing input?

16 In other words, I'm inputting information
17 into the scanner, correct?

18 A. Right. That's all you can do with a
19 scanner.

20 Q. Now, did this particular scanner
21 communicate with an output device or a
22 destination device?

23 A. No, I don't --

24 MR. HILL: Objection, form.

25 Go ahead.

1 THE WITNESS: Sorry.

2 No, I don't think it did.

3 BY MR. SABHARWAL:

4 Q. Could it have?

5 A. I don't recall.

6 Q. All right. Well, let me ask you this.

7 You see the words target device there?

8 A. I do.

9 Q. Okay. What is target device?

10 A. Well, looking at this now, I think that
11 is allowing the user to specify what format the
12 user would like the data to be saved in, a format
13 that would be compatible with a fax or a thermal
14 printer or a laser printer or a VGA screen.

15 Q. Okay. So, in other words, if I'm using
16 this particular software, I go to the Epson
17 scanner and I can push the button that says
18 target device, and the scanner will then scan the
19 contents and then send it to the target device?

20 A. No.

21 Q. Why is that incorrect?

22 A. Well, because -- well, first of all,
23 it's not what I said. Secondly --

24 Q. I wasn't saying what you said. I'm
25 trying to understand it.

1 A. Okay. No, as I explained, I think the
2 best of my recollection is that that button
3 allows the user to specify the data format that
4 the scanned image would use when stored on the
5 PC.

6 It is not my recollection that the device
7 actually sent data to any of these target
8 devices. I think that that target device button
9 was to specify a data format rather than to
10 identify a destination to which the scanner would
11 direct data.

12 Q. Okay. But I could scan this thing and
13 have it -- have it duplicated in the format of a
14 fax machine -- of a fax, is that what you're
15 saying?

16 A. Well, sort of. I think what -- I think
17 what would happen is the user could say target
18 device and pick fax, and then the scanner would
19 scan the document, for example, at a dots per
20 inch or resolution that would be compatible with
21 a fax machine, should I then later decide to send
22 that document to a fax machine.

23 It's not my recollection that this software
24 could actually send the data to a fax machine,
25 but it could scan the device -- it could scan the

1 document, pardon me, in a format that would be
2 compatible with a fax machine.

3 Q. Did you ever try to use the scanner to
4 actually set up a target device like a fax
5 machine or a thermal printer or a laser printer?

6 A. Oh, I'm sure I used all of these
7 different settings in the course of using the
8 scanner.

9 Whether I ever used the scanner to actually
10 send a document via fax I don't recall.

11 Q. Let me ask it this way. If I scanned a
12 document, could this particular functionality be
13 used to then transmit the document to a fax
14 machine?

15 A. I don't think so.

16 Q. Did you ever try that?

17 A. I don't think the software was capable
18 of doing that, to the best of my recollection.

19 Q. Why do you say that?

20 A. Well, as I've explained, I don't think
21 this software was able to send anything to a fax
22 machine.

23 I think what we're looking at here is the
24 ability to say or to scan data with settings that
25 would be compatible with the fax format.

1 Q. Thank you.

2 MR. SABHARWAL: Let's take a break.

3 THE VIDEOGRAPHER: The time is now
4 9:49 a.m.

5 (Brief recess.)

6 THE VIDEOGRAPHER: The time is now
7 10:06 a.m., and we are back on the record.

8 BY MR. SABHARWAL:

9 Q. Mr. Weadock, before the break we were
10 talking about the TWAIN-compliant scan dialogue
11 box on page 239 of Exhibit 2, correct?

12 A. Yes.

13 Q. And I want to go back to the questions
14 about the target device in the scan mode. What
15 does scan mode mean?

16 A. Well, I'm trying to remember. Let's
17 see. One example here is black-and-white line
18 art. I don't remember what the other choices
19 were. But my recollection is that, again, this
20 would be a way for the user to make settings that
21 would optimize the scanner for certain types of
22 original material.

23 Q. All right. And I believe you testified
24 that to the best of your recollection there was a
25 button that allows the user to specify the data

1 format that the scanned image would use when
2 stored on the PC, correct?

3 A. Yes. And to make that even more
4 accurate, it could be stored on the PC or it
5 could be resident in memory as well.

6 I don't remember all the details of this
7 since it was 20 years ago, but yes. And also to
8 be strictly accurate, I don't know that selecting
9 that target device would necessarily set every
10 possible setting, but it would make some settings
11 that would be appropriate for those types of
12 output devices.

13 Q. All right. Now, you said it could be
14 stored in memory on the scanner; is that right?

15 A. No.

16 Q. Oh, where would the memory be?

17 A. In the PC.

18 Q. Okay. And the PC is not part of the
19 scanner, right?

20 A. Right.

21 Q. Okay. So you would scan the document,
22 and then it would then be electronically
23 transmitted to the PC?

24 A. That's right.

25 Q. All right. Now, when it was transmitted

1 to the PC, was that across a network?

2 A. I don't think so. My recollection is
3 that this was a SCSI scanner. That's capital
4 S-C-S-I, small computer systems interface.

5 Q. Okay. Could it have been transmitted
6 across a network at that time?

7 A. I don't think so. Not with that -- my
8 recollection is that that model -- well, I should
9 say I don't know. I didn't use the scanner in
10 that way if it had that capability.

11 Q. Okay. So you just don't know one way or
12 the other?

13 A. I don't know for sure, but I do know
14 that it could use a SCSI, S-C-S-I, interface.

15 Q. So the document was then scanned and
16 stored on the PC, correct?

17 A. It could be stored on the PC. Again,
18 one could scan it and just have it in memory and
19 then the user, as I recall, could look at it and
20 say, oh, that didn't look good.

21 Q. Okay.

22 A. And then delete it without storing it on
23 the PC's hard disk.

24 Q. Okay. And then could it then have been
25 transmitted from the PC to, for example, a fax

1 machine if it was in fax format?

2 A. That would have been possible.

3 Q. And how would it have gotten from the PC
4 to the fax machine?

5 A. I think the user would have had to have
6 some -- a fax program that could do that.

7 Q. On the PC?

8 A. On the PC.

9 Q. So it would then be using the fax
10 program. The document would go from the Epson
11 scanner to the PC, and then electronically could
12 then be transmitted to the fax machine, correct?

13 A. That could be achieved with at least two
14 programs.

15 Q. And which programs were they?

16 A. The one that we're looking at here and a
17 fax program.

18 Q. Okay. And what's the program called
19 that we're looking at?

20 A. Well, what we're looking at here is the
21 Epson TWAIN scanner control.

22 Q. No, but is that the name of the program?

23 A. I don't remember. Again, it's 20 years
24 ago. I'm basing that on the Figure 6-12 here.

25 Q. Okay. So I could have used the program

1 that was on the Epson TWAIN scanner control to
2 direct the document to be printed at the fax
3 machine electronically, correct?

4 A. No.

5 Q. From the PC?

6 A. Can you restate your question?

7 Q. Let's start over.

8 A. Okay.

9 Q. All right. Because I thought we were
10 getting to understand each other.

11 I scan the document, right, and the contents
12 of the fax is then transmitted to a PC, correct?

13 A. No.

14 Q. Okay. How does it make its way to a PC?

15 A. Well, you said contents of the fax.

16 It's not a fax yet. It's a scanned document.

17 Q. A scanned document is then transmitted
18 electronically to a PC?

19 A. Right.

20 Q. And then when does it become -- when is
21 it converted to the format of a fax?

22 A. Well, this -- my recollection is that
23 this program, the Epson TWAIN scanner control,
24 will handle the fax compatible formatting.

25 Q. I think that's what I was asking you

1 before.

2 A. Well, if you want to read your question
3 back --

4 Q. Sure.

5 A. -- that's fine.

6 Q. Sure.

7 A. Sorry if I misunderstood.

8 Q. No problem. Let's try it again.

9 When we -- when you scan a document on the
10 scanner itself, it can convert it into the format
11 of a fax?

12 Did I say that correctly?

13 A. Well, it's a little ambiguous. What do
14 you mean by it, the scanner?

15 Q. Yes, the scanner.

16 A. No, I don't think so. I think that's
17 done by the software, but I don't remember in
18 detail what the division of labor was between the
19 scanner hardware and this program here.

20 Q. Oh, I'm sorry, when I'm saying the
21 scanner, I'm talking about the entire scanner
22 including the software, including this interface.

23 I'm not differentiating between the hardware
24 in the scanner and the software on the scanner.
25 I'm talking about the scanner as a whole.

1 A. Well, I'm sorry, I have to correct you
2 because I think -- I think you're laboring under
3 a misconception. This software isn't running on
4 a scanner. It's running on a PC.

5 Q. Okay. All right. So this particular
6 interface is on a PC, correct?

7 A. Right.

8 Q. Okay. Now, I go to the PC, and where do
9 I actually physically scan the document?

10 A. At the scanner.

11 Q. Okay. So I scan the document at the
12 scanner. Do I need to -- do I need to identify
13 which target device I want before I scan it?

14 A. I think it was usually done that way,
15 and the reason that I say that is that we have a
16 preview button here on this dialogue box which
17 sort of suggests to me that we would make these
18 settings and then we could click the preview
19 button, see if it looked good, and then once we
20 got the settings the way the user wanted, the
21 user could then click the scan button.

22 Q. I see.

23 A. And perform the scan finally with the
24 desired settings.

25 Q. Okay. So I would put in the settings on

1 the PC using this particular dialogue box,
2 correct?

3 A. Right.

4 Q. Okay. Then what would happen?

5 A. Then, as I just said, I think a user
6 would have the option to preview the results, and
7 I think that would open up a preview window.

8 Q. Okay.

9 A. And then if everything looked good, the
10 user could click scan, and that would send an
11 instruction to the scanner to scan the document
12 and transmit it to the PC.

13 Q. Okay. Go back to the PC? It would --

14 A. Well, it wasn't -- it wasn't -- yeah, it
15 wasn't ever there, so I don't know what you mean
16 by go back.

17 Q. The scanner is a separate piece of
18 hardware, right?

19 A. Right.

20 Q. So you're saying that the PC is
21 connected to the scanner, right?

22 A. That's true.

23 Q. And let's say I'm at the PC, I identify
24 the format, I preview it, it looks good, I hit
25 the scan button, then the scanner will scan the

1 document, right?

2 A. Right.

3 Q. Okay. And then the image will go from
4 the scanner to the PC?

5 A. Yeah, the image contents, sure.

6 Q. All right. And let's say that I hit fax
7 format. You following me so far?

8 A. Well, it just says fax.

9 Q. Okay, yeah, fax.

10 A. Right.

11 Q. Then after the document was scanned, it
12 would go back to the PC in a fax format, correct?

13 A. Yeah, again, I'm not quite sure what you
14 mean by go back. It hasn't gone anywhere yet
15 but, yes, it would be -- when that electronic
16 image of that document is acquired by the PC, it
17 would be in a format that's compatible with the
18 fax standard.

19 Q. What do you mean by fax standard?

20 A. Oh, well, for example, dots per inch. I
21 think faxes -- the fax standards specified a
22 certain DPI value.

23 Q. Is that resolution?

24 A. Sure, yes. I think, also, as memory
25 serves, you would -- one of the settings would be

1 to just scan the individual pixels as black or
2 white rather than gray scale, so things like
3 that.

4 Q. And then how would I be able to fax the
5 document?

6 A. Well, right, like I said before when you
7 asked me that question earlier, the user would
8 have a fax program.

9 Q. A fax program?

10 A. Right.

11 Q. I see. On the PC?

12 A. Right.

13 Q. So it could be then facsimiled
14 electronically from the PC?

15 A. It being the scanned document?

16 Q. Yes.

17 A. Yes.

18 Q. All right. Same question with respect
19 to thermal printer. If I previewed the document,
20 selected instead of fax thermal printer, then the
21 image would be in a resolution compatible with a
22 thermal printer, correct?

23 A. Resolution and other settings. Again,
24 like number of bits per pixel and so forth. So I
25 don't remember all the details of what the specs

1 were, but it wouldn't -- it might not just be
2 resolution. It might be other things as well.

3 Q. And how would I then print the document?

4 A. One would have to have a program capable
5 of printing it.

6 Q. Right.

7 A. So, for example, a graphics program.

8 Q. Okay. And let's say I had the graphics
9 program on the PC, then how would I actually
10 print it?

11 What would do the printing?

12 A. Well, the graphics program on the PC
13 would be executed, the user would do a file open
14 command to open the image that had originated at
15 the scanner, and then the graphics program would
16 have a -- at least in the Windows GUI, graphical
17 interface, a file print command.

18 Q. And then it would be printed
19 electronically by the printer?

20 A. Right.

21 Q. Okay. Now, was this -- strike the
22 question.

23 Could this have been done in a network
24 environment?

25 A. I don't know.

1 Q. You don't know because you didn't try,
2 or you just don't know?

3 A. It's 20 years ago. I don't remember all
4 the -- all the details of this particular
5 program.

6 Q. Do you know, sir, whether or not there
7 were network printers that existed at this time?

8 A. Oh, sure, there were.

9 Q. And there were network faxes that
10 existed at this time as well?

11 A. Yes.

12 Q. All right. Going back to the thermal
13 printer scenario.

14 A. Okay.

15 Q. It was known at the time that you could,
16 over a network, send a command from the PC to the
17 network printer to print the document, correct?

18 A. Well, I'm not sure it's correct as you
19 described it.

20 One would typically, again, have to have a
21 graphics program that you would open the document
22 in and then --

23 Q. Sure.

24 A. -- and then print from that program.

25 Q. Right. But at the time you could have

1 done that across a network, right?

2 A. Print to a thermal printer across a
3 network? Well, I'm actually not sure.

4 The thermal printers were usually -- I mean,
5 I remember having one called an HP ThinkJet, I
6 think. I'm not sure -- those were really
7 designed as personal printers. I'm not sure if
8 they were networkable or not, so I'm not sure
9 that you could do that.

10 Q. What about a fax machine?

11 A. Yeah, there were -- I think, yeah, you
12 asked me if there were networked fax machines at
13 the time, and there were.

14 Q. Okay. Great.

15 Sir, you recall in this scenario using the
16 Epson TWAIN scanner control we were talking about
17 utilization of a PC?

18 A. Yes.

19 Q. Okay. Do you recall what brand of PC
20 that was?

21 A. What brand?

22 Q. Yes. In other words, it wasn't an Epson
23 printer -- excuse me, an Epson PC, was it?

24 A. I don't think I've ever owned an Epson
25 PC in my office, so I'm pretty sure it was not an

1 Epson PC.

2 Q. So it was a different vendor?

3 A. It would have been a non-Epson PC.

4 Q. And then the fax machine -- well, strike
5 the question.

6 Is it your understanding that the TWAIN
7 standard was a standard that enabled different
8 vendors to be able to communicate with one
9 another, the devices?

10 A. Well, no, I'm -- I'm not sure. Let me
11 think about that for a moment.

12 Q. You know what? Actually --

13 A. Oh, do you want to withdraw that or --

14 Q. Yes, let's look at page 238.

15 A. That might be the case. I'm just trying
16 to remember. And the reason I'm hesitating is
17 that it was an Epson scanner, and as we're
18 looking at this it said Epson TWAIN standard
19 control, and I remember at the time that it was
20 typical I think for scanner manufacturers to
21 provide their own TWAIN software that was sort of
22 guaranteed to work with their scanner.

23 Q. Okay.

24 A. And so I think typically one would use
25 the TWAIN driver provided by the scanner

1 manufacturer.

2 But I think your question was about the
3 intent of the standard, and I think the intent of
4 the standard was broader than that. But at that
5 time as this was implemented, I think it was
6 typical for users to employ the TWAIN drivers
7 provided by the scanner manufacturers to make
8 sure that they worked right.

9 Q. But when you say the intent of the
10 standard was broader than that, what did you mean
11 by that?

12 A. I think the intent was to provide a
13 standard that could be used by multiple
14 companies.

15 Q. You mean multiple companies with
16 multiple different devices?

17 A. Multiple companies with multiple
18 different devices, sure. I think it was an
19 attempt to -- all standards are an attempt to --
20 well, I shouldn't over-generalize, but often
21 standards are provided so that more than one
22 manufacturer can use the standard.

23 Q. All right. Sir, you mentioned something
24 about a driver; is that right?

25 A. Yes.

1 Q. Okay. What's a driver?

2 A. Well, broadly speaking a driver is a
3 piece of software that allows a computer to
4 communicate with a device.

5 Q. All right. Now, if we look back at this
6 particular depiction, the Epson TWAIN scanner
7 control, do you see that?

8 A. Okay.

9 Q. So I'm looking at this particular
10 interface on the PC, correct?

11 A. I'm not sure I would call it an
12 interface. It's a window.

13 Q. Okay, a window.

14 A. Sure.

15 Q. All right. I assume that the PC that
16 was being utilized had memory?

17 A. I would presume that, too.

18 Q. Okay. And can we also assume that it
19 had a processor?

20 A. We certainly can.

21 Q. And how would I get to, on this
22 particular window, the scan button in particular?
23 Is that by using a mouse?

24 A. Sure, one could use a mouse. I think at
25 the time one could also use the keyboard. You

1 could use the tab key to get there as well.

2 Q. The tab key. Okay, okay.

3 A. Any pointing device, also; graphics
4 tablet. A variety of ways.

5 Q. Okay. Once I set the document in the
6 format that I wanted on the PC, how would I
7 implement the scanning function?

8 A. The user would click the scan button.

9 Q. The scan button. Okay, so that's like a
10 go button or start button?

11 A. Well, it's not like a go button in the
12 context of the '426 patent. It's a scan button.
13 It does the scan operation.

14 Q. But it's the button that initiates the
15 operation of the scan, correct?

16 A. That's true.

17 Q. Okay. Now, if I'm still looking at this
18 figure, could I adjust the brightness or
19 sharpness of the document, do you recall?

20 A. Well, it looks like you could adjust the
21 brightness but not the sharpness.

22 Q. Why do you say that?

23 A. Because sharpness is grayed out, and the
24 scroll box is not shown for some reason. I can't
25 tell you why.

1 Q. Is that because I have fax?

2 A. Probably.

3 Q. Okay. If I had hit thermal printer,
4 it's possible that I could have adjusted the
5 sharpness as well?

6 A. I would think so.

7 Q. Okay. And I could also adjust the
8 magnification?

9 A. Yes.

10 Q. Okay. And if I was adjusting, for
11 example, the brightness, would that be -- would
12 that adjustment be reflected in the preview
13 window?

14 A. I would hope so.

15 Q. Okay.

16 A. And I would assume so.

17 Q. Thank you. The same --

18 A. If everything was working as expected, I
19 would expect to see that.

20 Q. Same question with respect to sharpness?

21 A. I would expect to see that setting
22 reflected in the preview window.

23 Q. Okay. And the same question with
24 respect to magnification?

25 A. Yes, I would expect to see that

1 reflected in the preview window as well.

2 Q. Okay. And what do you call the
3 functionality that is adjusting the brightness or
4 the sharpness or the magnification?

5 A. What do I call that functionality?

6 Q. Yes. Yes. Is that the processor that's
7 enabling a user to do that?

8 A. I'm not sure I understand your question.
9 Without a processor I couldn't do anything with
10 the computer.

11 Q. Right.

12 A. And your earlier question, what do I
13 call that, I guess I would call that making
14 adjustments.

15 Q. Right, but I mean I'm using the
16 processor of the PC to be able to make those
17 adjustments, correct?

18 A. Well, indirectly, certainly.

19 Q. Okay, sir, I would like now to shift
20 gears and talk about your specific opinions with
21 respect to some of the claim terms as recited in
22 your declaration.

23 A. Okay.

24 Q. So let's go to -- let's see here. I
25 believe it starts on paragraph 40.

1 Oh, I'm sorry, the claim construction section
2 starts on page 4 of your declaration.

3 A. Okay.

4 Q. All right. Now, sir, when you were
5 providing your proposed constructions of some of
6 the terms that are set forth in your declaration,
7 do you believe that you implemented the broadest
8 reasonable interpretation standard?

9 MR. HILL: Objection, form.

10 BY MR. SABHARWAL:

11 Q. Do you understand what I mean by BRI?

12 A. I understand what you mean by BRI, yes.

13 Q. And so when you were opining on proposed
14 construction of certain claim terms, do you
15 believe in your opinion that those constructions
16 comport with the BRI?

17 A. Yes.

18 Q. Now, I'd like to direct your attention
19 to paragraph 18. You say in paragraph 18 that
20 the term software in the abstract need not only
21 refer to software that executes on a general
22 purpose computer such as a PC, but can also refer
23 to software that is embedded in a device such as,
24 for example, the firmware resident on a
25 controller circuit in a scanner or printer,

1 correct?

2 A. Yes.

3 Q. All right. Then you go on to say that,
4 The term application, however, is in my
5 experience typically used in reference to
6 software that executes on a general purpose
7 computer such as a PC, and, furthermore, software
8 that is separate and distinct from the operating
9 system of a computer.

10 Do you see that?

11 A. Of such a computer, yes.

12 Q. Yes, of such a computer. Do you see
13 that?

14 A. I do.

15 Q. So in your mind when you understand the
16 term application, you are differentiating between
17 the software that is -- strike the question.

18 When you are opining on the term application,
19 you are distinguishing between the software that
20 is on the operating system versus the software
21 that executes on a general purpose computer,
22 correct?

23 A. No.

24 Q. Okay. What did I say that was
25 incorrect?

1 A. Could we read back your question?

2 Q. Sure, sure.

3 MR. SABHARWAL: Can you read back
4 the question?

5 (The record was read.)

6 THE WITNESS: Yeah, okay, so what
7 was wrong with that question is that it implies
8 that I'm saying that operating systems don't run
9 on general purpose computers, which is not --

10 BY MR. SABHARWAL:

11 Q. Oh, no, I wasn't trying to imply that.

12 A. That's the way it was worded.

13 Q. Okay. Let me ask it this way.

14 A. Thank you.

15 Q. When you're talking about software that
16 executes on a general purpose computer, such as a
17 PC, what type of software are you talking about?

18 A. Well, there's system-level software, and
19 there's application software typically.

20 Q. All right.

21 A. Those are -- that's one way of
22 categorizing the software that runs on a general
23 purpose PC.

24 Q. Okay. Give me an example of
25 system-level software.

1 A. An operating system.

2 Q. Such as? Windows?

3 A. Windows, sure.

4 Q. Anything else?

5 A. DOS.

6 Q. All right. Anything else?

7 A. Mac OS, UNIX, Lenox. Lots of operating
8 systems out there.

9 Q. And then, according to you, there's also
10 application software; is that right?

11 A. Right. I think that's a -- that's a
12 common way of categorizing software that runs on
13 a general purpose PC, system software and
14 applications.

15 There are other possible categories one could
16 use. Sometimes, for example, we may refer to
17 utilities, which may in some context be
18 considered system-level software. So these
19 aren't necessarily hard-and-fast rules, but it's
20 a convenient way of categorizing software that
21 runs on a PC.

22 Q. What do you mean, they are not
23 hard-and-fast rules?

24 A. Well, for example, a utility program
25 might be considered by some to be more

1 system-level software, but it also has some
2 characteristics of an application.

3 Q. Okay. Could application software be
4 considered system-level software?

5 A. Typically not.

6 Q. What do you mean, typically not?

7 A. Well, it's not the way the word is
8 usually used.

9 Q. By who?

10 A. By professionals in the industry, by
11 authors, by teachers, by students, by people who
12 are practicing in the IT industry.

13 Q. So there's a -- they typically
14 differentiate between application software and
15 system-level software?

16 A. I think that's what I said, yeah.

17 Q. All right. What about in the patent,
18 did the disclosure of the patent differentiate
19 between system-level software and application
20 software?

21 A. Well, I think those terms are -- are
22 used. I think the patent does use the term
23 application. I think it uses application
24 software. I think it uses operating system. I'm
25 not sure whether the patent uses the phrase

1 system software verbatim. I would have to look
2 and see.

3 Q. Do you recall anywhere in the
4 specification of the '426 patent where there was
5 a distinction made between application software
6 and system-level software?

7 A. Well, I remember the patent talking
8 about operating systems and applications, and I
9 remember the patent giving some examples of
10 applications, like I think Microsoft Word is one.
11 So I think that's a distinction that is made in
12 the specification.

13 I'd have to take a look at it and point you
14 to specific places, but I'm happy to do that.

15 Q. No. I guess I'm asking you, as you sit
16 here today do you recall anywhere in the
17 specification of the '426 patent where the
18 inventors distinguished between application
19 software as used in the patent versus
20 system-level software?

21 That's my question.

22 A. Well, I think so. I think the patent
23 does talk about applications, and it talks about
24 them and gives a couple of examples. I think
25 Lotus Notes maybe was one. And that comports

1 with the general distinction between applications
2 and system software that I alluded to earlier.

3 I don't know whether there's a sentence in
4 the patent that says, by the way, here are the
5 differences between system software and
6 application software, if that's what you're
7 asking, but I think that the discussions of
8 applications in the patent are harmonious with
9 the -- with the definition of application
10 software and application that I propose in my
11 declaration.

12 Q. Did you see anything in the
13 specification that specifically excluded
14 system-level software from the term application
15 software?

16 A. Well, I haven't memorized the 89-page
17 patent but --

18 Q. Well, you read it, right?

19 A. Sure, I read it.

20 Q. And when you were reading it, did you
21 ever -- do you recall ever seeing any sentence,
22 line, word that distinguished between
23 application-level software and system-level
24 software?

25 Let me ask the question again.

1 A. Well, I --

2 Q. Let me ask a different question.

3 A. Okay.

4 Q. Do you recall ever reading anywhere in
5 the '426 patent a difference between application
6 software and system-level software?

7 A. Well, sure, I mean, it's all through the
8 patent. The patent's use of application and the
9 patent's discussion of operating systems and -- I
10 don't remember anything in the patent that is not
11 in harmony with the common understanding, as I've
12 expressed it, of the distinction between
13 applications and operating system software.

14 As I said before, I'm not sure that there's
15 any sentence in the patent that says, by the way,
16 here's the difference between applications and
17 operating systems. Because it was understood by
18 a person of skill in the art at the time that
19 would not have been something that would have
20 been needed to be explained to a person skilled
21 in the art.

22 And as I recall the patent and reading
23 through it a number of times, I don't remember
24 seeing anything that jumped out at me as not
25 being harmonious with that general explanation of

1 the distinction between applications and system
2 software.

3 Q. Right. But my question is, did you see
4 anything in the patent that would lead you to
5 believe that the inventor excluded application --
6 excuse me, excluded system-level software from
7 the term application software?

8 A. Sure. I mean, the entire patent shows
9 no indication of any such unusual position.

10 Q. That wasn't my question. I'm asking you
11 a very simple question. All right. I'm not
12 trying to play word games with you. I think
13 you're trying to play word games with me.

14 A. No. I'm trying --

15 MR. HILL: Objection, argumentative.
16 Ask a question, please.

17 BY MR. SABHARWAL:

18 Q. I'm asking you, did you read anywhere in
19 the patent where the inventor excluded
20 system-level software from the term application
21 software; yes or no?

22 A. Explicitly excluded --

23 Q. Yes.

24 A. -- system software --

25 Q. Yes.

1 A. -- from the term application software?

2 Q. Yes.

3 A. It wouldn't have been necessary to do
4 that. But, no, I don't recall seeing any
5 sentence that did that.

6 Q. Okay. Now, do you recall seeing
7 anything where the inventor specifically
8 distinguished between application software and
9 system-level software?

10 A. Well, sure. I mean, he talks about
11 applications like Lotus Notes and Microsoft Word,
12 pointing out that, hey, this is what I mean by
13 application.

14 And Lotus Notes and Microsoft Word are not
15 operating systems. I can try to point you in the
16 patent where he has those discussions.

17 Q. Do you recall whether the inventor
18 characterized the internet as an application?

19 A. Oh, I think there was one place in there
20 where he was talking about destinations and he
21 talked about -- he bundled in internet with Lotus
22 Notes, I think, if I remember correctly.

23 Q. Right. As an application, correct?

24 A. Yeah, which seemed odd to me. I just
25 assumed that what he probably meant by that was a

1 browser, internet browser.

2 Q. Did he say internet browser?

3 A. No.

4 Q. He just said internet, right?

5 A. I believe so, but if we can look at the
6 patent I can tell you for sure.

7 Q. Sure, sure. Let's go to the patent.
8 Column 46, lines 44 to 46.

9 A. I'm sorry, what was the line?

10 Q. Line 44. Column 46, beginning at
11 line 44.

12 A. Okay.

13 Q. Now, would you mind, sir, reading into
14 the record the passage between 44 and 46?

15 A. Okay. It says, quote, The power of
16 virtual copier is the fact that the from --
17 capital F -- can be a physical device (e.g.,
18 digital copier, fax or scanner) or an
19 application, (e.g. Lotus Notes, Microsoft
20 Exchange, the internet, or an electronic filing
21 system).

22 Q. Thank you. Now, would you agree with
23 me, sir, that the internet includes hardware?

24 A. Sure, the internet includes hardware.

25 Q. And the internet includes firmware?

1 A. In this context, yes.

2 Q. Okay. You are not construing the term
3 electronic filing system to include any hardware?

4 A. Not in this context, I don't think so.
5 But let me look at it again.

6 Q. Sure.

7 A. (Witness reviews document.)

8 Right, because the sentence after that, it
9 says the To, right, the destination, T-O, can
10 also be a physical device or an application,
11 right? So it's distinguishing between physical
12 devices and applications.

13 So to the extent that we have electronic
14 filing system listed as an application here, it's
15 being distinguished by the patent author from a
16 physical device.

17 Q. Right, but it's not distinguishing
18 between system-level software and application
19 software, is it?

20 A. No, this sentence doesn't explicitly
21 make that distinction.

22 Q. So wouldn't you agree with me that when
23 the inventor was defining the term application
24 and using examples, he, himself, did not
25 distinguish between system-level software and

1 application software?

2 A. Oh, no, I don't think that's true. I
3 mean, the way I read this, you've got Lotus
4 Notes, which is a typical application that a user
5 would use, Microsoft Exchange, the same thing,
6 the internet, by which I think he means here a
7 browser.

8 Q. Does he say browser?

9 A. He didn't say that, no, and it struck
10 me, to be honest with you, a little odd that he
11 didn't say browser.

12 An electronic filing system, again in this
13 context, bundling it with be these other
14 applications, I'm assuming he means an
15 application for managing an electronic filing
16 system.

17 Q. Did you ask him what he meant?

18 A. Oh, I haven't had any discussions with
19 the inventor so, no.

20 Q. Did you ever raise this with anyone that
21 you assumed that when he's talking about
22 application that it meant internet browser as
23 opposed to the internet based on the plain
24 language of what's stated here?

25 A. I don't think I brought that out in my

1 declaration, no.

2 Q. All right.

3 A. Sometimes these patents are written with
4 the input of attorneys who don't necessarily use
5 the terms always in the traditional methods, but
6 certainly Lotus Notes and Microsoft Exchange and
7 an internet browser would all be examples of
8 applications.

9 Q. Right, but the internet itself would
10 include, as we talked about before, hardware and
11 firmware, correct?

12 A. I can say that that's true, and the
13 internet has never been referred to as an
14 application in my recollection in all my years of
15 being in this industry.

16 Q. Well, I guess --

17 A. So I think this is an unusual wording
18 here.

19 Q. Well, do you understand that a patentee
20 can be his own lexicographer?

21 Do you know what that means?

22 A. I do.

23 Q. Do you think that it's possible that the
24 patentee was his own lexicographer here?

25 A. No.

1 Q. Why not?

2 A. It wouldn't make any sense.

3 Q. Why not?

4 A. Because the internet is not an
5 application.

6 Q. Did you ask him?

7 A. I think I told you just a minute ago
8 that I didn't ask him.

9 Q. So you don't know what he intended,
10 right?

11 A. I don't know what he intended, but I
12 know what makes sense and what doesn't make
13 sense.

14 Q. Right, but I'm talking about not what
15 makes sense based on what you think. I'm talking
16 about what makes sense based on the plain
17 language of what's written here.

18 That's how you were interpreting the term
19 application, right?

20 A. Well, I interpret the term application
21 based on the words in the patent, based on my
22 experience in the industry, based on my
23 communications with technical professionals over
24 a period of, you know, 25 years or so.

25 And I'm pretty comfortable that the patent

1 author here is not trying to define the entire
2 internet as an application. I think that
3 that's -- that can't be what was intended here.
4 It just wouldn't make any sense to a person of
5 skill in the art.

6 Q. Okay. And you're also pretty
7 comfortable with -- even though you've never
8 talked to the inventor, that when he used the
9 term electronic filing system he was only talking
10 about software, right?

11 A. That's what I derived from this sentence
12 in context, yeah.

13 Q. Okay. Now, let's go back to your
14 declaration, sir.

15 A. Sure.

16 Q. You understand that the board defined
17 application differently from you, correct?

18 A. Yes.

19 Q. And so you think the board's definition
20 is wrong?

21 A. Well, I like mine better.

22 Q. That wasn't my question. You think they
23 are wrong?

24 A. Let's -- can I look at the board's
25 definition before I answer you?

1 Q. Sure. I believe it is in --

2 A. I may have cited it here.

3 Q. -- paragraph 20.

4 A. Okay. So was that the complete board's
5 definition, a program that may or may not be on a
6 device --

7 Q. We can actually look at the decision.

8 A. That might be useful, just to be sure.

9 Q. I think you put it in here.

10 A. I think I did, too, but I don't know
11 whether it's complete.

12 Q. I will represent to you that, and while
13 my colleague is getting it, the board construed
14 the term application to be a program that may or
15 may not be stored on a device, such as a printer
16 or a scanner.

17 A. Okay.

18 Q. And --

19 A. And so given that, and I accept your
20 representation, yes, I disagree with that.

21 Q. Okay. All right. Now, let's look back
22 at -- sorry to keep flipping you back and forth.
23 Let's look back at Column 46, lines 44 to 46 --

24 A. Okay.

25 Q. -- in the patent.

1 A. Right. Okay, I'm there.

2 Q. Now, would you agree with me that based
3 upon the board's construction, that Lotus Notes
4 would fall within the scope of their
5 construction?

6 A. Well, let's see. I mean, I'm not sure
7 I've ever seen Lotus Notes stored on a device
8 or --

9 Q. Okay.

10 A. -- or --

11 Q. Or not?

12 A. I mean, let's see. Well, a program that
13 may or may not be stored on a device.

14 Any time I've ever seen Lotus Notes, it's
15 been running on a general purpose PC.

16 Q. A PC is a device, right?

17 A. Yeah, I'm not sure if a PC is a device
18 in the context of the board's definition. I just
19 don't remember.

20 Yeah, I would call a PC a device.

21 Q. Okay. Would you say that your
22 definition is more narrow than the board's
23 definition of the term application?

24 A. I would, yes.

25 Q. So, in other words, you would agree with

1 me that the board's definition is broader than
2 your definition?

3 A. It's broader and less reasonable, yes.

4 Q. Okay. So you think the board's not
5 reasonable?

6 A. I think that definition is not a
7 reasonable definition. I'm not characterizing
8 the entire board as being unreasonable.

9 Q. Right. You just think that their
10 construction of this term was unreasonable?

11 A. Yeah, not as reasonable as my
12 definition.

13 Q. Okay.

14 THE VIDEOGRAPHER: We've got about
15 five minutes left on this tape.

16 MR. SABHARWAL: Oh, yeah? Okay.
17 All right, let me ask one more question, and then
18 we'll change the tape.

19 BY MR. SABHARWAL:

20 Q. Now, would you agree with me that the
21 board's definition is, in fact, the broadest
22 reasonable interpretation as opposed to your
23 definition?

24 A. No.

25 Q. Why not?

1 A. Because I don't think it's reasonable.

2 Q. Oh, okay. You think it's the broadest
3 unreasonable interpretation?

4 A. Well, I don't know.

5 Q. You think it's the broadest less than
6 reasonable interpretation?

7 A. Well, I don't know if I understand
8 that -- that phrase.

9 Q. Okay.

10 A. I don't think it's reasonable. I think
11 it reads out the distinction between application
12 programs and the system software programs.

13 Q. Okay.

14 MR. SABHARWAL: Sorry, let's change
15 the tape.

16 THE VIDEOGRAPHER: This is the end
17 of Disc Number One. The time is now 10:55 a.m.,
18 and we are off the record.

19 (Brief recess.)

20 THE VIDEOGRAPHER: This is the
21 beginning of Disc Number Two. The time is now
22 10:59 a.m. We're back on the record.

23 BY MR. SABHARWAL:

24 Q. Sir, I'd like to know, talk a little bit
25 more about your proposed construction of the term

1 application.

2 When you say that the term application is
3 software that is separate and distinct from the
4 operating system of a computer, what do you mean
5 by separate and distinct?

6 A. Not the same as.

7 Q. I'm sorry?

8 A. Not the same as.

9 Q. Not the same as. Okay, but could they
10 overlap with each other or interact with each
11 other?

12 A. Well, they would have to interact with
13 each other to accomplish anything.

14 Q. Okay. The reason that I'm asking you
15 this is because you also used the word discrete,
16 and I'm trying to figure out if discrete is the
17 same thing as separate and distinct?

18 A. Well, I can try to help you with that.

19 Q. Sure.

20 A. I think when I use the word discrete, I
21 think in general that means I can draw a dotted
22 line around it. I can say this is what this is.

23 Q. Okay.

24 A. Right? So if I'm looking at an
25 application like Microsoft Word or Lotus Notes,

1 it comes on a CD, back then it would have been a
2 CD, and I can say this is the software. I can
3 identify a set of files that I can draw a dotted
4 line around it. It has, when I run it, Windows
5 that appear that are associated with that
6 application.

7 So discrete, when I used the word, I don't
8 know what the dictionary definition is, but I
9 intend it to mean discrete as in something that
10 one can identify by drawing a metaphorical dotted
11 line around it.

12 Q. Okay. Would you say that the internet
13 is a discrete program?

14 A. Well, I wouldn't say that the internet
15 is a program.

16 Q. What about an electronic file system, is
17 that a discrete program?

18 A. Well, in the sense that it was used in
19 the patent lines that you were pointing me to a
20 little bit earlier, my presumption is that the
21 intent of the author was to discuss a file system
22 management utility which would have been a
23 discrete program, but he doesn't go into any
24 particular detail there so it's hard to know
25 exactly.

1 Q. Okay. But if you just look at the words
2 electronic filing system conventionally, you
3 would never call that a discrete program, right?

4 A. I would not be inclined to, no.

5 Q. Okay. Now, the software program that
6 you drew the dotted lines around could be part of
7 a broader software application, correct?

8 A. Well, no, not -- I mean, not typically.
9 I mean, there are always special cases, but in my
10 experience usually the term application means a
11 program that stands on its own two feet.

12 Q. You've heard of Microsoft Office?

13 A. Sure.

14 Q. Is that a single application?

15 A. Most -- I don't think most people would
16 refer to it as a single application. It was
17 typically referred to in the industry as a suite.

18 Q. A suite, okay. Now, does the Microsoft
19 Office in your opinion have separate and distinct
20 applications?

21 A. Yes.

22 Q. Discrete applications?

23 A. I think so, yes.

24 Q. But they do interact with each other,
25 correct?

1 it?

2 A. No. I wish it would have been. It
3 might have helped me sell some books.

4 Q. Okay. And do you recall whether it was
5 cited in the prosecution history?

6 A. No, I don't think it was.

7 Q. The same question with respect to
8 Newton's Telecom Dictionary?

9 A. To my recollection Newton's Telecom
10 Dictionary was not cited in the patent or the
11 prosecution history.

12 Q. Okay. With respect to the Exploding The
13 Computer Myth publication, is it your opinion
14 that that's a publication that persons of
15 ordinary skill would have consulted when trying
16 to come up with the definition of application as
17 it's used in the '426 patent?

18 A. Well, I think -- let's see if I can
19 answer that question. I think that the concept
20 of application was so well understood that a
21 person of skill in the art would not have found
22 it necessary to go look it up anywhere.

23 Q. That wasn't my question.

24 A. Well, it was implicit in your question.
25 You, I think, implied in your question that a

1 person of skill in the art would go look up
2 application to find out what it meant, and I feel
3 like that's a false presumption.

4 Q. Well, then why did you even consult it?

5 A. Why did I consult what?

6 Q. Why did you even -- if you think that
7 the term application is so readily understood by
8 a person of ordinary skill in the art, why did
9 you even cite Exploding The Computer Myth as part
10 of the support for your term application?

11 A. Well, I have an audience here that's not
12 IT professionals. I mean, the board are not
13 necessarily experts or persons of skill in the
14 art of the patent, so my goal in this
15 declaration, one of my goals is to explain terms
16 to people who are not IT professionals. So I'm
17 providing documentary support for my position.

18 Q. Okay. So the only reason that you
19 provided it is because you wanted to provide a
20 more fundamental treatise to the board?

21 MR. HILL: Objection, form.

22 BY MR. SABHARWAL:

23 Q. Let me try it again.

24 A. I don't understand the question.

25 Q. Okay. Let me just make sure I

1 understand your testimony. A person of ordinary
2 skill in the art would not have consulted the
3 publication Exploding The Computer Myth in trying
4 to arrive at the definition of the term
5 application?

6 Did I understand you correctly?

7 A. Well, I think that's right. A person of
8 skill in the art would have understood the
9 conventional meaning of that term in the
10 industry.

11 Q. And they wouldn't have even had to have
12 consulted this publication, correct?

13 A. I don't think they would have had to,
14 no. It was a well-known term of art.

15 Q. All right. And do you understand that
16 when the board is construing the term
17 application, it is construing it from the
18 prospective of a person of ordinary skill in the
19 art?

20 A. Well, I'm not a -- I'm not a patent
21 lawyer and I'm kind of new to the IPR process
22 here, so I hesitate to wade too deeply into the
23 legal nuances of the criteria so it's hard for me
24 to answer that.

25 Q. That's fair.

1 A. I'm trying to help whoever reads this
2 report, and I presume that the board will be
3 reading it, help understand the rationale for
4 those instances where I am suggesting
5 alternatives to their proposed constructions.

6 Q. You said, well, I have an audience here
7 that's not IT professionals, correct?

8 A. Right, not necessarily. I mean, they
9 might be. I don't know. I don't know the board
10 members.

11 Q. Are you an IT professional?

12 A. Yes.

13 Q. Is an IT professional considered to be a
14 person of ordinary skill in the art?

15 A. Well, not all IT professionals. I think
16 we have a more specific definition that -- that
17 we're using here with the context of this patent.

18 Q. What is the difference between a person
19 of ordinary skill in the art, for the purposes of
20 the '426 patent, and an IT professional?

21 A. Well, I think one is a subset of the
22 other. Not all IT professionals would meet the
23 criteria that I propose for a person of skill in
24 the art with this patent. You could have an IT
25 professional who's been an IT professional for

1 one week that would not, in my view, qualify as a
2 person of skill in the art.

3 Q. So then they are not necessarily a
4 subset then, are they?

5 A. Sure. I think -- I think what I said is
6 that the -- a person of skill -- the universe of
7 persons of skill in the art in the '426 at the
8 time of the patent's filing is a subset of the
9 universe of all IT professionals at that time.

10 Q. Is a subset, I see, so you're saying
11 that you could have -- let me see if I understand
12 this. You're saying that all persons of ordinary
13 skill in the art for purposes of the patent are
14 IT professionals, but not all IT professionals
15 are PHOSITAs? P-H-O-S-I-T-A.

16 A. Right. That was my meaning, right.

17 Q. All right. And tell me again, what is
18 the difference between an IT professional who's
19 not a PHOSITA?

20 A. Well, let's look. I think I set it
21 forth here, so let's just take a look.

22 Q. I believe you talked about that. I
23 could direct you to it.

24 A. Okay.

25 Q. I believe it's paragraph 15.

1 A. Okay.

2 Q. Do you need a second to review that?

3 A. Well, I remember it pretty well.

4 Q. Okay.

5 A. So, I mean, it states here, In my
6 opinion, a person of ordinary skill in the art at
7 the time of the effective filing date would have
8 had at least a bachelor of science degree in
9 computer science or software engineering, or a
10 bachelor of science degree in a technical field
11 requiring computer science or software
12 engineering courses, as well as two to four years
13 of experience designing, writing or implementing
14 software products, such experience to include
15 printing, networking, scanning, and e-mail. In
16 addition, more experience can offset less
17 education.

18 So, you know, there are lots of IT
19 professionals. I could have a chip designer,
20 right, who might qualify as an IT professional,
21 but they wouldn't meet this requirement.

22 Q. And you believe that you are an
23 individual of at least ordinary skill in the
24 art --

25 A. Yes.

1 Q. -- in the '426 patent, right?

2 A. Yes, according to both my definition and
3 Dr. Melen's, which I think didn't require
4 experience in the field.

5 Q. Okay. I'll tell you what, let me just
6 finish up application, and then we will come to
7 this.

8 A. Okay.

9 Q. I just want to close this out.

10 A. Sure.

11 Q. So just so I'm clear, sir, and I'm sorry
12 if I'm repeating myself, a person of ordinary
13 skill in the art would not have consulted the
14 treatise Exploding The Computer Myth when coming
15 up with the definition of application, correct?

16 A. Well, again, the way you word it, I
17 don't think a person of ordinary skill in the art
18 would have had to come up with the definition.
19 They would have known it. As I said before, it
20 was a well-known term of art. One wouldn't need
21 to run to a dictionary to look it up, or a
22 textbook, although my book was used as a textbook
23 at Rutgers University, but I don't believe
24 anybody would need to go look that term up. It
25 was well understood at the time.

1 Q. Did you consult any other individuals of
2 ordinary skill in the art about your definition
3 of the term application before you put it down on
4 paper?

5 A. Well, no. I made reference to a couple
6 of contemporaneous references that supported what
7 I already knew, based on my experience in the
8 field.

9 Q. And the contemporaneous references are
10 Exploding The Computer Myth and Newton's Telecom
11 Dictionary?

12 A. Yeah, I think they are both from the
13 mid-'90s.

14 Q. All right. Do you recall whether or not
15 the publication Exploding The Computer Myth
16 defined application the way you did in your
17 declaration?

18 A. Well, I mean, let's look. I think it's
19 quoted here, right?

20 So on page 5 of my declaration, paragraph 23,
21 I quote it.

22 Q. I'm sorry, page 5?

23 A. Yeah, page 5, paragraph 23 of my
24 declaration, I quote it.

25 "In contrast to system software, programs

1 that enable users to do specific and useful tasks
2 with the computer, such as word processing and
3 inventory management, are application software."
4 So I think that means the answer to your question
5 is yes.

6 Q. Okay. And do they define these as being
7 discrete?

8 A. Do they? Who is they?

9 Q. Does this definition define application
10 software as being a discrete application?

11 A. It doesn't use the word discrete.

12 Q. But you think that's implied?

13 A. Right, I think so. I mean, programs
14 that -- I mean, it uses the word specific.
15 Programs that enable users to do specific and
16 useful tasks, and then it gives examples of some
17 discrete application programs; word processing,
18 inventory management. But I didn't use the word
19 discrete back in 1995, but I think it comports
20 with this definition.

21 Q. Did you look for any other books or
22 treatises to support your definition of
23 application?

24 A. Beyond these two?

25 Q. Yes.

1 A. No, I don't think so.

2 Q. Would a person of ordinary skill in the
3 art have done that?

4 A. Oh, gosh, I mean, as I mentioned before,
5 I don't think a person of ordinary skill in the
6 art would have needed to look up a single
7 reference to get a definition of that term.

8 Q. Of the term application?

9 A. Right.

10 Q. Okay. So if the board were construing
11 the term application based upon the prospective
12 of a PHOSITA, they could actually ignore
13 Exploding The Computer Myth when coming up with
14 the definition of application, right?

15 A. Well, I don't know why they would ignore
16 it. It was a contemporaneous reference written
17 by a professional in the field, anymore than they
18 would ignore Newton's Telecom Dictionary.

19 Again, my presumption, and I could be wrong,
20 I don't know the board members, but my
21 presumption is that they are not necessarily
22 persons of ordinary skill in the art, and so I'm
23 trying to document and explain my positions.

24 Q. Okay. All right. Let's go back to --
25 let's go back to the definition of PHOSITA that

1 we looked at; paragraph 15.

2 A. Okay.

3 Q. Okay. Let's start with the beginning
4 part of the definition regarding the educational
5 component.

6 You said a person of ordinary skill in the
7 art at the time of the effective filing would
8 have had at least a bachelor of science degree in
9 computer science or software engineering,
10 correct?

11 A. Well, that's the first part of the
12 sentence.

13 Q. Yes, yes. I'm going to go through the
14 whole thing.

15 A. Okay.

16 Q. All right. And you do not have a
17 bachelor of science degree in computer science or
18 software engineering specifically; is that
19 correct?

20 A. That's correct.

21 Q. You go on to say or a bachelor of
22 science degree in a technical field requiring
23 computer science or software engineering courses.
24 Let me just stop there for a second.

25 Do you mean that prong of the educational

1 component of a PHOSITA?

2 A. Yes.

3 Q. Now, your degree was in general
4 engineering, right?

5 A. Right.

6 Q. And you graduated in 1980?

7 A. Correct.

8 Q. And how many, if any, computer science
9 courses did you take?

10 A. Well, we can take the "if any" out of
11 there because there were some. I don't remember
12 how many.

13 Q. Okay.

14 A. But I remember many late nights at the
15 LOTS, low overhead time-sharing computer center
16 in Stanford learning languages that are now
17 little used.

18 I think FORTRAN was certainly one of the
19 languages that I learned, and a lot of the
20 engineering courses required computer
21 programming. So pretty much everybody who came
22 out of the engineering school had to take some
23 comp sci.

24 Q. And you took specifically comp sci --
25 strike the question.

1 Q. Okay. What about fax machines?

2 A. You're asking if I wrote any fax machine
3 software in FORTRAN?

4 Q. Yes.

5 A. No.

6 Q. All right. Did you write any printer
7 programs, meaning software programs, in any
8 language?

9 A. Well, some of the software that I've
10 written had print capabilities. So I've written
11 applications over the years that had the ability
12 to interact with printers and create printouts.

13 Q. No, that wasn't my question.

14 A. I'm sorry.

15 Q. I'm asking did you actually write
16 specifically printer programs in any language?

17 A. You have to help me understand then what
18 you mean by printer program.

19 Q. Well, you used the word printer program
20 before when you were talking about FORTRAN, so
21 I'm using it the same way you used it.

22 A. I think I was responding to a question
23 you asked, so I think that was you, not me.

24 Q. When you answered the question you
25 seemed to understand it. So let me repeat the

1 question back, and I'll take out the word
2 FORTRAN.

3 A. Well, since you're focussing on it maybe
4 we should worry about defining it a little more
5 clearly.

6 Q. Well, you answered the question. So you
7 said, I didn't write any printer programs in
8 FORTRAN. Did you understand what you meant when
9 you answered that question?

10 A. I think I took it to mean programs that
11 were specifically focused on printing
12 capabilities, and if that's what you mean then
13 the answer was the answer that I gave.

14 Q. Okay.

15 A. And since you're asking more questions
16 about printer programs, I just want to make sure
17 I'm clear on what you're asking about. And so I
18 didn't write -- I have not written programs that
19 are primarily oriented towards printing
20 functions. I have written programs that can
21 perform print operations.

22 Q. All right. Did you write any programs
23 that are primarily oriented towards scanning?

24 A. No.

25 Q. Did you write any programs at any time

1 that are primarily oriented towards copying?

2 A. No.

3 Q. Did you write any programs that are
4 primarily oriented towards any of the target
5 devices that we've been talking about today?

6 A. Well, let's think about that for a
7 minute. So the targets are destinations in the
8 sense of the '426 patent, can include printers
9 and faxes and filing systems and applications.

10 So, gosh, I mean, if we take the last one,
11 which is kind of a big category, I've certainly
12 written programs that constitute applications.
13 So in that sense I guess the answer to your
14 question would be a yes.

15 Q. Did you write any programs at any time
16 that are primarily oriented towards facsimiles?

17 A. No.

18 Q. Did you write any programs in any
19 language that are primarily oriented towards any
20 piece of hardware?

21 A. Well, I mean, maybe. I wrote a
22 financial education product that's oriented
23 towards displaying information on a screen. I
24 mean, that's kind of a broad way of interpreting
25 your question, but if you could include it in

1 that sense then I guess the answer would be yes.

2 Q. Besides displaying information on a
3 screen?

4 A. Then I think the answer is no.

5 Q. Okay. So then is it fair to say, sir,
6 you have not written any software that is
7 primarily oriented for any commercial device?

8 A. Well, again, to the extent that a
9 display is a commercial device I wrote a program,
10 a pretty extensive program designed and oriented
11 towards displaying information on a screen.

12 Q. Other than that?

13 A. No, I don't think so.

14 Q. All right. Now, other than the computer
15 science course in FORTRAN and some other
16 languages that you don't recall, do you recall
17 any other computer science courses that you took?

18 A. Oh, there was at least one programming
19 class in FORTRAN. I think there were two or
20 three, but I just don't recall. It's been a long
21 time.

22 Q. Did you take any circuit design courses?

23 A. No, I don't think I did.

24 Q. Did you take any hardware courses?

25 A. No, I don't think so. I think the only

1 computer science classes I took were in
2 programming; in college. I've taken a number of
3 classes since then.

4 Q. Did you take any courses in networking?

5 A. Oh, I'm sure there was some networking
6 in some of the classes that I took.

7 Q. Do you recall specifically which class?

8 A. No, I really don't.

9 Q. Was it a computer science class?

10 A. Yeah, I believe so. There was certainly
11 some discussion of networking. It was fairly --
12 fairly primitive by today's standards.

13 Q. Okay. Did you take any courses in
14 software engineering?

15 A. Well, to the extent that programming is
16 part of software engineering, sure.

17 Q. So when you're talking about courses in
18 computer science, you are also talking about the
19 courses in software engineering, right?

20 A. Sure. I would include software
21 engineering as under the umbrella of computer
22 science.

23 Q. So based upon the courses that you took
24 in the FORTRAN programming language and some
25 other languages that you don't recall, you

1 believe that you are qualified from an
2 educational perspective to be a person of
3 ordinary skill in the art that can opine on what
4 is disclosed in the '426 patent?

5 A. Oh, sure. I mean, look at my
6 definition, my proposed definition. It doesn't
7 say that you have to be even necessarily a
8 programmer. My definition is that you need to
9 have some computer science education, and then
10 you have to have some experience -- quoting from
11 my paragraph 15 -- designing, writing, or
12 implementing software products.

13 I have a lot of experience implementing
14 software products. I have a lot of experience
15 designing systems, including networking and
16 printing and faxing and so forth. Such
17 experience, going back to the quote, such
18 experience to include, printing, networking,
19 scanning, and e-mail, period, end of quote.

20 So to the extent your question implies that a
21 person of skill in the art has to have done
22 programming primarily directed towards printing
23 or faxing, that's not a correct characterization
24 of my proposed definition.

25 Q. Okay. So I guess --

1 A. I meet my proposed definition, and I
2 meet Dr. Melen's proposed definition.

3 Q. At least that's your opinion?

4 A. I think mine is more rigorous than his.

5 Q. So is it fair to say, sir, that if I'm a
6 general engineer and I take computer science -- a
7 computer science course that has nothing to do
8 with applications that are directed towards
9 printing or copying or scanning or fax machines,
10 that I am necessarily at least a person of
11 ordinary skill in the art to opine on the
12 teachings of the '426 patent?

13 A. No, I wouldn't say that.

14 Q. Okay. You would say that that person
15 would not be qualified?

16 A. No, I wouldn't say that either.

17 Q. What would you say?

18 A. I would say what I said in my paragraph
19 here. There's an education component, which I
20 meet. There's an experience component, which I
21 meet.

22 Q. That's what -- I understand that's your
23 opinion, and I'm trying to understand --

24 A. Well, it's a fact.

25 Q. It's -- I think that's probably your

1 allegation.

2 A. It's not an allegation. It's a fact.

3 It's my experience is my experience.

4 Q. I didn't ask you about your experience.

5 A. Well, that's part of the definition.

6 Q. But would you agree with me that you

7 have to have both education and experience?

8 That's what you said.

9 A. I would, and I do, and I stand by that

10 statement.

11 Q. All right. So I'm just trying to

12 understand, what type of computer science courses

13 does a person of ordinary skill in the art need

14 to have taken in order to be able to opine on the

15 '426 patent?

16 I'm not talking about experience. Please

17 don't tell me about your experience. We'll get

18 to that. I'm talking about education.

19 A. I think I stated here. I refer you to

20 paragraph 15 of my report.

21 Q. Okay. So if I take computer science

22 courses in FORTRAN that have nothing to do with

23 printer applications or copier applications or

24 scanner applications or fax applications, I still

25 have the educational requisite to opine on the

1 '426 patent, is that what you're saying?

2 A. That's what I'm saying.

3 Q. All right. You don't think that's
4 stretching it a bit?

5 A. No, I don't. I think that's very
6 reasonable. In fact, I think probably in this
7 industry the experience component, which you
8 didn't want me to talk about just now, is more
9 significant even than the education component.

10 I think that a lot of some of the
11 interesting, innovative and important work that
12 has been done in this industry has been done by
13 people who have no formal training and have taken
14 no computer science classes. So I think my
15 education component is appropriate here. I think
16 the experience component is appropriate, and I
17 feel comfortable with my own -- meeting both of
18 those.

19 Q. Now, you said you believe that you met
20 Dr. Melen's definition of a PHOSITA, correct?

21 A. I think so, yeah.

22 Q. All right.

23 A. It would be nice to look at it to
24 refresh my memory.

25 Q. I'm going to read it to you right now.

1 A. Okay.

2 Q. And you also said that you believe your
3 definition is more rigorous?

4 A. I think so, because I have an experience
5 component that he didn't have.

6 Q. I just need to finish the question.

7 A. Oh, pardon me. I didn't mean to
8 interrupt.

9 Q. You believe your definition is more
10 rigorous than Dr. Melen's definition?

11 A. Yeah, I think so.

12 Q. Okay. Dr. Melen said, in paragraph 18
13 of his declaration, that a PHOSITA at the time of
14 the invention claimed in the '426 patent is a
15 person holding a bachelor of science degree in a
16 computer-related technical field, such as
17 electrical engineering, computer engineering, or
18 a computer science, without experience in the
19 field.

20 You don't have a degree in electrical
21 engineering, correct?

22 A. I took electrical engineering classes,
23 but my degree was a self-designed degree. You
24 referred to it as general engineering, which was
25 the nomenclature at the time, and that was a --

1 it was not general in the common English use of
2 the word. I designed my own major in energy
3 technology, so it wasn't a standard degree and,
4 therefore, all nonstandard degrees, which are
5 typically more rigorous than most normal
6 engineering degrees, were given the nomenclature
7 of general engineering at Stanford at the time.

8 Q. But there was an electrical engineering
9 degree at Stanford at the time, right?

10 A. Sure.

11 Q. And you didn't get that degree?

12 A. No, I didn't.

13 Q. And you didn't get a degree in computer
14 engineering, right?

15 A. That's correct.

16 Q. And you didn't get a degree in computer
17 science, right?

18 A. That's correct.

19 Q. So how do you meet the definition of --
20 excuse me, how do you fall within the scope of
21 Dr. Melen's definition of a person of ordinary
22 skill in the art?

23 A. Well, I think he says -- doesn't he say
24 a technical degree?

25 Q. He says a computer-related technical

1 field.

2 A. Okay. So I would consider my degree to
3 be computer related in the sense that I had to
4 take computer classes. Many of the engineering
5 classes required computer programming, modelling.
6 It was a computer-related degree in that sense.

7 You couldn't get through an engineering
8 degree at Stanford without having a pretty solid
9 computer background.

10 Q. Okay. You used a term that I had not
11 heard before, sir. You said computer-related --
12 strike that.

13 You said computer classes, right? That's
14 what you took?

15 A. I probably said that, sure.

16 Q. Okay. Let me read your statement into
17 the record again.

18 A. Fine.

19 Q. Okay, so I would consider my degree to
20 be computer related in the sense that I had to
21 take computer classes. Many of the engineering
22 classes required computer programming, modelling.
23 It was a computer-related degree in that sense.
24 Right?

25 A. Sure.

1 Q. Okay. Now, when you were talking about
2 computer-related classes, were you
3 differentiating between the computer science
4 class in FORTRAN and other languages that you
5 can't recall from computer-related classes?

6 A. No. I'm lumping them together.

7 Q. Okay. So can you tell me of any other
8 computer-related classes that you took other than
9 the classes on FORTRAN and the languages that you
10 don't recall?

11 A. I just don't remember the specific
12 courses.

13 Q. Okay. Did you take any electrical
14 engineering courses, sir?

15 A. Yes.

16 Q. What course did you take, if you recall?

17 A. Oh, gosh. I mean, we're going back into
18 the dark ages here.

19 No, I don't recall specifically which
20 classes.

21 Q. Okay, that's fine.

22 A. But I took electrical, chemical,
23 nuclear, mechanical, civil, lots of engineering
24 classes for my degree on energy technologies.

25 Q. So the degree was entitled energy

1 technologies?

2 A. No. The degree was titled, as I
3 explained, general engineering, but the focus was
4 on energy technologies.

5 Q. How do you define energy technologies?

6 A. Oh, well, let's see. Well, oil and gas,
7 nuclear, wind, solar technologies that are used
8 to generate energy sources. That was the focus
9 of my major.

10 Q. None of those have anything to do with
11 printers or copiers or scanners, do they?

12 A. Oh, well, anything to do? I mean, in
13 any technical field you're producing reports that
14 have to be printed. I don't think scanning was a
15 technology in widespread use at the time.

16 But, yeah, the focus -- the focus of my
17 degree was on energy technologies. And I would
18 add, too, you mentioned electrical engineering.
19 I'm not sure any of the electrical engineering
20 courses at the time would focus on scanning or
21 faxing or printing.

22 Those, when we talk about printing and
23 faxing, we're talking about technologies that are
24 often used in an office environment, in a
25 business environment and in a commercial

1 environment. Those topics to my recollection in
2 the late '70s were not the focus of computer
3 science classes.

4 Q. But --

5 A. Or engineering classes. I don't
6 remember any classes on Printing 101 or
7 Faxing 101.

8 Q. But there were computers -- excuse me,
9 there were copiers that existed commercially at
10 that time, right?

11 A. Well, we're talking about -- sure, there
12 were, but we're talking about the education
13 component, and you seem to be concerned that I
14 didn't take any faxing classes or scanning
15 classes or printing classes, and I'm not sure
16 such an animal existed at the time.

17 Q. I didn't say that.

18 A. Those are things that are -- those are
19 things that are focused on in the workplace
20 rather than in the academic world.

21 Q. I didn't say that.

22 MR. SABHARWAL: Why don't we take a
23 break.

24 THE VIDEOGRAPHER: The time is now
25 11:37 a.m. We're off the record.

1 (Brief recess.)

2 THE VIDEOGRAPHER: The time is now
3 11:57 a.m. We're back on the record.

4 BY MR. SABHARWAL:

5 Q. Sir, we're almost done with the
6 questions regarding your education.

7 Did you take any courses at Stanford in
8 circuit design?

9 A. Oh, I think you may have asked me that.
10 No, I did not.

11 Q. Okay. Networking?

12 A. I'm sure networking was a component of
13 at least one of the comp sci classes that I took,
14 but I just -- beyond that I can't give you any
15 detail.

16 Q. Okay. All right. Now, you say in
17 paragraph 8 that after you graduated you
18 developed some software in the early-to-mid
19 1980s, correct?

20 A. Okay. Let's go look at that.

21 Q. Sure.

22 A. So, right, I guess I probably started
23 writing software in about 1981.

24 Q. And you have here that you wrote data
25 acquisition and billing analysis software in the

1 1981 to '85 time period, right?

2 A. Right.

3 Q. Then you have a royalty, calculating and
4 reporting program for an oil and gas company in
5 1984 to 1986?

6 A. Right.

7 Q. And then a database software for a
8 travel magazine for a credit collections bureau
9 from 1987 to 1989?

10 A. Yes.

11 Q. And during that timeframe do you recall
12 writing software for purposes of anything other
13 than the -- strike that question.

14 Did you write any other type of software in
15 that time period other than the ones that are set
16 forth in paragraph 8?

17 A. Oh, golly, I think those were probably
18 the main projects at the time.

19 Q. Okay.

20 A. I don't recall anything else
21 specifically sitting here.

22 Q. Now, during this period of time --

23 A. Well, let me, actually --

24 Q. Sure.

25 A. Pardon me, but I can go back and I can

1 probably fill that in a little bit. I think in
2 the -- oh, this would have been about '86, '87, I
3 did write some software in connection with -- one
4 of my consulting clients was a real estate
5 company, and so I wrote some software, kind of
6 office automation scripts. And it was a
7 language, I think it was called DCL, digital
8 command language, and I wrote some scripts in DCL
9 that -- and this is, again, predating PC
10 networks, but we're talking here about Digital
11 Equipment Corporation, minicomputers, and there
12 was a financial accounting system. So I did some
13 programming in DCL, or scripting really might be
14 a better term.

15 Q. Okay.

16 A. Yeah, there may have been a few
17 incidental things like that as well, but these
18 were the main projects.

19 Q. And I'm sure it's in your CV, sir, but
20 where were you employed at the time that you were
21 writing this software?

22 A. Well, at the time I was doing what I
23 just described, I had started my own company, so
24 that would have been I think '86, '87 and '88
25 when I started Independent Software, or went

1 full-time on Independent Software.

2 Now, the acquisition and billing analysis
3 software that you mentioned was in the '81 to '85
4 time period. That was a time period when I was
5 employed at an engineering firm in Dallas called
6 Wetzel Enterprises, and I was doing programming
7 in Pascal, programming Hewlett-Packard
8 acquisition computers. We were doing solar
9 energy research.

10 I also took care of the company's -- I set up
11 and managed the company's network. It was an
12 Apple network at the time, and designed that and
13 troubleshot it and so -- but that was as an
14 employee of Wetzel Enterprises.

15 Q. And how long were you at Wetzel?

16 A. I think it was about four years, four
17 and a half.

18 Q. When you say that you designed the
19 network at Wetzel, you didn't design the hardware
20 or the software, correct?

21 A. Oh, no, no. Designed it from the
22 standpoint of what we would call system
23 integration, right. So --

24 Q. You formed the network?

25 A. I set it up, I configured it, got it

1 working, picked the pieces, put them together and
2 made them work.

3 Q. Okay. Thank you.

4 A. Uh-huh. And we did have printers. I
5 don't think we had scanners, but we did have
6 printers back then.

7 Q. During your time at Wetzel Enterprises
8 you never designed any hardware or software for
9 printers, correct?

10 A. That's true.

11 Q. The same question with respect to
12 scanners?

13 A. That's also true.

14 Q. Copiers?

15 A. Also true.

16 Q. And fax machines?

17 A. Yes.

18 Q. At any time prior to 1995, had you ever
19 designed software for any of those devices?

20 A. Designed software for printers or faxes
21 or scanners? No.

22 Q. Or copiers?

23 A. Or copiers, no.

24 Q. And prior to 1995, had you designed any
25 software or hardware -- excuse me, any hardware

1 for printers, scanners, copiers, or fax machines?

2 A. No.

3 Q. At any time prior to today have you ever
4 designed any software for any of those devices?

5 A. No.

6 Q. The same question with respect to
7 hardware. At any time prior to today have you
8 designed any hardware for any of those devices?

9 A. No, I don't think so.

10 Q. Okay. And you've never been employed by
11 or worked for a scanner or a copier company,
12 correct?

13 A. Well, let's see. I think that's a fair
14 statement.

15 Q. That you have never been employed by a
16 scanner or a copier company?

17 A. I've never been an employee of a scanner
18 company or a copier company.

19 Q. Have you ever been retained by a scanner
20 or copier company as a consultant?

21 A. Sure.

22 Q. Okay. When was that?

23 A. Well, I think the exact dates are in my
24 CV. I was involved in a litigation at the ITC
25 between Ricoh and Okidata.

1 Q. Who did you represent?

2 A. I was working on behalf of Ricoh.

3 Q. Other than -- I'm sorry, and what was
4 your role in that case?

5 A. Consultant, expert witness, testimony
6 expert.

7 Q. Other than your engagement as an expert
8 witness, have you ever been retained as an IT
9 consultant by a scanner or copier company?

10 A. Yes.

11 Q. Which company?

12 A. I was -- I did some work for IBM in
13 Dallas, and they made copiers. I don't know if
14 you call them a copier company, but they
15 certainly make copiers.

16 Q. Okay. Anybody else?

17 A. Not that I recall sitting here, no.

18 Q. Did the engagement with IBM involve any
19 work on their copiers, scanners, fax machines, or
20 printers?

21 A. No, I don't think so. I think that was
22 a tech support. I was helping them set up a tech
23 support center in one of their Dallas offices, as
24 I remember that engagement.

25 Q. Okay. If we could take a look at your

1 CV.

2 A. Okay.

3 Q. Okay. I am on page 42 of your
4 declaration, which lists Appendix B or curriculum
5 vitae.

6 A. Okay.

7 Q. As far as you know, sir, is this a true
8 and accurate copy of your CV?

9 A. Yeah, I certainly try to make it true
10 and accurate.

11 Q. All right.

12 A. I'm not aware of any errors here.

13 Q. Okay. Now, is it fair to say that none
14 of the clients of independent software are copier
15 companies?

16 A. Well, I don't know. We just talked
17 about IBM.

18 Q. Other than IBM?

19 A. Other than IBM?

20 Q. Yes.

21 A. Let's see. (Witness reviewed document.)
22 Yeah, I think that's right.

23 Q. You have several titles, one of which is
24 MCT. What does MCT stand for?

25 A. Microsoft certified trainer.

1 Q. Does that involve designing software?

2 A. No. That's a training certification.

3 Q. And it doesn't involve designing
4 hardware, right?

5 A. That's correct.

6 Q. And MCITP?

7 A. Right. Microsoft certified information
8 technology professional, I think is what that one
9 stands for.

10 Q. The same questions with respect to
11 MCITP?

12 A. I'm sorry --

13 Q. It does not involve the design of any
14 software or hardware, right?

15 A. Well, I don't know. I guess it depends
16 on what you mean by design.

17 Certainly MCITP is a certification that
18 there's lots of different flavors of it, but
19 there's certainly some MCITP certifications that
20 have to do with building networks. So from the
21 standpoint of designing networks using Microsoft
22 software, that certainly is a big component of
23 some of the specific MCITP certifications, as
24 well as some of the other ones that are listed
25 there; MCSA and MCSE and so forth, but not in

1 terms of writing programs but in terms of
2 designing, building networks.

3 Q. Meaning configuring the devices to work
4 together?

5 A. Configuring -- well, configuring
6 devices, operating system software, network
7 operating system software, and my -- most of my
8 Microsoft certifications have to do with
9 system-level software, so Windows, Windows
10 networks, Windows server, active directory and so
11 forth.

12 Q. And that's -- when you say system-level
13 software, you are differentiating between that
14 and application software?

15 A. Yeah, I've taught some courses in
16 Microsoft Office, but that's the exception rather
17 than the rule.

18 Q. And when you say that you've taught some
19 courses that included system-level software,
20 you're not talking about designing that software,
21 are you?

22 A. No. I'm talking about designing -- for
23 example, designing networks, designing
24 large-scale networks. Not designing specific
25 software products, right.

1 Now, sometimes, just to shade that a little
2 bit --

3 Q. Sure.

4 A. Just to try to be completely accurate,
5 as part of configuring and optimizing networks,
6 sometimes it is appropriate to write some
7 software. For example, I think I used the word
8 scripts earlier when talking about DCL. For
9 example, Microsoft PowerShell is a scripting
10 language. You know, command line scripts, visual
11 basic scripting edition, VBS or scripts, so
12 occasionally we'll talk about writing scripts for
13 network management.

14 Q. But this is system-level software,
15 right?

16 A. That's -- well, yes, that's system-level
17 stuff, generally, right.

18 Q. Have you ever the designed any type of
19 application software as opposed to system-level
20 software?

21 A. Oh, sure.

22 Q. All right. And for what purpose?

23 A. Well, I think we talked about some of
24 them a little bit earlier. So when I was working
25 at Wetzel Enterprises --

1 Q. I'm sorry, right, you did talk about
2 that. I'm sorry about that. That's what you
3 meant by the application software?

4 A. Well, right. That was -- the utility
5 build analysis tool was an application that I
6 wrote in Pascal. I suppose one could consider
7 the data monitoring tools or the tool that I
8 wrote could be considered an application. It
9 certainly wasn't one that you would go out and
10 sell in the retail market.

11 The database programs that I developed would
12 generally be considered applications. They were
13 database programs for Travel Host magazine and
14 for National Bureau of Credit in Dallas, and
15 these were applications that users would interact
16 with that were -- that performed useful functions
17 and that had discrete boundaries so they meet my
18 proposed definition of applications.

19 Q. Okay.

20 A. And there have probably been some
21 others, too.

22 Q. Now, in paragraphs 10 to 12 of your
23 declaration --

24 A. Okay.

25 Q. -- you talk about a number of books that

1 you've authored and seminars that you have
2 taught, correct?

3 A. Let me just get there. Okay, yes.

4 Q. Sir, when you were talking about your
5 work at Wetzel --

6 A. Uh-huh. Sorry; yes.

7 Q. Actually strike that question.

8 Prior to 1995 were you ever involved in the
9 design of networks?

10 And I'm talking about configuring devices to
11 operate in a network environment.

12 A. Prior to '95?

13 Q. Yes.

14 A. Oh, yes.

15 Q. And that was at Wetzel as well as your
16 consulting?

17 A. Yes.

18 Q. All right. Now, the publications that
19 are listed in paragraph 10, sir --

20 A. Yes.

21 Q. Are any of these about developing
22 software for a network scanner or copier?

23 A. No.

24 Q. Do they involve in any way the
25 development of software for any type of network

1 device?

2 A. Oh, I suppose so. Some of the books
3 probably include some -- for example, some of
4 that scripting capability --

5 Q. Which one is that?

6 A. -- that we chatted about.

7 Oh, you know, I don't know. I haven't gone
8 back through all of these to see which one or
9 ones of them I talk about scripting.

10 But I would imagine that some of these might
11 talk about scripting or batch files; maybe some
12 of these earlier ones. But not in the sense
13 of -- none of these books are focused on
14 developing full-scale commercial, retail
15 applications.

16 Q. Okay. They are actually about
17 troubleshooting problems, right?

18 A. Some of them are, yes.

19 Q. Okay. So I want to go back to
20 paragraph 15 again.

21 A. Okay. I'm there.

22 Q. And, I'm sorry, but I'm still struggling
23 here with your qualifications from an
24 experiential standpoint.

25 What software have you designed which would

1 include printing, networking, scanning, or
2 e-mail?

3 A. Well, the utility analysis tools or tool
4 that I developed at Wetzel Enterprises.

5 Q. Hold on a second. Let me make sure I'm
6 following you.

7 A. Sure.

8 Q. All right. Go ahead.

9 A. So the energy utilization analysis and
10 reporting tool that I designed at Wetzel
11 Enterprises had some printing capabilities.

12 In fact, it was rather more sophisticated
13 than that, now that I think back on it. It had
14 the ability to send data not only to dot matrix
15 printers but also to line plotters to generate
16 graphs. So there was some pretty serious
17 printing capability in that tool.

18 Q. Did you design that software?

19 A. Well, I wrote the program in Pascal,
20 which is -- so I did write it. I didn't write it
21 in a vacuum, right? I'm leveraging the
22 capabilities of the operating system.

23 The database software that I developed at
24 Travel Host and also the database software that I
25 developed at National Bureau of Credit, a big

1 part of that was generating hard copy output, and
2 so printer functions were an important part of
3 those products.

4 Let's see. Yeah, database printouts are very
5 important, so they had a printing component, and
6 they also had a networking component. I don't
7 think those early projects had a scanning
8 component. I'm sorry, I'm probably going beyond
9 what you asked about.

10 Q. That's fine.

11 A. And so those were some of the early ones
12 that did have printing as part of the mix of the
13 capabilities of the software.

14 Q. Okay. So what you're saying is that in
15 your opinion a person of ordinary skill is
16 qualified because he or she can design software
17 which may have a printing capability or function,
18 is that how I'm reading your definition?

19 A. Well, I think we can go from the words
20 in my declaration. I think that experience, to
21 include printing, networking, scanning and
22 e-mail, so I didn't mean by that that a person of
23 skill in the art would have to be able to write a
24 printer driver, for example.

25 Q. Okay.

1 regard to this patent.

2 MR. HILL: Can we go off the record
3 for just a few minutes and go ahead and get the
4 lunch orders out?

5 MR. SABHARWAL: Sure, sure.

6 THE VIDEOGRAPHER: The time is now
7 12:19 p.m. We're off the record.

8 (Off-the-record discussion.)

9 THE VIDEOGRAPHER: The time is now
10 12:28 p.m. We're back on the record.

11 BY MR. SABHARWAL:

12 Q. Sir, directing your attention back to
13 paragraph 15 --

14 A. Okay, I'm there.

15 Q. -- in your definition of a person of
16 ordinary skill in the art, when you're talking
17 about the experience you say two to four years of
18 experience in designing, writing, or implementing
19 software products, such experience to include
20 printing, networking, scanning, and e-mail.

21 Right?

22 A. Right.

23 Q. What about copying?

24 A. I didn't put copying in here.

25 Q. Why not?

1 A. I don't think it's necessary for a
2 person of skill in the art. I think what I have
3 here is adequate experience. And, again,
4 pointing out that this is a lot more experience
5 than Dr. Melen put in his definition of a person
6 of skill in the art.

7 Q. So let me see if I understand this. You
8 do not think that a person of ordinary skill in
9 the art should have any experience in copying in
10 order to provide an opinion about the '426
11 patent?

12 A. I'm comfortable with the definition that
13 I have here. I think that if we have two to four
14 years of experience with software that involves
15 printing, networking, scanning and e-mail, that
16 that's adequate experience for a person of skill
17 in the art with respect to this patent.

18 The patent mentions a number of other
19 technologies. I don't think it's necessary to
20 have direct experience with every technology
21 mentioned in the '426 to be considered a person
22 of skill in the art.

23 Q. Well, but the patent does contemplate
24 quite extensively the implementation of copiers,
25 does it not?

1 A. Well, it's a virtual copier, so there's
2 a lot of discussion of --

3 Q. I mean, we talked about earlier the fact
4 that you believe virtual copying is the central
5 concept of the patent, right?

6 A. I'm not sure I said the central concept,
7 but I said it was a central concept.

8 Q. Okay. So you don't think that a person
9 of ordinary skill in the art should have
10 experience about a central concept of the patent
11 that he or she is opining on?

12 A. Well, no, I wouldn't say that. Look at
13 what I say here. Designing, writing or
14 implementing software products, right, so I'm not
15 talking about hardware. I'm talking about
16 stand-alone copiers. I'm talking about software
17 products that involve the technologies that are
18 contemplated by the virtual copier. And so the
19 technology is contemplated by a virtual copier,
20 scanning and printing, right, for example, and
21 those are included here.

22 Q. But doesn't a virtual copier also
23 contemplate copying?

24 A. It does, and I feel that copying using a
25 copy machine was a technology that was reasonably

1 well understood by most people at the time.

2 Q. Using a printer was also reasonably well
3 understood by a person of ordinary skill in the
4 art at the time, right?

5 A. Well, that's right but --

6 Q. I'm sorry, I got to finish the question.

7 A. I'm sorry, I talked over you. My
8 apologies.

9 Q. Luckily the court reporter is getting it
10 down.

11 I said using a printer was also reasonably
12 well understood by a person of ordinary skill in
13 the art at the time?

14 A. Well, I think less so, especially with
15 regard to the software aspects of including
16 printing in networks. That was something that I
17 think a little experience would be helpful in
18 understanding the '426, which is why I explicitly
19 included it here.

20 Q. Okay. So you're saying that the copying
21 software was well-known in the art at the time of
22 the invention, right?

23 A. Well, I don't think I said that, but
24 copying, right, in the sense of a stand-alone
25 copy machine, which is used by the author of the

1 '426 to provide an analogy, if you will.

2 But I think that the basic functions of a
3 copier were -- were understandable to a person of
4 skill in the art at the time, and so that's
5 probably why I didn't include it here.

6 Q. So you deliberately left it out; is that
7 right?

8 A. Well, I didn't go out of my way to leave
9 it out.

10 Q. But did you contemplate the term copying
11 and decided not to include it here?

12 A. You know, I don't really know whether I
13 contemplated including copying here or not, but
14 certainly my feeling was that some experience
15 with printing, networking, scanning and e-mail,
16 which are some of the technologies that are
17 mentioned frequently in the '426, would be
18 useful.

19 Q. By the way, did you write this
20 declaration?

21 A. I did.

22 Q. Every word of it?

23 A. Probably some of the legal sections I
24 got some help with, the parts that have to do
25 with the law, and the IPR parts. I can kind of

1 point you to that but, yeah, I wrote most of it.

2 Q. Okay.

3 A. I can tell you that starting on page 11
4 there's a legal basis for opinion --

5 Q. Yes, you don't need to tell me who did
6 what.

7 A. Oh, okay.

8 Q. You can just -- you agree with the
9 contents, right?

10 A. I wrote -- I wrote most of this
11 document, and I agree with everything that's in
12 it.

13 Q. Okay. Now, what about with respect to
14 the definition of a person of ordinary skill in
15 the art?

16 A. Uh-huh.

17 Q. You came up with that definition?

18 A. I think I did.

19 Q. And you would agree with me that the
20 patent also contemplates software for copiers,
21 right, since it's called a virtual copier?

22 A. I don't think that's the same thing.

23 Q. You don't?

24 A. I don't think virtual copier is the same
25 thing as software copiers.

1 Q. I didn't say that. I didn't say that,
2 but it would include software for copiers, right?

3 A. Well, I'm not entirely sure. I would
4 have to take a look at it and see.

5 Q. Sure.

6 A. Let me take a look. (Witness reviews
7 document.)

8 Okay, so it looks like, yeah, in Column 46,
9 it says that the from can be a digital copier or
10 a fax or a scanner.

11 Now, I'm not sure if I would agree with your
12 phrasing of software for copiers. I'm not sure
13 that the patent here discloses in any of the
14 claims or, indeed, in the specification designing
15 software for a copier.

16 Q. Okay. Let's look at the claims then.

17 A. Sure.

18 Q. And, sir, my question with respect to
19 Claim 1 is whether it's your opinion that Claim 1
20 includes software for copiers?

21 A. Yeah, I mean, the only problem that I
22 have with your -- with the way you're phrasing it
23 is that we're not being very precise when we say
24 software for copiers.

25 Q. Okay.

1 A. I mean, I think in Claim 1, looking at
2 it, we have a digital copier mentioned here, and
3 we have to have --

4 Q. I'm sorry, where --

5 A. -- a software application for
6 interfacing and communicating with the copier.

7 It doesn't -- Claim 1 doesn't necessarily say
8 software for copiers in the sense of, for
9 example, the firmware that might be needed to run
10 a copier. I'm not sure that that's contemplated
11 in Claim 1.

12 Q. Okay. So when I'm saying software for
13 copiers, you're interpreting that as the firmware
14 on the copier, right?

15 A. Well, I guess the proper thing for me to
16 do would be to ask you what you mean.

17 Q. Okay.

18 A. Rather than assume it.

19 Q. Okay. So I'm -- let's start with that.
20 I'm talking about the firmware that's on the
21 copier itself.

22 A. Okay. And I don't think that that's
23 necessarily required by Claim 1.

24 Q. Is it your opinion that the -- excuse
25 me, strike that.

1 Is it your opinion that Claim 1 would require
2 an interface on the copier?

3 A. I think that Claim 1 requires an
4 interface to the copier, which may or may not be
5 the exact same thing as an interface on the
6 copier, just looking at the language of the claim
7 here.

8 We have to have a software application
9 that -- a software application for interfacing
10 and communicating with the plurality of external
11 devices.

12 Q. Where are you reading, sir?

13 A. I'm sorry, I'm reading at line 53, 54.

14 Q. Okay.

15 A. Right around in there.

16 Q. Okay.

17 A. So we have a software application for
18 interfacing and communicating with the plurality
19 of external destinations, including the one or
20 more of external devices and applications.

21 And so if a copier is one of those devices,
22 then we have to have an interface to that device.
23 And I think you used the word "on". I would use
24 the word "to", but the claim doesn't --

25 Q. Where would that interface reside then?

1 A. The claim doesn't say.

2 Q. Could it reside on the copier?

3 A. Well, normally an interface, parts of an
4 interface have to live on, you know, the two
5 things that are communicating. So when we talk
6 about an interface, we usually are talking about
7 something that has a component on each side of
8 the communications channel.

9 Q. So that the two devices can interact
10 with each other, right?

11 A. That's right.

12 Q. And in your experience that interface
13 would reside on one of the two physical devices?

14 A. Well, in my experience with interfaces,
15 there's support for that interface on both sides
16 of the communications channel.

17 Q. What does that mean?

18 A. That means if, for example, I have a PC
19 that's connected to a fax modem, the interface on
20 the PC has to understand how to send and receive
21 data to the fax modem, and we also have some
22 hardware and firmware on the fax modem that has
23 to understand how to send and receive across that
24 interface.

25 Q. Okay.

1 A. So we normally don't point to an
2 interface as saying it resides entirely on one or
3 the other.

4 Q. It resides on both?

5 A. Typically we would consider it as
6 residing on both of the communicating devices.

7 Q. Okay. So then let's take the scenario
8 of a PC interfacing with a copier.

9 A. Okay.

10 Q. Okay. Do you -- would you agree with me
11 that a PC interfacing with a copier is
12 contemplated by the limitations of Claim 1?

13 MR. HILL: Object to the form.

14 THE WITNESS: Well, let's -- let me
15 look at it carefully. I think the answer is that
16 it would be.

17 Claim 1 says we've got a digital copier here,
18 in line 45.

19 BY MR. SABHARWAL:

20 Q. Uh-huh.

21 A. And then we've got to interface and
22 communicate with -- on lines 54 to 56.

23 Q. Right, correct.

24 A. With the external destinations. So I
25 think it would be reasonable to assume that we

1 have some kind of interface between the computer
2 running the application, the software application
3 referred to in line 53 --

4 Q. Okay.

5 A. -- and the copier or the printer or the
6 fax or whatever devices we're talking about.
7 That would seem to be a reasonable assumption.

8 Q. And the interface would exist on both
9 the PC as well as the copier in that scenario?

10 A. In the case where we're talking about
11 the software application executing on a PC -- I
12 don't think it says it has to, right? And the
13 system is using a digital copier as a external
14 destination, then I would think it's a fair
15 statement that there has to be an interface.

16 Q. So in that scenario the scanner or the
17 copier could be the external destination,
18 correct?

19 A. Yeah, I'm not sure a scanner can be an
20 external destination.

21 Q. What about a copier?

22 A. I think a copier could be an external
23 destination.

24 Q. So given the fact that the claim
25 specifically recites a digital copier and also

1 talks about an interface between, for example, a
2 PC and a copier, tell me again why you don't
3 think a person of ordinary skill in the art needs
4 to have experience with copiers.

5 A. I would expect that the person of skill
6 in the art who knows about an interface between
7 let's say a PC and a printer would have a
8 reasonable understanding of an interface between
9 a PC and a copier being used as a printer.

10 Q. Okay. What if it's just being used as a
11 copier?

12 A. Then it wouldn't fall under Claim 1 as
13 an external destination.

14 Q. So unless the copier is being used as a
15 printer, it does not fall within the scope of
16 Claim 1?

17 A. No, I don't think that's what I said.

18 Q. Okay, I'm sorry.

19 A. If the copier is functioning as a
20 scanner, and I think I did include scanner in my
21 list of suggested topics that would be understood
22 by a person of skill in the art, then I would
23 expect that the interface between a digital
24 copier being used as a scanner would not be
25 radically dissimilar from an interface, from an

1 actual scanner, a single purpose scanner.

2 Q. And what's your basis for saying that?

3 A. My experience using these devices.

4 Q. Just using them?

5 A. Using them, building networks that have
6 them.

7 Q. But you've never built a software for
8 any of these types of devices, right?

9 A. Built the software? I've put together
10 lots of networks that use these devices.

11 Q. That wasn't my question.

12 A. When you say built the software, what do
13 you mean?

14 Q. I mean the software that, for example,
15 resides on any of these devices.

16 A. I've never done any firmware programming
17 for any of these devices.

18 Q. And you've never designed any software
19 for firmware that would enable one device to
20 communicate with the other, correct?

21 A. Oh, gee, I don't know. That's kind of a
22 broad statement. I'm not sure that's true.

23 Q. Remember we were talking about the
24 interface protocols?

25 A. I'm not sure which -- what interface

1 protocols are you referring to?

2 Q. Okay. Let me direct your attention to
3 the second limitation of Claim 1, starting on
4 line 49.

5 A. Line 49. Okay.

6 Q. You see where it says interface
7 protocols?

8 A. Right. I didn't remember that we
9 discussed that.

10 Q. No problem.

11 A. Okay, I'm sorry, what's the pending
12 question?

13 Q. So the question is, you've never
14 designed these types of interface protocols,
15 right?

16 A. These types of interface protocols?

17 Q. Yes.

18 A. No, I think that's probably true. I've
19 never written software specifically for an
20 interface protocol.

21 Q. All right. Sir, I'd like to take a look
22 at your CV and specifically talk about the list
23 of clients that you had.

24 A. Okay.

25 Q. Would it be fair to say that the clients

1 that you have listed here had retained you to
2 help them as end users?

3 In other words, I'm a client, I'm having some
4 IT issues based on software and hardware that
5 I've already purchased or devices that I've
6 already purchased. I would then call you if I'm
7 having trouble with some sort of IT issue related
8 to that, right?

9 A. No.

10 Q. Okay. And we can go through each one of
11 these. I'd rather not do that. I would rather
12 do this more in sort of a general category, so
13 maybe you can start by telling me what was the
14 general nature of why you were consulting for
15 these companies?

16 A. Oh, well, I mean, each one was kind of
17 different, but I can put the consulting work into
18 categories.

19 Q. Sure, let's do that.

20 A. I'm happy to do that to speed the
21 proceedings along.

22 Q. Okay.

23 A. But it wasn't all just sort of
24 troubleshooting for end users. In fact, I did
25 fairly little of that kind of work.

1 related, might be hardware related.

2 For some of these companies, I consulted with
3 them to help them set up technical support
4 organizations, help desks.

5 For some of these companies I did training
6 for their technical people who had to deal with
7 troubleshooting or configuration issues.

8 For some of these companies I helped them
9 develop some websites.

10 For some of these companies I had specific
11 programming projects that I was hired to do.
12 Mary Kay Cosmetics, for example. They hired me
13 to do a specific programming project for them.

14 For some of these companies I designed
15 databases. There's Travel Host magazine, which
16 we were chatting about a little bit earlier.

17 Q. I'm sorry, what was that, Travel Host?

18 A. Travel Host, right. Designing and
19 extending databases.

20 Q. Okay.

21 A. And, let's see, in some cases I was
22 providing assistance in some legal matters,
23 right? You've got Department of Justice and DLA
24 Piper and some other companies that I did this
25 sort of work, helping them understand the

1 technologies and in some cases doing some
2 testifying.

3 And, yeah, I think that those are probably --
4 sorry, it was kind of a lot of categories. You
5 have to do a lot of things to make a buck as an
6 independent consultant.

7 Q. I understand.

8 A. But those are the major categories, I
9 think.

10 Q. Okay. Did any of these categories of
11 responsibility that you had as an IT consultant
12 involve designing the software for any of the
13 devices that were used in the network?

14 A. Designing the software. I would put it
15 more -- probably not. Probably more in terms of
16 configuring. Some of the scripts that I was
17 talking about that I would have to write for the
18 mainframes or minicomputers or PC networks would
19 have device configuration capabilities.

20 Configuring printing on Novell netware
21 involves, for example, back in the early days,
22 that involves a fair amount of software
23 configuration, but I wasn't writing Novell
24 netware. I was configuring it.

25 Q. Is it fair to say that with respect to

1 all the categories of responsibility you had, you
2 were also not involved in designing any of the
3 hardware that could have been used in any of
4 these networks for the clients, right?

5 A. No. I helped my clients specify the
6 hardware, I helped them purchase it, I helped
7 them select it, but I didn't build any of the
8 hardware myself or design the hardware in the
9 sense of a designer working away at Ricoh to
10 create a digital copier, for example.

11 Q. Okay. Have you read Dr. Melen's
12 declaration?

13 A. I did, yes.

14 Q. And you know that he was the vice
15 president of research and development at Cannon
16 Research Center America?

17 A. Yeah, I remember that.

18 Q. By the way, did you -- strike the
19 question.

20 Were you attending Stanford at the same time
21 that Dr. Melen was teaching there?

22 A. I don't know.

23 Q. You never took any course with him?

24 A. Not that I recall.

25 Q. Were you aware that while at Cannon

1 Research Dr. Melen was working on technology
2 specifically for network copiers and scanners at
3 the time of the invention?

4 A. I'm sure I read that if it was in his
5 declaration.

6 Q. Do you think that type of experience is
7 important for a person of ordinary skill in the
8 art?

9 A. That type of experience, okay. What
10 specific experience were you referring to again?

11 Q. Experience working on the technology for
12 network copiers and scanners.

13 MR. HILL: Object to the form.

14 THE WITNESS: Working on. Well, I
15 guess it would depend on what working on means in
16 that context.

17 BY MR. SABHARWAL:

18 Q. That's a fair point.

19 All right. Do you understand that Dr. Melen
20 was actually designing the software for the
21 network copiers?

22 Did you know that?

23 A. I don't recall that. I admit to not
24 focussing greatly on Dr. Melen's CV. I was more
25 concerned with his lines of reasoning.

1 Q. Would you agree with me that the
2 ability -- that the experience in designing
3 software specifically for copiers, faxes,
4 scanners would be an important aspect for the
5 qualifications of a person of ordinary skill in
6 the art?

7 A. Well, I don't know. It would depend. I
8 think if somebody was designing, you know, an
9 integrated circuit for a circuit board that would
10 work in a copier and a scanner, I'm not sure that
11 that experience would necessarily translate or be
12 adequate or sufficient to meet my definition of a
13 person of skill in the art.

14 Q. I said software actually. Did you --

15 A. I'm sorry if I misunderstood your
16 question. Could you restate it for me?

17 Q. Sure. Do you think that experience with
18 designing software for network copiers and
19 scanners is an important aspect of experience for
20 a person of ordinary skill in the art?

21 A. Not necessarily. Again, it's the same
22 kind of concept. It depends on what the software
23 is. If one is designing the software to, you
24 know, advance a stepper motor in order to do
25 paper feed for a document being scanned, I'm not

1 sure that that would necessarily translate to
2 useful background --

3 Q. What if it's firmware to communicate
4 with other devices, do you think that's an
5 important aspect of a person of ordinary skill in
6 the art's qualifications?

7 A. I don't think you have to be a firmware
8 designer to be a person skilled in the art.

9 Q. I didn't ask you that. I said do you
10 think that's an important aspect for a person of
11 ordinary skill in the art?

12 A. Well, I think I answered you. No, I
13 don't think designing firmware is necessarily
14 important to meeting the requirement of a person
15 of skill in the art.

16 Q. What about designing hardware?

17 MR. HILL: Object to the form.

18 THE WITNESS: What about designing
19 hardware? I don't think it's necessary to design
20 hardware in order to be a person of skill in the
21 art, if that's your question.

22 BY MR. SABHARWAL:

23 Q. Yes, that's my question.

24 A. No, I don't think that's necessary.

25 Q. Do you think a person that's got

1 experience designing the hardware and software
2 for network copiers is more qualified than a
3 person who has not had that experience?

4 A. I can't answer that in the abstract.

5 Q. You just don't know one way or the
6 other?

7 A. Well, there's not enough information.

8 MR. HILL: Object to the form.

9 THE WITNESS: I'm sorry. There's
10 not enough information in your question for me to
11 give you a --

12 BY MR. SABHARWAL:

13 Q. What more information do you need?

14 A. Who's the person? What's the specific
15 work that they have done?

16 Q. Okay. Well, I asked you before whether
17 you had designed any software for any of the
18 devices that are discussed in, for example,
19 Claim 1, and you said no; right?

20 A. Right.

21 Q. Okay. Do you think that type of
22 experience is important for a person of ordinary
23 skill in the art to opine on the invention of
24 Claim 1?

25 A. No. As I've testified, my definition of

1 a person of skill in the art -- we've gone over
2 it many times -- I don't think you have to have
3 written software for these specific devices in
4 order to be a person of skill in the art.

5 Q. By the way, do you consider yourself to
6 be at least a person of ordinary skill in the art
7 or an expert in the field of the '426 patent?

8 MR. HILL: Object to the form.

9 THE WITNESS: No, I would say both.

10 BY MR. SABHARWAL:

11 Q. You're both a person of ordinary skill
12 in the art and an expert?

13 A. Sure. One is a superset of the other.

14 Q. Are you just an expert?

15 MR. HILL: Object to the form.

16 BY MR. SABHARWAL:

17 Q. Are there aspects where you're just a
18 person of ordinary skill in the art versus
19 aspects where you're an expert?

20 MR. HILL: Same objection.

21 THE WITNESS: I confess to not
22 understanding the question.

23 BY MR. SABHARWAL:

24 Q. Okay. It's pretty simple. You
25 understand that a person of ordinary skill in the

1 art is different from a person of extraordinary
2 skill in the art like an expert, right?

3 A. That sounds reasonable.

4 Q. Okay. So we've got an expert on one
5 hand and we've got a person of ordinary skill in
6 the art in the other. Do you follow me so far?

7 A. I do.

8 Q. Do you consider yourself to be either a
9 person of ordinary skill in the art or an expert
10 in the field contemplated by the '426 patent?

11 A. As I said before, I consider myself to
12 meet both of those categories.

13 Q. As to all aspects of the '426 patent?

14 MR. HILL: Object to the form.

15 THE WITNESS: Well, I mean, all
16 aspects. I feel very comfortable with my
17 characterization of myself as a person of
18 ordinary skill. I also feel very comfortable
19 based on my background and experience to be
20 sitting here today testifying as an expert
21 witness.

22 BY MR. SABHARWAL:

23 Q. Are there aspects where you feel that
24 you're more of an expert with respect to this
25 field than others?

1 MR. HILL: Object to the form.

2 BY MR. SABHARWAL:

3 Q. Or are you just an expert on all aspects
4 of the '426 patent?

5 MR. HILL: Object to the form.

6 THE WITNESS: I've always been --
7 well, let me see if I can answer that. I think
8 it's fair to say that I have more experience in
9 certain aspects of the technological landscape
10 set forth in the '426 than in others. My
11 expertise is not equally deep in all areas, nor
12 could it be for any individual.

13 BY MR. SABHARWAL:

14 Q. Okay. So taking, for example,
15 Claim 1 --

16 A. Okay.

17 Q. -- what areas do you think you have more
18 experience and what areas do you think you have
19 less experience?

20 A. Oh, gosh, I haven't done that analysis.

21 Q. Well, why don't you do it? Why don't
22 you take a look at Claim 1 and tell me?

23 MR. HILL: Object to the form.

24 THE WITNESS: Well, I'm not sure
25 that I can. I never really thought about Claim 1

1 in those terms.

2 Okay, so we can take this item by item if you
3 want to try.

4 BY MR. SABHARWAL:

5 Q. Great.

6 A. But, yeah, I'm comfortable as I'm
7 reading this, I'm comfortable with my expertise
8 and I think I'm capable of opining on all the
9 areas that are discussed here in Claim 1.

10 Q. And you consider yourself to have --
11 strike that.

12 And you consider yourself to be an expert
13 with respect to all areas of Claim 1; is that
14 right?

15 A. Yeah, I mean, I think in general the
16 answer to that is yes. I mean, I've done all of
17 these things. I've worked with all this
18 technology. I mean, as you have pointed out at
19 some length here today, I haven't written printer
20 drivers. Somebody who has written a printer
21 driver might not have as much experience building
22 networks as I've had.

23 I don't think anybody -- it's kind of an odd
24 question that you're asking. I've never really
25 thought about it in this sense, but I don't think

1 there's any human being who has exactly the same
2 level of expertise in a dozen different areas
3 that are covered, or more than a dozen, by any
4 particular patent.

5 But I can tell you sitting here that I'm
6 comfortable with the fact that I meet the
7 criteria for a person of skill in the art as I've
8 defined it and as Dr. Melen has defined it, and
9 I'm comfortable sitting here testifying as an
10 expert with regard to these technologies.

11 I'm sure there's some of the technologies
12 discussed in the '426 that I have more experience
13 than others. I have more experience building
14 networks than I do writing C++ code, for example.
15 I think that's probably true of anybody.

16 So I wouldn't represent to you that my
17 expertise is exactly the same breadth and depth
18 for every technology presented here in the
19 patent, but generally speaking I'm very familiar
20 with the technologies in this patent. I've
21 worked with all of them. I understand the
22 patent, and I'm very happy to be here
23 characterizing myself as a person of skill and as
24 an expert.

25 Q. Okay. I'd like to just go back to

1 your -- the excerpts of your book that we were
2 looking at before.

3 A. Sure.

4 Q. I believe it's Exhibit 2?

5 A. Okay.

6 Q. Do you have Exhibit 2? Okay. Give me
7 one second.

8 I'm sorry, put that away. I'm going to give
9 you a new exhibit.

10 A. Okay, great.

11 Q. Okay. It looks like we're back to
12 Exhibit 2 again.

13 A. Okay.

14 (Off-the-record discussion.)

15 BY MR. SABHARWAL:

16 Q. Did you write the introduction, sir?

17 A. To Bulletproof Your PC Network?

18 Q. Yes.

19 A. Oh, I think Jim Seymour of PC Magazine
20 wrote the introduction.

21 Or, wait a minute, maybe it was me. Maybe he
22 wrote the forward. Let me take a look.

23 Q. Okay.

24 A. Yeah, so, sorry, the introduction was
25 me, and Mr. Seymour wrote the forward.

1 Q. Okay. I'm going to direct your
2 attention to, in the introduction, the page
3 denoted as XIX.

4 A. Okay.

5 Q. You see where it says first, second on
6 that page? First, many PC coordinators?

7 A. Yes.

8 Q. You say, First, many PC coordinators,
9 network managers, and troubleshooters don't have
10 time to keep up in detail with all the
11 simultaneous fronts of technological advancement.

12 Do you see that?

13 A. I do.

14 Q. Would you say that in the mid-'90s the
15 network copier/scanner field was a subject of
16 technological advancement?

17 A. The network scanner --

18 Q. Yes, using copiers, scanners and
19 printers on a network, was that the subject of
20 technological advancement?

21 A. I would say yes.

22 Q. And do you feel that you kept up with
23 all the technological advancements in that field?

24 A. Oh, I kept up. It was my job to keep up
25 with as much of it as I could. Anything that

1 pertained to the work that I was doing, teaching
2 or consulting, I tried to stay on top of it.

3 Q. Was --

4 A. But the statement here is an inaccurate
5 one. Nobody can stay on top of all the
6 developments in IT. It's just a physical
7 impossibility.

8 Q. Well, at any time prior to today did you
9 go back and do any type of review about the
10 technological advancements in the field of
11 network copying and scanning?

12 A. I'm sorry, can you repeat the question
13 for me?

14 MR. SABHARWAL: Could you read it
15 back?

16 (The record was read.)

17 THE WITNESS: And do you mean with
18 reference to this proceeding?

19 BY MR. SABHARWAL:

20 Q. Yes. We'll start with that.

21 A. Well, I certainly reviewed the prior art
22 that was brought forth by the petitioners, so to
23 that extent I guess the answer would be yes.

24 Q. Did you look at any other prior art?

25 A. No, I don't think so. I made the

1 assumption that the petitioners brought out the
2 most relevant prior art, and given the fact that
3 the petitioners have a long experience in the
4 industry I trusted that they probably brought out
5 the prior art that they felt was most relevant.

6 Q. When you say that you looked at the
7 prior art that petitioners cited, are you talking
8 about in the petition itself?

9 A. Right. I mean, the references that
10 we're talking about here. For example, the XNS
11 references and the Salgado.

12 And then in the other proceeding, the other,
13 the Scan Jet and the other things that were in
14 the other part of the -- I guess it's a different
15 proceeding, so -- but I looked at the prior art
16 brought forth by the petitioner.

17 Q. When you say the prior art brought forth
18 by the petitioner, I just want to make sure that
19 I very clearly understand your testimony.

20 Did you look and review and analyze all of
21 the prior art or just the prior art that was
22 relied upon by the board to institute this
23 proceeding?

24 A. Well, I certainly looked at the prior
25 art that was relied upon by the board.

1 And with respect to the other prior art, I
2 don't know if we've got the petition handy, I can
3 look at that and tell you whether I looked at any
4 of those other references.

5 Q. Sure.

6 A. I'm not sure that I did.

7 Q. I'm going to hand you what has been --
8 let's mark this as Exhibit 3.

9 (Exhibit Number 3
10 marked for identification.)

11 BY MR. SABHARWAL:

12 Q. You will be handed what's been marked as
13 Exhibit 3 for identification purposes.

14 A. (Witness reviews document.)

15 Q. Mr. Weadock, if it makes it any easier,
16 there's actually an index of references on
17 page 10.

18 A. Okay, great.

19 Q. No problem.

20 MR. HILL: No rush, but when you get
21 to a good stopping point, I'm told the food is
22 here.

23 MR. SABHARWAL: Okay. We'll stop
24 after this question.

25 THE WITNESS: Okay. I looked at

1 some of this. I don't think I looked at every
2 single reference. I looked at XNS. I think I
3 looked at Ohkubo. I looked at Salgado. I'm not
4 sure I looked at Harkins; I may have briefly. So
5 some but probably not -- I probably didn't read
6 every word of every one of these.

7 BY MR. SABHARWAL:

8 Q. What about APA?

9 A. APA, I'm not sure I understand what that
10 means actually.

11 Q. And Motoyama?

12 A. Yeah, I may have. I just don't recall.

13 Q. So you did not analyze, for example,
14 Motoyama in any detail, correct?

15 A. I think that's fair to say. My
16 understanding from reading the board's decision
17 was that they said, okay, we're going to look at
18 XNS and we're going to look at Salgado as being
19 the main references, and I think the board said I
20 don't even want to hear anything about anything
21 else so I focused my analysis on those.

22 MR. SABHARWAL: All right. Why
23 don't we go off the record and take a quick lunch
24 break.

25 THE VIDEOGRAPHER: This is the end

1 of Disk Number Two. The time is now 1:13 p.m.
2 We're off the record.

3 (Lunch recess.)

4 THE VIDEOGRAPHER: This is the
5 beginning of Disk Number Three. The time is now
6 1:54 p.m. We're back on the record.

7 BY MR. SABHARWAL:

8 Q. Mr. Weadock, I'd like to turn your
9 attention to Appendix A in your declaration,
10 please.

11 Are you there, sir?

12 A. I am.

13 Q. All right. Now, earlier at the
14 beginning of the day I asked you whether you had
15 considered any references for the purpose of your
16 declaration other than what is recited in
17 Appendix A. Do you recall that?

18 A. I do.

19 Q. And I believe you said that you had not
20 considered anything outside of what is listed in
21 Appendix A, correct?

22 A. I think that's correct.

23 Q. Okay. All right. In terms of the
24 patents, you looked at the Klein patent, the '426
25 patent, which is the patent that's the subject of

1 the petition, and then you looked at the Salgado
2 patent, correct?

3 A. That's correct.

4 Q. And you don't recall looking at any
5 other patents for the purposes of anything that
6 is recited in your declaration, correct?

7 A. Well, no, I don't think that's correct.

8 Q. Okay. Well, why is it not correct?

9 A. Well, I think as we were chatting about
10 before the lunch break, there was some other
11 patents that were listed in the petition, and I
12 took a look at some of those, not all of them.

13 Q. Okay.

14 A. And that would fall under, I think in
15 Appendix A under the second heading of that says
16 petitions, depositions and declarations, there's
17 the petition for the IPR and all references cited
18 therein.

19 Q. Okay.

20 A. Also, the declaration of Dr. Melen and
21 all reference cited therein.

22 Q. But you didn't look at all those
23 references, right?

24 A. Well, I looked -- I tried to look at all
25 of them. I think I didn't have time to analyze

1 each one of them in depth, but I certainly tried
2 to look at as many of them as I could.

3 Q. Do you know what a claim chart is, sir?

4 A. Yes.

5 Q. Did you do a claim chart for any of the
6 references?

7 A. Did I create one?

8 Q. Yes.

9 A. No.

10 Q. In terms of analysis, as you used the
11 word, which references did you actually analyze?

12 A. The ones I analyzed closely were the two
13 put forward by the board as being the subject for
14 this proceeding, which would have been XNS and
15 Salgado.

16 Q. Okay. You're going to have to raise
17 your voice just a little bit.

18 A. Oh, sorry, sorry.

19 Q. No problem. Other than the XNS
20 reference and this Salgado reference, you don't
21 recall analyzing any other prior art reference;
22 is that correct?

23 A. Well, no, that's not quite correct. I
24 guess there was another reference, GIS 150 or
25 something like that.

1 Q. Okay. Any others?

2 A. I think those were the ones that I
3 primarily focused on.

4 Q. Meaning the ones you analyzed?

5 A. Right.

6 Q. Okay. And you didn't, yourself, conduct
7 any type of independent prior art search to look
8 at any references that you didn't otherwise
9 analyze, correct?

10 A. That's correct, I did not institute a
11 separate prior art search like I would do for an
12 invalidity report.

13 Q. I don't quite understand that.

14 You said, I did not institute a separate
15 prior art search like I would do for an
16 invalidity report?

17 A. For, if I had been -- in the past I've
18 had some engagements where I've been asked to do
19 a detailed invalidity report and I've been asked
20 as part of that to do my own search of the prior
21 art, and I was not asked in this engagement to do
22 a search of the prior art.

23 Q. Okay. Thank you.

24 A. Sorry that that wasn't clear.

25 Q. No problem.

1 believe that the board's interpretation is
2 broader than yours, correct?

3 A. I think so. Let me just refresh my
4 memory as to what I proposed here.

5 Q. Sure.

6 A. (Witness reviews document.)

7 Yes, I think that's fair to say. Mine is --
8 my definition is somewhat more specific than the
9 board's.

10 Q. Meaning yours is narrower?

11 A. Yes, it is.

12 Q. Okay.

13 A. Well, in a sense it's narrower. In a
14 sense it's broader in that I don't feel the part
15 about one module being able to include another
16 module, it may overlap with another module is
17 really necessary for the definition of module, so
18 in that regard my definition is actually a little
19 broader than the board in that respect.

20 So it's broader in some respects, narrower in
21 others.

22 Q. Okay, let's break this down. The
23 board's construction is a logically separable
24 part of the recited software application, and
25 your construction is, in part, a logically

1 separable part of the claimed data management
2 system software, right?

3 A. In part, yes.

4 Q. In part, right. So with respect to that
5 particular interpretation, is it your opinion
6 that the board's construction is broader than
7 yours or yours is broader than the board's?

8 A. All right, so we're dividing the pieces
9 up. Right, so I think when I say -- let me try
10 to answer this.

11 Q. No problem.

12 A. I didn't take it apart in pieces like
13 that. Let's see. When I say that I feel the
14 best definition of module is a logically
15 separable part of the claimed data management
16 system's software, I think those are the words
17 that you excerpted.

18 Q. Yes.

19 A. I feel that that is more specific or
20 narrower than the part of the board's definition
21 that says a logically separable part of the
22 recited software application.

23 Q. Okay. And then you go on to say that
24 can function in a plug-and-play manner with the
25 virtual copier, right?

1 A. Right, yes.

2 Q. Whereas the board said, and may include
3 another module and may overlap with another
4 module in functionality, right?

5 A. Right.

6 Q. And I'm not trying to put words in your
7 mouth. Is it your opinion that the second part
8 of your definition is broader than the board's?

9 A. Well, no. It's a mix. I mean, I think
10 part of it is broader and part of it is narrower.

11 Q. Okay.

12 A. And I can try to explain that, if you'd
13 like.

14 Q. Sure, sure.

15 A. So I think my definition is broader in
16 the sense that I don't include a requirement that
17 one module has to be able to include another
18 module. I think it's broader in the sense that I
19 don't include a requirement that one module has
20 to overlap with another module.

21 Q. Okay.

22 A. I think it's narrower in the sense that
23 I say it can function in a plug-and-play manner
24 with the virtual copier.

25 Q. That part you agree is narrower than the

1 board's, right?

2 A. Well, it's a narrowing of the definition
3 I think, yeah.

4 Q. Now, you would agree with me that the
5 board doesn't require another module; it just
6 says it may include another module, correct?

7 A. Oh, that's right. So my choice of
8 require was incorrect. I should have said, you
9 know, characterizes it as having that capability.

10 I don't think the ability to include another
11 module or to overlap with another module is
12 essential to the concept of module. So I
13 would -- I would broaden the definition by just
14 taking that language out.

15 Q. Now, with respect to your proposed
16 construction, Mr. Weadock, does the claimed data
17 management software overlap with any other module
18 or is it separate and distinct -- I'm sorry,
19 discrete?

20 A. Better try that all again start to
21 finish.

22 Q. Sure. Is it your opinion that the
23 claimed data management system software can
24 overlap in any way?

25 A. Can overlap what?

1 Q. With another module.

2 A. Well, the data management system
3 software isn't a module itself. It's made up of
4 modules.

5 Q. Okay. So when you say a logically
6 separable part of the claimed data management
7 system software, you're talking about a separate
8 software module?

9 A. Right. I'm trying to define module
10 here.

11 Q. Right.

12 A. Not the -- I'm not trying to define the
13 data management system software.

14 Q. Right, I understand.

15 A. Okay.

16 Q. You're talking about a piece of the
17 claimed data management software that can
18 function in a plug-and-play manner with a virtual
19 copier, right?

20 A. That's right.

21 Q. Now, can that piece of software overlap
22 with any other software in the data management
23 system?

24 A. Gosh, you know, I suppose that's
25 possible, and I haven't -- I can go through the

1 patent and try to look for any examples of that.
2 None jump out to my mind.

3 And normally when we talk about modules, we
4 think of them as not overlapping, but there might
5 be situations in which modules could share some
6 code. There might be some common code between
7 two modules.

8 Q. Okay. And so, again, without putting
9 words in your mouth, would you agree with me that
10 even in your definition the modules may overlap
11 but they don't have to?

12 A. I don't necessarily disagree with that,
13 if we mean overlap in the sense of possibly
14 sharing some code modules, some other code --
15 there might be some shared procedures, for
16 example, that are common and that are included in
17 two separate modules, so I wouldn't rule it out.

18 Q. All right. Now, could the module as
19 you've defined it overlap in functionality with
20 another module; not required, but could it?

21 A. Well, it's hard to answer a question
22 like that in the abstract.

23 I mean, normally the whole point of designing
24 modular software is to separate functionality for
25 the purposes of -- well, various purposes which

1 you didn't ask me about, so I won't go into all
2 that. But in software it's hard to say that
3 anything is impossible, but certainly generally
4 the intent of the modular design is to separate
5 functionality between the modules.

6 Q. But it's not required that they be an
7 absolute separate functionality, right?

8 A. Yeah, again, I hesitate to ever make any
9 absolute statements when it comes to software.

10 Q. I understand.

11 A. Because there's so many different
12 designers and so many different philosophies, but
13 it would -- I can say that it would surprise me
14 to see a modular software application with heavy
15 overlap of functionality between the modules.

16 Q. Sir, I would like to ask about other
17 aspects of your proposed construction; for
18 example, regarding plug-and-play manner.

19 What do you mean by plug and play?

20 A. Well, so the virtual copier concept, we
21 have multiple modules working together in a way
22 that they can interface with each other. The
23 patent, for example, discusses the fact that we
24 might add a new destination device, and we can
25 create a new output module that would work with

1 that device that I could drop in and it would
2 work with the other modules in the virtual
3 copier. That's what I mean by a plug-and-play.

4 Q. Is the term "plug-and-play" a term of
5 art that's used by persons of ordinary skill in
6 the art?

7 A. Well, it actually is when capitalized,
8 these days at least and probably at the time. I
9 didn't capitalize it so I didn't mean it in the
10 sense of hardware plug-and-play, automatic
11 loading of device drivers.

12 So I mean it here in a more English sense
13 rather than the capital P, capital P sense, which
14 refers to hardware devices.

15 Q. Okay. Now, what about a scenario where
16 you're not necessarily adding a new destination
17 twice, how would the plug-and-play functionality
18 work then?

19 A. Well, we could look at the other side.
20 We could look at the input side and say we're
21 adding a new input device. And so, again, the
22 patent discusses input modules as well as output
23 modules, so then we would -- this is part of the
24 extensibility of the system described in the
25 '426. So we could then take a new input module

1 and drop that into the virtual copier
2 application, and it would work without a lot of
3 custom programming.

4 Q. Okay. In paragraphs 27 to 31 of your
5 declaration --

6 A. Right.

7 Q. -- you said that you -- well, you didn't
8 say that.

9 You say, I note that software modules are not
10 inherit in all software; software can be designed
11 in a modular or in a monolithic fashion. For
12 example, The Developer's Guide to Microsoft
13 Prism, Footnote 3, notes that -- and then you
14 have a quote there, right?

15 A. Right.

16 Q. Did you rely on this particular
17 publication to support your construction of the
18 term module?

19 A. Oh, no. I cite it here as exemplary.
20 Again, just to -- I already had a concept of
21 modular versus monolithic. It's, again,
22 something that anybody who has worked in the IT
23 field for as long as I have is familiar with the
24 concept of modular versus monolithic design.
25 It's a common concept. So this is just to

1 provide some documentary evidence that I'm not
2 coming out of left field on this point.

3 Q. Right. I guess my question is, why did
4 you rely on a publication that was made available
5 as of February, 2012 when the priority date here
6 is in the mid-'90s?

7 A. Oh, well, first of all, I didn't rely on
8 it. And, you know, I did a web search looking
9 for a reasonably authoritative source, and I
10 don't have much doubt that if I had taken more
11 time I could have found a contemporaneous
12 reference and would have loved to do that.

13 It would be nice to have an infinite amount
14 of time to work on these reports. But I found
15 that, and the meaning of those terms hasn't
16 changed over time. That's not a time dependent
17 term of art. It means the same thing today that
18 it meant in the mid-'90s.

19 But you're exactly right, if I had taken more
20 time with the report I probably -- that probably
21 would have been one of the things that I would
22 have done. Again, my goal here is to help
23 document what I'm saying that I know from my own
24 background and experience, but I'm trying to give
25 some examples, again so that the people reading

1 the report will have some confidence in what I'm
2 saying.

3 Q. Okay. But I guess my question,
4 Mr. Weadock, is you don't expect the board to
5 rely on this publication for the construction of
6 the term module, correct?

7 A. Oh, I don't know that I would say that.
8 I think it's exemplary. I don't think the
9 meaning of these terms has changed over time, so
10 I think the board could certainly rely on this
11 reference.

12 Q. And they should just rely on your
13 representation that the definitions haven't
14 changed over 20 years?

15 A. I would recommend that they rely on that
16 representation. I think it's a fair
17 representation.

18 You know, I don't have a footnote for every
19 sentence in the report. I would do that if I had
20 an infinite amount of time and the client had an
21 infinite amount of money.

22 Q. Is this the only publication that the
23 board should rely on for your proposed
24 construction of the term module?

25 A. Well --

1 Q. Let me ask the question a different way.
2 Is this the only support other than your own
3 personal opinion that the board should rely on
4 for purposes of the term module?

5 A. Oh, I think there's lots and lots of
6 support, and I could have cited probably 50
7 documents, again if I had infinite time and the
8 client had infinite money.

9 Q. Do you know whether any version of
10 The Developer's Guide to Microsoft Prism was
11 recited anywhere in the '426 patent specification
12 or prosecution history?

13 A. Oh, I don't know, but I don't remember
14 seeing it referred to in either of those
15 documents.

16 Q. But you're not suggesting that the time
17 of the invention has changed, right?

18 A. No, I wasn't suggesting that.

19 Q. Okay. All right. I noticed that you
20 didn't cite any recitation to the specification
21 or the claims of the prosecution history of the
22 '426 patent to support your proposed construction
23 of the term module.

24 As you sit here today can you point me to any
25 specific section, passage, sentence that supports

1 your proposed construction of the term module?

2 A. If you have a printout of the
3 prosecution history, I would be happy to go
4 through that and do that analysis for you.

5 Q. Well, let's start with the
6 specification.

7 A. Oh, the specification?

8 Q. Yeah, yeah.

9 A. Well, let me take a look and see.

10 Q. Okay.

11 A. (Witness reviews document.)

12 So the first one that I find is Figure 31.

13 Q. Okay.

14 A. Which shows the different types of
15 modules discussed in the specification; client
16 input, output, server and process. And those
17 are -- those are shown as separate entities. I
18 think my phrase was logically separable part of
19 the software, so they have got boxes around them
20 so they are logically separable.

21 And that figure is discussed in the
22 specification text in Column 70, specifically
23 here is a little introduction.

24 Q. Column 70, you say?

25 A. Yeah, Column 70, line 40. So there's a

1 statement there that says, "To accommodate
2 third-party extensions, VC is divided into five
3 essential modules. Each module is a counterpart
4 to an aspect that is found in a conventional
5 copier. Based on the modular design of VC, each
6 aspect of VC can be independently extended,
7 offering much greater flexibility than
8 conventional copiers."

9 So that comports pretty well and provides
10 some support for my definition. Because, again,
11 we've got logically separable, we've got part of
12 the claim data management system software, right?
13 Because it says VC is divided into these five
14 essential modules.

15 And then can function in a plug-and-play
16 manner with the virtual copier, that's not
17 explicitly disclosed here but that's going to be
18 discussed elsewhere in the patent so we'll find
19 that. So that's the first place that I find
20 Figure 31 that provides support for my proposed
21 definition of module, and I'll keep looking.

22 Q. Okay, sir. And while you're looking, I
23 just want to make sure, you agree with the
24 section you just recited in Column 70, lines 39
25 to 45, right?

