

EXHIBIT 2028

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

Volume I
Pages 1 to 169
Exhibits (See Index)

UNITED STATES PATENT AND TRADEMARK OFFICE BEFORE THE
PATENT TRIAL AND APPEAL BOARD

BROADCOM CORPORATION,
Petitioner,

v.

Case IPR 2013-00601
Case IPR 2013-00602
Case IPR 2013-00636

TELEFONAKTIEBOLAGET L.M. ERICSSON,
Patent Owner.

DEPOSITION OF HARRY V. BIMS, a witness called by
counsel for the Patent Owner, taken pursuant to the
applicable rules, before Diane L. McElwee, RPR, CM,
Certified Shorthand Reporter and Notary Public in and
for the Commonwealth of Massachusetts, at the Offices
of WILMER CUTLER PICKERING HALE AND DORR, LLP,
60 State Street, Boston, Massachusetts, on Thursday,
May 29, 2014, commencing at 9:10 AM.

(617) 423-5841
COPLEY COURT REPORTING

ORIGINAL

I N D E X

WITNESS:	DIRECT	CROSS	REDIRECT	RE CROSS
----------	--------	-------	----------	----------

HARRY V. BIMS

by Mr. Shumaker 4

E X H I B I T S

No.		Page
1001-636	Copy of the 625 patent	5
1002-636	Copy of Garrabrant patent	5
1006-636	Bims Declaration	6, 8
Paper 3-636	Petition for Inter Partes Review from Broadcom	8
1007-636	English translation of Hettich diploma paper	54
1008-636	German to English translation of the Walke reference	61
Paper 3-601	Petition for Inter Partes Review of US Patent 6,772,215	84
1001-601	Copy of 215 patent with Bela Rathonyi as first inventor	84
1002-601	Copy of Seo U.S. Patent 6,581,176	84
1004-601	Bims Declaration	84
1001-602	Copy of Raith 568 patent	119
1002-602	Copy of Morley 610 patent	119
1006-602	Copy of Adams 662 patent	119
1009-602	Bims Declaration	119
Paper 2-602	Petition for Inter Partes Review of US Patent 6,466,568	119

1 PRESENT:

2 WILMER CUTLER PICKERING HALE AND DORR, LLP
3 60 State St.
4 Boston, MA 02109
5 by Dominic E. Massa, Esq.
6 and Michael A. Diener, Esq.
7 for the Petitioner

8 LEE & HAYES, PLLC
9 13809 Research Blvd., Suite 405
10 Austin, TX 78750
11 by John Shumaker, Esq.
12 for the Patent Owner

13

14

15

16

17

18

19

20

21

22

23

24

25

26

27

28

1 P R O C E E D I N G S

2

3 HARRY V. BIMS, a witness identified and sworn,
4 was examined and testified as follows:

5 DIRECT EXAMINATION

6 BY MR. SHUMAKER:

7 Q Good morning.

8 A Good morning.

9 Q Could you please state your name for the
10 record.

11 A Harry Bims.

12 Q Doctor Bims, how much have you been
13 compensated for your time in this case?

14 A 650 per hour.

15 Q About how many hours have you worked on the
16 case so far?

17 A Roughly 120 hours.

18 Q What is your normal consulting rate?

19 A 650 per hour.

20 Q How many times have you been deposed?

21 A Maybe 20 times.

22 Q So since you have been deposed a few times,
23 you probably remember the rules of a deposition, but
24 just, one, I will ask the questions and you answer

1 the questions. Your attorney will object of course
2 when needed. If the question doesn't make any sense,
3 I will try to fill in the gaps, and hopefully the
4 questions thereafter will make sense. If they don't,
5 your attorney will object and we will work through
6 that.

7 The other thing is, you can take a
8 break whenever you want. Just don't take a break in
9 the middle of a question unless of course the
10 question involves attorney-client privilege
11 information that you need to discuss with your
12 attorney.

13 First, I would like to start off with
14 discussing the 625 patent. Let me give you some
15 exhibits to make this simpler. Here is a copy of the
16 625 patent. The 625 patent will be referred to as
17 Exhibit 1 of the 636 case. I am sorry. I meant to
18 say 1001 of the 636 case, not Exhibit 1.

19 (Exhibit 1001-636 marked for
20 identification)

21 MR. SHUMAKER: And here is 1002 of
22 the 636 case.

23 (Exhibit 1002-636 marked for
24 identification)

1 Q Doctor Bims, throughout this deposition when
2 I refer to the Garrabrant patent, I am referring to
3 Exhibit 1002 of the 636 patent, but to make things
4 simpler, I will just refer to that patent as the
5 Garrabrant patent through this deposition, is that
6 okay?

7 A Yes.

8 Q Likewise, with the Larsson patent, the 625
9 patent, which is Exhibit 1001 of the 636 case, I will
10 refer to that patent as the Larsson patent or the 625
11 patent, is that okay?

12 A Yes.

13 Q Doctor Bims, I would like to direct your
14 attention to the Garrabrant patent. Do you contend
15 that the Garrabrant patent discloses a command to
16 cause a receiver to receive an out-of-order packet?

17 A With respect to the Garrabrant patent, I
18 believe in my declaration I have listed various
19 opinions regarding the anticipation of the 625 patent
20 by Garrabrant.

21 MR. SHUMAKER: 1006 exhibit.

22 (Exhibit 1006-636 marked for
23 identification)

24 Q Doctor Bims, when you refer to your expert

1 declaration, I am handing you Exhibit 1006 of the 636
2 case. Is this your declaration you referred to?

3 A Yes.

4 Q And throughout this deposition when I refer
5 to the Bims declaration, Exhibit 1006 of the 636
6 case, I will refer to that either as the Bims
7 declaration in the 636 case or the Bims declaration
8 for the 625 patent, is that okay?

9 A Yes.

10 Q So, Doctor Bims, looking at your report, do
11 you contend that Garrabrant discloses a command to
12 cause a receiver to receive an out-of-order packet?

13 (Pause)

14 A So as I have said in my declaration,
15 Garrabrant discloses commanding a receiver in the
16 data network to receive at least one packet having a
17 sequence number that is not consecutive with a
18 sequence number of a previously-received packet and
19 release any expectation of receiving outstanding
20 packets having sequence numbers prior to the at least
21 one packet.

22 Q What part of your declaration are you
23 referring to?

24 A This is the section relating to

1 Ground No. 2.

2 Q What paragraph numbers in your declaration
3 for Ground No. 2?

4 MR. MASSA: Just to clarify
5 something, counselor, when you marked 1006 I think
6 you said it was his declaration. The copy of 1006
7 you gave me is the petition.

8 MR. SHUMAKER: Oh, okay. I
9 apologize. Let's renumber. I apologize. Thank you
10 for pointing that out.

11 Doctor Bims, could I have that exhibit
12 back, which is actually the petition. We will
13 renumber that.

14 This is actually Paper No. 3 of 636.

15 (Exhibit Paper 3-636 marked for
16 identification)

17 Q I am handing you a copy of the document
18 that's a petition from Broadcom labeled Paper No. 3
19 of the 636 case, and now I hand you the declaration.
20 I apologize.

21 And so This is Exhibit 1006 of the 636
22 case.

23 (Exhibit 1006-636 remarked for
24 identification)

1 Q Doctor Bims, I am handing you Exhibit 1006
2 for the 636 case, which is declaration of Harry Bims.
3 Is Exhibit 1006 of the 636 case your declaration that
4 you filed in the 636 case?

5 A Yes.

6 Q Now directing your attention to Bims
7 declaration Exhibit 1006, which paragraph numbers of
8 your declaration do you contend disclose or opine
9 that Garrabrant discloses a command to cause a
10 receiver to receive an out-of-order packet?

11 A So on pages 18 through at least 23, I
12 discuss Garrabrant and its anticipation of the 625
13 patent, and within that section I talk about Claim 1
14 in particular, and with respect to the Claim 1
15 limitation of commanding a receiver in the data
16 network to, A, receive at least one packet having a
17 sequence number that is not consecutive with a
18 sequence number of a previously received packet and,
19 B, release any expectation of receiving outstanding
20 packets having sequence numbers prior to the at least
21 one packet.

22 I go on to describe in Paragraphs 54
23 through 57 Garrabrant anticipating that claim
24 limitation.

1 Q And looking at Paragraphs 54 through 57 of
2 the Bims declaration for the 625 patent in the 636
3 case, where do you opine -- another question.

4 Regarding that declaration, what is the
5 command that you identify in Garrabrant that causes
6 the receiver to receive at least one packet?

7 A In the Garrabrant patent Garrabrant
8 discusses a lost message.

9 Q What is a lost message?

10 A A lost message within Garrabrant is a
11 message which causes the receiver to receive a
12 nonconsecutive packet and to release expectations of
13 receiving a discarded packet.

14 Q Is a lost message received by the receiver
15 in Garrabrant?

16 A Yes, a lost message can be received by the
17 receiver.

18 Q And a lost message that you point to for
19 Claim 1 limitation, is that lost message received by
20 the receiver in Garrabrant?

21 A Garrabrant does disclose that the lost
22 message can be received by the receiver.

23 Q If the lost message is not received by the
24 receiver, would the lost message be considered a

1 command?

2 A I have not considered that possibility. In
3 my declaration I considered the possibility of the
4 lost message being received by the receiver.

5 Q I would like to direct your attention to
6 Paragraph 50 of your declaration of the 636 case, the
7 Bims declaration in the 636 case. So Paragraph 50 of
8 the Bims declaration, I would like to direct your
9 attention to the very last sentence which states
10 that, The lost message is a command that commands the
11 receiver that upon receipt of the next received
12 packet, open paren, which is nonconsecutive with
13 previously received Packet No. 1, closed paren, it
14 should move its rejection window forward and not
15 expect to receive Packets 2 through 6.

16 Do you see that?

17 A Yes.

18 Q Is that statement correct?

19 A Yes.

20 Q What do you mean when you say that the lost
21 message commands the receiver upon receipt of the
22 next received packet to do something?

23 A So what that means in this example is that
24 the lost message commands the receiver to receive

1 Packet No. 7.

2 Q In your example what is the lost message?

3 A So the lost message is a message that
4 contains the lost command.

5 Q In your example is the lost message Packet
6 No. 7?

7 A In my example or in this example from
8 Garrabrant the lost message is attached to Packet No.
9 7.

10 Q What is the form of the lost message
11 attached to Packet No. 7 in Garrabrant?

12 A So Garrabrant simply discloses that the lost
13 message is part of Packet No. 7.

14 Q In your opinion does Garrabrant disclose
15 anything else about the lost message other than the
16 lost message is part of Packet No. 7?

17 A There is a description in Column 10 of the
18 Garrabrant patent which describes the lost message
19 and how it's used in one embodiment.

20 Q Does Garrabrant disclose the form of the
21 lost message?

22 A What do you mean by "form"?

23 Q How is the lost message a part of Packet No.
24 7?

1 A The lost message is simply part of Packet --
2 not necessarily part of Packet No. 7 but included
3 along with Packet No. 7 in the transition from the
4 source unit to the destination unit.

5 Q So is the lost message included with Packet
6 No. 7, or is it part of Packet No. 7?

7 A It's included in the transmission from the
8 source unit to the destination unit of Packet No. 7.
9 Included in that transmission is also a lost message.

10 Q What do you mean "included in that
11 transmission"?

12 A They arrive together at the receiver.

13 Q "They" meaning the lost message and Packet
14 No. 7?

15 A Yes.

16 Q How does the receiver discriminate between a
17 lost message and Packet No. 7?

18 A Garrabrant does not go into details about
19 how to discriminate between the two, but it would be
20 obvious to a person of ordinary skill how to do that.

21 Q How would one of ordinary skill in your
22 opinion discriminate between a lost message and
23 Packet No. 7?

24 A Well, since the lost message is included in

1 the transmission along with Packet No. 7, the
2 receiver would be able to distinguish Packet No. 7
3 and the lost message separately in the transmission.

4 Q How would the receiver be able to
5 distinguish the message from Packet No. 7?

6 A Well, that could happen in a number of ways.

7 Q Is that disclosed in Garrabrant?

8 A Garrabrant doesn't have to go into those
9 details. A person of ordinary skill in the art would
10 understand how to do that.

11 Q So what would a lost message look like to a
12 person of ordinary skill in the art?

13 A So a lost message would look like what's
14 described in Column 10 of the Garrabrant patent.

15 Q What described Column 10 would describe a
16 lost message in the Garrabrant patent?

17 A So in Column 10 it says that the rejection
18 window is updated in response to the receipt of a
19 lost message.

20 Q What does that sentence tell you about the
21 structure or form of the lost message?

22 A What that says is that the lost message is
23 understood by the receiver as a lost message and that
24 the receiver in response to understanding a lost

1 message makes an adjustment to its rejection window.

2 Q When a receiver accepts a packet in
3 Garrabrant with sequence numbers in a valid window,
4 does the receiver always update its valid and
5 rejection windows?

6 A In this Column 10 portion of the
7 specification that we have been discussing, the
8 rejection window is updated in response to the
9 receiver receiving a lost message.

10 Q I would like you to turn to the petition,
11 the 625 patent of this case, which is Paper No. 3 of
12 the 636 case. I would like you to turn to page 31 of
13 that document, please.

14 The first sentence on page 31 states,
15 Garrabrant sends a lost message, open paren, a
16 command, closed paren, followed by a new Packet
17 No. 7, see that?

18 A Yes.

19 Q As a result of the receiver in Garrabrant
20 receiving a lost message, in your opinion does the
21 receiver update its receipt window?

22 A As I have stated earlier, from the reading
23 of Column 10 in the Garrabrant packet, the rejection
24 window is updated in response to the receipt of a

1 lost message.

2 Q So looking in the first sentence of page 31
3 of the petition for the 625 patent, when the receiver
4 receives a lost message, the receiver would update
5 its valid rejection windows; is that right?

6 A The rejection window is updated upon receipt
7 of the lost message, that's correct.

8 Q And is the rejection window further updated
9 in the receipt of new Packet No. 7?

10 A If Packet No. 7 is properly received, then
11 the rejection window would advance if -- yes, the
12 rejection window may not necessarily advance.

13 Q So upon receipt of Packet No. 7, the
14 rejection window may not advance; is that correct?

15 A Upon receipt of Packet No. 7, it may or may
16 not cause the rejection window to advance.

17 Q In what situation would the rejection window
18 advance?

19 A So Column 10 of Garrabrant says, "When
20 Packet 7 eventually arrives at the destination unit,
21 it falls within the valid window 164 and is accepted
22 by the destination unit. The destination unit then
23 sets its internal sequence count to 8 as shown in
24 Figure 8B and slides its valid window 164 to the

1 position of valid window 174 shown in Figure 8B to
2 allow Packets 8 through 23."

3 Q And in what situations would the receipt of
4 Packet No. 7 in Garrabrant not cause the rejection
5 window to move?

6 A In Garrabrant Column 10, the Packet No. 7
7 has to arrive at the destination unit, fall within
8 the valid window, and be accepted by the destination
9 unit. If the destination unit does not accept Packet
10 No. 7, then in this reading of Column No. 10 the
11 condition for moving the rejection window would not
12 be satisfied.

13 Q But Garrabrant only discloses the acceptance
14 of Packet No. 7, correct, in that example?

15 A It discloses Packet No. 7 arriving at the
16 destination unit, falling within the valid window,
17 and being accepted by the destination unit.

18 Q Does Garrabrant also describe the lost
19 message moving the rejection window separate and
20 apart from the receipt and acceptance of Packet No. 7
21 moving the rejection window?

22 A I don't recall the Garrabrant patent saying
23 anything about Packet No. 7 moving the rejection
24 window. In Column 10 Garrabrant does disclose the

1 lost message, the receipt of the lost message causing
2 an update to the rejection window.

3 Q So is it your understanding that Garrabrant
4 discloses that the receipt of the lost message
5 updates the rejection window, but the receipt of
6 Packet No. 7 does not update the rejection window?

7 A So in Column 10 the rejection window is
8 updated by the response to the receipt of the lost
9 message. When Packet No. 7 arrives, falls within the
10 valid window, and is accepted by the destination
11 unit, then the valid window slides from the position
12 in 164 to the position in 174.

13 Q Does the receipt of Packet No. 7 have any
14 effect on the rejection window of the receiver?

15 (Pause)

16 A So what was the question again?

17 (Record read)

18 A In this Column 10 embodiment, what is stated
19 about Packet No. 7 is that the valid window 164
20 slides to Position 174.

21 Q When the lost packet is received in
22 Garrabrant, how much does the valid window slide?

23 A When the lost message is received as
24 described in Column 10 of Garrabrant, the rejection

1 window is updated.

2 Q And how is that rejection window updated in
3 response to the receipt of the lost message in
4 Garrabrant?

5 A So Figure 8B shows a schematic diagram of a
6 rejection window at the destination unit after the
7 rejection window is updated in response to the
8 receipt of a lost message.

9 Q Does Figure 8B show the updating of a
10 rejection window in response to receipt of Packet
11 No. 7?

12 A Figure 8B shows the position of valid window
13 174 after Packet No. 7 has arrived at the destination
14 unit, falls within valid window 164, and is accepted
15 at the destination unit.

16 Q Does Figure 8B also show the rejection
17 window 170?

18 A Figure 8B does show rejection window 170.

19 Q When the valid window 174 moves, does the
20 rejection window 170 also move accordingly?

21 A In Figure 8A the valid window and rejection
22 window are shown. In Figure 8B the valid window and
23 rejection window are shown after the receipt of the
24 lost message and Packet No. 7. And what Figure 8B

1 shows relative to Figure 8A is that the rejection
2 window and the valid window have been updated to a
3 new position.

4 Q In Garrabrant can the valid window be
5 updated without updating the rejection window?

6 A Whether or not that's possible, I don't
7 know. What Column 10 describes is what happens when
8 a lost message and Packet No. 7 are received at the
9 receiver, what happens to both of the windows.

10 Q Does Figure 8B show the result and the valid
11 and rejection windows upon receipt of Packet No. 7 or
12 upon receipt of the lost message?

13 MR. MASSA: Object to the form.

14 MR. SHUMAKER: What's the basis?

15 MR. MASSA: Compound question.

16 Q Does Figure 8B show the updating of the
17 valid window and a rejection window after receipt of
18 Packet No. 7?

19 A Figure 8B shows what happens to the valid
20 window after receipt of -- after Packet No. 7 has
21 both arrived at the destination unit, falls within
22 the valid window shown in Figure 8A, and is accepted
23 by the destination unit.

24 Q Upon receipt of the lost message by the

1 Garrabrant receiver, an example we have been
2 discussing, would the valid window shown in 174 of
3 Figure 8B differ?

4 A What's shown in Figure 8B is what happens to
5 the rejection window when it is updated based on the
6 reception of the lost message in this example and
7 what happens to the valid window when it slides as a
8 result of Packet No. 7.

9 Q So are you saying that the receipt of the
10 lost message in your opinion moves the rejection
11 window only and the receipt of Packet No. 7 only
12 moves the valid window?

13 A I am saying what is disclosed in Column 10
14 is that the rejection window is updated in response
15 to the lost message and the Packet No. 7 causes the
16 valid window to slide. The lost message could
17 additionally affect the valid window, although that's
18 not explicitly stated in Column 10 because the set of
19 sequence numbers associated with the valid window are
20 those that are not associated with the rejection
21 window.

22 Q So in your opinion does Garrabrant disclose
23 that the receipt of the lost message only affects the
24 rejection window?

1 A So in my opinion, reading Column 10, the
2 receipt of the lost message causes the rejection
3 window to move, meaning that the rejection window has
4 an updated set of sequence numbers contained within
5 it, and the valid window as disclosed in Garrabrant
6 contains the sequence numbers that are not contained
7 in the rejection window; thus the updating of the
8 rejection window by the lost message has an effect on
9 the valid window.

10 Q In Garrabrant, upon receipt of the lost
11 message, what is the updated set of sequence numbers
12 in the rejection window?

13 A So as I have said in my declaration, the
14 lost message is a command that commands the receiver
15 that upon receipt of the next received packet, which
16 is nonconsecutive with the previously received Packet
17 No. 1, should move its rejection window forward and
18 not expect to receive Packet Nos. 2 through 6.

19 Q So upon the receipt of the lost message in
20 your opinion the rejection window tells the receiver
21 not to expect to receive Packets 2 through 6?

22 A So in my opinion, which is again stated in
23 the sentence that I quoted just now, the lost message
24 is a command to the receiver that it should move its

1 rejection window forward and that it should not
2 expect to receive Packet Nos. 2 through 6.

3 Q Does the lost message have a sequence
4 number?

5 A Garrabrant doesn't disclose a sequence
6 number specific to the lost message.

7 Q In your opinion in Garrabrant does the lost
8 message have a sequence number?

9 A In my opinion the lost message could have a
10 sequence number, although Garrabrant does not say
11 whether or not the lost message has a sequence
12 number.

13 Q So in your opinion a lost message may not
14 have a sequence number?

15 A According to Garrabrant, it mentions a lost
16 message but does not mention a sequence number unique
17 to the lost message.

18 Q In your opinion, one of ordinary skill in
19 the art before reading Garrabrant, would such a
20 person understand a lost message to have a sequence
21 number or not have a sequence number?

22 A In my opinion Garrabrant does not say
23 whether or not a lost message has a sequence number.

24 Q So when you read Garrabrant, you just don't

1 know whether the lost message has a sequence number
2 or not?

3 A In reading Garrabrant, a lost message may or
4 may not have a sequence number.

5 Q If a lost message does not have a sequence
6 number, how does a receiver know whether to receive
7 or reject the lost message?

8 A The receiver using can use standard
9 reception techniques that are understood by persons
10 of ordinary skill in the art to receive the lost
11 message even if the lost message does not contain a
12 sequence number.

13 Q What standard reception techniques would a
14 person of ordinary skill in the art understand a
15 receiver to use when it receives a lost message that
16 does not have a sequence number?

17 A So those would be standard receiver
18 technology for physical layer reception of the
19 message, as well as MAC layer reception of the lost
20 message.

21 Q Give some example of physical layer and MAC
22 layer reception of lost messages.

23 A So in the Garrabrant patent, the invention
24 relates to communication systems and more

1 particularly to a method and apparatus for
2 controlling packet radio communication systems.
3 Within the context of a packet radio communication
4 system, physical layer techniques are used in the
5 receiver for receiving transmissions in a packet
6 radio communications system performing the necessary
7 signal processing functions to convert those
8 transmissions into a form in which the medium access
9 control layer of the packet radio communications
10 system can decode messages such as the lost message.

11 Q I would like to direct your attention to
12 Column 6 of the Garrabrant patent. See the tables in
13 the middle of Column 6 in the Garrabrant patent?

14 A Yes.

15 Q And do you see the first table has a column
16 labeled "Command"?

17 A Yes.

18 Q And likewise the third table has a column
19 labeled "Command"?

20 A Yes.

21 Q Is the lost message disclosed as a command
22 within the tables shown in Column 6 of Garrabrant?

23 A These tables refer to the contents of
24 control field 80, and these tables which are showing

1 those commands and responses in control field 80 do
2 not list the lost message.

3 Q Is a lost message transmitted as a packet?

4 A Garrabrant does not say whether or not the
5 lost message is transmitted as a packet.

6 Q In your opinion as a person of ordinary
7 skill in the art is the lost message in Garrabrant
8 transmitted as a packet?

9 A In my opinion a person of ordinary skill in
10 the art would understand that a lost message could be
11 transmitted as a packet but not necessarily
12 transmitted as a packet.

13 Q So in your opinion Garrabrant doesn't
14 require the lost message to be transmitted as a
15 packet?

16 A Correct.

17 Q Is it true that a packet that falls outside
18 a valid window in Garrabrant is rejected by the
19 receiver?

20 A What Garrabrant discloses is when a packet
21 arrives at the destination unit and falls within a
22 valid window and is accepted by the destination unit
23 that the valid window position slides.

24 Q What happens to a packet that falls outside

1 of the valid window in Garrabrant?

2 A In Column 9 the Garrabrant patent says, A
3 message received by a unit in a packet radio
4 communication system of the present invention will be
5 rejected unless the number stored in the sequence
6 number field 92 is in the valid window 142.

7 Q So a packet whose sequence number falls
8 outside of the sequence numbers in the valid window
9 in Garrabrant is rejected; is that right?

10 A So a message that's received at the receiver
11 whose number stored in sequence number field 92 that
12 is not in the valid window is rejected.

13 Q Does Garrabrant disclose that repeated
14 copies of a packet can be forwarded or retransmitted
15 within the system?

16 A I guess I don't understand your question.

17 Q Does Garrabrant include repeaters?

18 A Garrabrant does disclose at least first and
19 second repeaters 104 and 108.

20 Q Do the repeaters transmit repeated copies of
21 packets?

22 (Pause)

23 MR. MASSA: I object to the question
24 as outside the scope of his declaration which might

1 be some reason for the delay here in answering your
2 question. I am sure you may try to tie it up
3 somehow, but I don't think he has opined on this
4 topic.

5 (Pause)

6 A So what Garrabrant says in Column 7 is that
7 those skilled in the art will recognize that Figure 5
8 also implies that transmissions from the BRU 112 to
9 the source 100 will be relayed back through the first
10 and second repeaters 104 and 108.

11 This passage in Column 7 extending to
12 the top of column eight does not use the word
13 "packets."

14 Q I would like to direct your attention to
15 Column 8, the last full paragraph beginning around
16 line 51. The sentence states, Each time the message
17 is decremented by one before being transmitted. When
18 a repeater receives a message having a frame 90 in
19 which the repeat count field 96 has been decremented
20 to zero, the repeater will not transmit the frame
21 corresponding to the message.

22 See that?

23 A I think you skipped a line.

24 Q Oh, which line did I skip?

1 A So starting at line 51, it says, "Each time
2 that the message is repeated by the repeater, the
3 repeat count field 96 of the message is decremented
4 by one before being transmitted. When a repeater
5 receives a message having a frame 90 in which the
6 repeat count field 96 has been decremented to zero,
7 the repeater will not transmit the frame
8 corresponding to the message."

9 Q Okay. So the first sentence I would like to
10 focus on regarding the repeater, is it your
11 understanding that the repeater will retransmit a
12 frame unless the repeat count for a particular frame
13 is zero?

14 A So what these two sentences say is that the
15 repeater receives messages and those messages have a
16 frame 90 and which has a repeat count field 96.

17 Q And does the repeater transmit the frames
18 unless the repeat count field 96 has been decremented
19 to zero?

20 A So Column 8 of the Garrabrant patent says
21 that when the repeater receives a message having a
22 frame 90 in which the repeat count field 96 has been
23 decremented to zero, the repeater will not transmit
24 the frame corresponding to the message.

1 Q And if the repeat count in that frame has
2 not been decremented to zero, the repeater will
3 retransmit that frame; is that right?

4 A What Column 8 says is that the repeater will
5 repeat the message and decrement the repeat count
6 field 96 by one before that message is transmitted.

7 Q What does repeat the message mean?

8 A It means that the message that was received
9 by the repeater is transmitted by the repeater.

10 Q So can a repeated copy of a frame that has
11 been previously rejected by a receiver be there after
12 accepted by a receiver?

13 A I am not sure I understand your question.

14 Q Sure.

15 So let's assume we have a frame that
16 has been rejected by the receiver because a sequence
17 number falls outside of the valid window, okay?

18 A Are you talking about the Garrabrant?

19 Q In Garrabrant. So assume in Garrabrant we
20 have a frame that has been rejected by a receiver
21 because the sequence number of the frame falls
22 outside the valid window, okay?

23 MR. MASSA: Object to the form.

24 MR. SHUMAKER: What's wrong with the

1 form?

2 MR. MASSA: Vague in the sense you
3 are using the word "frame."

4 Q Doctor Bims, you understand what a frame is
5 in the context of Garrabrant?

6 A In the context of Garrabrant frames
7 correspond to messages.

8 Q Do frames also correspond to packets in the
9 context of Garrabrant?

10 A In the passage in Column 10 to which we were
11 discussing earlier, there is no mention of a relation
12 between frames and packets.

13 Q Is it your understanding as one of ordinary
14 skill in the art that there is a relationship between
15 frames and packets?

16 A As a person of ordinary skill in the art
17 reading the Garrabrant patent, it is clear that
18 frames correspond to messages.

19 Q And not packets?

20 (Pause)

21 Q Let me ask another question.

22 In Column 10 we were discussing about
23 the lost message and Packet 7. Would you consider
24 Packet 7 to be a message in Garrabrant?

1 A In reading the Garrabrant patent, it is
2 clear that when Garrabrant refers to a message that
3 Garrabrant uses the word "message" and when
4 Garrabrant refers to a packet Garrabrant uses the
5 word "packet."

6 Q Does Garrabrant also use the word "frame"?

7 A In the specification of Garrabrant the word
8 "frame" is used.

9 Q And does the frame refer to a message or a
10 packet?

11 MR. MASSA: Object to the form.

12 Q Does the frame correspond to a packet in
13 Garrabrant?

14 A Frames do correspond to messages in
15 Garrabrant.

16 Q Messages are distinct from packets in
17 Garrabrant; is that correct?

18 A In Column 10 Garrabrant discloses a lost
19 message as distinct from the packets disclosed in
20 Column 10.

21 Q And the lost message is distinct from Packet
22 No. 7; is that correct?

23 A Yes.

24 Q Is it correct that a repeater retransmits

1 frames in the context of Garrabrant?

2 MR. MASSA: Object. Outside the
3 scope of his declaration.

4 Also it's about 10:30. Would that be a
5 good time for a break after he answers?

6 MR. SHUMAKER: Sure.

7 (Pause)

8 A In Column 7 of Garrabrant, repeaters
9 transmit signals.

10 MR. SHUMAKER: Let's take a break.

11 (Short recess taken)

12 Q Doctor Bims, I would like to direct your
13 attention to Bims declaration for the 625 patent,
14 Exhibit 1006 of the 636 case. Specifically I would
15 like to direct your attention to Paragraphs 54
16 through 56, pages 20 to 21 of the Bims declaration
17 for the 625 patent.

18 Do you see those paragraphs?

19 A Yes.

20 Q Within these paragraphs do you opine that
21 Garrabrant in your opinion meets the releasing any
22 expectation of receiving outstanding packets
23 limitation?

24 A So the limitation in Claim 1 of Garrabrant

1 that I am referring to in Paragraphs 54 through 56 is
2 the entirety of what is described in Paragraph 54,
3 including not only the release of any expectation but
4 also receiving at least one packet having a
5 nonconsecutive sequence number as part of commanding
6 the receiver.

7 Q What's your basis for contending that
8 Garrabrant teaches releasing an expectation of
9 receiving outstanding packets having sequence numbers
10 prior to the at least one packet?

11 A In Paragraph 55 it says that Garrabrant
12 discloses a receiver updating its window in response
13 to the receipt of a lost message.

14 Q In your opinion when a receiver updates its
15 window in the receipt of a lost message, does that
16 action meet the releasing any expectation of
17 receiving outstanding packets having sequence numbers
18 prior to the at least one packet in your opinion?

19 A Yes.

20 Q So if a packet having a sequence number that
21 falls within the valid window of a receiver, for that
22 particular packet the receiver would not release its
23 expectations of receiving that packet; is that
24 correct?

1 A If the rejection window doesn't move, then
2 expectations are not released for receiving
3 outstanding packets.

4 Q Does the sequence numbers of the rejection
5 window for a receiver define those packets for which
6 the receiver releases its expectation of receiving in
7 your opinion?

8 A Within the rejection window there is at
9 least one sequence number corresponding to an
10 outstanding packet.

11 Q So the sequence numbers of the rejection
12 window for a receiver identify those packets for
13 which the receiver has released its expectations of
14 receiving?

15 A The sequence numbers that are within the
16 rejection window include at least one sequence number
17 for which there is an outstanding packet.

18 Q What do you mean by that?

19 A It means that within the rejection window
20 there is at least one sequence number for a packet
21 that the receiver is expecting to be retransmitted.

22 Q Is that always the case?

23 A Within the rejection window that would not
24 always be the case.

1 Q Is it then your opinion that if a sequence
2 number of a packet falls within a rejection window,
3 the receiver has already released its expectations of
4 receiving that particular packet?

5 A Within the rejection window would be packets
6 that have either been received correctly or packets
7 that have been discarded because the receiver has
8 released expectations of receiving an outstanding
9 packet.

10 Q So if a receiver released expectations of
11 receiving an outstanding packet, that corresponding
12 packet would be discarded in your opinion?

13 A In my opinion the claim doesn't say what
14 happens to an outstanding packet after expectations
15 has been released.

16 Q But just so I am clear, in your opinion
17 packets whose expectations have been released by the
18 receiver have sequence numbers falling within the
19 rejection window of the receiver?

20 A Garrabrant does disclose that when
21 expectations for receiving an outstanding packet have
22 been released that the rejection window is moved to
23 include the sequence number for that outstanding
24 packet whose expectations have been released.

1 Q If a packet has sequence numbers that falls
2 within the valid window of a receiver, has the
3 receiver released expectations of receiving that
4 particular packet?

5 MR. MASSA: Objection.

6 MR. SHUMAKER: What's the basis for
7 the objection?

8 MR. MASSA: Vague as to "sequence."

9 Q Let me ask it again.

10 So if a packet -- let me start over
11 again.

12 So assume a packet has a sequence
13 number that falls within the valid window of a
14 receiver. For such a packet would the receiver in
15 Garrabrant release expectations of receiving that
16 packet?

17 A Garrabrant teaches that a packet whose
18 sequence number falls within the valid window may be
19 an outstanding packet for which the lost message will
20 cause the receiver to release expectations.

21 Q In your opinion can the lost message cause
22 the receiver to release expectations of receiving a
23 packet whose sequence numbers falls within the valid
24 window of a receiver?

1 A So if a packet falls within the valid
2 window, its sequence number falls within the valid
3 window, and that packet is an outstanding packet,
4 meaning the receiver is expecting that packet to be
5 retransmitted, Garrabrant discloses that the lost
6 message can cause that packet sequence number to move
7 to the rejection window.

8 Q And the result of moving the sequence number
9 from the valid to the rejection window is the
10 receiver releasing expectations of receiving that
11 outstanding packet; is that correct?

12 A The receiver would no longer expect to
13 receive that packet once the rejection window has
14 moved to include the sequence number of that packet.

15 Q Until the point the rejection window moves
16 to include the sequence number of that packet, the
17 receiver would still be expecting to receive that
18 particular outstanding packet whose sequence number
19 falls within the valid window, correct?

20 A Correct.

21 Q I would like to direct your attention to
22 Paragraph 57 of the Bims declaration for the 625
23 patent. In your opinion does Garrabrant disclose a
24 limitation on the transmitter discarding all packets

1 for which acknowledgment has not been received and
2 which have sequence numbers prior to the at least one
3 packet?

4 A Yes.

5 Q What's your basis for that opinion?

6 A That comes from the Garrabrant patent,
7 Column 10.

8 Q What in Column 10 of Garrabrant forms the
9 basis of that opinion?

10 A The lines starting or the sentence starting
11 at line 18 and continuing through to line 27, that
12 entire -- both of those sentences, in which it says
13 that the rejection window 160 in a circle set of
14 acceptable sequence numbers 162 at a destination unit
15 of the packet radio communication system of the
16 present invention, using the protocol of the present
17 invention, before the rejection window is updated in
18 response to the receipt of a lost message; and then
19 Figure 8B, which is a diagram of a rejection window
20 170, in the circle set of acceptable sequence numbers
21 172 at the destination unit after the rejection
22 window is updated in response to the receipt of a
23 lost message.

24 Q The passage in Column 10, the Garrabrant

1 patent that you are referring to, relates to the
2 rejection window destination unit, correct?

3 A Yes, that passage does discuss the
4 destination unit rejection window which, as
5 Garrabrant also teaches, is synchronized to the
6 windows in the transmitter.

7 Q What's your basis for concluding that a
8 rejection window in a destination unit is evidence of
9 the transmitter discarding packets?

10 (Pause)

11 A In Column 10, beginning at line 14, it says
12 that a source unit and a destination unit will allow
13 as many messages as there are in the valid window 142
14 to become lost while still maintaining
15 synchronization.

16 Q How does that sentence you just read relate
17 to a transmitter discarding packets?

18 A Because the term "maintaining
19 synchronization" as it applies to the source unit and
20 destination unit is talking about the windows and the
21 source unit, destination unit being synchronized,
22 including the rejection window, which when
23 synchronized in the transmitter relative to the
24 receiver would cause the transmitter to likewise

1 discard the packets that the receiver is discarding.

2 Q So it's your understanding of Garrabrant
3 that if the receiver releases expectations of packets
4 by moving its rejection window, the transmitter would
5 correspondingly change its transmit window?

6 A No, that's not what I am saying.

7 What I am saying is that the Column 10
8 of Garrabrant teaches that the source unit and the
9 destination unit have windows whose synchronization
10 is being maintained, and the way the rejection window
11 in the transmitter is synchronized with the rejection
12 window in the destination unit is when the
13 transmitter or source unit transmits a lost message
14 to the destination unit so that the rejection windows
15 in both the source unit and destination unit maintain
16 synchronization.

17 Q Is there a corresponding discarding of
18 packets on the transmitter side?

19 A Once the rejection window has been updated
20 in the transmitter, the transmitter would likewise
21 discard messages that are also being discarded at the
22 receiver.

23 Q Where does Garrabrant disclose that the
24 transmitter discards messages that the receiver has

1 discarded?

2 (Pause)

3 A So in Column 10 again, the sending device
4 has a fail state which after a user configurable
5 value of maximum attempts to establish communications
6 that it enters, and as Column 10 talks about, the
7 lost message being sent from the source unit to the
8 destination unit, the packets in that fail state are
9 discarded.

10 Q Does the fail state indicate that the
11 receiver and a transmitter have lost communication?

12 A So in this fail state it is indicating one
13 of the possible modes of operation in which all of
14 the packets within the valid window are lost.

15 Q So does the transmitter discard packets only
16 when it enters this fail state condition in your
17 opinion?

18 A As discussed in Column 10, when the lost
19 message is transmitted from the source unit to the
20 destination unit, the source unit will discard the
21 packet whose sequence numbers have moved from the
22 valid window to the rejection window.

23 Q Do you discuss this in your expert
24 declaration in Paragraph 57?

1 A In Paragraph 57 it says, "Lost Packets 2
2 through 6 would be discarded by having the
3 transmitter move its window forward."

4 Q Does that situation occur in a fail state
5 situation?

6 A In a fail state situation, Column 10
7 discloses that a lost message would be transmitted
8 from the source unit to the destination unit to
9 synchronize their windows and would include
10 synchronizing of the rejection window.

11 Q So would the result of using Packets 2
12 through 6 cause the sending device to assume it's in
13 a fail state mode?

14 A If the window size of the rejection window
15 were such that Packets 2 through 6 had serial numbers
16 that occupied the entirety of the valid window, then
17 there would be a situation in Column 10 where the
18 signalling device would enter a fail state. So, for
19 example, Packets 2 through 6 represent five packets,
20 and if the valid window could only contain four
21 packets, then there are more packets that are lost
22 than can be contained in the receiver's valid window;
23 and according to Column 10, the sending device will
24 assume a fail state after a user configurable value

1 of maximum attempts to establish communications with
2 the receiver.

3 Q But in Garrabrant the window is 16, right?
4 It's not four or five; is that correct?

5 MR. MASSA: Object.

6 A In this particular example the number 16 is
7 used, but a person of ordinary skill would understand
8 that different numbers could be used for the size of
9 the rejection window as disclosed in Garrabrant.

10 Q So in Garrabrant when lost Packets 2 through
11 6 -- Packets 2 through 6 are lost, as discussed in
12 Column 10, and the valid window is 16, as discussed
13 in Column 10, the transmitter would not enter into a
14 fail-state condition merely on the loss of Packets 2
15 through 6; is that correct?

16 A In this example in Column 10 where Packets 2
17 through 6 are lost, meaning five packets in sequence
18 are lost and the valid window size is 16, then the
19 sending device would not necessarily enter into a
20 failed state. However, a person of ordinary skill
21 would understand that the Garrabrant patent teaches
22 that the valid window size is not limited to 16 and
23 can assume other sizes, for example, Size 4, and in
24 such case if five sequential packets are lost, then

1 the sending device would assume a fail state after a
2 user of configurable value of maximum attempts to
3 establish communications with the receiver.

4 Q Your Paragraph 57, where you refer to lost
5 Packets 2 through 6, is that based on a rejection
6 window being, like, five, or is it based on the
7 rejection window that's disclosed in Garrabrant 16?

8 A So in Paragraph 57 I am referring to a
9 portion of Garrabrant in Column 10 in which there is
10 an example of Packets 2 through 6 being lost with the
11 valid window size of 16.

12 Q The example you are referring to in
13 Paragraph 57, the transmitter would not enter a
14 fail-state condition; is that correct?

15 A For this particular example the loss of only
16 Packets 2 through 6 would not exceed the number of
17 packets in the valid window, and the sending device
18 may -- it's possible that the second device would
19 not enter a fail state. However, as I said earlier,
20 the valid window size in Garrabrant is not limited to
21 16, and Garrabrant teaches that other sizes of the
22 valid window are possible, including a valid window
23 size of 4, which would cause the sending device to
24 assume a fail state if five sequential packets were

1 lost.

2 Q So what is your complete basis for
3 contending that the transmitter in Garrabrant
4 discards all packets for which acknowledgment has not
5 been received and which have sequence numbers prior
6 to at least one packet?

7 A As I said earlier, in Column 10 the source
8 unit and destination unit will allow as many messages
9 as there are in the valid window to become lost while
10 still maintaining synchronization, meaning that the
11 windows in the source unit and destination unit are
12 synchronized even when messages are lost; and in
13 Column 10 it goes on to describe how that
14 synchronization is maintained by the source unit
15 transmitting a lost message to the destination unit.
16 And also the destination unit discards messages whose
17 sequence numbers have moved from the valid window to
18 the rejection window.

19 A person of ordinary skill would
20 understand that Garrabrant is also teaching that the
21 transmitter, which is in synchronization with the
22 receiver, is also discarding packets whose sequence
23 number have moved from the valid window to the
24 rejection window.

1 Q And your basis for contending that the
2 transmitter discards packets is because the window
3 and the transmitter is synchronized to the window and
4 receiver?

5 A The rejection window in the transmitter is
6 synchronized with the rejection window in the
7 receiver when messages are lost, and a lost message
8 is transmitted from the transmitter to the receiver.

9 Q Is that the only time that the transmitter
10 windows synchronize to the receiver window?

11 A There are potentially other times in which
12 the windows in both the source unit and destination
13 unit are synchronized.

14 Q And is it your opinion that when the
15 destination unit moves its rejection window, a source
16 unit that's in synchronization with the destination
17 unit would immediately discard packets whose sequence
18 numbers correspond to the new rejection window of the
19 receiver?

20 A No, that's not what I have previously said.

21 As I previously said, the windows in
22 the source unit and destination unit are
23 synchronized, and that synchronization is maintained
24 even when messages are lost by the source unit

1 transmitting a lost message to the destination unit,
2 such that when the destination unit updates its
3 rejection window in response to the lost message, the
4 transmitter rejection window, having also been
5 updated, will be synchronized to the rejection window
6 and the receiver, and both the source unit and
7 destination unit would thereby discard the messages
8 whose sequence numbers have moved from the valid
9 window to the rejection window.

10 Q In your opinion, in the event that the
11 source unit does not transmit this, quote, lost
12 message, would the source unit updates its window in
13 response to the receiver unit updating its rejection
14 window?

15 A What Garrabrant teaches, in particular
16 Column 10, is the destination unit updating its
17 window in response to the lost message transmitted by
18 the source unit.

19 Q My question related to the updating --
20 relating to your contention that the transmitter
21 updates its window in response to a receiver updating
22 its rejection window.

23 MR. MASSA: Object.

24 Q What I --

1 MR. MASSA: I thought you were done.
2 Object to the form of the question. Misstates his
3 testimony.

4 Q What I understood you saying -- maybe I am
5 mishearing it -- is that a transmitter can send a
6 lost message to a destination which causes the
7 destination unit to update its rejection window. In
8 response to the updating of that rejection window,
9 the transmitter then discards those packets whose
10 sequence numbers fall within the rejection window.

11 A No, that's not what I said.

12 Q I am sorry. What did you say then?

13 A So what I am saying here is that the windows
14 in the source unit and destination unit need to
15 maintain synchronization even when messages are lost.
16 In the source unit, when a message becomes lost and
17 the transmitter wishes to discard that message or
18 even a set of such messages, that transmitter will
19 issue a lost message which is transmitted to the
20 destination unit so the destination unit can
21 correspondingly adjust its rejection window, thereby
22 maintaining synchronization with the rejection window
23 in the transmitter which is moving as a result of the
24 transmitter's desire to discard its messages.

1 Q If the transmitter transmits a lost message
2 in your opinion, does that lost message correspond to
3 discarded packets on the transmitter side?

4 A When a transmitter, as disclosed in
5 Column 10, transmits a lost message to the
6 destination unit, the transmitter is communicating
7 through the lost message that it has discarded
8 packets whose sequence numbers have moved from its
9 valid window to its rejection window.

10 Q So in your opinion is the transmission of a
11 lost message evidence that the transmitter is
12 discarding packets?

13 A The transmission of the lost message is
14 commanding the receiver in the destination unit to
15 adjust its rejection window so that that window is
16 synchronized to the rejection window in the source
17 unit.

18 Q In your opinion is the transmission of a
19 lost message evidence that the transmitter has
20 discarded packets?

21 A To a person of ordinary skill, once the
22 source unit has transmitted a lost message to the
23 destination unit, that is an indication to a person
24 of ordinary skill that the source unit has discarded

1 messages whose sequence numbers have moved from the
2 valid window to the rejection window of the
3 transmitter.

4 Q Where in your declaration do you opine that
5 a person of ordinary skill in the order of a
6 transmission of a lost message to the destination
7 unit is an indication that the source unit has
8 discarded messages?

9 A In Paragraph 57 of my declaration I state
10 that Garrabrant discloses, B, the transmitter
11 discarding all packets for which acknowledgment has
12 not been received and which have sequence numbers
13 prior to the at least one packet, and the citation is
14 in Garrabrant Column 10.

15 Q What is the form of the lost message that is
16 disclosed in Garrabrant?

17 A Garrabrant discloses that the lost message
18 is communicated but does not limit the lost message
19 to a particular form.

20 Q Does Garrabrant disclose any form of a lost
21 message?

22 A To a person of ordinary skill in the art
23 leading the Garrabrant patent, various forms of the
24 lost message come to mind.

1 Q Does Garrabrant explicitly disclose any form
2 of a lost message?

3 A The Garrabrant patent to a person of
4 ordinary skill in the art does not need to expressly
5 disclose a particular form of the lost message since
6 various forms of the lost message are well understood
7 by persons of ordinary skill in the art.

8 Q Does Garrabrant explicitly disclose any form
9 of a lost message?

10 A As I said earlier, Garrabrant does not limit
11 the lost message to a particular form, as persons of
12 ordinary skill in the art understand that the lost
13 message can take a variety of forms.

14 Q But does Garrabrant itself within four
15 corners of a document explicitly disclose any form of
16 a lost message?

17 MR. MASSA: Object to the form of
18 the question.

19 MR. SHUMAKER: What's the basis of
20 the objection?

21 MR. MASSA: Vague as to what you
22 mean "within four corners of a document explicitly
23 disclose." You know disclosure is what's written and
24 what it means to a person of ordinary skill in the

1 art. So your question is vague as to whether you are
2 talking about the meaning to a person of ordinary
3 skill in the art or literal words.

4 Q So my question is, are there explicit
5 literal words in Garrabrant that by themselves
6 describe a form of a lost message?

7 A As I said earlier, the Garrabrant patent
8 does not limit the lost message to any particular
9 form, and a person of ordinary skill in reading the
10 Garrabrant patent would have various forms in mind
11 for the lost message when reading the Garrabrant
12 patent.

13 Q Is your understanding of the lost message in
14 the Garrabrant patent based on your knowledge or
15 based on the knowledge of one in the ordinary skill
16 in the art?

17 A What was the question again?

18 Q In terms of the Garrabrant patent --

19 A Yes.

20 Q -- the form of the lost message, does
21 Garrabrant -- let me ask another question. I think
22 you answered that. I will move on.

23 (Pause)

24 MR. SHUMAKER: Exhibit 1003 in the

1 636 case.

2 MR. MASSA: This is Exhibit 1007 in
3 the IPR if that's what you intend to mark. I am
4 going by the Bates number on the bottom of it.

5 MR. SHUMAKER: Okay. My numbering
6 is incorrect. 1007.

7 (Exhibit 1007-636 marked for
8 identification)

9 Q I am handing you Exhibit 1007 of the 636
10 matter. It's the English translation of Hettich
11 diploma paper entitled, "Development and performance
12 evaluation of a Selective Repeat-Automatic Repeat
13 Request (SR-ARQ) protol for transparent, mobile ATM
14 Access."

15 Have you seen Exhibit 1007 before?

16 A Yes.

17 Q Does Hettich disclose a delay command?

18 A So Hettich discloses a delay PDU.

19 Q Just so I am clear, when I refer to Hettich
20 in this section I am going to refer to Exhibit 1007
21 of the 636 case, is that fine?

22 A Yes.

23 Q I would like you to turn to page 26 of the
24 Bims declaration in the 636 case, Paragraph 74.

1 In Paragraph 74 you discuss a delay,
2 PDU delay at data control command; is that correct?

3 A Yes.

4 Q In your opinion is the Delay PDU a command
5 that causes the receiver to receive a packet?

6 A In my opinion the Delay PDU meets the claim
7 limitation in the 625 patent referring to commanding
8 the receiver to receive, two parts of the claim
9 limitation being to receive at least one packet whose
10 sequence number is not consecutive with a previously
11 received packet and with discarding -- with the
12 transmitter discarding all packets for which
13 acknowledgment has not been received.

14 Q In your opinion does the Delay PDU command
15 also meet the releasing any expectation portion of
16 the claim limitation?

17 A Yes, the releasing of any expectation of
18 receiving outstanding packets is part of what
19 commanding a receiver accomplishes when the
20 transmitter sends the command to the receiver
21 according to Claim 1 of the 625 patent.

22 Q How does the Delay PDU command in your
23 opinion meet the releasing expectations limitation of
24 Claim 1 of 625 patent?

1 A So on page 27 of my declaration,
2 Paragraph 74, it says that, In response, the receiver
3 receives a Delay PDU and stops waiting for cells
4 where the following applies for the number: N less
5 than or equal to RN and stops waiting for packets
6 means releases any expectation of receiving those
7 packets.

8 Q Does the delay command release any
9 expectations of receiving packets whose sequence
10 number is greater than RN?

11 A As it says here in the passage I just read
12 from my declaration, The receiver, after receiving a
13 Delay PDU, releases expectations for cells whose
14 number is N less than or equal to RN.

15 Q So is it correct to say that the Delay PDU
16 command does not cause the receiver to release
17 expectations for packets having sequence numbers
18 greater than RN?

19 A It is possible that subsequent Delay PDUs
20 would include a requested number greater than the
21 previous Delay PDU, causing messages whose sequence
22 number was greater than the original RN to be
23 released of any expectation of being received at the
24 receiver.

1 Q I am focused just on the first Delay RN
2 command, okay, so Delay PDU command. When the Delay
3 PDU command is sent and the receiver receives that
4 Delay PDU command, how does that Delay PDU command
5 cause the receiver to receive at least one packet
6 having a sequence number that is not consecutive with
7 the sequence number of a previously received packet?

8 A For example, if the receiver is waiting for
9 a series of packets with the expectation that those
10 packets would be retransmitted by the transmitter and
11 at the same time the transmitter wishes to discard a
12 portion of that sequence, then the transmitter can
13 send a Delay PDU command to the receiver to move its
14 reception window for that portion of the packets that
15 the receiver is waiting for that correspond to the
16 packets in the transmitter that the transmitter is
17 discarding, and the remainder of the packets that the
18 receiver is waiting for remain within the valid
19 window after the Delay PDU, thus allowing the
20 transmitter to transmit a packet whose sequence
21 number is higher than the packets in the receiver's
22 window that are awaiting retransmission; and that
23 packet would have a sequence number that is not
24 consecutive with a previously received packet.

1 Q In your example does the Delay RN command
2 release expectations of packets having sequence
3 numbers between RN and the next received out-of-order
4 packet in your example?

5 A In this example the Delay PDU will release
6 expectations for packets whose sequence number is
7 N less than or equal to RN.

8 Q Packets whose sequence numbers are between
9 RN and the sequence number of the next received
10 out-of-order sequence packet, for those packets does
11 the receiver release expectations of those particular
12 packets?

13 A For those packets their sequence number
14 would be within the window of sequence numbers for
15 which the receiver is expecting to receive a packet,
16 so the receiver would expect to receive those packets
17 since they are within the window of packets the
18 receiver is expecting to receive.

19 Q Does the Delay PDU in Hettich identify any
20 packets that the receiver would expect to receive?

21 A The Delay PDU in Hettich identifies the
22 highest number of discarded cells. That's what it
23 does.

24 Q And if there are cells whose sequence

1 numbers fall between the highest number discarded
2 cell and the next received out-of-order packet, those
3 particular cells -- the receiver would still expect
4 to see those -- would still expect to receive those
5 particular cells; is that correct?

6 A The receiver would expect to receive the
7 cells that have not been discarded, which would not
8 include cells whose sequence number is N less than or
9 equal to RN .

10 Q Is it your contention that Hettich meets the
11 limitation of the transmitter discarding all packets
12 for which acknowledgment has not been received and
13 which have sequence numbers to the at least one
14 packet?

15 A I believe I stated that in Paragraph 75.

16 Q Is your basis for that contention the
17 existence of the Delay PDU disclosure of Hettich?

18 A Yes.

19 MR. SHUMAKER: When do you want to
20 take lunch break?

21 MR. MASSA: Any time. We have been
22 going for about an hour. It's about ten after
23 twelve.

24 MR. SHUMAKER: Why don't we stop and

1 take a break now.

2 MR. MASSA: Sounds good.

3

4 (Luncheon recess taken)

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1 A F T E R N O O N S E S S I O N

2
3 DIRECT EXAMINATION, continued

4 BY MR. SHUMAKER:

5 (Exhibit 1008-636 marked for
6 identification)7 Q Doctor Bims, I am going to hand you a
8 document labeled Exhibit 1008 from the 636 case.
9 It's the translation of the Walke reference.10 Have you seen the Walke reference
11 before?

12 A Yes.

13 Q And throughout the section of the deposition
14 when I refer to Exhibit 1008 in the 636 case, I am
15 going to refer to the Walke reference, is that fine?

16 A Yes.

17 Q Doctor Bims, I would like you to turn to
18 page 28 of the Bims declaration of the 636 case.
19 It's Paragraph 79, page 28.20 What I would like to talk about first
21 is in Paragraph 80. You identify a delay command, do
22 you see that?

23 A The delay message, yes.

24 Q Delay message.

1 A Yes.

2 Q And toward the end of that paragraph on
3 page 30, the second-to-last sentence states, "Walke
4 therefore teaches a command to receive a packet and
5 to release expectations of receiving a previously
6 transmitted packet."

7 Do you see that? Sorry. It's actually
8 the third-to-last sentence.

9 A You said page 30?

10 Q Yes, the very first full sentence on the top
11 of page 30.

12 A Yes, okay. Got it.

13 Q To receive a packet --

14 A Yes.

15 Q Okay. The command you are referring to
16 there, are you referring to the delay command that
17 you identified earlier in Paragraph 80, the delay
18 message?

19 A Yes, the delay message disclosed in Walke
20 teaches the command which is described in Claim 1 of
21 625.

22 Q Does the delay message in your opinion
23 inform the receiver to release expectations of a
24 single packet?

1 A It can be used to do that.

2 Q In your opinion can a delay message inform
3 the receiver to release expectations of more than one
4 packet?

5 A It can be used to do that as well.

6 Q Where does Walke disclose the use of a delay
7 command that informs the receiver to release
8 expectations of more than one packet?

9 (Pause)

10 A So what Walke teaches in Column 13 is that
11 the window in the receiver is updated based upon
12 having received a delay message which contains the
13 sequence number of the rejected ATM cell, which means
14 that all frames with sequence numbers less than the
15 sequence number contained in the delay message are
16 similarly discarded.

17 Q Look at the second full paragraph in
18 Column 13 of Walke. Focus on the second-to-last
19 sentence. Actually let me start one sentence before
20 that. It begins with, "The base station control
21 unit."

22 Do you see that?

23 A Yes.

24 Q "The base station control unit therefore

1 sends an N frame with sequence number 4 which
2 piggybacks the delay one command. This tells the
3 receiver not to wait for anything else on frame one
4 and is able to widen its receive window."

5 That particular sentence or actually
6 the last sentence I read where it says, "This tells
7 the receiver not to wait for anything else on frame
8 one," does that imply that the delay one command only
9 addresses a single packet, that being frame one?

10 A Yes, because this sentence is in the context
11 of the paragraph which continues to say, If it,
12 meaning the receiver, acknowledges the receipt of N
13 frames two through five by sending the RR6 frame, the
14 protocol returns to the normal situation.

15 Q So in the context of the disclosure of Walke
16 we were just looking at, does the delay one command
17 tell the receiver to release expectations of any
18 packet other than frame one?

19 A These last two sentences of this paragraph
20 describe the context of the receipt of a delay one
21 command, which is that after the delay one command
22 has been received, if the subsequent frames awaiting
23 retransmission have been acknowledged, then the
24 protocol will return to the normal situation in which

1 there are no pending retransmissions, and for that to
2 happen, it means that all frames with sequence
3 numbers less than the sequence number in the delay
4 command are also discarded if they were awaiting
5 retransmission.

6 Q But if the receiver does not acknowledge
7 receipt of N frames two to five, then the mere
8 receipt of a delay command wouldn't provide any
9 information as to the discarding of packets other
10 than frame one; is that correct?

11 A Well, the hypothetical you posed doesn't
12 make sense in the context of the Walke disclosure,
13 because that would imply there are sequence numbers
14 less than what are in the delay command for frames
15 that are still awaiting retransmission. And if
16 that's the case, then acknowledging receipt of frames
17 two through five would not return the protocol to the
18 normal situation.

19 Q So under this example that you are referring
20 to in Column 13 of Walke, the acknowledgment of
21 frames two through five returns the system to normal
22 situation because there are no outstanding
23 unacknowledged packets with sequence numbers
24 before -- sorry -- before frame one?

1 A Well, if frames two through five have been
2 acknowledged as having been received, then all frames
3 with sequence numbers five or less have been -- are
4 no longer awaiting retransmission. So that would
5 include frame one and sequence numbers below frame
6 one.

7 Q But if a packet is no longer waiting for
8 retransmission, does that imply that a packet has
9 been discarded? Is that your testimony?

10 A No. A packet could enter the state where
11 it's no longer -- where the receiver is no longer
12 awaiting retransmission because the frame has been
13 received correctly.

14 Q So what is your basis for contending that
15 Walke meets the receive limitation in the 625 patent?

16 A So in Paragraph 88 I describe what happens
17 when the delay command is received and the effect it
18 has on the receiver as disclosed in Walke.

19 Q Is Paragraph 88 referring to the discarding
20 limitation that you have addressed in Paragraph 85,
21 or is it referring to the releasing limitation as
22 addressed in Paragraph 82?

23 A So the discarding limitation described in
24 Paragraph 85 is with respect to the transmitter. The

1 releasing of expectations limitation in Paragraph 88
2 is with respect to the receiver.

3 Q What's your basis if Walke meets the
4 releasing limitation described in Paragraph 82 of
5 your declaration?

6 A So the basis to support my opinion in
7 Paragraph 82 is described in detail in Paragraph 83
8 through 89 -- 88 rather.

9 Q So the basis for your opinion regarding the
10 releasing limitation discussed in Paragraph 82
11 extends into your discussion of the discarding
12 limitation in Paragraph 85?

13 A Paragraph 85 is with respect to the
14 transmitter, but as we continue on past Paragraph 85,
15 it describes not only the discarding that happens in
16 the transmitter but also the receiver receiving an
17 out-of-sequence packet which is one of the
18 consequences of receiving the command in Claim 1 of
19 the 625 patent.

20 Q Okay. Look at Paragraph 83 of your Bims
21 declaration for the 625 patent. The last sentence
22 states, The effect of the delay command is for the
23 receiver to no longer wait on cell one, i.e., to
24 release any expectations.

1 Do you see that?

2 A Yes.

3 Q So Paragraph 83, are you stating the delay
4 command releases expectations for cell one with
5 respect to the receiver?

6 A In this example the Delay 4,1 command does
7 release expectations in the receiver for receiving a
8 packet with sequence number one.

9 Q Does the Delay 4,1 command release
10 expectations for the receiver for receiving packets
11 having sequence numbers less than one?

12 A A person of ordinary skill would understand
13 that to be the case, yes.

14 Q What's your basis for that?

15 A As I mentioned earlier in Column 13, it
16 talks about how the protocol returns to the normal
17 situation if additional frames two through five are
18 acknowledged as having been received, and that could
19 only occur if the Delay 4,1 message caused packets
20 with sequence number less than one to also have their
21 expectation of being retransmitted released.

22 Q That's based on Figure 9 of Walke, correct?

23 A That's based upon reading Column 13.

24 Q Which is a discussion of Figure 9 of Walke,

1 correct?

2 A Figure 9 is an example that Column 13 talks
3 about as true.

4 Q So if you look at Figure 9 of Walke,
5 Figure 9 of Walke shows an acknowledgment of packet
6 zero, does it not?

7 A Yes.

8 Q So assume that the receiver did not
9 acknowledge receipt of packet zero to the
10 transmitter.

11 A Okay.

12 Q Okay. Would the transmission of the delay
13 one command provide any information to the receiver
14 about packet having sequence number zero in that
15 situation?

16 A If Figure 9 were modified to create a
17 different example, one in which a packet with
18 sequence number equal to zero was not received, then
19 of course the figure itself would be a different
20 figure, but in this hypothetical a Delay 4,1 command
21 being received by the receiver would cause the
22 receiver to release expectations of receiving a
23 packet with sequence number one as well as the packet
24 with sequence number zero.

1 Q What's your basis for stating that the delay
2 one command in the hypothetical we are talking about,
3 where packet zero has not been acknowledged received
4 by the receiver, what is your basis for your stating
5 that the receipt of the delay one command would
6 release expectations in receiver of both packet zero
7 and one?

8 A Comes from the last sentence in the
9 paragraph that I just read in which acknowledging the
10 receipt of frames two through five returns the
11 protocol to the normal situation.

12 Q That last paragraph of that sentence, that
13 sentence you just read, does that assume that packet
14 zero has been acknowledged by the receiver?

15 A Yes, because this entire paragraph is with
16 respect to Figure 9.

17 Q So if Figure 9 is modified such that
18 packet zero is not acknowledged -- so that receipt of
19 packet zero is not acknowledged, then could you still
20 make the conclusion that -- let me start -- then
21 would the last sentence of the paragraph we are
22 talking about still hold?

23 Let me put it differently.

24 If the example in Figure 9 was modified

1 such that the receiver did not receive packet zero
2 but the delay one command was sent, would the
3 acknowledgment of delay one command by the receiver
4 release expectations of packet zero?

5 A According to the description in Column 13,
6 regarding Figure 9 as it appears in the Walke
7 reference, according to that description, it appears
8 that the way the invention works as disclosed, a
9 delay one command would release expectations of not
10 only frame one but frames with sequence numbers less
11 than one, such that if the Walke invention were
12 applied in this scenario, where a frame with sequence
13 number zero was not received, along with a frame with
14 sequence number one having not been received, a delay
15 one command would release expectations in the
16 receiver for frame one and frame zero.

17 Q Doctor Bims, didn't the expectation of
18 receiving frame zero in Figure 9 of Walke released by
19 the acceptance of frame zero by the receiver?

20 MR. MASSA: Objection. Now you are
21 no longer in your hypothetical?

22 Q Talking about Figure 9.

23 MR. MASSA: Modified or unmodified?

24 MR. SHUMAKER: Unmodified.

1 MR. MASSA: It's unclear where you
2 have been talking about unmodified or modified. I
3 want the record to be clear.

4 Q So Figure 9 as is, after the receiver
5 acknowledges frame zero, does the receiver release
6 expectations of receiving that frame?

7 (Pause)

8 A So this question is not something I looked
9 into in creating the opinions in my declaration.
10 It's not clear from reading Walke whether or not at
11 the moment the receiver issues a retransmission --
12 issues a positive acknowledgment for having received
13 an ATM cell properly, if at that moment the receiver
14 releases expectations or whether that happens at a
15 later point.

16 Q Okay.

17 I would like to focus on Paragraph 84
18 of your declaration. Here you state that a Delay 4,1
19 command does not literally meet the claim language;
20 is that correct?

21 A What I say in Paragraph 83 about the
22 Delay 4,1 command is that the receiver no longer
23 waits on the cell. In other words, the receiver
24 releases expectations of receiving sequence numbers

1 one or below.

2 Q The receiver doesn't release expectations of
3 receiving Packets 2 and 3, does it?

4 A From the receipt of the Delay 4,1 command,
5 it does not.

6 Q And on that basis the releasing limitation
7 of Claim 1 of the 625 patent would not be met
8 literally; is that correct?

9 A I don't see why not.

10 Q Well, your second sentence says, "Thus, this
11 example from Walke has a difference with literal
12 language of Claim 1."

13 What does that refer to?

14 A So in Paragraph 84 it says, "Packet 4 is
15 consecutive with a previously received Packet 3 in
16 this example, and it releases Packet 1 but does not
17 also release Packets 2 and 3."

18 So with respect to the releasing
19 expectations portion of the effect of the command,
20 the Delay 4,1 command in this example does literally
21 meet Claim 1.

22 With respect to the second effect of
23 the command, which is that the subsequent packet have
24 a sequence number that's not consecutive with a

1 previously received packet, in this example what I am
2 saying is that that part of the command is not
3 literally shown in Claim 1.

4 Q What part of the command are you referring
5 to that's not literally shown in Claim 1?

6 A As I explained in Paragraph 84, it's the
7 fact that Packet No. 4 has a sequence number that is
8 consecutive with a previously received Packet No. 3;
9 whereas in Claim 1 of the 625 patent, the
10 subsequently received packet has a sequence number
11 that is not consecutive with a previously received
12 packet.

13 Q So in your opinion the example you pose in
14 the beginning of Paragraph 84 does not apply to the
15 claim language of the 625 patent?

16 I guess what I am asking is, is it your
17 opinion that the Delay 4,1 command meets the
18 limitation transmitter and data network commanding a
19 receiver in a data network to, A, receive at least
20 one packet number having a sequence number that is
21 not consecutive with a sequence number of a
22 previously received packet and, B, release any
23 expectation of receiving outstanding packets having
24 sequence numbers prior to at least one packet?

1 A So it's my understanding what the Walke
2 patent is disclosing to a person of ordinary skill is
3 that both conditions for the Claim 1 limitation
4 regarding the transmitter and the data network
5 commanding the receiver that both conditions of the
6 effect it has on the receiver are disclosed to a
7 person of ordinary skill reading the Walke patent.

8 Q Does the Delay 4,1 one command as disclosed
9 in Walke meet the limitation that you describe on
10 Paragraph 82 of your declaration?

11 A So the Delay 4,1 command described in the
12 Walke patent is with respect to a particular example
13 as shown in Figure 9 of the Walke patent.

14 What I state in Paragraph 84 is that
15 that particular -- in that particular example the
16 subsequently received packet has a sequence number
17 that is consecutive with a previously received
18 packet, which is not what the first condition for the
19 receiver after receiving a command from the
20 transmitter. It does not meet that first condition.
21 However, a person of ordinary skill would understand
22 that Walke applies to more examples than what is
23 shown in Figure 9 and would understand that there are
24 other situations in which the Walke invention and

1 disclosure would indeed satisfy the first condition
2 and the second condition in the receiver after
3 receiving the command from the transmitter.

4 Q Using the example disclosed in Walke, is it
5 your opinion that a Delay 2,1 command as opposed to
6 Delay 4,1 would meet the releasing limitation you
7 describe in Paragraph 82 of your declaration?

8 A As I describe in Paragraph 84, there are
9 alternate examples that come to mind as a person of
10 ordinary skill reading the Walke patent in which the
11 Delay 2,1 message would cause both conditions that
12 are required in the receiver when receiving a command
13 from a transmitter in Claim 1 of 625 to satisfy.

14 Q Let me turn to Paragraph 87 of your
15 declaration.

16 The paragraph on the bottom of page 32
17 to the top of 33, you refer to a Delay n,n-1 command.
18 Do you see that?

19 A Yes.

20 Q Is it your contention that a Delay n,n-1
21 command would meet the receiving limitation for the
22 receiver?

23 A As I describe in -- it looks like the
24 paragraph number was left off. The paragraph on the

1 bottom of page 32 extending to Paragraph 88, as I
2 describe therein, the Delay n,n-1 command in Walke
3 has the effect of causing the subsequently received
4 packet to have a sequence number nonconsecutive with
5 the previously received packet, as well as causing
6 the receiver to release expectations of receiving
7 nonacknowledged outstanding packets.

8 Q Did you provide any other examples in your
9 expert declaration other than a Delay n,n-1 command
10 that would meet the releasing limitation, discarding
11 limitation that you describe in Paragraph 87?

12 A So when I describe the Delay n,n-1 command
13 in these paragraphs we have been talking about, the
14 Delay n,n-1 refers to any values of n.

15 In Paragraph 88 the value of n is 2,
16 but for the Delay n,n-1 command the value of n does
17 not have to be 2 but can be any arbitrary number.

18 Q Assuming n is a variable, arbitrary number,
19 do you contend that any other form of the delay
20 command other than Delay n,n-1 meets the releasing
21 and discarding limitations that you describe in
22 Paragraph 87?

23 A I mention that a person of ordinary skill
24 would understand that there were a number of

1 possibilities, and I gave one example here in
2 Paragraph 88, but the range of possibilities is not
3 limited to Paragraph 88 in which n equals 2 in the
4 $n, n-1$ command.

5 Q Sir, I am not limiting n to two. I am
6 referring to Delay $n, n-1$ as a command where n can be
7 a variable. Other than that particular form of the
8 delay command, are you aware of any other delay
9 commands that would meet the releasing and discarding
10 limitations you describe in Paragraph 87?

11 A So what I described in Paragraph 84 is that
12 one of ordinary skill would have recognized that many
13 possibilities and some would involve receiving a
14 packet that is not consecutive with a previously
15 received packet and would cause a receiver to release
16 expectations of receiving packets below the
17 nonconsecutive packet; that many of such
18 possibilities exist. The Delay $n, n-1$ set of commands
19 are only a subset of all the possibilities that a
20 person of ordinary skill would understand.

21 Q Did you disclose in your expert declaration
22 any other possibilities that a person of ordinary
23 skill would understand other than the Delay $n, n-1$ set
24 of commands?

1 A In my declaration it was sufficient to
2 simply discuss the Delay n,n-1 set of commands to
3 support my conclusions.

4 Q So to be clear, your declaration doesn't
5 address any other examples other than the Delay n,n-1
6 family for meeting the releasing and discarding
7 limitations that you describe?

8 A So my declaration does indicate that there
9 are a wide range of possibilities within which the
10 Delay n,n-1 set of commands is a subset.

11 Q But you didn't describe any other
12 possibilities other than that subset of Delay n,n-1,
13 did you?

14 A Other than that subset I did not disclose
15 the other possibilities that exist to support my
16 opinions.

17 Q Now with respect to Delay n,n-1, could you
18 give an example of when that particular command would
19 occur?

20 A So whenever as listed in Paragraph 88, as a
21 particular example, for the value of n equals 2, this
22 shows an example of when that would occur.

23 Q When would that occur?

24 A So as it describes in Paragraph 88, After

1 sending RR 1,X there could be some delay in
2 transmission, e.g., due to Packet No. 1 being the
3 last packet in a burst. In this case, the timer to
4 retransmit would time out as shown in Figure 9 of
5 Walke, and the next packet to be sent could be Packet
6 No. 2.

7 Q So in your scenario, the transmitter would
8 send Packet No. 1, wait, receive an acknowledgment
9 that Packet No. 1 wasn't received, and then sent
10 Packet No. 2?

11 A So in the scenario Packet No. 2 would be
12 nonconsecutive with the previously received Packet
13 No. Zero.

14 Q So if I understand your example, the
15 transmitter would send Packet No. Zero, followed by
16 Packet No. 1, followed by a delay command of 2,1?

17 A So in this scenario, Packet No. Zero was
18 correctly received by the receiver. Packet No. 1 was
19 not correctly received by the receiver. The
20 Delay 2,1 command was received by the receiver
21 causing Packet No. 2 to be received. The sequence
22 number is not consecutive with the previously
23 received Packet No. Zero.

24 Q So in your scenario, to make sure I

1 understand your scenario, your scenario is the
2 transmitter sends Packet Zero, which is received; it
3 sends Packet 1, which is not received; an
4 acknowledgment comes back from the sender that
5 Packet 1 wasn't received; Packet 1 in the transmitter
6 becomes discarded, and then the transmitter sends a
7 Delay 2,1 command. Is that your scenario?

8 A Yes.

9 Q So your scenario requires that the
10 transmitter send a Delay 2,1 command immediately
11 after the Packet 1; is that right?

12 A No.

13 Q Why not?

14 A The transmitter in this example could have
15 sent packets with a sequence number higher than 2
16 that were not received by the receiver.

17 Q And then so your situation is based on the
18 fact that the higher number sequences, if they are
19 sent, are not received by the receiver?

20 A That's one possibility within the scope of
21 the example of Paragraph 88.

22 Q Do you have any other possibilities?

23 A The other possibility would be that the
24 transmitter did not transmit packets with sequence

1 numbers higher than 2 prior to the Delay 2,1 command.

2 Q Which would imply that after the transmitter
3 sends Packet 1, the transmitter waits, gets a
4 retransmission request back from the receiver, and
5 then sends Packet No. 2 as a delay command of 1?

6 A Not necessarily.

7 Q What's another possibility?

8 A As I said previously, any condition in which
9 the transmitter does not transmit a packet after the
10 Delay 2,1 or before the Delay 2,1 command has been
11 received, the transmitter could transmit packets as
12 long as the sequence number is less than 2 and
13 satisfies this paragraph.

14 Q So you mean transmit packets with sequence
15 numbers less than zero?

16 A Yes. Those packets could be attempted as
17 retransmissions from the transmitter, and those
18 packets, if not received by the receiver, could cause
19 the transmitter to time out on those packets as well,
20 creating the need for the Delay 2,1 command to be
21 transmitted from the transmitter.

22 Q Can you think of any other scenarios in your
23 opinion that would meet the discarding and releasing
24 limitations?

1 A So if the transmitter transmitted packets
2 whose sequence number is less than the sequence
3 number in the Delay 2,1 command and those packets
4 were received by the receiver, then the sequence
5 number in those packets would not be consecutive with
6 Packet No. 2, and as such, in that scenario, the
7 condition of Packet No. 2 not being consecutive with
8 a previously received packet would be satisfied.

9 Q Any other situations?

10 A None that come to mind right now.

11 (Pause)

12 Q You mentioned a burst in your report. What
13 is a burst?

14 A Where in the report are you referring?

15 Q For example, Paragraph 84: For example,
16 after sending RR 1,X there were some delay in
17 transmission, e.g., due to Packet 1 being the last
18 packet in a burst.

19 What do you mean by "a burst"?

20 A So what I mean is that a sequence of
21 transmissions from the transmitter that occur in
22 succession followed by a period of inactivity of the
23 transmitter. During that period of inactivity in the
24 transmitter, the timer to retransmit could time out

1 waiting for a positive acknowledgment from the
2 receiver. But since Packet No. 1 was the last packet
3 to have been transmitted prior to the period of
4 inactivity in the transmitter, the transmitter would
5 designate Packet No. 2 as the next packet to be sent.
6 When the timer expires, however, for the previously
7 transmitted packets in that burst of packets, all of
8 whom have timed out with the timer, expiry, the
9 transmitter would issue a 2,1 command to release
10 expectations for all of the transmissions in that
11 burst.

12 MR. SHUMAKER: Let's take a break.

13 MR. MASSA: Okay.

14 (Short recess taken)

15 (Exhibits Paper 3-601, 1001-601, 1002-601,
16 1004-601 marked for identification)

17 Q Doctor Bims, I am going to move on to the
18 176 patent, so you can put those exhibits aside. I
19 am going to give you another set of exhibits.

20 A Okay.

21 Q First I am going to hand you Paper No. 3
22 from the 601 case, which is the Petition for Inter
23 Partes Review of U.S. Patent No. 6,772,215.

24 Next I hand you Exhibit 1001 from the

1 601 case, which is the 215 patent with Bela Rathonyi
2 as the first inventor.

3 Next I hand you Exhibit 1002 from the
4 601 case, which is the Seo Patent U.S. 6,581,176.

5 Finally I am going to hand you
6 Exhibit 1004 from the 601 case, which is the
7 Declaration of Harry Bims.

8 Doctor Bims, have you seen Paper 3 and
9 Exhibits 1001, 1002, and 1004 from the 601 case
10 before?

11 A Yes, the Petition for IPR of the 215, yes.

12 Q First I would like to direct your attention
13 to the Seo patent, Exhibit 1002 from the 601 case.

14 Do you contend that the Seo patent,
15 which is Exhibit 1002 in the 601 case, meets the
16 limitation in 215 patent relating to message field
17 including a type identifier field in at least one of
18 the sequence number field, length field, and content
19 field of Claim 1 of the 215 patent?

20 A Yes.

21 Q And what's your basis for that contention?

22 A So my opinion that the claim element that
23 you just described is anticipated by the Seo patent
24 is found in the Seo patent specification in

1 Columns 1, 5, and 6, and Figure 4.

2 Q And what do you point to as allegedly
3 meeting the message field including the type
4 identifier field recited in Claim 1 of the
5 215 patent?

6 (Pause)

7 A So the message field of Claim 1 is found in
8 the Seo patent in at least the sequence number
9 fields, including SEQ and NAK_SEQ, the NAK_TYPE
10 field, the First and Last fields, and the
11 NAK_Map_Count, NAK_Map and NAK_Map_SEQ fields, as
12 well as the L_SEQ_HI field.

13 Q Do all the fields that you just recite from
14 Seo patent relate to a type identifier field?

15 A As I describe in Paragraph 32 of my
16 declaration, the NAK_TYPE field anticipates the type
17 identifier field in Claim 1 of the 215 patent.

18 Q In your opinion is the NAK_TYPE field in the
19 header or the payload of the disclosure Figure 4 of
20 Seo?

21 MR. MASSA: Objection.

22 A So in my opinion the NAK_TYPE field
23 corresponds to the type identifier field in Claim 1
24 of the 215 patent.

1 Q And in your opinion as disclosed in Seo is
2 the NAK_TYPE field under header or payload?

3 MR. MASSA: Objection.

4 A In my declaration I did not analyze whether
5 or not the NAK_TYPE field is in a header or payload.

6 Q You have no opinion as to whether the
7 NAK_TYPE field is in the header or the payload?

8 MR. MASSA: Objection.

9 MR. SHUMAKER: What's the basis for
10 the objection?

11 MR. MASSA: It's vague. It's not
12 clear whether you are referring to the disclosure of
13 the patent, a specific example of the patent. It's
14 not clear what you mean by the word "header" or
15 "payload" or what you mean by the word "in." So
16 its's an incredibly vague question.

17 Q Okay.

18 A I have no opinion about whether or not the
19 NAK_TYPE field is in a header or payload.

20 Q So with respect to Figure 4 of Seo, see the
21 NAK_TYPE field in Figure 4 of Seo?

22 A Yes.

23 Q That has a length of two bits; is that
24 correct?

1 A Yes.

2 Q Do you have an opinion as to whether the
3 NAK_TYPE field as disclosed in Figure 4 of Seo is
4 part of the header or part of the payload?

5 A I don't have an opinion on that.

6 Q I would like you to turn to Figure 2 of Seo,
7 please. Figure 2 of Seo is described as representing
8 a diagram embodying a structure of a conventional RLP
9 NAK control frame.

10 That's found in Column 5, lines 5
11 through 7. What's your understanding of what
12 Figure 2 discloses, Doctor Bims?

13 A As it states in the Seo patent, Column 5,
14 starting at line 5, Figure 2 represents a diagram
15 providing a structure of a conventional RLP NAK
16 control frame.

17 Q What is a conventional RLP NAK control
18 frame?

19 A That is a frame, according to the RLP
20 protocol, that is a NAK control frame.

21 Q What is a function of a NAK control frame in
22 the RLP protocol?

23 A The NAK control frame is part of the radio
24 link protocol within the code division multiple

1 access mobile radio communications system, and the
2 Seo patent more particularly describes that CDMA
3 radio communication system as being described within
4 the IS-707.2 specification of the protocol as of
5 February 1998 as a relay layer corresponding to a
6 radio section between a terminal device and a base
7 station for the sake of a circuit data service or a
8 packet data service within which the RLP NAK control
9 frame is a frame within that protocol.

10 Q So my question is what is the function of
11 the RLP NAK -- I will ask again.

12 What is the function of the RLP NAK
13 control frame as disclosed in Seo?

14 A In Column 1 of the Seo patent there is some
15 description about the RLP NAK control frame function
16 in which it says, starting at about line 25, a very
17 long sentence, says that the RLP NAK control frame is
18 to ensure the reliability and the missing user data
19 frame will be retransmitted whenever it receives the
20 NAK frame.

21 Q So what is the function of the NAK control
22 frame in the RLP protocol?

23 A Well, it says here in this sentence that
24 according to the RLP retransmission procedure, the

1 RLP NAK control frame for a particular user data
2 frame can be transmitted more than once at the same
3 time to ensure the reliability, and the missing user
4 data frame will be retransmitted whenever it receives
5 the NAK frame.

6 Q I would like to direct your attention back
7 to Figure 2 of Seo. You see there is a field labeled
8 "First," Figure 2, do you see that?

9 A In Figure 2 there is a field named "First,"
10 yes, I see that.

11 Q The length of that field is eight bits, do
12 you see that?

13 A Yes.

14 Q In Seo does the field labeled "First" in
15 Figure 2 always have a value?

16 MR. MASSA: Objection.

17 Q Let me ask it another way.

18 In a NAK control frame, as shown in
19 Figure 2 of Seo, does the field labeled "First"
20 always have a value in the length -- always have a
21 value in the second column where it says length of
22 eight bits?

23 MR. MASSA: Objection.

24 MR. SHUMAKER: What's the objection?

1 MR. MASSA: Completely unclear
2 question. Are you talking about a value in the field
3 represented by the variable first, or are you talking
4 about the variable first having a property of a
5 length of bits? It's two completely different
6 things, whether you are saying there is a length of
7 that field or whether you are saying there is a
8 number inside of that field in this description.

9 MR. SHUMAKER: I understand. Fair
10 enough.

11 Q So, Doctor Bims, with respect to Figure 2,
12 the NAK control frame in Figure 2, does the field
13 "First" itself always have a value?

14 A Figure 2 in the Seo patent is a figure that
15 is a diagram of the RLP NAK control frame in the
16 background prior art of the Seo patent. In that
17 prior art RLP NAK control frame there is a First
18 field which does contain one value.

19 Q Does the value of the First field, the value
20 of the First field represent the eight-bit sequence
21 number of a first data frame for which retransmission
22 is required?

23 A The Seo patent in describing Figure 2 says
24 in Column 2 that the field First represents the

1 eight-bit sequence number of the first data frame for
2 which a retransmission is required.

3 Q The next sentence states, The field First is
4 used only in case of a NAK, and its value is 00
5 except such case.

6 Do you see that?

7 A Yes.

8 Q Do those two sentences mean that the field
9 First represents the eight-bit sequence number unless
10 the value of the field First is zero?

11 A It says that the value of the First field is
12 zero except for the case in which the value of the
13 First field is used in a NAK.

14 Q The value of the First frame is used in a
15 NAK. Is that the value represented by the eight-bit
16 sequence number of the First data frame for which
17 retransmission is required?

18 A So what this paragraph in Seo is saying is
19 that the eight-bit sequence number that the first
20 field represents is used only when this frame is a
21 NAK frame and that the value of that first field is
22 zero otherwise.

23 Q And similarly the field Last is only used
24 when or is only used in the case of a NAK and its

1 value represents the sequence number of the last data
2 frame for which retransmission is required; otherwise
3 the value is zero? Is that correct?

4 A When the field Last is not used in the case
5 of a NAK, its value is zero.

6 Q If the field Last is used in the case of a
7 NAK, its value represents the sequence number of the
8 last data frame for which retransmission is required;
9 is that right?

10 A Yes.

11 Q Let's look at Figure 4 of Seo, please. If
12 we also look at Column 5 of Seo, around line 42,
13 there is a description of Figure 4. Beginning on
14 line 42, Seo recites, "Figure 4 is a table showing
15 the structure of a RLP NAK control frame in the
16 present invention."

17 The next paragraph states, "Referring
18 to Figure 4, two new fields NAK_SEQ and RE_NUM are
19 added to the existing RLP NAK control frame
20 considered for a backward compatibility."

21 See that?

22 A Yes.

23 Q In your opinion what do you believe the term
24 "existing RLP NAK control frame" refers to?

1 A It refers to the conventional RLP NAK
2 control frame.

3 Q Is that the conventional RLP NAK control
4 frame shown in Figure 2?

5 A Yes, that would be the conventional RLP NAK
6 control frame shown in Figure 2.

7 Q As we just discussed, the conventional RLP
8 NAK control frame in Figure 2, the First and Last
9 fields either have a value of zero or the respective
10 First and Last sequence numbers if retransmission is
11 required; is that correct?

12 A That's slightly different than what the Seo
13 patent says with respect to those two fields.

14 Q Doesn't the Seo patent say with respect to
15 those two fields that the First field has a value of
16 zero unless a NAK control frame exists, in which case
17 the First field includes the sequence number of the
18 first data frame for which retransmission is
19 requested?

20 MR. MASSA: Objection.

21 MR. SHUMAKER: What's the basis for
22 that?

23 MR. MASSA: It misstates the
24 document. You said, Is that what Seo says? It

1 doesn't say that.

2 Q What does Seo say then?

3 A Well, Seo in Column 2 makes it clear how
4 it's describing the First field and the Last field as
5 shown in Figure 2 of the patent.

6 Q In the context of the First field in
7 Figure 2, Seo states that the First field is used
8 only in the case of a NAK and its value is zero
9 except such case; is that right?

10 A Yes.

11 Q Going to Figure 4, is the First field of
12 Figure 4 also only used in the case of a NAK and its
13 value is zero except such case?

14 A The Seo patent doesn't describe that
15 scenario for the First and Last fields of Figure 4.

16 Q Given that line 42 of Column 5 of Seo --
17 given line 44 of Column 5 states, "Referring to
18 Figure 4, two new fields NAK_SEQ and RE_NUM are added
19 to the existing RLP NAK control frame considered for
20 a backward compatibility," would that suggest that
21 the interpretation of the First and Last field in the
22 conventional RLP NAK frame would apply to the
23 interpretation of the First and the Last field in the
24 modified RLP frame shown in Figure 4 of Seo?

1 A No.

2 Q Why not?

3 A Well, for at least a couple of reasons, the
4 first of which is that lines 44 through 46 of
5 Column 5 do not describe all of the added fields in
6 Figure 4 with respect to Figure 2; and, secondly, in
7 Figure 4 the First and Last fields are 12 bits in
8 length, and in Figure 2 the First and Last bits --
9 First and Last fields rather are eight bits in
10 length. So those fields have been modified in
11 upgrading from Figure 2 to Figure 4.

12 Q How would Seo maintain backward
13 compatibility as described in line 46 of Column 5
14 with respect to the NAK control frame as shown in
15 Figure 4?

16 MR. MASSA: Objection. Beyond the
17 scope of his declaration.

18 A I did not look into that issue in reaching
19 my opinions, as stated in my declaration.

20 Q Did you give any weight to the disclosure in
21 Seo relating to backward compatibility of Figure 4
22 when making your opinions regarding Seo?

23 A In reading lines 44 through 46 of Column 5
24 in Seo, there is no mention of the First and Last

1 fields of Figure 2 with respect to the statement
2 about backward compatibility.

3 Q What does backward compatibility mean to one
4 of ordinary skill in the art?

5 A Well, generally speaking, backward
6 compatibility means that legacy devices can continue
7 to operate in an upgraded system.

8 Q Would that imply that a legacy device that's
9 using the NAK control frame in Figure 2 would be able
10 to operate on a frame shown in Figure 4 of Seo?

11 MR. MASSA: Objection. Beyond the
12 scope of his declaration.

13 A Seo does not say whether or not that's the
14 case.

15 Q One to one of ordinary skill in the art,
16 backward compatibility would mean that the legacy
17 device would continue to operate in the upgraded
18 system; is that right?

19 MR. MASSA: Objection.

20 A That's what I said earlier, yes.

21 Q I would like you to turn to Figure 4 of Seo.
22 In your opinion does the NAK control frame in
23 Figure 4 have a header?

24 A I don't believe I analyzed that question, as

1 it's not material to reaching opinions that I have
2 stated in my declaration.

3 Q You have no opinion whether or not the NAK
4 control frame in Figure 4 includes a header?

5 A I did not look into that issue in reaching
6 the opinions in my declaration.

7 Q Are you aware of any frames that do not
8 include a header?

9 A In what context?

10 Q Any context.

11 A Well, there are certain types of frames that
12 do not have a header.

13 Q In the context of the RLP protocol, are you
14 aware of RLP frames that do not have a header?

15 A I will have to research the RLP protocol
16 version as disclosed in the Seo patent to determine
17 whether or not in that version of the RLP protocol
18 specification there are any frames that contain or do
19 not contain a header.

20 Q You have no opinion as you sit here today as
21 to the answer to that question, do you?

22 A I have not analyzed that question to reach
23 any of the opinions in my declaration.

24 Q Looking back to Figure 4, does the NAK

1 control frame in Figure 4 of Seo disclose a length
2 field?

3 (Pause)

4 A It's possible.

5 Q How so?

6 A It appears in Figure 4 that in between the
7 listings of fields in Figure 4 that there are gaps in
8 the table listing. A person of ordinary skill would
9 understand that this means that Figure 4 is not
10 necessarily an exhaustive list of all fields in the
11 message and that there could be additional fields
12 appearing in the gaps.

13 Q So it's your contention that the gaps shown
14 in Figure 4 represent potential additional fields?

15 A That is a possibility.

16 Q What would those potential additional fields
17 relate to?

18 A That's not disclosed by Seo.

19 Q Does Seo discuss any potential additional
20 fields that may be included in that control frame,
21 Figure 4?

22 MR. MASSA: Objection. Vague.

23 A So what Seo does say with respect to the NAK
24 control frame in Column 8 is that it will be apparent

1 to those skilled in the art that various
2 modifications and variations can be made in the
3 control frame and user data frame transmitting method
4 of the present invention without deviating from the
5 spirit or scope of the invention. Thus, it is
6 intended that the present invention cover the
7 modifications and variations of this invention
8 provided they come within the scope of the appended
9 claims and their equivalence.

10 Q Is that the only place in Seo that you
11 believe teaches or discloses that the NAK control
12 frame in Figure 4 could include additional fields?

13 MR. MASSA: Objection. Vague.

14 MR. SHUMAKER: What's the vagueness?

15 MR. MASSA: It's not clear whether
16 you are asking him for additional types of fields or
17 additional ones of the fields that are disclosed, for
18 example, substantiations of those.

19 A The Seo patent, in describing the features
20 of its invention, describe the modified NAK control
21 frame in ways that cover Figure 4 or go beyond
22 Figure 4 to cover other variations of the NAK control
23 frame.

24 Q Which sections of Seo are you referring to?

1 A In Column 6 there is described the existence
2 of the NAK_Map_Count field.

3 Q In the case of a NAK control frame in
4 Figure 4 of Seo in which the NAK type is 00, in your
5 opinion what fields of Figure 4 would be present in
6 that scenario?

7 A As Column 6 describes, if the value of the
8 field NAK type is 00, then at least the First, Last,
9 FCS, and Padding fields would exist.

10 Q In the case of a NAK type 00, does the
11 NAK_Map_Count field exist?

12 A It is possible.

13 Q Similarly in the case of a NAK type 00 does
14 the NAK_Map field exist?

15 A It is possible.

16 Q What's your basis for saying, "It is
17 possible"?

18 A The Seo patent in Column 6 does not preclude
19 the existence of the NAK_Map_Count field or the
20 NAK_Map field when the NAK_TYPE field value is 0.

21 Q So it is your opinion that Figure 4 of Seo
22 may or may not include the NAK_Map_Count field and
23 NAK_Map field in the case of a NAK_TYPE of 00?

24 A It's my opinion that the fields listed here

1 in Column 6 as existing when the NAK_TYPE field is
2 set to 0 is not a complete list of all fields that
3 would be present when the NAK_TYPE field is set to 0,
4 and that being the case, there are additional fields
5 that are possible to be present.

6 So, for example, at the beginning of
7 Figure 4, there are fields not mentioned in this
8 portion, such as the SEQ field and the CTL field and
9 the RE_NUM field that are not mentioned for either
10 Type 0 or Type 1 of the NAK_TYPE field and thus could
11 be present under either scenario. Given that, there
12 is nothing precluding additional fields listed in
13 Figure 4 from being present when the NAK_TYPE field
14 is either 0 or 1.

15 Q I would like to draw your attention to the
16 PADDING field. Do you see that on Figure 4 of Seo?

17 A Yes.

18 Q And it states, "Variable," do you see that?

19 A Yes.

20 Q Is this PADDING field present when the
21 NAK_TYPE is 00?

22 A So Column 6 of Seo says that when the
23 NAK_TYPE field value is 00 that the PADDING field
24 exists.

1 Q Does the PADDING field exist when the
2 NAK_TYPE value is 01?

3 A That's possible.

4 Q Is it possible it also does not exist?

5 A The Seo patent does not limit one way or the
6 other whether the PADDING field must exist or must
7 not exist when the NAK_TYPE value is 1.

8 Q What's the purpose of the PADDING field in
9 Seo?

10 MR. MASSA: Objection.

11 MR. SHUMAKER: What's the basis?

12 MR. MASSA: Well, beyond the scope
13 of his declaration. Also if you are asking the
14 purpose of Mr. Seo including a PADDING field, you
15 probably should ask Mr. Seo.

16 MR. SHUMAKER: Let me ask another
17 question.

18 Q What's the function -- as a person of
19 ordinary skill in the art, what would you interpret
20 the function of the PADDING field disclosed in Seo
21 Figure 4 to be?

22 MR. MASSA: Objection.

23 MR. SHUMAKER: What's the basis?

24 MR. MASSA: Beyond the scope of his

1 declaration.

2 A I don't believe that Seo describes the
3 function of the PADDING field as described in
4 Figure 4.

5 Q Do you have any understanding as a person of
6 ordinary skill in the art what the function of a
7 PADDING field in the context of a frame such as
8 Figure 4 would be?

9 A Figure 4 is a drawing of the present
10 invention of the Seo patent. Seo, in describing the
11 invention, did not describe the function of the
12 PADDING field, so I have no conclusion about the
13 function of the PADDING field in Figure 4.

14 Q A person of ordinary skill in the art would
15 also not make any conclusions as to the function of
16 the PADDING field in Figure 4; is that right?

17 A In reaching the opinions in my declaration
18 the function of the PADDING field was not necessary
19 for my analysis.

20 Q Let's draw your attention to Column 6 of the
21 Seo patent. Beginning on line 4 there is a sentence
22 that begins, "A field, padding." Do you see that?

23 A Yes.

24 Q "A field, padding, with a variable length is

1 padding bits and is required to fill the remainder of
2 frames."

3 See that?

4 A Yes.

5 Q What does that sentence suggest to you as a
6 person of ordinary skill in the art?

7 A So what it suggests is that the padding bit
8 field is variable in length and that if the remainder
9 of the field -- remainder of the frame rather,
10 remainder of the frame is required to be filled, then
11 the padding bits will fill that remainder of the
12 frames.

13 Q What do you mean by fill the remainder of
14 the frames?

15 A Well, that means that the frame may include
16 bits which are called padding bits as part of the
17 frame.

18 Q So in the context of a NAK control frame
19 having NAK_TYPE 00, would the PADDING field always
20 fill the remainder of the frames?

21 A Column 6 does not say that the PADDING field
22 always fills the remainder of the frames.

23 Q So with respect to the sentence, "A field,
24 padding, with a variable length is padding bits and

1 is required to fill the remainder of frames," do you
2 as a person of ordinary skill in the art interpret
3 that sentence to mean that the PADDING field is
4 required to fill the remainder of the frames or not?

5 A When reading this sentence it says that when
6 the remainder of the frames need to be filled,
7 padding bits are required.

8 Q Where does the word "when" show up in that
9 sentence?

10 A In reading this sentence it says that the
11 field, padding, with variable length is padding bits
12 and that field is required to fill the remainder of
13 the frames, which means if the remainder of the
14 frames -- if there is no remainder of the frames,
15 there would be no need, no requirement for padding
16 bits.

17 Q But in the case of having -- in the case
18 where there is remainder of the frames, then the
19 padding bits are required to fill that remainder of
20 the frames; is that correct?

21 A In that scenario, yes.

22 Q Directing your attention back to Figure 4 of
23 Seo, there is two instances of NAK_Map on the bottom
24 of Figure 4.

1 A Yes.

2 Q In the first NAK_Map does it include an
3 entry in the column labeled "Length," do you see
4 that?

5 A Yes.

6 Q What is the significance of the NAK_Map
7 shown in Figure 4 of Seo in which the column
8 corresponding to the length bits has no value?

9 A There is no functional difference between
10 the two, the NAK_Map -- the first NAK_Map and the
11 second NAK_Map. They serve the same purpose for
12 different ranges of sequence numbers.

13 Q Why does Figure 4 disclose one NAK_Map
14 sequence field but two NAK_Map fields?

15 MR. MASSA: Objection.

16 Q Let me ask another question.

17 As a person of ordinary skill in the
18 art, how would you interpret the disclosure of
19 Figure 4 which includes two NAK_Map fields and one
20 NAK_Map sequence field?

21 A Figure 4 shows that there are two NAK_Map
22 fields that identify missing user data frames for
23 which a retransmission is required.

24 Q What does the field NAK_Map_SEQ in Figure 4

1 represent?

2 A So the Seo patent in Column 6 says the
3 NAK_Map_SEQ field with a length of 12 bits is the
4 12 bit sequence number of the first data frame in
5 this NAK_Map for which a retransmission is requested.

6 Q So going back to Figure 4 of Seo, would a
7 person of ordinary skill in the art interpret the
8 existence of two NAK_Map fields as representing two
9 bit maps identifying requests of retransmission of
10 packets?

11 A The NAK_Map sequence field as described in
12 Column 6 corresponds to, quote, this NAK_Map.

13 Q In Figure 4 what does, quote, this NAK_Map
14 refer to?

15 A In Figure 4 that would be the NAK_Map
16 successive to the NAK_Map sequence field.

17 Q "Successive," you mean the NAK_Map field
18 that's shown immediately below the NAK_Map sequence
19 field?

20 A Yes.

21 Q Does the first NAK_Map field in Figure 4
22 have a corresponding NAK_Map sequence field?

23 A That NAK_Map sequence field corresponding to
24 the first NAK_Map in Figure 4 is not shown.

1 Q Would one of ordinary skill in the art
2 expect a NAK_Map sequence field to correspond to a
3 NAK_Map field?

4 A A person of ordinary skill looking at
5 Figure 4 and reading Column 6 would expect that the
6 existence of the NAK_Map sequence field means that
7 the successive NAK_Map field corresponds to that
8 NAK_Map sequence field.

9 Q Why do you contend that the successive
10 NAK_Map field corresponds to the NAK_Map sequence
11 field rather than the immediately preceding NAK_Map
12 field?

13 A Because the NAK_Map sequence field
14 identifies the first data frame in the NAK_Map field
15 for which retransmission is requested, and the very
16 first NAK_Map in Figure 4 does not require a NAK_Map
17 sequence field.

18 Q Why does it not require a NAK_Map sequence
19 field?

20 A One of the changes in the Figure 4 control
21 field message relative to the Figure 2 conventional
22 RLP NAK control frame is the addition of the L_SEQ_HI
23 field, H I field, which when combined with the SEQ
24 field forms a 12 bit sequence number equivalent in

1 length to the 12 bit sequence numbers that appear in
2 the rest of Figure 4, such as the First and Last
3 sequence number fields, as well as the NAK_Map_SEQ
4 field, which is also a sequence number field that is
5 12 bits long.

6 Q Where in the disclosure of Seo would one of
7 the ordinary skill of the art understand the
8 interpretation of the SEQ field combined with the
9 L_SEQ_HI field?

10 A Was that a question?

11 Q Yes.

12 A Can you repeat the question?

13 Q Sure.

14 (Record read)

15 A In Column 5, starting at line 62, it says, A
16 field L_SEQ_HI with a length of 4 bits is the most
17 significant 4 bits of L_VS.

18 Q What is L_VS?

19 A L_VS is the sequence number stored in the
20 SEQ field of Figure 4.

21 Q Where is that conclusion disclosed in Seo?

22 A So in Seo it uses this format of L_V
23 parentheses to indicate a sequence number of a frame.
24 For example, in Figure 5 we see V parentheses N

1 parentheses to refer to a necessary series number of
2 a frame and V parentheses R parentheses to be a
3 received series number of a frame; and in Column 6
4 V parentheses E parentheses refers to an estimated
5 series number of a frame.

6 So in Column 5, the preceding column,
7 L_V parentheses S parentheses refers to a sequence
8 number of a frame.

9 Q Is that sequence number of the RLP control
10 frame?

11 A That would be the sequence number in the SEQ
12 field.

13 Q How does the sequence number to the SEQ
14 field relate to the NAK_Map field?

15 A The 8 bit sequence number stored in the SEQ
16 field combined with the L_SEQ_HI field forms a 12 bit
17 sequence number of the same length as all of the
18 other sequence numbers in the updated Figure 4 frame
19 and corresponds to the sequence number associated
20 with the first NAK_Map field.

21 Q What's your basis for that conclusion?

22 A The first NAK_Map field does not have a
23 corresponding NAK_Map_SEQ field and therefore
24 utilizes the concatenation of the L_SEQ_HI field with

1 the SEQ field to form the 12 bit sequence number that
2 is used by the NAK_Map field to identify the first
3 sequence number in the bit map.

4 Q This specification of Seo doesn't equate the
5 combination of the SEQ field and the L_SEQ_HI field
6 with the NAK_Map_SEQ field, does it?

7 (Pause)

8 A So in Figure 5, what is described herein,
9 starting in Column 6 for Figure 5, starting at
10 line 42, the example shown is an example in which a
11 single NAK control frame is used to indicate series
12 numbers of frames which are not yet received. Those
13 series numbers are not consecutive; yet they are
14 communicated in one NAK control frame, which means
15 that the NAK control frame of the Seo patent would
16 utilize a NAK_Map to communicate the series numbers
17 which are not yet received.

18 In this scenario of Figure 5, the
19 NAK_Map would utilize a combined -- an L_VS value as
20 the starting sequence number.

21 Q The L_VS value is 4 bits in length; is that
22 right?

23 A No, that's not correct.

24 Q Okay. So the L_SEQ_HI is 4 bits in length;

1 is that correct?

2 A Yes.

3 Q How long is the L_VS in bits?

4 A The L_VS value is 12 bits.

5 Q So is it your contention that the L_VS value
6 is the combination of the SEQ field and the L_SEQ_HI
7 field shown in Figure 4?

8 A Yes.

9 Q Is it your testimony that the SEQ field
10 shown in the Figure 4 does not represent the data
11 frame sequence number?

12 A In what context?

13 Q Is it your testimony that the NAK control
14 frame shown in Figure 4 does not have a sequence
15 number?

16 A No.

17 Q Is it your testimony that the NAK control
18 frame in Figure 4 has a sequence number?

19 A The NAK control frame in Figure 4 of the Seo
20 patent has a number of sequence numbers contained in
21 it.

22 Q But does the particular frame shown in
23 Figure 4 of Seo, the NAK control frame, have a
24 corresponding sequence number?

1 A So your question is a bit ambiguous because
2 in Figure 4 there are multiple sequence numbers
3 disclosed, each sequence number having a
4 correspondence to something.

5 Q Is Figure 4 is NAK control frame?

6 A Figure 4 is not the conventional NAK RLP NAK
7 control frame.

8 Q Is Figure 4 disclosed in Seo a NAK control
9 frame?

10 A Figure 4 is a NAK control frame of the Seo
11 invention.

12 Q Does the Seo invention disclose a sequence
13 No. 4, the NAK control frame of the Seo invention?

14 A In Column 5 of the Seo patent it says that
15 the field NAK_SEQ with a length of 4 bits is a
16 sequence number of a NAK control frame for
17 duplication check.

18 Q Is there a sequence number for NAK control
19 frame of Figure 4 of Seo that's not related to the
20 duplication check but it is a sequence number for the
21 NAK control frame?

22 A I believe what I just read was that the
23 field NAK_SEQ is the sequence number of the NAK
24 control frame.

1 Q What does the SEQ field refer to in Figure 1
2 of Seo?

3 A Figure 1 of Seo does not disclose an SEQ
4 field.

5 Q Figure 4 of Seo, what does the SEQ field in
6 Figure 4 relate to?

7 A The SEQ field in Figure 4 of Seo relates to
8 the L_V of S value of the frame.

9 Q Does the SEQ field shown in Figure 4 of Seo
10 have the same meaning as the SEQ field shown in
11 Figure 2 of Seo?

12 MR. MASSA: Objection.

13 MR. SHUMAKER: What's the basis?

14 MR. MASSA: Beyond the scope of his
15 declaration.

16 A So what Seo says about the SEQ field of
17 Figure 4 is that the SEQ field is covered by the FCS
18 compilation, and the SEQ field combined with the
19 L_SEQ_HI field would form the 12 bit L_V of S.

20 Q I direct your attention to Column 1 of Seo
21 beginning on line 56: Referring to Figure 2 showing
22 a structure of the conventional RLP NAK control
23 frame, it is constructed in the RLP NAK control frame
24 by a data frame sequence number field SEQ with a

1 length of 8 bits, a control field CTL with a length
2 of 8 bits, a field First with the length of 8 bits, a
3 field Last with a length of 8 bits, a frame check
4 sequence field FCS with a length of 16 bits, and a
5 field, padding, with a variable length.

6 See that?

7 A Yes.

8 Q So this sentence describes the SEQ field as
9 a data frame sequence number field, do you see that?

10 MR. MASSA: Objection.

11 A Yes, it says it's a data frame sequence
12 number field SEQ.

13 Q So is it your opinion of one of ordinary
14 skill in the art reading this particular section of
15 Seo that SEQ field in the context of Figure 2 is a
16 data frame sequence number field?

17 A Yes, it's a data frame sequence number
18 field, yes.

19 Q And what does it mean to one of ordinary
20 skill in the art to be a data frame sequence number
21 field?

22 A Well, Seo distinguishes between data frames
23 and control frames. So a control frame would be a
24 frame such as a NAK, an RLP NAK control frame, and a

1 data frame would be a user data frame. So the data
2 frame sequence number field SEQ is a sequence number
3 field of a data frame, not a control frame.

4 Q Now turning to Figure 4, is it your opinion
5 as one of ordinary skill in the art that the SEQ
6 field in Figure 4 is also a data frame sequence
7 number field like in Figure 2?

8 A No.

9 Q Why not?

10 A Because the SEQ field must be concatenated
11 with the L_SEQ_HI field to form the 12 bit sequence
12 number of the Seo invention as listed in Figure 4.

13 Q What is your basis for saying that the SEQ
14 field must be concatenated with the L_SEQ field to
15 form the L_VS field?

16 A The L_V of S value has the 4 bits of the
17 L_SEQ_HI field as its most significant bits. The
18 remainder of the L_V of S field comes from the SEQ
19 field in Figure 4.

20 Q What is your basis for concluding that the
21 remainder of the L_VS field comes from the sequence
22 field in Figure 4?

23 A Because as shown in Figure 5, as well as in
24 the columns of the Seo specification, starting in

1 Column 2 and continuing thereon, sequence numbers
2 such as V of N, V of R, and V of E are sequence
3 numbers referring to user data frames, and the
4 notation L_V of S has the similar format to those and
5 would thus be interpreted by a person of ordinary
6 skill to also be a user data frame sequence number.

7 Q What do you mean by "similar format"?

8 A V of S is similar to V of N, V of R, or
9 V of E.

10 Q How are you deciding the similarity?

11 A Well, in all cases there is a capital V and
12 there is an open and closed parenthesis, and in
13 between the open and closed parenthesis there is a
14 capital letter, whether it's an N or an E or an R or
15 an S, referring to, for example, with a capital N, a
16 necessary series number, a capital E, an estimated
17 series number, and a capital R, a received series
18 number.

19 Q From that similarity you conclude that the
20 sequence field is the lower 8 bits of the first
21 sequence associated with a NAK_Map?

22 A From that I conclude that the L_V of S value
23 is 12 bits in length, and it comes from the
24 concatenation of those two fields, the L_SEQ_HI field

1 and the SEQ field.

2 MR. SHUMAKER: Let's take a break.

3 (Short recess taken)

4 (Exhibits 1001-602, 1002-602, 1006-602,
5 1009-602, and Paper 2-602 marked for
6 identification)

7 BY MR. SHUMAKER:

8 Q Doctor Bims, now we are going to move on to
9 the 602 case, which is related to the 568 patent.

10 First I am going to hand you Exhibit
11 Paper No. 2 from the 602 case, which is the Petition
12 for Inter Partes Review of U.S. Patent No. 6,466,568.

13 Have you seen Paper No. 2 before?

14 A Yes.

15 Q Next I am going to hand you Exhibit 1001 of
16 the 602 case, which is the Raith U.S. Patent
17 6,466,568, which I will refer to as the 568 patent.

18 Have you seen the 568 patent before?

19 A Yes.

20 Q Next I am going to hand you Exhibit 1002 of
21 the 602 case, which is the Morley patent, U.S. Patent
22 5,488,610, the Morley patent.

23 Have you seen Exhibit 1002 of the
24 602 case before?

1 A Yes.

2 Q Next I am going to hand you Exhibit 1006 of
3 the 602 case, which is the Adams, et al, U.S. Patent
4 5,541,662.

5 Have you seen the Adams patent before?

6 A Yes.

7 Q And finally I am going to hand you your
8 declaration, which is Exhibit No. 1009 in the 602
9 case, which is the Bims declaration in the 602 case.

10 I assume you have seen that one before
11 as well, right?

12 A Yes.

13 Q First I would like to direct your attention
14 to the 568 patent, which is Exhibit 1001.

15 What does the term "service type" mean
16 in the context of the 568 patent to a person of
17 ordinary skill in the art?

18 A As I have stated in Paragraph 23 of my
19 declaration, a service type identifier identifies the
20 type of payload information.

21 Q To a person of ordinary skill in the art
22 does a service type identifier identify anything
23 other than the type of payload information?

24 A As I have said in my declaration, in

1 columns -- rather Paragraphs 19 and 20, I understand
2 that a Federal District Court construed the phrase "a
3 service type identifier which identifies a type of
4 payload information" to mean an identifier that
5 identifies the type of information conveyed in the
6 payload. Examples of types of information include
7 but are not limited to video, voice, data, and
8 multimedia. I agree with this construction and with
9 the reasons set out in Section 3 of the petition,
10 including my understanding of how a person of
11 ordinary skill would understand the phrase. The file
12 history further confirms this construction.

13 Q Do you understand the construction that you
14 propose in Paragraph 19 of your declaration to be the
15 broadest reasonable construction of the phrase
16 "service type identifier which identifies the type of
17 payload information"?

18 A Yes, that is the broadest reasonable
19 construction of a person of ordinary skill.

20 Q How does the definition that you give in
21 Paragraph 19 relate to the concept of service type?

22 A Service type is a qualifier of the
23 identifier in that claim term that is being construed
24 by the Federal District Court, which I agreed with,

1 and I would say examples of the types of information
2 identified by such an identifier would be video,
3 voice, data, and multimedia as examples.

4 Q So in your opinion as a person of ordinary
5 skill in the art, does the service type identifier
6 identify the type of data conveyed in the payload, or
7 does that identify the type of service associated
8 with the data conveyed in the payload?

9 A It identifies the type of information in the
10 payload.

11 Q Does the service type identifier identify
12 any transmission characteristics that the information
13 conveyed in the payload?

14 A Transmission characteristics such as?

15 Q Have you ever heard the term "transmission
16 characteristics"?

17 A Yes.

18 Q And what's your understanding of the term
19 "transmission characteristics"?

20 A The term "transmission characteristics"
21 refers to a set of potential characteristics of a
22 physical layer transmission.

23 Q In your opinion did transmission
24 characteristics relate to characteristics other than

1 those characteristics of the physical layer
2 transmission?

3 A Generally when people refer to transmission
4 characteristics they are referring to transmission
5 characteristics of RF or physical layer
6 communication.

7 Q I would like to direct your attention to the
8 Raith 568 patent, Exhibit 1001 in the 602 case,
9 Column 2, beginning on lines 27, which states, These
10 various types of information communication, open
11 parenthesis, also referred to herein as different
12 services, closed parenthesis, will likely have
13 different optimal transmission characteristics.

14 See that?

15 A Yes.

16 Q What's your understanding as one of ordinary
17 skill in the art as to the meaning of the phrase
18 "transmission characteristics" in Column 2, line 30
19 of the Raith 568 patent?

20 MR. MASSA: Objection. Beyond the
21 scope.

22 A Well, again the phrase "transmission
23 characteristics" is a phrase that was not necessary
24 for me to construe in reaching the opinions that I

1 have stated in my declaration. I just stated
2 previously what the ordinary meaning of transmission
3 characteristics would be.

4 Q Does service type, to one of ordinary skill
5 in the art, refer to the information conveyed in the
6 payload or the type of information communication
7 associated with the payload?

8 A I think the Federal District Court's
9 construction suggests that the service type refers to
10 the type of information conveyed in the payload.

11 Q The service type does not refer to
12 information communication?

13 A The words "information communication" do not
14 appear in the Federal District Court's construction.

15 Q How would one of ordinary skill in the art
16 construe the term "services" in the context of the
17 568 patent as described in Column 2, lines 28 through
18 30 of the 568 patent?

19 MR. MASSA: Objection. Beyond the
20 scope.

21 A Column 2 of the 568 patent says, These
22 various types of information communication, also
23 referred to herein as different services, will likely
24 have different optimal transmission characteristics.

1 Q What does the term "services" mean to a
2 person of ordinary skill in the art in the context of
3 the 568 patent?

4 MR. MASSA: Objection. Outside the
5 scope.

6 A That's an analysis that I did not perform in
7 reaching the opinions in my declaration.

8 Q Does the 568 patent equate various types of
9 information communication with services at lines 27
10 through 28 -- 27 -- at lines 28 and 29 of Column 2?

11 A In lines 28 and 29 of the 568 patent at
12 Column 2 it says that various types of information
13 communication are also referred to herein as
14 different services.

15 Q So would one of ordinary skill in the art
16 understand "different services" to mean various types
17 of information communication in the context of
18 568 patent?

19 MR. MASSA: Objection. Outside the
20 scope.

21 A Again I have not reviewed the entirety of
22 the 568 patent for the purpose of answering that
23 particular question. You have pointed to two lines
24 in the specification which read, These various types

1 of information communication, also referred to herein
2 as different services, but those are two lines within
3 the entirety of the body of the 568 specification and
4 file history and claims.

5 So without performing an analysis on
6 the construction of the words "services," taking all
7 of that into account, I am not ready to offer an
8 opinion on what the construction of services term
9 would be to a person of ordinary skill.

10 Q When you determined your construction of the
11 phrase "service type identifier," did you consider
12 the excerpt in Column 2 of the 568 patent describing
13 various types of information communications different
14 services?

15 A I considered the construction of the Federal
16 District Court to the phrase in the claim a service
17 type identifier which identifies a type of payload
18 information. That's the phrase that I adopted as a
19 construction offered by the Federal District Court
20 for understanding the claim.

21 Q Did you do any independent analysis to
22 determine the construction of the service type
23 identifier in the context of the 568 patent beyond
24 adopting the construction proposed by the District

1 Court?

2 A I did perform an analysis of the phrase "a
3 service type identifier which identifies a type of
4 payload information" to understand what a person of
5 ordinary skill would believe would be the broadest
6 reasonable interpretation of that phrase as it
7 appears in the claim, and my conclusion is that
8 phrase as it appears in the claim as the broadest
9 reasonable interpretation to a person of ordinary
10 skill to mean an identifier that identifies the type
11 of information conveyed in the payload, with examples
12 of types of information including but not limited to
13 video, voice, data, and multimedia.

14 Q In determining your construction of a
15 service type identifier which identifies a type of
16 payload information, did you consider the disclosure
17 in the 568 patent, Column 2, lines 28 through 30,
18 which state that these various types of information
19 communication also referred to herein as different
20 services will likely have different optimal
21 transmission characteristics?

22 A I did read that portion of the
23 specification, yes.

24 Q And did you consider that portion of the

1 specification in forming the broadest reasonable
2 construction of the phrase "service type identifier
3 which identifies type of payload information"?

4 A Yes, I did consider this portion of the
5 specification along with the rest of the
6 specification before reaching a determination of the
7 broadest reasonable interpretation of that claim
8 phrase.

9 Q Is the concept of a service reflected in
10 your broadest reasonable construction of the phrase
11 "service type identifier which identifies type of
12 payload information"?

13 MR. MASSA: Object to the form.

14 MR. SHUMAKER: On what basis?

15 MR. MASSA: Vague as to what do you
16 mean by the concept being reflected in something?

17 A I have no idea what you mean by concept of
18 services. It seems very vague to me.

19 Q Do you know what the word "service" means in
20 the context of service type identifier?

21 MR. MASSA: Objection. Beyond the
22 scope.

23 A I understand what the claim phrase we have
24 been discussing means in the context of the 568

1 patent claims.

2 Q In your construction of a service type
3 identifier as an identifier that identifies a type of
4 information conveyed in a payload, examples of types
5 of information include but are not limited to video,
6 voice, data, and multimedia. Is it correct that you
7 equate a service type identifier with identifying the
8 type of information conveyed in the payload?

9 A I would say it's a service type identifier
10 which identifies a type of payload information that
11 is an identifier that identifies the type of
12 information conveyed in the payload with the examples
13 of voice, video, data, and multimedia.

14 Q Is video a type of data?

15 A Video is one of the types of information.

16 Q In your opinion video would be a type of
17 information conveyed in a payload?

18 A Yes, according to the construction that I
19 applied in reaching the opinions in my declaration,
20 video is one of the types of information conveyed in
21 the payload.

22 Q Is video also a type of service conveyed by
23 information in the payload?

24 MR. MASSA: Objection.

1 A I have not considered that question in
2 forming the opinions that I have expressed in my
3 declaration.

4 Q You have no opinion as to whether voice is a
5 type of service related to information conveyed in a
6 payload?

7 MR. MASSA: Objection.

8 A Within the context of the claims of the
9 568 patent, I have not made a determination about
10 whether or not video is a service.

11 Q You made a determination as to whether voice
12 is a service?

13 MR. MASSA: Same objection.

14 A Similarly I have not made a determination as
15 to whether voice is a service within the context of
16 the claims of the 568 patent.

17 Q Have you made a determination as to whether
18 data is a type of service within the context of the
19 claims of the 568 patent?

20 MR. MASSA: Objection.

21 A No, I have not reached such a determination.

22 Q Have you made a conclusion as to whether
23 multimedia is a type of service that falls within the
24 claims of the 568 patent?

1 MR. MASSA: Objection.

2 A I have not made such a determination in the
3 context of the claims of the 568 patent.

4 (Pause)

5 Q Have you heard of the concept of a service
6 outside of the claims of the 568 patent?

7 A Again your question is about the concept of
8 a service which seems to be a very vague question to
9 me.

10 Q In what sense?

11 A The concept of a service seems to be a very
12 vague phrase. "Service" is vague.

13 Q Have you heard of the term "service" outside
14 of the claims of the 568 patent?

15 A Yes.

16 Q What's your understanding of the term
17 "service"?

18 MR. MASSA: Objection. I got my car
19 serviced the other day.

20 A The term "service" has a wide variety of
21 meanings outside the context of the 568 patent.

22 Q In the context of wireless communication
23 information have you heard the term "service"?

24 MR. MASSA: Objection.

1 A In the context of wireless communication of
2 information and outside the context of the
3 568 patent, I have heard of the term "service" used.

4 Q How have you heard of "service" used in the
5 context of information communication outside of the
6 context of 568 patent?

7 A So outside of the context of the 568 patent
8 I have heard of the term "service" applied in a wide
9 variety of ways.

10 Q But in the context of the 568 patent you
11 have never heard of the term "service"? Is that
12 correct?

13 MR. MASSA: Objection.

14 A Within the context of the 568 patent it was
15 not necessary for me to construe the broadest
16 reasonable interpretation of the word "services" in
17 particular in order to understand the claims of the
18 568 patent and to perform the analysis that I
19 performed and to reach the conclusions that I have
20 expressed in my declaration.

21 Q Are you aware of the term "service" in the
22 context of the 568 patent?

23 A I have seen the word used in the 568 patent
24 specification.

1 Q What's your understanding of how the term
2 "service" is used in the 568 patent specification?

3 MR. MASSA: Objection.

4 A In reading the 568 patent specification, it
5 was not necessary for me to construe the term
6 "services" in particular in order to reach the
7 opinions that I have expressed in my declaration.

8 Q As one of ordinary skill reading the 568
9 patent, how did you interpret the term "service" in
10 the context of the 568 patent?

11 MR. MASSA: Objection.

12 A As I said, in reading the specification of
13 the 568 patent, interpreting the word "services" with
14 its broadest reasonable interpretation was not
15 something that I performed in reaching the opinions
16 expressed in my declaration.

17 Q In reaching opinions expressed in your
18 declaration you did not interpret the term "service"
19 in regards to the 568 patent; is that correct?

20 A As I said earlier, in reading the 568 patent
21 and forming the opinions that I formed in my
22 declaration, it was not necessary for me to reach a
23 construction of the broadest reasonable
24 interpretation of the word "service" in order to

1 perform the analysis that I performed.

2 Q Did your broadest reasonable construction
3 provide any meaning to the words "service type"?

4 A Well, as I said, I reached a conclusion as
5 to the broadest reasonable interpretation of the
6 claim phrase as mentioned in Paragraph 19 of my
7 declaration, and that claim phrase includes words
8 such as "service type identifier" in the phrase that
9 was construed.

10 Q What part of your broadest reasonable
11 construction of the phrase "service type identifier
12 which identifies a payload information" refers to, if
13 at all, the term "service type"?

14 MR. MASSA: Objection.

15 A Well, again the claim phrase that I
16 construed to have its broadest reasonable
17 interpretation is a service type identifier which
18 identifies a type of payload information. I believe
19 you left out the word "a type of." That's the phrase
20 that I construed.

21 Q Would the construction of the phrase
22 "identifier which identifies a type of payload
23 information" have any different construction than the
24 phrase "a service type identifier which identifies a

1 type of payload information" in the context of the
2 568 patent?

3 MR. MASSA: Objection.

4 A I am sorry. You are comparing that phrase
5 from the claim to another phrase?

6 Q Yes.

7 So my question is, taking your phrase,
8 "a service type identifier which identifies type of
9 payload information," if we remove the words "service
10 type" and were left with "an identifier which
11 identifies a type of payload information," what would
12 the broadest reasonable construction of that phrase
13 be?

14 MR. MASSA: Objection.

15 A I haven't considered such a scenario.

16 Q Is the phrase "an identifier which
17 identifies the type of payload information" broader
18 than the phrase "a service type identifier which
19 identifies a type of payload information"?

20 MR. MASSA: Objection.

21 A It has less words in it. Whether it's
22 broader or not is not something that I have
23 considered.

24 Q Does the answer to whether it's broad or not

1 depend on whether the term "service type" has any
2 meaning in that particular phrase?

3 MR. MASSA: Objection.

4 A It depends on comparing the two phrases in
5 light of the specification of the 568 patent. Such
6 analysis I have not performed to determine which one
7 is broader.

8 Q So is it your opinion that the phrase "a
9 service type identifier which identifies a type of
10 payload information" could be broader than the phrase
11 "an identifier which identifies a type of payload
12 information" in the context of the 568 patent?

13 MR. MASSA: Objection.

14 A Well, as I said earlier, I have not
15 performed an analysis comparing those two phrases to
16 determine which one may be broader than the other,
17 and as such, I have no opinion to offer on that
18 subject.

19 Q Does your construction found in Paragraph 19
20 also apply to the phrase "an identifier which
21 identifies a type of payload information"?

22 MR. MASSA: Objection.

23 A So the construction that I adopted in
24 performing this analysis was over the entirety of the

1 phrase that was construed by the Federal District
2 Court.

3 Q And that entirety of the phrase includes the
4 words "service type," does it not?

5 A It includes everything written there for the
6 phrase, yes.

7 Q How does your broadest reasonable
8 construction, that being an identifier that
9 identifies the type of information conveyed in a
10 payload, examples of types of information include but
11 are not limited to video, voice, data, and media, how
12 does that phrase relate to "service type"?

13 MR. MASSA: Objection.

14 Q If at all?

15 A I have not performed that analysis in my
16 reading of the 568 patent to answer that particular
17 question.

18 Q So is it you have no opinion whether your
19 construction of service type identifier which
20 identifies the type of payload information relates to
21 the phrase "service type"?

22 MR. MASSA: Objection.

23 A I have expressed all of my opinions in this
24 declaration, and as I have said earlier, have not

1 performed an analysis to answer that particular
2 question as it was unnecessary to reach the
3 conclusions and opinions expressed in this
4 declaration.

5 Q When you formed your broadest reasonable
6 construction of the term "a service type identifier
7 which identifies a type of payload information," did
8 you give meaning to all words in that phrase?

9 A I gave meaning to all of the phrase when
10 reading the specification in order to determine the
11 broadest reasonable interpretation of the entirety of
12 the phrase.

13 Q So what part of your construction in
14 Paragraph 19 relates to the words "service type"?

15 MR. MASSA: Objection.

16 A The construction of that phrase that was
17 construed by the Federal District Court relates to
18 the entirety of the phrase.

19 Q As one of ordinary skill in the art can you
20 point to what aspect of your broadest reasonable
21 construction relates to service type?

22 MR. MASSA: Objection.

23 A As I said, I have not performed an
24 independent analysis of the term "service type" as it

1 relates to the construction that I adopted for the
2 phrase as shown in the claim of the 568 patent.

3 Q That's because your construction doesn't
4 give any meaning to the term "service type," isn't
5 that correct?

6 MR. MASSA: Objection.

7 A So the construction that I reached for the
8 phrase takes into account the entirety of the phrase
9 when reaching the broadest reasonable interpretation.

10 Q How is the phrase "service type" taken into
11 account in your definition?

12 MR. MASSA: Objection. At this
13 point it's been asked and answered for probably the
14 last half hour. So I am not going to instruct him
15 not to answer, but it would be nice of you to move
16 on. Asking him the same question for pages and pages
17 and pages is going to get you the same answer.

18 A So as I have said previously, the analysis
19 to construe the claim phrase listed in Paragraph 19
20 took into account the entirety of the phrase in
21 reaching the broadest reasonable interpretation that
22 was construed in my analysis of the 568 patent.

23 Q How would a person of ordinary skill in the
24 art construe the phrase "base station" in the context

1 of the 568 patent?

2 MR. MASSA: Objection to the extent
3 it's beyond the scope of his declaration.

4 (Pause)

5 A So Claim 5 uses the term "base station," and
6 a person of ordinary skill would understand that a
7 base station would include at least a base station as
8 defined by the GSM Transparent Service.

9 Q Does a base station include a transmitter?

10 A In the GSM Transparent Service the base
11 station includes a transmitter.

12 Q Would a person of ordinary skill in the art
13 understand a base station to include a receiver in
14 the context of Claim 5 of the 568 patent?

15 A So the base station in Claim 5 of the
16 568 patent would be understood to at least refer to
17 as one possibility the base station of the GSM
18 Transparent Service. Such base station of the GSM
19 Transparent Service would include a receiver.

20 Q Must a base station in the context of
21 Claim 5 of the 568 patent include a transmitter?

22 MR. MASSA: Objection.

23 A The term "base station" as applied in
24 Claim 5 of the 568 patent would be understood by a

1 person of ordinary skill to include at least a base
2 station of the GSM Transparent Service. Such base
3 station of the GSM Transparent Service includes a
4 transmitter.

5 Q Would a person of ordinary skill in the art
6 understand that a base station in the context of
7 Claim 5 of the 568 patent would include a receiver?

8 A So the base station claim term in Claim 5 of
9 the 568 patent would be understood by a person of
10 ordinary skill to include at least the base station
11 of the GSM Transparent Service. The base station of
12 the GSM Transparent Service includes a receiver.

13 Q Have you ever heard of the term "earth
14 station" before?

15 A Yes.

16 Q What is an earth station to one of ordinary
17 skill in the art?

18 A As a general matter, an earth station would
19 be a fixed station that communicates wirelessly
20 either with mobile or stationary devices or with
21 satellites.

22 Q Is an earth station different from a base
23 station?

24 MR. MASSA: Objection.

1 A An earth station may or may not be a base
2 station as a general matter.

3 Q What would differentiate an earth station
4 from a base station?

5 A The way in which it's used.

6 Q How so?

7 A For example, if the earth station is
8 communicating with another station that is considered
9 the base station, then the earth station may not be a
10 base station.

11 Q Under what situations would an earth station
12 be considered a base station?

13 A It would have to be considered a base
14 station within the context of a specific network
15 architecture in which the earth station is labeled a
16 base station.

17 Q What do you mean by "the earth station is
18 labeled a base station"?

19 A Depending on the design of the communication
20 network, the earth station may function as a base
21 station in that particular context.

22 Q What with respect to design of the
23 communication network would determine whether an
24 earth station functions as a base station or not?

1 A It depends on the context of the network
2 architecture in which the earth station is deployed.

3 Q So without knowing the context of the
4 network architecture in which the earth station is
5 deployed, could a person of ordinary skill in the art
6 determine whether an earth station is a base station?

7 MR. MASSA: Objection.

8 A As I said earlier, an earth station may or
9 may not be a base station. The context in which the
10 base station or the earth station is used will aid
11 the person of ordinary skill to determine whether or
12 not the earth station is a base station.

13 Q What do you mean by the context in which the
14 earth station is used?

15 A The context of the network architecture in
16 which the base station is deployed.

17 Q What defines the network architecture in
18 which the base station is deployed?

19 A Well, typically persons of ordinary skill
20 define the context in which base stations are
21 deployed.

22 Q So does that mean when you read a document
23 that describes the deployment of a base station, you
24 as a person of ordinary skill in the art would

1 understand whether that base station is an earth
2 station? Let me ask it again. I did that backwards.

3 You as an person of ordinary skill in
4 the art reading a document describing an earth
5 station, would that provide enough information for
6 you to determine whether that earth station functions
7 as a base station or not?

8 MR. MASSA: Objection.

9 A As I said earlier, an earth station may or
10 may not be a base station. An earth station deployed
11 within a particular context of a network architecture
12 would be required information for a person of
13 ordinary skill to understand whether or not an earth
14 station is a base station.

15 Q Does a satellite television system have a
16 base station?

17 MR. MASSA: Objection.

18 A It may.

19 Q Do all satellite communication devices
20 include base stations?

21 A Satellite systems in general have a base
22 station.

23 Q Is that base station also known as an earth
24 station?

1 A Not necessarily.

2 Q What is the base station in satellite
3 communication devices?

4 A The base station in satellite communication
5 systems could be a satellite or could be an earth
6 station or could be another type of station,
7 depending on the network architecture.

8 Q So in the context of the Adams patent,
9 Exhibit 1006 from the 602 case, what do you contend
10 meets the base station limitation in Claim 5 of the
11 568 patent?

12 (Pause)

13 A So as disclosed in Adams in Figure 1, there
14 is a Satellite Receiver 14 which is communicating
15 with a PC over a transmission line 30, and in that
16 architecture the base station could be the Satellite
17 Receiver 14; it could be the satellite that's not
18 shown in Figure 1, or it could be another station.

19 Q You said you contend that the Satellite
20 Receiver 14 of Computer 12 and another satellite
21 receiver could all serve or all meet the base station
22 limitation of Claim 5 of 568 patent?

23 (Pause)

24 A According to Claim 5 of the 568 patent,

1 either of those three types of stations in the
2 satellite communications system could be a base
3 station.

4 Q Let's look at Computer 12 in Figure 1,
5 Adams. Can you point to the transmitter for Computer
6 12, Figure 1, please?

7 A You said you are looking for the transmitter
8 of Figure 12?

9 Q Figure 1 of the Adams patent, Device 12,
10 which you identified as a potential base station.

11 A Okay.

12 (Pause)

13 A I believe what I said in Paragraph 71 is
14 that the Adams reference implicitly teaches a
15 communication station transmitted, rendering it
16 obvious to provide a transmitter for sending the type
17 of data that Adams receives.

18 Q I understand that. I am focused on the base
19 station limitation which you discuss in Paragraph 76
20 of your expert report. You identify the Computer 12
21 as a base station, correct?

22 A In Paragraph 76 of my declaration I state
23 that Adams discloses transmission of packetized
24 digital data streams over a satellite link, and thus

1 the transmitter would typically be a base station, as
2 shown in Figure 1, Columns 3, lines 65 through 5,
3 line 22.

4 Q So where is the transmitter shown in
5 Figure 1 of the Adams patent?

6 A Regarding Figure 1 of the Adams patent, I go
7 on to say with respect to Adams disclosing
8 transmission of packetized digital data streams over
9 a satellite link, I go on to say that it is
10 well-known in the art that such satellite
11 communications devices include base stations.

12 Q Your testimony to Figure 1 does not show a
13 transmitter?

14 A My testimony is that Figure 1 implicitly
15 shows to a person of ordinary skill that there is a
16 base station that is a satellite communications
17 device which includes a transmitter for the
18 transmission of packetized digital data streams.

19 Q But you contend that that base station is
20 not shown in Figure 1; is that correct?

21 A It's implicitly shown to a person of
22 ordinary skill.

23 Q But it's not explicitly shown in Figure 1;
24 is that correct?

1 A Implicitly, not explicitly, yes.

2 Q So with respect to that implicit base
3 station, what devices does that base station transmit
4 its information to?

5 A So what it says in Column 4 of the Adams
6 patent is that the satellite receiver 14 transfers,
7 the received digital data stream packets to the
8 computer system 10 over a communication line 30.

9 Q Does the satellite receiver 14 communicate
10 with the base station that you contend meets Claim 5
11 of the 568 patent?

12 A So as I have stated earlier, the base
13 station in the satellite communications system is
14 implicitly shown in Adams to be any satellite
15 communications device, including satellite
16 receiver 14, a satellite itself, or other satellite
17 station.

18 Q So do you contend satellite receiver 14 is a
19 base station?

20 A It is one possibility for a base station
21 satisfying the base station limitation in Claim 5 of
22 the 568.

23 Q So it's your opinion that satellite receiver
24 14 satisfies the base station limitation in Claim 5;

1 is that correct?

2 A So it's my opinion as stated in my
3 declaration that a satellite communications device
4 includes a base station.

5 Q So is satellite receiver 14 a base station
6 or not a base station in the 568 patent in your
7 opinion?

8 A Satellite receiver 14 is one of the
9 satellite communications devices of a satellite
10 communications system.

11 Q So satellite receiver 14 shown in Figure 1
12 of Adams, does that satellite 14 -- sorry --
13 satellite receiver 14 in your opinion meet the base
14 station limitation of Claim 5 of the 568 patent?

15 A The satellite receiver 14 is one of the
16 satellite communications devices of a satellite
17 communications system, and as such, satellite
18 receiver 14 is one of many possibilities disclosed
19 implicitly in the Adams patent that meets the Claim 5
20 limitation in the 568 patent of a base station.

21 Q Satellite receiver 14 is disclosed
22 explicitly in Figure 1, is it not?

23 A It is shown in Figure 1, that is true.

24 Q So is satellite 14 as shown in Figure 1 of

1 Adams a base station that meets Claim 5 of the
2 568 patent?

3 A So as I have said, the satellite receiver 14
4 is one of the satellite communications devices of a
5 satellite communications system, and as such, it is
6 one of the structures that meets the limitation of
7 Claim 5 of the 568 patent with respect to the term
8 "base station."

9 Q And I guess what I am confused about with
10 your answer is, are you contending that the satellite
11 receiver 14 is part of a larger structure that in
12 combination meets the base station limitation of
13 Claim 5 of the 568 patent, or are you contending that
14 satellite 14 -- satellite receiver 14 shown in
15 Figure 1 of Adams by itself meets the base station
16 limitation of Claim 5 of the 568 patent?

17 A So what I am saying is that a person of
18 ordinary skill reading the Adams patent, in
19 particular Figure 4 and its accompanying description
20 in the specification, would understand that the
21 satellite receiver 14 is one of many structures, each
22 of which could be the base station of the 568 patent
23 and meet the limitations of Claim 5 of the 568
24 patent. So each of those structures itself

1 anticipates the base station term in Claim 5 of the
2 568 patent.

3 Q Does satellite receiver 14 have a
4 transmitter?

5 A A person of ordinary skill would understand
6 that a satellite receiver 14 as shown in the Adams
7 patent would need a transmitter to transmit, to
8 transfer the incoming digital data stream across the
9 communication line 30 to block 10.

10 Q Does the satellite receiver transmit
11 information -- let me ask another question.

12 Does satellite receiver 14 shown in
13 Figure 1 transmit information away from the computer
14 12 shown in Figure 1, or does it only transmit
15 information to the computer?

16 A When you say "information," that's pretty
17 broad, ambiguous.

18 Q Let me back up.

19 Is it your contention that the
20 transmitter, satellite transmitter 14, is the
21 structure that transmits the information data that's
22 been received by the satellite receiver to computer
23 10?

24 A I am saying satellite receiver 14 is one of

1 many structures implicitly disclosed within the Adams
2 patent as meeting the base state limitation of
3 Claim 5 of the 568 patent.

4 Q Is satellite 14 disclosed in Figure 1 a
5 bidirectional communication apparatus?

6 MR. MASSA: Object.

7 (Pause)

8 A I don't believe that the claims of the
9 568 patent require a bidirectional communication
10 link, and as such, in reading the Adams patent for
11 the purposes of my analysis, I did not make a
12 determination whether the Adams patent discloses a
13 bidirectional communication with the computer
14 system 10.

15 Q Do base stations include a transeiver?

16 A In what context?

17 Q Have you heard of the term "transeiver"?

18 A As a general matter, yes.

19 Q Is a transeiver a combination of a
20 transmitter and a receiver using the same hardware?

21 MR. MASSA: Object.

22 A A transeiver need not use the exact same
23 hardware for transmission and reception functions.

24 Q Is the transeiver a device that transmits

1 and receives?

2 A A transeiver would be a structure that
3 performs transmission and performs reception.

4 Q Do base stations include a transeiver?

5 A It depends on the context.

6 Q Are there base stations that do not include
7 a transeiver?

8 A That is certainly possible.

9 Q Can you give some examples?

10 A So, for example, in the early days of paging
11 technology, paging networks would deploy base station
12 towers in various locations throughout a geographic
13 area for the delivery of paging messages to end user
14 devices. Those base station towers did not have the
15 functionality for receiving information from the end
16 user devices.

17 (Pause)

18 Q Do you contend that the satellites out in
19 space can also meet the base station limitation of
20 Claim 5 of the 568 patent?

21 A It's my opinion that a person of ordinary
22 skill reading the Adams patent would understand that
23 the Adams patent implicitly discloses the base
24 station limitation of the 568 patent as being a

1 satellite communication device within which would be
2 included as one of the possibilities a satellite.

3 Q Does the Adams reference Exhibit 1006 from
4 the 602 case disclose a service type identifier?

5 A In my review of the Adams patent, in the
6 process of forming my opinions as expressed in my
7 declaration, I did not construe independently the
8 term "service type identifier," rather I applied the
9 construction of the phrase as construed by the
10 Federal District Court, which includes the term
11 "service type identifier" within the overall context
12 of the claim phrase which I construed when I read the
13 Adams patent.

14 Q So you have no opinion as to whether Adams
15 discloses a service type identifier as claimed in the
16 challenge claims of the 568 patent?

17 A So in the review that I performed of the
18 Adams patent, I applied the construction of the
19 broadest reasonable interpretation of the claim
20 phrase that includes service type identifier within
21 the overall phrase that was construed and then read
22 the Adams patent with that broadest reasonable
23 interpretation of that claim phrase in mind when
24 performing the analysis that led to the opinions as

1 expressed in my declaration.

2 Q Do you have any opinion as to whether the
3 Adams reference discloses a service type identifier
4 as claimed in the challenge claims of the 568 patent?

5 MR. MASSA: Objection.

6 A As I have said earlier, I have reached an
7 opinion with respect to the anticipation of the Adams
8 patent using a construction of a claim phrase that
9 includes the words "service type identifier" within
10 the overall phrase that was construed in the analysis
11 that I performed of the Adams patent.

12 Q As you sit here today, you have not
13 performed an analysis to determine whether the Adams
14 patent, that being Exhibit 1006 of the 602 case,
15 discloses in your opinion a service type identifier?

16 MR. MASSA: Objection.

17 A As I have said previously, the analysis that
18 I performed of the Adams patent was in light of the
19 construction of a claim phrase in the 568 patent that
20 includes the words "service type identifier" within
21 the overall phrase that was construed in my analysis
22 of the Adams packet.

23 Q Okay. As you sit here today you cannot
24 point to a disclosure in Adams that discloses a

1 service type identifier; is that right?

2 MR. MASSA: Objection. This is the
3 last time I am going to let you ask it. You have
4 asked it by my count at least six times in a row.

5 MR. SHUMAKER: He hasn't answered
6 yes or no.

7 MR. MASSA: He has given you a
8 complete, full answer probably at least six times in
9 a row. It's harassing at this point. It's also
10 five-thirty. I hope you are done after this because
11 it's a waste of time to keep asking the same question
12 over and over again, which this record will clearly
13 reflect.

14 The witness can go ahead and answer
15 your question yet again.

16 A So in performing my analysis of the
17 568 patent with respect to the question of
18 anticipation, I considered the Adams patent given the
19 construction of a claim phrase in the 568 patent that
20 was given as broadest reasonable interpretation.
21 That claim phrase includes the words "service type
22 identifier," and it's the construction of that phrase
23 that I had in mind when I performed my analysis of
24 the Adams patent to reach the opinions that I have

1 expressed in my declaration.

2 Q You contend that Morley, which is
3 Exhibit 1002 of the 602 case, discloses a service
4 type identifier?

5 MR. MASSA: Objection.

6 A So when I performed my analysis of the
7 Morley patent with respect to the question of
8 anticipation of the 568 patent, I again had in mind
9 the broadest reasonable interpretation of a 568
10 patent claim term that includes the words "service
11 type identifier" when performing an analysis as to
12 whether or not Morley anticipates the 568 patent.

13 Q And what was your conclusion regarding the
14 limitation that included a service type identifier
15 with respect to the Morley reference?

16 A So as described in my declaration, my
17 conclusion is that the Morley patent anticipates the
18 challenged claims, including Claim 1, which includes
19 the claim phrase that includes the words "service
20 type identifier" which I had construed to have its
21 broadest reasonable interpretation when performing an
22 analysis of Morley to reach the opinions that I have
23 expressed in my declaration.

24 Q What in Adams could you point to that meets

1 the limitation that includes the phrase "service type
2 identifier" as used in the challenge claims of the
3 568 patent?

4 MR. MASSA: Just to be clear, you
5 are back to Adams now?

6 MR. SHUMAKER: Correct.

7 MR. MASSA: Okay.

8 A So again, when I performed my analysis of
9 the Adams patent with respect to the question of
10 anticipation, I did not separately and independently
11 construe the words "service type identifier" outside
12 of the context of the claim phrase that was construed
13 by the Federal District Court.

14 Q So in the context of the phrase construed by
15 the Federal District Court that includes the phrase
16 "service type identifier," what disclosure in Adams,
17 Exhibit 1006, did you point to to contend that the
18 particular limitation including the "service type
19 identifier" phrase is met?

20 A So in reading the Adams patent, I concluded
21 that for the Adams patent that it renders Claims 1
22 through 6 of the 568 patent to be obvious.

23 Q How so?

24 A There is a fairly lengthy discussion of

1 that in my declaration under the section "Ground 7"
2 which gives the bases for my opinion with respect to
3 Claim 1 starting in Paragraph 68 through
4 Paragraph 72.

5 Q Do you contend that Adams discloses the
6 limitation a service type identifier which identifies
7 a type of payload information?

8 A Again I did not perform an analysis of the
9 Adams patent looking specifically at a construction
10 for the words "service type identifier" taken by
11 themselves.

12 Q Let me try that question again.

13 Do you contend that Adams discloses the
14 limitation a service type identifier which identifies
15 a type of payload information as found in the
16 challenge claims to the 568 patent?

17 (Pause)

18 A In reading the Adams patent, a person of
19 ordinary skill would understand that the disclosures
20 in Adams renders obvious the disclosure of the claim
21 phrase construed by the Federal District Court.

22 Q So is it your opinion that the phrase
23 service type identifier which identifies a type of
24 payload information is rendered obvious by Adams?

1 MR. MASSA: Objection.

2 A So in Paragraph 70 of my declaration it
3 says, The packetized digital data streams received by
4 the satellite receiver 14 include video data packets,
5 audio data packets, and associated data packets. For
6 example, Adams Figure 5, reproduced below, discloses
7 a video packet 80, audio packet 82, and the
8 associated data packet 84, each comprising a packet
9 header and packet payload.

10 Referring to Figure 5 in Adams, a
11 person of ordinary skill would think it obvious that
12 Figure 5 shows the presence of a service type
13 identifier which identifies a type of payload
14 information as construed to get its broadest
15 reasonable interpretation.

16 Q I would like to direct your attention now to
17 Morley, Exhibit 1002 in the 602 case.

18 In your opinion does Morley disclose
19 the phrase "service type identifier which identifies
20 a type of payload information"?

21 A So in performing the analysis of the Morley
22 patent with respect to Claim 1 of the 568 patent, I
23 have expressed my opinions in my declaration,
24 including in Paragraph 30, where I state that Morley

1 discloses providing and transmitting at least one
2 first field with a payload and at least one second
3 field with a service type identifier that identifies
4 the type of payload.

5 Then I go on to show where in Morley
6 that evidence is found in my analysis.

7 Q And where in Morley is the evidence found in
8 your view that leads you to conclude that Morley
9 discloses a service type identifier which identifies
10 a type of payload information?

11 A So I go on in Paragraph 30 to discuss the
12 structure of possible mux frames, as shown in
13 Figures 5A through 5G, include voice only, three
14 different types of data, Data 0, Data 1, or Data 2,
15 or various combinations of these services.

16 Also shown in Column 6, lines 4 through
17 Column 7, line 30, Morley discloses that the mux
18 frames include a header with a frame type that
19 constitutes a service type identifier field that
20 indicates whether the payload of the frame contains
21 voice only, one of three different types of data,
22 Data 0, Data 1, or Data 2, or some combination of
23 these.

24 Q So in the context of the 568 patent, what is

1 a service?

2 MR. MASSA: Objection.

3 A So in the context of the 568 patent I have
4 not offered a construction for the word "service."

5 Q What's the service in the context of the
6 Morley reference?

7 MR. MASSA: Objection.

8 Q Let me ask another question.

9 Paragraph 30, second sentence states,
10 The structure of possible mux frames, as shown in
11 Figure 5A through 5G, include voice only, three
12 different types of data, Data 0, Data 1, or Data 2,
13 or various combinations of these services.

14 That's Paragraph 30 of your declaration
15 in the 568 patent case; is that correct?

16 A Yes.

17 Q What did you refer to by the term "services"
18 as the last word of the second sentence of Paragraph
19 30 of your declaration?

20 A In Paragraph 30 of my declaration, which you
21 just read, I was referring to the Morley patent.

22 Q And what does the term "services" refer to
23 in the context of your usage of that term in
24 Paragraph 30 of your declaration of the 568 patent

1 case?

2 (Pause)

3 A So in Column 5 of the 610 patent, which is
4 the Morley patent, it says that, starting in line 31,
5 that the application layer 44 takes pen input from
6 the digitising tablet and codes it into sketching
7 information. It also displays images and sketching
8 on the display. Via the lower layers of the
9 communication stack it communicates changes at the
10 pen and screen interface to a remote voice and data
11 device. In the embodiments described, the
12 application layer communicates with the protocol
13 layer using software messages.

14 So a person of ordinary skill reading
15 that paragraph would understand that the application
16 layer is employing the services offered by the
17 communications stack to deliver the sketching
18 information, including voice and data information, to
19 a remote device; and continuing down Column 5, it
20 says, As previously stated -- in the next paragraph,
21 As previously stated, the multiplexer generates a
22 composite video and data signal, this composite video
23 and data signal being generated because the
24 application layer is invoking the services of the

1 communication stack to deliver voice and data to the
2 remote device.

3 MR. MASSA: I think you misspoke.

4 You said "video" and it said "voice."

5 A Voice and data, yes.

6 Q Does the term "services" in Paragraph 30 of
7 the Bims declaration of 568 patent refer to the
8 services offered by the communications stack?

9 A Right, as expressed in Column 5, the
10 services invoked by the application layer of 44 for
11 voice and data communication passed through the
12 communications stack to the mux, which takes that
13 information and muxes it together into the frames
14 shown in Figure 5.

15 Q Jumping to the very last sentence of
16 Paragraph 30 of the Bims declaration for the 568
17 patent, the next sentence states, Voice and data are
18 identified in the 568 patent as examples of service
19 types.

20 What do you mean by "service types" in
21 that particular sentence at the end of Paragraph 30
22 of the Bims declaration for the 568 patent?

23 (Pause)

24 A So in Column 2 and line 28 of the

1 568 patent, it says that these various types of
2 information communication, referring to the video or
3 hybrid voice, data, and video described in the
4 previous paragraph, also referred to herein as
5 different services.

6 Q So is your basis for using the phrase
7 "service type" in Paragraph 30 of your Bims
8 declaration for the 568 patent the description of the
9 term "service" in the 568 patent?

10 A So in the 568 patent, in this portion of the
11 specification it refers to certain types of
12 information as services.

13 Q Does the Raith patent refer to certain types
14 of information or certain types of information
15 communication as services?

16 A It says various types of information
17 communication.

18 Q Going back to Paragraph 30 again of your
19 Bims declaration of the 568 patent, the
20 second-to-last sentence states, Morley discloses that
21 the mux frames include a header with a frame type
22 that constitutes a service type identifier field that
23 indicates whether the payload or frame contains voice
24 only, one of three different types of data, Data 0,

1 Datao 1, or Data 2, or some combinations of these.

2 What do you mean by the use of the
3 phrase "service type identifier field" in that
4 particular sentence?

5 (Pause)

6 A In this sentence I was referring to the
7 service type identifier field as described in Claim 1
8 of the 568 patent as the second field.

9 Q The second field described in the claims of
10 the 568 patent?

11 A Yes, Claim 1 of the 568 patent says that
12 providing at least one second field separate from
13 said first field which includes a service type
14 identifier which identifies a type of payload
15 information provided in at least one first field.

16 Q So your use of "service type identifier
17 field" in Paragraph 30 of the Bims declaration merely
18 refers to the second field recited in the challenged
19 claims of the 568 patent?

20 A Yes, as recited in independent Claim 1.

21 Q But you did not independently construe the
22 term "service type identifier," is that correct?

23 A Correct, I did not perform a construction of
24 simply the words "service type identifier."

1 MR. SHUMAKER: Okay. I am good. We
2 can stop now.

3 MR. MASSA: Okay. Do you have more
4 tomorrow?

5 MR. SHUMAKER: A little bit, not too
6 much.

7 (Discussion off the record)

8
9 (Whereupon the deposition was suspended
10 at 6:00 PM)

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

C E R T I F I C A T E

I, HARRY V. BIMS, do hereby
certify that I have read the foregoing transcript
of my testimony and further certify that to the
best of my knowledge said transcript is true and
accurate (with the exception of the following
corrections listed below):

Page	Line	Correction
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----

Dated this ____ day of _____, 2014.
Signed under the pains and penalties of perjury.

HARRY V. BIMS

Sworn to and subscribed before me this ____
day of _____, 2014.

Notary Public
My commission expires:

1 COMMONWEALTH OF MASSACHUSETTS)

2 NORFOLK, SS.)

3

4

5

6

7

I, DIANE L. McELWEE, Certified Shorthand Reporter and Notary Public in and for the Commonwealth of Massachusetts, do hereby certify that there came before me on the 29th day of May, 2014, at 9:10 AM, the person hereinbefore named, who was by me duly sworn to testify to the truth and nothing but the truth touching and concerning the matters in controversy in this cause; that there was an examination under oath and the examination was reduced to transcript form under my direction and that the deposition is a true record of the testimony given by the witness.

10

11

12

13

14

I further certify that I am neither attorney nor counsel for, nor related to or employed by any of the parties to the action in which this deposition is taken; and further that I am not a relative or employee of any attorney or counsel employed by the parties hereto or financially interested in the action.

15

16

17

18

In witness whereof, I have hereunto set my hand and seal this 29th day of June, 2014.

19

20



21

DIANE L. McELWEE, Notary Public
My commission expires:

22

23

January 2, 2015

24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

Volume II
Pages 1 to 15
Exhibits (None)

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

BROADCOM CORPORATION,
Petitioner,

v.

Case IPR2013-00601
Case IPR2013-00602
Case IPR2013-00636

TELEFONAKTIEBOLAGET L.M. ERICSSON,
Patent Owner.

CONTINUED DEPOSITION OF HARRY V. BIMS, a witness
called by counsel for the Patent Owner, taken
pursuant to the applicable rules, before Diane L.
McElwee, RPR, CM, Certified Shorthand Reporter and
Notary Public in and for the Commonwealth of
Massachusetts, at the Offices of WILMER CUTLER
PICKERING HALE AND DORR, LLP, 60 State Street,
Boston, Massachusetts, on Friday, May 30, 2014,
commencing at 9:05 AM.

(617) 423-5841
COPLEY COURT REPORTING

ORIGINAL

1 PRESENT:

2 WILMER CUTLER PICKERING HALE AND DORR, LLP
3 60 State St.
4 Boston, MA 02109
5 by Dominic E. Massa, Esq.
6 and Michael A. Diener, Esq.
7 for the Petitioner

8 LEE & HAYES, PLLC
9 13809 Research Blvd., Suite 405
10 Austin, TX 78750
11 by John Shumaker, Esq.
12 for the Patent Owner

13
14
15
16
17
18
19
20
21
22
23
24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

I N D E X

WITNESS:	DIRECT	CROSS	REDIRECT	RECROSS
----------	--------	-------	----------	---------

Harry V. Bims

by Mr. Shumaker 4

1 P R O C E E D I N G S

2

3 HARRY V. BIMS, a witness previously identified and
4 sworn, was examined and testified as follows:

5 DIRECT EXAMINATION, continued

6 BY MR. SHUMAKER:

7 Q Good morning, Doctor Bims.

8 A Good morning.

9 Q I would like to continue our discussion
10 regarding the 568 patent, which is Exhibit 1001 of
11 the 602 case. I would like to draw your attention
12 again to the Bims declaration, Exhibit 1009 in the
13 602 case. I would like you to turn to page 9 of your
14 declaration, please, again Exhibit 1009 in the 602
15 case.

16 So on the very bottom on page 9 there
17 is a full sentence that begins with, "This header
18 value."

19 Do you see that?

20 A Yes.

21 Q Fourth line from the bottom.

22 A Yes.

23 Q The sentence reads, "This header value is a
24 service type identifier field that indicates whether

1 the payload of the frame contains voice only, one of
2 three different types of data, open paren, Data 0,
3 Data 1 or Data 2, closed paren, or some combination
4 of these services."

5 Do you see that?

6 A Yes.

7 Q When you say "these services," are you
8 referring to voice, Data 0, Data 1, and Data 2 in
9 that particular sentence on page 9 of Exhibit 1009 of
10 the 602 case?

11 A So with respect to this Morley reference I
12 am referring to the combination of services as I
13 described earlier when I pointed out in Morley where
14 that took place.

15 Q My question just relates to the basis of
16 these services when your sentence refers to these
17 services, eservices, referring to the excerpt from
18 Morley that you pointed out earlier in this
19 deposition, or do these services refer to the
20 references to voice and different types of data?

21 A So these services are the services provided
22 by the communications stack to the application layer
23 that are associated with voice and data.

24 Q So when you used the phrase "these services"

1 on page 9 of your declaration, Exhibit 1009 of the
2 602 case, were you not referring to the words voice,
3 Data 0, Data 1, Data 2 in that particular sentence?

4 A Well, the citation that I give for that
5 sentence starts in Column 6, line 64, running through
6 Column 7, line 22, and turning to that citation it
7 starts by discussing, "Sixteen possible headers for
8 supporting one voice channel and up to three data
9 channels are shown in the table below, with the
10 header value expressed in hexadecimal."

11 So the services that I describe in the
12 sentence are the channels available to the
13 application layer, one type of channel being a voice
14 channel and another type of channel being a data
15 channel, of which there are three data channels
16 available to the application layer. Those channels
17 are the services that I describe in the sentence.

18 Q So when you say some combination of these
19 services on page 9 of the Bims declaration, Exhibit
20 1009 in the 602 case, you are referring to a
21 combination of a voice channel, a Data 0 channel, a
22 Data 1 channel, and Data 2 channel; is that correct?

23 A As it describes in the Morley patent in the
24 citation that I gave in my declaration, each of the

1 header types supports a channel, and in fact there is
2 a voice channel that's supported, and there are three
3 different data channels that are supported; and so,
4 for example, Data 0, Data 1, and Data 2 each support
5 a data channel, and some combination of these
6 channels is expressed as a combination of data that
7 is transmitted using these different headers.

8 Q What is your understanding of a voice
9 channel as you used that term in your previous
10 answer?

11 A So a voice channel as described in Column 6
12 of Morley is a channel that one of the headers
13 supports. So the sixteen possible headers support,
14 as it says in Morley, one voice channel and up to
15 three data channels as shown in the table below,
16 which shows on Column 7 the voice header, a data
17 header, and other headers indicating that a
18 combination of voice and data channels are present
19 for that header type.

20 Q What is a voice channel?

21 A So as I described in this sentence, a voice
22 channel is a service, and in reading Morley, a
23 service is what is provided by the communications
24 stack to application layer 44.

1 Q So in the context of a voice channel being a
2 service, what is provided to communications stack 44
3 in the case of a voice channel?

4 A Can you repeat that question?

5 Q Sure.

6 I understood you to say that a voice
7 channel was a service, and a service is what's
8 provided by the communications stack 44. Is that not
9 what you said?

10 A I am confused by your question.

11 (Pause)

12 Q So I understood in your previous answer
13 regarding voice channel you testified that a voice
14 channel is a service, and in reading Morley, a
15 service is what is provided by the communications
16 stack application layer 44; is that correct?

17 A Yes.

18 Q So in the case of a voice channel, what is
19 provided by the communications stack application
20 layer 44?

21 A The communications stack provides a service
22 to the application layer for the communication of
23 voice information as described in Column 5, lines 31
24 through 38.

1 Q So in the context of Column 5, lines 31
2 through 38, what information is provided by the
3 communications stack that application layer 44 in the
4 context of a voice channel?

5 A What Morley describes in this column is the
6 communication from the application layer to the
7 communications stack using software messages.

8 Q In the case of a voice channel does Morley
9 disclose the use of software messages?

10 A To a person of ordinary skill reading this
11 column, the application layer is communicating with
12 the communications stack, and the application layer
13 communicates using software messages; and it further
14 says, "Via the lower layers of the communication
15 stack it communicates changes at the pen and screen
16 interface to a remote voice and data device."

17 Q Does a voice channel exist only in a
18 computer?

19 A That's a fairly generic question. I have
20 not researched all possibilities for where a voice
21 channel might appear.

22 Q In the context of Morley is the voice
23 channel limited to the computer?

24 A When you say the "computer," what are you

1 referring to?

2 Q Turn to Figure 2 on Morley, please. See a
3 box labeled "PC" in Figure 2?

4 A Yes.

5 Q The definition of a computer in Morley.

6 (Pause)

7 Q So, Doctor Bims, in the context of Morley,
8 is the voice channel limited to PC?

9 I guess in the context of Morley is the
10 voice channel limited to the PC shown in Figure 2?

11 A In my reading of the Morley patent for the
12 purposes of my declaration, I didn't perform an
13 analysis as to whether or not a voice channel is or
14 is not limited to Block 18 as disclosed in Figure 2.

15 Q Doctor Bims, in your opinion is the term
16 "service" defined by the excerpt of Morley, Column 5,
17 from lines 31 through 38, which is the sentence that
18 begins with, "The application layer 44 takes pen
19 input from the digitising tablet and codes it into
20 sketching information," and continues with the
21 sentence, "It also displays images and sketching on
22 the display. Via the lower layers of the
23 communication stack it communicates changes at the
24 pen and screen interface to a remote voice and data

1 device. In the embodiments described, the
2 application layer communicates with the protocol
3 layer using software messages."

4 MR. MASSA: Object to the form.

5 MR. SHUMAKER: What's the basis for
6 the objection?

7 MR. MASSA: It's vague. You said is
8 the term "service" defined by their excerpt, and it's
9 unclear what context you are referring to, whether
10 you are referring to the word "service" in the 568
11 patent or "service" in the Morley patent, the word
12 "service" in general, or some other context.

13 Q Doctor Bims, I am referring to the use of
14 the term "service" when you used that term in your
15 Bims declaration on page 9 of Exhibit 1009 of the 602
16 case. My question is, is the term "service" as you
17 used that term on page 9 of the Bims declaration
18 defined by the excerpt in Morley, Column 5, lines 31
19 through 38?

20 A So in my declaration on page 9, when I used
21 the word "service," it was within the context of
22 describing the Morley patent, and in the Morley
23 patent a person of ordinary skill would understand
24 that at the citation that I gave supporting that

1 sentence in which the word "services" appears in my
2 declaration that the Morley patent describes sixteen
3 possible headers for supporting one voice channel and
4 up to three data channels, as shown in the table
5 below, which is shown in Column 7 of the Morley
6 patent.

7 A person of ordinary skill would
8 understand, given a full reading of Morley, that the
9 voice channels and the data channels described in
10 Morley are services provided to the application layer
11 44 by the communications stack.

12 Q So, Doctor Bims, you just testified that a
13 person of ordinary skill would understand given a
14 full reading of Morley that the voice channels and
15 data channels described in Morley are services
16 provided to the application layer 44 by the
17 communications stack. My question is what is the
18 definition of the term "services" to someone of
19 ordinary skill in the art as you used that term in
20 your previous answer?

21 MR. MASSA: Objection.

22 MR. SHUMAKER: What's the basis?

23 MR. MASSA: Asked and answered.

24 A So in reading of the Morley patent, the

1 services are what's provided to the application
2 layer 44 by the communications stack for the
3 communication between the application layer 44 and a
4 remote voice and data device. Those services are in
5 the form of a voice channel and up to three data
6 channels which can be in the following table given
7 their own header type or can be combined in various
8 combinations associated with other header types.

9 MR. SHUMAKER: No further questions.

10 MR. MASSA: Okay. We will take a
11 quick break.

12 (Short recess taken)

13 MR. MASSA: Good morning,
14 Doctor Bims.

15 THE DEPONENT: Good morning.

16 MR. MASSA: I have no questions for
17 you at this time.

18 Thank you for your time.

19 MR. SHUMAKER: Thank you.

20

21 (Whereupon the deposition was concluded at 10:05 AM)

22

23

24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

C E R T I F I C A T E

I, HARRY V. BIMS, do hereby
certify that I have read the foregoing transcript
of my testimony and further certify that to the
best of my knowledge said transcript is true and
accurate (with the exception of the following
corrections listed below):

Page	Line	Correction
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----
-----	-----	-----

Dated this ____ day of _____, 2014.
Signed under the pains and penalties of perjury.

HARRY V. BIMS

Sworn to and subscribed before me this ____
day of _____, 2014.

Notary Public
My commission expires:

1 COMMONWEALTH OF MASSACHUSETTS)

2 NORFOLK, SS.)

3

4

5

6

7

8 I, DIANE L. McELWEE, Certified Shorthand
9 Reporter and Notary Public in and for the
10 Commonwealth of Massachusetts, do hereby certify
11 that there came before me on the 30th day of
12 March, 2014, at 9:05 AM, the person
13 hereinbefore named, who was by me duly sworn to
14 testify to the truth and nothing but the truth
15 touching and concerning the matters in controversy
16 in this cause; that there was an examination under
17 oath and the examination was reduced to transcript
18 form under my direction and that the deposition is
19 a true record of the testimony given by the witness.

20 I further certify that I am neither
21 attorney nor counsel for, nor related to or employed
22 by any of the parties to the action in which this
23 deposition is taken; and further that I am not a
24 relative or employee of any attorney or counsel
employed by the parties hereto or financially
interested in the action.

25 In witness whereof, I have hereunto set
26 my hand and seal this 2nd day of June, 2014.



DIANE L. McELWEE, Notary Public
My commission expires:

January 2, 2015