

Page 94

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

-----X
MICRO MOTION, INC.,

Petitioner,

v. Inter Partes Review
 No. IPR2014-00392

INVENSYS SYSTEMS, INC.,

Patent Owner.

Patent No. 8,000906
Issue Date: August 16, 2011
Title: DIGITAL FLOWMETER Volume II
-----X

Continued Videotaped Deposition
of
JEFFREY S. VIPPERMAN, Ph.D.
Reston, Virginia
Thursday, December 11, 2014
9:13 a.m.

Pages: 94 - 176

Reported by: Amy E. Sikora-Trapp, RPR, CRR,
 Former CSR-NY, CLR

Page 95

1 Videotaped Deposition of
2 JEFFREY S. VIPPERMAN, Ph.D., held at the
3 offices of:
4 DLA Piper LLP (US)
5 11911 Freedom Drive, Suite 300
6 Reston, Virginia 20190-5602

7
8 Pursuant to notice, before Amy E.
9 Sikora-Trapp, Registered Professional Reporter,
10 Certified Realtime Reporter, Former Certified
11 Shorthand Reporter (NY)(license unrenewed),
12 Certified LiveNote Reporter, and Notary Public
13 within and for the Commonwealth of Virginia.

14
15
16
17
18
19
20
21
22
23
24
25

Page 96

1 A P P E A R A N C E S
2 ON BEHALF OF THE PETITIONER:
3 JEFFREY N. COSTAKOS, ESQUIRE
4 Foley & Lardner LLP
5 777 East Wisconsin Avenue
6 Milwaukee, Wisconsin 53202-5306
7 414-297-5782
8 jcostakos@foley.com
9 ON BEHALF OF THE PATENT OWNER:
10 JEFFREY L. JOHNSON, ESQUIRE
11 DLA Piper LLP (US)
12 1000 Louisiana Street, Suite 2800
13 Houston, Texas 77002-5005
14 713-425-8445
15 jeffrey.johnson@dlapiper.com
16 -and-
17 JAMES M. HEINTZ, ESQUIRE
18 DLA Piper LLP (US)
19 One Fountain Square
20 11911 Freedom Drive, Suite 300
21 Reston, Virginia 20190-5602
22 703-773-4148
23 jim.heintz@dlapiper.com
24 ALSO PRESENT:
25 Orson Braithwaite, Videographer

Page 97

1 December 11,2014
2 C O N T E N T S
3 EXAMINATION OF
4 JEFFREY S. VIPPERMAN, Ph.D. PAGE
5 By MR. COSTAKOS 99, 171
6 By MR. JOHNSON 158

7
8 E X H I B I T S
9 PREVIOUSLY MARKED PAGE
10 1067 previously marked 100
11 2015 previously marked 119
12 1001 previously marked 119

13
14
15
16
17
18
19
20
21
22
23
24
25

<p style="text-align: right;">Page 98</p> <p>1 PROCEEDINGS 2 Whereupon, 3 JEFFREY S. VIPPERMAN, Ph.D., 4 called as a witness, having been first duly 5 sworn by the Notary Public (Amy E. Sikora), was 6 examined and testified further as follows: 7 THE VIDEOGRAPHER: This is volume 8 two, tape number one of the videotaped deposition 9 of Dr. Jeffrey Vipperman, taken in the matter of 10 Micro Motion, Inc., Petitioner, versus Invensys 11 Systems, Inc., Patent Owner, in the United States 12 Patent and Trademark Office before the Patent 13 Trial and Appeal Board, inter partes review 14 number IPR2014-00392. 15 This deposition is being held at 16 the DLA Piper LLP, located at 11911 Freedom 17 Drive, Reston, Virginia, on December 11, 2014, at 18 approximately 9:13 a.m. 19 My name is Orson Braithwaite, here 20 with our court reporter, Amy Sikora-Trapp, and we 21 are from Sound Deposition Services. 22 For the record, will counsel 23 please introduce themselves. 24 MR. JOHNSON: Jeffrey Johnson from 25 DLA Piper, on behalf of Invensys Systems, Inc.</p>	<p style="text-align: right;">Page 100</p> <p>1 Q. Okay. I'm going to hand you what 2 has been previously marked as Exhibit 1067. 3 (Exhibit Number 1067 previously 4 marked.) 5 MR. COSTAKOS: And just for the 6 record, this is the model D meter supplement slug 7 flow and loading/unloading instruction manual. 8 Q. So this supplement relates in part 9 to batching; is that right? 10 A. Can I just have a minute, please? 11 Q. Yeah, sure. 12 A. Okay. Yes, this is a add-in for 13 the model D meter that helps for the operation of 14 running a single batch of fluid through the 15 flowmeter. It helps correct for the fact that 16 the model D cannot maintain oscillation during 17 the course of one batch, and it sort of inhibits 18 the -- the signal as to cut down on the erroneous 19 readings. 20 (Discussion off the record.) 21 Q. Okay. 22 MR. COSTAKOS: I move to strike 23 the last part of that answer as nonresponsive. 24 Q. So this model D supplement is 25 meant to handle the situation of separate batches</p>
<p style="text-align: right;">Page 99</p> <p>1 and the deponent. 2 MR. HEINTZ: James Heintz from DLA 3 Piper, on behalf of the same parties. 4 MR. COSTAKOS: Chuck Costakos, 5 Foley & Lardner, on behalf of petitioner 6 Micro Motion, Inc. 7 THE VIDEOGRAPHER: Now will the 8 court reporter please swear or affirm the 9 witness. 10 PROCEEDINGS 11 Whereupon, 12 JEFFREY S. VIPPERMAN, Ph.D., 13 called as a witness, having been first duly 14 sworn by the Notary Public (Amy E. Sikora), was 15 examined and testified as follows: 16 EXAMINATION (Cont'd.) BY COUNSEL 17 FOR THE PETITIONER 18 BY MR. COSTAKOS: 19 Q. So, Dr. Vipperman, I assume you 20 haven't had any substantive conversations with 21 counsel? 22 A. That's correct. 23 Q. Okay. Between the time we started 24 yesterday and now? 25 A. That's correct.</p>	<p style="text-align: right;">Page 101</p> <p>1 flowing through the flowmeter? 2 A. It -- it discusses how to handle a 3 batch at a time. 4 Q. Okay. 5 A. And it suggests that the meter 6 is -- is off in between batches. 7 Q. So if you look at the second page 8 of the document, which is the page that says, 9 "Slug Flow Inhibit Setup Instructions"? 10 A. Okay. 11 Q. Okay. Under "Scope," you'll see 12 there's a reference to batching tanks. 13 Do you see that? 14 A. Okay. 15 Q. You see that it says that; right? 16 A. I see that it says that, yes. 17 Q. Okay. And it says that the slug 18 flow inhibit board is intended for use in systems 19 susceptible to slug flow and on loading/unloading 20 applications. 21 Do you see that? 22 A. I do. 23 Q. Okay. And loading/unloading 24 applications would include separate batches 25 flowing through the flow tube; right?</p>

1 A. Right. So subject to my prior
2 comments, that's true.

3 Q. Okay. And in this slug flow
4 manual, it describes, under 2.0, principles of
5 operation, you'll see there's a second paragraph
6 there?

7 A. Okay.

8 Q. Okay. And there it's describing
9 operation without the slug flow supplement where
10 it says, "In some instances, when the flowmeter
11 is filled with fluid from an initially empty
12 state, the vibrating U-tubes become unbalanced,
13 causing the flow rate indication to jump
14 excessively high."

15 You see that?

16 A. I see that.

17 Q. Okay. So with the model D by
18 itself, during the transition from empty to full,
19 vibration of the U-tubes may become unbalanced;
20 agree?

21 A. Well, I think that condition
22 occurs with the model D whether or not the slug
23 flow board is there, but -- but, yes, generally.

24 Q. So with or without the slug flow
25 add-on, during the transition from empty to full,

1 minimize errors associated with this occurrence,
2 the board also monitors the signal-out voltage.
3 If the signal-out voltage exceeds 3.5 volts, the
4 board will inhibit the output of flow pulses.

5 And that's because the full-scale calibration of
6 the meter corresponds to a voltage of 3.1 volts.

7 So really we're monitoring the
8 voltage of the output board which presumably is
9 high because, you know, the oscillation is
10 unbalanced, at best, and lost all together, at
11 worst.

12 Q. Okay. You'll see what it does in
13 the first paragraph under principles of operation
14 it says it monitors the density of the fluid in
15 the sensor tube.

16 You see that?

17 A. I see that.

18 Q. Okay. And so it monitors the
19 density by monitoring the vibration of the flow
20 tube; right?

21 A. So then it goes on to say,
22 typically, fluid densities will range between .5
23 specific gravity and 3.0 specific gravity.
24 However, gas densities are much less than .5
25 specific gravity. Therefore, the board is

1 the vibrating U-tubes may become unbalanced?

2 A. Right. So what we would have
3 there is a situation within an uncontrolled
4 vibration that's -- that could potentially be
5 subject to stall, so . . .

6 Q. Okay. And so what the slug flow
7 inhibit board does is, when it detects such a
8 condition, for example, during transitions from
9 empty to full and full to empty, it will inhibit
10 the output of pulses; right?

11 A. Right. So the -- the model D has
12 essentially lost oscillation and so the slug flow
13 board sees that it's producing a mass flow rate
14 that's excessively high, you know, beyond the
15 capability of the meter, and it will kick in and
16 inhibit the -- the measurement output or --
17 right.

18 Q. And it detects that the readings
19 are excessively high by monitoring the vibration
20 of the flow tubes; right?

21 A. Well, in the paragraph you were
22 just in it says, to minimize errors -- well,
23 starting back a sentence further, it talks about
24 the U-tubes becoming unbalanced causing the flow
25 rate indication to jump excessively high. To

1 normally set up to inhibit the output of flow
2 pulses when the fluid density is less than .5
3 standard gravity.

4 So this sounds to me like it's a
5 transition from full to empty. And it's being --
6 sounds like it's being very proactive, and if the
7 specific gravity drops below .5, it inhibits the
8 pulses so that there's no output of the meter
9 because, you know, the tough part of the
10 transition is coming up.

11 Where the next paragraph sounds
12 like it's -- it characterizes starting from an
13 initially empty state and the tubes become
14 unbalanced, so this is the part where I was
15 talking about before where it's monitoring the
16 signal-out voltage and it would correspond an
17 empty to full transition.

18 Q. Okay. So the slug flow inhibit
19 board monitors the density of the fluid by
20 monitoring the vibration of the flow tube; right?

21 A. That's true, subject to the -- my
22 previous qual -- qualifications.

23 Q. The density is a function of the
24 frequency of the oscillation of the flow tube?

25 A. True, prior to my previous

<p style="text-align: right;">Page 106</p> <p>1 qualifications.</p> <p>2 Q. So what the slug flow inhibit</p> <p>3 board does is, if the density that is detected by</p> <p>4 monitoring the vibration of the flow tubes falls</p> <p>5 below .5 specific gravity, it will inhibit the</p> <p>6 output of pulses?</p> <p>7 A. Right. So again, since the fluids</p> <p>8 can have specific gravities that are higher, say,</p> <p>9 between .5 and 3.0, then it knows that if it</p> <p>10 drops below .5 we're going from a full to empty</p> <p>11 transition and it would inhibit the pulses.</p> <p>12 Q. Likewise, if the flow tube were --</p> <p>13 were simply empty, it would inhibit the output of</p> <p>14 pulses; right?</p> <p>15 A. Perhaps. My impression with this</p> <p>16 meter is that you just run a single batch at a</p> <p>17 time.</p> <p>18 Q. Right. My question, though, was</p> <p>19 if the meter were empty, so just filled with gas,</p> <p>20 then the specific gravity would be below .5 and</p> <p>21 the inhibit board would inhibit the output of</p> <p>22 flow pulses?</p> <p>23 A. Perhaps. I don't see where it</p> <p>24 says, but that seems like it could be true.</p> <p>25 Q. If you look at page five of the</p>	<p style="text-align: right;">Page 108</p> <p>1 A. It would be at least -- it would</p> <p>2 be -- it would be one batch.</p> <p>3 Q. It would at least be one batch;</p> <p>4 agree?</p> <p>5 A. I think the system's designed to</p> <p>6 do a batch at a time, when you consider the steps</p> <p>7 one through five that are down below there.</p> <p>8 So . . .</p> <p>9 Q. But unloading --</p> <p>10 MR. COSTAKOS: Strike that.</p> <p>11 Q. But loading/unloading relates to a</p> <p>12 batch; agree?</p> <p>13 A. I would consider one or the other</p> <p>14 to be a batch, and perhaps -- I -- I would</p> <p>15 consider one or the other, either loading or</p> <p>16 unloading, to be a batch.</p> <p>17 Q. Okay. You mentioned before the</p> <p>18 steps one through five. You'll see under step</p> <p>19 five it says, the amount of time required before</p> <p>20 the downstream valve can be fully opened is</p> <p>21 typically less than two minutes.</p> <p>22 Do you see that?</p> <p>23 A. Yes.</p> <p>24 Q. So the transition from empty to</p> <p>25 full is on the order of two minutes or less; is</p>
<p style="text-align: right;">Page 107</p> <p>1 document, beneath the part that says table 2.</p> <p>2 Do you see that?</p> <p>3 A. Uh-huh.</p> <p>4 Q. Okay. You see in the first</p> <p>5 sentence under there it says that new switch</p> <p>6 settings can be calculated if a specific gravity</p> <p>7 inhibit point other than 0.5 SG is desired --</p> <p>8 A. Yes.</p> <p>9 Q. -- end quote.</p> <p>10 Do you see that?</p> <p>11 A. Yes.</p> <p>12 Q. So the inhibit point is adjustable</p> <p>13 by the user?</p> <p>14 A. Yes. So it seems.</p> <p>15 Q. On page two -- excuse me,</p> <p>16 page three of the document, it has a 3 in the</p> <p>17 middle and a 2 over on the right-hand of the</p> <p>18 page. It says, "Loading/Unloading." Okay.</p> <p>19 It says that if the board is going</p> <p>20 to be used in a loading and unloading</p> <p>21 application.</p> <p>22 Do you see that up at the top?</p> <p>23 A. I do.</p> <p>24 Q. That is a batching application;</p> <p>25 right?</p>	<p style="text-align: right;">Page 109</p> <p>1 that fair?</p> <p>2 A. Well, if we -- if we back up to</p> <p>3 step two, we're instructed, close the upstream</p> <p>4 valve. And step three we open the downstream</p> <p>5 valve to allow one quarter or less of the normal</p> <p>6 fluid flow. This will minimize the amount of</p> <p>7 fluid missed at start-up, which is the -- the</p> <p>8 issue at hand that shows that this setup does not</p> <p>9 maintain oscillation because we're missing fluid.</p> <p>10 Then we slowly open -- in step</p> <p>11 four, we slowly open the upstream valve to force</p> <p>12 the air out and slowly fill the meter. This slow</p> <p>13 opening minimizes the shock to the meter and</p> <p>14 reduces recovery time, again suggesting that this</p> <p>15 combination has trouble maintaining oscillation</p> <p>16 through the transition.</p> <p>17 And then it says, in step five,</p> <p>18 once it begins counting in a normal manner,</p> <p>19 slowly open the downstream valve until it's fully</p> <p>20 open.</p> <p>21 So it sounds like the transition</p> <p>22 from empty to full has already occurred at -- at</p> <p>23 step four because we slowly force the air out.</p> <p>24 Q. Okay. So the recommended</p> <p>25 procedure in steps one through five is to slowly</p>

1 fill the flowmeter with fluid?
 2 A. Correct. To minimize the shock
 3 and reduce recovery time.
 4 Q. Okay. So the transition from
 5 empty to full in this recommended procedure will
 6 be done slowly to minimize the shock and reduce
 7 recovery time?
 8 MR. JOHNSON: Objection, form.
 9 A. Can you please repeat the
 10 question.
 11 MR. COSTAKOS: Can you read it
 12 back, please.
 13 (Record read.)
 14 A. Okay. So I think back to the
 15 passage we read that talked about filling the
 16 flow tubes causing an unbalanced vibration
 17 condition, and I think that relates here, because
 18 in step three we're talking about minimizing the
 19 fluid missed on start-up, and then in step four
 20 we're talking about the slow transition from
 21 empty to full to reduce the shock to the meter
 22 and reduce the recovery time because, again, it's
 23 not maintaining oscillation.
 24 And so when we reduce the recovery
 25 time, we're also minimizing the amount of fluid

1 missed on start-up in step three.
 2 Q. So you said before that your view
 3 was that this procedure related to separate
 4 batches?
 5 A. Yes.
 6 Q. Or a batch at a time I think is
 7 the way characterized it; is that fair?
 8 A. Yes.
 9 Q. Okay. And if you look on the
 10 second paragraph on this page, it says, "In
 11 loading/unloading applications, the meter is
 12 typically empty on start-up, a batch is run and
 13 the meter is purged of liquid at the end of the
 14 run."
 15 Do you see that?
 16 A. I do.
 17 Q. Okay. So the notion here is that
 18 this procedure, which is in steps one through
 19 five, would be repeated when a batch is run, and
 20 then the batch would be run and then the meter
 21 would be purged of liquid, and then the procedure
 22 would be run again?
 23 MR. JOHNSON: Objection, form.
 24 A. So I would consider this a single
 25 run, this process that you just read back.

1 Q. Right. My question was that the
 2 way it would work is that you would run this
 3 single run, as you described it, and then, as
 4 described in that sentence that I just read to
 5 you, the meter would be purged of liquid at the
 6 end of the run; correct?
 7 A. Correct.
 8 MR. JOHNSON: Objection, form.
 9 Q. And then the meter would be able
 10 to process another batch; correct?
 11 MR. JOHNSON: Objection, form.
 12 A. Are -- are you asking me if that's
 13 what you're reading from the sentence?
 14 Q. I'm asking you if that's how this
 15 meter would work.
 16 MR. JOHNSON: Objection, form.
 17 Objection, scope.
 18 Q. Let me ask it -- let me ask it
 19 this way: This section here describes loading
 20 and unloading applications; right?
 21 A. Yes.
 22 Q. Okay. And it describes a
 23 procedure which we've just walked through for
 24 processing a single batch; correct?
 25 A. Yes.

1 Q. Okay. And then it says that the
 2 meter is purged of liquid at the end of the run;
 3 correct?
 4 A. The sentence one says that, yes.
 5 Q. Okay. And then the meter could be
 6 used after the meter has been purged of liquid at
 7 the end of the run to process a second batch
 8 using the same procedure; right?
 9 MR. JOHNSON: Objection, form.
 10 Objection, scope.
 11 A. Well, I would like to point your
 12 attention to the paragraph just before figure two
 13 on page 3. It says, just as with slug flow, the
 14 slug flow inhibit board will prevent a portion of
 15 the fluid flow from being counted during loading
 16 or unloading. The amount of fluid not counted
 17 will depend upon the piping arrangement, the
 18 meter location, the fluid properties, the flow
 19 rate and the purging method. However, if the
 20 start-up and purge operation is always performed
 21 in the same manner, the amount of fluid not
 22 measured by the flowmeter can be characterized.
 23 And then on section 3.2.1 below
 24 figure two it says, calculating the
 25 loading/unloading correction factor. Step one,

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.