

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

MICRO MOTION, INC.,

Petitioner,

-vs-

Case Nos. IPR2014-00167

IPR2014-00170

IPR2014-00178

INVENSYS SYSTEMS, INC.,

IPR2014-00179

Patent Owner. Volume II

Video Examination of MICHAEL D. SIDMAN,
Ph.D., taken at the instance of the Patent Owner, under
and pursuant to 35 USC 316(a)(5)(A) and 37 C.F.R.
42.53(d), before JENNIFER L. SCHMALING, a Registered
Merit Reporter, Certified Realtime Reporter, Certified
Broadcast Captioner and Notary Public in and for the
State of Wisconsin, at Foley & Lardner, 777 East
Wisconsin Avenue, Milwaukee, Wisconsin, on
August 7, 2014, commencing at 9:07 a.m. and concluding
at 4:25 p.m.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A P P E A R A N C E S

FOLEY & LARDNER, by
 MR. JEFFREY N. COSTAKOS,
 MS. KADIE M. JELENCHICK,
 777 East Wisconsin Avenue,
 Milwaukee, Wisconsin 53202,
 appeared on behalf of the Petitioner.

DLA PIPER LLP, by
 MR. JAMES M. HEINTZ,
 11911 Freedom Drive, Suite 300,
 Reston, Virginia 20190-5602,
 appeared on behalf of the Patent Owner.

DLA PIPER LLP, by
 MR. JEFFREY L. JOHNSON,
 1000 Louisiana, Suite 2800,
 Houston, Texas 77002,
 appeared on behalf of the Patent Owner.

DLA PIPER LLP, by
 MR. EDWARD H. SIKORSKI,
 401 B Street, Suite 1700 ,
 San Diego, California 92101-4297,
 appeared on behalf of the Patent Owner.

A L S O P R E S E N T

Mr. John Sponholtz, CLVS, Videographer.

* * * * *

I N D E X

Examination:	Page
By Mr. Heintz.....	5

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

* * * * *

I N D E X

Exhibit Identified:	Page
No. 2029 - Declaration Of Dr. Michael Sidman In Connection With IPR2014-00170 Which Concerns U.S. Patent 6,311,136.....	24
No. 2030 - U.S. Patent No. 6,311,136.....	24

* * * * *

Disposition Of Original Exhibits:
Returned To DLA Piper LLP

* * * * *

09:07:11 1 TRANSCRIPT OF PROCEEDINGS

09:07:11 2 THE VIDEOGRAPHER: We are officially on

09:07:14 3 the record at 9:07 a.m. Today's date is

09:07:18 4 August 7th, 2014. This is Disk No. 1, Volume II in

09:07:23 5 the deposition of Dr. Michael Sidman.

09:07:26 6 This deposition is being taken in the

09:07:27 7 matter Micro Motion, Incorporated, Petitioner,

09:07:31 8 versus Patent Owner Invensys Systems, Incorporated.

09:07:35 9 This matter is pending before the Patent Trial and

09:07:38 10 Appeal Board, United States Patent and Trademark

09:07:41 11 Office, Cases No. IPR2014-169, 2014-170, 2014-178

09:07:51 12 and 2014-179. This deposition is being taken at

09:07:57 13 the offices of Foley & Lardner located at 777 East

09:08:00 14 Wisconsin Avenue, Milwaukee, Wisconsin.

09:08:03 15 My name is John Spohnholtz, videographer

09:08:05 16 for U.S. Legal, and the court reporter is Jennifer

09:08:08 17 Schmaling. Will counsel please state their

09:08:11 18 appearances and whom they represent, beginning with

09:08:13 19 petitioner's counsel. Then the reporter will swear

09:08:16 20 in the witness.

09:08:16 21 MR. COSTAKOS: Jeff Costakos, Foley &

09:08:19 22 Lardner, for Petitioner, Micro Motion, and for the

09:08:21 23 witness.

09:08:21 24 MR. HEINTZ: James Heinz from DLA Piper

09:08:24 25 for Patent Owner, Invensys Systems.

09:08:28 1 MR. SIKORSKI: Edward Sikorski of DLA
09:08:31 2 Piper, also for Invensys.

09:08:32 3 MR. JOHNSON: And Jeffrey Johnson, DLA
09:08:34 4 Piper, also for Invensys Systems.

5 MICHAEL D. SIDMAN, Ph.D., called as a
6 witness herein, having been first duly sworn on
7 oath, was examined and testified as follows:

09:08:43 8 EXAMINATION

09:08:43 9 BY MR. HEINTZ:

09:08:44 10 Q Good morning again, Dr. Sidman.

09:08:46 11 A Good morning.

09:08:47 12 Q Sir, have you had any substantive conversations
09:08:49 13 since we left the room yesterday about the
09:08:50 14 substance of this deposition?

09:08:52 15 A No.

09:08:52 16 Q Have you overheard any conversations about the
09:08:55 17 substance of this deposition?

09:08:56 18 A No.

09:08:56 19 Q Okay. Sir, I'm going to ask you to turn to your
09:09:00 20 expert report which has been marked as Exhibit 2023
09:09:03 21 previously and in particular to turn to paragraphs
09:09:07 22 122 and 123.

09:09:23 23 MR. COSTAKOS: Did you say "122"?

09:09:25 24 MR. HEINTZ: I did, yes.

09:09:40 25 BY MR. HEINTZ:

09:09:40 1 Q Okay. Sir, would you agree that what you describe
09:09:42 2 in paragraphs 122 and 123 is a synthesis mode for
09:09:47 3 generating the drive signals?

09:09:53 4 MR. COSTAKOS: Objection. Form.

09:10:25 5 THE WITNESS: So you haven't given me a
09:10:27 6 copy of Miller yet.

09:10:28 7 BY MR. HEINTZ:

09:10:28 8 Q You should have it from yesterday.

09:10:29 9 MR. COSTAKOS: I think it's in there.

09:10:30 10 THE WITNESS: It's some place.

09:10:31 11 BY MR. HEINTZ:

09:10:33 12 Q 2028, and they're in order, so it should be --

09:10:34 13 A I probably took it out of order. Okay. Got it. I
09:10:45 14 think that's a fair characterization.

09:10:48 15 Q Okay. Isn't it true that the only difference
09:10:53 16 between the wide band frequency sweep and the
09:10:56 17 narrow band -- sorry, the narrow frequency band
09:10:59 18 sweep referred to in paragraph 122 is the band of
09:11:03 19 frequencies used when driving the flowtube? Sir,
09:13:34 20 may I ask you to read into the record the document
09:13:35 21 you're looking at right now?

09:13:36 22 MR. COSTAKOS: Read into the record?

09:13:38 23 MR. HEINTZ: Yeah.

09:13:38 24 MR. COSTAKOS: You mean the name of it?

25 BY MR. HEINTZ:

09:13:39 1 Q The exhibit number, the document you're looking at
09:13:42 2 right now.

09:13:45 3 A 2024.

09:13:46 4 Q Thank you.

09:14:33 5 A Okay. Could I have that question one more time,
09:14:35 6 please?

09:14:55 7 (Following question read.)

8 Q Isn't it true that the only
9 difference between the wide band
10 frequency sweep and the narrow
11 band -- sorry, the narrow frequency
12 band sweep referred to in paragraph
13 122 is the band of frequencies used
09:14:56 14 when driving the flowtube?

09:14:56 15 THE WITNESS: Well, yes and no. No
09:15:04 16 because the computer, or the microprocessor,
09:15:12 17 chooses either a wide band or a narrow band sweep
09:15:20 18 depending on the situation it perceives in the
09:15:24 19 flowtube. So the signal is computed two different
09:15:30 20 ways depending on the mode that the computer
09:15:36 21 employs to perform this search or scan or sweep.
09:15:46 22 Yes because the -- the bands are both frequency
09:15:55 23 bands. One is extraordinarily narrow, and one is
09:16:00 24 extraordinarily respectively wide.

09:16:06 25 BY MR. HEINTZ:

09:16:07 1 Q Is that it?

09:16:08 2 A That's it.

09:16:08 3 Q Okay. Sir, when I asked you about what you
09:16:12 4 described in paragraph 122 of your expert report,
09:16:15 5 why did you find it necessary to look at Exhibit --
09:16:27 6 Exhibit 2024, the Board's institution decision for
09:16:31 7 the '854 patent?

09:16:33 8 MR. COSTAKOS: Just for the record, I
09:16:34 9 think you said "paragraphs 122 and 123."

09:16:37 10 BY MR. HEINTZ:

09:16:38 11 Q Okay. Paragraphs 122 and 123. What prompted you
09:16:41 12 to turn to the Board's institution decision to
09:16:43 13 answer that question?

09:16:45 14 A Just to refresh myself about the -- the issues that
09:16:56 15 may be controversial with respect to that to what I
09:17:02 16 stated in those paragraphs so I could get -- focus
09:17:06 17 my answer more directly to what I thought you might
09:17:10 18 be trying to get at.

09:17:13 19 Q Does the fact that the issue might be controversial
09:17:17 20 change your answer?

09:17:20 21 A No.

09:17:22 22 Q All right. Now, in response to the question about
09:17:25 23 what the difference was between the wide band
09:17:28 24 frequency sweep and the narrow band frequency
09:17:34 25 sweep, you gave me a "yes" and a "no" answer. And

09:17:37 1 the "no" part had to do, I think, with what the --
09:17:40 2 how the computer decided which method of generating
09:17:43 3 the drive signal to use; is that correct?

09:17:46 4 A That's part of it.

09:17:47 5 Q Okay. What's the other part?

09:17:48 6 A The other part is it synthesizes an excitation
09:17:55 7 waveform in two different ways.

09:17:57 8 Q All right. And isn't it true that the only
09:17:59 9 difference in the ways in which the drive signal is
09:18:03 10 synthesized has to do with the frequency bands that
09:18:06 11 are used?

09:18:12 12 MR. COSTAKOS: Objection. Form.

09:21:01 13 THE WITNESS: There's a lot going on
09:21:02 14 within the computer to perform this whole process.
09:21:10 15 Initially, the computer, or the processor, sweeps
09:21:20 16 over a very wide range of frequencies. While it's
09:21:26 17 doing so, it's trying to determine the magnitude of
09:21:32 18 the displacements in the vibrating tube using the
09:21:36 19 strange gate -- strain gauge sensors. It then
09:21:44 20 needs to make a determination as to how it
09:21:57 21 narrows -- it subsequently narrows the search band,
09:22:04 22 for example, the rate at which the band is
09:22:09 23 narrowed. I'm looking here in the '947 patent,
09:22:12 24 column 13, line 12 or so. Then it decides what
09:22:26 25 band to sweep, and it sweeps a very narrow band

09:22:32 1 thereafter. It then has to track changes in the
09:22:46 2 resonant frequency of the vibrating tube, so it's
09:23:02 3 not just a matter of switching between a wide
09:23:05 4 frequency band and a narrow frequency band.

09:23:55 5 BY MR. HEINTZ:

09:23:55 6 Q Okay. Sir, in your answer, you referred to the
09:23:58 7 rate at which the band is narrowed that appears in
09:24:03 8 the sentence starting at line 12 of column 13. Do
09:24:09 9 you recall that?

09:24:09 10 A Yes.

09:24:11 11 Q Okay. Can you explain what the Miller patent is
09:24:17 12 talking about when it -- when it uses the term --
09:24:21 13 I'm sorry, the phrase "the rate at which the band
09:24:23 14 is narrowed"?

09:24:35 15 A Well, it says it's a matter of design choice to
09:24:37 16 determine the rate at which the band is narrowed,
09:24:47 17 meaning how quickly the range of scanned
09:24:57 18 frequencies can be narrowed down to ultimately a 20
09:25:05 19 hertz band covering approximately 10 hertz on each
09:25:09 20 side of the fundamental frequency of the system.

09:25:14 21 Q Okay. And so when we talk about the 20 hertz band,
09:25:19 22 is that the wide band frequency sweep or the narrow
09:25:27 23 frequency band sweep that you're referring to in
09:25:31 24 paragraph 122 of your Declaration?

09:25:33 25 A That's a very narrow band that the computer

09:25:39 1 ultimately, hopefully, arrives at.

09:25:45 2 Q So let me ask you to explain a little more about
09:25:49 3 how this works. So in paragraph 122 of your
09:25:51 4 Declaration, you state, "Miller discloses" -- I'm
09:25:55 5 sorry. Do you have it?

09:25:55 6 A Yeah. Go ahead.

09:25:57 7 Q You say, "Miller discloses two modes of drive
09:25:59 8 signal generation driving the flowtube oscillation
09:26:02 9 with a wide band frequency sweep, including a range
09:26:06 10 of frequencies representing empty flowtube to full
09:26:10 11 flowtube, when the frequency -- sorry, when the
09:26:13 12 resonant frequency is unknown." Do you see that?

09:26:17 13 A I do.

09:26:17 14 Q Okay. So does that mean that the flowtube is
09:26:20 15 driven at different frequencies throughout this
09:26:24 16 wide frequency band sweep?

09:26:28 17 MR. COSTAKOS: Objection. Form.

09:26:30 18 THE WITNESS: Well, during the sweep, the
09:26:32 19 frequency is constantly changing.

09:26:39 20 BY MR. HEINTZ:

09:26:39 21 Q And is there a rate associated with that change of
09:26:42 22 frequency?

09:26:43 23 A Sure.

09:26:44 24 Q Okay. What's the start frequency of this wide band
09:26:54 25 frequency sweep?

09:27:09 1 A Miller discloses in his '947 patent that the
09:27:15 2 initial or wide sweep band spans the range of 2700
09:27:27 3 hertz for liquid water to 4500 hertz for an
09:27:32 4 evacuated tube. And that's referencing column 12,
09:27:37 5 line 55 and thereafter.

09:27:43 6 Q Okay. And so would it be fair to call the starting
09:27:50 7 frequency a parameter?

09:27:55 8 MR. COSTAKOS: Objection to form.

09:28:57 9 THE WITNESS: Well, I imagine that's one
09:28:58 10 way to characterize it. However, I don't believe
09:29:01 11 Miller uses the term "parameter" in the '947 patent
09:29:07 12 unless you know something I don't.

09:29:10 13 BY MR. HEINTZ:

09:29:10 14 Q Okay.

09:29:11 15 A I don't remember seeing that term when reviewing
09:29:14 16 the patent.

09:29:15 17 Q Nonetheless, it would be a correct term to use to
09:29:18 18 characterize that quantity, right --

09:29:19 19 A Well --

09:29:20 20 Q -- starting frequency?

09:29:22 21 A Well, that's -- that's your characterization.
09:29:23 22 That's not Miller's characterization.

09:29:25 23 Q I'm asking you if the characterization is correct.
09:29:29 24 Is it improper to you to use that characterization?

09:29:31 25 A It depends on how it's conducted --

09:29:37 1 Q All I'm asking --

09:29:38 2 A -- in the computer program.

09:29:39 3 Q All I'm asking you for is whether the start

09:29:42 4 frequency of this wide band sweep is a parameter.

09:29:47 5 A I think it -- Depending on how the computer may be

09:29:51 6 programmed, you could -- it could or -- or it

09:29:57 7 couldn't be a parameter.

09:29:59 8 Q Why can't it --

09:30:01 9 A So --

09:30:02 10 Q Sorry.

09:30:02 11 A That's okay. So, for example, if the initial

09:30:07 12 frequency that you cited, 2700 hertz, is hard coded

09:30:17 13 into the program, I don't think that would be a

09:30:23 14 parameter. If it was something that perhaps

09:30:28 15 resided in a table that the program accessed, I

09:30:36 16 suppose you could think of it as a parameter.

09:30:44 17 However, that's reading in between the lines.

09:30:50 18 That's not what Miller discloses. He discloses

09:30:54 19 wide band, an initial search, or I think of it as

09:31:01 20 an investigation and then a narrow band and then --

09:31:09 21 and then evolving into a very narrow band which is

09:31:16 22 more of a tracking situation that covers very small

09:31:30 23 changes in density.

09:31:31 24 Q Okay. So would it be also correct with the same

09:31:36 25 caveats you've just applied to characterize the end

09:31:42 1 frequency of the wide band sweep as a parameter?

09:31:47 2 MR. COSTAKOS: Objection. Form.

09:31:54 3 THE WITNESS: I would answer the -- that
09:31:56 4 question in a similar way.

09:31:57 5 BY MR. HEINTZ:

09:31:58 6 Q Okay. And what about the rate at which the sweep
09:32:00 7 is conducted? Is that also a parameter?

09:32:08 8 MR. COSTAKOS: Objection to form.

09:32:11 9 THE WITNESS: Miller didn't disclose that
09:32:12 10 as being a parameter either. None of those are
09:32:16 11 described as being parameters.

09:32:18 12 BY MR. HEINTZ:

09:32:18 13 Q Would it be incorrect to characterize it as a
09:32:20 14 parameter?

09:32:22 15 MR. COSTAKOS: Objection to form.

09:32:36 16 THE WITNESS: To characterize any of
09:32:37 17 those three things we just described, beginning
09:32:45 18 frequency, ending frequency and the rate of sweep,
09:32:50 19 I think reads an embodiment into the '947 that
09:32:59 20 Miller doesn't disclose. And I think it narrows
09:33:06 21 the scope of Miller's disclosure, and I don't see
09:33:19 22 any basis for doing that in the '947 patent.

09:33:21 23 BY MR. HEINTZ:

09:33:21 24 Q And that's because it's your belief that if they
09:33:24 25 have a software function that performs this sweep,

09:33:26 1 I've got to pass the value in for it to be a
09:33:28 2 parameter as opposed to that value being encoded in
09:33:32 3 the code of the routine that does it; is that
09:33:35 4 right?

09:33:35 5 MR. COSTAKOS: Objection to form.

09:33:36 6 THE WITNESS: That's not an issue that I
09:33:38 7 considered, so I'm giving you an off-the-cuff
09:33:44 8 answer to that question.

09:33:45 9 BY MR. HEINTZ:

09:33:45 10 Q Um-hum. Wasn't the answer to my question "yes" or
09:33:49 11 "no"?

09:33:53 12 A To which question?

09:33:55 13 Q The question about the reason you're saying that
09:34:01 14 these values, for instance, the start sweep
09:34:05 15 frequency value and the end sweep frequency value,
09:34:08 16 are not parameters. It's because you think that
09:34:11 17 unless I pass those parameters into the routine
09:34:15 18 that -- that performs that sweep function, it's not
09:34:18 19 a parameter. In other words, if I have hard coded
09:34:23 20 into the software or the hardware those values,
09:34:26 21 then they're not parameters; is that correct?

09:34:31 22 MR. COSTAKOS: Objection to form.

09:34:33 23 THE WITNESS: It -- you're -- I think
09:34:35 24 that's part of it.

09:34:37 25 BY MR. HEINTZ:

09:34:38 1 Q Okay. What other part of it is there?

09:34:46 2 A Well, certainly, the rate at which a sweep occurs,
09:34:56 3 if you wanted to characterize that as a parameter,
09:35:00 4 that assumes a particular way that the sweep is
09:35:07 5 generated. For example, is it generated in a
09:35:15 6 linearly-increasing frequency or logarithmic or
09:35:21 7 something else? So a simple parameter like,
09:35:26 8 quote-unquote, "the rate at which the sweep may be
09:35:30 9 performed," that's just one aspect or could be one
09:35:37 10 aspect of the way that wide band sweep is
09:35:42 11 conducted.

09:35:45 12 Q I'm sorry. Anything else?

09:36:02 13 A Well, I'm sure there are, but why don't you ask
09:36:05 14 more questions.

09:36:05 15 Q Sure.

09:36:06 16 A And maybe it'll elicit something from me that might
09:36:09 17 pertain to that.

09:36:10 18 Q Does Miller disclose whether the rate at which the
09:36:14 19 wide band sweep occurs is logarithmic or linear?

09:36:18 20 A He does not --

09:36:20 21 Q Okay.

09:36:20 22 A -- specify that or disclose that, and I think that
09:36:29 23 would -- He's not trying to narrow his disclosure
09:36:33 24 to a specific rate at which the sweep might occur,
09:36:43 25 how the sweep is incremented. He's keeping it

09:36:53 1 general.

09:36:54 2 Q All right. Now let's talk about the narrow band
09:36:56 3 sweep. There's a start frequency for that,
09:36:58 4 correct?

09:36:58 5 A Well, it's not just the narrow band sweep.

09:37:03 6 Q Okay. Well, let me focus my question then. I'm
09:37:11 7 talking about paragraph 122 of your Declaration
09:37:14 8 where you say, "Miller discloses two modes of drive
09:37:18 9 signal generation." Now, the first is the wide
09:37:21 10 band frequency sweep. And then you say, and this
09:37:25 11 is the last three lines of paragraph 122 of your
09:37:29 12 Declaration, "And driving the flowtube oscillation
09:37:32 13 using a narrow band" -- sorry, "a narrow frequency
09:37:35 14 band around the determined resonant frequency once
09:37:39 15 the resonant frequency is determined." Yes, I'm
09:37:42 16 talking about that mode that you're referring to as
09:37:46 17 the narrow frequency band, I think sweep, all
09:37:51 18 right, but you tell me. I'm talking about that
09:37:55 19 mode now. Is there a start frequency associated
09:38:00 20 with the narrow frequency band with which that --
09:38:05 21 the flowtube is driven in that second mode?

09:38:16 22 A The 20 hertz band is constantly being changed and
09:38:23 23 adjusted natural -- as the fundamental frequency,
09:38:27 24 i.e., the density of the fluid flowing through the
09:38:30 25 tubes, changes. I'm paraphrasing the last sentence

09:38:36 1 in column 13 that spans lines 19 through 20,
09:38:47 2 approximately.

09:38:47 3 Q I understand.

09:38:47 4 A So --

09:38:48 5 Q Sorry.

09:38:49 6 A The computer is dynamically changing the range.

09:38:54 7 Q It's changing the range or -- Oh, okay. In other
09:38:58 8 words, the range about the -- It's not changing the
09:39:00 9 range of the sweep. It's changing the center
09:39:02 10 point, if you will, of the sweep in response to
09:39:04 11 changes in resonant frequency; is that correct?

09:39:07 12 MR. COSTAKOS: Objection to form.

09:39:15 13 THE WITNESS: Well, Miller talks in the
09:39:17 14 previous sentence about -- And I'll paraphrase that
09:39:25 15 sentence also. The band can be narrowed almost
09:39:28 16 immediately to a 20 hertz band covering
09:39:30 17 approximately 10 hertz on each side of the
09:39:34 18 fundamental frequency of the system. That's
09:39:37 19 beginning at -- in column 13, lines 16 or so to 18.

09:39:45 20 BY MR. HEINTZ:

09:39:46 21 Q Right. So what I'm asking is, there's a resonant
09:39:48 22 frequency which may change. But at any one time,
09:39:52 23 whatever the resonant's frequency is, there's going
09:39:55 24 to be a band about that frequency that's 20
09:39:57 25 hertz -- I'm sorry, 20 hertz or kilohertz -- 20

09:40:01 1 hertz wide, 10 hertz on either side, as the
09:40:05 2 resonant frequency at the moment, correct?

09:40:07 3 MR. COSTAKOS: Objection to form.

09:40:11 4 THE WITNESS: In his preferred embodiment
09:40:14 5 that he's discussing here, that's what he suggests.

09:40:20 6 BY MR. HEINTZ:

09:40:20 7 Q And that's what --

09:40:21 8 A I wouldn't characterize the beginning or ending
09:40:26 9 frequency or the center frequency as being a
09:40:34 10 parameter. It's something that's a band that's
09:40:37 11 dynamically changing in response to changes in
09:40:43 12 density of the fluid flowing through the tubes. He
09:40:47 13 says -- I'm just going to read, reiterate this,
09:40:51 14 "This 20 hertz band is, therefore, constantly
09:40:54 15 changed and adjusted," unquote.

09:41:00 16 Q Okay. And in paragraphs 122 and 123 of your
09:41:05 17 Declaration, you are talking about the example in
09:41:08 18 Miller that we're discussing now; is that correct?

09:41:10 19 A Yes. I'm discussing an initial wide band mode
09:41:40 20 which evolves ultimately to this very narrow band
09:41:45 21 or -- I would -- tracking mode.

09:41:48 22 Q Okay. And when we're talking about generating the
09:41:51 23 drive signal in the narrow band mode, isn't it
09:41:57 24 correct that the signal is going to be generated or
09:42:00 25 swept across a range of frequencies 20 hertz wide?

09:42:12 1 A Well, of course the claims don't specify that
09:42:16 2 range. But in his preferred embodiment, that's
09:42:19 3 what Miller suggests might be a reasonable span of
09:42:27 4 frequencies.

09:42:28 5 Q And does Miller specify the rate at which that
09:42:32 6 sweep occurs?

09:42:37 7 A In the narrow band --

09:42:38 8 Q Yes.

09:42:38 9 A In the narrow tracking band mode --

09:42:41 10 Q Correct.

09:42:42 11 A -- of operation he doesn't, but I think somebody
09:42:49 12 who is skilled in the art would not likely be
09:42:58 13 sweeping on a logarithmic frequency basis when the
09:43:01 14 band is at narrow, whereas in the initial
09:43:06 15 investigation or wide band mode of operation, it
09:43:09 16 would make sense to use a logarithmic band sweep, a
09:43:20 17 logarithmic rate of change.

09:43:22 18 Q But Miller doesn't disclose that, does he?

09:43:24 19 A He -- he leaves that to -- He doesn't narrow his
09:43:34 20 disclosure in that way. He leaves it general.

09:43:39 21 Q In other words, he doesn't disclose the rates in
09:43:41 22 either case, the rate at which it's done or the --
09:43:45 23 whether it's logarithmic or linear?

09:43:52 24 MR. COSTAKOS: Objection. Form.

09:43:56 25 THE WITNESS: The only rate that he

09:43:57 1 discusses is in column 13, the sentence beginning
09:44:09 2 at line 12 where he talks about the rate at which
09:44:13 3 the band is narrowed. That's different from what
09:44:16 4 we were just talking about which is the rate at
09:44:19 5 which a sweep may occur and how that sweep may
09:44:25 6 progress.

09:44:26 7 BY MR. HEINTZ:

09:44:27 8 Q Okay. Sir, I'll ask you to turn in the Miller
09:44:40 9 patent, which is Exhibit 2028, to the page on which
09:44:49 10 Figures 4 and 5 are shown.

09:44:59 11 A I'm there.

09:44:59 12 Q All right. Sir, isn't it true that what's shown in
09:45:04 13 Figure 4 is an alternative to what's shown in
09:45:07 14 Figure 5?

09:46:22 15 A I'm looking at the bottom of column 3 under Brief
09:46:25 16 Description of the Drawings. The last two
09:46:28 17 sentences read, "Figure 4 is a logic diagram of the
09:46:33 18 control circuit of the steam quality meter of the
09:46:36 19 present invention, and Figure 5 is a logic diagram
09:46:41 20 of an alternative control circuit for the steam
09:46:45 21 quality meter of the present invention." So it's
09:46:49 22 an alternative control circuit.

09:46:52 23 Q That means that if you have a -- a device built
09:46:56 24 according to Miller's disclosure, you're going to
09:47:00 25 use either the circuit in Figure 4 or the circuit

09:47:02 1 in Figure 5 in your device but not both of them; is
09:47:05 2 that correct?

09:47:05 3 MR. COSTAKOS: Objection to form.

09:47:16 4 THE WITNESS: Could I hear that question
09:47:18 5 again, please?

09:47:29 6 (Following question read.)

7 Q That means that if you have a -- a
8 device built according to Miller's
9 disclosure, you're going to use
10 either the circuit in Figure 4 or
11 the circuit in Figure 5 in your
12 device but not both of them; is that
09:47:34 13 correct?

09:47:34 14 THE WITNESS: Well, I don't agree with
09:47:36 15 the premise of your question which is that you have
09:47:39 16 to pick one or the other. They're just
09:47:44 17 illustrative of the claimed art.

09:47:50 18 BY MR. HEINTZ:

09:47:50 19 Q So you believe then that it's possible to have a
09:47:52 20 device with both circuits, the circuit of Figure 4
09:47:56 21 and the circuit of Figure 5?

09:47:57 22 A Well, the alternative isn't necessarily either one.
09:48:02 23 It could be something that accomplishes the same
09:48:09 24 objective without building it exactly the same way
09:48:21 25 as either one of those --

09:48:22 1 Q Okay.

09:48:23 2 A -- suggested embodiments.

09:48:25 3 Q So if you built a device according to Miller's
09:48:28 4 disclosure, you're going to have the circuit of
09:48:31 5 Figure 4, the circuit of Figure 5 or some
09:48:33 6 alternative circuit but not both the circuit of
09:48:37 7 Figure 4 and the circuit of Figure 5 in the same
09:48:39 8 device, correct?

09:48:40 9 MR. COSTAKOS: Objection to form.

09:48:42 10 THE WITNESS: Well, unless you are -- It
09:48:44 11 would seem to me unless you are interested in some
09:48:47 12 sort of redundant control system, it's unlikely
09:48:53 13 that you would have more than one implementation of
09:48:59 14 whatever it is in your product or system.

09:49:04 15 BY MR. HEINTZ:

09:49:04 16 Q Okay. And even if you did have a redundant control
09:49:07 17 system, you would use -- you wouldn't use those two
09:49:11 18 circuits at the same time, correct?

09:49:16 19 A Well, you might.

09:49:18 20 Q So you can have a device for which you use the
09:49:22 21 circuit of Figure 4 and the circuit of Figure 5 at
09:49:25 22 the same time?

09:49:25 23 A They could both be potentially operating, ready to
09:49:30 24 switch from one to the other, I suppose.

09:49:32 25 Q But only one would drive. Only one drive signal

09:49:39 1 from one of those circuits would be used at a time,
09:49:41 2 correct?

09:49:43 3 A I think that's quite fair, yes. I hadn't
09:49:48 4 considered a redundant circuit scenario until you
09:49:52 5 mentioned it.

09:49:57 6 Q Okay. I'm going to ask the reporter to mark as
09:50:20 7 Exhibit 2029 the Declaration of Dr. Michael Sidman
09:50:26 8 in connection with IPR2014-00170 which concerns
09:50:37 9 U.S. Patent 6,311,136.

09:50:50 10 (Exhibit No. 2029 was marked.)

09:50:52 11 THE WITNESS: Thank you.

09:51:31 12 MR. HEINTZ: And I'll ask the reporter to
09:51:32 13 mark as Exhibit 2030 what's been previously marked
09:51:34 14 as Micro Motion 1001 which is a copy of U.S. Patent
09:51:40 15 No. 6,311,136.

09:51:56 16 (Exhibit No. 2030 was marked.)

09:52:32 17 MR. COSTAKOS: I don't really want a copy
09:52:34 18 of it, but did you give me a copy of the '136
09:52:38 19 patent?

09:52:38 20 MR. HEINTZ: I didn't. I'll give this
09:52:40 21 opportunity to lighten my -- I did not. Here you
09:52:44 22 go, sir.

09:52:44 23 MR. COSTAKOS: Thank you.

09:52:53 24 MR. HEINTZ: Makes it that much easier
09:52:54 25 for me.

09:53:13 1 BY MR. HEINTZ:

09:53:13 2 Q All right.

09:53:14 3 A Just give me a moment, kindly.

09:53:16 4 Q Okay.

09:54:38 5 A Okay.

09:54:39 6 Q All right. Let's start with Exhibit 2029. Do you

09:54:41 7 recognize that document?

09:54:50 8 A That's my Declaration related to the '136 IPR.

09:54:59 9 Q And on page 101 of that document, that's your

09:55:02 10 signature, last page?

09:55:04 11 A It is.

09:55:05 12 Q All right. Did you prepare Exhibit 2029 yourself?

09:55:15 13 A My Declaration was part of a collaborative effort,

09:55:22 14 and the Foley law firm assisted me in that regard.

09:55:26 15 Q Are there particular parts that you wrote?

09:55:28 16 A Yes.

09:55:29 17 MR. COSTAKOS: Objection. Form.

09:55:30 18 BY MR. HEINTZ:

09:55:30 19 Q Which parts are they?

09:55:32 20 MR. COSTAKOS: Object to form.

09:56:24 21 THE WITNESS: All the parts other than

09:56:25 22 the front page and the claim charts that are

09:56:31 23 embedded inside, even though I assisted the Foley

09:56:36 24 law firm in drafting it.

09:56:48 25 BY MR. HEINTZ:

09:56:48 1 Q Does that complete your answer, or are you still
09:56:51 2 looking?
09:56:52 3 A Just --
09:56:52 4 Q Okay.
09:57:00 5 A That's it. I think they supplied the language for
09:57:10 6 paragraph 223.
09:57:19 7 Q I see. Anything else?
09:57:52 8 A That's all I can recall.
09:57:54 9 Q What was written first, the claim charts or your
09:57:58 10 opinions -- I'm sorry, the opinions expressed in
09:58:04 11 your Declaration of Exhibit 2029?
09:58:07 12 MR. COSTAKOS: Objection to form.
09:58:28 13 THE WITNESS: The claim contentions were
09:58:33 14 initially drafted and submitted prior to my work on
09:58:39 15 these IPRs as part of the -- I'm going to call it,
09:58:47 16 the District Court action. I'm not sure what the
09:58:49 17 correct term for it is.
09:58:51 18 BY MR. HEINTZ:
09:58:51 19 Q That's a fine term. In your answer, you referred
09:58:53 20 to the claim contentions. Did you mean to say the
09:58:58 21 claim charts or something else?
09:59:00 22 A The claim charts in here which reflect the claim
09:59:06 23 contentions, a bigger set of them.
09:59:08 24 Q Okay. So in other words --
09:59:12 25 A That is a subset of a bigger set, obviously.

09:59:14 1 Q Okay. So those claim charts were written first.

09:59:17 2 Then you drafted your Declaration, Exhibit 2029?

09:59:21 3 A Well, they --

09:59:22 4 MR. COSTAKOS: Object to form.

09:59:23 5 Objection. Form.

09:59:28 6 THE WITNESS: There was an extensive set

09:59:30 7 of claim charts that were submitted to District

09:59:36 8 Court before I began drafting these IPR

09:59:42 9 Declarations.

09:59:43 10 BY MR. HEINTZ:

09:59:44 11 Q Okay. Did you have input into the preparation of

09:59:47 12 those claim charts that were submitted in the

09:59:50 13 District Court action?

09:59:51 14 A As I mentioned yesterday, yes, I did.

09:59:53 15 Q Okay. So then is it fair to say that the -- there

10:00:01 16 were no claim charts of which you are aware prior

10:00:06 17 to your involvement that were prepared prior to

10:00:10 18 your involvement in the District Court action?

10:00:13 19 MR. COSTAKOS: Objection. Form.

10:00:15 20 THE WITNESS: I'm not quite sure I

10:00:16 21 understand that question.

10:00:18 22 BY MR. HEINTZ:

10:00:18 23 Q All right. Let me take it this way. At some

10:00:20 24 point, the folks at Foley & Lardner engaged you to

10:00:24 25 offer an expert opinion in the District Court

10:00:29 1 litigation, correct?

10:00:36 2 A I think that's a fair statement.

10:00:37 3 Q Okay. Are you aware of the existence of any claims
10:00:44 4 charts for any claim of the '136 patent that
10:00:49 5 existed prior to the time that you were engaged by
10:00:53 6 the Foley firm?

10:01:13 7 A Only to the extent, perhaps, of receiving some
10:01:16 8 initial drafts sometime after I was engaged. I
10:01:24 9 don't recall how long after.

10:01:26 10 Q Okay. Do you remember what prior art references
10:01:32 11 were included in those initial drafts of the claim
10:01:36 12 charts to which you just referred?

10:01:46 13 A Could I hear that question one more time, please?

10:01:55 14 (Last question read.)

10:01:59 15 THE WITNESS: I can't give you a list
10:02:01 16 offhand, but I would assume they're similar, or my
10:02:06 17 recollection is that they were similar to what
10:02:09 18 currently exists. Of course, for example, on the
10:02:17 19 front end of each of these IPRs, roughly the first
10:02:20 20 half, that's -- in the case of Exhibit 2029, '136,
10:02:46 21 my Declaration for the '136 IPR, perhaps except for
10:02:58 22 one, I think all of those were references that I
10:03:06 23 found. And there were a whole lot more cited in
10:03:13 24 the -- I'm not sure what you would call it, a
10:03:16 25 bibliography, the set of references cited

10:03:21 1 associated with my Declaration.

10:03:25 2 BY MR. HEINTZ:

10:03:26 3 Q So what was the one exception to which you just
10:03:28 4 referred?

10:03:34 5 A That's described in paragraph 34 on page 12, the
10:04:19 6 1990 article by the petitioner, Micro Motion.

10:04:25 7 Q Okay. That's not in a claims chart, correct?

10:04:28 8 A That's correct.

10:04:29 9 Q Okay. I'm asking you just about the claims chart
10:04:31 10 now.

10:04:32 11 A Right, right.

10:04:32 12 Q Let's take a look so we know what we're talking
10:04:35 13 about here. We'll just pick one here, on page 66
10:04:40 14 of your report, page 66.

10:05:03 15 A Okay. I'm there.

10:05:04 16 Q All right. There's a paragraph 149 on page 66,
10:05:08 17 correct?

10:05:09 18 A Yes.

10:05:10 19 Q Okay. And then the last portion of paragraph 49
10:05:13 20 reads, "As summarized in the following chart."

10:05:19 21 Okay. When I'm referring to a claims chart, I'm
10:05:21 22 referring to the type of chart that follows
10:05:23 23 paragraph 149, all right?

10:05:24 24 A I understood that from your previous question. I
10:05:27 25 was careful --

10:05:27 1 Q Okay.

10:05:28 2 A -- to answer it in a way that was accurate.

10:05:30 3 Q Okay. So these claims charts, I think you
10:05:36 4 testified earlier, you received some of those from
10:05:38 5 Foley & Lardner, correct?

10:05:40 6 MR. COSTAKOS: Objection. Form.

10:05:45 7 THE WITNESS: I believe I stated I
10:05:48 8 received drafts and then collaborated with Foley &
10:05:57 9 Lardner on refining them.

10:06:00 10 BY MR. HEINTZ:

10:06:00 11 Q Okay. Do you have an understanding as to how --
10:06:02 12 I'm sorry. Let me back up. When I refer to the
10:06:04 13 prior art in the claims charts, I'm referring, for
10:06:07 14 instance, with respect to the chart that appears on
10:06:10 15 66 of your Declaration, the Thompson patent and the
10:06:14 16 Liu patent, okay. Do you have an understanding as
10:06:17 17 to how those prior art references that appear in
10:06:21 18 the claims charts in your Declaration were chosen?

10:06:27 19 MR. COSTAKOS: Objection. Form. Scope.
10:06:30 20 Relevance.

10:07:33 21 THE WITNESS: That particular combination
10:07:34 22 was chosen because it provided a basis for
10:07:37 23 invalidity on the basis of obviousness --

10:07:44 24 BY MR. HEINTZ:

10:07:44 25 Q Who --

10:07:45 1 A -- of the --

10:07:46 2 Q I'm sorry.

10:07:47 3 A -- '136 patent.

10:07:53 4 Q Who chose those two references?

10:07:56 5 MR. COSTAKOS: Same objections, so form.

10:08:04 6 I don't know if I objected to form, but I think

10:08:06 7 that's appropriate.

10:08:08 8 THE WITNESS: If you're asking me who in
10:08:11 9 the Foley law firm chose those references for their
10:08:21 10 submission to District Court, I -- I can only
10:08:26 11 speculate. All I -- From my point of view, all I
10:08:31 12 can tell you is they came from the Foley & Lardner
10:08:34 13 law firm.

10:08:35 14 BY MR. HEINTZ:

10:08:36 15 Q And so then you decided to include them in your
10:08:38 16 report, correct?

10:08:40 17 MR. COSTAKOS: Same objections.

10:08:46 18 THE WITNESS: Include the claim chart?
10:08:47 19 I'm not sure what you're -- you're asking --

10:08:50 20 BY MR. HEINTZ:

10:08:50 21 Q Well, to --

10:08:51 22 A -- or --

10:08:52 23 Q -- include those references as a basis for alleged
10:08:55 24 invalidity and to include a chart for those
10:08:58 25 references in your report.

10:09:01 1 MR. COSTAKOS: Same objections.

10:09:18 2 THE WITNESS: Could I have the question
10:09:19 3 one more time, please?

10:09:21 4 MR. HEINTZ: Sure. Madam reporter, if
10:09:22 5 you would?

10:09:23 6 (Last two questions read.)

10:10:00 7 THE WITNESS: I thought that combination
10:10:01 8 had merit as far as a grounds for obviousness, and
10:10:06 9 so I did. The language associated with that claim
10:10:14 10 chart, which is what you're asking me about, I
10:10:19 11 wrote.

10:10:19 12 BY MR. HEINTZ:

10:10:19 13 Q Okay. So did Foley hand you a draft for each of
10:10:27 14 the claim charts that appear in your expert report?

10:10:32 15 MR. COSTAKOS: Objection. Form.

10:10:42 16 THE WITNESS: I think that's fair.

10:10:45 17 BY MR. HEINTZ:

10:10:45 18 Q And were there any draft claim charts provided to
10:10:49 19 you by Foley with respect to the '136 patent that
10:10:53 20 don't appear in your expert report?

10:11:03 21 A The majority of them don't.

10:11:07 22 Q There are claims charts for the '136 patent that
10:11:11 23 were -- draft claim charts for the '136 patent that
10:11:15 24 were provided to you by the Foley firm that you
10:11:17 25 chose not to include in your report?

10:11:19 1 A Well, what was submitted to District Court is a
10:11:24 2 much larger set, as I mentioned. This is just a
10:11:27 3 subset of them.

10:11:28 4 (Attorney Sikorski leaves the room.)

10:11:29 5 BY MR. HEINTZ:

10:11:29 6 Q Okay. And who made the decision as to which of
10:11:31 7 those draft claim charts to include in your report?

10:11:39 8 MR. COSTAKOS: Objection. Form.

10:11:40 9 THE WITNESS: I think that was a
10:11:41 10 collaborative effort.

10:11:45 11 BY MR. HEINTZ:

10:11:45 12 Q Did you rely on the opinions of the Foley attorneys
10:11:47 13 to help you reach your decision to include them in
10:11:50 14 your report or not?

10:11:51 15 MR. COSTAKOS: Objection. Form.

10:11:53 16 THE WITNESS: My conclusions were
10:11:57 17 formulated independently of Foley opinions. That's
10:12:06 18 what they hired me to do. I'm an independent
10:12:08 19 engineering consultant.

10:12:11 20 BY MR. HEINTZ:

10:12:11 21 Q So when you said it was a collaborative effort,
10:12:14 22 what did that mean?

10:12:16 23 A There were discussions about the merits of various
10:12:20 24 aspects of these grounds. And where I didn't think
10:12:30 25 things had merit, I pushed back.

10:12:34 1 Q Okay.

10:12:35 2 A Or if I thought there was a better argument, I
10:12:40 3 presented it.

10:12:41 4 Q All right.

10:12:42 5 A That's -- Those are just two examples that I can
10:12:45 6 think of off the cuff.

10:12:47 7 MR. HEINTZ: Okay. We've been going for
10:12:48 8 about an hour now. Why don't we take a break.

10:12:50 9 THE VIDEOGRAPHER: We are off the record
10:12:51 10 at 10:12 a.m.

10:12:54 11 (Recess taken.)

10:21:26 12 (Attorney Sikorski present.)

10:22:24 13 THE VIDEOGRAPHER: We are back on the
10:22:27 14 record at 10:22 a.m.

10:22:30 15 BY MR. HEINTZ:

10:22:31 16 Q Dr. Sidman, among the opinions you gave in your
10:22:35 17 Declaration, Exhibit 2029, is an opinion concerning
10:22:38 18 the Kalotay patent which yesterday we marked as
10:22:41 19 Exhibit 2025; is that correct?

10:22:59 20 A It is. Just give me a moment to find it in here.

10:23:18 21 Okay.

10:23:18 22 Q All right. I'll ask you to turn to Figure 2 of the
10:23:22 23 Kalotay patent. Again, that's Exhibit 2025. Have
10:23:43 24 you got Figure 2, sir?

10:23:44 25 A I do.

10:23:45 1 Q All right. Now, there is a circuit element 30
10:23:49 2 that's labeled "mass flow rate circuit," correct?
10:23:53 3 A That's correct.
10:23:53 4 Q All right. And --
10:23:55 5 A That's how it's labeled here. I'd have to
10:23:57 6 double-check in the text to make sure that it's
10:24:00 7 consistently designated that way, but I'll go along
10:24:05 8 with the characterization of it that you just
10:24:12 9 presented.
10:24:12 10 Q Okay. Inputs to the mass flow rate circuit 30
10:24:18 11 include a left velocity sensor signal 165L,
10:24:24 12 correct?
10:24:25 13 A Yes.
10:24:26 14 Q A right velocity sensor signal 165R, correct?
10:24:31 15 A Yes.
10:24:32 16 Q And an RTD signal 195, correct?
10:24:37 17 A Those were all correct.
10:24:42 18 Q All right. Now, beneath "mass flow circuit 30" is
10:24:46 19 a box labeled "flowtube drive" -- sorry, "flowtube
10:24:51 20 drive circuit 40." Do you see that?
10:24:53 21 A I do.
10:24:55 22 Q Now, the only input shown on Figure 2 to the
10:25:00 23 flowtube drive circuit 40 is an input from the left
10:25:06 24 velocity sensor signal 165L; is that correct?
10:25:10 25 A Yes, it is.

10:25:11 1 Q All right. And the output of the flowtube drive
10:25:15 2 circuit 40 is shown going to the drive coil 180 in
10:25:21 3 Figure 2, correct?

10:25:23 4 A That's correct.

10:25:24 5 Q Is it fair to say, sir, that the flowtube drive
10:25:27 6 circuit 40 generates the drive signal for the drive
10:25:32 7 coil 180? Are you able to answer that without
10:25:42 8 looking through the patent? I mean, we're looking
10:25:44 9 right at it.

10:25:45 10 A Well, I just want to make sure that --

10:25:47 11 Q Okay.

10:25:48 12 A -- Mr. Kalotay identifies it in that way, and I was
10:25:52 13 going to look up the label --

10:25:54 14 Q Okay.

10:25:55 15 A -- for -- for the designation for label 185 to make
10:25:59 16 sure that what you said was accurate.

10:26:03 17 Q Okay. I can -- If it helps you, I can direct your
10:26:17 18 attention to column 7, line 11.

10:26:25 19 A Where it reads, "A suitable continuous alternating
10:26:28 20 drive signal is applied by meter electronics 20 via
10:26:32 21 lead 185 to drive mechanism 180," that's column 7,
10:26:38 22 lines 11 to 13.

10:26:41 23 Q I'm sorry. I'm in the wrong place. Oh, yeah. I'm
10:26:50 24 sorry. Okay. Correct. Okay. So you agree with
10:26:52 25 that, that drive tube -- sorry, flowtube drive

10:26:57 1 circuit 40 supplies a drive signal on lead 185 to
10:27:01 2 the drive coil as shown in Figure 2?
10:27:06 3 A Yeah. I agree.
10:27:07 4 Q All right. Now, the flowtube drive circuit 40 is
10:27:11 5 shown in more detail in Figure 3 of Kalotay. Would
10:27:15 6 you agree with that?
10:27:17 7 A Agree.
10:27:19 8 Q All right. Now, do you remember yesterday I asked
10:27:21 9 you whether or not the circuit in Figure 3
10:27:24 10 implemented a positive feedback mode?
10:27:32 11 A Yes, I have that general recollection.
10:27:34 12 Q Yesterday you didn't have an answer to that. Did
10:27:36 13 you have -- Do you have an answer now to that
10:27:38 14 question?
10:27:40 15 MR. COSTAKOS: Objection. Form.
10:27:43 16 THE WITNESS: I thought we were done with
10:27:44 17 that issue, so I didn't consider it further.
10:27:47 18 BY MR. HEINTZ:
10:27:47 19 Q Okay.
10:27:47 20 A So I don't.
10:27:49 21 Q You don't have an opinion today as to whether the
10:27:51 22 circuit in Figure 3 of Kalotay implements a
10:27:57 23 positive feedback mode, correct?
10:28:06 24 MR. COSTAKOS: Objection. Form.
10:28:28 25 THE WITNESS: No, because that patent

10:28:31 1 that is the Kalotay '109 patent wasn't applied to a
10:28:38 2 claim that had that language.

10:28:43 3 BY MR. HEINTZ:

10:28:43 4 Q Okay. In Figure 3 is the drive signal that's
10:28:54 5 generated based on one sensor signal or two sensor
10:28:57 6 signals?

10:29:03 7 A The implementation in Figure 3 indicates that it's
10:29:10 8 only the result of, in this case, the left velocity
10:29:16 9 sensor, that being one sensor.

10:29:19 10 Q Okay. Is the circuit in Figure 3 the inventive
10:29:31 11 idea described in Kalotay, or is that a prior art
10:29:34 12 circuit?

10:29:36 13 MR. COSTAKOS: Objection. Form. Scope.

10:29:40 14 (Attorneys Johnson and Sikorski leave the
10:29:42 15 room.)

10:29:58 16 BY MR. HEINTZ:

10:29:58 17 Q And, again, I'm not suggesting you limit yourself
10:30:02 18 to this, but I'll point your attention to column 5,
10:30:05 19 line 28.

10:30:22 20 A It reads, "Figure 3 is a block diagram of a prior
10:30:25 21 art embodiment of a flowtube drive circuit 40." So
10:30:32 22 that indicates it's prior art, not only to the
10:30:40 23 Kalotay '109 patent but also the '136 patent at
10:30:47 24 issue in this IPR.

10:30:49 25 Q Right. I'm just asking because yesterday I thought

10:30:52 1 I heard you say that Figure 3 had to do with the
10:30:54 2 inventive aspects of Kalotay. I was just trying to
10:30:57 3 figure out if that's what your opinion was.

10:31:00 4 MR. COSTAKOS: Objection to form.
10:31:01 5 Relevance.

10:31:08 6 BY MR. HEINTZ:
10:31:08 7 Q Okay.
10:31:08 8 A Well, it obviously has something -- It obviously is
10:31:12 9 relevant. Otherwise, why would it be illustrated
10:31:19 10 in Kalotay's specification?
10:31:21 11 Q Okay. Let me direct your attention to what's
10:31:25 12 labeled as reference numeral 449, the synchronous
10:31:30 13 amp in Figure 3 of the Kalotay patent.
10:32:01 14 A Okay.
10:32:04 15 Q Does the synchronous amp apply a gain to the input
10:32:08 16 signal?
10:32:36 17 A The implementation illustrated in Figure 3
10:32:38 18 containing synchronous amp or amplifier 449 shows
10:32:44 19 three inputs to that synchronous amp, so I'm not
10:32:49 20 sure what you're talking about.
10:32:51 21 Q Okay.
10:32:51 22 A You said, "The input." There are actually three.
10:32:55 23 Q All right. Thank you for the clarification. So
10:32:57 24 the input I am referring to is the one that's
10:33:00 25 labeled "sync" that is entering circuit element 449

10:33:06 1 from the left-hand side as shown in Figure 3.

10:33:13 2 A I see that.

10:33:14 3 Q So the same question, does the synchronous amp
10:33:17 4 apply a gain to that input signal sync?

10:33:23 5 A I would say not in the sense that we were talking
10:33:29 6 about yesterday in that there's some sort of ratio
10:33:38 7 of amplitude between the output 185 and the sync
10:33:45 8 input 447. It's -- 447 provides synchronization to
10:33:55 9 the synchronous amp.

10:33:58 10 Q Okay. How about the input labeled "positive drive
10:34:02 11 level" that's input as shown in the figure on the
10:34:07 12 lower portion of circuit element 449?

10:34:12 13 MR. COSTAKOS: Objection. Form.

10:34:13 14 THE WITNESS: What about it? What are
10:34:15 15 you asking specifically?

10:34:16 16 BY MR. HEINTZ:

10:34:17 17 Q Okay. Does the synchronous amp 449 apply a gain to
10:34:21 18 the input labeled "positive drive level"?

10:34:26 19 A I don't think that's the case either because the
10:34:33 20 positive drive level and, for that matter, the
10:34:37 21 negative drive level as illustrated in that little
10:34:41 22 diagram in the top right of Figure 3 simply set the
10:34:46 23 limits for the output signal 185 from synchronous
10:34:53 24 amp 449 as illustrated in Figure 3 in that
10:34:58 25 particular embodiment.

10:35:00 1 Q So does that mean that no gain is applied to either
10:35:04 2 the sync signal, positive drive level signal or the
10:35:07 3 negative drive level signal by synchronous amp 449?

10:35:12 4 MR. COSTAKOS: Objection. Form.

10:35:45 5 THE WITNESS: I can't imagine how the
10:35:49 6 term "gain" would legitimately be applied to that
10:35:57 7 relationship in the sense that we were talking
10:36:00 8 about it, for example, yesterday.

10:36:05 9 BY MR. HEINTZ:

10:36:05 10 Q Is there any sense in which you could imagine the
10:36:08 11 term "gain" could be legitimately applied to the
10:36:11 12 relationship --

10:36:15 13 MR. COSTAKOS: Objection to form.

10:36:17 14 BY MR. HEINTZ:

10:36:17 15 Q -- of the -- any of the input signals to the output
10:36:22 16 signal?

10:36:22 17 MR. COSTAKOS: Object to the form.

10:37:28 18 THE WITNESS: Well, certainly, Kalotay
10:37:30 19 does not use the term "gain" with respect to the
10:37:37 20 relationship between the drive coil signal and the
10:37:46 21 positive or negative drive levels. And I wouldn't,
10:38:00 22 as a practicing engineer, apply that term "gain" to
10:38:05 23 that relationship in this type of situation.

10:38:10 24 BY MR. HEINTZ:

10:38:10 25 Q Is that also true with respect to the sync input?

10:38:19 1 A I think that's fair, yes.

10:38:20 2 Q Sir, you remember yesterday when I asked you about
10:38:23 3 this figure, we talked about circuit element 431
10:38:27 4 which is labeled "90-degree phase shift"? Do you
10:38:32 5 recall that?

10:38:32 6 A Yes.

10:38:32 7 Q What is the purpose of circuit element 431 in this
10:38:39 8 circuit in Figure 3 of Kalotay?

10:38:43 9 A That's illustrated in the set of waveforms in
10:38:52 10 Figure 3A in the bottom right part of that page.

10:39:02 11 Q I understand that the -- those waveforms illustrate
10:39:05 12 the 90-degree phase shift. I guess my question for
10:39:08 13 you is, what's the purpose of doing it? Why -- Why
10:39:12 14 is Kalotay disclosing a 90-degree phase shift?

10:39:20 15 MR. COSTAKOS: Objection. Form.

10:42:37 16 THE WITNESS: In essence, it's to
10:42:38 17 facilitate the timing of decision-making as to
10:42:43 18 whether or not the amplitude stemming from the left
10:42:52 19 velocity signal exceeds or doesn't exceed the
10:43:07 20 reference level labeled on lead 436 in Figure 3 of
10:43:17 21 the embodiment illustrated in Kalotay's '109
10:43:22 22 patent.

10:43:23 23 BY MR. HEINTZ:

10:43:23 24 Q Okay. Thank you. Sir, let me ask you now to turn
10:43:25 25 to Figure 4 of the Kalotay patent. Are you there?

10:43:36 1 A Just one second, and I'd just like to add to that
10:43:54 2 last answer. And in so doing, that facilitates the
10:44:03 3 operation of the flowtube drive circuit illustrated
10:44:10 4 in Figure 3 to -- as an automatic gain control
10:44:20 5 function. That's it.

10:44:24 6 Q I'm sorry. Would you repeat the last part of that
10:44:27 7 answer? You said something and then "gain control
10:44:30 8 function."

10:44:30 9 MR. COSTAKOS: Can you just read it back?

10:44:32 10 MR. HEINTZ: I'll ask the reporter to
10:44:33 11 read it back.

10:44:46 12 (Last answer read.)

10:44:47 13 BY MR. HEINTZ:

10:44:47 14 Q Was that correct, "automatic gain control
10:44:49 15 function"?

10:44:49 16 A Yeah. I would direct you to the first sentence,
10:44:58 17 that is, line 1 of column 10 of the Kalotay '109
10:45:01 18 patent, which reads, "Drive circuit 40 functions as
10:45:03 19 an automatic gain control by automatically varying
10:45:06 20 the magnitudes of both positive and negative drive
10:45:10 21 levels in order to maintain the magnitude of the
10:45:12 22 integrated left velocity, paren, (position)
10:45:18 23 end paren, signal equal to the magnitude of the
10:45:20 24 referenced signal VLR."

10:45:25 25 Q Okay. Anything else?

10:45:29 1 A No.

10:45:30 2 Q Okay. Can we turn down to Figure 4 of the Kalotay

10:45:33 3 patent?

10:45:45 4 A I'm there.

10:45:46 5 Q All right. Are you familiar with the operation of

10:45:48 6 this circuit?

10:45:52 7 A I am, but I'd like to refresh myself.

10:45:57 8 Q Okay.

10:48:58 9 A Okay. I've read enough of the description to

10:49:00 10 refresh myself.

10:49:01 11 Q All right. Let's start on the left-hand side of

10:49:03 12 Figure 4 in Kalotay. There is an input labeled 41

10:49:11 13 from the left velocity sensor, 160L. Do you see

10:49:18 14 that?

10:49:18 15 A Right. That's described in column 12 in the

10:49:23 16 paragraph beginning at line 6.

10:49:31 17 Q Okay. There is no signal from any right velocity

10:49:35 18 sensor shown in Figure 4, is there?

10:49:36 19 A Not in that -- Not in the embodiment that Kalotay

10:49:40 20 shows in Figure 4 of his '109 patent, correct.

10:49:45 21 Q Okay. I want to direct your attention now to the

10:49:52 22 circuit labeled "timer/counter 550." That's in the

10:49:57 23 lower center portion of Figure 4 of Kalotay. Do

10:50:01 24 you see that, sir?

10:50:01 25 A I do.

10:50:02 1 Q What is that circuit element 550?

10:50:07 2 A That's described in column 12, line 51 which reads,
10:50:19 3 "The width of this pulse is appropriately
10:50:22 4 programmed into timer/counter 550 in a well-known
10:50:27 5 fashion by microprocessor 530 during system
10:50:33 6 initialization. The pulse leading on 555 which is
10:50:44 7 the output of that timer/counter," it goes on to
10:50:49 8 say, "is routed through power switch 560," dot,
10:50:57 9 dot, dot, resulting -- "and applies a resulting
10:51:02 10 drive pulse through lead 185 to drive coil 180."

10:51:13 11 Q Okay. So you mentioned power switch 560. Is that
10:51:17 12 simply a switch, or is there something more to that
10:51:19 13 circuit?

10:51:21 14 MR. COSTAKOS: Objection. Form.

10:51:43 15 BY MR. HEINTZ:

10:51:43 16 Q Let me withdraw that. Let's go back to 550. So --
10:51:49 17 Sorry. Timer/counter 550, that circuit element,
10:51:53 18 was exactly -- what does that do basically? How
10:51:55 19 does it function?

10:51:59 20 A Well, first of all, there's more description of
10:52:07 21 timer/counter 550 in the first full paragraph in
10:52:15 22 column 14, beginning at line 3. Go down to line
10:52:31 23 20. It reads, "If a nonrectangularly-shaped pulse
10:52:37 24 is desired, then timer/counter 550 could be
10:52:40 25 replaced with suitable programmable waveform

10:52:43 1 generation circuits." So power switch 560 --

10:52:57 2 Q I withdraw that.

10:52:59 3 A -- generates.

10:52:59 4 Q I've withdrawn that question. You don't have to
10:53:02 5 answer that if you don't want to. I mean, if you
10:53:05 6 want to answer, go right ahead.

10:53:07 7 MR. COSTAKOS: About 560 versus 550?

8 BY MR. HEINTZ:

10:53:10 9 Q Yes. I'm asking about 550 now, not 560. But if
10:53:14 10 you want to explain with 550, go right ahead -- I'm
10:53:17 11 sorry, explain about 560, go right ahead.

10:53:20 12 MR. COSTAKOS: So your question that you
10:53:21 13 have is what does 550 do --

10:53:24 14 MR. HEINTZ: Correct.

10:53:25 15 MR. COSTAKOS: -- in essence? Okay.

10:53:32 16 THE WITNESS: 550 provides the timing for
10:53:37 17 drive pulses or bursts as described elsewhere in
10:53:56 18 Kalotay's '109 patent preferred embodiment.

10:54:02 19 BY MR. HEINTZ:

10:54:02 20 Q Okay. Now, there are two inputs to circuit element
10:54:06 21 550 shown in Figure 4, a 548 input and a 538 input.
10:54:12 22 Do you see that?

10:54:13 23 A I do.

10:54:13 24 Q How many bits are in the 538 input? I'm going to
10:54:44 25 suggest to you where it might help, but I don't

10:54:46 1 want you to feel bound by this. But if you take a
10:54:50 2 look at column 13, 19 to 21, it might help. I'm
10:55:06 3 sorry. I'm directing you to 548.

10:55:09 4 A Okay. I was looking --

10:55:14 5 MR. COSTAKOS: So your question has to do
10:55:17 6 with 538?

10:55:17 7 BY MR. HEINTZ:

10:55:18 8 Q Yes. Let me ask that question. How many bits are
10:55:20 9 on line 538?

10:55:33 10 A Okay. So the description that Kalotay provides in
10:55:38 11 column 12, line 44, reads, "In the event of a burst
10:55:48 12 of energy" -- excuse me, "In the event, a burst of
10:55:53 13 energy is to be applied, the microprocessor 530
10:55:58 14 applies a suitable level via lead 538 to a gate
10:56:03 15 input of a timer/counter 550 in order to activate a
10:56:09 16 pulse with modulated, paren, PWN, output situated
10:56:15 17 within the timer/counter." So --

10:56:24 18 Q Now, there's --

10:56:25 19 A Typically, a gate signal is a binary signal, and
10:56:39 20 this is a description of such a signal.

10:56:50 21 Q Okay. "Binary" meaning one bit?

10:56:56 22 A In this preferred embodiment, that's what -- and
10:57:03 23 that is in the preferred embodiment in Figure 4 or
10:57:08 24 the embodiment in Figure 4. That's what he,
10:57:11 25 Mr. Kalotay, is describing.

10:57:17 1 Q Okay. Let me read a little further down in column
10:57:20 2 12. Starting at line 51, it says, "The width of
10:57:29 3 this pulse is appropriately programmed into
10:57:36 4 timer/counter 550 in a well-known fashion by
10:57:41 5 microprocessor 530 during system initialization."
10:57:46 6 Do you see that?

10:57:46 7 A I do.

10:57:47 8 Q Okay. Is the output of the timer/counter going to
10:57:53 9 be a pulse width modulated signal with a
10:57:59 10 pre-defined pulse width?

10:58:24 11 A I think in the embodiments that Kalotay describes
10:58:29 12 in '109, column 12, with respect to embodiment
10:58:36 13 Figure 4, yes.

10:58:37 14 Q Okay. And just to complete this, on the left-hand
10:58:40 15 side of circuit element 550, there is a -- an input
10:58:45 16 548. Do you see that? Is that a binary input?

10:59:34 17 And for that I --

10:59:35 18 A I --

10:59:37 19 Q I'm sorry. I was going to say, to help, I could
10:59:40 20 direct your attention to column 13, again, starting
10:59:43 21 at around line 19. It may be helpful to you.

10:59:57 22 A Right, right. And I'd also reference you to column
11:00:07 23 12, line 47, which just characterizes element 540
11:00:17 24 as a comparator which generally would have a simple
11:00:21 25 binary output. They're consistent.

11:00:26 1 Q Okay. Now, in column 12 starting at line 54, there
11:00:44 2 appears the sentence, "The pulse appearing on lead
11:00:49 3 555 is routed through the power switch 560 after,"
11:01:01 4 sorry, "typically, a field effect transistor power
11:01:07 5 switch which amplifies this pulse to a pre-defined
11:01:10 6 level and thereafter applies a resulting drive
11:01:14 7 pulse through lead 185 to drive coil 180." Do you
11:01:20 8 see that?

11:01:20 9 A I do.

11:01:20 10 Q Okay. So I think we've established that there's
11:01:23 11 going to be a signal that's output on line 555 that
11:01:29 12 has a predefined pulse width and a predefined
11:01:33 13 amplitude in this embodiment of Figure 4 of
11:01:36 14 Kalotay. Would you agree with that?

11:01:39 15 A I think that's fair.

11:01:40 16 Q Okay. Does the circuit of Figure 4 -- Let me ask
11:01:45 17 this question. Is the circuit of Figure 4 in
11:01:48 18 Kalotay a circuit that implements a positive
11:01:51 19 feedback mode?

11:01:54 20 MR. COSTAKOS: Objection. Form. Scope.

11:02:02 21 THE WITNESS: I haven't considered that
11:02:03 22 for the reasons that I stated earlier with respect
11:02:06 23 to Kalotay.

11:02:07 24 BY MR. HEINTZ:

11:02:07 25 Q So you don't have an opinion as you sit here today?

11:02:20 1 A I don't have an opinion on that subject.

11:02:22 2 Q Does the circuit of Figure 4 implement a digital
11:02:25 3 synthesis mode?

11:02:29 4 MR. COSTAKOS: Same objections.

11:03:55 5 THE WITNESS: Okay. So that term
11:03:57 6 "digital synthesis mode" doesn't apply to the claim
11:04:07 7 that Kalotay is being described as prior art to, so
11:04:17 8 I haven't considered that. The Patent Board has
11:04:30 9 construed the term "digital synthesis mode" with
11:04:37 10 respect to the '854 patent which includes a
11:04:53 11 combination of requirements or elements including
11:05:15 12 sensor signal analysis.

11:05:21 13 BY MR. HEINTZ:

11:05:21 14 Q So is that --

11:05:22 15 A So I don't -- My off-the-cuff answer, which I
11:05:29 16 haven't considered prior to your question, would be
11:05:41 17 it doesn't using the construction ordered by the
11:05:52 18 Patent Board.

11:05:53 19 Q And why is that?

11:05:54 20 A It has to do with --

11:05:56 21 MR. COSTAKOS: Object to the form and
11:05:59 22 scope.

11:06:00 23 THE WITNESS: If I -- If I understand the
11:06:03 24 context of your question correctly, it has to do
11:06:06 25 with analysis of the sensor signal.

11:06:12 1 BY MR. HEINTZ:

11:06:13 2 Q And if we use the term "analysis" in the sense you
11:06:17 3 used it yesterday to being filtering in like-minded
11:06:21 4 operations and like operations on the signal, would
11:06:25 5 it then be a digitus -- digital synthesis mode?

11:06:30 6 A My recollection --

11:06:31 7 MR. COSTAKOS: Same objections. Sorry.

11:06:32 8 THE WITNESS: My recollection is I said
11:06:35 9 essentially the opposite --

11:06:37 10 BY MR. HEINTZ:

11:06:37 11 Q Okay.

11:06:38 12 A -- yesterday.

11:06:39 13 MR. HEINTZ: We have to change the tape,
11:06:41 14 so we'll go off the record for just a minute. I'll
11:06:43 15 ask this one question. Let's see. Where are we?
11:06:46 16 Okay. We'll go off the record.

11:06:48 17 THE VIDEOGRAPHER: We are off the record
11:06:49 18 at 11:06 a.m. This is the end of Disk 1, Volume
11:06:54 19 II, in the deposition of Michael Sidman.

11:06:59 20 (Discussion off the record.)

11:17:22 21 THE VIDEOGRAPHER: We are back on the
11:17:25 22 record at 11:17 a.m. This is the beginning of Disk
11:17:29 23 2, Volume II, in the deposition of Michael Sidman.

11:17:32 24 BY MR. HEINTZ:

11:17:32 25 Q Okay. Welcome back, Dr. Sidman.

11:17:34 1 A Thank you.

11:17:34 2 Q So before we left, we were talking about the
11:17:38 3 question of whether or not Figure 4 of Kalotay
11:17:41 4 implemented digital synthesis mode. Do you
11:17:44 5 remember that?

11:17:45 6 A Yes.

11:17:45 7 Q Okay. And I think your answer was, "No, it did
11:17:50 8 not." And I believe the reason you gave for
11:17:52 9 answering "no" was that the Board's construction
11:17:56 10 required drive signals generated on the basis of
11:18:01 11 sensor signal analysis. And you disagreed with
11:18:04 12 this term "analysis" being part of the
11:18:07 13 construction, correct?

11:18:08 14 MR. COSTAKOS: Objection. Form.

11:18:10 15 (Attorney Sikorski enters the room.)

11:18:10 16 THE WITNESS: Well, again, I hadn't
11:18:14 17 considered your proposition until just now, so I
11:18:20 18 haven't fully considered it, so any response that I
11:18:33 19 gave you on that subject is preliminary.

11:18:42 20 BY MR. HEINTZ:

11:18:42 21 Q Okay. But you are an expert in this case, correct?

11:18:46 22 A That's not -- The term "digital synthesis mode" now
11:18:55 23 has a specific, as I understand it, legal meaning
11:18:59 24 because the Board has -- the Patent Board has ruled
11:19:03 25 on it, and it has certain connotations and

11:19:12 1 limitations that I have not considered with respect
11:19:18 2 to the Kalotay patent. So I'm comfortable agreeing
11:19:23 3 with you that that's -- whatever I said with
11:19:27 4 respect to that subject is something I will feel
11:19:32 5 the same about at some future time after
11:19:36 6 consideration, more consideration.

11:19:38 7 Q Okay. So in your opinion then as you sit here
11:19:43 8 today, the answer to whether or not Figure 4 of
11:19:47 9 Kalotay implements a digital synthesis mode is
11:19:53 10 "no" --

11:19:55 11 MR. COSTAKOS: Objection.

11:19:57 12 BY MR. HEINTZ:

11:19:57 13 Q -- correct?

11:19:59 14 MR. COSTAKOS: Objection. Form.

11:20:00 15 THE WITNESS: On a preliminary basis, it
11:20:03 16 would seem not.

11:20:05 17 BY MR. HEINTZ:

11:20:05 18 Q And is that because the Board construed digital
11:20:10 19 synthesis mode as including drive signals generated
11:20:15 20 on the basis of sensor signal analysis wherein the
11:20:20 21 drive signal is synthesized by the control and
11:20:24 22 measurement system based on an analysis of the
11:20:26 23 sensor signal? That's the construction that leads
11:20:29 24 you to believe that Figure 4 does not implement a
11:20:32 25 digital synthesis mode; is that correct?

11:20:34 1 MR. COSTAKOS: Objection. Form.

11:20:39 2 THE WITNESS: If I understand your

11:20:40 3 question correctly, that would be the basis for my

11:20:47 4 reservation about that, yes.

11:20:48 5 BY MR. HEINTZ:

11:20:48 6 Q Okay. Now, you identified, I believe, that it was

11:20:53 7 the presence of the word "analysis" in the

11:20:55 8 construction that caused you to answer "no"; is

11:21:01 9 that correct?

11:21:01 10 A I didn't say, "No." I said, "Based on a

11:21:06 11 preliminary."

11:21:12 12 Q I understand that's your preliminary opinion.

11:21:14 13 A Right.

11:21:14 14 Q You've made that quite clear.

11:21:16 15 A Right. I'm not saying "yes" or "no." I'm saying

11:21:18 16 it would seem "no" based on that. And that's, I

11:21:22 17 think, as far as I can go on that subject at this

11:21:25 18 time since I hadn't considered the Kalotay patent

11:21:29 19 with respect to the phrase "digital synthesis

11:21:38 20 mode" -- "synthesis mode" just prior to you asking

11:21:41 21 about it a few minutes ago.

11:21:42 22 Q So I understand and so the record is clear, you're

11:21:44 23 saying you are not identifying the presence of the

11:21:47 24 word "analysis" as the basis for your preliminary

11:21:52 25 answer of "no"?

11:21:54 1 MR. COSTAKOS: Objection. Form.

11:21:55 2 BY MR. HEINTZ:

11:21:55 3 Q Is that correct?

11:21:56 4 MR. COSTAKOS: Objection. Form.

11:22:01 5 THE WITNESS: I think it's the opposite.

11:22:03 6 BY MR. HEINTZ:

11:22:03 7 Q All right. That the word "analysis" in the claim

11:22:07 8 construction of digital synthesis mode is the

11:22:10 9 reason your preliminary answer is, "No, it does not

11:22:17 10 implement a digital synthesis mode"?

11:22:20 11 MR. COSTAKOS: Objection. Form.

11:22:25 12 THE WITNESS: Again, I'm an engineer.

11:22:27 13 I'm not an attorney, and you're asking me questions

11:22:31 14 that have to do with legal interpretations of a

11:22:36 15 phrase that's applied by the Board to a completely

11:22:41 16 different patent. So I'm not even sure it's

11:22:44 17 legitimate to ask that question of me in a way that

11:22:51 18 expects me to provide an answer that has meaning to

11:23:01 19 this dispute.

11:23:02 20 BY MR. HEINTZ:

11:23:03 21 Q Are you unable to tell me as an expert in this case

11:23:06 22 whether or not you have any opinion as to whether

11:23:08 23 the circuit in Figure 4 of Kalotay implements a

11:23:12 24 digital synthesis mode?

11:23:14 25 A I believe --

11:23:15 1 MR. COSTAKOS: Objection to form. Scope.

11:23:17 2 THE WITNESS: I believe I've already done

11:23:18 3 that.

11:23:18 4 BY MR. HEINTZ:

11:23:18 5 Q All right. And your answer is "no" on a

11:23:21 6 preliminary basis, correct?

11:23:23 7 MR. COSTAKOS: Same objections.

11:23:25 8 THE WITNESS: I think I've -- I've

11:23:26 9 already answered your question several times on

11:23:29 10 that one. I'm not going to --

11:23:32 11 BY MR. HEINTZ:

11:23:32 12 Q Let's try to answer this --

11:23:33 13 A I think we're on the same wavelength on that, so --

11:23:37 14 Q Okay.

11:23:37 15 A -- I don't think we have -- there's any

11:23:39 16 disagreement between us on that issue.

11:23:41 17 Q What is the reason for you saying that -- at least

11:23:45 18 preliminarily your opinion is that the circuit of

11:23:49 19 Figure 4 does not implement a digital synthesis

11:23:51 20 mode?

11:23:52 21 MR. COSTAKOS: Objection. Form. Scope.

11:24:12 22 THE WITNESS: I don't see any analysis

11:24:14 23 being performed on the sensor signal by the

11:24:18 24 embodiment shown in Figure 4 of the Kalotay '109

11:24:22 25 patent.

11:24:23 1 BY MR. HEINTZ:

11:24:23 2 Q Thank you, Dr. Sidman. Now, yesterday when we were
11:24:25 3 talking about the meaning of the word "analysis,"
11:24:30 4 you indicated that there might be two ways to
11:24:32 5 understand what is meant by "analysis." One way, I
11:24:36 6 think you said, and correct me if I'm wrong, is
11:24:39 7 that analysis could just mean things like
11:24:43 8 offsetting and filtering. Do you recall that from
11:24:46 9 yesterday?

11:24:47 10 MR. COSTAKOS: Objection. Form. Scope.

11:24:50 11 THE WITNESS: Something like that, yes.

11:24:52 12 BY MR. HEINTZ:

11:24:52 13 Q Okay. If I use that sense of the word "analysis,"
11:25:00 14 would the circuit of Figure 4 in Kalotay implement
11:25:03 15 a digital synthesis mode?

11:25:06 16 MR. COSTAKOS: Objection. Form and
11:25:07 17 scope.

11:25:09 18 THE WITNESS: I don't know how to answer
11:25:11 19 your question, but let me just make a statement
11:25:13 20 that hopefully gets to the heart of the issue.

11:25:19 21 BY MR. HEINTZ:

11:25:19 22 Q You can answer my question "yes" or "no." That's
11:25:21 23 one way to do it.

11:25:22 24 A Well, I don't know how to answer your question as
11:25:24 25 you've asked it.

11:25:25 1 Q Why don't you make your statement.

11:25:26 2 A Okay. I don't see, for example, in Figure 4 of the
11:25:34 3 Kalotay '109 patent that any parameter of the
11:25:40 4 sensor signal is being derived. And in that sense,
11:25:46 5 I don't see any analysis going on. That's all I
11:25:50 6 can tell you at the moment.

11:25:53 7 Q Okay. Does the circuit of Figure 4 apply any gain
11:26:04 8 to the left velocity sensor signal?

11:26:23 9 A Well, as I indicated earlier before the break,
11:26:26 10 Kalotay himself states at the column -- at the top
11:26:31 11 of column 10, "Drive circuit 40 functions as an
11:26:36 12 automatic gain control by --"

11:26:39 13 Q Dr. Sidman, I'm asking about Figure 4 which is not
11:26:42 14 drive circuit 40. Drive circuit 40 is Figure 3.

11:26:46 15 MR. COSTAKOS: And you did interrupt him
11:26:47 16 in the middle of his answer.

11:26:48 17 MR. HEINTZ: I did. I'm just trying to
11:26:50 18 help save time, counselor.

11:26:51 19 MR. COSTAKOS: Okay. Well, that doesn't
11:26:52 20 really give you an excuse to interrupt him in the
11:26:55 21 middle of his answer. So do you want to continue
11:27:18 22 with your answer?

11:33:31 23 THE WITNESS: Okay. So I'd reference you
11:33:32 24 to my Declaration of Exhibit 2029 for the '136 IPR,
11:33:44 25 paragraph 190 at the bottom of page 85 where I

11:33:54 1 write, quote, "To effect an appropriate change in
11:34:00 2 flowtube oscillation, Kalotay further discloses
11:34:03 3 proportionate control of pulse size and timing."
11:34:07 4 Quote, "The positive and negative pulses can be
11:34:11 5 differently sized, and/or the width of each such
11:34:15 6 pulse can be dynamically set just prior to its
11:34:23 7 occurrence as required by the microprocessor
11:34:27 8 through suitable programming of timer/counter 550
11:34:31 9 based upon the magnitude of the change that needs
11:34:34 10 to be made in the amplitude of the vibratory motion
11:34:39 11 of the flow conduits," unquote. And that comes
11:34:44 12 from Kalotay, column 13, lines 58 to 64.

11:35:17 13 BY MR. HEINTZ:

11:35:17 14 Q That discussion is setting the -- I'm sorry. That
11:35:23 15 discussion in Kalotay that you just read mentions
11:35:28 16 setting the width and the size of the pulse,
11:35:35 17 correct?

11:35:39 18 A That's what it says, yes.

11:35:41 19 Q Okay. How is setting the width and the size of the
11:35:45 20 pulse applying a gain to the sensor signal?

11:35:58 21 A Well, it's not applying it to the sensor signal.
11:36:01 22 It's applying it to the drive.

11:36:04 23 Q Okay. All right. Let me ask the reporter to mark
11:36:11 24 as Exhibit 2031 -- Sir, do you have the Romano
11:36:52 25 patent in front of you at this point?

11:36:54 1 A I do. It's Exhibit 2022, 2022.

11:37:00 2 Q Okay. Let me scratch that. I won't ask the
11:37:02 3 reporter to mark it. I'll just ask the witness to
11:37:05 4 turn his attention to the Romano patent, Exhibit
11:37:07 5 2022. Your -- Your opinions on Romano are at
11:37:25 6 paragraphs 175 to 182 of your report, correct, your
11:37:30 7 report on the '136 patent?

11:37:55 8 A That's correct --

11:37:56 9 Q All right.

11:37:56 10 A -- beginning at 78 to 83.

11:38:04 11 Q Let me direct your attention to paragraph 180 of
11:38:08 12 your report. That starts on page 79 and continues
11:38:14 13 onto page 80. Do you see that?

11:38:16 14 A I do.

11:38:17 15 MR. COSTAKOS: Which paragraph are we on?

11:38:18 16 MR. HEINTZ: Paragraph 180.

11:38:19 17 BY MR. HEINTZ:

11:38:20 18 Q All right. I'm going to ask you to turn to the
11:38:22 19 portion of paragraph 180 that appears on page 80 of
11:38:25 20 your report. See that?

11:38:31 21 A Just a second.

11:38:43 22 Q And I'm going to direct your attention to the first
11:38:45 23 full sentence on -- at the top of page 80 that
11:38:48 24 reads, "The right and left channel signals are both
11:38:52 25 used by microprocessor 330 to generate the drive

11:38:56 1 signal as discussed above." Do you see that?

11:38:59 2 A I do.

11:39:00 3 Q To what are you referring in that paragraph when
11:39:02 4 you say, "As discussed above"?

11:42:34 5 A Simply that the right and left channels are both
11:42:37 6 used by microprocessor 330.

11:42:41 7 Q So what does "the above" refer to? Is that
11:42:46 8 paragraph -- I'm sorry, yeah, paragraph 179?

11:43:41 9 A I think that's fair, yes.

11:43:42 10 Q Isn't paragraph 179 solely talking about measuring
11:43:45 11 the mass flow and not generating the drive signal?

11:43:53 12 MR. COSTAKOS: Objection. Form.

11:44:07 13 BY MR. HEINTZ:

11:44:07 14 Q You know, let me withdraw --

11:44:09 15 A I disagree with that.

11:44:10 16 Q Okay. Let me withdraw that question, ask you
11:44:13 17 another question. Where in Romano does it disclose
11:44:16 18 that both the left and the right channel signals
11:44:18 19 are used to generate the drive signal? And I'm
11:44:37 20 sorry. Let me refine that question. Where in
11:44:40 21 Romano does it disclose that the left and right
11:44:43 22 channel signals are used to generate the drive
11:44:45 23 signal in the digital synthesis mode?

11:44:54 24 MR. COSTAKOS: Objection. Form.

11:45:09 25 THE WITNESS: I'd like to answer your

11:45:10 1 question. But after this question, I'd like to
11:45:12 2 take a break because I'm fading at this point.

11:45:19 3 BY MR. HEINTZ:

11:45:19 4 Q We've only been going about half an hour since the
11:45:21 5 last break. This is a pretty important line of
11:45:24 6 questioning. I'd like to keep going if you're
11:45:27 7 able.

11:45:27 8 MR. COSTAKOS: If he wants to take a
11:45:28 9 break, he can take a break. That's the way it
11:45:30 10 works.

11:45:31 11 MR. HEINTZ: I'm just asking.

11:45:33 12 THE WITNESS: Why don't we take a lunch
11:45:34 13 break after this.

11:45:38 14 BY MR. HEINTZ:

11:45:39 15 Q After this one answer to this question? Okay.
11:45:41 16 Let's see -- We'll see how it goes. Just, are you
11:45:44 17 going to answer the question?

11:45:46 18 A I'll try to now.

11:45:47 19 Q Okay.

11:49:27 20 A First of all, I'm not seeing where Romano is being
11:49:30 21 asserted against a claim that has that limitation.
11:49:35 22 Could you direct me to that?

11:49:37 23 Q Sir, you didn't give me your answer to the last
11:49:42 24 question.

11:49:44 25 A I don't think it is based on what I just read

11:49:48 1 through.

11:49:49 2 Q I'm sorry. You don't think what is?

11:49:52 3 MR. COSTAKOS: Objection. Scope.

11:50:00 4 THE WITNESS: Romano in the '136 IPR is

11:50:03 5 being applied to Claim 36 of the '136 patent. I

11:50:31 6 think your question was compound, so let me answer

11:50:33 7 it in two parts. One, take a look at Figure 4

11:50:43 8 and -- which illustrates -- This is Figure 4 of

11:50:48 9 Romano's '196 patent which shows that the left

11:50:53 10 velocity sensor and right velocity sensor signals

11:50:58 11 are being added together in summing 405 to form the

11:51:04 12 basis for the drive signal to coil 180, so -- But

11:51:17 13 you also applied the term "digital synthesis mode."

11:51:24 14 BY MR. HEINTZ:

11:51:24 15 Q Um-hum.

11:51:28 16 A I'm not seeing where that is offhand --

11:51:36 17 Q All right.

11:51:36 18 A -- in the claim Romano is being applied to.

11:51:45 19 Q Let's turn to Romano, column 24.

11:51:51 20 MR. COSTAKOS: So is this part of the

11:51:53 21 same question or --

11:51:53 22 MR. HEINTZ: I'm just trying to help him

11:51:55 23 understand the question.

11:51:56 24 MR. COSTAKOS: I mean, so this is -- this

11:51:58 25 is relevant to the last question.

11:51:59 1 MR. HEINTZ: Relevant to the same
11:51:59 2 question, yes.

11:51:59 3 MR. COSTAKOS: Fair enough.

11:52:01 4 THE WITNESS: Okay. So, again, I'm
11:52:04 5 losing energy, so go ahead, what -- if you could
11:52:07 6 reiterate that.

11:52:08 7 BY MR. HEINTZ:

11:52:09 8 Q All right. What I meant by "digital synthesis
11:52:13 9 mode" is the description starting at line 24 --
11:52:15 10 sorry, column 24, line 32, and going through line
11:52:26 11 52.

11:52:45 12 MR. COSTAKOS: I'm sorry. Starting
11:52:46 13 where? Where did you say again?

11:52:48 14 MR. HEINTZ: 32 through 52.

11:52:59 15 MR. COSTAKOS: And I'm sorry. Then could
11:53:00 16 you read the question back again.

11:53:26 17 (Last question read.)

11:53:26 18 MR. COSTAKOS: Objection. Form. Scope.

11:54:25 19 THE WITNESS: I haven't formed an opinion
11:54:27 20 on that subject.

11:54:28 21 BY MR. HEINTZ:

11:54:28 22 Q So as we sit here today, you don't know whether --

11:54:31 23 A The --

11:54:32 24 Q I'm sorry. I didn't mean to cut you off.

11:54:34 25 A Because Kalotay wasn't applied to a patent claim

11:54:42 1 having that phrase.

11:54:44 2 Q Well, we're talking about Romano, not Kalotay.

11:54:48 3 A Excuse me. Romano.

11:54:49 4 Q Okay. So let me -- if it makes it easier for

11:54:52 5 you --

11:54:52 6 A Which is in the '854 patent.

11:54:54 7 Q I understand.

11:54:56 8 A So it's the same -- I have the same issue as what

11:55:02 9 you've presented -- you presented me with earlier.

11:55:05 10 So all I can do reliably to answer your two-part

11:55:09 11 question is to point you to Figure 4 which shows

11:55:41 12 the left velocity sensor signal and right velocity

11:55:45 13 sensor signal being summed together by element 405

11:55:50 14 to form the basis for the drive signal sent out to

11:55:55 15 drive coil 180.

11:56:00 16 Q Is there anything else in Romano where there's any

11:56:02 17 disclosure of using left and right sensor signals

11:56:07 18 to generate a drive signal?

12:03:27 19 A Romano's Figure 4 illustrates combining the left

12:03:32 20 and right velocity sensor signals. Summing them

12:03:36 21 together at node 405 is the basis for providing a

12:03:43 22 drive-to-drive coil 180, and I'm reading now from

12:03:59 23 my Declaration for the '136 IPR at -- on page 81,

12:04:15 24 second paragraph in that claim chart, where I

12:04:22 25 state, quote, "For example, Romano discloses that,"

12:04:28 1 quote, "a digitally-based drive circuit," dot, dot,
12:04:31 2 dot, "could be used in lieu of analog drive circuit
12:04:34 3 40 shown in Figure 4." That combination gives you
12:04:43 4 the basis for doing what you asked.

12:04:47 5 MR. COSTAKOS: Okay. Let's take a break.

12:04:49 6 MR. HEINTZ: You need a break, sir?

12:04:50 7 THE WITNESS: I do.

12:04:51 8 MR. COSTAKOS: He asked for a break,
12:04:52 9 like, 20 minutes ago.

10 BY MR. HEINTZ:

12:04:53 11 Q I'm just checking with him, counselor. We can go
12:04:55 12 off the record. But before we do, I'll instruct
12:04:58 13 you, sir, again that this deposition is continuing.
12:05:00 14 You're not to discuss the subject matter of this in
12:05:02 15 writing or orally with any other person. You
12:05:04 16 understand?

12:05:05 17 A I understand.

12:05:05 18 MR. COSTAKOS: We both understand that.

12:05:09 19 THE VIDEOGRAPHER: We are off the record
12:05:11 20 at 12:05 p.m.

12:05:14 21 (Lunch recess taken.)

01:12:01 22 (Attorney Sikorski left the proceedings.)

01:12:01 23 THE VIDEOGRAPHER: We are back on the
01:12:02 24 record at 1:11 p.m.

01:12:05 25 BY MR. HEINTZ:

01:12:05 1 Q Good afternoon, Dr. Sidman.

01:12:07 2 A Good afternoon.

01:12:08 3 Q Sir, let me ask you to direct your attention to

01:12:10 4 paragraph 179 of your expert report, I'm sorry,

01:12:15 5 your expert Declaration. And I'm referring to

01:12:27 6 Exhibit 2029 when I say, "Your expert Declaration."

01:12:31 7 A You said "136"?

01:12:32 8 Q Paragraph 179.

01:12:34 9 A Okay. Sorry about that.

01:12:35 10 Q No problem.

01:12:49 11 A Okay.

01:12:49 12 Q All right. Now, the first line of -- I'm sorry.

01:12:53 13 The first sentence of paragraph 179 reads, "Romano

01:12:56 14 similarly discloses phase compensation for time

01:13:00 15 delay due to the system components." Do you see

01:13:03 16 that?

01:13:03 17 A I do.

01:13:08 18 Q Okay. Now, it's correct sir, isn't it, that other

01:13:12 19 than the discussion of phase compensation for time

01:13:14 20 delay that's in paragraph 179, there's no other

01:13:19 21 place in your expert report where you contend that

01:13:21 22 there's some other phase compensation in Romano for

01:13:25 23 time delay, is there?

01:13:26 24 MR. COSTAKOS: Objection. Form.

01:13:53 25 THE WITNESS: At least due to system

01:13:54 1 components, that's correct.

01:13:56 2 BY MR. HEINTZ:

01:13:56 3 Q Okay. So at paragraph 179, you cite to Romano at

01:14:05 4 column 22, lines 10 to 32. And that's where that

01:14:10 5 long quotation in paragraph 179 comes from,

01:14:45 6 correct?

01:14:45 7 A I've got too many papers in front of me here.

01:14:48 8 Q Okay.

01:14:49 9 A So just bear with me a moment, please.

01:14:52 10 Q Sure.

01:15:09 11 A That's correct.

01:15:10 12 Q Okay. Now, near the bottom of the quotation in

01:15:16 13 paragraph 179, there's a reference to a phase shift

01:15:20 14 of $2P/128$ radians, correct?

01:15:29 15 A I see that that's how it was printed.

01:15:31 16 Q Okay.

01:15:31 17 A That's probably 2π over 128 is my guess. It's a

01:15:36 18 typo.

01:15:36 19 Q That -- That was my question. Thank you. So $2P$,

01:15:39 20 the "P" stands for pi, 3.14159 and -- and so forth?

01:15:44 21 A Right.

01:15:44 22 Q Okay.

01:15:45 23 A 2π radians is one revolution.

01:15:48 24 Q 360 degrees?

01:15:50 25 A 360 degrees.

01:15:51 1 Q Okay. All right. Now, again referring to the
01:15:58 2 passage in paragraph 179, there is a statement
01:16:07 3 there about five lines down that reads,
01:16:13 4 "Specifically, both velocity signals cannot be
01:16:15 5 sampled at the same time." You see that?

01:16:18 6 A No.

01:16:19 7 Q It's --

01:16:19 8 A Which -- Wait a minute. 179.

01:16:23 9 Q It's in your expert report.

01:16:24 10 A Oh.

01:16:24 11 Q I'm talking about paragraph 179.

01:16:26 12 A Oh, paragraph --

01:16:28 13 Q I'm sorry about that.

01:16:29 14 A Could you ask it again? I'm sorry.

01:16:31 15 Q Sure. And so let's take a look at the quotation
01:16:33 16 inside of paragraph 179. Go down five lines. And
01:16:40 17 near the end of the fifth line, there's a statement
01:16:42 18 that reads, "Specifically, both velocity signals
01:16:46 19 cannot be sampled at the same time."

01:16:48 20 A I see that.

01:16:49 21 Q Okay. And then it says, "Consequently, the two
01:16:52 22 velocity sensor signals are continuously sampled on
01:16:55 23 an alternating basis." You see that?

01:16:58 24 A I do.

01:16:59 25 Q Now I'm going to ask you to direct your attention

01:17:01 1 in the Romano patent to Figure 3. I'm just --

01:17:21 2 A I'm there.

01:17:21 3 Q I'm just going to try to correlate these statements

01:17:25 4 in this passage to what we see in Figure 3, okay.

01:17:29 5 So on the upper left-hand corner of Figure 3, we

01:17:32 6 see the words "from velocity sensors." And then

01:17:37 7 there's a left and a right signal being input to

01:17:40 8 the -- the mux 302, correct?

01:17:43 9 A Right. I believe that's short for multiplexer.

01:17:47 10 Q Thank you.

01:17:47 11 A Analog multiplexer.

01:17:50 12 Q And so what that means is -- And I'm sorry. And

01:17:53 13 there's one output coming out of the multiplexer

01:17:57 14 302, correct?

01:17:58 15 A That's correct. Yes.

01:17:59 16 Q And that heads into the anti-aliasing LPF or low

01:18:03 17 pass filter 306, correct?

01:18:05 18 A I agree.

01:18:06 19 Q So that means that the output of the multiplexer

01:18:09 20 will be a left sensor signal, then the right sensor

01:18:14 21 signal and will just keep switching back and forth

01:18:16 22 between the two, correct?

01:18:18 23 A That's correct. The samples are interleaved --

24 Q Right.

01:18:22 25 A -- in time.

01:18:23 1 Q And at this point, we're still talking about an
01:18:27 2 analog signal, correct? The sampling happens at
01:18:30 3 the sample and hold circuit 309 and the A to D
01:18:33 4 converter 320 downstream?

01:18:34 5 A That's correct.

01:18:34 6 Q Okay. Now, the -- I think we just established that
01:18:41 7 the left and right sensor signals here in the upper
01:18:45 8 left-hand corner of Figure 3 are analog signals,
01:18:48 9 correct?

01:18:51 10 A In this implementation, that's correct. Yes.

01:18:55 11 Q And let me direct your attention to Figure 4 of
01:18:59 12 Romano, okay. There it says from left velocity
01:19:08 13 sensor 160L and from right velocity sensor 160R up
01:19:13 14 in the left-hand corner of Figure 4?

01:19:15 15 A I see it.

01:19:15 16 Q Those are analog signals, are they not?

01:19:18 17 A In this implementation that Romano shows in Figure
01:19:24 18 4, they're analog.

01:19:25 19 Q And they would be the same analog signals as what
01:19:28 20 we saw in the upper left-hand corner of Figure 3 of
01:19:31 21 Romano, correct?

01:19:32 22 A Well, they're similarly labeled, and so I would
01:19:35 23 have no basis to disagree with you on that point at
01:19:39 24 all.

01:19:40 25 Q Okay. And Romano discloses that you can have a --

01:19:50 1 a digital drive circuit which, again, with
01:19:54 2 reference to Figure 3 of Romano includes the latch
01:20:00 3 388, the D to A converter 390, the filter 392 and
01:20:06 4 what I think is an amplifier 394. You can have
01:20:10 5 that circuit in place of what's shown in Figure 4,
01:20:14 6 the analog drive circuit of Romano, correct?

01:20:17 7 MR. COSTAKOS: Objection. Form.

01:20:22 8 THE WITNESS: Okay. So it's not just
01:20:26 9 that they're two -- they're two different
01:20:36 10 implementations, so maybe I missed something in
01:20:43 11 your question.

01:20:44 12 BY MR. HEINTZ:

01:20:44 13 Q Yeah. I guess what I'm getting at is this. Figure
01:20:47 14 4 shows an analog drive circuit, correct?

01:20:52 15 A The drive circuit 40 is shown in analog form in the
01:20:57 16 implementation that Romano shows in Figure 4,
01:21:01 17 correct.

01:21:01 18 Q Right. And Romano discloses that instead of having
01:21:04 19 that circuit 40 as the drive circuit, you can
01:21:08 20 include a drive circuit that includes the latch
01:21:12 21 388, the D to A converter 390 and the rest of the
01:21:16 22 circuits on that lower right-hand corner in phantom
01:21:21 23 in Figure 3, correct?

01:21:24 24 MR. COSTAKOS: Objection. Form.

01:21:51 25 BY MR. HEINTZ:

01:21:51 1 Q And if you'd like, I think I can give you a
01:21:53 2 citation that would back that up. Would you like
01:21:55 3 that?
01:21:55 4 A Please. That would probably facilitate things.
01:21:59 5 Q Sure. Column 24 of Romano, okay, starting at line
01:22:05 6 32, reads, "Second, a digital" -- sorry, "A
01:22:09 7 digitally-based drive circuit shown in dotted lines
01:22:13 8 and formed of latch 388, digital to analog,
01:22:19 9 parentheses, 'D/A,' end parentheses, converter 390,
01:22:26 10 filter 392 and amplifier 394 could be used in lieu
01:22:30 11 of analog drive circuit 40 shown in Figure 4."
01:22:39 12 Does that help?
01:23:03 13 A I don't think it's a one-one substitution
01:23:11 14 irregardless of what you may think you're reading
01:23:14 15 there because, obviously, in -- in Figure 3 of
01:23:33 16 Romano '196, those components consisting of 388,
01:23:40 17 390, 392, 394, latch D to A filter and 394, which I
01:23:47 18 presume is a power amplifier, by themselves don't
01:23:52 19 constitute the complete set of functionality that's
01:23:57 20 described in Figure 4, at least from the standpoint
01:24:03 21 of gathering sensor data.
01:24:08 22 Q Sure. I understand.
01:24:10 23 A Yeah.
01:24:10 24 Q I guess what I'm getting at is if you have the
01:24:15 25 drive circuit 40 shown in Figure 4 in an embodiment

01:24:19 1 of Romano's invention, then you're not going to
01:24:22 2 have the latch D to A 390 and so on. You're not
01:24:26 3 going to have those components shown in phantom in
01:24:28 4 the lower right-hand corner of Figure 3. Would you
01:24:31 5 agree with that --

01:24:33 6 MR. COSTAKOS: Objection. Form.

01:24:34 7 BY MR. HEINTZ:

01:24:34 8 Q -- because the passage I just read said you use it
01:24:36 9 in lieu of the drive circuit 40?

01:24:41 10 MR. COSTAKOS: Objection. Form.

01:24:42 11 THE WITNESS: Okay. So what we're
01:24:44 12 looking at here in Figures 3 and 4 are two
01:24:50 13 embodiments of the claimed art. And like I
01:24:59 14 indicated earlier, with respect to something
01:25:05 15 else --

01:25:05 16 BY MR. HEINTZ:

01:25:05 17 Q It's probably Kalotay.

01:25:08 18 A -- it's not -- it's not necessarily one or the
01:25:13 19 other. It could be some combination of those that
01:25:18 20 gets to the heart of what's disclosed in Romano 196
01:25:24 21 with respect to drive signal generation.

01:25:30 22 Q Does Romano anywhere disclose any combination of
01:25:36 23 the latch 388, the D to A 390, the filter 392, the
01:25:40 24 amplifier 394 with any of the components of Figure
01:25:44 25 4 in Romano?

01:27:39 1 A While I understand your question is directed
01:27:41 2 specifically to Romano's disclosures, I'd point you
01:27:44 3 to paragraph 73 of my Declaration on page 31.
01:27:52 4 That's my Declaration '136, Exhibit 2029, where I
01:27:58 5 state, "A large percentage of systems incorporating
01:28:01 6 digital components, including systems incorporating
01:28:04 7 digital processes, are not completely digital.
01:28:08 8 There are analog components in the control systems
01:28:11 9 as well. Additionally, many components include
01:28:20 10 analog portions," dot, dot, dot. So somebody
01:28:24 11 skilled in the art at the time of the invention
01:28:28 12 would understand you can mix and match digital and
01:28:32 13 analog components. That's it.

01:28:40 14 Q That's a very curious answer that you would point
01:28:43 15 me to paragraph 73 of your report when I'm asking
01:28:46 16 you whether there's a disclosure in Romano of
01:28:48 17 mixing latch 388, D to A converter 390, filter 392,
01:28:55 18 and amplifier 394 with any of the components shown
01:28:58 19 in Figure 4, so I'd like an answer to that
01:29:00 20 question. Is there any disclosure in Romano of
01:29:04 21 including those circuit elements shown in phantom
01:29:09 22 in the lower right-hand corner of Figure 3 with any
01:29:11 23 of the components shown in Figure 4?

01:29:15 24 MR. COSTAKOS: Objection. Form.

01:29:53 25 BY MR. HEINTZ:

01:29:53 1 Q And, sir, you're free to look at whatever you like.
01:29:56 2 I just want to make sure you understand. My
01:29:57 3 question is, is there any disclosure in the Romano
01:29:59 4 patent? Okay.

01:33:00 5 A I think it would be evident to somebody skilled in
01:33:03 6 the art that at the time of the invention that
01:33:10 7 Romano's suggestion at column 24, line 60, in the
01:33:26 8 event that digitally-based drive -- take that
01:33:33 9 back -- in the event this digitally-based drive
01:33:35 10 circuit were to be used in lieu of drive circuit
01:33:41 11 40, dot, dot, dot, tells somebody reading this
01:33:52 12 patent to make use of the functionality of analog
01:34:02 13 circuit -- drive circuit 40 in a digital
01:34:08 14 implementation, including making use of those
01:34:27 15 digital output components consisting of 388, 390,
01:34:32 16 392 and 394 to produce a digital implementation.

01:34:42 17 Furthermore, it would be understood that
01:35:00 18 there are many possible implementations of analog
01:35:10 19 drive circuit 40 that may make use of one or more
01:35:17 20 digital components and that a digital
01:35:21 21 implementation using a microprocessor or digital
01:35:30 22 signal processor like as shown in Figure 3 as
01:35:40 23 element 330 would involve programming to achieve
01:35:45 24 that functionality or something similar. That's
01:35:49 25 it.

01:35:50 1 Q So in your answer, you used the word "evident."
01:35:53 2 What did you mean by "evident"? And you can have
01:35:59 3 your answer read back if you'd like.

01:37:14 4 A It would be evident because Romano in column 24,
01:37:22 5 line 60, suggests an alternative, that is, a
01:37:30 6 digitally-based drive circuit were to be used in
01:37:34 7 lieu of drive circuit 40. He doesn't suggest any
01:37:38 8 other way of implementing the drive circuit in
01:37:43 9 analog form. He suggests it in digital form but
01:37:47 10 explicitly, so that's -- that's the basis for that
01:37:55 11 statement.

01:37:55 12 Q What does "in lieu of" mean?

01:37:59 13 A Instead of is my understanding.

01:38:01 14 Q So is Romano saying there that you could use either
01:38:05 15 the drive circuit 40, the analog drive circuit, or
01:38:08 16 instead of drive circuit 40 you can use the latch
01:38:11 17 388, the D to A 390, the filter 392 and the
01:38:15 18 amplifier 394?

01:38:17 19 MR. COSTAKOS: Objection. Form.

01:38:35 20 THE WITNESS: Not by itself because he
01:38:37 21 continues where he -- on line 62 where he adds,
01:38:45 22 "But in conjunction with the host microprocessor
01:38:48 23 system," that system including all of the
01:39:12 24 functionalities shown in Figure 3.

01:39:15 25 BY MR. HEINTZ:

01:39:16 1 Q Okay. But the microprocessor is not part of Figure
01:39:21 2 4, is it?

01:39:31 3 A That implementation does not show a microprocessor.
01:39:35 4 I agree.

01:39:35 5 Q So what that passage of Romano actually says is
01:39:39 6 either I'm going to have Figure 4, the analog
01:39:41 7 system, or I'm going to have a digitally-based
01:39:44 8 system that includes everything from 388 to 394.
01:39:48 9 Doesn't say anything about having both of those in
01:39:49 10 one embodiment, does it?

01:39:52 11 MR. COSTAKOS: Objection to form.

01:39:52 12 BY MR. HEINTZ:

01:39:53 13 Q It says use one in place of the other?

01:39:55 14 MR. COSTAKOS: Objection. Form.

01:42:06 15 THE WITNESS: First of all, neither of
01:42:07 16 these, that is, Figure 3 or Figure 4, would be
01:42:11 17 understood by somebody of skill in the art reading
01:42:18 18 this patent that they are blueprints for the design
01:42:27 19 of a digital or analog drive control system or
01:42:32 20 circuit. Moreover, Romano teaches substituting at
01:42:42 21 least the output stage consisting of latch 398,
01:42:49 22 390, 392 and 394 in combination with a
01:42:55 23 microprocessor system to achieve his teachings.
01:43:06 24 And furthermore, as I pointed out earlier in my
01:43:14 25 Declaration, in addition to that, it's obvious to

01:43:28 1 combine, mix and match, to achieve analog and
01:43:33 2 digital components to achieve Romano's teachings.
01:43:38 3 BY MR. HEINTZ:
01:43:41 4 Q That means the answer to my question is "yes,"
01:43:43 5 doesn't it, sir?
01:43:45 6 A Well --
01:43:45 7 MR. COSTAKOS: Objection. Form.
01:43:47 8 THE WITNESS: I forget your question.
01:43:48 9 BY MR. HEINTZ:
01:43:48 10 Q It took you quite a long time to answer it , so I'm
01:43:51 11 not surprised.
01:43:52 12 MR. HEINTZ: Ma'am, would you read back
01:43:53 13 my previous question.
01:43:54 14 MR. COSTAKOS: Move to strike your
01:43:58 15 commentary.
01:44:12 16 (Question read.)
17 Q It says use one in place of the
01:44:14 18 other?
01:44:14 19 MR. COSTAKOS: Objection. Form.
01:44:17 20 BY MR. HEINTZ:
01:44:18 21 Q So Romano is saying use the analog circuit that's
01:44:21 22 in the lower right-hand corner of Figure 3 in place
01:44:24 23 of the digital circuit of Figure 4 -- I'm sorry,
01:44:27 24 the analog circuit of Figure 4, correct?
01:44:29 25 MR. COSTAKOS: Objection. Form.

01:44:30 1 BY MR. HEINTZ:

01:44:31 2 Q Is that what you meant when you said "substitute
01:44:35 3 one for the other" in your answer?

01:44:36 4 A Well, it's not only the digital portion in the
01:44:39 5 bottom right corner of Figure 3, but also the
01:44:44 6 microprocessor system --

01:44:46 7 Q Okay.

01:44:51 8 A -- is what he actually says, right?

01:44:53 9 Q Yes. Now let's turn to Figure 4 of Romano. Now,
01:45:02 10 you testified earlier that the microprocessor
01:45:05 11 circuit is not -- I'm sorry, the microprocessor in
01:45:08 12 Figure 3 is not part of the circuit in Figure 4,
01:45:11 13 correct?

01:45:21 14 A The implementation that Romano shows in Figure 4
01:45:25 15 does not include a microprocessor --

01:45:31 16 Q All right. Now in -- I'm sorry. Were you done?

01:45:33 17 A -- as far as I can see in that diagram. Of course,
01:45:37 18 there is a drive-enabled signal coming in on the
01:45:43 19 bottom left. I'm not sure without reviewing this
01:45:47 20 where that comes from. Like you said, a
01:45:51 21 microprocessor is not shown --

01:45:52 22 Q Okay.

01:45:53 23 A -- in this figure, and I agree with that.

01:45:55 24 Q All right. Now, let's go back to paragraph 179 of
01:46:00 25 your Declaration, shall we?

01:46:18 1 A I'm there.

01:46:19 2 Q Okay. Now, near the bottom of the citation from

01:46:23 3 the Romano patent that's in paragraph 179, in fact,

01:46:28 4 it's the last full sentence about six lines up from

01:46:30 5 the bottom, it says, "Now, to compensate for this

01:46:34 6 phase shift between the sampled velocity signals,

01:46:38 7 each of the 64 samples for every tube cycle

01:46:42 8 produced by the left velocity sensor is multiplied

01:46:46 9 by a corresponding sine term while, as discussed

01:46:50 10 below, each of the 64 samples produced by the right

01:46:53 11 channel is multiplied by a corresponding sine term

01:46:58 12 that includes a phase shift of 2π divided by 128

01:47:04 13 radians," correct?

01:47:06 14 A That's correct. Yes.

01:47:07 15 Q All right. So the phase shift is achieved by

01:47:14 16 multiplying the right velocity sensor signals after

01:47:26 17 sampling and after being converted to digital form

01:47:31 18 by a -- by the sine term, correct?

01:47:40 19 A Well, the digital implementation that's described

01:47:47 20 there happens to use a phase shifted sine term to

01:48:05 21 achieve that phase shift.

01:48:08 22 Q Okay. There is no phase shift applied to the right

01:48:12 23 velocity sensor signal in analog form as used in

01:48:17 24 the circuit of Figure 4, is there? I'm sorry. Let

01:48:25 25 me withdraw that question and make it even simpler.

01:48:28 1 The 2π over 128 radians phase shift that's
01:48:31 2 discussed in paragraph 179 is not applied to the
01:48:35 3 analog right velocity sensor signal that's used in
01:48:38 4 the drive circuit of Figure 4, is it?

01:48:44 5 A That's correct.

01:48:57 6 Q Now I want to go to paragraph 180 of your report,
01:49:06 7 and I want to go back to the -- I'm sorry. Just
01:49:09 8 let me know when you get there.

01:49:19 9 A Okay.

01:49:19 10 Q All right. I'm going to -- Just look again at that
01:49:24 11 second sentence up near the top of page 80 that
01:49:28 12 begins, "The right and left channel signals are
01:49:32 13 both used by microprocessor 330 to generate the
01:49:35 14 drive signal, as discussed above." And then you
01:49:40 15 cite to Romano at column 24, 32 to 60. I think
01:49:47 16 before lunch, but I want to confirm this now, that
01:49:49 17 you agreed that there's no disclosure at column 24,
01:49:54 18 line 32 to 60 of Romano, of using both the left and
01:49:58 19 the right channel sensor signals to generate the
01:50:02 20 drive signal?

01:51:30 21 A Well, Romano's '196 patent, column 24, line 35,
01:51:46 22 which is part of that citation, Romano discloses a
01:51:59 23 drive circuit, an analog drive circuit 40, with
01:52:11 24 respect to relating to a digitally-based drive
01:52:20 25 circuit described in more detail in that paragraph.

01:52:23 1 Q And that's the same circuit we've been talking
01:52:25 2 about for quite some time prior to this, the -- the
01:52:29 3 circuit that appears in the lower right-hand corner
01:52:31 4 of Figure 3 of Romano, plus the microprocessor,
01:52:34 5 correct, the latch 388, the D to A converter 390,
01:52:42 6 the filter 392 and the amplifier 394?

01:52:46 7 A Well, in reality, the whole -- the microprocessor
01:52:50 8 is also included --

01:52:52 9 Q Right.

01:52:52 10 A -- in that, so it's not fair to just include those
01:52:55 11 components.

01:52:55 12 Q And the microprocessor, but where in that --

01:52:58 13 A Microprocessor system.

01:52:59 14 Q Well, it says microprocessor 3 -- Okay. I'm not
01:53:03 15 going to mince words with that. Where in that
01:53:05 16 passage does it say you're going to use both the
01:53:08 17 left and the right sensor signals in the
01:53:16 18 digitally-based drive circuit that's discussed in
01:53:18 19 that passage?

01:53:44 20 A Outside of reference to analog -- the reference to
01:53:50 21 analog drive circuit 40 in that passage, which
01:54:00 22 teaches combining the left and right velocity
01:54:02 23 signals, the implementation described in this
01:54:48 24 paragraph, while it does not explicitly recite
01:55:08 25 combining the left and right velocity signals, it

01:55:22 1 teaches no alternative in formulating the drive
01:55:31 2 signal other than, again, by combining the left and
01:55:35 3 right velocity signals, so that's the only thing
01:55:38 4 that Romano teaches.

01:55:42 5 Q Is it fair to say then that in your opinion, Romano
01:55:45 6 doesn't say one way or the other whether the
01:55:48 7 digital -- digitally-based drive circuit is going
01:55:51 8 to combine the left and right channel signals?

01:55:54 9 MR. COSTAKOS: Objection. Form.

01:56:15 10 THE WITNESS: I think I just answered
01:56:16 11 that question in my previous response.

01:56:18 12 BY MR. HEINTZ:

01:56:18 13 Q Maybe I didn't understand it, so what's the answer
01:56:21 14 to the question, "yes" or "no"?

01:56:22 15 MR. COSTAKOS: Objection. Form.

01:56:23 16 THE WITNESS: Just, let's have the answer
01:56:25 17 read back.

01:56:29 18 BY MR. HEINTZ:

01:56:29 19 Q Okay. The answer is "no," right? Romano doesn't
01:56:32 20 say that in the digitally-based drive circuit
01:56:34 21 you're going to combine the left and the right
01:56:36 22 channel sensor signals, does it, Dr. Sidman?

01:56:43 23 MR. COSTAKOS: Objection to form.

01:56:51 24 THE WITNESS: I think my last answer
01:56:53 25 answers that question, and I'm not contradicting

01:56:59 1 what you just said.

01:57:01 2 BY MR. HEINTZ:

01:57:01 3 Q Did your attorney instruct you never to answer a
01:57:03 4 question "yes" or "no"?

01:57:06 5 A Absolutely not.

01:57:07 6 Q Okay. So can you answer my question "yes" or "no"?
01:57:11 7 Does Romano explicitly disclose combining the left
01:57:14 8 and right channel signals in the digitally-based
01:57:17 9 drive circuit that's discussed at column 24, lines
01:57:23 10 32 to 60, of Romano?

01:57:26 11 MR. COSTAKOS: Objection. Form.

01:57:39 12 THE WITNESS: Again, only to the extent
01:57:42 13 that it references the analog drive circuit in this
01:57:51 14 passage and the summation of those signals in the
01:57:55 15 analog drive circuit, not teaching any other method
01:58:00 16 for developing the drive signal to the coil.

01:58:06 17 BY MR. HEINTZ:

01:58:06 18 Q Now, Romano doesn't say that the digitally-based
01:58:09 19 drive signal -- sorry, the digitally-based drive
01:58:11 20 circuit is going to operate in exactly the same way
01:58:14 21 as the analog drive circuit 40, does it?

01:58:27 22 A Well, what do you mean by "exactly the same way"?
01:58:31 23 It's a digital implementation versus an analog
01:58:34 24 implementation. Of course, it could be mixed and
01:58:36 25 matched to be some combination of the two, so --

01:58:39 1 Q But Romano doesn't disclose any combination of the
01:58:42 2 two?

01:58:43 3 A In?

01:58:44 4 Q Romano doesn't disclose any combination of the two
01:58:47 5 circuits, does he? And we've been over this
01:58:54 6 before. He uses one circuit in lieu of, in place
01:58:56 7 of, the other. He doesn't say, "Take some parts of
01:59:00 8 drive circuit 40 and combine them with the
01:59:02 9 digitally-based drive circuit," does he?

01:59:05 10 MR. COSTAKOS: Objection to form.

01:59:11 11 THE WITNESS: Well, again, one would
01:59:13 12 understand reading this patent that these are not
01:59:18 13 blueprints for a product design. You take the
01:59:24 14 teachings that Romano offers as you think might be
01:59:41 15 practical for a particular design.

01:59:42 16 BY MR. HEINTZ:

01:59:43 17 Q Sir, I'm not asking you what's obvious from Romano.
01:59:46 18 I'm asking you what Romano discloses. Now, does
01:59:49 19 Romano disclose any mixing of analog circuit 40 in
01:59:54 20 Figure 4 and the digitally-based drive circuit that
01:59:57 21 we've been discussing that's talked about in Romano
02:00:01 22 at column 24, lines 32 to 60?

02:00:34 23 MR. COSTAKOS: Objection. Form.

02:01:07 24 THE WITNESS: Okay. I think only by
02:01:09 25 virtue of his reference to an analog drive circuit

02:01:13 1 40 in that paragraph does he do that, but he does
02:01:15 2 make that reference.

02:01:19 3 BY MR. HEINTZ:

02:01:19 4 Q And by "that reference," you mean the reference to
02:01:21 5 analog drive circuit 40?

02:01:23 6 A Right, and what that entails.

02:01:25 7 Q But he doesn't explicitly say that the same
02:01:29 8 processing is going to be applied, right?

02:01:38 9 A Processing of?

02:01:40 10 Q The -- One or both drive sensor signals. You know
02:01:56 11 what? I'll withdraw that. I think the answer's
02:01:58 12 pretty clear. All right. Now, again --

02:02:01 13 A I'd like to respond to that. I just want to point
02:02:04 14 out that Figure 3 illustrates processing of both
02:02:10 15 the left and right velocity signals.

02:02:13 16 Q Thank you. You've anticipated my next question.
02:02:15 17 So how does Figure 3 illustrate that both the left
02:02:20 18 and the right signal are going to be processed to
02:02:24 19 generate the drive signal that's supplied to drive
02:02:28 20 coil 180?

02:03:05 21 A They're both fed in to the digital implementation
02:03:20 22 of Figure 3 that results in a drive signal to drive
02:03:25 23 coil 180.

02:03:27 24 Q Now, there's nothing in Figure 3 that tells you
02:03:30 25 that it's necessarily so that the left signal and

02:03:34 1 the right signal are going to be used to generate
02:03:36 2 the drive signal, is there?

02:05:10 3 A Romano doesn't restrict his digital implementation
02:05:18 4 in that way.

02:05:22 5 Q So --

02:05:23 6 A He didn't see any -- obviously didn't see any need
02:05:26 7 to do that. So he didn't specify that, for
02:05:31 8 example, a digital implementation only needed to
02:05:34 9 use one or the other, that is, the left or the
02:05:42 10 right sensor signals.

02:05:43 11 Q So that means it's possible that the circuit of
02:05:46 12 Figure 3 only uses the left signal, isn't that
02:05:49 13 right, to generate the drive signal?

02:05:54 14 MR. COSTAKOS: Objection. Form.

02:07:04 15 THE WITNESS: In part because Romano
02:07:07 16 doesn't disclose software that would reveal the
02:07:15 17 functionality of his microprocessor-based system in
02:07:20 18 Figure 3, he doesn't disclose an alternative
02:07:27 19 functionality as far as drive coil drive -- the
02:07:36 20 drive coil drive signal that utilizes anything
02:07:41 21 other than what is disclosed in Figure 4 as
02:07:50 22 combining, i.e., summing, the left and right
02:07:55 23 velocity sensor signals.

02:07:58 24 BY MR. HEINTZ:

02:07:59 25 Q Boy, there was a lot of negatives in that answer,

02:08:01 1 so you're going to have to explain that to me a
02:08:03 2 little more. My question to you was, does Figure 3
02:08:09 3 necessarily use either the left or the right drive
02:08:13 4 sensor signal -- Sorry. Does the circuit of Figure
02:08:18 5 3 use either the left or the right velocity sensor
02:08:22 6 signal? You know what? Let me withdraw that
02:08:26 7 question and start one more time. Does the circuit
02:08:30 8 in Figure 3 necessarily use both the left velocity
02:08:34 9 sensor signal and the right velocity sensor signal
02:08:39 10 to drive the signal that goes to the drive coil
02:08:44 11 180? Yes or no.

02:08:45 12 MR. COSTAKOS: Objection. Form.

02:08:48 13 THE WITNESS: It might because we don't
02:08:50 14 know what the software is, but Romano doesn't teach
02:08:56 15 anything other than summing the two velocity sensor
02:09:02 16 signals for the basis of developing a drive coil
02:09:13 17 signal.

02:09:14 18 BY MR. HEINTZ:

02:09:14 19 Q Okay.

02:09:15 20 A And it doesn't restrict -- He did not see fit to
02:09:21 21 restrict his digital implementation in that way.

02:09:27 22 MR. HEINTZ: All right. I think we've
02:09:29 23 been going about an hour, and I'm told there's just
02:09:32 24 a couple of minutes left on the tape. So if you
02:09:34 25 guys would like, we'll take a short break.

02:09:36 1 MR. COSTAKOS: Sounds fine.

02:09:37 2 THE VIDEOGRAPHER: We are off the record
02:09:38 3 at 2:09 p.m. This is the end of Disk No. 2, Volume
02:09:43 4 II, in the deposition of Michael Sidman.

02:10:24 5 (Recess taken.)

02:19:16 6 THE VIDEOGRAPHER: We are back on the
02:19:17 7 record at 2:19 p.m. This is the beginning of Disk
02:19:20 8 No. 3, Volume II, in the deposition of Michael
02:19:24 9 Sidman.

02:19:26 10 BY MR. HEINTZ:

02:19:26 11 Q Dr. Sidman, I'd like you to direct your attention
02:19:29 12 again to the Romano patent that's Exhibit 2022, and
02:19:34 13 I'll ask you to turn back to column 24. Okay. And
02:19:43 14 I'm going to ask you to direct your attention to
02:19:49 15 line 36 in column 24 of Romano. Just let me know
02:19:55 16 when you're there.

02:19:56 17 MR. COSTAKOS: Which line?

02:19:57 18 MR. HEINTZ: 20 -- I'm sorry, 36.

02:19:59 19 MR. COSTAKOS: 36. Okay.

02:20:17 20 THE WITNESS: I'm there.

02:20:18 21 BY MR. HEINTZ:

02:20:18 22 Q Okay. So line 36 reads, starting at the first
02:20:22 23 sentence, "As discussed in detail below,
02:20:27 24 microprocessor 330 as shown in Figure 3 calculates
02:20:32 25 the magnitude of a succession of frequency

02:20:35 1 components using the DFT, specifically using
02:20:41 2 equation four above to locate the frequency at
02:20:45 3 which the flowtubes resonantly vibrate, i.e., that
02:20:51 4 frequency component at which the magnitude of the
02:20:53 5 DFT reaches a peak value." Do you see that?

02:20:58 6 A I do.

02:20:58 7 Q And the next sentence reads, "Therefore, once this
02:21:04 8 frequency component is known, microprocessor 330
02:21:06 9 can readily generate a quantized sinusoidal
02:21:11 10 waveform at exactly this frequency." Do you see
02:21:13 11 that?

02:21:14 12 A I do.

02:21:14 13 Q So this passage of column 24 is telling you what
02:21:17 14 the microprocessor 330 in Figure 3 is doing to
02:21:20 15 generate the drive signal, isn't it?

02:21:22 16 MR. COSTAKOS: Objection. Form.

02:25:39 17 THE WITNESS: That passage that you just
02:25:40 18 referred to illustrates one approach in a
02:25:51 19 digitally-based drive circuit implementation for
02:25:58 20 synthesizing a sinusoidal drive signal as opposed
02:26:41 21 to the analog nonsynthesized implementation
02:27:06 22 illustrated in Figure 4.

02:27:11 23 BY MR. HEINTZ:

02:27:11 24 Q So this -- This passage begins at line 36 with the
02:27:18 25 words, "As discussed in detail below." Do you see

02:27:23 1 that?

02:27:27 2 A I see that.

02:27:28 3 Q Okay. Now, since you cited to this portion of
02:27:34 4 column 24 at lines 32 to 60 in paragraph 180 in
02:27:39 5 your report, did you determine where the discussion
02:27:43 6 of what the microprocessor 330 is doing to -- to
02:27:46 7 generate the drive signals discussed in further
02:27:50 8 detail below?

02:27:51 9 MR. COSTAKOS: Objection. Form.

02:29:48 10 THE WITNESS: Yes. I understood it as a
02:29:50 11 digital -- an illustration of a digital
02:29:53 12 implementation for generating a
02:29:56 13 digitally-synthesized sine wave drive signal.

02:30:05 14 BY MR. HEINTZ:

02:30:05 15 Q Sir, what I was asking you was at line 36, we see
02:30:10 16 the words, "As discussed in detail below," right.
02:30:15 17 And what's discussed in detail below is what the
02:30:17 18 microprocessor 330 is going to do. I'm asking you
02:30:21 19 whether you made a determination as to where in
02:30:25 20 detail the discussion below occurred. In other
02:30:29 21 words, is there some portion of the '196 patent
02:30:34 22 below the passage here that starts at line 32 that
02:30:37 23 discusses in detail what the microprocessor is
02:30:40 24 going to do?

02:30:41 25 A Ending on what line?

02:30:43 1 Q Ending on line 60 as you cited in your report.

02:30:55 2 A I'm not sure why you, first of all, think "below"

02:30:58 3 refers to the end of that paragraph versus what's

02:31:01 4 below it in that paragraph.

02:31:04 5 Q Okay. So is it your understanding then that the

02:31:07 6 discussion below is encompassed within column 24?

02:32:17 7 A It may or may not --

02:32:18 8 Q Okay.

02:32:18 9 A -- depending, for example, if "below" refers to

02:32:30 10 what begins in column 25 at approximately line 21

02:32:41 11 or 22.

02:32:43 12 Q Okay. Well, it's pretty clear that's not what

02:32:47 13 they're talking about. But let's -- Let's see if

02:32:50 14 you agree with me. So at column 24, line 36, they

02:32:54 15 say, "As discussed in detail below, microprocessor

02:32:57 16 330 as shown in Figure 3 calculates the magnitude

02:33:01 17 of a succession of frequency components using the

02:33:05 18 DFT." Do you see that?

02:33:07 19 A I do.

02:33:07 20 Q And there's no microprocessor in the drive

02:33:10 21 signal -- sorry, in the drive circuit 40 that's

02:33:12 22 discussed starting at column 25 at about line 21,

02:33:18 23 is there?

02:33:18 24 A I think you're correct. Yes.

02:33:19 25 Q Okay. Is it possible that what's -- when column

02:33:22 1 24, line 36, talks -- says, "As discussed in detail
02:33:27 2 below," they're discussing the DFT routine 700 that
02:33:32 3 starts at column 30, line 46?

02:33:37 4 MR. COSTAKOS: Objection. Form.

02:35:48 5 THE WITNESS: I suppose it's possible
02:35:49 6 that it refers to what's illustrated in that
02:35:56 7 section.

02:35:57 8 BY MR. HEINTZ:

02:35:57 9 Q Did you read --

02:35:58 10 A But --

02:35:58 11 Q I'm sorry.

02:36:00 12 A Again, that is just another example of a way to
02:36:11 13 proceed in calculating the DFT. Romano doesn't
02:36:33 14 limit his claims in this case, Claim 36, to the
02:36:50 15 methodology illustrated in the embodiment discussed
02:36:57 16 in column 30 beginning at line 52.

02:37:02 17 Q When you referred to Claim 36 in your answer, to
02:37:05 18 what were you referring? Which Claim 36?

02:37:37 19 A I misspoke.

02:37:38 20 Q Okay. What did you intend to say then?

02:37:47 21 A Romano does not restrict his invention to simply
02:38:09 22 one specific or more than one
02:38:16 23 specifically-disclosed embodiment in his '196
02:38:20 24 patent.

02:38:21 25 Q Did you read this passage in column 30 starting at

02:38:26 1 line 46 when you prepared your expert report?

02:38:57 2 A Yes. I read it in preparation for preparing my
02:39:01 3 Declaration.

02:39:01 4 Q I'm sorry. I did say, "Report." I meant to say,
02:39:04 5 "Declaration."

02:39:05 6 A That's fine. I think we understood the same thing.

02:39:08 7 Q Okay. So, sir, can I ask you to read into the
02:39:10 8 record the first -- I'm sorry, the second sentence
02:39:14 9 starting at line 49 of column 30 of the Romano
02:39:18 10 patent?

02:39:20 11 A How far?

02:39:22 12 Q Just the first sentence, the word -- sentence that
02:39:25 13 begins, "Essentially."

02:39:28 14 A Okay. "Essentially, this routine samples one of
02:39:34 15 the velocity waveforms at a fixed sample rate and
02:39:37 16 calculates the magnitude of all frequency
02:39:39 17 components from N equals one," dot, dot, dot, "to
02:39:44 18 capital N minus one, paren, (herein minus one
02:39:50 19 equals the value 63), end of paren, that compromise
02:39:56 20 the discrete Fourier transform of the waveform
02:40:00 21 produced by the left velocity signal."

02:40:04 22 MR. COSTAKOS: And just to make sure the
02:40:05 23 record's clear, he said, "Compromise," and I think
02:40:09 24 he meant "comprise," and the last word was
02:40:12 25 "sensor," not "signal."

02:40:13 1 MR. HEINTZ: Thank you, counselor.

02:40:14 2 MR. COSTAKOS: Other than that, I think

02:40:15 3 you read it correctly.

02:40:17 4 BY MR. HEINTZ:

02:40:17 5 Q Okay. So when they refer to the "left velocity

02:40:25 6 sensor," isn't that the signal in Figure 3 of

02:40:30 7 Romano that's denoted by 165L after it's been

02:40:45 8 digitized?

02:40:55 9 A In Figure 3, is that what you're asking me?

02:40:57 10 Q Yes. In other words, the passage at column 30,

02:41:01 11 when it refers to the waveform produced by the left

02:41:06 12 velocity sensor, they're talking about the 165L,

02:41:14 13 left velocity sensor, in Figure 3 after

02:41:16 14 digitization, correct?

02:42:23 15 A I think that refers to the discrete time version of

02:42:26 16 analog left velocity signal 165L.

02:42:45 17 Q Thank you. Now, sir, in the description of this

02:42:49 18 DFT routine 700 that goes on through column 31 and

02:42:55 19 column 32, is there any discussion of using any

02:42:58 20 signal from the right velocity sensor?

02:43:02 21 A Well, the language in that section describes using

02:45:56 22 the left velocity waveform ultimately for the

02:46:06 23 purpose of synthesizing a digital drive signal.

02:46:36 24 There's no reason to believe that the left velocity

02:46:39 25 signal is the only one that should be selected as

02:46:51 1 the basis for developing the drive control signal.

02:47:00 2 Why not the right side, for example?

02:47:02 3 Q Great.

02:47:04 4 A There's nothing -- There's nothing in the mechanics

02:47:10 5 of the system that would indicate that that left

02:47:17 6 sensor has anything special about the signal that

02:47:22 7 it produces relative to the right.

02:47:28 8 Q So is the answer to my question, no, there is no

02:47:32 9 disclosure in the DFT routine 700 that starts at

02:47:39 10 column 30 at about line 46 through column 32, line

02:48:14 11 14, of the use of the right velocity signal?

02:48:17 12 A I would agree to that in the context of what I just

02:48:17 13 said.

02:48:20 14 Q Thank you. Now, let's take a look at the Vernier

02:48:23 15 search routine 800 that's described starting at

02:48:35 16 column 32, line 15, and goes through column 33,

02:48:45 17 line 49. And my question again, sir, is -- Well,

02:48:47 18 let me ask this question. Did you read this

02:48:49 19 section in forming your opinion as expressed in

02:49:06 20 your expert Declaration?

02:49:10 21 A I'm sure I did, but let me refresh myself. So you

02:49:18 22 said column 32, beginning at line 15 through?

02:49:22 23 Q Column 30. I'm sorry. Column 33 ending at line

02:49:24 24 49, in other words, just above where the next

02:49:24 25 section begins.

02:49:25 1 A Okay.

02:49:25 2 Q And I'm going to ask you a very simple question.

02:49:27 3 A Just give me a second to review that, kindly.

02:49:31 4 Q Would you like me to tell you what I'm going to ask

02:49:33 5 you? That might help your review.

02:49:35 6 A Go ahead.

02:49:36 7 Q I'm going to ask you if there's any disclosure, any

02:49:38 8 disclosure in Romano at this section, of using any

02:49:42 9 signal from the right velocity sensor, okay?

02:49:47 10 A Okay. To save time, I would give the same set of

02:53:06 11 answers that I had just previously given with

02:53:09 12 respect to DFT routine 700.

02:53:12 13 Q So it's not true then, is it, sir, that the -- both

02:53:18 14 velocity sensor signals are necessarily used to

02:53:21 15 generate the drive signal in the digital mode

02:53:25 16 that's discussed at column 24, lines 32 to 60, is

02:53:30 17 it?

02:53:30 18 MR. COSTAKOS: Objection. Form.

02:53:53 19 THE WITNESS: I'd offer the same set of

02:53:55 20 answers as I did previously with respect to DFT

02:54:00 21 routine 700.

02:54:02 22 BY MR. HEINTZ:

02:54:03 23 Q That means the answer is "no"? Well, that -- this

02:54:06 24 is a different question, so the same set of answers

02:54:08 25 is not responsive.

02:54:09 1 A Okay.

02:54:10 2 Q Okay. I'm asking you, it's not -- isn't it true
02:54:14 3 that in Romano for the discussion of the
02:54:20 4 digitally-based drive circuit that starts at line
02:54:24 5 32 and ends at line 60 of column 24, Romano
02:54:28 6 discloses that only one sensor signal is used;
02:54:33 7 isn't that correct?

02:54:33 8 MR. COSTAKOS: Objection. Form.

02:57:00 9 THE WITNESS: I disagree with your -- the
02:57:02 10 premise of your question and note that this
02:57:08 11 passage, i.e., the Vernier search routine section
02:57:15 12 800 beginning in column 32, ending at line --
02:57:19 13 ending in column 33, does not discuss development
02:57:26 14 of a drive signal.

02:57:28 15 BY MR. HEINTZ:

02:57:28 16 Q Okay. Does that mean that the passage at --
02:57:34 17 starting at column 30, line 46, that's entitled DFT
02:57:42 18 Routine 700 that goes through column 32, line 14,
02:57:50 19 is that algorithm used in connection with the
02:57:54 20 generation of a drive signal in the digital mode
02:57:58 21 discussed in column 24, line 32 to 60, of Romano?

02:59:25 22 A Could I hear that question again, please?

02:59:27 23 MR. HEINTZ: Madam, if you would.

02:59:50 24 (Last question read.)

03:01:11 25 THE WITNESS: Okay. I'm sorry. One more

03:01:13 1 time.

03:01:13 2 MR. HEINTZ: Madam reporter, if you
03:01:15 3 would.

03:01:15 4 (Attorney Johnson left the proceedings.)

03:01:15 5 (Following question read.)

6 Q Okay. Does that mean that the
7 passage at -- starting at column 30,
8 line 46, that's entitled DFT Routine
9 700 that goes through column 32,
10 line 14, is that algorithm used in
11 connection with the generation of a
12 drive signal in the digital mode
13 discussed in column 24, line 32 to
14 60, of Romano?

03:02:01 15 (Attorney Jelenchick enters the
03:02:02 16 proceedings.)

03:05:17 17 THE WITNESS: I'm not sure how to answer
03:05:18 18 your question, but I want -- because I'm concerned
03:05:20 19 about a number of things. First of all, the
03:05:25 20 supposition that the phrase "as discussed in detail
03:05:29 21 below" pertains or could only pertain to what's
03:05:34 22 described as DFT routine 700 six pages down the
03:06:26 23 road, that that's the only way one in conformance
03:06:30 24 with Romano's teachings to compute a DFT, that one
03:07:02 25 skilled in the art would expect only the left

03:07:07 1 velocity signal to be a reasonable basis for
03:07:12 2 calculating the DFT and that the drive signal is
03:07:35 3 only based on a synthesized waveform.

03:07:42 4 BY MR. HEINTZ:

03:07:43 5 Q Does that complete your answer, sir?

03:07:44 6 A For the most, yes.

03:07:45 7 Q All right. So let's take this one step at a time.

03:07:50 8 Column 24 in the passage starting at line 32, and

03:07:55 9 in particular in line 36, discloses that, "The

03:07:58 10 microprocessor 330 calculates the magnitude of a

03:08:02 11 succession of frequency components to locate the

03:08:05 12 frequency at which the flowtubes resonantly

03:08:09 13 vibrate, i.e., that frequency component at which

03:08:12 14 the magnitude of the DFT reaches a peak value,"

03:08:15 15 correct?

03:08:16 16 A I see that.

03:08:16 17 Q Okay. Now, does the description of the DFT routine

03:08:23 18 700 that starts at column 30, line 46, describe one

03:08:28 19 way of performing that function?

03:08:31 20 MR. COSTAKOS: Objection. Form.

03:08:35 21 THE WITNESS: The function being?

03:08:36 22 BY MR. HEINTZ:

03:08:37 23 Q The function of the microprocessor locating the

03:08:42 24 frequency at which the flowtubes resonantly

03:08:47 25 vibrate, i.e., the frequency component at which the

03:08:50 1 magnitude of the DFT reaches a peak value.

03:08:52 2 A Sure. Yes.

03:08:53 3 Q Okay. And in that method described in Romano, only

03:08:59 4 one velocity sensor is used, correct?

03:09:08 5 A Could I hear that question again, kindly?

03:09:17 6 (Last question read.)

03:10:12 7 THE WITNESS: If by "method" you're

03:10:16 8 referring to --

03:10:16 9 BY MR. HEINTZ:

03:10:16 10 Q I'm referring --

03:10:17 11 A -- the embodiment suggested in Section 3 entitled

03:10:35 12 DFT Routine 700 beginning at column 30 and ending

03:10:39 13 in column 32, I'd agree.

03:10:42 14 Q Thank you. Sir, before the break, you told me

03:10:51 15 several times that Romano didn't disclose what the

03:10:54 16 microprocessor 330 was doing to generate the drive

03:10:57 17 signal. Wouldn't you agree that this is a pretty

03:11:00 18 detailed disclosure of exactly what the

03:11:02 19 microprocessor is doing in at least one embodiment

03:11:07 20 of Romano --

03:11:08 21 MR. COSTAKOS: Objection to form.

03:11:08 22 BY MR. HEINTZ:

03:11:09 23 Q -- to generate the drive signal?

03:11:10 24 MR. COSTAKOS: Objection to form.

03:11:40 25 THE WITNESS: "Detailed" is a subjective

03:11:43 1 word. I don't know exactly what that means.

03:11:46 2 BY MR. HEINTZ:

03:11:46 3 Q Okay. Is there another way disclosed in Romano in
03:11:58 4 which the microprocessor 330 locates the frequency
03:12:05 5 at which the flowtubes resonantly vibrate, i.e.,
03:12:10 6 that frequency component at which the magnitude of
03:12:12 7 the DFT reaches a peak value? And just so we're
03:12:22 8 clear, I mean disclose, not what somebody might
03:12:25 9 think. Is there another description of how to
03:12:27 10 perform that function here in Romano of the
03:12:32 11 microprocessor 330?

03:12:34 12 MR. COSTAKOS: Objection to form.

03:13:34 13 THE WITNESS: Yes, as I indicated earlier
03:13:41 14 that my '136 IPR Declaration on page 81 cites a
03:14:01 15 quotation from Romano, and I -- I state in that
03:14:04 16 Declaration, for example, Romano discloses that,
03:14:09 17 quote, "A digitally-based drive circuit," dot, dot,
03:14:14 18 dot, "could be used in lieu of analog drive circuit
03:14:17 19 40 shown in Figure 4," unquote. And the rest
03:14:26 20 that's in there, you can read it for yourself.

03:14:32 21 BY MR. HEINTZ:

03:14:32 22 Q Well, how does the fact that Romano discloses the
03:14:35 23 digitally-based drive circuit could be used in lieu
03:14:38 24 of the analog drive circuit disclose that -- Let me
03:14:46 25 withdraw that. So when the digitally-based drive

03:14:52 1 circuit is used in lieu of the analog drive
03:14:55 2 circuit, right, that means the microprocessor 330
03:14:57 3 is calculating the magnitude of a succession of
03:15:00 4 frequency components using the DFT, correct?
03:15:04 5 MR. COSTAKOS: Objection. Form.
03:15:05 6 BY MR. HEINTZ:
03:15:06 7 Q That's what this -- That's what this citation says
03:15:08 8 here?
03:15:10 9 MR. COSTAKOS: Objection. Form.
03:15:12 10 THE WITNESS: It doesn't have to.
03:15:14 11 BY MR. HEINTZ:
03:15:14 12 Q Well, that's what the disclosure of Romano says,
03:15:17 13 right?
03:15:20 14 A Well, you're making the supposition that the
03:15:23 15 digital drive circuit is only capable necessarily
03:15:32 16 and that Romano stipulates that the digital drive
03:15:41 17 circuit can only produce a synthesized sine drive
03:15:48 18 signal.
03:15:48 19 Q No, sir, I am not. What we just went through is a
03:15:53 20 detailed discussion where you admitted that there
03:15:59 21 is one way disclosed in Romano in which the
03:16:03 22 microprocessor generates the drive signal,
03:16:11 23 including locating the frequency at which the flow
03:16:13 24 tubes resonantly vibrate. And in the method we
03:16:17 25 just discussed which is the drive -- I'm sorry, the

03:16:21 1 DFT routine 700, that only uses one sensor signal
03:16:24 2 component. And my question to you was, is there
03:16:28 3 some other way in Romano where the microprocessor
03:16:32 4 generates the drive signal that uses both velocity
03:16:35 5 sensor signals to do so? And if there is such a
03:16:38 6 way, just point me to where in the patent, in
03:16:44 7 Romano, it teaches you to do that.

03:16:45 8 MR. COSTAKOS: Object to form and move to
03:16:47 9 strike your preamble.

03:17:29 10 THE WITNESS: I'm not sure how to answer
03:17:31 11 your question as you asked it other than to point
03:17:34 12 again to that section on page 81 of my '136 IPR
03:17:46 13 Declaration that links a digitally-based drive
03:17:51 14 circuit with the functionality of analog drive
03:17:58 15 circuit 40 which does not produce a synthesized
03:18:07 16 drive signal.

03:18:12 17 BY MR. HEINTZ:

03:18:12 18 Q Now, circuit 40 doesn't have a microprocessor
03:18:18 19 that's going to use a DFT to locate the resonant
03:18:22 20 frequency, does it?

03:18:31 21 A It doesn't, and that's my point.

03:18:36 22 Q But the circuit of Figure 3 does have a
03:18:38 23 microprocessor in it that's going to use a DFT to
03:18:42 24 locate the resonant frequency, correct?

03:18:45 25 MR. COSTAKOS: Objection. Form.

03:18:50 1 THE WITNESS: I can't agree with your
03:18:51 2 premise that that's the only way that the
03:18:56 3 microprocessor can produce a drive signal --

03:19:02 4 BY MR. HEINTZ:

03:19:03 5 Q Then show --

03:19:03 6 A -- i.e. --

03:19:04 7 Q Go ahead. All I'm asking to you do is if you think
03:19:07 8 there's another way disclosed in Romano for doing
03:19:09 9 that, show me where it discloses that. If
03:19:12 10 there's -- if there's anything beyond -- How about
03:19:14 11 this?

03:19:14 12 MR. COSTAKOS: Well, hold on. If you can
03:19:16 13 just refrain from interrupting him in the middle of
03:19:18 14 his answer, I think that would be preferable.

03:19:21 15 BY MR. HEINTZ:

03:19:22 16 Q Okay. Did you want to say something else?

03:19:23 17 A I did, but I forgot what I was going to say.

03:19:26 18 Q All right.

03:19:26 19 A So --

03:19:27 20 MR. COSTAKOS: That's why you're not
03:19:28 21 supposed to do that.

03:19:29 22 THE WITNESS: Can we take a quick break?

03:19:32 23 MR. COSTAKOS: Sure.

03:19:32 24 MR. HEINTZ: Sure. We can do that.

03:19:35 25 THE WITNESS: Thank you.

03:19:35 1 THE VIDEOGRAPHER: We are off the record
03:19:37 2 at 3:19 p.m.
03:19:39 3 (Recess taken.)
03:33:33 4 THE VIDEOGRAPHER: We are back on the
03:33:35 5 record at 3:33 p.m.
03:33:37 6 (Attorney Johnson enters the room.)
03:33:37 7 BY MR. HEINTZ:
03:33:37 8 Q Dr. Sidman, let me direct your attention in the
03:33:40 9 Romano patent to column 24, line 42.
03:33:55 10 A Yes.
03:33:55 11 Q That reads, "Therefore, once this frequency
03:33:58 12 component is known, microprocessor 330 can readily
03:34:02 13 generate a quantized sinusoidal waveform at exactly
03:34:08 14 this frequency. Specifically, once the frequency
03:34:12 15 component is found, microprocessor 330 could easily
03:34:16 16 set the period at which a sine look-up table, not
03:34:24 17 shown and which can either be situated internal to
03:34:27 18 or more likely external to the microprocessor, is
03:34:33 19 successive" -- sorry, "is successively and
03:34:36 20 consecutively indexed through well-known circuitry
03:34:40 21 not shown to produce a continuous series of
03:34:46 22 multi-bit digital values that represent this
03:34:49 23 waveform." Do you see that?
03:34:50 24 A I do.
03:34:53 25 Q Does the microprocessor need the right channel

03:34:57 1 velocity sensor signals in order to perform that
03:35:00 2 function?

03:35:03 3 MR. COSTAKOS: Objection. Form.

03:36:01 4 THE WITNESS: It could use both or either
03:36:03 5 of the sensor signals for that purpose, i.e., for
03:36:07 6 determining or locating the frequency at which the
03:36:24 7 flowtubes resonantly vibrate.

03:36:29 8 BY MR. HEINTZ:

03:36:29 9 Q Yes. But the passage I was referring to is the one
03:36:32 10 that says, "Once the frequency component is known,
03:36:35 11 the resonant frequency," right? That's known
03:36:37 12 already. The microprocessor generates a quantized
03:36:41 13 sinusoidal waveform in exactly that frequency. The
03:36:43 14 microprocessor, once it knows the resonant
03:36:46 15 frequency, doesn't need any sensor signal to
03:36:49 16 generate a quantized sinusoidal waveform at the
03:36:53 17 resonant frequency, does it?

03:36:54 18 MR. COSTAKOS: Objection to the form.

03:37:03 19 THE WITNESS: Of course it does because
03:37:05 20 frequency by itself doesn't give you enough
03:37:08 21 information to produce a drive signal. It has to
03:37:12 22 be phased correctly, so it requires the sensor
03:37:17 23 signals.

03:37:19 24 MR. HEINTZ: Okay. I understand. Thank
03:37:23 25 you.

03:37:25 1 (Off-the-record discussion.)

03:37:29 2 MR. HEINTZ: Go off the record just

03:37:31 3 briefly. We may be just about done.

03:37:33 4 THE VIDEOGRAPHER: We're off the record

03:37:34 5 at 3:37 p.m.

03:37:37 6 (Discussion off the record.)

03:39:28 7 THE VIDEOGRAPHER: We are back on the

03:39:30 8 record at 3:39 p.m.

03:39:35 9 MR. HEINTZ: No further questions. Pass

03:39:36 10 the witness for redirect.

03:39:38 11 MR. COSTAKOS: Let's go ahead and take a

03:39:39 12 break.

03:39:40 13 THE VIDEOGRAPHER: Then we are off the

03:39:41 14 record at 3:39 p.m.

03:39:44 15 (Recess taken.)

03:52:56 16 (Attorney Johnson leaves the

03:52:59 17 proceedings.)

04:25:31 18 THE VIDEOGRAPHER: We are back on the

04:25:34 19 record at 4:25 p.m.

04:25:38 20 MR. COSTAKOS: I have no questions.

04:25:40 21 Thank you for your time. We can go back off the

04:25:42 22 record.

04:25:42 23 THE VIDEOGRAPHER: Then this concludes

04:25:44 24 the --

04:25:44 25 MR. COSTAKOS: Oh, wait. I'm sorry. I

04:25:46 1 would like the right to read and sign.

04:25:51 2 THE VIDEOGRAPHER: Then this concludes
04:25:52 3 the deposition. We are off the record at 4:25 p.m.
04:25:55 4 This is the end of Disk No. 3, Volume II, in the
04:25:58 5 deposition of Michael Sidman.

04:26:01 6 (Proceedings concluded at 4:25 p.m.)

7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 STATE OF WISCONSIN)

) SS:

2 COUNTY OF MILWAUKEE)

3

4

5 I, JENNIFER L. SCHMALING, a Registered
6 Merit Reporter, Certified Realtime Reporter, Certified
7 Broadcast Captioner and Notary Public in and for the
8 State of Wisconsin, do hereby certify that the above
9 deposition of MICHAEL D. SIDMAN, Ph.D., was recorded by
10 me on August 7, 2014, and reduced to writing under my
11 personal direction.

12 I further certify that I am not a
13 relative or employee or attorney or counsel of any of
14 the parties, or a relative or employee of such attorney
15 or counsel, or financially interested directly or
16 indirectly in this action.

17 In witness whereof I have hereunder set
18 my hand and affixed my seal of office at Milwaukee,
19 Wisconsin, this 11th day of August, 2014.

20

21

22

Notary Public

23

In and for the State of Wisconsin

24

25 My Commission Expires: December 28, 2014.

1 STATE OF)
) SS:
 2 COUNTY OF)
 3

4 I, MICHAEL D. SIDMAN, Ph.D., do hereby
 5 certify that I have read the foregoing transcript of
 6 proceedings, taken on August 7, 2014, at Foley &
 7 Lardner, 777 East Wisconsin Avenue, Milwaukee,
 8 Wisconsin, and the same is true and correct, except for
 9 the list of corrections noted on the annexed page.

10
 11 Dated at _____
 12 this _____ day of _____, 2014.

13
 14
 15 _____
 16 MICHAEL D. SIDMAN, Ph.D.
 17

18
 19 Subscribed and sworn to before me
 this _____ day of _____ 2014.

20
 21
 22 _____
 Notary Public

23
 24 My Commission Expires:
 25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C O R R E C T I O N S

PAGE NO.	LINE NO.	DESCRIPTION
----------	----------	-------------

A			
a.m 1:21 4:3 34:10,14 51:18,22	amplifies 49:5	appearances 4:18	attention 36:18 38:18 39:11 44:21 48:20 60:4,11,22 67:3 69:25 71:11 90:11 90:14 107:8
able 36:7 62:7	amplitude 40:7 42:18 49:13 59:10	appeared 2:5,8,11,14	attorney 33:4 34:12 52:15 55:13 66:22 85:3 100:4,15 107:6 109:16 111:13,14
Absolutely 85:5	analog 66:2 70:11 71:2,8,16,18,19	appearing 49:2	attorneys 33:12 38:14
accessed 13:15	72:6,14,15 73:8,11	appears 10:7 30:14 49:2 60:19 83:3	August 1:21 4:4 111:10,19 112:6
accomplishes 22:23	75:8,10,13 76:12,18	applied 13:25 36:20 38:1 41:1,6,11	automatic 43:4,14,19 58:12
accurate 30:2 36:16	77:9,15 78:6,19	47:13 55:15 63:5,13	automatically 43:19
achieve 76:23 78:23 79:1,2 81:21	79:1,21,24 81:23	63:18 64:25 81:22	Avenue 1:20 2:4 4:14 112:7
achieved 81:15	82:3,23 83:20,21	82:2 87:8	aware 27:16 28:3
action 26:16 27:13,18 111:16	85:13,15,21,23	applies 45:9 47:14 49:6	
activate 47:15	86:19,25 87:5 91:21	apply 39:15 40:4,17 41:22 50:6 58:7	B
add 43:1	96:16 103:18,24	41:22 50:6 58:7	B 2:13
added 63:11	104:1 105:14	applying 59:20,21,22	back 30:12 33:25 34:13 43:9,11 45:16 51:21,25 64:16 66:23 70:21 73:2 76:9 77:3 79:12 80:24 82:7 84:17 90:6,13 107:4 109:7 109:18,21
addition 78:25	analysis 50:12,25 51:2 52:11,12 53:20	approach 91:18	band 6:16,17,17,18 7:9,11,12,13,17,17 8:23,24 9:21,22,25 9:25 10:4,4,7,13,16 10:19,21,22,23,25 11:9,16,24 12:2 13:4,19,20,21 14:1 16:10,19 17:2,5,10 17:13,14,17,20,22 18:15,16,24 19:10 19:14,19,20,23 20:7 20:9,14,15,16 21:3
Additionally 75:9	53:22 54:7,24 55:7	appropriate 31:7 59:1	bands 7:22,23 9:10
adds 77:21	56:22 57:3,5,7,13 58:5	appropriately 45:3 48:3	based 38:5 53:22 54:10,16 59:9 62:25 101:3
adjusted 17:23 19:15	and/or 59:5	approximately 10:19 18:2,17 93:10	basically 45:18
admitted 104:20	annexed 112:9	argument 34:2	
affixed 111:18	answer 8:13,17,20,25 10:6 14:3 15:8,10	arrives 11:1	
afternoon 67:1,2	26:1,19 30:2 36:7	art 20:12 22:17 28:10 30:13,17 38:11,21 38:22 50:7 74:13 75:11 76:6 78:17 100:25	
ago 54:21 66:9	37:12,13 43:2,7,12	article 29:6	
agree 6:1 22:14 36:24 37:3,6,7 49:14	46:5,6 50:15 52:7	asked 8:3 37:8 42:2 57:25 66:4,8 105:11	
70:18 74:5 78:4	53:8 54:8,25 55:9	asking 12:23 13:1,3 18:21 29:9 31:8,19 32:10 38:25 40:15 46:9 54:20 55:13 58:13 62:11 75:15 86:17,18 92:15,18 96:9 99:2 106:7	
80:23 93:14 97:12	55:18 56:5,12 57:18	aspect 16:9,10	
102:13,17 106:1	57:22,24 58:16,21	aspects 33:24 39:2	
agreed 82:17	58:22 61:25 62:15	asserted 62:21	
agreeing 53:2	62:17,23 63:6 65:10	assisted 25:14,23	
ahead 11:6 46:6,10 46:11 64:5 98:6	75:14,19 77:1,3	associated 11:21 17:19 29:1 32:9	
106:7 109:11	79:4,10 80:3 84:13	assume 28:16	
algorithm 99:19 100:10	84:16,19,24 85:3,6	assumes 16:4	
alleged 31:23	88:25 94:17 97:8		
alternating 36:19 69:23	98:23 100:17 101:5 105:10 106:14		
alternative 21:13,20 21:22 22:22 23:6	answer's 87:11		
77:5 84:1 88:18	answered 56:9 84:10		
amp 39:13,15,18,19 40:3,9,17,24 41:3	answering 52:9		
amplifier 39:18 72:4 73:10,18 74:24	answers 84:25 98:11 98:20,24		
75:18 77:18 83:6	anti-aliasing 70:16		
	anticipated 87:16		
	Appeal 1:2 4:10		
	appear 30:17 32:14 32:20		

<p>basis 14:22 20:13 30:22,23 31:23 52:10 53:15,20 54:3 54:24 56:6 63:12 65:14,21 66:4 69:23 71:23 77:10 89:16 97:1 101:1</p> <p>bear 68:9</p> <p>began 27:8</p> <p>beginning 4:18 14:17 18:19 19:8 21:1 44:16 45:22 51:22 60:10 90:7 94:16 97:22 99:12 102:12</p> <p>begins 82:12 91:24 93:10 95:13 97:25</p> <p>behalf 2:5,8,11,14</p> <p>belief 14:24</p> <p>believe 12:10 22:19 30:7 52:8 53:24 54:6 55:25 56:2 70:9 96:24</p> <p>beneath 35:18</p> <p>better 34:2</p> <p>beyond 106:10</p> <p>bibliography 28:25</p> <p>bigger 26:23,25</p> <p>binary 47:19,21 48:16,25</p> <p>bit 47:21</p> <p>bits 46:24 47:8</p> <p>block 38:20</p> <p>blueprints 78:18 86:13</p> <p>Board 1:2 4:10 50:8 50:18 52:24,24 53:18 55:15</p> <p>Board's 8:6,12 52:9</p> <p>bottom 21:15 42:10 58:25 68:12 80:5,19 81:2,5</p> <p>bound 47:1</p> <p>box 35:19</p> <p>Boy 88:25</p> <p>break 34:8 58:9 62:2 62:5,9,9,13 66:5,6,8 89:25 102:14</p>	<p>106:22 109:12</p> <p>Brief 21:15</p> <p>briefly 109:3</p> <p>Broadcast 1:18 111:7</p> <p>building 22:24</p> <p>built 21:23 22:8 23:3</p> <p>burst 47:11,12</p> <p>bursts 46:17</p> <hr/> <p style="text-align: center;">C</p> <hr/> <p>C 2:1 113:1,1</p> <p>C.F.R 1:15</p> <p>calculates 90:24 93:16 95:16 101:10</p> <p>calculating 94:13 101:2 104:3</p> <p>California 2:13</p> <p>call 12:6 26:15 28:24</p> <p>called 5:5</p> <p>capable 104:15</p> <p>capital 95:18</p> <p>Captioner 1:18 111:7</p> <p>careful 29:25</p> <p>case 1:7 20:22 28:20 38:8 40:19 52:21 55:21 94:14</p> <p>Cases 4:11</p> <p>caused 54:8</p> <p>caveats 13:25</p> <p>center 18:9 19:9 44:23</p> <p>certain 52:25</p> <p>certainly 16:2 41:18</p> <p>Certified 1:17,17 111:6,6</p> <p>certify 111:8,12 112:5</p> <p>change 8:20 11:21 18:22 20:17 51:13 59:1,9</p> <p>changed 17:22 19:15</p> <p>changes 10:1 13:23 17:25 18:11 19:11</p> <p>changing 11:19 18:6 18:7,8,9 19:11</p> <p>channel 60:24 61:18 61:22 81:11 82:12</p>	<p>82:19 84:8,22 85:8 107:25</p> <p>channels 61:5</p> <p>characterization 6:14 12:21,22,23,24 35:8</p> <p>characterize 12:10 12:18 13:25 14:13 14:16 16:3 19:8</p> <p>characterizes 48:23</p> <p>chart 29:7,9,20,21,22 30:14 31:18,24 32:10 65:24</p> <p>charts 25:22 26:9,21 26:22 27:1,7,12,16 28:4,12 30:3,13,18 32:14,18,22,23 33:7</p> <p>checking 66:11</p> <p>choice 10:15</p> <p>chooses 7:17</p> <p>chose 31:4,9 32:25</p> <p>chosen 30:18,22</p> <p>circuit 21:18,20,22 21:25,25 22:10,11 22:20,21 23:4,5,6,6 23:7,21,21 24:4 35:1,2,10,18,20,23 36:2,6 37:1,4,9,22 38:10,12,21 39:25 40:12 42:3,7,8 43:3 43:18 44:6,22 45:1 45:13,17 46:20 48:15 49:16,17,18 50:2 55:23 56:18 57:14 58:7,11,14,14 66:1,2 71:3 72:1,5,6 72:14,15,19,19,20 73:7,11,25 74:9 75:21 76:10,10,13 76:13,19 77:6,7,8 77:15,15,16 78:20 79:21,23,24 80:11 80:12 81:24 82:4,23 82:23,25 83:1,3,18 83:21 84:7,20 85:9 85:13,15,20,21 86:6 86:8,9,19,20,25</p>	<p>87:5 88:11 89:4,7 91:19 93:21 99:4 103:17,18,23,24 104:1,2,15,17 105:14,15,18,22</p> <p>circuitry 107:20</p> <p>circuits 22:20 23:18 24:1 46:1 72:22 86:5</p> <p>citation 73:2 81:2 82:22 104:7</p> <p>cite 68:3 82:15</p> <p>cited 13:12 28:23,25 92:3 93:1</p> <p>cites 103:14</p> <p>claim 25:22 26:9,13 26:20,21,22,22 27:1 27:7,12,16 28:4,11 31:18 32:9,14,18,23 33:7 38:2 50:6 55:7 62:21 63:5,18 64:25 65:24 94:14,17,18</p> <p>claimed 22:17 74:13</p> <p>claims 20:1 28:3 29:7 29:9,21 30:3,13,18 32:22 94:14</p> <p>clarification 39:23</p> <p>clear 54:14,22 87:12 93:12 95:23 103:8</p> <p>CLVS 2:16</p> <p>code 15:3</p> <p>coded 13:12 15:19</p> <p>coil 36:2,7 37:2 41:20 45:10 49:7 63:12 65:15,22 85:16 87:20,23 88:19,20 89:10,16</p> <p>collaborated 30:8</p> <p>collaborative 25:13 33:10,21</p> <p>column 9:24 10:8 12:4 18:1,19 21:1 21:15 36:18,21 38:18 43:17 44:15 45:2,22 47:2,11 48:1,12,20,22 49:1 58:10,11 59:12</p>
--	---	---	---

<p>63:19 64:10 68:4 73:5 76:7 77:4 82:15,17,21 85:9 86:22 90:13,15 91:13 92:4 93:6,10 93:14,22,25 94:3,16 94:25 95:9 96:10,18 96:19 97:10,10,16 97:16,22,23,23 98:16 99:5,12,13,17 99:18,21 100:7,9,13 101:8,18 102:12,13 107:9</p> <p>combination 30:21 32:7 50:11 66:3 74:19,22 78:22 85:25 86:1,4</p> <p>combine 79:1 84:8,21 86:8</p> <p>combining 65:19 83:22,25 84:2 85:7 88:22</p> <p>comes 59:11 68:5 80:20</p> <p>comfortable 53:2</p> <p>coming 70:13 80:18</p> <p>commencing 1:21</p> <p>commentary 79:15</p> <p>Commission 111:25 112:24</p> <p>comparator 48:24</p> <p>compensate 81:5</p> <p>compensation 67:14 67:19,22</p> <p>complete 26:1 48:14 73:19 101:5</p> <p>completely 55:15 75:7</p> <p>component 91:4,8 101:13,25 103:6 105:2 107:12,15 108:10</p> <p>components 67:15 68:1 73:16 74:3,24 75:6,8,9,13,18,23 76:15,20 79:2 83:11 91:1 93:17 95:17</p>	<p>101:11 104:4</p> <p>compound 63:6</p> <p>comprise 95:24</p> <p>compromise 95:19,23</p> <p>compute 100:24</p> <p>computed 7:19</p> <p>computer 7:16,20 9:2 9:14,15 10:25 13:2 13:5 18:6</p> <p>concerned 100:18</p> <p>concerning 34:17</p> <p>concerns 3:5 24:8</p> <p>concluded 110:6</p> <p>concludes 109:23 110:2</p> <p>concluding 1:21</p> <p>conclusions 33:16</p> <p>conducted 12:25 14:7 16:11</p> <p>conduits 59:11</p> <p>confirm 82:16</p> <p>conformance 100:23</p> <p>conjunction 77:22</p> <p>connection 3:5 24:8 99:19 100:11</p> <p>connotations 52:25</p> <p>consecutively 107:20</p> <p>Consequently 69:21</p> <p>consider 37:17</p> <p>consideration 53:6,6</p> <p>considered 15:7 24:4 49:21 50:8,16 52:17 52:18 53:1 54:18</p> <p>consistent 48:25</p> <p>consistently 35:7</p> <p>consisting 73:16 76:15 78:21</p> <p>constantly 11:19 17:22 19:14</p> <p>constitute 73:19</p> <p>construction 50:17 52:9,13 53:23 54:8 55:8</p> <p>construed 50:9 53:18</p> <p>consultant 33:19</p> <p>containing 39:18</p> <p>contend 67:21</p>	<p>contentions 26:13,20 26:23</p> <p>context 50:24 97:12</p> <p>continue 58:21</p> <p>continues 60:12 77:21</p> <p>continuing 66:13</p> <p>continuous 36:19 107:21</p> <p>continuously 69:22</p> <p>contradicting 84:25</p> <p>control 21:18,20,22 23:12,16 43:4,7,14 43:19 53:21 58:12 59:3 75:8 78:19 97:1</p> <p>controversial 8:15,19</p> <p>conversations 5:12 5:16</p> <p>converted 81:17</p> <p>converter 71:4 72:3 72:21 73:9 75:17 83:5</p> <p>copy 6:6 24:14,17,18</p> <p>corner 70:5 71:8,20 72:22 74:4 75:22 79:22 80:5 83:3</p> <p>coroner 71:14</p> <p>correct 9:3 12:17,23 13:24 15:21 17:4 18:11 19:2,18,24 20:10 22:2,13 23:8 23:18 24:2 26:17 28:1 29:7,8,17 30:5 31:16 34:19 35:2,3 35:12,14,16,17,24 36:3,4,24 37:23 43:14 44:20 46:14 52:13,21 53:13,25 54:9 55:3 56:6 57:6 59:17 60:6,8 67:18 68:1,6,11,14 70:8 70:14,15,17,22,23 71:2,5,9,10,21 72:6 72:14,17,23 79:24 80:13 81:13,14,18 82:5 83:5 93:24</p>	<p>96:14 99:7 101:15 102:4 104:4 105:24 112:8</p> <p>corrections 112:9</p> <p>correctly 50:24 54:3 96:3 108:22</p> <p>correlate 70:3</p> <p>corresponding 81:9 81:11</p> <p>Costakos 2:3 4:21,21 5:23 6:4,9,22,24 8:8 9:12 11:17 12:8 14:2,8,15 15:5,22 18:12 19:3 20:24 22:3 23:9 24:17,23 25:17,20 26:12 27:4 27:19 30:6,19 31:5 31:17 32:1,15 33:8 33:15 37:15,24 38:13 39:4 40:13 41:4,13,17 42:15 43:9 45:14 46:7,12 46:15 47:5 49:20 50:4,21 51:7 52:14 53:11,14 54:1 55:1 55:4,11 56:1,7,21 57:10,16 58:15,19 60:15 61:12,24 62:8 63:3,20,24 64:3,12 64:15,18 66:5,8,18 67:24 72:7,24 74:6 74:10 75:24 77:19 78:11,14 79:7,14,19 79:25 84:9,15,23 85:11 86:10,23 88:14 89:12 90:1,17 90:19 91:16 92:9 94:4 95:22 96:2 98:18 99:8 101:20 102:21,24 103:12 104:5,9 105:8,25 106:12,20,23 108:3 108:18 109:11,20 109:25</p> <p>counsel 4:17,19 111:13,15</p> <p>counselor 58:18</p>
---	---	--	--

<p>66:11 96:1 COUNTY 111:2 112:2 couple 89:24 course 20:1 28:18 80:17 85:24 108:19 court 4:16 26:16 27:8 27:13,18,25 31:10 33:1 covering 10:19 18:16 covers 13:22 cuff 34:6 curious 75:14 currently 28:18 cut 64:24 cycle 81:7</p> <hr/> <p style="text-align: center;">D</p> <p>D 1:13 2:19 3:2 5:5 71:3 72:3,21 73:17 74:2,23 75:17 77:17 83:5 111:9 112:4,16 D/A 73:9 data 73:21 date 4:3 Dated 112:11 day 111:19 112:12,19 December 111:25 decided 9:2 31:15 decides 9:24 decision 8:6,12 33:6 33:13 decision-making 42:17 Declaration 3:4 10:24 11:4 17:7,12 19:17 24:7 25:8,13 26:11 27:2 28:21 29:1 30:15,18 34:17 58:24 65:23 67:5,6 75:3,4 78:25 80:25 95:3,5 97:20 103:14 103:16 105:13 Declarations 27:9 degrees 68:24,25 delay 67:15,20,23 denoted 96:7</p>	<p>density 13:23 17:24 19:12 depending 7:18,20 13:5 93:9 depends 12:25 deposition 4:5,6,12 5:14,17 51:19,23 66:13 90:4,8 110:3 110:5 111:9 derived 58:4 describe 6:1 101:18 described 8:4 14:11 14:17 29:5 38:11 44:15 45:2 46:17 50:7 73:20 81:19 82:25 83:23 97:15 100:22 102:3 describes 48:11 96:21 describing 47:25 description 21:16 44:9 45:20 47:10,20 64:9 96:17 101:17 103:9 113:2 design 10:15 78:18 86:13,15 designated 35:7 designation 36:15 desired 45:24 detail 37:5 82:25 90:23 91:25 92:8,16 92:17,20,23 93:15 94:1 100:20 detailed 102:18,25 104:20 determination 9:20 92:19 determine 9:17 10:16 92:5 determined 17:14,15 determining 108:6 developing 85:16 89:16 97:1 development 99:13 device 21:23 22:1,8 22:12,20 23:3,8,20 DFT 91:1,5 93:18 94:2,13 96:18 97:9</p>	<p>98:12,20 99:17 100:8,22,24 101:2 101:14,17 102:1,12 103:7 104:4 105:1 105:19,23 diagram 21:17,19 38:20 40:22 80:17 Diego 2:13 difference 6:15 7:9 8:23 9:9 different 7:19 9:7 11:15 21:3 55:16 72:9 98:24 differently 59:5 digital 50:2,6,9 51:5 52:4,22 53:9,18,25 54:19 55:8,10,24 56:19 57:15 61:23 63:13 64:8 72:1 73:6,8 75:6,7,12 76:13,15,16,20,20 76:21 77:9 78:19 79:2,23 80:4 81:17 81:19 84:7 85:23 87:21 88:3,8 89:21 92:11,11 96:23 98:15 99:20 100:12 104:15,16 107:22 digitally-based 66:1 73:7 76:8,9 77:6 78:7 82:24 83:18 84:7,20 85:8,18,19 86:9,20 91:19 99:4 103:17,23,25 105:13 digitally-synthesized 92:13 digitization 96:14 digitized 96:8 digitus 51:5 direct 36:17 39:11 43:16 44:21 48:20 60:11,22 62:22 67:3 69:25 71:11 90:11 90:14 107:8 directed 75:1 directing 47:3</p>	<p>direction 111:11 directly 8:17 111:15 disagree 61:15 71:23 99:9 disagreed 52:11 disagreement 56:16 disclose 14:9,20 16:18,22 20:18,21 61:17,21 74:22 85:7 86:1,4,19 88:16,18 102:15 103:8,24 disclosed 74:20 88:21 103:3 104:21 106:8 discloses 11:4,7 12:1 13:18,18 17:8 59:2 65:25 67:14 71:25 72:18 82:22 86:18 99:6 101:9 103:16 103:22 106:9 disclosing 42:14 disclosure 14:21 16:23 20:20 21:24 22:9 23:4 65:17 75:16,20 76:3 82:17 97:9 98:7,8 102:18 104:12 disclosures 75:2 discrete 95:20 96:15 discuss 66:14 99:13 discussed 61:1,4 81:9 82:2,14 83:18 85:9 90:23 91:25 92:7,16 92:17 93:15,22 94:1 94:15 98:16 99:21 100:13,20 104:25 discusses 21:1 92:23 discussing 19:5,18,19 86:21 94:2 discussion 51:20 59:14,15 67:19 92:5 92:20 93:6 96:19 99:3 104:20 109:1,6 discussions 33:23 Disk 4:4 51:18,22 90:3,7 110:4 displacements 9:18 Disposition 3:8</p>
--	--	---	---

<p>dispute 55:19 District 26:16 27:7 27:13,18,25 31:10 33:1 divided 81:12 DLA 2:6,9,12 3:9 4:24 5:1,3 document 6:20 7:1 25:7,9 doing 9:17 14:22 42:13 43:2 66:4 91:14 92:6 102:16 102:19 106:8 dot 45:8,9,9 66:1,1,2 75:10,10,10 76:11 76:11,11 95:17,17 95:17 103:17,17,18 dotted 73:7 double-check 35:6 downstream 71:4 Dr 3:4 4:5 5:10 24:7 34:16 51:25 57:2 58:13 67:1 84:22 90:11 107:8 draft 32:13,18,23 33:7 drafted 26:14 27:2 drafting 25:24 27:8 drafts 28:8,11 30:8 Drawings 21:16 drive 2:7 6:3 9:3,9 11:7 17:8 19:23 23:25,25 35:19,20 35:23 36:1,2,5,6,6 36:20,21,25,25 37:1 37:2,4 38:4,21 40:10,18,20,21 41:2 41:3,20,21 43:3,18 43:20 45:10,10 46:17 49:6,7 52:10 53:19,21 58:11,14 58:14 59:22 60:25 61:11,19,22 63:12 65:14,15,18 66:1,2 72:1,6,14,15,19,20 73:7,11,25 74:9,21 76:8,9,10,13,19</p>	<p>77:6,7,8,15,15,16 78:19 82:4,14,20,23 82:23,24 83:18,21 84:1,7,20 85:9,13 85:15,16,19,19,21 86:8,9,20,25 87:5 87:10,19,19,22,22 88:2,13,19,19,20,20 89:3,10,10,16 91:15 91:19,20 92:7,13 93:20,21 96:23 97:1 98:15 99:4,14,20 100:12 101:2 102:16,23 103:17 103:18,23,24,25 104:1,15,16,17,22 104:25 105:4,13,14 105:16 106:3 108:21 drive-enabled 80:18 drive-to-drive 65:22 driven 11:15 17:21 driving 6:19 7:14 11:8 17:12 due 67:15,25 duly 5:6 dynamically 18:6 19:11 59:6</p> <hr/> <p style="text-align: center;">E</p> <p>E 2:1,1,15,15,19 3:2 113:1 earlier 30:4 49:22 58:9 65:9 74:14 78:24 80:10 103:13 easier 24:24 65:4 easily 107:15 East 1:19 2:4 4:13 112:7 Edward 2:12 5:1 effect 49:4 59:1 effort 25:13 33:10,21 either 7:17 14:10 19:1 20:22 21:25 22:10,22,25 40:19 41:1 77:14 78:6 89:3,5 107:17 108:4</p>	<p>electronics 36:20 element 35:1 39:25 40:12 42:3,7 45:1 45:17 46:20 48:15 48:23 65:13 76:23 elements 50:11 75:21 elicit 16:16 embedded 25:23 embodiment 14:19 19:4 20:2 38:21 40:25 42:21 44:19 46:18 47:22,23,24 48:12 49:13 56:24 73:25 78:10 94:15 94:23 102:11,19 embodiments 23:2 48:11 74:13 employee 111:13,14 employs 7:21 empty 11:10 encoded 15:2 encompassed 93:6 ends 99:5 energy 47:12,13 64:5 engaged 27:24 28:5,8 engineer 41:22 55:12 engineering 33:19 entails 87:6 entering 39:25 enters 52:15 100:15 107:6 entitled 99:17 100:8 102:11 equal 43:23 equals 95:17,19 equation 91:2 essence 42:16 46:15 essentially 51:9 95:13 95:14 established 49:10 71:6 evacuated 12:4 event 47:11,12 76:8,9 evident 76:5 77:1,2,4 evolves 19:20 evolving 13:21 exactly 22:24 45:18</p>	<p>85:20,22 91:10 102:18 103:1 107:13 108:13 Examination 1:13 2:20 5:8 examined 5:7 example 9:22 13:11 16:5 19:17 28:18 41:8 58:2 65:25 88:8 93:9 94:12 97:2 103:16 examples 34:5 exceed 42:19 exceeds 42:19 exception 29:3 excitation 9:6 excuse 47:12 58:20 65:3 exhibit 3:3 5:20 7:1 8:5,6 21:9 24:7,10 24:13,16 25:6,12 26:11 27:2 28:20 34:17,19,23 58:24 59:24 60:1,4 67:6 75:4 90:12 Exhibits 3:8 existed 28:5 existence 28:3 exists 28:18 expect 100:25 expects 55:18 expert 5:20 8:4 27:25 32:14,20 52:21 55:21 67:4,5,6,21 69:9 95:1 97:20 Expires 111:25 112:24 explain 10:11 11:2 46:10,11 89:1 explicitly 77:10 83:24 85:7 87:7 expressed 26:10 97:19 extensive 27:6 extent 28:7 85:12 external 107:18 extraordinarily 7:23</p>
--	--	--	---

7:24	86:20 87:14,17,22 87:24 88:12,18,21 89:2,4,8 90:24 91:14,22 93:16 96:6 96:9,13 103:19 105:22	follows 5:7 29:22 foregoing 112:5 forget 79:8 forgot 106:17 form 6:4 9:12 11:17 12:8 14:2,8,15 15:5 15:22 18:12 19:3 20:24 22:3 23:9 25:17,20 26:12 27:4 27:5,19 30:6,19 31:5,6 32:15 33:8 33:15 37:15,24 38:13 39:4 40:13 41:4,13,17 42:15 45:14 49:20 50:21 52:14 53:14 54:1 55:1,4,11 56:1,21 57:10,16 61:12,24 63:11 64:18 65:14 67:24 72:7,15,24 74:6,10 75:24 77:9 77:9,19 78:11,14 79:7,19,25 81:17,23 84:9,15,23 85:11 86:10,23 88:14 89:12 91:16 92:9 94:4 98:18 99:8 101:20 102:21,24 103:12 104:5,9 105:8,25 108:3,18	11:19,22,24,25 12:7 12:20 13:4,12 14:1 14:18,18 15:15,15 16:6 17:3,10,13,14 17:15,17,19,20,23 18:11,18,22,23,24 19:2,9,9 20:13 90:25 91:2,4,8,10 93:17 95:16 101:11 101:12,13,24,25 103:4,6 104:4,23 105:20,24 107:11 107:14,14 108:6,10 108:11,13,15,17,20 front 25:22 28:19 59:25 68:7 full 11:10 45:21 60:23 81:4 fully 52:18 function 14:25 15:18 43:5,8,15 45:19 101:19,21,23 103:10 108:2 functionalities 77:24 functionality 73:19 76:12,24 88:17,19 105:14 functions 43:18 58:11 fundamental 10:20 17:23 18:18 further 37:17 48:1 59:2 92:7 109:9 111:12 furthermore 76:17 78:24 future 53:5
<hr/> F <hr/>	Figures 21:10 74:12 filter 70:17 72:3 73:10,17 74:23 75:17 77:17 83:6 filtering 51:3 57:8 financially 111:15 find 8:5 34:20 fine 26:19 90:1 95:6 firm 25:14,24 28:6 31:9,13 32:24 first 5:6 17:9 26:9 27:1 28:19 43:16 45:20,21 60:22 62:20 67:12,13 78:15 90:22 93:2 95:8,12 100:19 fit 89:20 five 69:3,16 fixed 95:15 flow 35:2,10,18 59:11 61:11 104:23 flowing 17:24 19:12 flowtube 6:19 7:14 7:19 11:8,10,11,14 17:12,21 35:19,19 35:23 36:1,5,25 37:4 38:21 43:3 59:2 flowtubes 91:3 101:12,24 103:5 108:7 fluid 17:24 19:12 focus 8:16 17:6 Foley 1:19 2:2 4:13 4:21 25:14,23 27:24 28:6 30:5,8 31:9,12 32:13,19,24 33:12 33:17 112:6 folks 27:24 following 7:7 22:6 29:20 100:5	formed 64:19 73:8 forming 97:19 formulated 33:17 formulating 84:1 forth 68:20 70:21 found 28:23 107:15 four 91:2 Fourier 95:20 free 76:1 Freedom 2:7 frequencies 6:19 7:13 9:16 10:18 11:10,15 19:25 20:4 frequency 6:16,17 7:10,11,22 8:24,24 9:10 10:2,4,4,20,22 10:23 11:9,11,12,16	<hr/> G <hr/> gain 39:15 40:4,17 41:1,6,11,19,22 43:4,7,14,19 58:7 58:12 59:20 gate 9:19 47:14,19 gathering 73:21 gauge 9:19 general 17:1 20:20 37:11

<p>generally 48:24</p> <p>generate 60:25 61:19 61:22 65:18 82:13 82:19 87:19 88:1,13 91:9,15 92:7 98:15 102:16,23 107:13 108:16</p> <p>generated 16:5,5 19:24 38:5 52:10 53:19</p> <p>generates 36:6 46:3 104:22 105:4 108:12</p> <p>generating 6:3 9:2 19:22 61:11 92:12</p> <p>generation 11:8 17:9 46:1 74:21 99:20 100:11</p> <p>getting 72:13 73:24</p> <p>give 24:18,20 25:3 28:15 34:20 58:20 62:23 73:1 98:3,10 108:20</p> <p>given 6:5 98:11</p> <p>gives 66:3</p> <p>giving 15:7</p> <p>go 11:6 24:22 35:7 45:16,22 46:6,10,11 51:14,16 54:17 64:5 66:11 69:16 80:24 82:6,7 98:6 106:7 109:2,11,21</p> <p>goes 45:7 62:16 89:10 96:18 97:16 99:18 100:9</p> <p>going 5:19 9:13 18:23 19:13,24 21:24 22:9 23:4 24:6 26:15 34:7 36:2,13 46:24 48:8,19 49:11 56:10 58:5 60:18,22 62:4 62:6,17 64:10 69:25 70:3 74:1,3 78:6,7 82:10 83:15,16 84:7 84:21 85:20 87:8,18 88:1 89:1,23 90:14 92:18,24 98:2,4,7</p>	<p>105:19,23 106:17</p> <p>Good 5:10,11 67:1,2</p> <p>Great 97:3</p> <p>grounds 32:8 33:24</p> <p>guess 42:12 68:17 72:13 73:24</p> <p>guys 89:25</p> <hr/> <p style="text-align: center;">H</p> <hr/> <p>H 2:12</p> <p>half 28:20 62:4</p> <p>hand 32:13 111:18</p> <p>happens 71:2 81:20</p> <p>hard 13:12 15:19</p> <p>hardware 15:20</p> <p>heads 70:16</p> <p>hear 22:4 28:13 99:22 102:5</p> <p>heard 39:1</p> <p>heart 57:20 74:20</p> <p>Heintz 2:6,21 4:24 5:9,24,25 6:7,11,23 6:25 7:25 8:10 10:5 11:20 12:13 14:5,12 14:23 15:9,25 18:20 19:6 21:7 22:18 23:15 24:12,20,24 25:1,18,25 26:18 27:10,22 29:2 30:10 30:24 31:14,20 32:4 32:12,17 33:5,11,20 34:7,15 37:18 38:3 38:16 39:6 40:16 41:9,14,24 42:23 43:10,13 45:15 46:8 46:14,19 47:7 49:24 50:13 51:1,10,13,24 52:20 53:12,17 54:5 55:2,6,20 56:4,11 57:1,12,21 58:17 59:13 60:16,17 61:13 62:3,11,14 63:14,22 64:1,7,14 64:21 66:6,10,25 68:2 72:12,25 74:7 74:16 75:25 77:25 78:12 79:3,9,12,20</p>	<p>80:1 84:12,18 85:2 85:17 86:16 87:3 88:24 89:18,22 90:10,18,21 91:23 92:14 94:8 96:1,4 98:22 99:15,23 100:2 101:4,22 102:9,22 103:2,21 104:6,11 105:17 106:4,15,24 107:7 108:8,24 109:2,9</p> <p>Heinz 4:24</p> <p>help 33:13 46:25 47:2 48:19 58:18 63:22 73:12 98:5</p> <p>helpful 48:21</p> <p>helps 36:17</p> <p>hereunder 111:17</p> <p>hertz 10:19,19,21 12:3,3 13:12 17:22 18:16,17,25,25 19:1 19:1,14,25</p> <p>hired 33:18</p> <p>hold 71:3 106:12</p> <p>hopefully 11:1 57:20</p> <p>host 77:22</p> <p>hour 34:8 62:4 89:23</p> <p>Houston 2:10</p> <hr/> <p style="text-align: center;">I</p> <hr/> <p>i.e 17:24 88:22 91:3 99:11 101:13,25 103:5 106:6 108:5</p> <p>idea 38:11</p> <p>identified 3:3 54:6</p> <p>identifies 36:12</p> <p>identifying 54:23</p> <p>II 1:10 4:4 51:19,23 90:4,8 110:4</p> <p>illustrate 42:11 87:17</p> <p>illustrated 39:9,17 40:21,24 42:9,21 43:3 91:22 94:6,15</p> <p>illustrates 63:8 65:19 87:14 91:18</p> <p>illustration 92:11</p> <p>illustrative 22:17</p>	<p>imagine 12:9 41:5,10</p> <p>immediately 18:16</p> <p>implement 50:2 53:24 55:10 56:19 57:14</p> <p>implementation 23:13 38:7 39:17 71:10,17 72:16 76:14,16,21 78:3 80:14 81:19 83:23 85:23,24 87:21 88:3 88:8 89:21 91:19,21 92:12</p> <p>implementations 72:10 76:18</p> <p>implemented 37:10 52:4</p> <p>implementing 77:8</p> <p>implements 37:22 49:18 53:9 55:23</p> <p>important 62:5</p> <p>improper 12:24</p> <p>include 31:15,18,23 31:24 32:25 33:7,13 35:11 72:20 75:9 80:15 83:10</p> <p>included 28:11 83:8</p> <p>includes 50:10 72:2 72:20 78:8 81:12</p> <p>including 11:9 50:11 53:19 75:6,21 76:14 77:23 104:23</p> <p>Incorporated 4:7,8</p> <p>incorporating 75:5,6</p> <p>incorrect 14:13</p> <p>incremented 16:25</p> <p>independent 33:18</p> <p>independently 33:17</p> <p>indexed 107:20</p> <p>indicate 97:5</p> <p>indicated 57:4 58:9 74:14 103:13</p> <p>indicates 38:7,22</p> <p>indirectly 111:16</p> <p>information 108:21</p> <p>initial 12:2 13:11,19 19:19 20:14 28:8,11</p>
--	---	---	---

<p>initialization 45:6 48:5</p> <p>initially 9:15 26:14</p> <p>input 27:11 35:22,23 39:15,22,24 40:4,8 40:10,11,18 41:15 41:25 44:12 46:21 46:21,24 47:15 48:15,16 70:7</p> <p>inputs 35:10 39:19 46:20</p> <p>inside 25:23 69:16</p> <p>instance 1:14 15:14 30:14</p> <p>institution 8:6,12</p> <p>instruct 66:12 85:3</p> <p>integrated 43:22</p> <p>intend 94:20</p> <p>interested 23:11 111:15</p> <p>interleaved 70:23</p> <p>internal 107:17</p> <p>interpretations 55:14</p> <p>interrupt 58:15,20</p> <p>interrupting 106:13</p> <p>invalidity 30:23 31:24</p> <p>Invensys 1:8 4:8,25 5:2,4</p> <p>invention 21:19,21 74:1 75:11 76:6 94:21</p> <p>inventive 38:10 39:2</p> <p>investigation 13:20 20:15</p> <p>involve 76:23</p> <p>involvement 27:17,18</p> <p>IPR 25:8 27:8 28:21 38:24 58:24 63:4 65:23 103:14 105:12</p> <p>IPR2014-00167 1:7</p> <p>IPR2014-00170 1:7 3:5 24:8</p> <p>IPR2014-00178 1:8</p> <p>IPR2014-00179 1:8</p> <p>IPR2014-169 4:11</p>	<p>IPRs 26:15 28:19</p> <p>irregardless 73:14</p> <p>issue 8:19 15:6 37:17 38:24 56:16 57:20 65:8</p> <p>issues 8:14</p> <p>it'll 16:16</p> <hr/> <p style="text-align: center;">J</p> <hr/> <p>James 2:6 4:24</p> <p>Jeff 4:21</p> <p>Jeffrey 2:3,9 5:3</p> <p>Jelenchick 2:3 100:15</p> <p>Jennifer 1:16 4:16 111:5</p> <p>John 2:16 4:15</p> <p>Johnson 2:9 5:3,3 38:14 100:4 107:6 109:16</p> <hr/> <p style="text-align: center;">K</p> <hr/> <p>KADIE 2:3</p> <p>Kalotay 34:18,23 36:12 37:5,22 38:1 38:11,23 39:2,13 41:18 42:8,14,25 43:17 44:2,12,19,23 47:10,25 48:11 49:14,18,23 50:7 52:3 53:2,9 54:18 55:23 56:24 57:14 58:3,10 59:2,12,15 64:25 65:2 74:17</p> <p>Kalotay's 39:10 42:21 46:18</p> <p>keep 62:6 70:21</p> <p>keeping 16:25</p> <p>kilohertz 18:25</p> <p>kindly 25:3 98:3 102:5</p> <p>know 12:12 29:12 31:6 57:18,24 61:14 64:22 82:8 87:10 89:6,14 90:15 103:1</p> <p>known 91:8 107:12 108:10,11</p> <p>knows 108:14</p>	<hr/> <p style="text-align: center;">L</p> <hr/> <p>L 1:16 2:9,15 111:5</p> <p>label 36:13,15</p> <p>labeled 35:2,5,19 39:12,25 40:10,18 42:4,20 44:12,22 71:22</p> <p>language 26:5 32:9 38:2 96:21</p> <p>Lardner 1:19 2:2 4:13,22 27:24 30:5 30:9 31:12 112:7</p> <p>large 75:5</p> <p>larger 33:2</p> <p>latch 72:2,20 73:8,17 74:2,23 75:17 77:16 78:21 83:5</p> <p>law 25:14,24 31:9,13</p> <p>lead 36:21 37:1 42:20 45:10 47:14 49:2,7</p> <p>leading 45:6</p> <p>leads 53:23</p> <p>leave 38:14</p> <p>leaves 20:19,20 33:4 109:16</p> <p>left 5:13 35:11,23 38:8 42:18 43:22 44:13 52:2 58:8 60:24 61:5,18,21 63:9 65:12,17,19 66:22 70:7,20 71:7 71:12 80:19 81:8 82:12,18 83:17,22 83:25 84:2,8,21 85:7 87:15,17,25 88:9,12,22 89:3,5,8 89:24 95:21 96:5,11 96:13,16,22,24 97:5 100:4,25</p> <p>left-hand 40:1 44:11 48:14 70:5 71:8,14 71:20</p> <p>legal 4:16 52:23 55:14</p> <p>legitimate 55:17</p> <p>legitimately 41:6,11</p> <p>let's 17:2 25:6 29:12</p>	<p>44:11 45:16 51:15 56:12 62:16 63:19 66:5 69:15 80:9,24 84:16 93:13,13 97:14 101:7 109:11</p> <p>level 40:11,18,20,21 41:2,3 42:20 47:14 49:6</p> <p>levels 41:21 43:21</p> <p>lieu 66:2 73:10 74:9 76:10 77:7,12 86:6 103:18,23 104:1</p> <p>lighten 24:21</p> <p>like-minded 51:3</p> <p>limit 38:17 94:14</p> <p>limitation 62:21</p> <p>limitations 53:1</p> <p>limits 40:23</p> <p>line 9:24 10:8 12:5 21:2 36:18 38:19 43:17 44:16 45:2,22 45:22 47:9,11 48:2 48:21,23 49:1,11 62:5 64:9,10,10 67:12 69:17 73:5 76:7 77:5,21 82:18 82:21 90:15,17,22 91:24 92:15,22,25 93:1,10,14,22 94:1 94:3,16 95:1,9 97:10,10,16,17,22 97:23 99:4,5,12,17 99:18,21 100:8,10 100:13 101:8,9,18 107:9 113:2</p> <p>linear 16:19 20:23</p> <p>linearly-increasing 16:6</p> <p>lines 13:17 17:11 18:1,19 36:22 59:12 68:4 69:3,16 73:7 81:4 85:9 86:22 92:4 98:16</p> <p>links 105:13</p> <p>liquid 12:3</p> <p>list 28:15 112:9</p> <p>litigation 28:1</p>
---	--	--	--

<p>little 11:2 40:21 48:1 89:2 Liu 30:16 LLP 2:6,9,12 3:9 locate 91:2 101:11 105:19,24 located 4:13 locates 103:4 locating 101:23 104:23 108:6 logarithmic 16:6,19 20:13,16,17,23 logic 21:17,19 long 28:9 68:5 79:10 look 8:5 29:12 36:13 47:2 63:7 69:15 76:1 82:10 97:14 look-up 107:16 looking 6:21 7:1 9:23 21:15 26:2 36:8,8 47:4 74:12 losing 64:5 lot 9:13 28:23 88:25 Louisiana 2:10 low 70:16 lower 40:12 44:23 72:22 74:4 75:22 79:22 83:3 LPF 70:16 lunch 62:12 66:21 82:16</p> <hr/> <p style="text-align: center;">M</p> <hr/> <p>M 2:3,6 Ma'am 79:12 Madam 32:4 99:23 100:2 magnitude 9:17 43:21,23 59:9 90:25 91:4 93:16 95:16 101:10,14 102:1 103:6 104:3 magnitudes 43:20 maintain 43:21 majority 32:21 making 76:14 104:14 mark 24:6,13 59:23</p>	<p>60:3 marked 5:20 24:10 24:13,16 34:18 mass 35:2,10,18 61:11 match 75:12 79:1 matched 85:25 matter 4:7,9 10:3,15 40:20 66:14 mean 6:24 11:14 26:20 33:22 36:8 41:1 46:5 57:7 63:24 64:24 77:2,12 85:22 87:4 99:16 100:6 103:8 meaning 10:17 47:21 52:23 55:18 57:3 means 21:23 22:7 70:12,19 79:4 88:11 98:23 103:1 104:2 meant 57:5 64:8 80:2 95:4,24 measurement 53:22 measuring 61:10 mechanics 97:4 mechanism 36:21 mentioned 24:5 27:14 33:2 45:11 mentions 59:15 merit 1:17 32:8 33:25 111:6 merits 33:23 meter 21:18,21 36:20 method 9:2 85:15 102:3,7 104:24 methodology 94:15 Michael 1:13 3:4 4:5 5:5 24:7 51:19,23 90:4,8 110:5 111:9 112:4,16 Micro 1:4 4:7,22 24:14 29:6 microprocessor 7:16 45:5 47:13 48:5 59:7 60:25 61:6 76:21 77:22 78:1,3 78:23 80:6,10,11,15</p>	<p>80:21 82:13 83:4,7 83:12,13,14 90:24 91:8,14 92:6,18,23 93:15,20 101:10,23 102:16,19 103:4,11 104:2,22 105:3,18 105:23 106:3 107:12,15,18,25 108:12,14 microprocessor-ba... 88:17 middle 58:16,21 106:13 Miller 6:6 10:11 11:4 11:7 12:1,11 13:18 14:9,20 16:18 17:8 18:13 19:18 20:3,5 20:18 21:8 Miller's 12:22 14:21 21:24 22:8 23:3 Milwaukee 1:20 2:4 4:14 111:2,18 112:7 mince 83:15 minus 95:18,18 minute 51:14 69:8 minutes 54:21 66:9 89:24 missed 72:10 misspoke 94:19 mix 75:12 79:1 mixed 85:24 mixing 75:17 86:19 mode 6:2 7:20 17:16 17:19,21 19:19,21 19:23 20:9,15 37:10 37:23 49:19 50:3,6 50:9 51:5 52:4,22 53:9,19,25 54:20,20 55:8,10,24 56:20 57:15 61:23 63:13 64:9 98:15 99:20 100:12 modes 11:7 17:8 modulated 47:16 48:9 moment 19:2 25:3 34:20 58:6 68:9</p>	<p>morning 5:10,11 motion 1:4 4:7,22 24:14 29:6 59:10 move 79:14 105:8 multi-bit 107:22 multiplexer 70:9,11 70:13,19 multiplied 81:8,11 multiplying 81:16 mux 70:8</p> <hr/> <p style="text-align: center;">N</p> <hr/> <p>N 2:1,3,15,19 3:2 95:17,18 113:1 name 4:15 6:24 narrow 6:17,17 7:10 7:11,17,23 8:24 9:25 10:4,22,25 13:20,21 16:23 17:2 17:5,13,13,17,20 19:20,23 20:7,9,14 20:19 narrowed 9:23 10:7 10:14,16,18 18:15 21:3 narrows 9:21,21 14:20 natural 17:23 near 68:12 69:17 81:2 82:11 necessarily 22:22 74:18 87:25 89:3,8 98:14 104:15 necessary 8:5 need 66:6 88:6 107:25 108:15 needed 88:8 needs 9:20 59:9 negative 40:21 41:3 41:21 43:20 59:4 negatives 88:25 neither 78:15 never 85:3 node 65:21 nonrectangularly-s... 45:23 nonsynthesized</p>
--	---	---	---

<p>91:21 Nos 1:7 Notary 1:18 111:7,22 112:22 note 99:10 noted 112:9 number 7:1 100:19 numeral 39:12</p> <hr/> <p style="text-align: center;">O</p> <p>O 2:15 113:1,1 oath 5:7 Object 25:20 27:4 41:17 50:21 105:8 objected 31:6 Objection 6:4 9:12 11:17 12:8 14:2,8 14:15 15:5,22 18:12 19:3 20:24 22:3 23:9 25:17 26:12 27:5,19 30:6,19 32:15 33:8,15 37:15 37:24 38:13 39:4 40:13 41:4,13 42:15 45:14 49:20 52:14 53:11,14 54:1 55:1 55:4,11 56:1,21 57:10,16 61:12,24 63:3 64:18 67:24 72:7,24 74:6,10 75:24 77:19 78:11 78:14 79:7,19,25 84:9,15,23 85:11 86:10,23 88:14 89:12 91:16 92:9 94:4 98:18 99:8 101:20 102:21,24 103:12 104:5,9 105:25 108:3,18 objections 31:5,17 32:1 50:4 51:7 56:7 objective 22:24 obvious 78:25 86:17 obviously 26:25 39:8 39:8 73:15 88:6 obviousness 30:23 32:8</p>	<p>occur 16:24 21:5 occurred 92:20 occurrence 59:7 occurs 16:2,19 20:6 off-the-cuff 15:7 50:15 Off-the-record 109:1 offer 27:25 98:19 offers 86:14 offhand 28:16 63:16 office 1:1 4:11 111:18 offices 4:13 officially 4:2 offsetting 57:8 Oh 18:7 36:23 69:10 69:12 109:25 okay 5:19 6:1,13,15 7:5 8:3,11 9:5 10:6 10:11,21 11:14,24 12:6,14 13:11,24 14:6 16:1,21 17:6 18:7 19:16,22 21:8 23:1,16 24:6 25:4,5 26:4,24 27:1,11,15 28:3,10 29:7,9,15 29:19,21 30:1,3,11 30:16 32:13 33:6 34:1,7,21 35:10 36:11,14,17,24,24 37:19 38:4,10 39:7 39:11,14,21 40:10 40:17 42:24 43:25 44:2,8,9,17,21 45:11 46:15,20 47:4 47:10,21 48:1,8,14 49:1,10,16 50:5 51:11,16,25 52:7,21 53:7 54:6 56:14 57:13 58:2,7,19,23 59:19,23 60:2 61:16 62:15,19 64:4 65:4 66:5 67:9,11,18 68:3,8,12,16,22 69:1,21 70:4 71:6 71:12,25 72:8 73:5 74:11 76:4 78:1 80:7,22 81:2,22</p>	<p>82:9 83:14 84:19 85:6 86:24 89:19 90:13,19,22 92:3 93:5,8,12,25 94:20 95:7,14 96:5 98:1,9 98:10 99:1,2,16,25 100:6 101:17 102:3 103:3 106:16 108:24 once 17:14 91:7 107:11,14 108:10 108:14 one-one 73:13 operate 85:20 operating 23:23 operation 20:11,15 43:3 44:5 operations 51:4,4 opinion 27:25 34:17 37:21 39:3 49:25 50:1 53:7 54:12 55:22 56:18 64:19 84:5 97:19 opinions 26:10,10 33:12,17 34:16 60:5 opportunity 24:21 opposed 15:2 91:20 opposite 51:9 55:5 orally 66:15 order 6:12,13 43:21 47:15 108:1 ordered 50:17 Original 3:8 oscillation 11:8 17:12 59:2 output 36:1 40:7,23 41:15 45:7 47:16 48:8,25 49:11 70:13 70:19 76:15 78:21 Outside 83:20 overheard 5:16 Owner 1:10,14 2:8,11 2:14 4:8,25</p> <hr/> <p style="text-align: center;">P</p> <p>P 2:1,1,15 68:20 p.m 1:22 66:20,24</p>	<p>90:3,7 107:2,5 109:5,8,14,19 110:3 110:6 page 2:20 3:3 21:9 25:9,10,22 29:5,13 29:14,16 42:10 58:25 60:12,13,19 60:23 65:23 75:3 82:11 103:14 105:12 112:9 113:2 pages 100:22 papers 68:7 paragraph 6:18 7:12 8:4 10:24 11:3 17:7 17:11 26:6 29:5,16 29:19,23 44:16 45:21 58:25 60:11 60:15,16,19 61:3,8 61:8,10 65:24 67:4 67:8,13,20 68:3,5 68:13 69:2,11,12,16 75:3,15 80:24 81:3 82:2,6,25 83:24 87:1 92:4 93:3,4 paragraphs 5:21 6:2 8:9,11,16 19:16 60:6 parameter 12:7,11 13:4,7,14,16 14:1,7 14:10,14 15:2,19 16:3,7 19:10 58:3 parameters 14:11 15:16,17,21 paraphrase 18:14 paraphrasing 17:25 paren 43:22,23 47:16 95:18,19 parentheses 73:9,9 part 9:1,4,5,6 15:24 16:1 25:13 26:15 42:10 43:6 52:12 63:20 78:1 80:12 82:22 88:15 particular 5:21 16:4 25:15 30:21 40:25 86:15 101:9 parties 111:14</p>
---	--	---	--

parts 25:15,19,21 63:7 86:7	4:22 29:6	49:5	104:17 105:15
pass 15:1,17 70:17 109:9	petitioner's 4:19	preamble 105:9	106:3 107:21
passage 69:2 70:4 74:8 78:5 83:16,19	Ph.D 1:14 5:5 111:9 112:4,16	predefined 49:12,12	108:21
83:21 85:14 91:13	phantom 72:22 74:3 75:21	preferable 106:14	produced 81:8,10 95:21 96:11
91:17,24 92:22	phase 42:4,12,14 67:14,19,22 68:13	preferred 19:4 20:2 46:18 47:22,23	produces 97:7
94:25 96:10 99:11	81:6,12,15,20,21,22	preliminarily 56:18	product 23:14 86:13
99:16 100:7 101:8 108:9	82:1	preliminary 52:19 53:15 54:11,12,24	program 13:2,13,15
patent 1:1,2,10,14	phased 108:22	55:9 56:6	programmable 45:25
2:8,11,14 3:5,6 4:8	phrase 10:13 54:19 55:15 65:1 100:20	premise 22:15 99:10 106:2	programmed 13:6 45:4 48:3
4:9,10,25 8:7 9:23	pi 68:17,20,23 81:12 82:1	preparation 27:11 95:2	programming 59:8 76:23
10:11 12:1,11,16	pick 22:16 29:13	prepare 25:12	progress 21:6
14:22 21:9 24:9,14	Piper 2:6,9,12 3:9 4:24 5:2,4	prepared 27:17 95:1	prompted 8:11
24:19 28:4 30:15,16	place 6:10 36:23 67:21 72:5 78:13	preparing 95:2	proportionate 59:3
31:3 32:19,22,23	79:17,22 86:6	presence 54:7,23	proposition 52:17
34:18,23 36:8 37:25	please 4:17 7:6 22:5 28:13 32:3 68:9	present 21:19,21 34:12	provide 55:18
38:1,23,23 39:13	73:4 99:22	presented 34:3 35:9 65:9,9	provided 30:22 32:18 32:24
42:22,25 43:18 44:3	plus 83:4	presume 73:18	provides 40:8 46:16 47:10
44:20 46:18 50:8,10	point 18:10 27:24 31:11 38:18 59:25	pretty 62:5 87:12 93:12 102:17	providing 65:21
50:18 52:24 53:2	62:2 65:11 71:1,23	previous 18:14 29:24 79:13 84:11	Public 1:18 111:7,22 112:22
54:18 55:16 56:25	75:2,14 87:13 105:6 105:11,21	previously 5:21 24:13 98:11,20	pulse 45:3,6,10,23 47:16 48:3,9,10
58:3 59:25 60:4,7	pointed 78:24	printed 68:15	49:2,5,7,12 59:3,6 59:16,20
63:5,9 64:25 65:6	portion 29:19 40:12 44:23 60:19 80:4	prior 26:14 27:16,17 28:5,10 30:13,17	pulses 46:17 59:4
70:1 76:4,12 78:18	92:3,21	38:11,20,22 50:7,16	purpose 42:7,13 96:23 108:5
81:3 82:21 86:12	portions 75:10	54:20 59:6 83:2	pursuant 1:15
90:12 92:21 94:24	position 43:22	probably 6:13 68:17 73:4 74:17	pushed 33:25
95:10 105:6 107:9	positive 37:10,23 40:10,18,20 41:2,21	problem 67:10	PWN 47:16
peak 91:5 101:14 102:1 103:7	43:20 49:18 59:4	proceed 94:13	
pending 4:9	possible 22:19 76:18 88:11 93:25 94:5	proceedings 4:1 66:22 100:4,16	<hr/> Q <hr/>
perceives 7:18	potentially 23:23	109:17 110:6 112:6	quality 21:18,21
percentage 75:5	power 45:8,11 46:1 49:3,4 73:18	process 9:14	quantity 12:18
perform 7:21 9:14 103:10 108:1	practical 86:15	processed 87:18	quantized 91:9 107:13 108:12,16
performed 16:9 56:23	practicing 41:22	processes 75:7	question 7:5,7 8:13 8:22 14:4 15:8,10
performing 101:19	pre-defined 48:10	processing 87:8,9,14	15:12,13 17:6 22:4 22:6,15 27:21 28:13
performs 14:25 15:18		processor 9:15 76:22	28:14 29:24 32:2 37:14 40:3 42:12
period 107:16		produce 76:16	
person 66:15			
personal 111:11			
pertain 16:17 100:21			
pertains 100:21			
petitioner 1:5 2:5 4:7			

46:4,12 47:5,8 49:17 50:16,24 51:15 52:3 54:3 55:17 56:9 57:19,22 57:24 61:16,17,20 62:1,1,15,17,24 63:6,21,23,25 64:2 64:16,17 65:11 68:19 72:11 75:1,20 76:3 79:4,8,13,16 81:25 84:11,14,25 85:4,6 87:16 89:2,7 97:8,17,18 98:2,24 99:10,22,24 100:5 100:18 102:5,6 105:2,11 questioning 62:6 questions 16:14 32:6 55:13 109:9,20 quick 106:22 quickly 10:17 quite 24:3 27:20 54:14 79:10 83:2 quotation 68:5,12 69:15 103:15 quote 59:1,4 65:25 66:1 103:17 quote-unquote 16:8	19:13 21:17 22:6 28:14 32:6 43:9,11 43:12 44:9 48:1 59:15 62:25 64:16 64:17 74:8 77:3 79:12,16 84:17 94:9 94:25 95:2,7 96:3 97:18 99:24 100:5 102:6 103:20 110:1 112:5 readily 91:9 107:12 reading 13:17 65:22 73:14 76:11 78:17 86:12 reads 14:19 29:20 36:19 38:20 43:18 45:2,23 47:11 60:24 67:13 69:3,18 73:6 90:22 91:7 107:11 ready 23:23 reality 83:7 really 24:17 58:20 Realtime 1:17 111:6 reason 15:13 52:8 55:9 56:17 96:24 reasonable 20:3 101:1 reasons 49:22 recall 10:9 26:8 28:9 42:5 57:8 received 30:4,8 receiving 28:7 recess 34:11 66:21 90:5 107:3 109:15 recite 83:24 recognize 25:7 recollection 28:17 37:11 51:6,8 record 4:3 6:20,22 8:8 34:9,14 51:14 51:16,17,20,22 54:22 66:12,19,24 90:2,7 95:8 107:1,5 109:2,4,6,8,14,19 109:22 110:3 record's 95:23 recorded 111:9	redirect 109:10 reduced 111:10 redundant 23:12,16 24:4 refer 30:12 61:7 96:5 reference 39:12 42:20 48:22 58:23 68:13 72:2 83:20,20 86:25 87:2,4,4 referenced 43:24 references 28:10,22 28:25 30:17 31:4,9 31:23,25 85:13 referencing 12:4 referred 6:18 7:12 10:6 26:19 28:12 29:4 91:18 94:17 referring 10:23 17:16 29:21,22 30:13 39:24 61:3 67:5 69:1 94:18 102:8,10 108:9 refers 93:3,9 94:6 96:11,15 refine 61:20 refining 30:9 reflect 26:22 refrain 106:13 refresh 8:14 44:7,10 97:21 regard 25:14 Registered 1:16 111:5 reiterate 19:13 64:6 related 25:8 relating 82:24 relationship 41:7,12 41:20,23 relative 97:7 111:13 111:14 Relevance 30:20 39:5 relevant 39:9 63:25 64:1 reliably 65:10 rely 33:12 remember 12:15 28:10 37:8 42:2	52:5 repeat 43:6 replaced 45:25 report 5:20 8:4 29:14 31:16,25 32:14,20 32:25 33:7,14 60:6 60:7,12,20 67:4,21 69:9 75:15 82:6 92:5 93:1 95:1,4 reporter 1:17,17 4:16 4:19 24:6,12 32:4 43:10 59:23 60:3 100:2 111:6,6 represent 4:18 107:22 representing 11:10 required 52:10 59:7 requirements 50:11 requires 108:22 reservation 54:4 resided 13:15 resonant 10:2 11:12 17:14,15 18:11,21 19:2 105:19,24 108:11,14,17 resonant's 18:23 resonantly 91:3 101:12,24 103:5 104:24 108:7 respect 8:15 30:14 32:19 41:19,25 48:12 49:22 50:10 53:1,4 54:19 74:14 74:21 82:24 98:12 98:20 respectively 7:24 respond 87:13 response 8:22 18:10 19:11 52:18 84:11 responsive 98:25 rest 72:21 103:19 Reston 2:7 restrict 88:3 89:20,21 94:21 result 38:8 resulting 45:9,9 49:6 results 87:22
R			
R 2:1,15 113:1,1 radians 68:14,23 81:13 82:1 range 9:16 10:17 11:9 12:2 18:6,7,8,9 19:25 20:2 rate 9:22 10:7,13,16 11:21 14:6,18 16:2 16:8,18,24 20:5,17 20:22,25 21:2,4 35:2,10 95:15 rates 20:21 ratio 40:6 reach 33:13 reaches 91:5 101:14 102:1 103:7 read 6:20,22 7:7			

<p>Returned 3:9 reveal 88:16 review 98:3,5 reviewing 12:15 80:19 revolution 68:23 right 6:21 7:2 8:22 9:8 12:18 15:4 17:2 17:18 18:21 21:12 25:2,6,12 27:23 29:11,11,16,23 34:4 34:22 35:1,4,14,18 36:1,9 37:4,8 38:25 39:23 40:22 42:10 44:5,11,15,17 46:6 46:10,11 48:22,22 54:13,15 55:7 56:5 59:23 60:9,18,24 61:5,18,21 63:10,17 64:8 65:12,17,20 67:12 68:21 69:1 70:7,9,20,24 71:7 71:13 72:18 80:5,8 80:16,24 81:10,15 81:16,22 82:3,10,12 82:19 83:9,17,22,25 84:3,8,19,21 85:8 87:6,8,12,15,18 88:1,10,13,22 89:3 89:5,9,22 92:16 96:20 97:2,7,11 98:9 101:7 104:2,13 106:18 107:25 108:11 110:1 right-hand 72:22 74:4 75:22 79:22 83:3 road 100:23 Romano 59:24 60:4,5 61:17,21 62:20 63:4 63:18,19 65:2,3,16 65:25 67:13,22 68:3 70:1 71:12,17,21,25 72:2,6,16,18 73:5 73:16 74:20,22,25 75:16,20 76:3 77:4 77:14 78:5,20 79:21</p>	<p>80:9,14 81:3 82:15 82:18,22 83:4 84:4 84:5,19 85:7,10,18 86:1,4,14,17,18,19 86:21 88:3,15 89:14 90:12,15 94:13,21 95:9 96:7 98:8 99:3 99:5,21 100:14 102:3,15,20 103:3 103:10,15,16,22 104:12,16,21 105:3 105:7 106:8 107:9 Romano's 63:9 65:19 74:1 75:2 76:7 79:2 82:21 100:24 room 5:13 33:4 38:15 52:15 107:6 roughly 28:19 routed 45:8 49:3 routine 15:3,17 94:2 95:14 96:18 97:9,15 98:12,21 99:11,18 100:8,22 101:17 102:12 105:1 RTD 35:16 ruled 52:24</p> <hr/> <p style="text-align: center;">S</p> <hr/> <p>S 2:1,15,15 113:1 sample 71:3 95:15 sampled 69:5,19,22 81:6 samples 70:23 81:7 81:10 95:14 sampling 71:2 81:17 San 2:13 save 58:18 98:10 saw 71:20 saying 15:13 54:15 54:15,23 56:17 77:14 79:21 says 10:15 19:13 48:2 59:18 69:21 71:12 78:5,13 79:17 80:8 81:5 83:14 94:1 104:7,12 108:10 scan 7:21</p>	<p>scanned 10:17 scenario 24:4 Schmaling 1:16 4:17 111:5 scope 14:21 30:19 38:13 49:20 50:22 56:1,21 57:10,17 63:3 64:18 scratch 60:2 seal 111:18 search 7:21 9:21 13:19 97:15 99:11 second 17:21 43:1 60:21 65:24 73:6 82:11 95:8 98:3 section 94:7 96:21 97:19,25 98:8 99:11 102:11 105:12 see 11:12 14:21 26:7 35:20 40:2 44:13,24 46:22 48:6,16 49:8 51:15 56:22 58:2,5 60:13,20 61:1 62:16 62:16 67:15 68:15 69:5,20,23 70:4,6 71:15 80:17 88:6,6 89:20 91:5,10,25 92:2,15 93:13,18 101:16 107:23 seeing 12:15 62:20 63:16 selected 96:25 sense 20:16 40:5 41:7 41:10 51:2 57:13 58:4 sensor 35:11,14,24 38:5,5,9,9 44:13,18 50:12,25 52:11 53:20,23 56:23 58:4 58:8 59:20,21 63:10 63:10 65:12,13,17 65:20 69:22 70:20 70:20 71:7,13,13 73:21 81:8,16,23 82:3,19 83:17 84:22 87:10 88:10,23 89:4 89:5,9,9,15 95:25</p>	<p>96:6,12,13,20 97:6 98:9,14 99:6 102:4 105:1,5 108:1,5,15 108:22 sensors 9:19 70:6 sent 65:14 sentence 10:8 17:25 18:14,15 21:1 43:16 49:2 60:23 67:13 81:4 82:11 90:23 91:7 95:8,12,12 sentences 21:17 series 107:21 set 26:23,25 27:6 28:25 33:2 40:22 42:9 59:6 73:19 98:10,19,24 107:16 111:17 setting 59:14,16,19 shift 42:4,12,14 68:13 81:6,12,15,21,22 82:1 shifted 81:20 short 70:9 89:25 show 78:3 106:5,9 shown 21:10,12,13 35:22 36:2 37:2,5 40:1,11 44:18 46:21 56:24 66:3 72:5,15 73:7,11,25 74:3 75:18,21,23 76:22 77:24 80:21 90:24 93:16 103:19 107:17,21 shows 39:18 44:20 63:9 65:11 71:17 72:14,16 80:14 side 10:20 18:17 19:1 40:1 44:11 48:15 97:2 Sidman 1:13 3:4 4:5 5:5,10 24:7 34:16 51:19,23,25 57:2 58:13 67:1 84:22 90:4,9,11 107:8 110:5 111:9 112:4 112:16</p>
--	---	--	--

<p>sign 110:1</p> <p>signal 7:19 9:3,9 11:8 17:9 19:23,24 23:25 35:11,14,16,24 36:6 36:20 37:1 38:4,5 39:16 40:4,23 41:2 41:2,3,16,20 42:19 43:23,24 44:17 47:19,19,20 48:9 49:11 50:12,25 51:4 52:11 53:20,21,23 56:23 58:4,8 59:20 59:21 61:1,11,19,23 63:12 65:12,13,14 65:18 70:7,20,21 71:2 74:21 76:22 80:18 81:23 82:3,14 82:20 84:2 85:16,19 87:18,19,22,25 88:1 88:2,12,13,20 89:4 89:6,9,9,10,17 91:15,20 92:13 93:21 95:21,25 96:6 96:16,20,23,25 97:1 97:6,11 98:9,15 99:6,14,20 100:12 101:1,2 102:17,23 104:18,22 105:1,4 105:16 106:3 108:15,21</p> <p>signals 6:3 38:6 41:15 52:10 53:19 60:24 61:18,22 63:10 65:17,20 69:4,18,22 71:7,8,16,19 81:6 81:16 82:12,19 83:17,23,25 84:3,8 84:22 85:8,14 87:10 87:15 88:10,23 89:16 92:7 98:14 105:5 108:1,5,23</p> <p>signature 25:10</p> <p>Sikorski 2:12 5:1,1 33:4 34:12 38:14 52:15 66:22</p> <p>similar 14:4 28:16,17 76:24</p>	<p>similarly 67:14 71:22</p> <p>simple 16:7 48:24 98:2</p> <p>simpler 81:25</p> <p>simply 40:22 45:12 61:5 94:21</p> <p>sine 81:9,11,18,20 92:13 104:17 107:16</p> <p>sinusoidal 91:9,20 107:13 108:13,16</p> <p>sir 5:12,19 6:1,19 8:3 10:6 21:8,12 24:22 34:24 36:5 42:2,24 44:24 59:24 62:23 66:6,13 67:3,18 76:1 79:5 86:17 92:15 95:7 96:17 97:17 98:13 101:5 102:14 104:19</p> <p>sit 49:25 53:7 64:22</p> <p>situated 47:16 107:17</p> <p>situation 7:18 13:22 41:23</p> <p>six 81:4 100:22</p> <p>size 59:3,16,19</p> <p>sized 59:5</p> <p>skill 78:17</p> <p>skilled 20:12 75:11 76:5 100:25</p> <p>small 13:22</p> <p>software 14:25 15:20 88:16 89:14</p> <p>solely 61:10</p> <p>somebody 20:11 75:10 76:5,11 78:17 103:8</p> <p>sorry 6:17 7:11 10:13 11:5,11 13:10 16:12 17:13 18:5,25 26:10 30:12 31:2 35:19 36:23,24,25 43:6 45:17 46:11 47:3 48:19 49:4 51:7 59:14 61:8,20 63:2 64:10,12,15,24 67:4 67:9,12 69:13,14</p>	<p>70:12 73:6 79:23 80:11,16 81:24 82:7 85:19 89:4 90:18 93:21 94:11 95:4,8 97:23 99:25 104:25 107:19 109:25</p> <p>sort 23:12 40:6</p> <p>Sounds 90:1</p> <p>span 20:3</p> <p>spans 12:2 18:1</p> <p>special 97:6</p> <p>specific 16:24 52:23 94:22</p> <p>specifically 40:15 69:4,18 75:2 91:1 107:14</p> <p>specifically-disclosed 94:23</p> <p>specification 39:10</p> <p>specify 16:22 20:1,5 88:7</p> <p>speculate 31:11</p> <p>Spohnholtz 4:15</p> <p>Sponholtz 2:16</p> <p>SS 111:1 112:1</p> <p>stage 78:21</p> <p>standpoint 73:20</p> <p>stands 68:20</p> <p>start 11:24 13:3 15:14 17:3,19 25:6 44:11 89:7</p> <p>starting 10:8 12:6,20 48:2,20 49:1 64:9 64:12 73:5 90:22 93:22 94:25 95:9 97:15 99:17 100:7 101:8</p> <p>starts 60:12 92:22 94:3 97:9 99:4 101:18</p> <p>state 1:19 4:17 11:4 65:25 75:5 103:15 111:1,8,23 112:1</p> <p>stated 8:16 30:7 49:22</p> <p>statement 28:2 57:19 58:1 69:2,17 77:11</p>	<p>statements 70:3</p> <p>states 1:1 4:10 58:10</p> <p>steam 21:18,20</p> <p>stemming 42:18</p> <p>step 101:7</p> <p>stipulates 104:16</p> <p>strain 9:19</p> <p>strange 9:19</p> <p>Street 2:13</p> <p>strike 79:14 105:9</p> <p>subject 50:1 52:19 53:4 54:17 64:20 66:14</p> <p>subjective 102:25</p> <p>submission 31:10</p> <p>submitted 26:14 27:7 27:12 33:1</p> <p>Subscribed 112:19</p> <p>subsequently 9:21</p> <p>subset 26:25 33:3</p> <p>substance 5:14,17</p> <p>substantive 5:12</p> <p>substitute 80:2</p> <p>substituting 78:20</p> <p>substitution 73:13</p> <p>succession 90:25 93:17 101:11 104:3</p> <p>successive 107:19</p> <p>successively 107:19</p> <p>suggest 46:25 77:7</p> <p>suggested 23:2 102:11</p> <p>suggesting 38:17</p> <p>suggestion 76:7</p> <p>suggests 19:5 20:3 77:5,9</p> <p>suitable 36:19 45:25 47:14 59:8</p> <p>Suite 2:7,10,13</p> <p>summarized 29:20</p> <p>summation 85:14</p> <p>summed 65:13</p> <p>summing 63:11 65:20 88:22 89:15</p> <p>supplied 26:5 87:19</p> <p>supplies 37:1</p> <p>suppose 13:16 23:24</p>
--	--	--	--

94:5	synthesizes 9:6	41:11,19,22 50:5,9	45:4,7,17,21,24
supposed 106:21	synthesizing 91:20	51:2 52:12,22 63:13	47:15,17 48:4,8
supposition 100:20	96:23	81:9,11,18,20	59:8
104:14	system 10:20 18:18	testified 5:7 30:4	times 56:9 102:15
sure 11:23 16:13,15	23:12,14,17 45:5	80:10	timing 42:17 46:16
26:16 27:20 28:24	48:5 53:22 67:15,25	Texas 2:10	59:3
31:19 32:4 35:6	77:23,23 78:7,8,19	text 35:6	today 37:21 49:25
36:10,16 39:20	78:23 80:6 83:13	Thank 7:4 24:11,23	53:8 64:22
55:16 68:10 69:15	88:17 97:5	39:23 42:24 52:1	Today's 4:3
73:5,22 76:2 80:19	systems 1:8 4:8,25	57:2 68:19 70:10	told 89:23 102:14
93:2 95:22 97:21	5:4 75:5,6,8	87:16 96:1,17 97:14	top 40:22 58:10 60:23
100:17 102:2		102:14 106:25	82:11
105:10 106:23,24	T	108:24 109:21	track 10:1
surprised 79:11	T 2:15 113:1	thing 84:3 95:6	tracking 13:22 19:21
swear 4:19	table 13:15 107:16	things 14:17 33:25	20:9
sweep 6:16,18 7:10	take 27:23 29:12 34:8	57:7 73:4 100:19	Trademark 1:1 4:10
7:12,17,21 8:24,25	47:1 62:2,8,9,12	think 6:9,14 8:9 9:1	transcript 4:1 112:5
9:25 10:22,23 11:9	63:7 66:5 69:15	13:5,13,16,19 14:19	transform 95:20
11:16,18,25 12:2	76:8 86:7,13 89:25	14:20 15:16,23	transistor 49:4
13:4 14:1,6,18,25	97:14 101:7 106:22	16:22 17:17 20:11	Trial 1:2 4:9
15:14,15,18 16:2,4	109:11	24:3 26:5 28:2,22	true 6:15 7:8 9:8
16:8,10,19,24,25	taken 1:14 4:6,12	30:3 31:6 32:16	21:12 41:25 98:13
17:3,5,10,17 18:9	34:11 66:21 90:5	33:9,24 34:6 40:19	99:2 112:8
18:10 20:6,16 21:5	107:3 109:15 112:6	42:1 48:11 49:10,15	try 56:12 62:18 70:3
21:5	talk 10:21 17:2	52:7 54:17 55:5	trying 8:18 9:17
sweeping 20:13	talked 42:3 86:21	56:8,13,15 57:6	16:23 39:2 58:17
sweeps 9:15,25	talking 10:12 17:7,16	61:9 62:25 63:2,6	63:22
swept 19:25	17:18 19:17,22 21:4	71:6 72:4 73:1,13	tube 9:18 10:2 12:4
switch 23:24 45:8,11	29:12 39:20 40:5	73:14 76:5 82:15	36:25 81:7
45:12 46:1 49:3,5	41:7 52:2 57:3	84:10,24 86:14,24	tubes 17:25 19:12
switching 10:3 70:21	61:10 65:2 69:11	87:11 89:22 93:2,24	104:24
sworn 5:6 112:19	71:1 83:1 93:13	95:6,23 96:2,15	turn 5:19,21 8:12
sync 39:25 40:4,7	96:12	103:9 106:7,14	21:8 34:22 42:24
41:2,25	talks 18:13 21:2 94:1	Thompson 30:15	44:2 60:4,18 63:19
synchronization 40:8	tape 51:13 89:24	thought 8:17 32:7	80:9 90:13
synchronous 39:12	teach 89:14	34:2 37:16 38:25	two 7:19 9:7 11:7
39:15,18,19 40:3,9	teaches 78:20 83:22	three 14:17 17:11	17:8 21:16 23:17
40:17,23 41:3	84:1,4 105:7	39:19,22	31:4 32:6 34:5 38:5
synthesis 6:2 50:3,6,9	teaching 85:15	time 7:5 18:22 23:18	46:20 57:4 63:7
51:5 52:4,22 53:9	teachings 78:23 79:2	23:22 24:1 28:5,13	69:21 70:22 72:9,9
53:19,25 54:19,20	86:14 100:24	32:3 53:5 54:18	74:12 85:25 86:2,4
55:8,10,24 56:19	tell 17:18 31:12 55:21	58:18 67:14,19,23	89:15
57:15 61:23 63:13	58:6 98:4	69:5,19 70:25 75:11	two-part 65:10
64:8	telling 91:13	76:6 79:10 83:2	type 29:22 41:23
synthesized 9:10	tells 76:11 87:24	89:7 96:15 98:10	typically 47:19 49:4
53:21 101:3 104:17	term 10:12 12:11,15	100:1 101:7 109:21	typo 68:18
105:15	12:17 26:17,19 41:6	timer/counter 44:22	

U	38:8 42:19 43:22 44:13,17 58:8 63:10 63:10 65:12,12,20 69:4,18,22 70:6 71:12,13 81:6,8,16 81:23 82:3 83:22,25 84:3 87:15 88:23 89:5,8,9,15 95:15 95:21 96:5,12,13,16 96:20,22,24 97:11 98:9,14 101:1 102:4 105:4 108:1 Vernier 97:14 99:11 version 96:15 versus 4:8 46:7 85:23 93:3 vibrate 91:3 101:13 101:25 103:5 104:24 108:7 vibrating 9:18 10:2 vibratory 59:10 Video 1:13 videographer 2:16 4:2,15 34:9,13 51:17,21 66:19,23 90:2,6 107:1,4 109:4,7,13,18,23 110:2 view 31:11 Virginia 2:7 virtue 86:25 VLR 43:24 Volume 1:10 4:4 51:18,23 90:3,8 110:4 vs 1:7	64:25 water 12:3 wave 92:13 waveform 9:7 45:25 91:10 95:20 96:11 96:22 101:3 107:13 107:23 108:13,16 waveforms 42:9,11 95:15 wavelength 56:13 way 12:10 14:4 16:4 16:10 20:20 22:24 27:23 30:2 35:7 36:12 55:17 57:5,23 62:9 77:8 84:6 85:20,22 88:4 89:21 94:12 100:23 101:19 103:3 104:21 105:3,6 106:2,8 ways 7:20 9:7,9 57:4 we'll 29:13 51:14,16 62:16 89:25 we're 19:18,22 29:12 36:8 56:13 65:2 71:1 74:11 103:7 109:4 we've 34:7 49:10 62:4 83:1 86:5,21 89:22 Welcome 51:25 well-known 45:4 48:4 107:20 went 104:19 whereof 111:17 wide 6:16 7:9,17,24 8:23 9:16 10:3,22 11:9,16,24 12:2 13:4,19 14:1 16:10 16:19 17:9 19:1,19 19:25 20:15 width 45:3 48:2,9,10 49:12 59:5,16,19 Wisconsin 1:19,20,20 2:4,4 4:14,14 111:1 111:8,19,23 112:7,8 withdraw 45:16 46:2 61:14,16 81:25	87:11 89:6 103:25 withdrawn 46:4 witness 4:20,23 5:6 6:5,10 7:15 9:13 11:18 12:9 14:3,9 14:16 15:6,23 18:13 19:4 20:25 22:4,14 23:10 24:11 25:21 26:13 27:6,20 28:15 30:7,21 31:8,18 32:2,7,16 33:9,16 37:16,25 40:14 41:5 41:18 42:16 46:16 49:21 50:5,23 51:8 52:16 53:15 54:2 55:5,12 56:2,8,22 57:11,18 58:23 60:3 61:25 62:12 63:4 64:4,19 66:7 67:25 72:8 74:11 77:20 78:15 79:8 84:10,16 84:24 85:12 86:11 86:24 88:15 89:13 90:20 91:17 92:10 94:5 98:19 99:9,25 100:17 101:21 102:7,25 103:13 104:10 105:10 106:1,22,25 108:4 108:19 109:10 111:17 word 54:7,24 55:7 57:3,13 77:1 95:12 95:24 103:1 words 15:19 18:8 20:21 26:24 70:6 83:15 91:25 92:16 92:21 96:10 97:24 work 26:14 works 11:3 62:10 wouldn't 19:8 23:17 41:21 102:17 write 59:1 writing 66:15 111:10 written 26:9 27:1 wrong 36:23 57:6 wrote 25:15 32:11
V	W		
value 15:1,2,15,15 91:5 95:19 101:14 102:1 103:7 values 15:14,20 107:22 various 33:23 varying 43:19 velocity 35:11,14,24	wait 69:8 109:25 want 24:17 36:10 44:21 46:5,6,10 47:1 58:21 76:2 82:6,7,16 87:13 100:18 106:16 wanted 16:3 wants 62:8 wasn't 15:10 38:1		

X	136 24:18 25:8 28:4 28:20,21 31:3 32:19 32:22,23 38:23 58:24 60:7 63:4,5 65:23 67:7 75:4 103:14 105:12 14 45:22 97:11 99:18 100:10 149 29:16,23 15 97:16,22 16 18:19 160L 44:13 71:13 160R 71:13 165L 35:11,24 96:7 96:12,16 165R 35:14 1700 2:13 175 60:6 179 61:8,10 67:4,8,13 67:20 68:3,5,13 69:2,8,11,16 80:24 81:3 82:2 18 18:19 180 36:2,7,21 45:10 49:7 60:11,16,19 63:12 65:15,22 82:6 87:20,23 89:11 92:4 182 60:6 185 36:15,21 37:1 40:7,23 45:10 49:7 19 18:1 47:2 48:21 190 58:25 195 35:16 196 63:9 73:16 74:20 82:21 92:21 94:23 1990 29:6	2014 1:21 4:4 111:10 111:19,25 112:6,12 112:19 2014-170 4:11 2014-178 4:11 2014-179 4:12 20190-5602 2:7 2022 60:1,1,5 90:12 2023 5:20 2024 7:3 8:6 2025 34:19,23 2028 6:12 21:9 2029 3:4 24:7,10 25:6 25:12 26:11 27:2 28:20 34:17 58:24 67:6 75:4 2030 3:6 24:13,16 2031 59:24 21 47:2 93:10,22 22 68:4 93:11 223 26:6 24 3:5,6 63:19 64:9 64:10 73:5 76:7 77:4 82:15,17,21 85:9 86:22 90:13,15 91:13 92:4 93:6,14 94:1 98:16 99:5,21 100:13 101:8 107:9 25 93:10,22 2700 12:2 13:12 28 38:19 111:25 2800 2:10 2P 68:19 2P/128 68:14	90:8,24 91:14 93:16 96:6,9,13 102:11 105:22 110:4 3.14159 68:20 3:19 107:2 3:33 107:5 3:37 109:5 3:39 109:8,14 30 35:1,10,18 94:3,16 94:25 95:9 96:10 97:10,23 99:17 100:7 101:18 102:12 300 2:7 302 70:8,14 306 70:17 309 71:3 31 75:3 96:18 316(a)(5)(A) 1:15 32 64:10,14 68:4 73:6 82:15,18 85:10 86:22 92:4,22 96:19 97:10,16,22 98:16 99:5,12,18,21 100:9 100:13 101:8 102:13 320 71:4 33 97:16,23 99:13 330 60:25 61:6 76:23 82:13 90:24 91:8,14 92:6,18 93:16 101:10 102:16 103:4,11 104:2 107:12,15 34 29:5 35 1:15 82:21 36 63:5 90:15,18,19 90:22 91:24 92:15 93:14 94:1,14,17,18 101:9 360 68:24,25 37 1:15 388 72:3,21 73:8,16 74:23 75:17 76:15 77:17 78:8 83:5 390 72:3,21 73:9,17 74:2,23 75:17 76:15
Y			
yeah 6:23 11:6 36:23 37:3 43:16 61:8 72:13 73:23 yesterday 5:13 6:8 27:14 34:18 37:8,12 38:25 40:6 41:8 42:2 51:3,12 57:2,9			
Z			
0			
1			
1 4:4 43:17 51:18 1:11 66:24 10 10:19 18:17 19:1 43:17 58:11 68:4 10:12 34:10 10:22 34:14 1000 2:10 1001 24:14 101 25:9 109 38:1,23 42:21 43:17 44:20 46:18 48:12 56:24 58:3 11 36:18,22 11:06 51:18 11:17 51:22 11911 2:7 11th 111:19 12 9:24 10:8 12:4 21:2 29:5 44:15 45:2 47:11 48:2,12 48:23 49:1 12:05 66:20 122 5:22,23 6:2,18 7:13 8:4,9,11 10:24 11:3 17:7,11 19:16 123 5:22 6:2 8:9,11 19:16 128 68:17 81:12 82:1 13 9:24 10:8 18:1,19 21:1 36:22 47:2 48:20 59:12	2 34:22,24 35:22 36:3 37:2 51:23 68:17,23 81:12 82:1 90:3 2:09 90:3 2:19 90:7 20 10:18,21 17:22 18:1,16,24,25,25 19:14,25 36:20 45:23 66:9 90:18	3 21:15 37:5,9,22 38:4,7,10,20 39:1 39:13,17 40:1,22,24 42:8,20 43:4 45:22 58:14 70:1,4,5 71:8 71:20 72:2,23 73:15 74:4,12 75:22 76:22 77:24 78:16 79:22 80:5,12 83:4,14 87:14,17,22,24 88:12,18 89:2,5,8	

77:17 78:22 83:5 392 72:3 73:10,17 74:23 75:17 76:16 77:17 78:22 83:6 394 72:4 73:10,17,17 74:24 75:18 76:16 77:18 78:8,22 83:6 398 78:21 3A 42:10	447 40:8,8 449 39:12,18,25 40:12,17,24 41:3 4500 12:3 46 94:3 95:1 97:10 99:17 100:8 101:18 47 48:23 49 29:19 95:9 97:17 97:24	111:10 112:6 700 94:2 96:18 97:9 98:12,21 99:18 100:9,22 101:18 102:12 105:1 73 75:3,15 77002 2:10 777 1:19 2:4 4:13 112:7 78 60:10 79 60:12 7th 4:4	
4	5	8	
4 21:10,13,17,25 22:10,20 23:5,7,21 42:25 44:2,12,18,20 44:23 46:21 47:23 47:24 48:13 49:13 49:16,17 50:2 52:3 53:8,24 55:23 56:19 56:24 57:14 58:2,7 58:13 63:7,8 65:11 65:19 66:3 71:11,14 71:18 72:5,14,16 73:11,20,25 74:12 74:25 75:19,23 78:2 78:6,16 79:23,24 80:9,12,14 81:24 82:4 86:20 88:21 91:22 103:19 4:25 1:22 109:19 110:3,6 40 35:20,23 36:2,6 37:1,4 38:21 43:18 58:11,14,14 66:3 72:15,19 73:11,25 74:9 76:11,13,19 77:7,15,16 82:23 83:21 85:21 86:8,19 87:1,5 93:21 103:19 105:15,18 401 2:13 405 63:11 65:13,21 41 44:12 42 107:9 42.53(d) 1:16 431 42:3,7 436 42:20 44 47:11	5 2:21 21:10,14,19 22:1,11,21 23:5,7 23:21 38:18 51 45:2 48:2 52 64:11,14 94:16 530 45:5 47:13 48:5 53202 2:4 538 46:21,24 47:6,9 47:14 54 49:1 540 48:23 548 46:21 47:3 48:16 55 12:5 550 44:22 45:1,4,16 45:17,21,24 46:7,9 46:10,13,16,21 47:15 48:4,15 59:8 555 45:6 49:3,11 560 45:8,11 46:1,7,9 46:11 49:3 58 59:12	80 60:13,19,23 82:11 800 97:15 99:12 81 65:23 103:14 105:12 83 60:10 85 58:25 854 8:7 50:10 65:6	
	6	9	
	6 44:16 6,311,136 3:5,6 24:9 24:15 60 76:7 77:5 82:15,18 85:10 86:22 92:4 93:1 98:16 99:5,21 100:14 62 77:21 63 95:19 64 59:12 81:7,10 66 29:13,14,16 30:15	9:07 1:21 4:3 90-degree 42:4,12,14 92101-4297 2:13 947 9:23 12:1,11 14:19,22	
	7		
	7 1:21 36:18,21		