

UNITED STATES PATENT TRIAL AND APPEAL BOARD  
BEFORE THE PATENT TRIAL AND APPEAL BOARD

WEBASTO ROOF SYSTEMS, INC.

Petitioner

V.

UUSI, LLC

Patent Owner

Case IPR2014-00648

Patent 8,217,612

Case IPR2014-00649

Patent 7,548,037

Case IPR2014-00650

Patent 7,579,802

Deposition of Dr. Mark Ehsani

March 13th, 2015

8:52 a.m.

Reported By:  
KELLY BRYANT  
Job No: 38002

2	<p>1 A-P-P-E-A-R-A-N-C-E-S</p> <p>2 FOR THE PETITIONER:</p> <p>3</p> <p>4 Goodwin Procter</p> <p>5 Exchange Place, 53 State Street</p> <p>6 Boston, MA 02109</p> <p>7 BY: CHARLES H. SANDERS, ESQ.</p> <p>8 csanders@goodwinprocter.com</p> <p>9</p> <p>10 FOR THE PATENT OWNER:</p> <p>11</p> <p>12 Harness, Dickey &amp; Pierce</p> <p>13 5445 Corporate Drive</p> <p>14 Suite 200</p> <p>15 Troy, MI 48098</p> <p>16 BY: HEMANT M. KESKAR, ESQ.</p> <p>17 hkeskar@hdp.com</p> <p>18</p> <p>19 ALSO PRESENT:</p> <p>20</p> <p>21 Mr. Bryan Ligon, videographer</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>	4
3	<p>1 INDEX</p> <p>2 PAGE</p> <p>3 Appearances..... 2</p> <p>4 Court Reporter's Certificate ..... 201</p> <p>5</p> <p>6 DR. MARK EHSANI</p> <p>7</p> <p>8 DIRECT EXAMINATION BY MR. SANDERS..... 4</p> <p>9</p> <p>10 EXHIBITS</p> <p>11</p> <p>12 NO. DESCRIPTION PAGE</p> <p>13 Exhibit 1001 U. S. Patent '612 ..... 6</p> <p>14 Exhibit 2001 Declaration IPR 201400648 ..... 46</p> <p>15 Exhibit 1009 1009 U.S. Patent Number 5218282, Dubame.. 73</p> <p>16 Exhibit 1008 German Patent Office Patent Applicatio... 114</p> <p>17 Exhibit 2016 U. S. Patent Kawamura, et al..... 117</p> <p>18 Exhibit 2001 IPR 2014 '802 Patent Ehsani Declaration.. 120</p> <p>19 Exhibit 1001 '650 IPR and it is UUSI Patent Number</p> <p>20 7579802, the '802 Nartron patent..... 126</p> <p>21 Exhibit 1003 U.S. Patent Narton's '037 Patent..... 175</p> <p>22 Exhibit 1 Ehsani Handwritten document..... 192</p> <p>23 Exhibit 2 Ehsani handwritten document..... 198</p> <p>24</p> <p>25</p>	5
2	<p>1 PROCEEDINGS</p> <p>2 (March 13, 2015)</p> <p>3 VIDEOGRAPHER: We're on the record.</p> <p>4 The date is March 13th, 2015, the time 8:52 a.m.</p> <p>5 beginning of the deposition of Mark Ehsani.</p> <p>6 MR. SANDERS: This is Charles Sanders of</p> <p>7 Goodwin, Procter, on behalf of the petitioner, Webasto</p> <p>8 Roof Systems.</p> <p>9 MR. KESKAR: Hemant Keskar, on behalf -- of</p> <p>10 Harness, Dickey &amp; Pierce, on behalf of the patent owner,</p> <p>11 UUSI.</p> <p>12 VIDEOGRAPHER: And will the court reporter</p> <p>13 please swear in the witness?</p> <p>14 DR. MARK EHSANI,</p> <p>15 having been first duly sworn, testified as follows:</p> <p>16 DIRECT EXAMINATION</p> <p>17 BY MR. SANDERS:</p> <p>18 <b>Q. Good morning, Dr. Ehsani.</b></p> <p>19 A. Good morning.</p> <p>20 <b>Q. Is there anything that would prevent you from</b></p> <p>21 <b>testifying truthful today?</b></p> <p>22 A. No.</p> <p>23 <b>Q. And you understand that this will be a two-day</b></p> <p>24 <b>deposition, today and Saturday, tomorrow?</b></p> <p>25 A. It's kind of short, but we'll try to enjoy it as</p> <p>1 much as we can.</p> <p>2 <b>Q. Understood. And I instruct you that you can't</b></p> <p>3 <b>discuss overnight the contents of this deposition with</b></p> <p>4 <b>your counsel.</b></p> <p>5 <b>Do you understand that?</b></p> <p>6 A. I understand that.</p> <p>7 <b>Q. And you've been deposed before, Dr. Ehsani,</b></p> <p>8 <b>correct?</b></p> <p>9 A. Yes.</p> <p>10 <b>Q. Dr. Ehsani, one of the patents that you reviewed</b></p> <p>11 <b>in this case was UUSI '612 patent.</b></p> <p>12 <b>Do you recall that?</b></p> <p>13 A. Yes.</p> <p>14 <b>Q. Now, the '612 patent does not disclose a working</b></p> <p>15 <b>example of an algorithm that was implemented, correct?</b></p> <p>16 A. I don't know if that's correct or not.</p> <p>17 We have to look at the patent and you have to</p> <p>18 show me where it's not.</p> <p>19 <b>Q. Well, actually, Dr. Ehsani, you're the expert.</b></p> <p>20 <b>So you'll tell me whether it's there or not.</b></p> <p>21 MR. SANDERS: Just as a housekeeping issue,</p> <p>22 these exhibits have been premarked as exhibit -- with</p> <p>23 this petition. So unless -- unless Dr. Ehsani writes on</p> <p>24 them, I propose that we just simply use them with the</p> <p>25 exhibit numbers we have.</p>	4

6	<p>1 MR. KESKAR: Sure.</p> <p>2 THE WITNESS: Thank you.</p> <p>3 (Petitioner's Exhibit 1001 premarked)</p> <p>4 <b>Q. (BY MR. SANDERS) Dr. Ehsani, I've provided you</b></p> <p>5 <b>with a copy of the '612 patent, which is Exhibit 1001,</b></p> <p>6 <b>in the '612 patent IPR.</b></p> <p>7 <b>And my question is whether this, excuse me -- my</b></p> <p>8 <b>question -- I wanted you to confirm that the '612 patent</b></p> <p>9 <b>does not disclose any working example of an algorithm</b></p> <p>10 <b>that was implemented.</b></p> <p>11 A. Is that something I -- I recite in my report or</p> <p>12 are you asking something outside of my report?</p> <p>13 <b>Q. Actually, I'm just asking the question.</b></p> <p>14 A. Is this related to my report, or is it outside of</p> <p>15 my report?</p> <p>16 <b>Q. Dr. Ehsani, you understand that here today,</b></p> <p>17 <b>you're -- you're here to answer my questions. I'm not</b></p> <p>18 <b>here to answer yours.</b></p> <p>19 <b>So if you can't answer the question, that's okay,</b></p> <p>20 <b>just tell me that you -- you can't answer it; but,</b></p> <p>21 <b>otherwise, please, confirm that this '612 does not</b></p> <p>22 <b>disclose any working example of an algorithm that was</b></p> <p>23 <b>implemented.</b></p> <p>24 A. I may be wrong, but my understanding is that I'm</p> <p>25 here to answer questions related to my report, and I'd</p>	8	
7	<p>1 be happy to entertain general, unrelated questions, as</p> <p>2 long as you identify them as such. That's why I'm</p> <p>3 asking for clarification.</p> <p>4 If I have stated in my report that there's no</p> <p>5 working algorithm, then I'd be happy to read that and</p> <p>6 elaborate.</p> <p>7 But if you're asking general questions outside of</p> <p>8 my report, I would like to know that.</p> <p>9 <b>Q. Dr. Ehsani, let me be very clear. You're here to</b></p> <p>10 <b>answer my questions. I'm not here to answer yours.</b></p> <p>11 <b>If you can't answer the question, you can let me</b></p> <p>12 <b>know that, but, otherwise, I'll give you one more chance</b></p> <p>13 <b>to review this '612 patent and tell me whether you can</b></p> <p>14 <b>answer my question.</b></p> <p>15 <b>My question is: Can you confirm that the '612</b></p> <p>16 <b>patent does not disclose any working example of an</b></p> <p>17 <b>algorithm that was implemented?</b></p> <p>18 A. I believe you are not correct in your general and</p> <p>19 vague assessment of the '612.</p> <p>20 <b>Q. Is it your testimony that the '612 patent does</b></p> <p>21 <b>disclose a working example of an algorithm that was</b></p> <p>22 <b>implemented?</b></p> <p>23 A. Sorry, that's a different question from the</p> <p>24 previous question.</p> <p>25 <b>Q. You didn't answer my previous question. I'm</b></p>	<p>1 <b>going to give you one more chance, and then we'll just</b></p> <p>2 <b>move on and mark the transcript that you were unable to</b></p> <p>3 <b>answer.</b></p> <p>4 A. Sir --</p> <p>5 <b>Q. My question is: Can you confirm that the '612</b></p> <p>6 <b>patent does not disclose any working example of an</b></p> <p>7 <b>algorithm that was implemented?</b></p> <p>8 A. I disagree with that assessment.</p> <p>9 <b>Q. Do you believe the '612 patent does disclose a</b></p> <p>10 <b>working example of an algorithm that was implemented?</b></p> <p>11 A. The '612 shows a series of equations and</p> <p>12 procedures that are the foundations of an algorithm and</p> <p>13 it shows the configuration of the hardware that can run</p> <p>14 that algorithm, and it gives examples of its results.</p> <p>15 That, in my mind, is what an algorithm consists</p> <p>16 of, and an algorithm, itself, being a rather vaguely</p> <p>17 defined term and not being specific codes of a software</p> <p>18 based on an algorithm.</p> <p>19 With that understanding, it is my belief that</p> <p>20 this is sufficient disclosure of an algorithm.</p> <p>21 <b>Q. Does the '612 patent disclose that algorithm was</b></p> <p>22 <b>implemented?</b></p> <p>23 A. My understanding is a patent is not a reporting</p> <p>24 of past events, but it is disclosure of a method or</p> <p>25 apparatus to the level of enablement of the reader to</p>	9

10	<p>1 <b>disclosure is in the patent.</b></p> <p>2 A. As I mentioned to you, the disclosures, as I read</p> <p>3 them, are enabling for the claims that it has listed.</p> <p>4 My understanding is that it is not necessary for</p> <p>5 someone to have actually built one or not.</p> <p>6 I have to read the whole patent to -- I have</p> <p>7 actually never read a patent that said we did this and</p> <p>8 we did it on such and such date and it was nice or</p> <p>9 anything like that. So a description of an event in the</p> <p>10 laboratory is probably not in this patent.</p> <p>11 But if you are asking me the implication that the</p> <p>12 person was in possession of the patent at the time of</p> <p>13 filing, I believe the answer is, yes.</p> <p>14 <b>Q. Actually, none of that is what I asked. I asked</b></p> <p>15 <b>you to identify column and line numbers. You have the</b></p> <p>16 <b>patent in front of you.</b></p> <p>17 <b>If you believe that the '612 patent discloses a</b></p> <p>18 <b>working example of an algorithm that was implemented,</b></p> <p>19 <b>please identify the column and line numbers where that</b></p> <p>20 <b>is disclosed in the patent.</b></p> <p>21 A. Again, that's a very, very complicated and</p> <p>22 composite question.</p> <p>23 <b>Q. No, it's not. I asked you to identify the column</b></p> <p>24 <b>and line number.</b></p> <p>25 A. May I finish my answer --</p>	12
11	<p>1 <b>Q. No, you may not.</b></p> <p>2 A. If you interrupt me, sir, you will not get an</p> <p>3 answer. You have to let me finish my answer.</p> <p>4 <b>Q. You were asked for column and line numbers and</b></p> <p>5 <b>you're not even looking at the patent.</b></p> <p>6 <b>I'll try this one more time. If you're not</b></p> <p>7 <b>capable of answering the question, we'll simply mark the</b></p> <p>8 <b>transcript and move on, and we'll deal with it down the</b></p> <p>9 <b>line.</b></p> <p>10 <b>My question is: If you believe that the '612</b></p> <p>11 <b>patent discloses a working example of an algorithm that</b></p> <p>12 <b>was implemented, please identify the column and lines</b></p> <p>13 <b>where that is disclosed.</b></p> <p>14 A. It will take me a while because I have to review</p> <p>15 the entire patent, with your indulgence.</p> <p>16 I'm on column 2, I have not finished my entire</p> <p>17 search yet. Line 3 says, An exemplary system built in</p> <p>18 accordance with one embodiment of the invention, built,</p> <p>19 implements position and speed sensing is via electronic</p> <p>20 motor control commutation pulse sensing of the motor --</p> <p>21 of the drive motor, and so on and so forth.</p> <p>22 That, sir, to me says that it was built. It's an</p> <p>23 event in time. It was based on the invention. I would</p> <p>24 like to continue to look for all the other occurrences</p> <p>25 of this event.</p>	13
10	<p>1 <b>Q. Just to be clear, what column and lines were you</b></p> <p>2 <b>speaking about?</b></p> <p>3 A. Patent number U.S. 8217612 B2, column 2, starting</p> <p>4 from line 3.</p> <p>5 <b>Q. Continue your review.</b></p> <p>6 A. Yeah, I'm not finished.</p> <p>7 Another occurrence is in column 2 of the same</p> <p>8 patent, starting from line 9, In one exemplary</p> <p>9 embodiment stored empirical parameter characteristics</p> <p>10 and algorithms adaptively modify.</p> <p>11 Stored is an event in time. It implies</p> <p>12 implementation, to me, and it goes on to say,</p> <p>13 Empirically -- Empirical parameter characteristics and</p> <p>14 algorithms adaptively modify, obstacle detection</p> <p>15 thresholds, during an ongoing actuation for improved</p> <p>16 obstacle detection sensitivity and threshold, resulting</p> <p>17 in quicker obstacle detection, with lower initial force,</p> <p>18 lower final pinch force, and reduced -- reduced</p> <p>19 occurrence of false obstacle detection.</p> <p>20 I read that to mean it is an implementation.</p> <p>21 It's reporting of an event in the past.</p> <p>22 (Reading)</p> <p>23 A. In column 10, line 13, it says, One</p> <p>24 representative vehicle sunroof has approximately 3,000</p> <p>25 commutation pulses over the full actuation range of the</p>	11
11	<p>1 full open to full closed positions of the sunroof.</p> <p>2 This -- again, trying to answer your question</p> <p>3 with as much fidelity as I can, on a brief review of</p> <p>4 this patent, is clearly an empirical reporting of an</p> <p>5 event that was measured.</p> <p>6 And this, obviously, was not measured, in my</p> <p>7 mind, out of just a curiosity about geometry, but in</p> <p>8 connection with implementing this methodology.</p> <p>9 Since this is not theoretical and cannot be</p> <p>10 guessed at, it implies actual measurement of the</p> <p>11 rotation of a DC motor in a power system, including the</p> <p>12 actuation and the movement of the enclosures and all the</p> <p>13 things that had been described here.</p> <p>14 That is -- that's a representative of having</p> <p>15 implemented what they're talking about, in some sense.</p> <p>16 (Reading)</p> <p>17 A. Column 15, line 55, the inventor states, Figure 4</p> <p>18 shows typical start-up energization characteristics of</p> <p>19 current and per speed for motor -- excuse the English.</p> <p>20 Start-up obstacle detection is somewhat</p> <p>21 difficult, because so on and so forth.</p> <p>22 This figure, if you look at it, refers to</p> <p>23 something that is not theoretical and it's not</p> <p>24 exemplary. It is typical. You cannot get that from</p> <p>25 calculations.</p>	10

<p style="text-align: right;">14</p> <p>1 This implies to me that this was obtained  2 empirically. And to obtain this, I assume that -- it's  3 reasonable to assume that they did this experiment with  4 their machinery and with their algorithm to get these  5 things.  6 So they are in possession of a running experiment  7 to get these curves. I could be wrong. This could just  8 be an artist rendition. It's got -- it's too nuanced  9 beyond what they need to use for their arguments.  10 So I'm led to believe that this is an actual  11 working system representative curvature.  12 THE WITNESS: Am I going too fast? Can you  13 keep up?  14 (Reading)  15 A. In column 25, I will read from line 55, because  16 it shows the person has done these experiments  17 physically, Empirical actuation motor load profile  18 equation and algorithm.  19 Nominal operation parameters for obstacle  20 detection threshold are empirically characterized as  21 motor current loading versus actuator position.  22 Alternative empirical characterization --  23 characterizations include motor current versus time,  24 motor speed versus time, motor speed versus position and  25 combinations thereof, as period as per -- as per period</p>	<p style="text-align: right;">16</p> <p>1 <b>there's a reference to stored empirical parameter</b>  2 <b>characterizations.</b>  3 A. Are you talking about column 2?  4 <b>Q. Yes, that's what I directed you to.</b>  5 A. Column 2, line 10, One exemplary embodiment  6 stored parameter characterization and algorithms  7 adaptively modified obstacle detection thresholds,  8 during an ongoing actuation for improved obstacle  9 detection sensitivity and threshold, resulting in a  10 quicker obstacle detection, with lower initial force,  11 lower final pinch force, and reduced the occurrence of  12 false detection, correct.  13 <b>Q. So we established that you have seen it.</b>  14 <b>There's no disclosure of any values of the stored</b>  15 <b>empirical parameter characterizations, correct?</b>  16 A. This passage is not about naming numbers. It is  17 about --  18 <b>Q. Thank you.</b>  19 <b>You also read the language adaptively modify</b>  20 <b>obstacle detection thresholds as part of that sentence.</b>  21 <b>My question is: Whether you see in this passage</b>  22 <b>any disclosure of how that adaption was done?</b>  23 A. Of course, you understand much better than I do  24 that this is a summary of the invention. So it is  25 acknowledging what will be disclosed in the</p>
<p style="text-align: right;">15</p> <p>1 art references.  2 In the present embodiment this algebraic  3 representation has a general, simplified large --  4 simplified algebraic form for fast commutation via speed  5 processing, particularly implementing, adding and/or bit  6 shifting and/or bite shifting operations.  7 These types of empirical data manipulation for  8 conversion to fast computing real time micro controller  9 algorithm have been found to be applicable to various  10 diverse combinations of vehicle sunroofs.  11 This, to me, implies that this might include the  12 authors -- they have found through experimentation.  13 (Reading)  14 A. On a very quick reading of the patent in response  15 to your questions about whether the inventor actually  16 practiced and experimented the algorithm and the system  17 that they have claimed, I found the references that I  18 mentioned to you.  19 <b>Q. I would like to direct your attention to the</b>  20 <b>first passage that you identified at column 2, starting</b>  21 <b>at line 3, you identified that paragraph as well as the</b>  22 <b>paragraph starting at line 9.</b>  23 <b>Do you have that in front of you?</b>  24 A. I do.  25 <b>Q. Do you see -- you read in column 2 about line 9,</b></p>	<p style="text-align: right;">17</p> <p>1 specifications in detail.  2 <b>Q. There's also notice of disclosure in that section</b>  3 <b>of what specific algorithm was used, correct?</b>  4 A. Again, I don't really agree with your  5 characterization of disclosure. This is a summary of a  6 disclosure of those algorithms.  7 <b>Q. What specific algorithm was used in the passage</b>  8 <b>that you identified, column 2, lines 3 through 15?</b>  9 A. Again, I have to read through the whole thing to  10 match with that. These are very, very specialize  11 questions. I haven't read these from the perspective of  12 that question.  13 Would you like me to take time and to try to  14 match --  15 <b>Q. No. If you haven't been able to figure it out</b>  16 <b>after an hour of review, then that's sufficient for my</b>  17 <b>purposes.</b>  18 A. No, sir. That's a mischaracterization of my  19 answer -- sir, let me finish my answer.  20 <b>Q. Actually --</b>  21 A. You interrupt me, then you're asking the question  22 and moving on.  23 <b>Q. I'm moving on.</b>  24 A. Okay. Because -- I would like to put on the  25 record that you mischaracterized my answer and the</p>

# Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

## Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

## Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

## Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

## API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

## LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

## FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

## E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.