

1 IN THE UNITED STATES PATENT AND TRADEMARK OFFICE
 BEFORE THE PATENT TRIAL AND APPEAL BOARD

2
3 _____
4 GLOBALFOUNDRIES U.S., INC.,
 GLOBALFOUNDRIES U.S., INC.,
5 DRESDEN MODULE ONE LLC & CO. KG,
 GLOBALFOUNDRIES U.S., INC.,
6 DRESDEN MODULE TWO LLC & CO.
 AND TSMC NORTH AMERICA CORP.,

 Petitioners,

7
8 -vs-

 ZOND, LLC,

 Patent Owner.

Case Nos.

IPR2014-01088

IPR2014-01089

IPR2014-00861

9
10 _____
11
12
13 VIDEOTAPED DEPOSITION of DR. UWE KORTSHAGEN
14 Minneapolis, Minnesota
15 March 2nd, 2015
16
17
18
19
20
21
22
23

24 Reported by:
 Amy L. Larson, RPR
25 Job No. 90908

1 APPEARANCES:

2 RADULESCU
3 350 Fifth Avenue
4 New York, NY 10118
5 By: Maria Granovsky, Ph.D., Esq.
6 For: Zond, LLC

7 WHITE & CASE
8 701 Thirteenth Street NW
9 Washington, D.C. 20005
10 By: David Tennant, Esq.
11 For: Global Foundries

12 WHITE & CASE
13 3000 El Camino Real
14 5 Palo Alto Square
15 Palo Alto, California 94306
16 By: Brett Rismiller, Esq.
17 For: Global Foundries

18 HAYNES AND BOONE
19 2505 North Plano Road
20 Richardson, Texas 75082
21 By: Gregory Huh, Esq.
22 For: Taiwan Semiconductor Manufacturing
23 Company Limited and
24 TSMC North America

25 FOLEY & LARDNER
Washington Harbour
3000 K Street NW
Washington, D.C. 20007
By: John Feldhaus, Esq. (via telephone)
For: Renesas

1 INDEX: (Cont'd.)
2 BAKER BOTTS
3 One Shell Plaza
4 910 Louisiana Street
5 Houston, Texas 77002
6 By: Robinson Vu, Esq. (via telephone)
7 For: Toshiba

8 ALSO PRESENT: Kraig Hildahl, Videographer

1 INDEX:
2 EXAMINATION BY: PAGE
3 Ms. Granovsky.....7
4 EXHIBITS MARKED FOR IDENTIFICATION:
5 Exhibit 1.....32
6 The Corona Discharge, Its Properties and
7 Specific Uses
8 No Bates

9 EXHIBITS PREVIOUSLY MARKED:

10 Intel 1002.....14
11 TSMC-1101.....8
12 TSMC-1102.....71
13 TSMC-1103.....34
14 TSMC-1105.....14
15 TSMC-1108.....56
16 GlobalFoundries 1008.....46

1 DR. UWE KORTSHAGEN
2 THE VIDEOTAPED DEPOSITION OF DR. UWE KORTSHAGEN,
3 taken on this 2nd day of March, 2015, at
4 The Commons Hotel, 615 Washington Avenue, S.E.,
5 Minneapolis, Minnesota, commencing at
6 approximately 8:07 a.m.

7 P R O C E E D I N G S

8
9
10 THE VIDEOGRAPHER: We are on the
11 record. This is the start of tape labeled
12 number 1 of the videotaped deposition of
13 Dr. Uwe Kortshagen in the matter of
14 Taiwan Semiconductor Manufacturing Company
15 vs. Zond, LLC, in the U.S. Patent & Trademark
16 Office before the Patent Trial & Appeal
17 Board, Patent Number 6,806,652, IPR numbers
18 2014-01088, also 2014-01-089 [sic], and
19 2014-00861.

20 This deposition is being held at
21 The Commons Hotel in Minneapolis, Minnesota,
22 on March 2nd, 2015. The time is
23 approximately 8:07 a.m. My name is Kraig
24 Hildahl, I'm a legal video specialist from
25 TSG Reporting headquartered in New York,

1 DR. UWE KORTSHAGEN
 2 New York. The court reporter is Amy Larson
 3 also in association with TSG Reporting.
 4 Will counsel please identify themselves
 5 for the record.
 6 MS. GRANOVSKY: Maria Granovsky
 7 from Radulescu, LLP for patentholder Zond.
 8 MR. TENNANT: David Tennant of
 9 White & Case for Global Foundries U.S., Inc.,
 10 Global Foundries Dresden Module One, LLC &
 11 Co. KG and Global Foundries Dresden Module
 12 Two, LLC, and Co. KG.
 13 MR. RISMILLER: Brett Rismiller,
 14 White & Case, also on behalf of Global
 15 Foundries.
 16 MR. HUH: Gregory Huh,
 17 Haynes & Boone, LLC, on behalf of TSMC and
 18 Fujitsu.
 19 MR. TENNANT: Anybody on the
 20 phone, please, please announce yourself.
 21 MR. FELDHAUS: John Feldhaus of
 22 Foley & Lardner for Renesas.
 23 THE VIDEOGRAPHER: Will the court
 24 reporter please swear in the witness and then
 25 we can proceed.

1 DR. UWE KORTSHAGEN
 2
 3 DR. UWE KORTSHAGEN,
 4 a witness in the above-entitled action,
 5 after having been first duly sworn, was
 6 deposed and says as follows:
 7
 8 MR. TENNANT: Maria, real quick,
 9 for the record, any objections I make today
 10 will apply to all petitioners in the various
 11 proceedings.
 12 MS. GRANOVSKY: That's fine.
 13
 14 EXAMINATION
 15 BY MS. GRANOVSKY:
 16 Q. Good morning, Dr. Kortshagen.
 17 A. Good morning, Dr. Granovsky.
 18 Q. We've been here before, so I know you've been
 19 deposed before. But just so we are clear on
 20 the rules, you should answer verbally; do you
 21 understand that?
 22 A. I do.
 23 Q. Is there any reason why you cannot testify
 24 truthfully today?
 25 A. No.

1 DR. UWE KORTSHAGEN
 2 Q. Okay. Are you taking any medications that
 3 might impact your memory?
 4 A. No, I don't.
 5 Q. Okay. What did you do to prepare for today's
 6 deposition?
 7 A. I reread to the best of my ability my
 8 declarations, the board decisions, the
 9 references that were cited. I briefly met
 10 with my lawyers yesterday evening. In total,
 11 I think I spent maybe 10 to 12 hours
 12 preparing myself.
 13 Q. Okay. I'm handing you a document that was
 14 formerly marked as TSMC-1101. Do you
 15 recognize this, Doctor?
 16 A. I do.
 17 Q. What is it?
 18 A. It is the U.S. Patent 6,806,652.
 19 Q. And you have seen this document before?
 20 A. I have.
 21 Q. And when was the last time you reviewed it?
 22 A. Probably on Friday.
 23 Q. Okay.
 24 A. And I looked at it probably Saturday and
 25 Sunday, but, yeah.

1 DR. UWE KORTSHAGEN
 2 Q. Let's turn to claim 1 of the '652 patent.
 3 It's in column 33.
 4 A. (Complies.)
 5 Q. And subpart C of the claim reads, "An excited
 6 atom source that generates an initial plasma
 7 and excited atoms from a volume of feed gas";
 8 is that correct?
 9 A. That is correct, yes.
 10 Q. Is it your understanding that this claim
 11 limitation requires the generation of both a
 12 plasma and excited atoms?
 13 MR. TENNANT: Objection to form.
 14 THE WITNESS: Well, I think what
 15 you asked me is, I believe, precisely the
 16 claim language, an excited atom source that
 17 generates an initial plasma and excited
 18 atoms, yes.
 19 BY MS. GRANOVSKY:
 20 Q. What is your understanding of the difference
 21 between the generation of a plasma and
 22 excited atoms?
 23 MR. TENNANT: Objection to form.
 24 THE WITNESS: Yeah, in my opinion
 25 there is no difference, because the

1 DR. UWE KORTSHAGEN
2 generation of a plasma and the generation of
3 excited atoms go hand in hand. When you're
4 creating a plasma, you will generate excited
5 atoms.

6 BY MS. GRANOVSKY:

7 Q. Can you generate excited atoms without
8 generating a plasma?

9 A. Yeah, I think there are other means of
10 generating excited atoms.

11 Q. So the two are not synonymous, in your
12 opinion?

13 MR. TENNANT: Objection to form.

14 THE WITNESS: I think when you're
15 creating a plasma you will create excited
16 atoms. I think there are other ways of
17 creating excited atoms without using a
18 plasma.

19 BY MS. GRANOVSKY:

20 Q. Subpart C also has this term, "From a volume
21 of feed gas"; is that correct?

22 A. That is correct, yes.

23 Q. Do you have an understanding of what the term
24 "a volume of gas" means?

25 MR. TENNANT: Objection to form.

1 DR. UWE KORTSHAGEN

2 A. Yes, that is correct.

3 Q. Is it your understanding that this limitation
4 requires the electric field generated by the
5 power supply to super-ionize the initially
6 plasma to generate a high-density plasma?

7 MR. TENNANT: Objection to form.

8 THE WITNESS: Could you please
9 repeat your question just so that I --

10 MS. GRANOVSKY: Sure.

11 THE WITNESS: -- can be sure that
12 I accurately understand it?

13 BY MS. GRANOVSKY:

14 Q. Is it your understanding that this limitation
15 requires the electric field generated by the
16 power supply to super-ionize the initial
17 plasma to generate a high-density plasma?

18 MR. TENNANT: Same objection.

19 THE WITNESS: So claim limitation
20 D uses the term electric field twice. It
21 says, "A power supply that generates an
22 electric field between the cathode and the
23 anode," and then it continues, "The electric
24 field super-ionizing the initial plasma so as
25 to generate."

1 DR. UWE KORTSHAGEN

2 THE WITNESS: A volume of gas?

3 MS. GRANOVSKY: Yes.

4 THE WITNESS: Yes, I would call it
5 a volume of gas atoms or molecules.

6 BY MS. GRANOVSKY:

7 Q. So I guess my question is more what -- what
8 does a -- a volume mean?

9 A. What does a volume mean?

10 Q. Yes.

11 A. Well, conventionally, I believe a volume
12 means a -- a region in space. Yeah, I think
13 I need to leave it at that, a region in
14 space.

15 Q. Is it a measurable quantity?

16 A. Volume, is a volume a measurable quantity? I
17 think so. Ideally, you would want volume to
18 be measurable, yes.

19 Q. Okay. And if you look at the limitation D of
20 claim 1, it recites, "A power supply that
21 generates an electric field between the
22 cathode assembly and the anode, the electric
23 field super-ionizing the initial plasma so as
24 to generate a high-density plasma"; is that
25 correct?

1 DR. UWE KORTSHAGEN

2 So are you asking me whether these two
3 mentions of electric field refer to the same
4 electric field?

5 BY MS. GRANOVSKY:

6 Q. Let's start with that, yes. Do they -- do
7 they refer to the same electric field?

8 A. Aha. Well, I certainly believe this would be
9 one possibility. It's not entirely clear to
10 me that the claim language really suggests
11 that they need to be the same electric field.

12 Q. Where else would the electric field be
13 generated from?

14 MR. TENNANT: Objection to form.

15 THE WITNESS: Yeah, I think --
16 yeah, so I do believe that these two electric
17 fields or mentions of electric fields are --
18 likely refer to the same electric field.

19 BY MS. GRANOVSKY:

20 Q. And that electric field is generated by
21 the -- by the power supply mentioned in -- in
22 the -- in this claim -- in this claim
23 limitation; is that correct?

24 A. Yes, I believe that is correct.

25 Q. Okay. I handed you an exhibit previously

1 DR. UWE KORTSHAGEN

2 marked Intel 1002. Do you recognize it?

3 A. I do.

4 Q. What is it?

5 A. It is my declaration concerning claims 1
6 through 17 of the U.S. Patent Number
7 6,806,652.

8 Q. And when did you last review it?

9 A. Sometime this weekend.

10 MR. TENNANT: Maria, the copy you
11 gave me is in black and white, but there were
12 color figures throughout the declaration.

13 MS. GRANOVSKY: I apologize, I
14 just have the black and white.

15 MR. TENNANT: Okay. Can we just
16 note for the record that the witness wasn't
17 given the true and correct copy.

18 MS. GRANOVSKY: Right, it's a
19 black and white copy.

20 BY MS. GRANOVSKY:

21 Q. I handed you an exhibit previously marked as
22 TSMC-1105; is that correct?

23 A. That is correct.

24 Q. What is it?

25 A. This is the paper the High Flux Beam Source

1 DR. UWE KORTSHAGEN

2 A. Yes, that's correct.

3 Q. Okay. And is it your assertion that this
4 reference, Fahey, discloses limitation 1-C?

5 A. That is my opinion, yes.

6 Q. Can you please point me to where Fahey
7 discloses the generation of plasma?

8 A. So in the introduction paragraph of Fahey,
9 which is on page 381, the right column, Fahey
10 noticed, "A novel meta beam" -- "metastable
11 beam source was recently described by
12 Leasure, et al., 1975, whose design employed
13 a weak high-voltage corona discharge between
14 a sharp needle and a cone-shaped anode. The
15 discharge was maintained across a substantial
16 pressure gradient."

17 And then in the second paragraph of the
18 introduction Fahey continues and says, "We
19 report here modifications to the Leasure,
20 et al., design which result in a further
21 simplification, enhanced beam flux,
22 species-independent energies, and
23 importantly, beam energies only slightly in
24 excess of thermal energies."

25 So Fahey -- so, first of all, let me back

1 DR. UWE KORTSHAGEN

2 of Thermal Rare-Gas Metastable Atoms that we
3 commonly refer to as Fahey.

4 Q. Have you read it before?

5 A. I did, yes.

6 Q. Did you read it in conjunction with forming
7 your opinions about the '652 patent?

8 A. I did, yes.

9 Q. Okay. And when was the last time you
10 reviewed it?

11 A. Again, sometime this weekend.

12 Q. Okay. If you'd turn to page 23 of your
13 declaration, paragraphs 53 to 58.

14 A. Which page, please?

15 Q. Twenty-three.

16 A. Twenty-three?

17 Q. Uh-huh.

18 A. Yes.

19 Q. I'm sorry, paragraphs 56 to 58.

20 A. Fifty-six --

21 Q. No, fifty -- paragraphs 56 to 58. So it's
22 page 23 --

23 A. Sorry. I have it, yeah, 56, yes.

24 Q. And these paragraphs discuss limitation of
25 claim 1, limitation claim 1-C, right?

1 DR. UWE KORTSHAGEN

2 up. In the first sentence Fahey talks about
3 the beam source that he is modifying, which
4 is based on weak high-voltage corona
5 discharge, and that means that a plasma is
6 created here, because creating a corona
7 discharge involves the generation of a
8 plasma. And so this is the basis for Fahey's
9 design of the metastable atom source.

10 Q. So is it your assertion that the corona
11 discharge necessarily leads to the generation
12 of plasma?

13 A. That is correct, yes.

14 Q. Are there any conditions under which a corona
15 discharge will not be accompanied by the
16 generation of plasma?

17 A. In my opinion, I believe every one of
18 ordinary skill would agree that forming a
19 discharge always involves the generation of a
20 plasma. So I cannot think of any
21 circumstance under which operating a corona
22 discharge would not involve the generation of
23 a plasma.

24 Q. Are there different types of coronas?

25 A. In principle, there are two types of corona

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.