

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

J. Cole

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

PETROLEUM GEO-SERVICES INC.

Petitioner

v.

WESTERNGECO LLC

Patent Owner

Case No. IPR2014-01475, -01476, -01477, -91478

Patent No. 7,162,520 B2

Patent No. 7,162,967 B2

Patent No. 7,080,607

DEPOSITION OF JACK H. COLE, Ph.D.

Washington, D.C.

Volume Two - June 26, 2015

Reported by: Mary Ann Payonk

Job No. 94685

Page 280

1 J. Cole
2
3
4
5 June 26, 2015
6 9:03 a.m.
7
8 Deposition of DR. JACK H. COLE, Ph.D.,
9 Volume Two, held at the offices of Williams &
10 Connolly, 725 12th Street, N.W., Washington,
11 D.C., pursuant to Notice before Mary Ann
12 Payonk, Nationally Certified Realtime Reporter
13 and Notary Public of the District of Columbia,
14 Commonwealth of Virginia, States of Maryland
15 and New York, CA-CSR No. 13431.
16
17
18
19
20
21
22
23
24
25

Page 282

1 J. Cole
2 Appearances (Cont'd.):
3 ON BEHALF OF PATENT OWNER:
4 SIMEON PAPACOSTAS, ESQUIRE
5 KIRKLAND & ELLIS
6 300 North LaSalle
7
8
9
10 ALSO PRESENT:
11 Kevin Hart, (by Internet Realtime)
12 Petroleum Geo-Services, Inc.
13
14
15
16
17
18
19
20
21
22
23
24
25

Page 281

1 J. Cole
2 APPEARANCES:
3 ON BEHALF OF PETITIONER:
4 THOMAS FLETCHER, ESQUIRE
5 ALEC SWAFFORD, ESQUIRE
6 JESSAMYN BERNIKER, ESQUIRE
7 WILLIAMS & CONNOLLY
8 725 12th Street N.W.
9 Washington, D.C. 20005
10
11
12 ON BEHALF OF PATENT OWNER:
13 MICHAEL KIKLIS, ESQUIRE
14 CHRISTOPHER RUCCIUTI, ESQUIRE
15 KATHERINE CAPPAERT, ESQUIRE
16 OBLON McCLELLAND MAIER
17 & NEUSTADT
18 1940 Duke Street
19 Alexandria, VA 22314
20
21
22
23
24
25

Page 283

1 J. Cole
2 JACK H. COLE, Ph.D.,
3 recalled as a witness, having been duly
4 sworn, was admonished of his former
5 oath, examined and testified as follows:
6 EXAMINATION (Cont'd.)
7 BY MR. KIKLIS: 09:03:03
8 Q. Good morning, Dr. Cole. 09:03:04
9 A. Good morning. 09:03:05
10 Q. You understand that you're still 09:03:06
11 under oath from yesterday? 09:03:07
12 A. Yes, sir. 09:03:09
13 Q. Is that your understanding? 09:03:09
14 A. Yes, sir. 09:03:11
15 Q. And you promise to testify truthfully 09:03:11
16 and honestly today? 09:03:14
17 A. Yes, sir. 09:03:15
18 MR. KIKLIS: Before we get started, 09:03:16
19 I see we have a new face at the table. 09:03:16
20 MR. FLETCHER: Christopher Suarez 09:03:19
21 from Williams & Connolly on behalf of 09:03:21
22 Petroleum GeoServices, Inc. 09:03:25
23 MR. KIKLIS: Welcome. 09:03:30
24 BY MR. KIKLIS: 09:03:32
25 Q. Dr. Cole, yesterday at any of the 09:03:34

Page 284

1 J. Cole

2 breaks or in the evening, did you speak with 09:03:37

3 your counsel about your deposition? 09:03:40

4 A. No, sir. 09:03:43

5 Q. Do you have any conversations with 09:03:44

6 anybody about your deposition? 09:03:46

7 A. No, sir. 09:03:50

8 Q. Or your testimony from yesterday? 09:03:50

9 A. No, sir. 09:03:52

10 Q. Did you go directly back to your 09:03:53

11 hotel after the deposition yesterday? 09:03:55

12 A. No, sir. Tom showed me where I might 09:03:59

13 be able to get a massage, because I was not 09:04:06

14 familiar here. So we walked there and then he 09:04:09

15 took me back to the hotel so I wouldn't be lost 09:04:13

16 and -- 09:04:15

17 Q. Oh. 09:04:16

18 A. -- we bid goodnight at the door, and 09:04:16

19 then I was on my own the rest of the evening. 09:04:19

20 I didn't talk to or have conversations with 09:04:21

21 anyone regarding this, nor during that time. 09:04:24

22 Q. I'd like to have you pull out your 09:04:32

23 declaration for the '607 patent. 09:04:38

24 A. I have it. 09:04:53

25 Q. Would you please turn to paragraph 09:04:55

Page 286

1 J. Cole

2 Q. Okay. Is that a true statement? 09:16:11

3 A. Yes. 09:16:19

4 Q. Do you know what the word "supra" 09:16:22

5 means? 09:16:26

6 A. I would like to be refreshed on it. 09:16:27

7 I think it -- IB means ibid., which -- 09:16:30

8 referring to the same thing. But I would 09:16:32

9 really appreciate being refreshed on that 09:16:34

10 terminology. It's a legal term which I 09:16:38

11 personally don't use, but I think it refers to 09:16:41

12 the paragraphs that -- 72, 78 and -- 09:16:47

13 Q. So in your sentence here, you say 09:16:55

14 that "the system as a whole utilizes a 09:16:57

15 distributed processing control architecture and 09:17:02

16 behavior-predictive model-based control logic"; 09:17:04

17 isn't that correct? 09:17:07

18 A. I think if you read that, that's a 09:17:15

19 quotation from the '607 patent, yes, so -- 09:17:16

20 Q. So -- 09:17:25

21 A. -- looks like column 360 to -- let me 09:17:26

22 take you there. 09:17:29

23 Q. I'm not asking you to go to the 09:17:37

24 specific location in the patent. 09:17:38

25 MR. FLETCHER: Objection. 09:17:40

Page 285

1 J. Cole

2 40? 09:04:57

3 A. Yes, sir, I'm there. 09:05:05

4 Q. At the bottom of paragraph 40, which 09:05:19

5 is on the top of page 20, tell me when you get 09:05:21

6 there. 09:05:36

7 A. Yes, I'm there. 09:05:37

8 MR. KIKLIS: I'm not getting any 09:08:04

9 feed on this. 09:08:04

10 (Recess taken.) 09:05:39

11 BY MR. KIKLIS: 09:15:29

12 Q. Dr. Cole, I'd like to direct your 09:15:30

13 attention, sir, to the bottom of paragraph 40 09:15:32

14 on the top of page 20 in your '607 declaration. 09:15:34

15 Are you there, Dr. Cole? 09:15:39

16 A. Yes, sir. 09:15:41

17 Q. Okay. Now, in there you say, "The 09:15:41

18 system as a whole," in quotes, "utilizes a 09:15:45

19 distributed processing control architecture and 09:15:48

20 behavior-predictive model-based control logic 09:15:51

21 to properly control the streamer positioning 09:15:56

22 devices which I discuss in paragraphs 72 09:16:00

23 through 88, supra." 09:16:04

24 Did I read that correctly? 09:16:07

25 A. I think you read it verbatim. 09:16:09

Page 287

1 J. Cole

2 BY MR. KIKLIS: 09:17:49

3 Q. My question is, sir, is it your 09:17:50

4 understanding that the '607 patent utilizes 09:17:52

5 behavior-predictive model-based control logic? 09:17:57

6 MR. FLETCHER: Objection. 09:18:03

7 A. The answer to that is no. I read 09:18:08

8 that the patent says that, as it's quoted. 09:18:10

9 That's what I'm aware of. 09:18:17

10 BY MR. KIKLIS: 09:18:25

11 Q. So are you saying that -- 09:18:25

12 THE WITNESS: Excuse me, that was a 09:18:27

13 distraction. When I hear an alarm goes 09:18:28

14 off, I tend to panic just a little bit. 09:18:34

15 MR. KIKLIS: Could you silence your 09:18:39

16 cell phones please? 09:18:40

17 MR. SUAREZ: Sorry. 09:18:43

18 MR. KIKLIS: Thank you very much. 09:18:44

19 THE WITNESS: I apologize, but I'm 09:18:44

20 a little bit stressed, so -- so go 09:18:46

21 ahead. 09:18:48

22 BY MR. KIKLIS: 09:18:50

23 Q. So are you saying that the '607 09:18:52

24 patent does not describe a system that utilizes 09:18:55

25 behavior-predictive model-based control logic? 09:19:02

1 J. Cole
 2 MR. FLETCHER: Objection. 09:19:06
 3 A. I don't recall having said that, sir. 09:19:06
 4 BY MR. KIKLIS: 09:19:09
 5 Q. Okay. Then are you -- is it your 09:19:10
 6 opinion that the '607 patent describes a system 09:19:13
 7 that utilizes behavior-predictive model-based 09:19:18
 8 control logic? 09:19:21
 9 MR. FLETCHER: Objection. 09:19:25
 10 A. I think again what is here is a -- is 09:19:31
 11 a -- is a quotation and has nothing to do with 09:19:33
 12 that question that you asked me. I think I -- 09:19:39
 13 I would respond that this is a quotation from 09:19:43
 14 the patent. 09:19:48
 15 BY MR. KIKLIS: 09:19:49
 16 Q. Sir, but the words before the 09:19:50
 17 quotation, "the system as a whole," are your 09:19:52
 18 words, aren't they? 09:19:56
 19 A. Wait a minute. 09:19:59
 20 Q. Can you answer that question? 09:20:54
 21 MR. FLETCHER: Objection. 09:20:57
 22 BY MR. KIKLIS: 09:20:57
 23 Q. I see you're reading the patent, and 09:20:58
 24 my question is that the words "the system as a 09:21:00
 25 whole" are not in quotation marks. And doesn't 09:21:02

1 J. Cole
 2 BY MR. KIKLIS: 09:22:00
 3 Q. I'm sorry, sir. If you answered the 09:22:04
 4 question, just tell me what it was. Maybe I 09:22:06
 5 didn't hear it. 09:22:08
 6 A. I said that I did not answer the 09:22:09
 7 question, sir. I was -- 09:22:11
 8 Q. Oh. 09:22:12
 9 A. -- attempting to answer the question 09:22:13
 10 when you interrupted me and then asked another 09:22:14
 11 question, which I have not answered. 09:22:17
 12 MR. KIKLIS: Okay. Okay, Tom, you 09:22:18
 13 were wrong. 09:22:19
 14 MR. FLETCHER: Well, the record 09:22:20
 15 will actually reflect who's right about 09:22:21
 16 that. 09:22:23
 17 MR. KIKLIS: Okay. 09:22:24
 18 BY MR. KIKLIS: 09:22:25
 19 Q. So my question, sir, is this 09:22:25
 20 sentence, the last sentence of paragraph 40 of 09:22:28
 21 your '607 declaration utilizes the words "the 09:22:31
 22 system as a whole" not in quotation marks. And 09:22:37
 23 my question, to you, sir, is whether those 09:22:42
 24 words are yours. 09:22:46
 25 A. I'm not sure if those are -- are my 09:22:54

1 J. Cole
 2 that mean, sir, that those are your words? 09:21:07
 3 A. Is that a new question? 09:21:13
 4 Q. That's my question, sir. Please 09:21:15
 5 answer that question. 09:21:17
 6 A. You asked two questions, and -- 09:21:18
 7 Q. Sir? Sir? 09:21:19
 8 A. -- I had not answered the -- the 09:21:19
 9 first one and you're asking me a second one, 09:21:20
 10 so -- 09:21:22
 11 Q. Sir, I'm -- 09:21:24
 12 A. -- I guess what I'm answering -- 09:21:24
 13 Q. Sir, I asked -- I asked -- answer the 09:21:24
 14 question that I asked you. 09:21:26
 15 My question is: The words "the 09:21:28
 16 system as a whole" do not appear in quotation 09:21:31
 17 marks. Now, doesn't -- 09:21:35
 18 A. That -- that's incorrect. That -- 09:21:39
 19 Q. -- that -- doesn't that mean that 09:21:41
 20 those are your words? Can you answer that 09:21:43
 21 question? 09:21:47
 22 MR. FLETCHER: Objection, asked and 09:21:49
 23 answered. I know you don't have the 09:21:49
 24 realtime, but he did just answer that 09:21:58
 25 question. 09:22:00

1 J. Cole
 2 words exactly or as I read them from the patent 09:22:59
 3 and that's why I'm looking at the patent. And 09:23:01
 4 if you're not going to allow me to do that, I 09:23:03
 5 don't know how to answer that, sir, truthfully. 09:23:06
 6 They may or not be my words, but I prefer to 09:23:12
 7 look at the patent to see if -- if the system 09:23:17
 8 as a whole is also included in the quotation. 09:23:22
 9 Q. Sure. Look at the -- look at the 09:23:27
 10 quotation. I believe your citation is column 09:23:27
 11 4, lines 11 through 14. 09:23:30
 12 A. Yeah. 09:24:27
 13 Q. You beat me there, Dr. Cole. 09:24:27
 14 A. Go ahead. 09:24:33
 15 Q. Okay. I'm sorry, sir. So my 09:24:34
 16 question is -- 09:24:37
 17 A. Let me tell you why I'm having to 09:24:38
 18 struggle a little bit. 09:24:42
 19 Q. Sure. 09:24:43
 20 A. My eyesight isn't perfect, and -- and 09:24:43
 21 the -- the print in this patent is -- is -- is 09:24:45
 22 fairly small and -- and sometimes, it's 09:24:49
 23 difficult for me to -- to read it clearly with 09:24:54
 24 my vision. And I'm -- I'm sorry this -- that 09:24:59
 25 this -- if this were in larger font, as is the 09:25:04

Page 292

1 J. Cole
 2 declaration, I assure you that it would go 09:25:09
 3 faster. 09:25:11
 4 I'm going as fast as I -- I'm able 09:25:12
 5 with my vision, sir, and not trying to delay. 09:25:14
 6 I'm just trying to see if -- if that's said 09:25:18
 7 verbatim in the patent or is there something 09:25:22
 8 that I said. And it -- it -- just a minute. 09:25:24
 9 Okay, it says -- okay. 09:25:30
 10 Q. Okay, fair enough. 09:25:33
 11 A. I -- 09:25:33
 12 Q. Let -- 09:25:33
 13 A. I -- 09:25:33
 14 Q. -- me -- 09:25:33
 15 (Overlapping simultaneous speaking.) 09:25:33
 16 A. I agree with you that -- 09:25:33
 17 Q. -- ask -- 09:25:34
 18 MR. FLETCHER: Objection. 09:25:36
 19 MR. KIKLIS: Hold on. Do you guys 09:25:36
 20 have a magnifying glass that we can use? 09:25:37
 21 MR. FLETCHER: I don't have one 09:25:40
 22 handy. I don't carry one. 09:25:40
 23 MS. BERNIKER: You're welcome to 09:25:42
 24 put it on the screen. 09:25:43
 25 MR. KIKLIS: Oh, that would be 09:25:45

Page 294

1 J. Cole
 2 we can project it on a screen as widely as you 09:41:44
 3 like. 09:41:46
 4 A. I appreciate that. Thank you, sir. 09:41:47
 5 Q. So now let's get back to the 09:41:49
 6 questions. The question, sir, that was 09:41:51
 7 pending, with respect to your declaration, 09:41:54
 8 paragraph 40, last sentence, you say "the 09:41:57
 9 system as a whole." And my question to you, 09:42:02
 10 sir, is whether those words are your words. 09:42:06
 11 A. And I would like to take this 09:42:10
 12 opportunity to apologize, counselor, for the 09:42:12
 13 confusion. The reason for that is that I was 09:42:17
 14 sworn to tell the whole truth, nothing but the 09:42:20
 15 truth. 09:42:23
 16 The reason I was deferring to the 09:42:23
 17 patent was to be sure that I didn't get those 09:42:25
 18 words from the patent. As to their being my 09:42:28
 19 words, I will state they are the words as are 09:42:35
 20 shown in this declaration, which I approve. 09:42:38
 21 Whether they're -- they're actually my words or 09:42:45
 22 not, I'm not sure, but I agree with what's said 09:42:47
 23 here. 09:42:51
 24 Q. Okay. Then you agree that the system 09:42:51
 25 as a whole utilizes a behavior-predictive 09:42:53

Page 293

1 J. Cole
 2 great. 09:25:46
 3 THE WITNESS: Yeah. 09:25:46
 4 MR. KIKLIS: Okay. 09:25:47
 5 THE WITNESS: Is -- is that okay? 09:25:51
 6 MR. FLETCHER: We can set that up. 09:25:53
 7 MS. BERNIKER: I'll look for a 09:25:57
 8 magnifying glass. 09:25:58
 9 MR. KIKLIS: Why don't we take a 09:25:59
 10 five-minute break? Why don't we take a 09:26:00
 11 five-minute break? The court reporter 09:26:02
 12 needs a five-minute break. We will get 09:26:05
 13 Dr. Cole a magnifying glass, then we can 09:26:07
 14 have a lot of fun for the rest of the 09:26:10
 15 day, okay? 09:26:12
 16 MR. FLETCHER: Fine. 09:26:13
 17 (Recess taken.) 09:31:22
 18 BY MR. KIKLIS: 09:41:16
 19 Q. Sorry for the interruption, Dr. Cole, 09:41:25
 20 but we have requested a magnifying glass to 09:41:28
 21 assist you with reading the patent. 09:41:32
 22 A. Yes, sir. 09:41:35
 23 Q. And so we have now provided you with 09:41:35
 24 the option of three. And if it becomes 09:41:37
 25 difficult, we have it loaded on a computer and 09:41:41

Page 295

1 J. Cole
 2 model-based control logic? 09:42:59
 3 MR. FLETCHER: Objection. 09:43:01
 4 BY MR. KIKLIS: 09:43:03
 5 Q. Is that correct? 09:43:03
 6 A. What I -- I'm saying here is the 09:43:05
 7 system as a whole, and -- and then quoting what 09:43:09
 8 the patent says, uses that. 09:43:14
 9 Q. Okay. But part of that -- 09:43:17
 10 A. And I didn't say that it uses -- I 09:43:20
 11 said as a whole, quotation, and this is -- 09:43:21
 12 rather than trying to use exactly the words, 09:43:27
 13 that this is what I understand, is that the 09:43:30
 14 system as a whole, quote, that -- 09:43:33
 15 Q. Right. 09:43:38
 16 A. And -- and that's all I said. That's 09:43:39
 17 all I've done is quote that. 09:43:41
 18 Q. Understood. 09:43:42
 19 A. Okay. 09:43:43
 20 Q. But your testimony in your 09:43:44
 21 declaration is that the system as a whole 09:43:46
 22 utilizes behavior-predictive model-based 09:43:49
 23 control logic; isn't that correct? 09:43:53
 24 MR. FLETCHER: Objection. 09:43:57
 25 A. I think the statement stands on its 09:44:03

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.