

Page 1

1 UNITED STATES PATENT AND TRADEMARK OFFICE
 2 BEFORE THE PATENT TRIAL AND APPEAL BOARD
 3 SONY COMPUTER ENTERTAINMENT AMERICA LLC
 4 Petitioner
 5 v.
 6 APLIX IP HOLDINGS CORPORATION
 7 Patent Owner
 8 Case No. IPR2015-00229
 9 Patent No. 7,667,692
 10 Case No. IPR2015-00230
 11 Patent No. 7,463,245
 12 * * * * *

11 DEPOSITION OF: GREGORY WELCH
 12 DATE: December 17, 2015
 13 TIME: COMMENCED: 10:08 a.m.
 14 CONCLUDED: 11:16 a.m.
 15 TAKEN BY: Patent Owner
 16 PLACE: Hyatt Regency Orlando International
 17 Airport
 18 9300 Jeff Fuqua Blvd
 19 Orlando, Florida 32827
 20 REPORTED BY: Mae Fisher, RMR, CRR

Page 3

1 I N D E X
 2 TESTIMONY OF GREGORY WELCH
 3 CROSS-EXAMINATION BY MR. GILBERTSON 4
 4 REDIRECT EXAMINATION BY MR. KEAN 37
 5 CERTIFICATE OF OATH 41
 6 REPORTER'S DEPOSITION CERTIFICATE 42

7
 8
 9 E X H I B I T S
 10 PETITIONER'S EXHIBITS
 11 Exhibit 1063 - Article 37

12
 13
 14 S T I P U L A T I O N S
 15 It is hereby stipulated and agreed by and
 16 between counsel present for the respective parties, and
 17 the deponent, that the reading and signing of the
 18 deposition are hereby RESERVED.
 19
 20
 21
 22
 23
 24
 25

Page 2

1 A P P E A R A N C E S :
 2 ABRAN J. KEAN, ESQUIRE
 3 Of: Erise IP, P.A.
 4 5600 Greenwood Plaza Boulevard
 5 Suite 200
 6 Greenwood Village, CO 80111
 7 (720) 689-5440
 8 Abran.kean@eriseIP.com
 9 Counsel for the PETITIONER
 10 ROBERT J. GILBERTSON, ESQUIRE
 11 Of: Greene Espel, PLLP
 12 222 South Ninth Street
 13 Suite 2200
 14 Minneapolis, MN 55402
 15 (612) 373-0830
 16 Bgilbertson@greenespel.com
 17 Counsel for the PATENT OWNER
 18 ALSO PRESENT:
 19 CALLIE PENDERGRASS
 20 Senior Technical Advisor
 21 Erise IP, P.A.
 22 6201 College Boulevard
 23 Suite 300
 24 Overland Park, KS 66211
 25 (913) 777-5602

Page 4

1 P R O C E E D I N G S
 2 THE COURT REPORTER: Do you solemnly swear or
 3 affirm that the testimony you are about to give in
 4 this cause will be the truth, the whole truth, and
 5 nothing but the truth?
 6 THE WITNESS: I do.
 7 GREGORY WELCH,
 8 a witness herein, having been first duly sworn, was
 9 examined, and testified as follows:
 10 C R O S S - E X A M I N A T I O N
 11 BY MR. GILBERTSON:
 12 Q. Good morning, Dr. Welch.
 13 A. Good morning.
 14 Q. We are here for deposition on supplemental
 15 declarations that you have submitted in IPR2015-00229
 16 relating to the '692 patent and IPR2015-00230 relating
 17 to the '245 patent. That's your understanding, right?
 18 A. That's correct.
 19 Q. I have some questions for you about those
 20 supplemental declarations, and I want to show them to
 21 you. Let me first ask, is there anything going on for
 22 you today, such that it would be hard for you to give
 23 accurate testimony?
 24 A. No.
 25 MR. GILBERTSON: Okay. Let's go off the record

Page 5	Page 7
<p>1 for a moment.</p> <p>2 (A discussion off the record was held.)</p> <p>3 BY MR. GILBERTSON:</p> <p>4 Q. Let me show you what has been marked as</p> <p>5 Exhibit 1042 in the '692 matter. Is this your</p> <p>6 supplemental declaration in the '692 matter?</p> <p>7 A. I think you said -- did you say 1042? 1042 is</p> <p>8 for the '245, I think.</p> <p>9 Q. I did misspeak. Sorry. Thank you.</p> <p>10 A. That's okay.</p> <p>11 Q. So let's start with the '692. In the '692</p> <p>12 matter, is Exhibit 1041 your supplemental declaration?</p> <p>13 A. Yes. That's correct.</p> <p>14 Q. And on the last page of that declaration, is that</p> <p>15 your signature?</p> <p>16 A. Yes, it is.</p> <p>17 Q. And in the '245 matter, is Exhibit 1042 your</p> <p>18 supplemental declaration?</p> <p>19 A. Yes, it is.</p> <p>20 Q. And is that your signature on the last page of</p> <p>21 Exhibit 1042?</p> <p>22 A. It is, yes.</p> <p>23 Q. And I understand that you may have a</p> <p>24 clarification that we can get to in a bit relating to an</p> <p>25 exhibit that you referred to in your '245 declaration;</p>	<p>1 delineated active areas is not supported by the '245</p> <p>2 patent specification and would also be contrary to the</p> <p>3 basic understanding of a skilled artisan at the time of</p> <p>4 the '245 patent.</p> <p>5 Q. Thank you. Is it your understanding that Dr.</p> <p>6 MacLean's opinions include a requirement that each</p> <p>7 application must redefine spatial boundaries of the</p> <p>8 delineated active areas?</p> <p>9 A. I don't recall her, you know, overall opinions,</p> <p>10 but that was in response to the statements she made in</p> <p>11 her declaration that I cited at the beginning of</p> <p>12 paragraph 2, so it's really just in response to those</p> <p>13 specific comments.</p> <p>14 Q. Part of your understanding of her view is that in</p> <p>15 her way of looking at it, there's a requirement that</p> <p>16 each application must redefine spatial boundaries of the</p> <p>17 delineated active areas?</p> <p>18 MR. KEAN: Objection. Form.</p> <p>19 THE WITNESS: So I'm -- it's in response to,</p> <p>20 for example, the statement at the top of page 3, which</p> <p>21 is in the middle of paragraph 2, where Dr. MacLean had</p> <p>22 said, quote, Disclosure of '245's Claim 1 clearly</p> <p>23 requires these delineations to be determined by the</p> <p>24 application and its specific requirements, not by the</p> <p>25 hardware or the operating system, close quote.</p>
<p>1 is that right?</p> <p>2 A. That's correct.</p> <p>3 Q. Is that Exhibit 2023?</p> <p>4 A. That's correct.</p> <p>5 Q. Okay. We will get to that one. Are there any</p> <p>6 other errors or clarifications in either your '692</p> <p>7 supplemental declaration or your '245 supplemental</p> <p>8 declaration that you would like to tell me about?</p> <p>9 A. Not that I'm aware of now, no, thank you.</p> <p>10 Q. If you could turn, please, in your '245</p> <p>11 declaration to paragraph 7.</p> <p>12 A. Okay.</p> <p>13 Q. This relates generally to delineated active</p> <p>14 areas, how they're configured or designated. Do you</p> <p>15 have paragraph 7 in front of you?</p> <p>16 A. I do, yes.</p> <p>17 Q. If you could turn -- it's a long paragraph -- if</p> <p>18 you could turn to the second page of it toward the end.</p> <p>19 A. Okay.</p> <p>20 Q. Just for the benefit of the record, could you</p> <p>21 read in live, or out loud, the last sentence of that</p> <p>22 paragraph.</p> <p>23 A. The last sentence of paragraph 7 of 1042,</p> <p>24 Exhibit 1042, is, Thus, Dr. MacLean's requirement that</p> <p>25 each application must redefine spatial boundaries of the</p>	<p>1 BY MR. GILBERTSON:</p> <p>2 Q. In the sentence at the end of paragraph 0007 of</p> <p>3 your '245 declaration, when you use the term -- or the</p> <p>4 words Dr. MacLean's requirement, what are you speaking</p> <p>5 of?</p> <p>6 A. So, again, I'm referring back to just the</p> <p>7 statements that she made, for example, as I outlined in</p> <p>8 paragraph 2 of my declaration prior to that.</p> <p>9 Q. And part of your understanding of her statements</p> <p>10 is that it would require that each application must</p> <p>11 redefine spatial boundaries of the delineated active</p> <p>12 areas?</p> <p>13 MR. KEAN: Objection. Form.</p> <p>14 THE WITNESS: I don't know about redefined. I</p> <p>15 mean, I'm referring back to the statements that I</p> <p>16 cited exactly as in paragraph 2, for example, so just</p> <p>17 to those statements and those words.</p> <p>18 BY MR. GILBERTSON:</p> <p>19 Q. So what did you mean when you used the word</p> <p>20 redefine in your last sentence of paragraph 0007 of your</p> <p>21 '245 declaration?</p> <p>22 A. I don't recall exactly what I was thinking, but</p> <p>23 looking back at paragraph 2, it could be that I was just</p> <p>24 collectively referring to where Dr. MacLean says that</p> <p>25 the application is required, quote, to specify the</p>

Page 9

1 spatial demarcations of the delineations according to
2 the application's specific needs. And then she also
3 says -- and that's from paragraph 87 of Exhibit 2003.
4 And then in the same exhibit, paragraph 90, where she
5 says, Claim 1 requires these delineations to be
6 determined by the application.
7 So sitting here right now, what I'm reading, and
8 again, I don't remember what I was thinking, but she
9 says the application has to determine it, the
10 demarcations, and has to specify them. And so I think
11 that's probably what I was referring to when I say
12 redefine.
13 Q. Part of what you're doing in the '245 declaration
14 is responding to opinions that Dr. MacLean has
15 expressed; is that right?
16 MR. KEAN: Objection to the form.
17 THE WITNESS: Basically, the declaration is a
18 response to only what the other experts had said, so
19 MacLean and -- Dr. MacLean and Mr. Lim, also, as I
20 recall.
21 BY MR. GILBERTSON:
22 Q. And as part of responding to opinions that they
23 expressed, did you feel it was important to try to
24 understand what their opinions were?
25 A. I think it was important to understand what the

Page 10

1 statements were implying or saying, literally or
2 implying that I refer to, for example, in paragraph 2 of
3 my declaration.
4 Q. Let me ask you about paragraph 8 of your '245
5 declaration. Can you turn to that, please.
6 A. Yes.
7 Q. The second sentence of that paragraph reads,
8 quote, However, the '245 patent does not include any
9 requirement that the spatial boundaries of the
10 delineated active areas themselves must change from
11 application to application, close quote. And the word
12 must is in italics and underlined. Did I read that
13 correctly?
14 A. I believe so, yes.
15 Q. And what significance does that point have, in
16 your view?
17 A. Well, again, it's, I think, referring back to the
18 quotes, the specific statements made by Dr. MacLean
19 that, for example, in the ones that I quote -- or cite
20 in paragraph 2. And as I recall, and I believe this is
21 simply -- what I'm saying here is that applications may;
22 and I believe just from memory, the language of the
23 patent for specifications is always might or may or
24 allowing for it, but not stating that that has to
25 happen, that that must happen.

Page 11

1 Q. Would you turn, please, to paragraph 10 of your
2 '245 declaration.
3 A. Okay.
4 Q. This paragraph, you address how the '245 patent
5 specification discusses configuring delineated active
6 areas among other things; is that right?
7 A. Roughly, yes, I'd say that's correct.
8 Q. And if you could turn to your '692 declaration,
9 paragraph 10. And could you just confirm for me that
10 that's substantively the same paragraph as paragraph 10
11 in your '245 supplemental declaration?
12 A. From memory and from just looking at it briefly
13 here, I believe they are the -- substantively the same.
14 Q. In paragraph 10 of these supplemental
15 declarations in the '245 and '692 matter, you note that
16 the patent specification refers several times to
17 software and other times to application software; is
18 that right?
19 A. That's correct.
20 Q. Are you able to point to any reference in the
21 specification explicitly saying that active areas are
22 defined by system-level software?
23 A. I don't recall whether I say that in my
24 declaration or not; so just sitting here right now from
25 memory, I don't recall. My recollection of the

Page 12

1 specification is that it just uses the general term
2 software and enumerates some things in software that --
3 or some computational aspects that generally could not
4 be written by the application, among other things, as I
5 describe in paragraph 10. So my sense is that a person
6 of ordinary skill reading this would understand that
7 that's a very reasonable place for those delineations to
8 be specified or managed, either -- by anything running
9 on the system. So I think a person of ordinary skill
10 would walk away realizing there's just great flexibility
11 described there.
12 Q. And I do understand your view of what a person of
13 ordinary skill would have understood. My question for
14 the moment is whether you are able to point to anything
15 in the specification that explicitly says that the
16 active areas are defined by system-level software.
17 A. I'm sorry. As I was looking, I lost track of the
18 exact question.
19 THE WITNESS: Could you read the question back
20 to me.
21 (The record was read back as requested by the
22 court reporter.)
23 THE WITNESS: I'm not aware of a place that
24 says that they are. Again, as I stated in my
25 declaration, they could be, and I don't think I cite

Page 13

1 this passage in my declaration, but I'll -- I'm
2 looking at column 14 around -- starting at maybe
3 around 48 down through 54, where it says, Where
4 appropriate, aspects of these systems and techniques
5 can be implemented in a computer program product
6 tangibly embodied in a machine readable storage device
7 for execution by a programmable processor, and method
8 steps can be performed by programmable processor
9 executing a program of instructions to perform
10 functions by operating on input data and generating
11 output.
12 So that and the preceding statements that I cite
13 in 1445 through 48 to me leave open very generally
14 where any of the computational aspects that are
15 described in the specifications, because these
16 statements are at the very end, could; so I'm not
17 aware of a place that says, either way, must be done
18 by the application or must be done by the system. As
19 I say in my declaration, I think it could be either.
20 BY MR. GILBERTSON:
21 Q. You have your declaration -- your supplemental
22 declarations and the '245 and '692 patents in front of
23 you; is that right?
24 A. That's correct.
25 Q. And in answering my questions about this, you've

Page 14

1 had an opportunity to refer to all of those?
2 A. I have not referred to the entirety of the '245
3 or the '692 patent. I don't have them memorized. So
4 what I'm saying here is, based on my -- what I said in
5 my declaration, and then I went and looked at that same
6 area, so that's really all I've looked at here. So
7 sitting here right now, just from memory, I couldn't
8 tell you beyond that.
9 Q. And you don't see anything in your declaration
10 identifying some part of the '245 or '692 patents
11 specification that says that the active areas are
12 defined by system-level software, specifically?
13 A. I do not recall, and I do not see any place where
14 I say that the -- those operations must be done by the
15 system software. Again, I think, as I stated in here,
16 they could be done by the system or by an application or
17 by the system on behalf of the application, any variety
18 of those. There's no specification that I recall either
19 way, and I don't see anything in my declaration here in
20 front of me that makes that sort of a statement.
21 Q. And the material you quoted a little earlier from
22 column 14, starting at around line 48, you're not
23 suggesting that that passage is specific to system-level
24 software, as opposed to application-level, are you?
25 MR. KEAN: Objection. Form.

Page 15

1 THE WITNESS: I haven't given that passage
2 great consideration, so, you know, I don't -- I'm not
3 sure. But I think my intent, when I was reciting that
4 a moment ago, was simply to say -- or to provide some
5 other evidence that the patent is very nonspecific
6 about who or what exactly does anything described in
7 the patent. It's just very general. It says, you
8 know, on a -- in a computer program product tangibly
9 embodied and so forth and so on, execution by a
10 programmable processor. So that's very general. That
11 describes any software running on such a device.
12 BY MR. GILBERTSON:
13 Q. And would you agree that the '245 and '692
14 specification does, on at least one occasion,
15 specifically refer to areas being definable by an
16 application developer?
17 A. I don't recall that offhand, sitting here. If I
18 said that and you want to point me to it in my
19 declaration, I'd be happy to refresh my memory, but I
20 just don't remember.
21 Q. Could you take a look at the '245 patent in front
22 of you and turn to column 12, starting at line 26. Let
23 me know when you're there.
24 A. Okay. I'm there.
25 Q. And that last sentence, starting at line 26

Page 16

1 reads, quote, For example, a game developer could set up
2 control configurations for novice users differently than
3 for advanced users such as mapping different numbers or
4 sizes of delineated active areas in order to reduce the
5 learning time to be proficient and make game control
6 easier for first-time players, close quote.
7 Did I read that correctly?
8 A. I believe you did, yes.
9 Q. And that's a specific reference to
10 application-level configuration; would you agree?
11 MR. KEAN: Objection. Form.
12 THE WITNESS: It clearly is describing how a
13 game developer could set up control. So it doesn't
14 say, you know, the game developer must; but I think
15 that comports with everything I've said in my
16 declaration, which is that certainly applications
17 running could, if they desire -- so desired set up
18 control configurations for anything, for example,
19 novice users, it says here. So I think -- you read it
20 correctly; I read this as could, not must.
21 BY MR. GILBERTSON:
22 Q. And that passage at column 12, lines 26 through
23 30, you'd agree is specific to application-level
24 configuration, as opposed to system level configuration;
25 is that right?

Page 17

1 MR. KEAN: Objection. Form.
2 THE WITNESS: I think I would agree a game
3 developer would be developing a game, which would be
4 considered an application. And again, it's -- the
5 word here is could set up; could, not must, but could.
6 BY MR. GILBERTSON:
7 Q. If you could turn, please, in your '245
8 supplemental declaration to paragraph 11.
9 A. Okay.
10 Q. And in this paragraph in general, you address
11 Mr. Lim's opinions or some of them relating to tablet
12 devices; is that right?
13 A. That's generally -- generally correct, yes.
14 Q. I'm not going to do this too often, but I would
15 like to show you one of your supplemental declarations
16 from the '313 patent.
17 MR. GILBERTSON: Off the record for a second.
18 (A discussion off the record was held.)
19 BY MR. GILBERTSON:
20 Q. I'm handing you what has been marked as
21 Exhibit 1042 in IPR2015-00533. Is this your
22 supplemental declaration in the 00533 matter?
23 A. It is, I believe, one of three for the '313, and
24 yes, it would be the 00533 matter.
25 Q. And is that your signature on the last page of

Page 18

1 Exhibit 1042 of the 00533 matter?
2 A. Yes, it is.
3 Q. If you could turn in that declaration to
4 paragraph 35.
5 A. Okay.
6 Q. Thank you. And just for the benefit of those
7 reading the transcript, the point here is to -- well,
8 let's start with, am I right that your paragraph 11 in
9 your supplemental declaration in the '245 matter is
10 substantively the same as your paragraph 35 in your
11 supplemental declaration in the 00533 matter; do you
12 agree with that?
13 A. I believe that is correct, both from memory and
14 from looking at the two documents right now in front of
15 me.
16 Q. And those paragraphs refer in part to an exhibit
17 discussed by Mr. Lim, which is Exhibit 1023 -- excuse
18 me, 2023 in the '245 matter and Exhibit 2036 in the
19 00533 matter; is that right?
20 A. I believe that is correct, yes.
21 Q. And I'm handing you those two exhibits, 2023 from
22 the '245 matter and 2036 from the 00533 matter.
23 MR. GILBERTSON: Off the record.
24 (A discussion off the record was held.)
25 BY MR. GILBERTSON:

Page 19

1 Q. Do you have those in front of you?
2 A. I do.
3 Q. Back to your supplemental declaration, let's use
4 the '245 one, paragraph 11, on the -- paragraph 11 has
5 three lines and then spills over to another page. And
6 seven lines down from that, your supplemental
7 declaration says, quote, Indeed, the section titled A
8 Taxonomy of Tablets in Exhibit 2023 cited by Mr. Lim
9 states, unquote. And then you go on and quote
10 something, right?
11 A. Correct.
12 Q. The material that you're quoting is not in
13 Exhibit 2023; is that correct?
14 A. That's correct.
15 Q. And it's not in Exhibit 2036 from the 00533
16 matter?
17 A. Right. Correct. That's one of the
18 clarifications we meant -- that is the clarification
19 that I wanted to make, as we discussed early on.
20 Q. The material you're quoting relating to A
21 Taxonomy of Tablets is from an article online that
22 linked to the exhibit Mr. Lim referred to; is that
23 right?
24 A. It's from the article that -- from which the 2023
25 comes from. It's the article that that's associated

Page 20

1 with, and it's the article that Dr. Lim refers to. In
2 fact, I believe, from memory, he refers to it as 2023 in
3 his declaration in two different places. I made notes
4 here, paragraph 37 and 43 in Mr. Lim's declaration on
5 the '245, I believe he states the name, the full name of
6 the article, and then in parentheses after that says
7 Exhibit 2023. So I inadvertently conflated the article
8 with the exhibit number. So, for example, here, in the
9 paragraph where you're pointing me to, it would more
10 correctly read, or I would like to change it to --
11 Q. When you say the paragraph I'm pointing you to,
12 are you talking about paragraph 11 of your '245
13 supplemental declaration?
14 A. My apologies. Yes, that's correct.
15 Q. Sorry to interrupt; I just wanted it to be clear.
16 A. That's okay. So, yes, in my declaration for
17 the -- supplemental declaration for the '245, which is
18 Exhibit 1042, paragraph 11, midway on page 11, which I
19 guess is exhibit page 12, I refer to Exhibit 23. And I
20 would more clearly say in the article that associated
21 with Exhibit 23, or something like that. That's --
22 Exhibit 23 is a figure that is included in that article,
23 and it's -- what I intended was the same article that
24 Mr. Lim refers to in his declaration in paragraphs 37
25 and 43.

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.