

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF NEW JERSEY

JANSSEN PRODUCTS, L.P., et al., : Civil No.
10-cv-5954 (WHW)
Plaintiffs, :
v. : TRANSCRIPT OF
TRIAL PROCEEDINGS
LUPIN LIMITED, et al., : VOLUME 2
Defendants. :
-----x

Newark, New Jersey
March 19, 2014

BEFORE:

THE HON. WILLIAM H. WALLS, U.S.D.J.

Reported by:
CHARLES P. McGUIRE, C.C.R.
Official Court Reporter

Pursuant to Section 753, Title 28, United States
Code, the following transcript is certified to be
an accurate record as taken stenographically in
the above entitled proceedings.

s/CHARLES P. McGUIRE, C.C.R.

CHARLES P. McGUIRE, C.C.R.

1 which we couldn't use. And then every day, everybody was
2 trying and trying, and then after a number of weeks, finally
3 one guy said, Piet, I think I have it, and one of the
4 experiments succeeded. And typically, the first time is the
5 most difficult one. Later, you can use some of the powder
6 as seeds for the next experiment and then you don't need to
7 do the scratching any more. But for the first time, with 20
8 people, we were scratching for -- for over an hour in the
9 morning, over an hour in the afternoon, and finding the
10 right way to convert the oil to a powder.

11 Q. Okay. I'm going to break that down a little bit in a
12 second, but --

13 A. Yes.

14 Q. -- before we do that, you were referring to scratching
15 tubes and illustrating them, and maybe we can give a
16 physical demonstrative.

17 MS. ROYZMAN: May I approach, Your Honor?

18 THE COURT: Yes.

19 Throughout the trial, all of you have the right to
20 approach the various witnesses.

21 But may I ask why you need to break it down?

22 MS. ROYZMAN: I think I need to explain what
23 techniques were being used. That's important to the case.

24 THE COURT: What? You need to explain what?

25 MS. ROYZMAN: Explain what techniques

CHARLES P. McGUIRE, C.C.R.

1 Dr. Wigerinck was using, because that's important to the
2 case.

3 THE COURT: What techniques he was using?

4 MS. ROYZMAN: Yes. I have a couple --

5 THE COURT: He's already explained what he was
6 doing. He said he had 20 chemists scratching oil in vials.
7 We don't know how long, but it took some time. How much
8 more do I need to know?

9 MS. ROYZMAN: Just a tiny bit in terms of solvents
10 and conditions that they were using.

11 THE COURT: Go ahead, but I doubt that it will be
12 that important in the long run. But go ahead.

13 MS. ROYZMAN: Okay.

14 Q. You said you were using a contractor to try to help
15 you get a powder; right?

16 A. Yes.

17 Q. And the contractor failed; is that right?

18 A. That was ChemShop.

19 Q. And was ChemShop using crystallization techniques to
20 try to get your powder, TMC 114?

21 A. Yes.

22 Q. And did they succeed in crystallizing TMC 114 in any
23 solvent whatsoever?

24 A. No.

25 Q. And what was your reaction to ChemShop's failure to

CHARLES P. McGUIRE, C.C.R.

1 crystallize TMC 114?

2 A. Set up the whole effort I mapped out a couple of
3 minutes ago.

4 THE COURT: You're involved in a recapitulation,
5 almost. We've heard this.

6 MS. ROYZMAN: I'm just trying to get at what the
7 crystallization efforts were, just --

8 THE COURT: Well, they were futile.

9 MS. ROYZMAN: Yes.

10 THE COURT: So we know that.

11 MS. ROYZMAN: Okay.

12 Q. And were you using different solvents to try to
13 crystallize TMC 114 initially?

14 A. Yes, and as part of that exercise, I was -- I went
15 back into the lab myself. Normally I did not work in the
16 lab any more, but I went back into the lab and instructed
17 the people every day, checked with them to come to a
18 solution.

19 Q. Did you and ChemShop try to crystallize TMC 114
20 straight from ethanol?

21 A. We tried many times.

22 THE COURT: When did you start scratching, before
23 or after crystallization?

24 THE WITNESS: You scratch to obtain the first
25 crystal.

CHARLES P. McGUIRE, C.C.R.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF NEW JERSEY

JANSSEN PRODUCTS, L.P., et al., : Civil No.
10-cv-5954 (WHW)
Plaintiffs, :
v. : TRANSCRIPT OF
TRIAL PROCEEDINGS
LUPIN LIMITED, et al., : VOLUME 3
Defendants. :
-----x

Newark, New Jersey
March 21, 2014

BEFORE:

THE HON. WILLIAM H. WALLS, U.S.D.J.

Reported by:
CHARLES P. McGUIRE, C.C.R.
Official Court Reporter

Pursuant to Section 753, Title 28, United States
Code, the following transcript is certified to be
an accurate record as taken stenographically in
the above entitled proceedings.

s/CHARLES P. McGUIRE, C.C.R.

CHARLES P. McGUIRE, C.C.R.

1 cause it to crystallize, and what we want to do is reduce
2 the solubility of the material that we're looking for.

3 So the oldest crystallization process is, in fact,
4 salt, because people made salt from seawater going back to
5 ancient times, and what they did is they let the seawater
6 into a -- to a -- basically a container that had a lot of
7 surface area, and they'd let the sun evaporate the water,
8 and because when you evaporate water you increase the
9 concentration, eventually, the concentration exceeds that
10 which is the solubility of salt in the seawater and you get
11 crystalline sodium chloride. So that's the simplest example
12 of a crystallization process.

13 The other ways you can crystallize things is, you
14 can use the fact that most things' solubility is a function
15 of temperature, so hot fluids dissolve more than cold
16 fluids. So if you heat something up and you dissolve all
17 you can from the material in it and then you cool it back
18 down then you can cause crystals to form.

19 The third method we've heard something about in
20 the trial so far is called antisolvent crystallization, or
21 changing solvent composition. So if you have something
22 dissolve in a particular solvent, and you add another
23 solvent where the material is not soluble, that mixed
24 solvent system now reduces the solubility, and eventually,
25 you should get a crystal.

CHARLES P. McGUIRE, C.C.R.

1 Now, this all assumes that the material easily
2 crystallizes. There are many things where you do -- you
3 attempt to do this, then you get something called an
4 amorphous solid or an oil. That can happen for a variety of
5 reasons: Impurities, rate, or the complexity of the
6 molecule.

7 Q. Okay. So are all drug products, solid pills or
8 tablets that we take, are they all crystalline forms?

9 A. No. There are drug products in which the active
10 ingredient are amorphous, meaning they're solids but not
11 crystalline, and there are also drug products where the
12 active ingredient is actually dissolved inside a fluid
13 that's inside the tablet or capsule, like Liquigel, for
14 example.

15 Q. Now, can a single drug molecule, a drug like darunavir
16 or aspirin or any other drug, can it crystallize in more
17 than one way or more than one form?

18 A. Yes. That's a phenomena known as polymorphism.

19 Q. Okay, and we have an example not relating to drugs,
20 but to carbon. Can you explain this concept of polymorphism
21 a little bit more?

22 A. Yes. Those of us who work in the field like to use
23 this as an example. Polymorph means compounds that can
24 crystallize in more than one structure. When they're
25 applied to elements, they're called allotropes, but same

CHARLES P. McGUIRE, C.C.R.

1 basic concept. So if we look at graphite and we look at
2 diamond, they're both crystalline forms of carbon. The only
3 difference is the way the atoms are bonded together in their
4 three-dimensional lattice structure. And we all know that
5 they have very different properties: We put graphite in
6 pencils, and we put diamonds on women's fingers.

7 Q. Now, crystal is a three-dimensional structure as
8 you've described. Is it sometimes also appropriate to
9 describe polymorphism in a two-dimensional format just for
10 simplicity?

11 A. For simplicity, we can demonstrate in a
12 two-dimensional way, which is what we have in this
13 demonstrative.

14 Q. So what's the difference between Polymorph 1 and
15 Polymorph 2 in this exhibit?

16 A. The regular structure, the way the molecules are
17 arranged are different from each other, and that difference
18 would propagate in all directions as we expand it.

19 Q. Okay. Now, we've been talking so far about what I
20 think you referred to earlier as a single component crystal.
21 Can you explain a little bit more what you mean by that?

22 A. Sure. So when we talk about polymorphs, we're talking
23 about crystals of a given molecule, okay, with no other
24 species present in the crystal except possibly a little bit
25 of an impurity, but generally we just mean that the single

CHARLES P. McGUIRE, C.C.R.

1 component we're interested in is forming the crystal.

2 Q. Okay. So if we go back to the diamond and graphite
3 example, is it just one kind of molecule carbon that is
4 arranged in a particular three-dimensional order?

5 A. Yes. In this case, an element, but yes, that's
6 correct.

7 Q. Okay. Are there also crystals that have more than one
8 component?

9 A. That's correct.

10 Q. Okay. So can you explain, then, how -- what a solvate
11 is and how that compares to a single component crystal?

12 A. Yes. So a solvate is a crystalline solvent in which
13 solvent is part of the crystalline structure. And we have
14 an example here of a solvate just showing solvent molecules
15 in a regular position with respect to the API or the solute
16 molecules.

17 Q. Okay. So that's slide seven of the demonstratives,
18 the solvate.

19 And what is the relationship between the green
20 solvent molecules and the orange blocks, the underlying
21 molecule; how are they connected to one another, if they are
22 at all?

23 A. Well, in this type of solvate, they would be bonded in
24 the structure. Just like the molecules of the drug or the
25 API, if we're talking about a drug, would be bonded

CHARLES P. McGUIRE, C.C.R.

1 together, the molecules of the solvent would also be bonded
2 to the drug in the crystalline structure.

3 Q. Okay. Now, we've also heard some talk in the trial so
4 far about a channel solvate. Can you explain using this
5 Demonstrative 8 what that is?

6 A. Yes. A channel solvate is a special kind of solvate.
7 In a channel solvate, we have channels running through the
8 three-dimensional crystal structure, and the solvent is all
9 inside the channel, so the solvent fills up the channels and
10 stabilizes, normally stabilizes the structure by being in
11 those channels, and typically, the solvent is weakly bonded
12 to the API within the channel.

13 Q. Okay. So if we look at these side by side, can you
14 just quickly explain the difference again between
15 non-channel and channel solvates?

16 A. Sure. In a non-channel solvate, we have the solvent
17 molecules in regular -- in positions within the crystalline
18 lattice where they're individually bonded to the solute or
19 the API molecules.

20 In the channel solvate --

21 THE COURT: What is API again?

22 THE WITNESS: I'm sorry, I'm using that as active
23 pharmaceutical ingredient, but I can --

24 THE COURT: No, I just want to make sure that --

25 THE WITNESS: Okay. Sure.

CHARLES P. McGUIRE, C.C.R.

1 THE COURT: Go ahead.

2 A. And in the channel solvate, we would have the solvent
3 only in these channels that are between layers, for example,
4 of solute or API molecules, and they're weakly bonded,
5 typically, to the solute molecules or the API molecules
6 within the channel and typically stabilizing the structure
7 that way.

8 THE COURT: What causes the channel?

9 THE WITNESS: The channel -- the only --

10 THE COURT: How do they come about?

11 THE WITNESS: Oh. So when you're crystallizing
12 something, it turns out that the only way that you can form
13 a stable three-dimensional structure is by forming these
14 channels with the solvent inside. Typically things --

15 THE COURT: So that's the creation of a chemist.

16 THE WITNESS: It's --

17 THE COURT: Isn't it? Go ahead.

18 THE WITNESS: Yes, it's something you discover
19 when you're looking for solid forms. Often things that are
20 channel solvates won't form any other crystals because you
21 need the solvent to stabilize the structure, but they tend
22 to be unique structures and not as common as non-channel
23 solvates.

24 THE COURT: All right. Go ahead.

25 BY MR. ZALESIN:

CHARLES P. McGUIRE, C.C.R.

1 Q. And what kind of solvate does darunavir form?

2 A. Darunavir is a channel solvate.

3 Q. All right, and we've seen this picture, I think, on
4 Mr. Diskant's opening, but can you explain what we're
5 looking at here in slide 10?

6 A. Yes. This is actually the crystal structure of
7 darunavir shown in -- with these lines, they show the
8 darunavir molecules and how they're oriented to each other
9 in three dimensions, and we've made these -- these yellow
10 circles to demonstrate where the channels are. So those
11 yellow circles, if you go in them, that would be the
12 direction the channels would be.

13 Q. Okay. Now, let's just get some terminology straight
14 before we move forward.

15 You were talking about solvent that can go inside
16 these channels. Are there different solvents that can
17 appear inside a channel solvate like darunavir?

18 A. Yes. Typically what determines what can go inside the
19 channels is basically the size of the channels, right? So
20 any molecule -- any solvent molecule that's not too big can
21 often fit inside the channel and stabilize the structure.

22 Q. All right, and we've heard the term "ethanolate" used
23 during the course of the trial. Can you explain what an
24 ethanolate is in this context?

25 A. Yes. An ethanolate is a solvate which the solvent is

CHARLES P. MCGUIRE, C.C.R.

1 ethanol.

2 Q. All right, and we've also heard, for example,
3 isopropanolate. I imagine that's a solvate in which the
4 solvent is isopropanol?

5 A. That's correct.

6 Q. All right, and then hydrate; what kind of solvate is
7 that?

8 A. That's a solvate where the solvent is water.

9 Q. All right. Now, a little bit more, then, on channel
10 solvates. How do the properties of these channel solvates
11 typically compare to the single component -- or, excuse me,
12 the other kind of nonchannel solvate that you describe? Are
13 there any differences between channel solvates and
14 non-channel solvates in terms of how they behave?

15 A. Well, typically, channel solvates will give up their
16 solvent when put in -- can give up their solvent or actually
17 gain additional solvent when put in an environment where you
18 have vapor of that solvent present.

19 Q. Okay. And we have -- first of all, before we do that,
20 the patent defines solvate in a particular way. Can you
21 just review that? And this was part of the claim
22 construction proceeding -- and Your Honor ruled on this --
23 but can you just explain what is meant here and the
24 definition of solvate in the patent, this stoichiometric
25 versus non-stoichiometric business?

CHARLES P. MCGUIRE, C.C.R.

1 A. Sure. Well, as the term "solvate" is defined, it's a
2 crystal form that contains either stoichiometric or
3 non-stoichiometric amounts of solvent.

4 Now, stoichiometric solvates are much more common.
5 Those would be like the first type of solvate we were
6 looking at, meaning that there's always a fixed number of
7 solvent molecules per API molecule, so it could be
8 one-to-one or two to one or three to one or half to one.
9 And that also can be the case in channel solvates, but
10 channel solvates can also be non-stoichiometric if they're
11 exposed to certain conditions that causes more solvent to
12 pack in the channel or less solvent to come out of the
13 channel, you can start having odd numbers, "odd" meaning
14 non-integer numbers.

15 Q. Can you remind us non-math majors what that means?

16 A. That means numbers -- in fact, to even be more
17 specific, numbers that are not one, two, three, four or
18 five, or a half or one and a half or two and a half -
19 numbers more like .37, something like that.

20 Q. Okay. All right. Now, you were talking about this
21 tendency of channel solvates to either lose their solvent or
22 gain a different solvent.

23 We have an animation here. Maybe you can just
24 talk us through this, explain what we're looking at.

25 A. Sure. If we're looking at a channel solvate, and this

CHARLES P. McGUIRE, C.C.R.

1 is an ethanolate, which has the molecule and has ethanol in
2 the channel, and if we take that and we put it in an
3 environment where there's a lot of water vapor, then the
4 water vapor is going to go in and push out the ethanol, and
5 now you're going to have a hydrate.

6 Q. Now, when that happens, will that have any effect on
7 the structure of the crystal lattice?

8 A. Well, what we're looking at here are what we call
9 isostructural channel solvates, meaning that the structure
10 doesn't really change when we change the solvent molecule.
11 The only thing that actually happens is the lattice can flex
12 a little bit if the size of the molecules are bigger or
13 smaller, so it just kind of pushes up the channel a little
14 bit or the channel contracts a little bit. But basically
15 the structure remains essentially the same.

16 Q. Now, you also mentioned that channel solvates can lose
17 their solvent in certain conditions. Is there a term that
18 refers to that?

19 A. Yes. The process of removing solvent from a solvate
20 is called desolvation, and desolvation normally results in a
21 very high percentage of cases in the structure collapsing
22 and you getting what we call an amorphous solid.

23 Q. All right. So if we look at this channel solvate, and
24 what happens when the solvent leaves, we saw they collapse.
25 Is that what you mean by amorphous?

CHARLES P. McGUIRE, C.C.R.

1 A. Yes. An amorphous solid is a solid that does not have
2 long-range order; it's not crystalline, but it's still
3 solid. Typically, the example most people like to use with
4 an amorphous solid is window glass, which is a solid, and
5 it's amorphous, but it's not crystalline.

6 Q. So you've been talking about these quirks or
7 properties of channel solvates, their ability to exchange
8 solvent or sometimes even lose their solvent and collapse
9 into amorphous.

10 Do those characteristics have any implications for
11 the use of channel solvates in drug products?

12 A. Yes.

13 Q. What are they?

14 A. Well, generally, you don't like to use solvates in
15 drug products as a general rule, for -- the first reason is,
16 most solvents have some toxicity, right? Other than water,
17 and to a lesser degree ethanol, you don't want to ingest
18 solvents, right? You don't want to have methanol or
19 isopropanol or isotone in your drug. So that's one reason
20 that you don't like to have solvates in drugs.

21 The second reason is processing. So when you --
22 after you isolate your active ingredient, let's say there's
23 a solvate, you then have to go into what we call secondary
24 manufacturing, which is manufacturing when you're making a
25 tablet, for example, and that typically involves taking the

CHARLES P. McGUIRE, C.C.R.

1 active pharmaceutical ingredient, blending it with
2 excipients in something that looks a lot like a blender, and
3 then if it's a simple formulation, then you would take that
4 and put it in a tablet press, where you actually put lots of
5 pressure on the solid and make your tablet, and then
6 typically you might coat it. You put a coating on it, which
7 involves putting some kind of polymeric material or sugar
8 with some moisture and then you have to dry it.

9 Now, each of those operations can result in
10 desolvation, right? When you are putting a lot of pressure
11 on something, you're mixing something up with a blender or
12 you're heating something up, those can result in
13 desolvation, which is a problem. You don't want that to
14 happen.

15 So generally the pharmaceutical industry always
16 prefers to use non-solvated forms where possible. Of
17 course, sometimes, it's not possible, and they'll attempt to
18 develop a solvate form.

19 Q. Okay. And with that background, Dr. Myerson, why
20 don't we now take a closer look at the '645 patent, which,
21 again, is Exhibit 1, PTX1, the first tab in your binder.

22 And the first thing I'd like to go to is the
23 summary of the invention, which is at column 2, beginning at
24 line 46, and can you just describe for us in general terms
25 what this patent teaches and what the invention is about?

CHARLES P. MCGUIRE, C.C.R.

1 A. Well, the invention is about pseudopolymorphic forms,
2 which you've already defined, which can be used in
3 pharmaceutical formulations, and in the summary, it says
4 pharmaceutical and formulation in improved stability and
5 bioavailability and can be manufactured in sufficient high
6 purity to be acceptable for pharmaceutical use.

7 Q. Okay. So let's understand a little bit about those
8 three terms you used, stability, bioavailability, and
9 purity.

10 Stability I think you talked about in terms of
11 manufacturing and their ability to withstand external
12 pressures. Is that what you mean?

13 A. Well, there are two types of stability that we need to
14 talk about which have already been talked about in the
15 trial. One is chemical stability; that is, is the compound
16 going to decompose or not under certain conditions?

17 The other type of stability when we're talking
18 about the solid forms is solid-form stability; that is,
19 there's a solid form going to maintain the same solid form
20 during processing, or is it going to become amorphous or
21 change to another solid form.

22 Q. All right. And what about bioavailability; what is
23 the role of a pseudopolymorph or solvate in bioavailability
24 of a drug?

25 A. Okay. Well, bioavailability of the drug, if it's the

CHARLES P. McGUIRE, C.C.R.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF NEW JERSEY

JANSSEN PRODUCTS, L.P., et al., : Civil No.
10-cv-5954 (WHW)
Plaintiffs, :
v. : TRANSCRIPT OF
TRIAL PROCEEDINGS
LUPIN LIMITED, et al., : VOLUME 9
Defendants. :
-----x

Newark, New Jersey
April 1, 2014

BEFORE:

THE HON. WILLIAM H. WALLS, U.S.D.J.

Reported by:
CHARLES P. McGUIRE, C.C.R.
Official Court Reporter

Pursuant to Section 753, Title 28, United States
Code, the following transcript is certified to be
an accurate record as taken stenographically in
the above entitled proceedings.

s/CHARLES P. McGUIRE, C.C.R.

CHARLES P. McGUIRE, C.C.R.

1 rule or not.

2 THE COURT: He did. He's already answered that
3 question. You can pursue it. He already said he wasn't
4 aware of it. He told us that.

5 Neither one of you listen to what the witnesses
6 say.

7 So you want to move on?

8 MR. ZALESIN: I'm going to move on.

9 THE COURT: We'll move on. I'm still going to see
10 if I can find a case. All right?

11 MR. ZALESIN: It's noteworthy, Your Honor, while
12 we're waiting for the witness, that the Defendants want to
13 put in a lot of deposition testimony of the inventors of
14 this '645 patent, so I'm not really sure what their position
15 ultimately is going to be, but I think we've had the
16 witness' testimony, and we can move on to another subject.

17 THE COURT: You do any and everything to win
18 within the rules.

19 MR. ZALESIN: Okay.

20 THE COURT: When you stretch the rules, you do
21 that, too, if you have a gullible court. All right?

22 THE WITNESS: Come on up, sir, and we're moving
23 on.

24 (The witness resumed the stand.)

25 BY MR. ZALESIN:

CHARLES P. McGUIRE, C.C.R.

1 Q. Okay. Dr. Zaworotko, let's talk about your opinion
2 that darunavir was a compound of interest in this time frame
3 leading up to May 10th, 2002, the priority date for the '645
4 patent.

5 First of all, we can agree that that is an
6 essential premise of your opinion: If the person of skill
7 wouldn't have had any motivation to work on darunavir, then
8 they certainly wouldn't have found any new crystal forms of
9 it; correct?

10 A. Yes, that was step one of my pathway.

11 Q. Okay. And that's true even if it would have turned
12 out to be a routine exercise to do the experiments on
13 darunavir, there was no motivation if it wasn't a compound
14 of interest, then they would have never gotten to the
15 invention; correct?

16 A. If darunavir was not known, and if darunavir was not
17 known to be a very potent molecule in terms of its activity
18 against HIV protease inhibitors, there would have been no
19 motivation to conduct a crystallization screen.

20 Q. Right. Now, it was well known by May of 2002 that
21 crystalline forms of a drug substance could profoundly
22 affect dissolution and bioavailability as well as properties
23 such as stability and -- stability to humidity and
24 temperature; correct?

25 A. In order to answer that question, I would need to know

CHARLES P. McGUIRE, C.C.R.

1 the context.

2 Q. Well, how about the context of your declaration in
3 this case? On December 23rd, 2013, at paragraph 141, you
4 told the Court: "...it was well-known by May 16, 2002, that
5 the crystalline form of a drug substance could 'profoundly
6 affect dissolution and bioavailability' as well as other
7 properties such as stability to humidity and temperature."

8 Correct?

9 A. Correct.

10 Q. Okay. And you've also told us that the chemical
11 structure and the potency, at least in a test tube, of
12 darunavir was known no later than Dr. Ghosh's 1998 paper;
13 correct?

14 A. I can't remember if the '775 patent was available
15 before the Ghosh 1998, but it would have been around 1998.

16 Q. The '775 patent was June of 2001, okay? So at least
17 -- at least as of 1998 in your testimony by Ghosh's
18 publication, darunavir and its potency were known; right?

19 A. I'm relying on other witnesses as part of my opinion
20 in this matter, in particular the testimony of Drs. Marshall
21 and Zingman, and I also relied on Ghosh 1998 and the '775
22 patent.

23 Q. Okay. From the publication of Ghosh 1998 up until the
24 '645 patent, we can agree that no one had published a recipe
25 for how to make a solid crystalline form of darunavir;

CHARLES P. McGUIRE, C.C.R.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF NEW JERSEY

JANSSEN PRODUCTS, L.P., et al., : Civil No.
10-cv-5954 (WHW)
Plaintiffs, :
v. : TRANSCRIPT OF
TRIAL PROCEEDINGS
LUPIN LIMITED, et al., : VOLUME 10
Defendants. :
-----x

Newark, New Jersey
April 2, 2014

BEFORE:

THE HON. WILLIAM H. WALLS, U.S.D.J.

Reported by:
CHARLES P. McGUIRE, C.C.R.
Official Court Reporter

Pursuant to Section 753, Title 28, United States
Code, the following transcript is certified to be
an accurate record as taken stenographically in
the above entitled proceedings.

s/CHARLES P. McGUIRE, C.C.R.

CHARLES P. McGUIRE, C.C.R.

1 crystallization process, he testified to that.

2 THE COURT: He's got that in his report?

3 MR. ZALESIAN: Certainly he discusses the
4 three-step crystallization process.

5 THE COURT: I haven't read the report, so I have
6 to go on what has occurred.

7 MS. MAZZOCHI: Your Honor, I can assure you that
8 the word "seed" does not appear anywhere in Dr. Myerson's
9 expert report. Nor does he ever offer the opinion that you
10 somehow need to go through some isopropanol crystal before
11 you can get to the ethanol solvate.

12 THE COURT: I will permit that. As far as the
13 seeds, so be it. I'll take a representation in the absence
14 of your colleague being able to give me something definite.

15 MR. ZALESIN: Okay.

16 THE COURT: All right. I sustain the objection.

17 MR. ZALESIN: Okay.

18 Q. Let me just ask you this, Dr. Myerson. Have you seen
19 any evidence throughout this trial or on any point in your
20 work in this case that darunavir can be crystallized for the
21 first time directly in ethanol?

22 MS. MAZZOCHI: Same objection, Your Honor. This
23 is not in his expert report.

24 THE COURT: I'll permit it.

25 A. No.

CHARLES P. McGUIRE, C.C.R.

1 THE COURT: I'll permit it because I don't think
2 you were surprised. All right?

3 Go ahead.

4 BY MR. ZALESIN:

5 Q. All right. Let's move on to the various types of
6 crystals that you might get.

7 Now, you mentioned a moment ago that there are
8 several kinds of crystals, single-component, hydrate,
9 solvate, et cetera. As you're going through the process of
10 doing these experiments, are you -- do you have any way of
11 knowing or is there any information you're learning that
12 tells you what kind of crystal you might ultimately be able
13 to create?

14 A. No, not really.

15 Q. Okay. And again, we've seen a lot of literature on
16 these issues, mostly through the testimony of Dr. Zaworotko.

17 As an expert in this field, are you aware of any
18 literature that says that you can predict what kind of
19 crystal might form if a crystal forms?

20 A. No, I am not.

21 Q. You talked about the properties of these various
22 crystals that might arise. What do you mean by properties?
23 What kinds of properties matter?

24 A. Well, the property of crystals that are important in
25 the pharmaceutical solid dosage form or API to be used in a

CHARLES P. McGUIRE, C.C.R.

1 dosage form include such properties as chemical stability
2 and solid state stability, also solubility, dissolution
3 rate, hygroscopicity - that is, whether it absorbs water
4 from the atmosphere or not. Those would be -- and purity,
5 of course. Those would be the main -- some of the main
6 properties that you'd be interested in.

7 Q. Okay. Let's just understand a couple of those.

8 What's the difference between chemical stability
9 and solid state stability?

10 A. Chemical stability refers to whether the given drug
11 that you're dealing with will decompose with time and
12 decompose into other chemicals, which is not a good thing if
13 you want to store the drug in your medicine cabinet. So
14 that's chemical stability.

15 Solid state stability refers to the solid form of
16 the drug, the crystalline form of the drug changing to
17 another crystalline form or an amorphous form. So if you
18 had an amorphous formulation and it became crystalline, that
19 would be a solid form transformation or vice versa, or if
20 you had one crystalline polymorph or solvate that became
21 another one, that could be a transformation.

22 Q. And you also mentioned solubility and dissolution.
23 Why do they matter to a pharmaceutical product?

24 A. Well, solubility and dissolution rate contribute
25 greatly to bioavailability. How fast something dissolves in

CHARLES P. McGUIRE, C.C.R.

1 your body as well as how much can dissolve is very
2 important.

3 Q. By the way, when you first obtain crystalline
4 material, can you tell just by looking at it what its
5 properties are going to be, whether it's going to be stable
6 or soluble or pure?

7 A. No, you have to do tests.

8 Q. Okay, and what kind of tests do you do to find out
9 that information?

10 A. Well, you could do a number of tests. You would
11 measure the solubility by seeing how much can dissolve in
12 water, for example. There's a standard way to measure
13 dissolution rate, for example. If you were interested in
14 that, you would measure its melting point, you would measure
15 its purity, you would characterize the -- its fingerprint
16 using powder x ray diffraction, a number of other analytical
17 techniques that you could use.

18 Q. And I think you took us through some of this
19 information in the '645 patent when you were here earlier in
20 the trial --

21 A. Yes.

22 Q. -- but is that kind of information reflected in the
23 '645 patent?

24 A. Yes, it is.

25 Q. And prior to that publication of that patent, was that

CHARLES P. McGUIRE, C.C.R.

1 information available to people of skill in the art
2 concerning darunavir?

3 A. No.

4 Q. Now, Dr. Zaworotko yesterday spent some time talking
5 about Defendants' Exhibit 983, which was the Byrn article
6 from 1995. Are you familiar with that paper, Dr. Myerson?

7 A. Oh, yes.

8 Q. Okay. And in particular, he showed us this chart or
9 flow chart or decision tree on the top of page 949 and
10 suggested that this pretty much tells you everything you
11 need to know about how to run one of these crystallization
12 screens.

13 Can you take us through this flow chart and what
14 it does and does not teach in terms of how to run a screen
15 and find a new crystal form that hasn't been discovered
16 before?

17 A. It basically just lists variables. For example, it
18 says hydrates, discovery, question mark, and then you look
19 at -- it says different crystallization solvents, different
20 polarity, vary temperature concentration, agitation, pH
21 water content, pretty much the same kind of variables I was
22 talking about previously, and it talks about doing tests to
23 see what the properties are that you might get. It doesn't
24 actually tell you exactly what experiments to do, how to do
25 them, just lists variables and tests that you can do.

CHARLES P. McGUIRE, C.C.R.

1 Q. Okay. And then with respect to different physical
2 properties, does it give you any road map or recipe for how
3 to make a crystal that has desirable properties?

4 A. No. No, it basically says do lots of experiments and
5 see what you get and analyze the results.

6 Q. Okay. Was this information known in the art before
7 Dr. Byrn published his paper in 1995?

8 A. Oh, certainly.

9 Q. Okay. Does this mean that you can now take a recipe
10 and make a crystal from scratch that's never been made
11 before?

12 A. No.

13 Q. While we're on this page, let me ask you about another
14 paragraph that appears in this Byrn paper. It begins here,
15 we're on page 949: "With a very few exceptions, the
16 structural solvent contained in marketed crystalline drug
17 products is water."

18 Is that consistent with your understanding of the
19 use of solvates in marketed products?

20 A. Yes.

21 Q. And it goes on to explain a variety of reasons why one
22 might nevertheless find it desirable to characterize other
23 solvated crystalline forms.

24 And so we're clear, the darunavir ethanolate at
25 issue in this case is another solvated crystalline form?

CHARLES P. McGUIRE, C.C.R.

1 A. Yes.

2 Q. Okay. So according to Dr. Byrn in this paper, what
3 are the reasons one would ordinarily even bother to
4 characterize something like an ethanolate?

5 A. Well, you're interested in these other solid forms.
6 They might be useful in purification. You might take that
7 solvate and desolvate in the final drawing step. And it
8 says "might be used in recovery for subsequent rework." So
9 you can use solvates as intermediates, for example, in
10 purification, or you can desolvate them after you've made
11 them to make a nonsolvated form.

12 Q. You read Dr. Byrn to be suggesting that solvated
13 crystalline forms might be good for final marketed drug
14 products?

15 A. No, he's not suggesting that.

16 Q. Okay. Now, Dr. Zaworotko also talked to us yesterday
17 about an FDA guideline having to do with crystallization
18 screening. Are you familiar with that FDA Guideline?

19 A. Yes, I am.

20 Q. DTX1021, from 1987.

21 I want to direct your attention to page 31, which
22 is where the discussion of solid state drug substance forms
23 in relationship to bioavailability appears, and in
24 particular the paragraph on the bottom of the page, which
25 reads, at least starts out: "By the time of an NDA

CHARLES P. McGUIRE, C.C.R.

1 raised and discussed before.

2 MS. MAZZOCHI: Well, Your Honor --

3 THE COURT: I note your objection.

4 MS. MAZZOCHI: Thank you, Your Honor.

5 MR. ZALESIN: Just so the record is clear, in
6 Dr. Myerson's rebuttal report, at paragraph 50, he responds
7 directly to Dr. Zaworotko's hydrogen bonding theory and
8 discusses it for about a page. So...

9 MS. MAZZOCHI: And he nowhere makes any reference
10 to this article or suggests --

11 THE COURT: I took your point, but I rejected it.

12 MS. MAZZOCHI: I understand, Your Honor. Thank
13 you.

14 THE COURT: All right. Let's continue with this
15 saga.

16 (Laughter)

17 THE COURT: This is not going to be renewed for
18 next season.

19 (Laughter)

20 THE COURT: Go ahead. Go ahead.

21 BY MR. ZALESIN:

22 Q. Do you have any comment on Bernstein, Dr. Myerson?

23 A. Well, I mean, generally, what Dr. Bernstein is saying,
24 which is not unreasonable and I don't disagree with, that
25 you can use this as a possibility; it seems reasonable.

CHARLES P. McGUIRE, C.C.R.

1 However, you can't use it to predict or the result of any
2 particular experiment or set of experiments, which is
3 basically exactly what I just said.

4 Q. Is there any literature anywhere that says you can use
5 hydrogen bonding and chemical structure to predict crystal
6 formation?

7 A. Well, I mean, there's an earlier article, I think that
8 was mentioned by Desiraju, that talks about that, and pretty
9 much the same argument that Dr. Zaworotko made,
10 Dr. Bernstein doesn't agree with it, there are other papers
11 that don't agree with it, I don't agree with it. So this
12 is, let's say, an area of controversy among us in this
13 field.

14 Q. Okay. Let me ask this question. Is there any paper
15 you're aware of that proves that the predictability theory
16 based upon hydrogen bonding is correct?

17 A. No, not that I'm aware of.

18 Q. All right. Let's talk about the particular crystal
19 form at issue in this case, the ethanolate solvate of
20 darunavir.

21 Directing your attention to the patent, which is
22 PTX1 or DTX1, if you prefer, and specifically in column
23 2, --

24 MR. ZALESIN: Sorry, Your Honor.

25 THE COURT: I think we will recess now. I forgot:

CHARLES P. McGUIRE, C.C.R.

1 The Court Reporter's been working.

2 We'll start again at 20 before the hour. All
3 right?

4 MR. ZALESIN: Thank you.

5 THE COURT CLERK: All rise.

6 (Recess taken)

7 THE COURT CLERK: All rise.

8 (The witness resumed the stand.)

9 THE COURT: Let's resume.

10 BY MR. ZALESIN:

11 Q. Professor Myerson, I've put up on the screen claim 4,
12 which of course depends from claim 3 of the '645 patent.

13 In a nutshell, what do you understand that
14 invention to be?

15 A. It's the composition comprising an ethanolate solvate
16 of darunavir in a ratio of about one to one and a
17 pharmaceutically acceptable inert carrier.

18 Q. Okay. Prior to the teachings of the '645 patent,
19 would a person of skill in the art had a reasonable
20 expectation that he could make a one to one ethanolate
21 solvate of darunavir?

22 A. No.

23 Q. Prior to the teachings of the '645 patent, would a
24 person of skill in the art had a reasonable expectation as
25 to the conditions under which such an ethanolate solvate

CHARLES P. McGUIRE, C.C.R.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF NEW JERSEY

JANSSEN PRODUCTS, L.P., et al., : Civil No.
10-cv-5954 (WHW)
Plaintiffs, :
v. : TRANSCRIPT OF
TRIAL PROCEEDINGS
LUPIN LIMITED, et al., : VOLUME 11
Defendants. :
-----x

Newark, New Jersey
April 3, 2014

BEFORE:

THE HON. WILLIAM H. WALLS, U.S.D.J.

Reported by:
CHARLES P. McGUIRE, C.C.R.
Official Court Reporter

Pursuant to Section 753, Title 28, United States
Code, the following transcript is certified to be
an accurate record as taken stenographically in
the above entitled proceedings.

s/CHARLES P. McGUIRE, C.C.R.

CHARLES P. McGUIRE, C.C.R.

1 issue about the level of ordinary skill.

2 Can you briefly describe your disagreement with
3 Dr. Ganem and Laird?

4 THE COURT: First, no, excuse me, why don't you
5 tell me what you consider the ordinary skill in the art?

6 THE WITNESS: Someone with a Master's in chemistry
7 with a couple of years of experience in lab.

8 THE COURT: Why do you say that?

9 THE WITNESS: I had a group of 160-something
10 chemists -- I had a group of 160-something chemists. We had
11 a rule, I should state an objective that we had a one-to-one
12 ratio of Ph.D.s and non-Ph.D.s, and that's what the group
13 was: It was half and half. So I think it's lower than
14 Ph.D.s because a lot of my best people didn't have Ph.D.s,
15 and they were -- they did just fine.

16 THE COURT: All right. Go ahead.

17 Q. But even -- does it make any difference to your
18 analysis if you accept the Ganem-Laird school of thought
19 that you have to have a Ph.D.?

20 A. No, it makes no difference to the analysis.

21 Q. And in doing your work, did you review the file
22 wrapper for the '015 and the '411 patents?

23 A. I did.

24 Q. Did you review Tibotec documents and testimony?

25 A. I did.

CHARLES P. McGUIRE, C.C.R.

1 Q. Did you attend most of the trial, sitting there in the
2 back?

3 A. I have.

4 Q. Did you review the prior art?

5 A. I did.

6 Q. Overall, and I'll go into detail in a moment, do you
7 have an opinion as to the validity of the '411 and the '015
8 patent?

9 A. I do.

10 Q. And what's that?

11 A. I believe they're innovative and inventive. They're
12 not obvious.

13 Q. Okay. Let's first start with the '015 patent, which
14 you told us is about the manufacture of bis-THF; is that
15 right?

16 A. That's correct.

17 Q. And is this bis-THF over here on the left?

18 A. It is.

19 Q. Is it a complicated molecule?

20 A. It is, because of the three chiral centers, incredibly
21 complex, and I believe that -- I heard Dr. Wigerinck testify
22 that it's responsible for about 90 percent of the cost of
23 darunavir.

24 Q. There's been some testimony in the case about
25 Dr. Darun Ghosh. Do you know him?

CHARLES P. McGUIRE, C.C.R.

1 A. Yes. We worked together in Merck.

2 Q. And during what years did he work at Merck?

3 A. He came a few years after I did. I actually don't
4 know the answer to that question. I believe he came in the
5 late 80's or early maybe 1990, and I think he departed by
6 '94, '95.

7 Q. Okay. And what kind of work did he do at Merck?

8 MS. MAZZOCHI: Objection, Your Honor; narrative.

9 THE COURT: What kind of work did he do at Merck
10 is a narrative?

11 MS. MAZZOCHI: No.

12 THE COURT: Is that what you said? Is that what
13 you said? It's a narrative?

14 MS. MAZZOCHI: Yes, he's asking for a narrative.
15 He's asking him what did Arun Ghosh do at Merck?

16 THE COURT: So? If he knows.

17 MS. MAZZOCHI: Well, that --

18 THE COURT: If he knows. What's wrong with that?
19 I mean, every question is some form of narrative, so what do
20 you mean, it's a narrative?

21 MS. MAZZOCHI: Well, it's such an open-ended
22 question, I have no idea how long and convoluted of a
23 response we're going to get.

24 THE COURT: Let's find out. Let me do it my own
25 direct way.

CHARLES P. McGUIRE, C.C.R.

1 Did you know what he did?

2 THE WITNESS: Yes, he was a medicinal chemist in
3 West Point in our labs in Pennsylvania.

4 THE COURT: Okay. Let's move on.

5 Q. Did --

6 THE COURT: We're moving beyond the cemetery at
7 high noon. Go ahead.

8 Q. Did the Merck team formulate bis-THF?

9 THE COURT: I'm sorry, I can't hear you.

10 Q. Did the Merck team formulate bis-THF?

11 A. Yes, the group in West Point working on HIV protease
12 inhibitors were the first to apply that chemical structure,
13 the bis-THF moiety in the context of HIV protease
14 inhibitors. Arun was part of that team.

15 Q. Did Merck decide to commercialize a product using
16 bis-THF?

17 A. No.

18 Q. Why not?

19 A. We decided it was too complex and we passed. We had
20 Crixivan, and we decided not to pursue the pharmacophore.

21 Q. I'm sorry. We've seen a bunch of Ghosh publications.
22 This is the very first.

23 Do you know these co-authors?

24 A. Yes, I know all of them.

25 Q. Who employed them?

CHARLES P. McGUIRE, C.C.R.

1 A. That was the West Point or a good part of the
2 West Point protease team for Merck.

3 Q. Did Ghosh leave and go to academia at some point?

4 A. He did.

5 Q. By the time of this article, he gets a footnote, it
6 says his present address is the University of Illinois at
7 Chicago?

8 A. That's correct.

9 Q. The rest of the guys stayed behind at Merck?

10 A. Yes.

11 Q. Okay. You heard Dr. Ghosh say at his deposition,
12 which was played in court, that no one in his right mind
13 would attempt to synthesize a bis-THF. What's your opinion?

14 A. I don't know that I would use that phrase, but it was
15 a formidable challenge.

16 THE COURT: I'm sorry.

17 MS. MAZZOCHI: I'm sorry, Your Honor.

18 THE COURT: He didn't say that?

19 MS. MAZZOCHI: I don't know what he's talking
20 about. We haven't played any sections of Dr. Ghosh's
21 deposition in court as far as I'm aware.

22 MR. DISKANT: That's not correct. It was played
23 during the cross of Dr. Ganem and read twice.

24 THE COURT: I saw Dr. Ghosh on that screen.

25 MR. DISKANT: That's what I'm referring to.

CHARLES P. McGUIRE, C.C.R.

1 THE COURT: And that was not in a dream, I mean --
2 (Laughter)

3 THE COURT: I mean, seriously. Come on now.

4 MS. MAZZOCHI: I had no idea what he was referring
5 to, Your Honor.

6 THE COURT: Well, wasn't that reflecting his
7 appearance at a deposition?

8 MS. MAZZOCHI: Well, Your Honor, my understanding
9 -- I thought he was somehow suggesting that the Plaintiffs
10 had done his deposition testimony as part of the read-ins.

11 THE COURT: All right.

12 MS. MAZZOCHI: Like I said, Your Honor, they
13 haven't given me any -- I don't have an exhibit for this.

14 THE COURT: Let's move on. Thank you so much.

15 We note that Dr. Ghosh appeared on that screen at
16 a deposition, and we saw and heard him. All right?

17 Go ahead.

18 Now, the question was, do you agree or disagree
19 with him characterizing something as not in his right mind,
20 something like that; right?

21 MR. DISKANT: That's correct.

22 THE COURT: Put the question again.

23 Q. Do you agree with -- Dr. Ghosh said in his testimony
24 that no one in his right mind would attempt to make bis-THF.
25 What's your view?

CHARLES P. MCGUIRE, C.C.R.

1 A. I agree with his -- his conclusion. I wouldn't have
2 used the same terms. It's a highly formidable challenge,
3 and -- I agree with Dr. Ghosh.

4 Q. Does the '015 patent solve the problem?

5 A. It does.

6 Q. Does it provide a commercial scale process for making
7 bis-THF?

8 A. It does.

9 Q. Okay. Let's look at the prior art.

10 MR. DISKANT: And there are a couple prior art
11 references that aren't yet in evidence. I'd like to offer
12 them. Those will be Ghosh 95, which is PTX222, the '506
13 patent, which is PTX 35, and Ghosh '99, which is DTX 790.

14 MS. MAZZOCHI: No objection, Your Honor.

15 THE COURT: Thank you.

16 (Plaintiff's Trial Exhibits 35 and 222 and Defendants'
17 Trial Exhibit 790 marked in evidence)

18 Q. Does this slide depict the various prior art ways of
19 making bis-THF?

20 A. It does.

21 Q. And can you tell what one of ordinary skill as of 2001
22 would learn from the prior art?

23 A. They would learn that dihydrofuran, the simple
24 molecule in that top box -- sorry, in the top box that says
25 Starting Compound -- I don't like to do this very often, but

CHARLES P. McGUIRE, C.C.R.