

1 UNITED STATES PATENT AND TRADEMARK OFFICE  
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD

3 \_\_\_\_\_  
4 MYLAN PHARMACEUTICALS, INC., WOCKHARDT  
5 BIO AG and TEVA PHARMACEUTICALS USA, INC.,  
6 Petitioners,  
7 v.  
8 ASTRAZENECA AB,  
9 Patent Owner.

10 \_\_\_\_\_  
11 IPR2015-01340  
12 Patent RE44,186

13 \_\_\_\_\_  
14  
15  
16 CROSS-EXAMINATION OF ANN E. WEBER, Ph.D.  
17 Washington, D.C  
18 October 27, 2016  
19  
20  
21  
22

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

Cross-Examination of ANN E. WEBER,  
Ph.D., a witness herein, called for examination by  
counsel for Petitioners in the above-entitled  
matter, was taken on Thursday, October 27, 2016,  
commencing at 8:07 a.m. at the law offices of  
Wilson Sonsini Goodrich & Rosati, 1700 K Street,  
N.W., Fifth Floor, Washington, D.C. 20006  
before Cappy Hallock, Registered Professional  
Reporter, Certified Realtime Reporter, Certified  
Livenote Reporter and Notary Public in and for the  
District of Columbia.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

A P P E A R A N C E S

On behalf of Petitioner Mylan  
Pharmaceuticals, Inc:

DOUGLAS H. CARSTEN, ESQUIRE  
Wilson, Sonsini, Goodrich & Rosati  
12235 El Camino Real, Suite 200  
San Diego, California 92130  
858-350-2300 (P) 858-350-2399 (F)  
dcarsten@wsgr.com

-and-

RICHARD TORCZON, ESQUIRE  
DAVID KNAPP, ESQUIRE  
Wilson, Sonsini, Goodrich & Rosati  
1700 K Street NW, 5th Floor  
Washington, D.C. 20006  
202-973-8800 (P) 202-973-8899 (F)  
rtorczon@wsgr.com  
dknapp@wsgr.com

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

A P P E A R A N C E S (Continued)

On behalf of Petitioner Teva Pharmaceuticals  
USA, Inc.

GARY J. SPEIER, ESQUIRE  
Carlson Caspers Vandeburgh Lindquist &  
Schuman, P.A.  
226 South Sixth Street, Suite 4200  
Minneapolis, Minnesota 55402  
612-36-9600 (P) 612-436-9605 (F)  
gspeier@carlsoncaspers.com

On behalf of Patent Owner AstraZeneca AB:

JOHN D. LIVINGSTONE, ESQUIRE  
M. DAVID WEINGARTEN, ESQUIRE  
Finnegan, Henderson, Farabow, Garrett  
& Dunner, LLP  
271 17th Street NW, Suite 1400  
Atlanta, Georgia 30363  
404-653-6400 (P) 404-653-6444 (F)  
john.livingstone@finnegan.com  
david.weingarten@finnegan.com

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

C O N T E N T S

Deposition of ANN E. WEBER, Ph.D.  
October 27, 2016

EXAMINATION BY:	PAGE
By Mr. Carsten	8
By Mr. Livingstone	103

-o0o-

E X H I B I T S

PREVIOUSLY MARKED EXHIBITS

Mylan	Description	Page
Exhibit 1001	U.S. Reissued Patent Number RE44,186	17
Exhibit 1007	2-Cyanopyrrolidides as Potent, Stable Inhibitors of Dipeptidyl Peptidase IV	42
Exhibit 1010	Hanessian reference	71
///		
///		
///		

1	E X H I B I T S (Continued)		
2	PREVIOUSLY MARKED EXHIBITS		
3			
4	AstraZeneca	Description	Page
5	Exhibit 2056	8-3-16 Weber Declaration	11
6	Exhibit 2161	Article: Discovery of	46
7		JANUVIA (Sitagliptin) ...	
8		Thornberry and Weber	
9	Exhibit 2096	Article: Dipeptidyl-	58
10		peptidase IV hydrolyses ...	
11		Mentlein, Gallwitz and Schmidt	
12	Exhibit 2013	U.S. Patent No. 6,166,063	63
13	Exhibit 2151	Article: Pyrrolidides:	64
14		synthesis and structure-activity	
15		relationship ... Augustyns	
16	Exhibit 2007	Article: The Unique	65
17		Properties of Dipeptidyl-	
18		peptidase IV ... Augustyns	
19	Exhibit 2001	Article: 4-Cyanothiazolidides	76
20		as Very Potent, Stable ...	
21		Ashworth	
22	///		

1	E X H I B I T S (Continued)		
2	PREVIOUSLY MARKED EXHIBITS		
3	AstraZeneca	Description	Page
4	Exhibit 2210	Curriculum Vitae	107
5	Exhibit 2098	Article: Dipeptidyl Piptidase	114
6		IV Inhibitors for the Treatment	
7		of ... Weber	

-o0o-

10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

1 PROCEEDINGS

2 - - - - -

3 WHEREUPON,

4 ANN E. WEBER, Ph.D.,

5 A Witness called for examination, having  
6 been first duly sworn, was examined and testified  
7 as follows:

8 EXAMINATION

9 BY MR. CARSTEN:

10 Q Good morning, Dr. Weber.

11 A Good morning.

12 Q It's very nice to see you again.

13 A Nice to see you.

14 Q So we met before.

15 A Yes, we have.

16 Q I had the great pleasure of conducting  
17 your cross examination at trial in a district  
18 court action pertaining to saxagliptin.

19 Now, when we last had occasion to talk  
20 we were talking about invalidity of a particular  
21 patent, correct, the RE'186 patent?

22 A Correct.



1           Q     And we were discussing it in the  
2     context of an opinion or series of opinions by  
3     Dr. Powers, correct?

4           A     Correct.

5           Q     You understand that today we are going  
6     to be talking also about the validity or  
7     invalidity of the RE'186 patent, right?

8           A     Yes.

9           Q     But this time we will be focused on a  
10    slightly different set of opinions relating to the  
11    alleged invalidity of that patent, right?

12          A     That's my understanding.

13          Q     And those are the ones that have been  
14    tendered by Dr. Rotella, correct?

15          A     Yes.

16          Q     You understand that you took an oath  
17    here today to tell the truth, correct?

18          A     Yes.

19          Q     And there is no reason you would have  
20    any difficulty in testifying completely and  
21    accurately and truthfully today; is that fair?

22          A     That's correct.

1 MR. LIVINGSTONE: I don't want to  
2 interrupt the examination. Did you take an oath?

3 THE WITNESS: Yes.

4 MR. LIVINGSTONE: Sorry. I was over  
5 here writing something down. My apologies. It's  
6 early morning.

7 Q Dr. Weber, I have tons of materials  
8 here. So, as you know, Dr. Rotella had a  
9 declaration that had a bunch of exhibits, right?

10 A Yes.

11 Q And you had a declaration that had a  
12 bunch of exhibits; is that fair?

13 A There were exhibits, yes.

14 Q Sure.

15 I have done my level best to have at  
16 our fingertips, should you need them, the lion's  
17 share of the exhibits. If for some reason there  
18 is something else that you want to look at that I  
19 don't have here, just ask and we can take a quick  
20 break and I can get whatever you need to look at  
21 printed. This isn't designed to be a memory test.  
22 It's not designed to trick you in any way. If you

1 need to see something in order to fully and  
2 completely answer a question, just feel free to  
3 ask. Okay?

4 A Okay.

5 Q What I would like to do as the first  
6 order of business, though, is put your declaration  
7 in front of you.

8 MR. CARSTEN: This has been marked  
9 previously as AstraZeneca Exhibit 2056.

10 (Previously marked AstraZeneca Exhibit  
11 No. 2056, first referral.)

12 BY MR. CARSTEN:

13 Q If I could trouble you to look at that  
14 document and make sure it is accurate to the best  
15 of your knowledge.

16 A Yes, this looks like my report.

17 Q Okay.

18 It's a declaration, correct?

19 A Excuse me, my declaration.

20 Q And your declaration, if you turn  
21 to -- there are two series of pages at the bottom.  
22 There is a set that actually has the page number

1 of the document, so I, II, and then 1, 2,  
2 et cetera. And there is another series that says  
3 Page X of 129. We might have some difficulties  
4 here. I will do my best to make clear which I am  
5 referring to.

6 If you turn to Page 126 of the  
7 document. It's the last page. It's also 129 of  
8 129.

9 A So 126 of 129 -- no, 129 of 129.

10 Q Yup, the very last page.

11 A Yes.

12 Q And that's your signature?

13 A It is.

14 Q Okay, and you say, "In signing this  
15 declaration," reading from Paragraph 1265, "I  
16 understand that the declaration will be filed as  
17 evidence in a contested case before the Patent  
18 Trial and Appeal Board of the United States  
19 Patent & Trademark Office."

20 Have I read that correctly?

21 A Yes.

22 Q And you say, "I acknowledge I may be

1 subject to cross-examination in the case, and that  
2 cross-examination will take place within the  
3 United States." Correct?

4 A Correct.

5 Q And, "If cross-examination is required  
6 of me, I will appear for cross-examination within  
7 the United States during the time allotted for  
8 cross-examination." Correct?

9 A Correct.

10 Q And then Paragraph 264 says, "I  
11 declare under penalty of perjury that the  
12 foregoing is true and correct." Right?

13 A Correct.

14 Q Are there any corrections you would  
15 like to make to this declaration?

16 A No.

17 Q To the best of your knowledge, it is  
18 truthful and accurate?

19 A Yes.

20 Q Let's turn to some of the legal  
21 standards that you were asked to apply in this  
22 document. So I'm looking at, starting at Page 14

1 of 129. And there there is a Section V called  
2 Legal Standards.

3 Do you see that?

4 A I do.

5 Q You said that you've relied upon  
6 AstraZeneca's counsel for the applicable legal  
7 standards governing your analysis and opinions,  
8 right?

9 A That's correct.

10 Q And if you turn to the following page,  
11 Paragraph 41, there you say, "I understand that  
12 for a prima facie case of obviousness, structural  
13 similarity between the claimed compound and the  
14 prior art compound is not enough. The prior art  
15 must also have suggested making the specific  
16 modifications necessary to achieve the claimed  
17 invention."

18 Have I read that correctly?

19 A You have.

20 Q Is that the legal standard that you  
21 were directed by AstraZeneca's counsel to apply in  
22 this case?

1           A       That is part of the legal standard,  
2       yes.

3           Q       And you did use that legal standard in  
4       forming your opinions with respect to the opinions  
5       you have tendered in this case?

6           A       I did.

7           Q       The next paragraph, Paragraph 42 says,  
8       "I understand that a 'lead' compound is one that  
9       is most promising to modify and that selection of  
10      a lead compound is guided by all of the compound's  
11      pertinent properties."

12                   I have read that correctly?

13          A       You have.

14          Q       Is that a legal standard that you were  
15      asked to rely upon by AstraZeneca's counsel?

16          A       Yes, it is.

17          Q       And is that a -- is that a legal  
18      standard that you did apply in forming your  
19      opinions in this case?

20          A       I did.

21          Q       On the Paragraph 40, which bridges the  
22      two pages we have been discussing here, Pages 14

1 of 129 and 15 of 129, the bridging sentence says,  
2 "I have been told by AstraZeneca's counsel to  
3 assume the 'time of the invention' mentioned above  
4 to be no later than October 2000."

5 Is that an instruction that you  
6 received from AstraZeneca's counsel with respect  
7 to your opinions in this matter?

8 A It is.

9 Q You further say, "My opinion would not  
10 differ, however, if the filing date of February  
11 16, 2001 applied." Correct?

12 A That's correct.

13 Q You didn't do any independent analysis  
14 to determine what the appropriate date to frame  
15 your analysis was. Instead you rather relied  
16 solely upon what AstraZeneca's counsel told you;  
17 is that correct?

18 A That's correct. I relied on these  
19 dates.

20 Q So you didn't look at provisional  
21 applications, et cetera, to determine when  
22 saxagliptin compound was first included in any of



1 those preliminary applications and so forth,  
2 correct?

3 A I'm aware of the preliminary  
4 applications, and I certainly had access to them,  
5 but I did not do that particular analysis.

6 Q Okay.

7 We will come back from time to time  
8 over the course of the day to Exhibit 2056, your  
9 declaration. I put it in front of you early, sort  
10 the first thing out of the gate, so you would have  
11 it handy in the event you wanted to look at it for  
12 any purpose over the course of the day as well.

13 Okay?

14 A Okay.

15 MR. CARSTEN: So I would like to hand  
16 to you what has been marked as Exhibit 1001.

17 (Previously marked Mylan Exhibit No.  
18 1001, first referral.)

19 MR. CARSTEN: I have copies for you as  
20 well.

21 MR. LIVINGSTONE: Thank you.

22

1 BY MR. CARSTEN:

2 Q I hope and trust this is a document  
3 that looks familiar to you.

4 A Yes, it does.

5 Q This is the re-issue '186 patent; is  
6 that correct?

7 A Yes, that's correct.

8 Q Okay.

9 And you considered this document and  
10 reviewed it carefully in connection with rendering  
11 your opinions in this case; is that fair?

12 A Yes, I considered this document and  
13 reviewed it in the case.

14 Q You reviewed it carefully.

15 A Yes, I read it and I reviewed it. I  
16 guess it depends on what you mean by carefully.

17 Q Well --

18 A But yes, I read it.

19 Q You're tendering opinions --

20 A Yes.

21 Q -- relating to the validity of the  
22 claims of the patent, correct?

1           A     Yes.

2           Q     You wouldn't do that if you hadn't  
3     read the document carefully, I presume; is that  
4     fair?

5           A     If that's your definition, yes.

6           Q     Let's turn to Column 88, if we could.  
7     That's towards the back. It's in the claims  
8     section.

9                     Now, if we look at -- have you got  
10    claim 8?

11          A     I do.

12          Q     Now, if you look at claim 8 there are  
13    one, two, three, four, five, six, seven, eight  
14    different compounds identified in claim 8 plus  
15    pharmaceutically acceptable salts; is that  
16    correct?

17          A     That is correct.

18          Q     Now, with respect to the first  
19    compound depicted under claim 8, so that's Column  
20    88 starting around Lines 45 to 52 or so. Have you  
21    got that compound?

22          A     I have.

1           Q     In this patent there's no data  
2     presented for this compound relating to potency  
3     against DPP-4, correct?

4           A     I would have to go back and just --

5           Q     Feel free.

6           A     -- glance.

7           Q     Sure.

8           A     I believe that is correct. Again,  
9     there is likely, for example, mass spec data.  
10    There may be -- so if you're referring to  
11    biological data --

12          Q     The question was there is no data  
13    relating to potency of that compound as the DPP-4?

14          A     That is correct.

15          Q     And by potency you understand I'm  
16    referring to in vitro data; is that correct?

17          A     That's correct. I would assume that  
18    you are referring to an IC50 or a Ki at DPP-4  
19    enzyme.

20          Q     And those would be in vitro measures  
21    of potency?

22          A     Ki and IC50 are in vitro measures of

1       potency.

2           Q       With respect to the compound we have  
3       been discussing, the first one under claim 8 in  
4       Column 88, there is no data presented relating to  
5       activity in any in vivo studies; is that correct?

6           A       Actually, let me just -- can I just  
7       have a minute to check something?

8           Q       Absolutely. Again, as I said, it's  
9       not intended to be a memory contest. Feel free.

10          A       So that is correct. There is no  
11       in vivo data.

12          Q       Okay.

13                 And there is no information presented  
14       here in the patent relating to the activity of any  
15       metabolites of that compound against DPP-4,  
16       correct?

17          A       I think there would be not enough  
18       information in the patent to definitively say that  
19       there was no metabolite of this particular  
20       compound that may have been covered by the patent.

21          Q       I'm asking about whether there is any  
22       data presented relating to data --

1           A        If you are referring to in vitro  
2       potency data, then that would be correct.

3           Q        And if I'm describing in vivo activity  
4       of a metabolite of that compound, that is also  
5       correct, right?

6           A        That would be correct. There is no in  
7       vivo data for any potential metabolite of that  
8       compound.

9           Q        Okay.  
10                    And there is no data provided  
11       regarding the interaction of this compound with  
12       the DPP-4 enzyme in the patent, correct?

13          A        That is correct.

14          Q        And there is no data relating to the  
15       safety of this compound, correct?

16          A        There is no safety data in the patent,  
17       that is correct.

18          Q        There is no efficacy data relating to  
19       this compound in the patent, correct?

20          A        That's correct. There is no efficacy  
21       data for that compound in the patent.

22          Q        And this compound, to your knowledge,

1 was never advanced to any clinical trials,  
2 correct?

3 A I'm not aware of any clinical trials  
4 that were conducted with that compound.

5 Q And this compound was never FDA  
6 approved, correct?

7 A That's correct.

8 Q So under your analysis in this case,  
9 and we will get to some of this a little later,  
10 but this compound would be a failure, exhibit a  
11 failure of others in connection with your work in  
12 this case, correct?

13 A So this compound by virtue of the fact  
14 that it was not FDA approved, and that was the  
15 standard I used for failure of others, yes, this  
16 would be a failure.

17 Q So just to be clear, the standard you  
18 applied for your assessment of failure of others  
19 was FDA approval, correct?

20 A That is correct.

21 Q And was that a legal standard that you  
22 were asked to apply by AstraZeneca's counsel in

1 connection with forming your opinions in this  
2 case?

3 A That -- can I have a minute, please?

4 Q Sure.

5 A So the legal standard that I was asked  
6 to apply, this is for the objective evidence of  
7 obviousness, was the failure of others in the  
8 prior art to fill -- to fill the need. And so the  
9 standard I applied was whether the compounds were  
10 in the prior art and whether they were able to  
11 fill the need for a diabetes medication in the  
12 United States. So that was FDA approval of  
13 compounds that were in the prior art prior to  
14 that, to the date of October 2000.

15 Q And that legal standard that you just  
16 described, was that provided to you by Astra's  
17 counsel?

18 A Yes. Yes, it was.

19 Q So they are the ones that selected the  
20 threshold question or the litmus test of FDA  
21 approval as indicative of failure or nonfailure;  
22 is that fair?



1           A       Well, they provided me with the  
2       understanding that the failure of others in the  
3       prior art to fill the need. And so if you were  
4       talking about, if I was talking about filling the  
5       need for a compound to treat diabetes in the U.S.,  
6       then that would be FDA approval.

7           Q       Now, as of the priority date there had  
8       been no FDA approved DPP-4 treatment for diabetes;  
9       is that fair?

10          A       There were no FDA approved -- FDA  
11       approved DPP-4 inhibitors for the treatment of  
12       type 2 diabetes as of the priority date?

13          Q       Yes, that is correct.

14          A       That is correct.

15          Q       In fact, the first FDA approved  
16       diabetes treatment was sitagliptin; is that  
17       correct?

18          A       If you are referring to the first FDA  
19       approved DPP-4 inhibitor for the treatment of  
20       type 2 diabetes and not a compound in the prior  
21       art, yes, the first one to be approved was  
22       sitagliptin that wasn't in the prior art.

1 Q And sitagliptin is your compound?

2 A Sitagliptin is Merck's compound, and I  
3 did work on that, yes.

4 Q Your team won what I think you  
5 referred to at trial as the Nobel Prize of  
6 medicinal chemistry for your work on sitagliptin,  
7 correct?

8 A Yes, we did.

9 Q You are a member of the Medicinal  
10 Chemistry Hall of Fame in part because of your  
11 work on sitagliptin?

12 A I would imagine, yes.

13 Q By any measure you would consider  
14 sitagliptin a success?

15 A So if you're referring to sitagliptin  
16 as a success because it is FDA approved, yes. It  
17 would be a success.

18 Q Well, even taking it away from that  
19 framework, you yourself, you consider sitagliptin  
20 to be a success in your career, don't you?

21 A Well, I would consider sitagliptin to  
22 be a compound that I worked on that achieved FDA

1 approval and is being used to treat patients with  
2 type 2 diabetes.

3 Q You are aware that it's the biggest  
4 selling of the four FDA approved gliptin  
5 compounds, correct?

6 A That's correct.

7 Q And you are aware that it's the  
8 biggest selling of the ten worldwide approved  
9 gliptin compounds, correct?

10 A That's correct.

11 Q Let me just ask. Is there any way in  
12 which you think sitagliptin is not successful?

13 A Not if you are referring to success in  
14 the marketplace or success in treating patients  
15 with type 2 diabetes, but no. No.

16 Q Now, vildagliptin, you are aware of  
17 the compound vildagliptin, correct?

18 A I am.

19 Q I don't want to quibble with you about  
20 terminology here. I understand that the compound  
21 that is now known as vildagliptin is a prior art  
22 compound to saxagliptin; is that correct?

1           A       That is correct.

2           Q       Now, I recognize that at the time,  
3       October 2000, vildagliptin was not known commonly  
4       as vildagliptin but rather the structure of the  
5       compound itself was known at that point, correct?

6           A       That is correct.

7           Q       Okay.  
8                    Vildagliptin, under your standard in  
9       this case, is a failure, correct?

10          A       That is correct.

11          Q       Now, vildagliptin is approved in  
12       Europe for treatment of type 2 diabetes as a DPP-4  
13       inhibitor, correct?

14          A       Vildagliptin is approved in Europe.

15          Q       Okay.

16                 Going back to the patent, you were  
17       talking about Exhibit 1001. We were talking about  
18       the compounds listed under claim 8. We had just  
19       finished discussing the first compound under  
20       claim 8. I would like to ask the same series of  
21       questions with regard to the second compound.

22                 So with respect to the second

1 compound, the one that has the cyclobutane ring in  
2 it, do you have that, Dr. Weber?

3 A I do.

4 Q Is there any data presented in the  
5 specification of the RE'186 patent pertaining to  
6 potency data for that compound against DPP-4?

7 A No. There is no specific IC50 or Ki  
8 for this compound.

9 Q Is there any data presented with  
10 respect to that second compound under claim 8 with  
11 respect to in vivo data against DPP-4 or type 2  
12 diabetes?

13 A That's correct. No in vivo data  
14 presented in the patent.

15 Q And there is no data presented  
16 regarding activity of a metabolite of that  
17 compound against DPP-4?

18 A That's correct.

19 Q And there is no data about the safety  
20 of that compound?

21 A No data about the safety of the  
22 compound in the patent.

1           Q     No data about the efficacy of the  
2     compound in the patent?

3           A     No data about the efficacy in the  
4     patent.

5           Q     To your knowledge, it's never advanced  
6     to clinical trials?

7           A     To the best of my knowledge, this  
8     compound has not advanced to clinical trials.

9           Q     And it is not FDA approved?

10          A     It is not FDA approved.

11          Q     And under your analysis in this case  
12     that compound is also a failure?

13          A     That's correct.

14          Q     Okay.

15                 With respect to the third compound  
16     under claim 8, the one at the bottom of Column 88  
17     that has got the cyclohexyl group, do you see  
18     that?

19          A     I do.

20          Q     There is no data in the patent  
21     pertaining to in vitro activity against DPP-4?

22          A     That's correct. No IC50 or Ki.

1 Q And no data presented relating to  
2 in vivo activity of that compound?

3 A No in vivo activity.

4 Q No information provided relating to  
5 interaction of that compound with the DPP-4  
6 enzyme?

7 A That's correct.

8 Q No information provided regarding  
9 activity of a metabolite of that compound against  
10 DPP-4?

11 A No information about metabolites of  
12 this compound.

13 Q No information provided relating to  
14 safety of this compound in the patent?

15 A No information on safety.

16 Q No information about efficacy of this  
17 compound in the patent?

18 A That's correct. No efficacy data.

19 Q Under your analysis in this case that  
20 compound is also a failure, correct?

21 A This compound was not approved by the  
22 FDA and is a failure.

1 Q Okay.

2 There are five compounds at the top of  
3 Column 89 which is also part of claim 8, correct?

4 A That is correct.

5 Q Okay.

6 Now, one of the five compounds is  
7 saxagliptin; is that correct?

8 A That's correct.

9 Q With respect to the four compounds  
10 that are not saxagliptin, I'm just going to ask  
11 the same series of questions for expediency sake.

12 With respect to those four compounds,  
13 is there any data presented in the specification  
14 of the RE'186 patent relating to in vivo -- excuse  
15 me, in vitro activity against DPP-4?

16 A There is no specific in vitro data  
17 presented.

18 Q With respect to the four compounds  
19 that are not saxagliptin on the top of Column 89,  
20 is there any in vivo activity information  
21 presented in the specification?

22 A There is no data regarding in vivo



1 activity.

2 Q With respect to those four compounds  
3 at the top of Column 89, is there any information  
4 in the patent relating to activity of any  
5 metabolites of these compounds against DPP-4?

6 A No, there is no information on  
7 metabolites.

8 Q Is there any data in the patent with  
9 respect to those four compounds at the top of  
10 Column 89, not including saxagliptin, regarding  
11 interaction with the DPP-4 enzyme of those  
12 compounds?

13 A No data on interaction with the  
14 enzyme.

15 Q With respect to the four compounds at  
16 the top of Column 89, not including saxagliptin,  
17 is there any data on the safety of those  
18 compounds?

19 A There is no data on the safety of  
20 these compounds.

21 Q With respect to the four compounds at  
22 the top of Column 89, not including saxagliptin,

1 is there any information in the patent about the  
2 efficacy of those compounds?

3 A No, there is no efficacy information  
4 in the patent on these compounds.

5 Q Are you aware that any of the four  
6 compounds at the top of Column 89, not including  
7 saxagliptin, were ever advanced to clinical  
8 trials?

9 A My understanding is that these  
10 compounds were not advanced to clinical trials.

11 Q And are any of the four compounds we  
12 have been discussing FDA approved?

13 A No, none of these are FDA approved.

14 Q And under your analysis in this case  
15 the four compounds at the top of Column 89, not  
16 including saxagliptin, are failures, correct?

17 A That's correct. These would be  
18 failures.

19 Q With respect to claim 10, Column 89,  
20 have you got that, Dr. Weber?

21 A I do.

22 Q Okay.

1                   There are a group of compounds  
2           identified here with respect to variations in R1.

3                   Do you see that?

4           A        I do.

5           Q        But, generally speaking, there are  
6           two, if you will have it, classes of compounds  
7           identified here, and the difference between the  
8           two classes of compounds is the location of the  
9           cyclopropane ring on that five-membered ring  
10          containing a nitrogen.

11                  Do you see that?

12          A        I do see that, yes.

13          Q        And is my loose terminology, would you  
14          agree with that, that the difference between those  
15          two sets of compounds has to do with the location  
16          of the cyclopropane ring in that area of the  
17          molecule?

18          A        Yes. These two structures differ by  
19          the location of the cyclopropyl ring.

20          Q        And the one on top has a  
21          4,5-cyclopropane ring where the one on the bottom  
22          has a 3,4-cyclopropane ring?

1           A       Actually that doesn't look to be  
2       numbered that way in this particular patent.  But  
3       in general the terminology we have been using on  
4       the case, yes.  This is the 4,5- -- the upper one  
5       is the 4,5- and the lower one is the 3,4-.

6           Q       Thank you.

7                   Now, with respect to the class of  
8       compounds at the bottom, what we have called the  
9       3,4-cyclopropane compounds, there is no data in  
10      the patent relating to in vitro activity of that  
11      class of compounds relating to DPP-4, correct?

12          A       That's correct.  There is no specific  
13      in vitro data on these compounds.

14          Q       No Ki, no IC50?

15          A       No Ki, no IC50 on any of these  
16      compounds.

17          Q       With respect to -- with respect to  
18      in vivo data, there is no in vivo data on these  
19      compounds in the patent?

20          A       That's right.  No in vivo data in the  
21      patent on these compounds.

22          Q       And there is no information relating

1 to potential activity of potential metabolite of  
2 any of these compounds in the patent?

3 A That's correct, no metabolite  
4 information for these compounds.

5 Q And there is no data provided in the  
6 patent relating to interaction of any of those  
7 compounds with the DPP-4 enzyme, correct?

8 A That's correct.

9 Q And there is no data relating to  
10 safety of those compounds?

11 A That's correct. No safety data on  
12 these compounds in the patent.

13 Q No efficacy data in the patent about  
14 these compounds?

15 A There is no efficacy data in the  
16 patent on these compounds.

17 Q Under your analysis those compounds  
18 would be a failure, correct?

19 A None of these are FDA approved, thus a  
20 failure.

21 Q Let's talk about saxagliptin for a  
22 moment. In terms of the saxagliptin molecule,

1       there is no data in the patent relating to in vivo  
2       activity of saxagliptin against DPP-4, is there?

3           A       No, there is no in vivo data on  
4       saxagliptin in the patent.

5           Q       And there is no information in the  
6       patent relating to in vivo activity of  
7       saxagliptin, correct?

8           A       That is correct. No in vivo data on  
9       saxagliptin is reported in the patent.

10          Q       And there is no information regarding  
11       the activity of any metabolite of saxagliptin  
12       against DPP-4?

13          A       That's correct. There is no  
14       metabolite --

15          Q       And there is no data -- I'm sorry, did  
16       I interrupt you?

17          A       Yes, you did.

18          Q       I'm sorry. Please finish your answer.

19          A       As I was saying, there is no  
20       metabolite data for the compound in the patent.

21          Q       Thank you. If I ever do that again,  
22       feel free -- I will try my best not to interrupt

1       you.

2           A       Thank you.

3           Q       There is no data in the patent  
4 relating to the interaction of saxagliptin with  
5 the DPP-4 enzyme, correct?

6           A       That's correct. No data about how  
7 saxagliptin interacts with the enzyme as presented  
8 in the patent.

9           Q       And there is no safety data related to  
10 saxagliptin in the patent?

11          A       Correct.

12          Q       And there is no efficacy data on  
13 saxagliptin in the patent?

14          A       That's correct, no efficacy data on  
15 saxagliptin in the patent.

16          Q       And under your analysis in this case,  
17 saxagliptin is a success?

18          A       So saxagliptin is FDA approved and  
19 thus a success, yes.

20          Q       Now, as of 2000, Dr. Weber, DPP-4 was  
21 a feasible approach to developing a type 2  
22 diabetes treatment, correct?

1           A       So as of 2000 while there were many  
2       issues that still remained to be answered, people  
3       were looking at DPP-4 as a potential treatment for  
4       type 2 diabetes.

5           Q       In fact, you yourself was spearheading  
6       a group at Merck that was targeting DPP-4 as a  
7       type 2 diabetes treatment, correct?

8           A       Starting in 2000, yes, that's correct.

9           Q       And as of 2000 potent DPP-4 inhibitors  
10      were known in the art, correct?

11          A       Yes. There were many DPP-4 inhibitors  
12      that were known in the art.

13          Q       Now, your work at Merck on the DPP-4  
14      program, that was the project that led to the  
15      development of sitagliptin, correct?

16          A       That is correct.

17          Q       And I think we established that  
18      sitagliptin was the first marketed DPP-4 inhibitor  
19      for type 2 diabetes in the U.S., correct?

20          A       That's correct. It was the first FDA  
21      approved DPP-4 inhibitor.

22          Q       Now, even after sitagliptin had been



1 discovered, that wasn't the end of your work at  
2 Merck on that program, was it?

3 A No. We continued working on that  
4 program.

5 Q And, in fact, that work continued for  
6 probably ten years or more following the discovery  
7 of sitagliptin, correct?

8 A Some -- so that would be roughly the  
9 time frame, yes.

10 Q And as of 2000 you understood that  
11 other companies were actively developing DPP-4  
12 inhibitors to treat type 2 diabetes, right?

13 A So I had reviewed the literature, so I  
14 was aware, for example, that Novartis had a  
15 program.

16 Q And Probiodrug had a program?

17 A I was aware of Probiodrug's program  
18 also.

19 Q And you were aware also of some  
20 academic groups that were prospecting the DPP-4  
21 space as well?

22 A There were a number of publications

1 from academic groups on DPP-4.

2 Q Now, you disagree with Dr. Rotella's  
3 opinions in this case; is that fair?

4 A That's correct.

5 Q Okay.

6 And you disagree with Dr. Rotella's  
7 selection of a lead compound in this case; is that  
8 correct?

9 A That's correct. I do not agree with  
10 his selection of a lead compound.

11 Q Now, Dr. Rotella has identified a  
12 particular compound from one of Dr. Ashworth's  
13 publications as the lead compound; is that  
14 correct?

15 A That's correct.

16 Q Okay.

17 MR. CARSTEN: I'm going to mark this,  
18 or put it in front of you just so you have it. I  
19 will give you what is marked as Exhibit 1007.

20 (Previously marked Mylan Exhibit No.  
21 1007, first referral.)

22 MR. LIVINGSTONE: Thank you.

1 BY MR. CARSTEN:

2 Q This is the Ashworth publication from  
3 which Dr. Rotella selects his lead compound; is  
4 that correct?

5 A That is correct.

6 Q And you reviewed this article back in  
7 the 2000s when you were working in this space,  
8 correct?

9 A Correct. That is one of the articles  
10 that I reviewed when I first started working on  
11 the DPP-4 program.

12 Q And you know that Dr. Rotella was  
13 also, as of 2000, was working in the DPP-4 space,  
14 correct?

15 A I'm not aware of the exact dates that  
16 he worked on DPP-4, but I am aware that he works  
17 for BMS and did work on the DPP-4 project.

18 Q Now, when you started working in the  
19 DPP-4 space at Merck, you had to start somewhere,  
20 right?

21 A That's correct. We had to start  
22 somewhere.

1 Q And among the first things you did was  
2 to in-license two compounds from Probiodrug,  
3 correct?

4 A That's correct.

5 Q And those are the P3298 compound and  
6 its alloisomer, correct?

7 A That's correct. We in-licensed P3298  
8 and the alloisomer from Probiodrug.

9 Q Now, you've worked with a large number  
10 of medicinal chemists over the course of your  
11 career; is that correct?

12 A Again, it depends on what you mean by  
13 large, but yes, I have worked with many medicinal  
14 chemists over the course of my career.

15 Q And you understand that medicinal  
16 chemists can choose different starting points for  
17 their project, correct?

18 A I understand that there may be more  
19 than one starting points and medicinal chemists  
20 may choose different starting points.

21 Q In fact, you yourself in the Merck  
22 program chose both P3298 and its alloisomer to

1 carry forward, correct?

2 A We chose P3298 and we also in-licensed  
3 the allo compound.

4 Q And you were developing or working  
5 with the allo compound as well in tandem with  
6 P3298?

7 A It depends on what you mean by in  
8 tandem, but P3298 was our lead compound and the  
9 alloisomer was further behind.

10 Q But you were also actively developing  
11 that, too, correct?

12 A We had -- so again, by actively  
13 developing you mean were we doing clinical trials  
14 with that compound, no. But we were doing some  
15 preclinical studies on that compound.

16 Q Right.

17 And while you were working with the  
18 P3298 and its alloisomer, you also looked at the  
19 literature and tried to determine what the most  
20 potent compounds were, correct?

21 A When I initiated the, or when I  
22 started working on the program, yes. I surveyed

1 the literature not necessarily to understand what  
2 the most potent compounds were, but I did acquire  
3 that information as I surveyed the literature.

4 Q You are familiar with a publication  
5 co-written by you and Nancy Thornberry?

6 A Yes, I'm quite familiar with that  
7 publication.

8 Q And --

9 A Well, I guess it depends on which one  
10 you are referring to. But I assume you are going  
11 to show it to me.

12 Q I'm going to show it to you right now.

13 MR. CARSTEN: Exhibit 2161.

14 (Previously marked AstraZeneca Exhibit  
15 No. 2161, first referral.)

16 A Yes, I'm familiar with this  
17 publication as I co-wrote it.

18 BY MR. CARSTEN:

19 Q Now, I'm looking -- and this is a  
20 document that you considered in connection with  
21 your opinions in this case?

22 A This certainly is a document that I

1 re-reviewed as part of my opinion, but obviously  
2 this was my opinion when I wrote -- I mean, this  
3 was a document I wrote, yes. It was not in the  
4 prior art so it wasn't considered as part of my  
5 opinion of obviousness.

6 Q Okay.

7 And looking at Page 4 of 12 in the  
8 left-hand column you wrote -- I'm in the second  
9 paragraph up from the bottom -- "While we were  
10 waiting for results from our internal screening  
11 efforts we identified, we initiated SAR studies  
12 based on the known alpha amino acid derived  
13 inhibitors. The most potent inhibitor recorded in  
14 the literature that did not contain an  
15 electrophile was cyclohexylglycyl thiazolidide (7,  
16 Table 1) discovered by chemists at Ferring."

17 Do you see that?

18 A Yes, I see that.

19 Q Have I read that correctly?

20 A You have read that correctly.

21 Q And the Ferring group that you are  
22 referring to there is the one that resulted in the

1 Ashworth line of publications that we talked about  
2 in connection with this case; is that correct?

3 A So reference 27 is the Ashworth I  
4 publication, yes.

5 Q Okay.

6 Now --

7 MR. LIVINGSTONE: By Ashworth I you  
8 mean --

9 THE WITNESS: Exhibit 1007.

10 MR. LIVINGSTONE: Thank you.

11 BY MR. CARSTEN:

12 Q If you look at Table 2, which is also  
13 on Page 4 of 12 on Exhibit 2161, there is a series  
14 of compounds there. Correct?

15 A That's correct.

16 Q The first four of those have a sulphur  
17 in the ring, correct?

18 A That's correct.

19 Q The following three compounds do not  
20 have a sulphur in the ring. Instead they have a  
21 methylene unit where there is an X in the ring,  
22 correct?



1           A       That is correct.

2           Q       Now, this information that you are  
3       reporting here, this is all Merck information,  
4       correct?

5           A       So as we discussed at trial, this  
6       sentence actually has a number of mistakes in it.

7           Q       I'm not talking about the sentence.  
8       I'm talking about the table.

9           A       Right, and so the table, these  
10       compounds we synthesized at Merck. So -- can you  
11       just repeat your question because I thought you  
12       said that these were Merck compounds.

13          Q       The data that is reported -- let me  
14       change the question then.

15                   The data that is reported in Table 2  
16       were data that were generated at Merck in  
17       connection with its DPP-4 program?

18          A       That is correct. These are our data,  
19       the IC50 data from our assay tests.

20          Q       And the compounds that are being  
21       described here, compounds 7 through 16, those were  
22       compounds prepared at Merck in connection with its

1 DPP-4 program?

2 A That is correct. We prepared these  
3 compounds for our DPP-4 program.

4 Q Right, and these compounds were  
5 modeled off of or analogs of some of the compounds  
6 that were disclosed by the Ashworth group from  
7 Ferring, correct?

8 A I wouldn't characterize it as that.  
9 As we indicated in the paper, P3298 was our lead  
10 compound. It contained the key thiazolidine P1  
11 substituent. And we incorporated the teachings  
12 from this Exhibit 1007 into that P3298 lead, and  
13 that is how we ended up with compound 7. Then we  
14 analogued compound 7 and then later went back and  
15 made the -- which those are compounds, for  
16 example, 8, 9, 10, those are three of the many  
17 compounds that we made. Based on that, and then  
18 we went back later and made the pyrrolidine  
19 analogs.

20 Q So you went back later and took out  
21 the sulphur and replaced it with a methylene for  
22 that series of compounds; is that correct?

1           A       That's correct.

2           Q       Now, one of your criticisms of  
3       Dr. Rotella's selection of a lead compound is that  
4       a person of ordinary skill in the art would not  
5       have chosen the Ashworth compound 25 from  
6       Exhibit 1007 for fear that it may liberate a  
7       cyanide moiety; is that fair?

8           A       No.

9           Q       Well, if we turn to your report at  
10      Paragraph 117 -- let me know when you have that.

11          A       I have it.

12          Q       So am I incorrect when I say that one  
13      of your criticisms of Dr. Rotella's selection of  
14      the cyano-containing compound is concern about the  
15      potential for toxic cyanide release?

16          A       So the reason why I -- why I had  
17      issues with his selection of a compound had do  
18      with the fact that there were two compounds known  
19      at the time that had advanced into clinical  
20      studies where there was human clinical data, and  
21      that there was very limited data on compound 25  
22      including just stability and in vitro data.

1           Q     So let me make sure I understand. So  
2     you do not criticize Dr. Rotella's selection of  
3     Ashworth compound 25 for fear of toxic cyanide  
4     release; is that your testimony?

5           A     So when I was talking about the toxic  
6     cyanide release I was actually describing our  
7     program at Merck, and really explaining why we had  
8     elected not to have a nitrile in the molecule.

9           Q     And that is a concern that -- that  
10    doesn't have anything to do with your criticisms  
11    about Dr. Rotella's selection of compound 25 from  
12    Exhibit 1007; is that your testimony?

13          A     So there was no information -- there  
14    was no information. This was a hypothetical  
15    concern. And so my selection of a lead compound  
16    was really based on the data that was known in the  
17    prior art, which included clinical data on P3298  
18    and NVP-DPP728 and very limited data on the  
19    hundreds, probably thousands of other molecules  
20    that have been reported in the literature, among  
21    which compound 25 was one.

22          Q     Well, if you turn to Page 69 of your

1 report, Paragraph 155, that shows the structure of  
2 NVP-DPP728, correct?

3 A That's correct.

4 Q And NVP-DPP728 was one of the  
5 compounds that you identified as being a suitable  
6 lead compound that a person of skill in the art  
7 would have selected instead of Ashworth compound  
8 25, correct?

9 A That's correct. DPP728 had been  
10 advanced to clinical trials, and that is why I  
11 chose this as one of the two that would have been  
12 selected over compound 25.

13 Q Now, the same concerns that you had  
14 expressed about potential for toxic cyanide  
15 release also apply to NVP-DPP728, correct?

16 A As I mentioned, that was a  
17 hypothetical concern. However, it was known in  
18 the prior art that NVP-DPP728 had advanced to  
19 clinical trials. So I think it was safe to assume  
20 that Novartis was not seeing cyanide release with  
21 this compound.

22 Q So the potential for toxic cyanide

1 release of a nitrile-containing compound on the P1  
2 moiety, that's not a reason why somebody would  
3 have gravitated away from choosing Ashworth  
4 compound 25 as a lead compound, correct?

5 A So, as I said, my choice of a lead  
6 compound was based solely on the fact that two  
7 compounds had advanced into clinical studies. So  
8 as a medicinal chemist of ordinary skill in the  
9 art, it would be safe for me to assume that those  
10 compounds had sufficient safety data, sufficient  
11 efficacy data, sufficient in vitro potencies,  
12 stability, et cetera, in order for them to be  
13 developed as potential treatment for type 2  
14 diabetes.

15 Q And the portion of the molecule we are  
16 talking about here, the P1 portion, that is the  
17 same as the P1 portion in Ashworth compound 25,  
18 correct?

19 A So NVP-DPP728 has the same P1  
20 substituent as Ashworth 25.

21 Q Now, when you elected to initiate  
22 structure activity relationship studies with the

1 compounds such as those described in Table 2 of  
2 Exhibit 2161 --

3 A I think, I believe I said that we  
4 initiated structure activity relationship studies  
5 with P3298 and that led to the compounds in  
6 Table 2.

7 Q So you say in this article, "we  
8 initiated SAR studies based on the known alpha  
9 amino acid derived inhibitors. The most potent  
10 inhibitor reported in the literature which did not  
11 contain an electrophile was cyclohexylglycyl  
12 thiazolidide," right?

13 A I think you're missing the part in the  
14 paragraph before where I said, "we focused  
15 initially on identifying the 'Best in Class'  
16 compound by improving on the potency of isoleucyl  
17 thiazolidide," and we did that by incorporating  
18 the teachings of the Ashworth I paper.

19 Q Right.

20 So if a person of skill in the art  
21 relied upon Ashworth I to inform their development  
22 of a DPP-4 compound in the year 2000 time frame

1 that would not be unreasonable, would it?

2 A I think the reliance on Ashworth I  
3 that's a hypothetical question, and the reliance  
4 on Ashworth I would really depend on the context  
5 in which the person was developing their program.

6 Q But you yourself relied on the  
7 Ashworth publications in 2000 to inform your own  
8 development at Merck of a DPP-4 inhibitor,  
9 correct?

10 A We started with P3298 as our lead, and  
11 so that was an alpha amino acid reversible  
12 inhibitor. So I think in that case it was proven  
13 of us to rely on Ashworth I. However, if you  
14 would have started with NVP-DPP728, which is an  
15 N-linked DPP-4 inhibitor, then I don't think there  
16 is anything in Ashworth I, which are C-linked  
17 compounds, that's going to inform upon --

18 THE REPORTER: I'm sorry. I need you  
19 to slow down. Too many syllables. Thank you.

20 MR. LIVINGSTONE: You've got the  
21 hardest job here.

22 MR. CARSTEN: I'll second that.



1 THE REPORTER: I beg your pardon. I  
2 just can't keep up.

3 THE WITNESS: Okay.

4 THE REPORTER: Thank you.

5 A We started with P3298, which is a  
6 C-linked reversible DPP-4 inhibitor. And in that  
7 case it made sense for us to turn to Ashworth I to  
8 understand the teachings of Ashworth I because she  
9 also describes C-linked reversible DPP-4  
10 inhibitors.

11 However, if one had started with  
12 NVP-DPP728 as a lead compound, that compound is  
13 N-linked and so in that case you wouldn't turn to  
14 Ashworth I for guidance.

15 Q And just for clarity, Ashworth I is  
16 itself C-linked, correct?

17 A The compounds in Ashworth I are  
18 C-linked reversible DPP-4 inhibitors.

19 Q Now, you are familiar with a 1993  
20 paper to the Mentlein group?

21 A Yes.

22 Q And just for clarity, let me put that

1 in front of you.

2 (Previously marked AstraZeneca Exhibit  
3 No. 2096, first referral.)

4 MR. CARSTEN: It has been marked as  
5 Exhibit 2096.

6 MR. LIVINGSTONE: I'm sorry.

7 BY MR. CARSTEN:

8 Q You recognize this one?

9 A I do.

10 Q Now, so 1993, that's about seven years  
11 ahead of where the time period that we are talking  
12 about in connection with the time of invention or  
13 the priority date as you are applying it here,  
14 correct?

15 A That's correct.

16 Q And Mentlein is a discussion of  
17 inhibitors of DPP-4 enzyme, correct?

18 A That's not correct. He is discussing  
19 substrates in this paper.

20 Q And by substrates you're talking about  
21 things that DPP-4 will cut naturally, correct?

22 A If by -- well, if by naturally you

1 mean in vitro substrates?

2 Q Sure.

3 A He's discussing substrates or peptides  
4 which DPP-4 will cleave in vitro.

5 Q And if you look at the abstract on  
6 Page 1 of 7, the last line, it says, "The  
7 relevance of this finding for their inactivation  
8 and their determination by immunoassays is  
9 discussed." Correct?

10 A That is correct. He's talking about  
11 cleavage of the substrates to give inactive forms.

12 Q Figure 4 of Mentlein -- that's Page 5.  
13 Let me know when you have that, Dr. Weber.

14 A Yes.

15 Q So Figure 4 is a schematic that  
16 represents in sort of a cartoon fashion the  
17 binding pocket of DPP-4, correct?

18 A This is correct, and he's representing  
19 substrate binding in this scheme.

20 Q And in Figure 4, the legend, it says,  
21 "Proline and alanine fit in the hydrophobic P1  
22 substrate binding pocket," right?

1           A       That's correct.

2           Q       "Whereas serine appears to be too  
3 hydrophilic to yield appreciable binding."  
4 Correct?

5           A       That's correct.

6           Q       It goes on to say, "In the P2 position  
7 bulky amino acids with an obligate free amino  
8 group are preferred"?

9           A       Yes, bulky amino acids are preferred  
10 for substrates.

11          Q       You agree with me that an adamantyl  
12 group is bulky, right?

13          A       I would imagine that most medicinal  
14 chemists would consider adamantyl a bulky group,  
15 yes.

16          Q       And hydroxy adamantyl is also a bulky  
17 group to most medicinal chemists in October of  
18 2000?

19          A       Yes.

20          Q       And just to clarify the question  
21 before, put some time frame on that, as of October  
22 2000 most medicinal chemists would have considered

1 adamantyl to be a bulky group, correct?

2 A That is correct.

3 Q Now, proline has a five-membered ring  
4 containing nitrogen within it, correct?

5 A That's correct.

6 Q And you've seen, in the course of both  
7 your work at the time as well as the work that  
8 you've done in connection with this case, a number  
9 of references which discuss a preference in the P1  
10 position for a five-membered ring; is that fair?

11 A Yes. They are both in terms of  
12 substrates and inhibitors, a five-membered ring is  
13 preferred.

14 Q Okay.

15 MR. CARSTEN: Want to take a short  
16 break? We have been going about an hour.

17 (Recess taken -- 9:07 a.m.)

18 (After recess -- 9:21 a.m.)

19 BY MR. CARSTEN:

20 Q Welcome back, Dr. Weber.

21 A Thank you.

22 Q So now we were discussing the Mentlein

1 article, Exhibit 2096, when we broke. In terms of  
2 the Figure 4 legend, you don't disagree with what  
3 the Mentlein group is reporting here about proline  
4 and alanine fitting within the hydrophobic P1  
5 substrate binding pocket, do you?

6 A No. Again, they are talking about  
7 substrates, and substrates with proline and  
8 alanine at P1 are known substrates of DPP-4.

9 Q And you don't disagree that, "In the  
10 P2 position, bulky amino acids with an obligate  
11 free amino group are preferred," either? That's  
12 all correct?

13 A That's what they report and I have no  
14 reason to doubt that.

15 Q Okay.

16 Now, you are also aware that in the  
17 prior art there was a use in connection with a  
18 DPP-4 inhibitor compound of an adamantyl hydroxy  
19 substituent, right?

20 MR. LIVINGSTONE: Objection, form.

21 A So I'm sorry, can you --

22 Q I will rephrase it.

1           A     Yes.

2           Q     You are aware in the prior art there  
3           is an example of a DPP-4 inhibitor compound that  
4           contained hydroxy adamantyl moiety, correct?

5           A     Yes, I'm aware of the patent  
6           literature and there were patents -- there was a  
7           Villhauer patent, for example, that contained a  
8           hydroxy adamantyl moiety.

9                   MR. CARSTEN: And just for the record,  
10           I will put in front of you Exhibit 2013.

11                   (Previously marked AstraZeneca Exhibit  
12           No. 2013, first referral.)

13                   MR. LIVINGSTONE: Thank you.

14           BY MR. CARSTEN:

15           Q     And is this the -- this is the  
16           Villhauer '063 patent; is that correct?

17           A     That's correct.

18           Q     And this is a document that you  
19           considered in connection with your work in this  
20           case?

21           A     So this is a document that I was well  
22           aware of when, at the time and that I also

1 considered in this case.

2 Q And if you turn to Column 7 there is  
3 an Example 1?

4 A Yes.

5 Q And that, is the compound depicted  
6 there is the compound that subsequently became  
7 known as vidagliptin, correct?

8 A That's correct.

9 Q And that contains a hydroxy adamantyl  
10 moiety?

11 A That's correct, Example 1 contains an  
12 hydroxy adamantyl moiety.

13 Q Okay, thank you.

14 Now, you are aware of a work by  
15 Dr. Augustyns and his group in connection with  
16 DPP-4 inhibitors, correct?

17 A That's correct.

18 MR. CARSTEN: I will hand you document  
19 Exhibit Number 2151.

20 (Previously marked AstraZeneca Exhibit  
21 No. 2151, first referral.)

22



1 BY MR. CARSTEN:

2 Q I think we discussed this when we were  
3 together last time at trial. Is this a document  
4 that you reviewed both at the time in connection  
5 with your work then and also in connection with  
6 your work relating to the case?

7 A Yes, it is.

8 Q And this is the 1997 Augustyns  
9 reference, correct?

10 A That's correct.

11 Q There is a second Augustyns  
12 publication as well that we discussed at trial,  
13 and this is Exhibit 2007.

14 (Previously marked AstraZeneca Exhibit  
15 No. 2007, first referral.)

16 MR. LIVINGSTONE: Thank you.

17 BY MR. CARSTEN:

18 Q I think we spent some quality time  
19 discussing this one as well when last we spoke,  
20 correct?

21 A I wouldn't phrase it like that, but  
22 yes. This came up at trial as well.

1 MR. LIVINGSTONE: I wasn't going to  
2 object but ...

3 Q This is a document that you were aware  
4 of back during your time on the DPP-4 project at  
5 Merck, and then again reviewed in connection with  
6 your work in this case, correct?

7 A That's correct.

8 Q Now, this Augustyns reference, if I  
9 can frame it this way, this is a review article?

10 A Yes. The Augustyns '99 reference is a  
11 review article.

12 Q Right, and when I'm talking about this  
13 one, I'm talking about -- let's call Exhibit 2007  
14 the Augustyns '99 article if we might. Is that  
15 all right?

16 A Absolutely.

17 Q Now, there is a section in the  
18 Augustyns 1999 review article describing  
19 competitive reversible inhibitors.

20 Do you see that?

21 A I do.

22 Q And in the section on competitive

1 reversible inhibitors there are about ten  
2 compounds identified as exemplars of competitive  
3 reversible inhibitors for DPP-4, correct?

4 A I believe that is correct.

5 Yes, compounds 1 through 10.

6 Q And there is also a much longer HIV-1  
7 Tat protein that is discussed in the competitive  
8 inhibitor, competitive reversible inhibitor  
9 section, but that's a different approach to  
10 inhibiting DPP-4 all together in terms of using a  
11 protein, correct?

12 A So that -- I think that it's fair to  
13 say that a protein would be a different approach  
14 from a small molecule, yes.

15 Q And what we are talking about here is  
16 the small molecule approach in connection with  
17 this case, correct?

18 A That's correct.

19 Q All right.

20 So of compounds 1 through 10, they are  
21 depicted in Figure 4 on Page 5 of 17 of the  
22 Augustyns 1999 review article, correct?

1           A       That's correct.

2           Q       And in terms of the P1 position, each  
3 of the compounds 1 through 10 contains a  
4 five-membered ring containing a nitrogen; is that  
5 fair?

6           A       That's not correct.

7           Q       I apologize. Compound 10 does not.  
8 Thank you.

9                    So compounds 1 through 9 all have the  
10 five-membered ring containing a nitrogen, correct?

11          A       That's correct.

12          Q       And even compound 10 has a  
13 five-membered ring, it just isn't a nitrogen  
14 containing five-membered ring; is that correct?

15          A       That is correct.

16          Q       Two of the ten compounds have a  
17 five-membered ring that has a cyano group attached  
18 to it, correct?

19          A       Compound 9 has a cyanoproline, and as  
20 we said, compound 10 without a nitrogen also has a  
21 nitrile.

22          Q       And the Augustyns reference in 1999

1 was referencing what had been, what the state of  
2 the art at that time was with respect to  
3 competitive reversible inhibitors that had been  
4 published, correct?

5 A This paper describes his view of the  
6 DPP-4 world in 1999, yes.

7 Q And you have no reason to disagree  
8 with his assessment of the DPP-4 world as of 1999,  
9 right?

10 A No, and I think, I guess, one  
11 clarification is it is not really clear exactly  
12 when he wrote this paper and submitted it, so it  
13 could be prior to 1999. It was published in '99.

14 Q Now, some of the, compounds 3 and  
15 compound 5, they include sulphur in the  
16 five-membered ring, correct?

17 A That's correct. So compound 3 is  
18 P3298 and compound 5 is an analog.

19 Q And in fact some of the compounds here  
20 are similar to those that had been worked upon at  
21 the Ferring group as well, correct?

22 A Compound -- yes. So compound I think

1 4, compound 6, 8 and 9 were from the Ashworth  
2 publications.

3 Q Okay.

4 Compounds 1 through 10, can you tell  
5 me which of those are primary amines?

6 A All of compounds 1 through 10 contain  
7 primary amines.

8 Q And the compound, the first compound  
9 that you -- strike that.

10 The sitagliptin compound that you  
11 developed, that contains a primary amine as well;  
12 is that correct?

13 A That's correct.

14 Q Now, as of 2000 a number of the known  
15 DPP-4 inhibitors were known in the art to be  
16 susceptible to intramolecular cyclization; is that  
17 correct?

18 A It was known that compounds, DPP-4  
19 inhibitors that contained electrophiles were prone  
20 to cyclization because of the requirement for the  
21 amine.

22 Q And you are aware that as of 2000 one

1 approach for flattening a five-membered ring would  
2 be to add a cyclopropane moiety to it; is that  
3 fair?

4 MR. LIVINGSTONE: Objection, form.

5 A If you are referring to the approach  
6 described in the context of ACE inhibitors, there  
7 was a paper by Hanessian that described flattening  
8 of a pyrrolidine by the addition of a cyclopropyl  
9 in the context of inhibitors of a different enzyme  
10 and attempt to convert an enzyme.

11 MR. CARSTEN: I'm going to hand to you  
12 Exhibit 1010.

13 (Previously marked Mylan Exhibit No.  
14 1010, first referral.)

15 BY MR. CARSTEN:

16 Q This is a paper by Hanessian, correct?

17 A That is correct.

18 Q And this is prior art; is that  
19 correct?

20 A This is published in 1997 so this is  
21 prior art.

22 Q And the Hanessian reference on the

1 first page in the second paragraph down says,  
2 "Conformationally constrained analogs of proline  
3 have been used extensively in connection with  
4 peptidomimetic research."

5 Do you see that?

6 A I see that.

7 Q What is peptidomimetic research?

8 A Peptidomimetics was an approach to  
9 converting peptides, and these would be typically  
10 very large peptides, into more small molecule-like  
11 inhibitors in an effort to make them orally  
12 bioavailable and efficacious when given orally.

13 Q And peptidomimetic research would also  
14 include an approach to designing a small molecule  
15 that competitively inhibits an enzyme, correct?

16 A If the enzyme had a peptide as a  
17 natural inhibitor, for example, then you could use  
18 peptidomimetic research to mimic that peptide in  
19 an effort to convert it to a small molecule.

20 Q And I want to make sure that I'm not  
21 hung up on nomenclature with you, Dr. Weber.

22 A Okay.



1           Q     You said something in your answer and  
2     I would like to confirm that I understood it  
3     correctly.  Okay?

4           A     Um-hmm.

5           Q     Is that a yes?

6           A     That's a yes.

7           Q     You can also apply peptidomimetic  
8     research strategies where an enzyme has as its  
9     natural substrate a peptide, and you are designing  
10    something to competitively inhibit that enzyme,  
11    correct?

12          A     So if you're suggesting that you could  
13    start with a substrate and apply peptidomimetic  
14    approaches to make an enzyme inhibitor, for  
15    example by converting the scissile amide bond to a  
16    peptidomimetic-like structure, yes, that would be  
17    one approach that somebody might choose to use in  
18    the context of an enzyme inhibitor program.

19          Q     And this Hanessian paper is not  
20    specific to ACE inhibitors, is it?

21          A     I believe this one is not specific to  
22    ACE inhibitors.  He had some additional papers

1 that or at least one paper where he applied this  
2 approach to the context of ACE inhibitors.

3 Q As part of a peptidomimetic research  
4 approach to ACE inhibitors?

5 A I would have to look at that paper. I  
6 don't believe I would exactly -- that was not a --  
7 he wasn't starting with a peptide. He was  
8 starting with a small molecule. So I wouldn't say  
9 that he was applying peptidomimetic research in  
10 that case because he already had a small molecule.

11 Q Now, in connection with the Augustyns  
12 1999 paper, I think we identified two compounds  
13 that had a five-membered ring but also contained a  
14 sulphur in the ring?

15 A If you're referring to --

16 Q The review article.

17 A The Augustyns 1999, we had discussed  
18 compound 3 and compound 5 which contained sulphur  
19 in the five-membered ring, yes.

20 Q And both of those five-membered ring  
21 compounds that contained the sulphur had  
22 reportedly good potency; is that fair?

1           A     I don't believe that he reports  
2     potency in this particular publication.

3           Q     I don't believe he does. I'm asking  
4     just if you, if that's consistent with your  
5     recollection that the five-membered rings  
6     containing the sulphur exhibited reasonable or  
7     good potency?

8           A     I think it would depend on what you  
9     meant by reasonable or good potency because I  
10    believe in the Hanessian -- at least compound 3 is  
11    reported in the Augustyns 1997 paper, and he's  
12    talking about for most of his data is IC50s which  
13    is different from a Ki. So I think his IC50  
14    numbers are micromolar, but I think it would be  
15    fair to say that compound 3, which is P3298. Had  
16    sufficient potency. Obviously that compound  
17    advanced into human clinical trials so I would say  
18    that compound had sufficient potency to advance to  
19    the clinic.

20          Q     Well, you are aware also that  
21    Dr. Ashworth reported some either Ki or IC50  
22    values on compounds that had the five-membered

1 ring structure with a sulphur in it as well,  
2 correct?

3 A She reported data on that nitrile,  
4 cyanothiazolidide.

5 MR. CARSTEN: I will hand you a  
6 document identified as 2001, Exhibit 2001.

7 (Previously marked AstraZeneca Exhibit  
8 No. 2001, first referral.)

9 MR. LIVINGSTONE: Thank you.

10 BY MR. CARSTEN:

11 Q And is this the paper that you were  
12 just referring to about Dr. Ashworth reporting on  
13 the cyano compounds that also contained a sulphur  
14 moiety?

15 A That is correct.

16 Q And the fact that a sulphur-containing  
17 five-membered ring exhibited a Ki here, 0.41  
18 nanomolar, that is good potency, right, for  
19 compound 3?

20 A Again, good is kind of a loose term  
21 but I would imagine that most medicinal chemists  
22 would consider a Ki of less than 1 nanomolar as

1 being good potency.

2 Q Subnanomolar is pretty good potency  
3 generally, all things being equal, correct?

4 A I would agree with that.

5 Q And sulphur is larger than a methylene  
6 group, right?

7 A Well, I think what we can say about  
8 sulphur is that the sulphur-carbon bond is longer  
9 so that if you have -- so a methylene group  
10 actually contains three atoms, carbon attached to  
11 hydrogens as opposed to just a sulphur atom. I  
12 wouldn't want to comment on the overall structure  
13 of a methylene group compared to a sulphur, but I  
14 think your point is that a sulphur-carbon bond is  
15 longer than a carbon-carbon bond.

16 Q So this type of  $K_i$  value suggests to a  
17 person of skill in the art that there is tolerance  
18 for bigger groups within the five-membered ring;  
19 is that fair?

20 A I think what this would suggest is  
21 that a sulphur in that particular location in the  
22 five-membered ring is tolerated. The data taken

1 as a whole suggests that it's -- it doesn't really  
2 have to do with the actual size of the ring  
3 because she presents data on another compound that  
4 doesn't show any change in potency.

5 Q And which compound are you talking  
6 about there?

7 A I'm talking about compound 4 which  
8 within the error of the assay is identical to the  
9 pyrrolidine analog 5.

10 Q And that's the 3-position, correct?

11 A So compound 4 contains sulphur in the  
12 3-position. And compound 3 contains sulphur in  
13 the 4-position.

14 Q Okay.

15 Now, you are aware that -- we could go  
16 to an article, but it's probably easier to go to  
17 your declaration at Page 55 of 129.

18 THE REPORTER: I'm going to have to  
19 ask you to please take a break.

20 MR. CARSTEN: Okay.

21 (Recess taken -- 9:42 a.m.)

22 (After recess -- 10:01 a.m.)

1 BY MR. CARSTEN:

2 Q So Dr. Weber, right before we broke I  
3 was about to direct you to a portion of your  
4 report, and that's at Page 55 of 129.

5 A I got it.

6 Q There is a series of compounds here  
7 depicted. There is compound 3 which is sort of  
8 the starting point, and then from there you've got  
9 a number of analogs, each of which have a  
10 substituent at the 3-position of the cyclopropane  
11 ring, correct?

12 A That's correct.

13 Q Okay.

14 Now, these compounds, if I'm not  
15 mistaken, are taken from -- and the data presented  
16 on these compounds is taken from the Augustyns  
17 1997 article that I handed you before; is that  
18 right?

19 A That is correct.

20 Q Okay. I would like to -- and, to the  
21 best of your knowledge, the data and the compound  
22 structures that I presented here are correct?

1           A     Yes.

2           Q     Okay.

3                     You've done a very nice service here  
4     and you depicted all the compounds instead of, you  
5     know, the Augustyns paper. In every case a  
6     substituent in the 3-position, perhaps with the  
7     exception of fluorine, demonstrates a reduction in  
8     IC50; is that correct?

9           A     That is correct.

10          Q     And with respect to the fluorine  
11     substituent at the 3-position, it's a difference  
12     of 27 micromolar versus 21 micromolar with  
13     fluorine exhibiting 27 micromolar IC50?

14          A     I would need to check the paper for  
15     the exact number.

16          Q     If you would like to. I did hand that  
17     to you previously, and that's Exhibit 2151. And  
18     feel free to do that.

19          A     So Augustyns presents two compounds  
20     with, it looks like two compounds with fluorine.  
21     I think that's -- is that a fluorine? This is a  
22     very poor copy but I'm pretty sure it's -- let me



1 just double-check. So compound 17b is definitely  
2 a fluorine, and it looks like it's the 2 epimers.  
3 And so for that compound, and I can't see for 18c  
4 which may be a single isomer of fluorine but it's  
5 hard to tell from this reproduction.

6 So for compound 17b it's 27  
7 micromolar, which is similar to the unsubstituted  
8 compound 3 with the EC50 -- excuse me, an IC50 of  
9 21 micromolar.

10 Q Okay.

11 Now, staying within the Augustyns 1997  
12 reference at Page 3 of 9, Dr. Augustyns and his  
13 group report at Page 3 of 9 in the right-hand  
14 column, second paragraph down, "Introduction of a  
15 substituent at 3-position of the pyrrolidine ring,  
16 (fig 3, (16B through 23B), generally decreased the  
17 inhibitory activity (Table 1)." Correct?

18 A That is correct.

19 Q And he goes on to say, "Only a small  
20 substituent such as fluorine, isosteric to  
21 hydrogen, is allowed." Correct?

22 A Correct.

1           Q     And that conclusion, as far as you can  
2     tell, is premised upon consideration of the  
3     compounds and the resulting data that we just  
4     discussed as depicted in your Page 55 of 129 in  
5     your declaration.

6           A     Yes.

7           Q     Okay.

8                     Now, I would like to talk to you a  
9     little bit about one of the changes that  
10    Dr. Rotella suggests a person of ordinary skill in  
11    the art would have made to the Ashworth compound  
12    25, lead compound. I understand you disagree with  
13    Dr. Rotella's selection of a lead compound. Okay?

14          A     Yes.

15          Q     So I'm asking you to put that behind  
16    you and assume for the line of questions that a  
17    person of skill in the art for some reason would  
18    have gotten to Ashworth 25 as a lead. Do you  
19    understand that?

20          A     I do understand it.

21          Q     Okay. And by answering these  
22    questions you're not suggesting that you agree

1 with that. I understand you disagree; is that  
2 fair?

3 A That is fair.

4 Q Okay.

5 Dr. Rotella suggests that a person of  
6 skill in the art would choose to make the  
7 five-membered ring portion of the Ashworth 25 lead  
8 compound into a bicyclic structure. Do you  
9 understand that?

10 A He suggests that you would fuse a  
11 cyclopropyl to the pyrrolidine ring which would  
12 give you a bicyclic.

13 Q Thank you.

14 If a person were interested in making  
15 a bicyclic ring on that section of the Ashworth 25  
16 lead compound, the smallest possible bicyclic  
17 structure would necessarily include appending a  
18 cyclopropane ring onto that structure; is that  
19 correct?

20 A If you were going to maintain the  
21 five-membered ring pyrrolidine and make a bicycle  
22 then appending a cyclopropyl ring would be the

1       smallest.

2               Q       And you agree with me that the  
3       literature contained directives suggesting that  
4       maintaining a five-membered ring structure would  
5       be important to maintaining potency; is that fair?

6               A       I believe that, in fact, Augustyns  
7       suggested that a saturated five-membered ring  
8       compound was preferred.

9               Q       And in terms of substrates, Mentlein,  
10       even as far back as 1993, was saying in the  
11       context of substrates that proline was something  
12       that fit well in the binding pocket, correct?

13              A       What he was saying in terms of  
14       substrates that a proline containing substrate was  
15       cleaved efficiently by the enzyme.

16              Q       Now, if you were going to append a  
17       cyclopropane to the ring structure of Ashworth 25,  
18       there are five possible configurations in which  
19       you could append that cyclopropane ring, correct?

20              A       If you were assuming that we are going  
21       to keep the nitrile in the same position, then  
22       there are five different ways that you could

1       append a cyclopropyl ring to the pyrrolidine.

2               Q       Now, if you were also taking the  
3       Augustyns 1997 work and applying the conclusion  
4       that Dr. Augustyns and the Augustyns group  
5       reported in 1997 that for position 3 you prefer a  
6       hydrogen or an isostere of hydrogen, that  
7       eliminates three of the possible configurations  
8       upon which you would append the cyclopropane ring,  
9       correct?

10              A       I would not agree with that. As we  
11       discussed at trial, the -- what Augustyns -- how a  
12       medicinal chemist would view this is that these  
13       five-membered rings could sample -- he's  
14       suggesting that you cannot attach an appendage to  
15       a carbon that is not attached to the nitrogen. In  
16       other words, while his compounds are 3  
17       substituted, the enzyme actually samples two  
18       configurations of that compound, of these  
19       compounds because the ring can flip over, so  
20       essentially you're sampling the 3- and the 4-  
21       position.

22                      So a medicinal chemist would view

1       these data and would understand that Augustyns was  
2       suggesting that putting an appendage, substituting  
3       at one of the two carbons that is not attached to  
4       nitrogen would not be preferred.

5           Q       So is it your -- let me see if I  
6       understand this. Is it your testimony that the 3-  
7       and the 4- positions are impacted by the Augustyn  
8       series of compounds testing the 3-position that we  
9       just discussed in your report at Page 55 of 129?

10          A       I don't think I would phrase it  
11       exactly that way. What I'm suggesting is that  
12       Augustyns is showing that you cannot substitute on  
13       the carbon that is not attached to nitrogen. And  
14       so because you have two configurations of that  
15       pyrrolidine ring, if you flip it over and keep the  
16       numbering the same it's actually the 4-position.  
17       It's a little bit confusing because it's really  
18       based on how you number the ring, but the enzyme  
19       samples both configurations of the molecule.

20          Q       With respect to the Ashworth series of  
21       compounds, Ashworth discloses, as Villhauer  
22       discloses, a preference for one orientation of the

1 cyano nitrile substituent, correct?

2 A I think it's generally understood in  
3 the art that there is a preferred -- well,  
4 obviously it was generally understood in the art  
5 that there was a preferred configuration for the  
6 nitrile, yes.

7 Q And if you have a nitrile in one  
8 configuration, would you agree with me that a  
9 person of skill in the art would understand that  
10 the enzyme was preferentially sampling that  
11 substrate or that molecule in one orientation?

12 A That is correct. These compounds lack  
13 the nitrile so the enzyme can sample both  
14 configurations.

15 Q Right. What I'm suggesting or what  
16 I'm asking you, though, is in the compound that's  
17 premised upon Ashworth compound 25 which has a  
18 nitrile in a preferred configuration, does  
19 Augustyn's teaching apply to both carbons 3 and 4?

20 A Yes. It -- yes, it does. With the  
21 nitrile being at position 2, just so we are clear  
22 how we are numbering it.

1           Q       So notwithstanding the fact that  
2       Augustyns group reports introduction of a  
3       substituent at 3-position of the pyrrolidine ring,  
4       your testimony and opinion is that a person of  
5       ordinary skill in the art applying this to the  
6       Ashworth series of compounds would read that as  
7       applying to both carbons 3 and 4?

8           A       That is correct. It's a convention of  
9       how a chemist numbered it. So a medicinal chemist  
10      would understand that what the data suggests is  
11      that you cannot substitute on those two carbons  
12      that are not attached to nitrogen. And we are not  
13      talking about nitriles, just to be perfectly  
14      clear. The cyano group is a different story.  
15      That is at the 2-position. I'm not referring to  
16      the cyano group in this analysis.

17          Q       I thought the question did require you  
18      to consider the nitrile group.

19          A       What I'm saying is that Augustyns is  
20      not saying that you can't have a nitrile at the  
21      2-position. So when I say that you cannot  
22      substitute, make a substitution at the carbons



1 that are not attached to nitrogen I'm not meaning  
2 to imply that you -- I'm not meaning to imply that  
3 you cannot have a nitro at the 2-position.  
4 Certainly you can have a nitrile at the  
5 2-position.

6 Q Well, Dr. Augustyns, Page 3 in the  
7 paragraph above says, "Therefore, we believe that  
8 the S-1 subsite of DPP-4 ideally fits a  
9 five-membered saturated ring."

10 Do you see that?

11 A Yes.

12 Q Now, the five-membered ring that  
13 contains a nitrile substituent is not a saturated  
14 ring, is it?

15 A Oh, it absolutely is a saturated ring.

16 Q So a saturated ring can still have a  
17 substituent?

18 A Absolutely.

19 Q So a cyclopropane appended  
20 five-membered ring is still a saturated  
21 five-membered ring?

22 A A cyclopropane appended ring is a

1 saturated ring with a substituent, and -- at  
2 those -- with a substituent that would be at the  
3 3-position, yes.

4 Q Okay.

5 Or at the 4,5-position?

6 A As I said, you're sampling those -- it  
7 depends on where you put the cyclopropyl ring.  
8 But for the purposes of the Augustyns analysis,  
9 what Augustyns is saying is that it's not  
10 preferred to have a substituent at one of those  
11 two carbons that are not attached to nitrogen.

12 And all of the five-membered rings  
13 that you would be -- all of the cyclopropyl  
14 fusions contain a substituent at one of those two  
15 carbons. You cannot make a five-membered -- you  
16 cannot fuse a cyclopropyl to this five-membered  
17 ring without adding a substituent to one of the  
18 two carbons, which these data suggest would not be  
19 preferred.

20 Q I understand your opinion.

21 A Okay.

22 Q And in the same spirit of fairness

1 that I offered you, I don't agree with you but I  
2 understand it now.

3 A Okay, thank you.

4 Q Now, in your declaration you talked  
5 about some of the six-membered rings that were  
6 tested in the prior art and conclude that the  
7 six-membered rings exhibited potency that was less  
8 than those exhibited in the five-membered rings,  
9 generally speaking, correct?

10 A The six-membered rings containing  
11 inhibitors were generally less potent, yes.

12 Q And you understand that a  
13 cyclopropionated five-membered ring would have a  
14 different space and shape than a six-membered  
15 ring, correct?

16 A Well, it certainly is a six-membered  
17 ring, but by virtue of the two carbons being fused  
18 together it would have a slightly different  
19 overall shape.

20 Q So it would have a different shape in  
21 space, correct?

22 A It would have a slightly different

1 shape, yes.

2 Q What do you mean by slightly?

3 A It would still be a six-membered ring.

4 You can draw a six-membered ring, but because the

5 two carbons are fused, the two carbons are not

6 exactly in the same location as in the

7 six-membered ring. They would have a different, a

8 slightly different shape.

9 Q They would be closer together and have  
10 a bond between them, correct?

11 A They would have a bond between them,  
12 yes.

13 Q And they would be closer together?

14 A Because of the virtue of the bond. In  
15 a six-membered ring there is no bond between those  
16 two carbons, so they would be slightly farther  
17 apart.

18 Q In the bicyclic context they would be  
19 closer together, those two bonds?

20 A Yes.

21 Q Thank you.

22 Now, one of your criticisms of

1 Dr. Rotella is found in the Magnin reference; is  
2 that fair?

3 A So I used the Magnin reference in the  
4 secondary considerations but I did not use it in  
5 forming my obviousness argument or my lack of  
6 obviousness argument.

7 Q Well, if you turn to Paragraph 184 of  
8 your declaration, you site to Magnin there, don't  
9 you?

10 A I'm using Magnin here just to confirm  
11 the unexpected results -- or it's just to confirm  
12 the expected results that one would -- can I  
13 rephrase that?

14 I'm using Magnin here not to form the  
15 basis of my opinion, but just to confirm, in a  
16 confirmatory way, for my opinion.

17 Q Well, we agree that a hypothetical  
18 person of ordinary skill in the art as of the date  
19 that you were asked to provide opinions about  
20 obviousness would have had no information  
21 whatsoever that is disclosed in the Magnin  
22 reference.

1           A       The Magnin reference was not in the  
2 prior art. I would agree with that.

3           Q       Okay.

4                   And if you actually look at your  
5 report, your declaration, the secondary  
6 considerations analysis begins at Page 106, which  
7 in the alternative numbering is Page 109 of 129,  
8 correct?

9           A       That is correct.

10          Q       And so when you cited Magnin  
11 previously, that was in the section that was  
12 dedicated to obviousness, correct?

13          A       The citation is in that section but  
14 that in no way implies that I'm using Magnin to  
15 support my -- when I -- to argue the -- let me  
16 start again.

17                   I in no way used the Magnin paper in  
18 my opinion about obviousness. I'm solely using  
19 the Magnin paper for confirmation. For example,  
20 in here I had stated that based on the Augustyns  
21 paper one would have expected that if you fused a  
22 ring, essentially adding a substituent to the

1 carbons that were not attached to nitrogen, then  
2 you would expect a decrease in potency, and this  
3 is shown in the Magnin paper.

4 Q If you turn to Paragraph 194, which is  
5 also in the obviousness section of your report and  
6 not the secondary consideration section, you there  
7 cite to Magnin as well, correct?

8 A Yes. Again, confirmatory, not as part  
9 of my considerations for obviousness in this  
10 section, the prima facie section.

11 Q In terms of what you were entitled to  
12 consider with respect to unexpected results and  
13 the like, were you instructed that you were able  
14 to consider post filing date information that was  
15 not available in the prior art?

16 A So I was instructed that for the prima  
17 facie case that I was to only consider information  
18 that was in the prior art, but with respect to  
19 secondary considerations that by necessity I was  
20 able to cite information that was not in the prior  
21 art.

22 Q What do you mean by necessity?

1           A     Well, if you're going to show  
2     unexpected results, those unexpected results would  
3     not be in the prior art because the compound was  
4     not in the prior art.

5           Q     So, for example, at Pages 115 and  
6     116 -- and by 115 and 116 I'm referring to the  
7     actual page number, so it would be Pages 118 and  
8     119 of 129 -- there you talk about saxagliptin's  
9     active metabolite?

10          A     Yes.

11          Q     And you refer to a reference with a  
12     lead author of Su. Paragraph 239, "Su reported  
13     concentration"?

14          A     Yes.

15          Q     You see that?

16          A     I do.

17          Q     None of that was in the prior art,  
18     correct?

19          A     Absolutely not because saxagliptin was  
20     not in the prior art.

21          Q     And there is nothing in the patent  
22     specification that talks about the activity of a



1 metabolite, correct?

2 A That is correct.

3 Q And similarly, if we flip the page to  
4 121 of 129 you're talking here about the X-ray  
5 crystal structure showing covalent attachment.  
6 Paragraph 243.

7 A Yes.

8 Q This X-ray data was not available in  
9 the prior art, correct?

10 A Again, that's correct, because  
11 saxagliptin was not in the prior art.

12 Q Well, the DPP-4 crystal data was not  
13 available as of 2000 as well, was it?

14 A I believe that is also correct.

15 Q Now, with respect to -- so the  
16 metabolite information, the X-ray information, the  
17 information about favorable binding interactions  
18 and so forth, none of that was available to a  
19 person of ordinary skill in the art as of the  
20 priority date, correct?

21 A So none of the information on  
22 saxagliptin, as I said, was available to a person

1 of ordinary skill in the art as of the date.

2 Q Okay.

3 Now, you also referred to some  
4 internal BMS documentation; is that correct?

5 A That is correct.

6 Q And that information was confidential  
7 to BMS?

8 A That is correct.

9 Q And a person of ordinary skill in the  
10 art as of the date that you are applying your  
11 obviousness analysis would have no way of knowing,  
12 correct?

13 A That is correct.

14 Q Are you testifying that a person of  
15 ordinary skill in the art as of 2000 would never  
16 have considered a bicyclic ring structure in  
17 connection with developing a DPP-4 inhibitor?

18 MR. LIVINGSTONE: Objection, form.

19 A I am -- that is not my testimony.

20 Q All right. In fact, sitagliptin  
21 contains a bicyclic ring structure moiety,  
22 correct?

1           A       Sitagliptin, which was not in the  
2       prior art, does contain a bicyclic ring system.

3           Q       Now, in the years following your  
4       development of sitagliptin, your discovery of  
5       sitagliptin, you also determined a second gliptin  
6       compound that is approved in Japan, correct?

7           A       I'm sorry, can you repeat that?

8           Q       Sure.

9                    In the years following your work at  
10       Merck and your discovery of sitagliptin, you  
11       discovered a second gliptin compound that is now  
12       approved in Japan, correct?

13          A       That is correct.

14          Q       And that's omarigliptin?

15          A       That is correct.

16          Q       And you consider omarigliptin to be  
17       the best in class of the gliptins, correct?

18          A       At the time that we were bringing  
19       omarigliptin into development, we used the term at  
20       Merck best in class inhibitor. That was our goal,  
21       yes.

22          Q       And you use that to describe

1       omarigliptin?

2               A       We use that to describe omarigliptin.

3       Let me just take a step back.  At the time when we  
4       were discovering or working on the omarigliptin  
5       program our goal was to identify a best in class  
6       inhibitor.

7               Q       Now, the omarigliptin, I think you  
8       just said something along the lines of the program  
9       to determine or discover omarigliptin.  It's the  
10       same program that had initially determined  
11       sitagliptin, correct?

12              A       If you mean by the same program, if  
13       you're talking about DPP-4 inhibition as a general  
14       program, yes, it is also a DPP-4 inhibitor.

15              Q       And omarigliptin is not approved in  
16       the United States, correct?

17              A       That is correct.

18              Q       And so under your analysis in this  
19       case omarigliptin would be a failure?

20              A       That is correct.

21              Q       Now, you're aware that there are lots  
22       of reasons why a company might elect not to pursue

1 FDA approval of a compound that have nothing to do  
2 with the safety or efficacy of a compound,  
3 correct?

4 A As we discussed at trial,  
5 pharmaceutical companies make science-based  
6 business decisions, and those, the business  
7 decisions are based in science which would take  
8 into consideration a whole host of factors,  
9 including safety and efficacy, but other factors  
10 as well.

11 Q There is no question in your mind  
12 about the safety and efficacy of omarigliptin,  
13 right?

14 A I have no reason to doubt the safety  
15 and efficacy of omarigliptin.

16 Q And outside of the context of this  
17 case you would consider omarigliptin to be a  
18 success, wouldn't you?

19 A Again, it depends on how you define  
20 success. I consider omarigliptin to be a compound  
21 that I worked on that was approved in Japan and is  
22 being used in Japan to treat patients with type 2

1 diabetes.

2 Q I'm going to read a passage from a  
3 Merck press release, which I think at trial you  
4 testified you were generally aware of.

5 A Yes.

6 Q It says, "This decision did not result  
7 from concerns about the efficacy or safety of  
8 omarigliptin. Instead, the company has for  
9 business reasons decided to focus its development  
10 resources on a promising pipeline of late stage  
11 compounds and in early development new approaches  
12 to diabetes control while continuing to emphasize  
13 its existing portfolio of Januvia, the most  
14 prescribed DPP-4 inhibitor worldwide, and  
15 Janumet."

16 Is that consistent with your  
17 understanding of the reasons why Merck decided not  
18 to pursue FDA approval of omarigliptin in the  
19 U.S.?

20 A Yes.

21 MR. CARSTEN: If I may have five  
22 minutes to confer with my brain trust, we may well

1 be done. I may have a handful of mop-up questions  
2 from my side. I think your counsel would like to  
3 have some questions for you, but if we could take  
4 a short break I think that would be beneficial for  
5 me.

6 MR. LIVINGSTONE: Great. Thanks.

7 (Recess taken -- 10:31 a.m.)

8 (After recess -- 10:45 a.m.)

9 MR. CARSTEN: We are back on the  
10 record.

11 Thank you so much for your time. I do  
12 appreciate it.

13 Mr. Livingstone, I pass the witness to  
14 you.

15 MR. LIVINGSTONE: Thank you very much.

16 EXAMINATION

17 BY MR. LIVINGSTONE:

18 Q Let's start, Dr. Weber, there has been  
19 some suggestion, both at trial and I think through  
20 some of the questioning today, that there might be  
21 a sweet spot at the P1 group in between, for  
22 instance, the favored five-membered saturated ring

1 that Augustyns describes and the six-membered ring  
2 that Augustyns shows as disfavored.

3 In your review of the prior art what,  
4 if any, support for such a sweet spot argument is  
5 there?

6 A I didn't find any support for such a  
7 sweet spot argument. And in particular I would  
8 point to Augustyns 2 which clearly shows that  
9 while the thiazolidine slightly larger ring is  
10 preferred in one position it is not preferred in a  
11 second position, indicating that something other  
12 than size is contributing to the greater potency  
13 of that compound.

14 Q We talked, you talked briefly this  
15 morning about sitagliptin and that it has a  
16 bicyclic ring system. Is that bicyclic ring  
17 system in the P2 or in the P1 position?

18 A Sitagliptin's bicyclic ring binds in  
19 the P2 position.

20 Q We also just discussed omarigliptin  
21 and a press release discussing Merck's  
22 discontinuation of omarigliptin in the United



1 States. Do you remember that?

2 A Yes.

3 Q In your opinion, what are the  
4 science-based business decisions related to  
5 omarigliptin being approved for use in Japan  
6 versus the United States?

7 A So when we started the omarigliptin  
8 program we were looking for a once weekly  
9 inhibitor that could be used to treat diabetes.  
10 And the reason we considered this best in class is  
11 that if patients were taking a medication once a  
12 week that would result in better compliance and  
13 better compliance would then result in better  
14 outcomes.

15 What we were expecting was that by the  
16 time omarigliptin was ready to be approved that  
17 DPP-4 inhibitors would be used as first class  
18 therapy in the U.S. That, however, has not  
19 happened and Metformin continues to be used as  
20 first line therapy in the U.S.

21 That's not the case in Japan. The  
22 diabetes in the Japanese population is slightly

1 different and there is -- which makes it really  
2 actually ideal as -- which makes the DPP-4  
3 inhibitor ideal for treating patients with type 2  
4 diabetes in Japan. And in Japan DPP-4 inhibitors  
5 are used first line. So in Japan it makes sense  
6 to have a once-weekly treatment because these  
7 patients are newly diagnosed and not on a lot of  
8 medication.

9 That's in contrast to the situation  
10 that we had, that we currently have in the U.S.  
11 where patients who are newly diagnosed typically  
12 start on Metformin, and when their disease  
13 progresses then doctors would typically add a  
14 DPP-4 inhibitor. So DPP-4 inhibitors are used as  
15 second line therapy in the United States. And in  
16 this case it makes more sense, because patients  
17 are taking Metformin every day, to treat them with  
18 Janumet which is a fixed dose combination of  
19 Metformin and sitagliptin, and that combination  
20 then lowers their pill burden.

21 MR. LIVINGSTONE: I'm going to hand  
22 you a document that's been identified as

1 AstraZeneca 2210.

2 MR. CARSTEN: Thank you.

3 (Previously marked AstraZeneca Exhibit  
4 No. 2210, first referral.)

5 BY MR. LIVINGSTONE:

6 Q And can you identify Exhibit 2210 for  
7 us, please?

8 A This is my CV from earlier this year.

9 Q Okay. And is this CV accurate and  
10 complete, to the best of your knowledge?

11 A No. There are a number of changes. I  
12 started a full-time job last week so while I am  
13 still consulting, I'm also senior vice president  
14 of drug discovery at Kallyope in New York City.

15 In addition, I believe this CV has a  
16 mistake in -- no, it looks like we corrected this  
17 mistake, so the mistake to my timeline of  
18 employment with Merck has been corrected. And  
19 there are a number of -- there is certainly at  
20 least one additional award and a number of  
21 additional papers.

22 Q With those corrections is it okay to

1       rely on what is here in your CV, Exhibit 2210?

2           A       Yes.

3           Q       Could you summarize your educational  
4 background, please?

5           A       I have a Bachelor of Science degree  
6 from the University of Notre Dame in chemistry and  
7 a Ph.D. from Harvard in organic chemistry.

8           Q       Where in your class did you finish at  
9 Notre Dame?

10          A       I finished first in my class with a  
11 4.0.

12          Q       If you look at Page 1 it indicates you  
13 worked at Merck and Company. How long did you  
14 work at Merck?

15          A       I worked at Merck for over 28 years.

16          Q       And did there come a time in your work  
17 at Merck when you began working on DPP-4  
18 inhibitors?

19          A       There did. About 13 years after I  
20 started, I started working on DPP-4 in January of  
21 2000.

22          Q       And before you started on the DPP-4

1 program, did you view yourself as an experienced  
2 medicinal chemist?

3 A By that time, yes.

4 Q And how long did you continue to work  
5 on DPP-4 inhibitors?

6 A My involvement with the program lasted  
7 until the merger with Schering-Plough. So that  
8 would have been I believe in November -- actually  
9 it was right before the merger so sometime,  
10 2008-2009. Then the program was reinitiated with  
11 the Legacy Schering-Plough group for a couple of  
12 years. But I was not directly involved with that  
13 part of the program.

14 Q Did you generally keep abreast of the  
15 DPP-4 field in the years after 2008 until you left  
16 Merck?

17 A I did.

18 Q If I can direct your attention to  
19 Page 3 of 17, you identified a number of awards on  
20 that page. About midway down in 2007 there is an  
21 award called the Prix Galien award for Januvia.  
22 Can you identify what that award is?

1           A       The Prix Galien award is considered  
2       the Nobel prize of the pharmaceutical industry.

3           Q       You also received the Merck directors  
4       award. Can you tell us a little bit about that?

5           A       The Merck directors award is the  
6       highest award that Merck bestows on its employees.

7           Q       I think you mentioned in your  
8       discussion with Mr. Carsten that you were recently  
9       inducted into the American Chemical Society Hall  
10      of Fame; is that right?

11          A       The American Chemical Society  
12      Medicinal Chemistry Hall of Fame. Yes, that  
13      happened earlier this summer.

14          Q       Did you have occasion to publish your  
15      scientific work?

16          A       I did.

17          Q       Approximately how many scientific  
18      publications do you have?

19          A       I'm a co-author on over 80 scientific  
20      publications, author or co-author.

21          Q       Approximately how many of those relate  
22      to DPP-4 inhibitors?

1           A       I believe it's around 35.

2           Q       And if we look at Page 10 of your CV,  
3           there are identified a number of patents, and I  
4           have taken the liberty to count that there are  
5           approximately 31 patents in this list. My  
6           question is how many of these patents relate to  
7           DPP-4 inhibitors?

8           A       That's correct, there are 31 U.S.  
9           issued patents, and I believe about 25 of them  
10          relate to DPP-4 inhibitors.

11          Q       Okay.

12                   Now, you had testified that you came  
13          into the DPP-4 field, inhibitor field around 2000  
14          at Merck. To get your arms around the state of  
15          the art, what was the first thing you did?

16          A       The first thing I did was survey the  
17          literature, both the journals and the patent  
18          literature, collect all the prior art. Review and  
19          study it.

20          Q       We can go to your declaration, which  
21          Mr. Carsten was kind enough to provide you, and  
22          look at pages, actual pages 39 to 44. There is a

1 table here, and my question will be can you  
2 describe to us what is included in this table.

3 A So you are referring to the document  
4 page 39, correct?

5 Q I apologize.

6 A Yes. So this table, in this table I  
7 have summarized some of the examples of DPP-4  
8 inhibitors which were known in the prior art which  
9 were not ultimately approved by the FDA, and this  
10 is also a summary of the literature that I  
11 reviewed as I started the program.

12 Q At Merck in 2000?

13 A At Merck in 2000.

14 Q Have you attempted in this figure that  
15 spans from Pages 39 to 44 to depict all of the  
16 DPP-4 prior art?

17 A I believe this depicts, this generally  
18 depicts the prior art. What I have not done is  
19 depict all of the structures that were disclosed  
20 in the prior art.

21 Q All right.

22 In your opinion, how would a person of



1 ordinary skill in the art sitting down with the  
2 goal of developing a useful DPP-4 inhibitor in the  
3 year 2000 or early 2001, how would that person  
4 have viewed the prior art that is illustrated here  
5 in your declaration on Pages 39 to 44?

6 A So a person starting a DPP-4 inhibitor  
7 program, a person of ordinary skill in the art,  
8 would have realized that there was a morass of  
9 different structural types and literally millions  
10 of compounds that had been disclosed, both in the  
11 patent literature and in journals. And they would  
12 have realized that out of this very large and  
13 structurally diverse set of DPP-4 inhibitors, by  
14 that time there had emerged two compounds, P3298  
15 and NVP-DPP728, that were in human clinical  
16 trials.

17 Q That brings us to another point.

18 When reviewing the prior art in or  
19 around 2000 I suspect you saw studies that had  
20 in vitro data and studies that had in vivo animal  
21 data and studies that had human data; is that  
22 fair?

1           A       There were studies that had in vitro,  
2       in vivo and human data, yes. The human data was  
3       reported in 2000, in the middle of 2000.

4           Q       Thank you.

5                   As a medicinal chemist is there a  
6       typical hierarchy to ascribe an importance to that  
7       type of data, and if so what is it?

8           MR. CARSTEN: I object to the extent  
9       it's beyond the declaration testimony.

10          Q       You can answer.

11          A       Absolutely. A medicinal chemist would  
12       understand that in vivo data would trump in vitro  
13       data and that human clinical data, so data in  
14       humans would trump any preclinical in vivo data.

15          Q       In the course of your work  
16       familiarizing yourself with the state of the art  
17       in 2000, did you ultimately publish on the  
18       conclusions that you drew at that time?

19          A       I did.

20                   (Previously marked AstraZeneca Exhibit  
21       No. 2098, first referral.)

22

1 BY MR. LIVINGSTONE:

2 Q I'm going to hand you what has been  
3 identified or marked as AstraZeneca Exhibit 2098.  
4 And by reference to the third page of this  
5 document can you tell me what this is?

6 A This is a miniperspective that I wrote  
7 on DPP-4 inhibitors for the treatment of type 2  
8 diabetes for the Journal of Medicinal Chemistry.

9 Q If I can direct you to Page 4, the  
10 first paragraph in the top left column. If you  
11 could read into the record the middle two  
12 sentences starting with, "Replacement of the  
13 pyrrolidine"?

14 A "Replacement of the pyrrolidine with  
15 thiazolidine gives derivatives with increased  
16 potency. However, larger rings, (e.g. piperidine,  
17 homopiperidine) or those containing other  
18 heteroatoms, (e.g. oxazolidine) are less potent.  
19 With the exception of fluorine, substituents on  
20 the pyrrolidine ring are not well tolerated."

21 Q I see you have a reference there, 15.  
22 What article did you site there for reference 15?

1           A       I think reference 15 cites the  
2       Ashworth and Augustyns -- it's Ashworth II and  
3       Augustyns 1997.

4           Q       And was this a conclusion that you  
5       newly drew in 2004 or a conclusion that you came  
6       back to in around 2000?

7           A       This was a conclusion that I based on  
8       those earlier references. And I drew this  
9       conclusion when I initiated the program in 2000,  
10      and I'm just reiterating it here several years  
11      later.

12          Q       In your opinion, with the body of art  
13      that you reviewed at that time including, for  
14      instance, Augustyns 1997 reference and the  
15      Ashworth II publication, what would that body of  
16      art have taught the person of ordinary skill in  
17      the art in 2001 about adding a cyclopropyl group  
18      to the cyanopyrrolidine ring in a reversible DPP-4  
19      inhibitor?

20          A       That information would have taught a  
21      person of ordinary skill that adding a cyclopropyl  
22      ring would be a bad idea. It would not lead to

1 compounds with increased potency. And if one had  
2 decided to add a cyclopropyl ring one would not  
3 have anticipated success in doing so.

4 Q In the course of your work in  
5 developing DPP-4 inhibitors at Merck, did you or  
6 any of your colleagues consider adding a  
7 cyclopropyl group at the P1 position of your DPP-4  
8 compounds?

9 A No, we never did, and to the best of  
10 my knowledge nobody other than the chemists at BMS  
11 ever did that.

12 Q What was your reaction when you first  
13 learned that saxagliptin had a cyclopropyl group  
14 on the pyrrolidine ring?

15 MR. CARSTEN: Again, object as beyond  
16 the scope of the declaration.

17 A I was very surprised when I saw the  
18 patent when it was first published. I was first  
19 surprised that they had actually tried it, and I  
20 was even more surprised that it worked.

21 Q Now, at that time being surprised, did  
22 you have any access at that time to BMS

1 confidential information?

2 A I did not.

3 Q And not to be Captain Obvious, but you  
4 didn't have any access to the Magnin publication,  
5 did you?

6 A No.

7 Q And you didn't have access to, for  
8 instance, the post invention publications that we  
9 talked about earlier, Su and Wang?

10 A No.

11 Q In your work on DPP-4 inhibitors at  
12 Merck did you or your colleagues look to any of  
13 the ACE inhibitor art for guidance?

14 A No, we absolutely never did that.

15 Q And did you have any specific ACE  
16 expertise in your group?

17 A I had no personal expertise on ACE,  
18 but one of my managers was the chemist that  
19 actually synthesized Enalapril, which is Merck's  
20 ACE inhibitor. So there was a lot of expertise on  
21 ACE at Merck, and specifically involved with the  
22 program.

1           Q     And to your recollection that  
2     colleague never looked at ACE inhibitor art for  
3     guidance in the DPP-4 inhibitor space?

4           A     He never did, nor did he ever suggest  
5     we do that.

6           MR. CARSTEN: I object to those  
7     questions as beyond the scope.

8           Q     I think you might have Exhibit 2161 in  
9     front of you. I may have a hard time finding  
10    mine. Maybe you can find yours.

11          A     Which one is that?

12          MR. CARSTEN: It's the Thornberry and  
13    Weber article.

14          MR. LIVINGSTONE: I have an extra copy  
15    if you need it.

16          MR. CARSTEN: I've got it.

17          THE WITNESS: Okay.

18    BY MR. LIVINGSTONE:

19          Q     Looking at Exhibit 2161, I think you  
20    identified earlier, but just for the sake of the  
21    record, can you please tell me what this is?

22          A     This is a paper that I wrote with

1 Nancy Thornberry that covers the discovery of  
2 Januvia, which is Merck's DPP-4 inhibitor.

3 Q This paper is dated 2007, but were you  
4 attempting to relate events that occurred earlier  
5 in time?

6 A I was.

7 Q If we can go to Page 2, please. And  
8 if you look at the left column there is a heading  
9 that says Probiodrug Licensing Experience.

10 Do you see that?

11 A I do.

12 Q And can you read those first two  
13 sentences slowly, please, into the record?

14 A "When we initiated our internal  
15 screening and medicinal chemistry program, two  
16 compounds were already advancing through human  
17 clinical trials, Probiodrug's isoleucyl  
18 thiazolidine (1) and NVP-DPP728 (3) from Novartis  
19 (Figure 1). Thus, in order to jump start our  
20 internal program, in late 2000 we elected to  
21 in-license L-threo-isoleucyl-thiazolidide, P3298,  
22 and it's allo stereoisomer



1 (L-allo-isoleucyl-thiazolidide 2)."

2 Q And in looking at Figure 1 is compound  
3 1 there P3298 that you have chosen in this case as  
4 one of your potential lead compounds?

5 A Yes, it is.

6 Q And is compound 3, NVP-DPP728, another  
7 compound that you have chosen as a potential lead  
8 in this case?

9 A It is.

10 Q In forming your nonobviousness opinion  
11 in this case, have you relied on post invention or  
12 nonpublic evidence for your opinions?

13 A No.

14 Q Earlier, the beginning of my  
15 questioning, we were talking about the sweet spot  
16 argument and you had said -- we think you might  
17 have said, we can't tell on LiveNote -- that  
18 Augustyns 2 taught away from the sweet spot. I  
19 guess my question is were you speaking about  
20 Ashworth II or Augustyns -- or an Augustyns  
21 reference?

22 A I'm sorry, I thought I said

1 Ashworth II.

2 Q Right.

3 MR. LIVINGSTONE: That's it for us.

4 MR. CARSTEN: Let me have a, just a

5 look.

6 Nothing here.

7 MR. LIVINGSTONE: All right.

8 MR. CARSTEN: I think we are done.

9 MR. LIVINGSTONE: Thank you for your  
10 time.

11 THE REPORTER: Signature?

12 MR. LIVINGSTONE: Reserve for  
13 signature.

14 THE REPORTER: And do you want rough  
15 draft?

16 MR. LIVINGSTONE: We do, please.

17 And really, I mean this honestly.

18 Your LiveNote was great. You did a great job.

19 Considering all the issues we had with the  
20 transcript at trial, we could have used you there.

21 MR. TORCZON: We definitely want rough  
22 draft.

1 THE REPORTER: How soon do you want

2 it?

3 MR. CARSTEN: Monday is great.

4 (Thereupon, signature having not been  
5 waived, the examination of ANN E. WEBER, Ph.D. was  
6 concluded at 11:12 a.m.)

7 - - - - -

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

1 CERTIFICATE OF SHORTHAND REPORTER - NOTARY PUBLIC

2

3 I, Cappy Hallock, a Registered  
4 Professional Reporter (NCRA #6006), and Notary  
5 Public in and for the District of Columbia, do  
6 hereby certify:

7

8 That ANN E. WEBER, Ph.D., the witness  
9 whose deposition is hereinbefore set forth, was  
10 duly sworn by me before the commencement of such  
11 deposition and that such deposition was taken  
12 before me and is a true record of the testimony  
13 given by such witness.

14

15 I further certify that the adverse  
16 party, ASTRAZENECA AB, was represented by counsel  
17 at the deposition.

18

19 I further certify that the deposition  
20 of ANN E. WEBER, Ph.D. occurred at the offices of  
21 WILSON SONSINI GOODRICH & ROSATI, 1700 K Street,  
22 NW, Fifth Floor, Washington, D.C. on Thursday,

1      October 27, 2016, commencing at 8:07 a.m. to 11:08  
2      a.m.

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

I further certify that I am not related to any of the parties to this action by blood or marriage, I am not employed by or an attorney to any of the parties in this action, and that I am in no way interested, financially or otherwise, in the outcome of this matter.

IN WITNESS WHEREOF, I have hereunto set my hand this 31st day of October, 2016.

My Commission expires September 30, 2017

\_\_\_\_\_  
Cappy Hallock, RPR, CRR, CLR  
Notary Public, District of  
Columbia

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

J U R A T

I, ANN E. WEBER, Ph.D., do hereby  
certify under penalty of perjury that I have read  
the foregoing transcript of my deposition taken on  
Thursday, October 27, 2016; that I have made such  
corrections as appear noted herein in ink,  
initialed by me; that my testimony as contained  
herein, as corrected, is true and correct.

Dated this \_\_\_\_\_ day of \_\_\_\_\_,  
2016, at \_\_\_\_\_.

\_\_\_\_\_

ANN E. WEBER, Ph.D.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

ERRATA SHEET

PAGE -- LINE -- CORRECTION/REASON

PAGE	LINE	CORRECTION/REASON
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

ERRATA SHEET

PAGE -- LINE -- CORRECTION/REASON

PAGE	LINE	CORRECTION/REASON
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----
---	---	-----





I, the undersigned, ANN E. WEBER, Ph.D., do hereby certify that I have read the foregoing deposition, and that to the best of my knowledge, said deposition is true and accurate (with the exception of the following corrections listed below):

Global: change from "P3298" to "P32/98"

Page 43, line 16: change from "works" to "worked"

Page 50, lines 14: change from "back and" to "back -- and"

Page 50, lines 15: change from "-- which those are" to "thiazolidine"

Page 56, lines 12: change from "proven" to "prudent"

Page 71, lines 9: change from "enzyme" to "enzyme,"

Page 71, lines 10: change from "and attempt to convert an" to "angiotensin converting"

Page 75, lines 15: change from "P3298. Had" to "P32/98, had"

Page 111, lines 18: change from "art. Review" to "art, review"

Dated: 09-NOV-2016

Signature:

  
Ann E. Weber, Ph.D.