

1  
2 UNITED STATES PATENT AND TRADEMARK OFFICE  
3 BEFORE THE PATENT TRIAL AND APPEAL BOARD  
4

5 Case IPR2015-01277

6 U.S. Patent No. 8,309,943

7 - - - - - x

8 ASML NETHERLANDS B.V., EXCELITAS

9 TECHNOLOGIES CORP., AND QIOPTIQ

10 PHOTONICS GMBH & CO. KG,

11 Petitioners,

12 V.

13 ENERGETIQ TECHNOLOGY, INC.,

14 Patent Owner.

15 - - - - - x

16  
17  
18 VIDEOTAPED DEPOSITION OF J. GARY EDEN, Ph.D.

19 WilmerHale, LLP

20 60 State Street

21 Boston, Massachusetts

22  
23 Reported by:

24 MARYJO O'CONNOR, RMR, CSR

25 JOB NO. 102208

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Wednesday, January 27, 2015  
9:09 a.m.

VIDEOTAPED DEPOSITION of J. GARY EDEN, Ph.D., at the offices of WilmerHale, LLP 60 State Street, Boston, Massachusetts, before MaryJo O'Connor, a Registered Merit Reporter, Certified Shorthand Reporter and Notary Public in and for the Commonwealth of Massachusetts.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

APPEARANCES:

PROSKAUER ROSE

Attorney for Plaintiff:  
One International Place  
Boston, Massachusetts 02110  
BY: JINNIE REED, ESQ.  
STEVEN BAUER, ESQ.

WILMERHALE

Attorney for the Defendants:  
60 State Street  
Boston, Massachusetts 02109  
BY: RICHARD GOLDENBERG, ESQ.  
BY: KEVIN PRUSSIA, ESQ.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

APPEARANCES:

WILMERHALE

Attorney for the Defendants:  
1875 Pennsylvania Avenue NW  
Washington, D.C. 20006  
BY: MICHAEL SMITH, ESQ.

ALSO PRESENT: Phil Bucksbaum  
Peter Crowley, Videographer

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

J.G. Eden

PROCEEDINGS

VIDEO TECHNICIAN: This is the start of DVD labeled one of the videotaped deposition of Dr. J. Gary Eden, Ph.D. in the matter of ASML Netherlands B.V., et al, versus Energetiq Technology in the United States Patent and Trademark Office before the Patent and Trial Appeal Board, Action No. IPR2015-01277, U.S. Patent No. 8,309,943.

This deposition is being held at the offices of Wilmer Hale, 60 State Street, Boston, Massachusetts, on January 27, 2016, at 9:09 a.m..

My name is Peter Crowley. I'm the legal video specialist from TSG Reporting, Inc., headquartered at 747 Third Avenue, New York, New York. The court reporter is MaryJo O'Connor in association with TSG Reporting.

Will counsel please introduce yourself.

MR. GOLDENBERG: My name is Richard Goldenberg representing the petitioner ASML and the witness Dr. Eden.

With me here today are Kevin Prussia and Michael Smith, both also of Wilmer Hale.

1 J.G. Eden

2 portion of the light at a given wavelength is  
3 transmitted by a given thickness of the material.  
4 In other words, in the normal conventional sense.

5 Q. Dr. Eden, in the context of the '000  
6 patent, what is your understanding of the word  
7 "sustain"?

8 A. Can you tell me where you're looking,  
9 Ms. Reed? Are you still in the '000 patent, or  
10 are you looking at a particular occurrence of the  
11 term?

12 Q. Dr. Eden, I'm still looking at  
13 Claim 1.

14 A. Okay, thank you.

15 Q. You're welcome.

16 A. So the word "sustain" to me I  
17 interpret as extending the life; maintaining the  
18 plasma. So perhaps a synonym for "sustain" would  
19 be to maintain the existence of.

20 Q. Is there a duration of time that the  
21 plasma would need to be maintained to meet the  
22 claim element "sustained" in your opinion?

23 A. Well, Ms. Reed, let me suggest this.  
24 I don't have my declaration before me. You've  
25 read, I presume my declaration. And all of the

1 J.G. Eden

2 issues that you're pursuing now are addressed in  
3 my declaration. So it would be very helpful if I  
4 were able to review my declaration.

5 Q. Dr. Eden, are you referring to the  
6 '000 declaration or the -- because I believe you  
7 have that one in front of you.

8 A. Oh, I do. That's true. Very good.  
9 I do. I forgot that I had it. Thank you,  
10 Ms. Reed.

11 Q. You're welcome.

12 A. So would you be so kind as to repeat  
13 your question?

14 Q. Sure. Is there a duration of time  
15 that the plasma would need to be maintained to  
16 meet the claim element "sustained" in your  
17 opinion?

18 A. Ms. Reed, the claim as it's written  
19 is very vague. So that issue is left unresolved.

20 Q. Well, what's your understanding of  
21 "maintain"?

22 A. Well, the broader -- let me mention  
23 that the broader context of the language that  
24 you're mentioning is it says "to maintain a  
25 plasma."

1 J.G. Eden

2 So it's very difficult to answer your  
3 question because the plasma can range over an  
4 extraordinary degree in electron density, for  
5 example. So I don't know how to answer your  
6 question.

7 Q. If I direct your attention to  
8 Exhibit 2 in the '000 patent Column 21.

9 A. Column 21?

10 Q. Lines 12 through 15. This reads,  
11 "The laser source 704 then provides laser energy  
12 to the ionized medium to sustain the plasma 732  
13 which generates the high brightness light 736."

14 In that context of the '000 patent,  
15 could you tell me how a person of ordinary skill  
16 in the art would understand the phrase "sustain  
17 the plasma"?

18 A. Well, to use a vernacular expression,  
19 Ms. Reed, I would assume they would interpret it  
20 as to keep it alive. In other words, that the  
21 plasma would continue to exist.

22 Q. How long does "continue to exist"  
23 mean?

24 A. Oh, that's a function of the plasma  
25 itself and the electron lifetime.

1 J.G. Eden

2 Q. How long does "continue to exist"  
3 mean in the context of the '000 patent?

4 A. I don't know because it's not clear,  
5 Ms. Reed. It doesn't tell me under what  
6 conditions. I cannot do a calculation based on  
7 what is given here.

8 Q. Dr. Eden, directing your attention  
9 back to Exhibit 2 of the '000 patent, Claim 1.  
10 In the context of the '000 patent, can you tell  
11 me what is meant by "plasma-generated light"?

12 A. Sure. I take that just to mean that  
13 the radiation that is generated by the plasma.  
14 The word "light" is used in a somewhat loose  
15 sense, but it's explained to some extent by what  
16 follows the word "light."

17 Q. Would you agree that plasma-generated  
18 light in the '000 context would be brighter than  
19 an arc lamp?

20 MR. GOLDENBERG: Objection, form.

21 A. I think that's a conclusion that I  
22 can't confirm. Or that's an assertion I can't  
23 confirm.

24 Q. Dr. Eden, if I could direct your  
25 attention to Exhibit 1, your '000 declaration,

1 J.G. Eden  
2 innovative?

3 MR. GOLDENBERG: Objection.

4 A. Well, you're making a qualitative  
5 statement in connecting a number of things,  
6 Ms. Reed. The passage that you just read from  
7 this document indicates that the improvement is  
8 the result of a combination of factors. And it's  
9 impossible from this document to say just what  
10 the source contributed to the improvement of  
11 performance. And you're implying, but you're not  
12 saying, that this improvement was due to one of  
13 your client's lamps.

14 Q. Do you know if in the industry there  
15 was a need for a brighter light?

16 A. I don't know that there was in the  
17 industry, but I would assume that there is; that  
18 improvements in all aspects of the optical system  
19 are always welcome.

20 Q. And would you agree that ASML  
21 identified the increase in the total amount of  
22 light as one of the new aspects of their  
23 metrology tool?

24 MR. GOLDENBERG: Objection.

25 A. Well, it's very difficult to tell

1 J.G. Eden

2 what ASML intended or how they view the  
3 development. It is, in the short passage that  
4 you've given me, a document I've never seen  
5 before, it appears to be a positive development.

6 Q. Dr. Eden, in Exhibit 1, which is your  
7 declaration regarding the '000 patent, you gave a  
8 proposed construction for light, correct?

9 A. I believe that is correct. Are you  
10 referring to a specific page, Ms. Reed?

11 Q. Yes. Dr. Eden, if I could direct  
12 your attention to Paragraph 36. Doctor, are you  
13 there? At Paragraph 36?

14 A. I am indeed.

15 Q. And you gave some ranges for the  
16 meaning of light, correct?

17 A. I suggested some intervals, if you  
18 will, that are -- in wavelength that are  
19 associated with different spectral regions.

20 Q. Now, is this your own understanding  
21 of the different spectral regions?

22 A. It is my understanding, but I, for  
23 the purposes of this declaration, adopted the  
24 definition given by Bill Silfvast.

25 Q. Dr. Eden, if I could hand you what's

1 J.G. Eden

2 going to be marked as Exhibit 5.

3 (Eden Exhibit 5, Document entitled  
4 "Optical Engineering" December 2003, Volume 42  
5 Number 12 ISSN 0091-3286, marked for  
6 identification)

7 Q. Dr. Eden, do you recognize this  
8 document?

9 A. I certainly do.

10 Q. Could you tell me what it is?

11 A. Well, it's the -- a copy of the cover  
12 of the December 2003 issue of Optical  
13 Engineering. It's the first page, front and  
14 back. And then you have supplied a copy of an  
15 article that I and my colleagues wrote that  
16 appeared in that same issue, December of 2003.

17 Q. If I could direct your attention to  
18 that, it says 3612 at the bottom, the first page  
19 with your name as the author and your colleague's  
20 name as the author in the abstract.

21 In there in the abstract you write,  
22 "A near-infrared 1.315"; is that correct?

23 A. That's what it says. That's correct.

24 Q. So in your opinion would  
25 near-infrared include -- strike that.

1 J.G. Eden

2 In your opinion would near-infrared  
3 be above 1,000?

4 A. Yes. I think it's been a long time  
5 ago, but my recollection is that the definition  
6 that's offered there is slightly longer than the  
7 limit that I'm proposing in the '000 declaration.

8 Q. So, Dr. Eden, in your opinion  
9 near-infrared could be above 1,000; is that  
10 correct?

11 A. The more common understanding of the  
12 limits of the near-infrared, or any other  
13 spectral region, I think are represented in my  
14 statement in the '000 declaration.

15 Q. But we can agree that you have  
16 authored a paper where near-infrared was above  
17 1,000, correct?

18 A. That's correct. It's a little bit  
19 beyond the limit that I'm proposing in the '000.  
20 But I have to say that in my courses and work for  
21 at least the last 20 years, I've told my students  
22 that the infrared, by general agreement in the  
23 community, ends at about 1,000 nanometers.

24 Q. Thank you, Dr. Eden.

25 MS. REED: Let me mark what's going

1 J.G. Eden  
 2 ultraviolet, you proposed a range of 200  
 3 nanometers to 400 nanometers; is that correct?  
 4 MR. GOLDENBERG: Objection, form,  
 5 foundation.  
 6 A. That is correct. That is the  
 7 commonly-accepted boundaries of the ultraviolet.  
 8 Q. If I could direct your attention to  
 9 Exhibit 2, the '000 patent, Column 20, Lines 32  
 10 to 35 this reads as "Ultraviolet light is  
 11 electromagnet energy with a wavelength shorter  
 12 than that of visible light, for instance between  
 13 about 50 and 400 nanometers."  
 14 Did I read that correctly?  
 15 A. You did.  
 16 Q. So in your opinion would a person of  
 17 ordinary skill in the art reading this passage of  
 18 the '000 patent think that ultraviolet light was  
 19 lower than your 200 to 400 range?  
 20 A. Someone who is skilled in the art  
 21 knows where the boundaries of the various  
 22 spectral regions are, Ms. Reed. And they would  
 23 probably assume, as I did, that the author was  
 24 referring to the ultraviolet writ large, that the  
 25 ultraviolet consists of the region between 200 to

1 J.G. Eden  
 2 400 nanometers, which is the normal range for  
 3 ultraviolet light, but that at lower wavelengths  
 4 is the vacuum ultraviolet, and below that is the  
 5 extreme ultraviolet.  
 6 Q. Thank you, Dr. Eden. I think now is  
 7 a good time to break.  
 8 VIDEO TECHNICIAN: The time is now  
 9 12:09 p.m.. This concludes DVD number two of  
 10 today's deposition. We are off the record.  
 11 (Proceedings recessed at 12:09 p.m.  
 12 for the luncheon recess.)  
 13  
 14  
 15  
 16  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25

1 J.G. Eden  
 2 A F T E R N O O N S E S S I O N  
 3 VIDEO TECHNICIAN: The time is now  
 4 1:07 p.m.. This begins DVD number three of  
 5 today's deposition. We are back on the record.  
 6 By MS. REED:  
 7 Q. Dr. Eden, did you discuss any of your  
 8 testimony this morning with counsel during break?  
 9 A. No.  
 10 Q. Dr. Eden, would you agree that  
 11 Gärtner discloses a light source?  
 12 A. Yes, he does.  
 13 Q. And do you agree that Mourou  
 14 discloses a light source?  
 15 A. Yes.  
 16 Q. And would you agree that Kensuke  
 17 Murai discloses a light source?  
 18 A. I don't remember Kensuke in detail;  
 19 but my recollection is, yes, Kensuke also  
 20 describes a light source.  
 21 Q. Dr. Eden, do you agree that a person  
 22 of ordinary skill in the art would have known  
 23 that sufficient absorption of the laser radiation  
 24 by the plasma is needed to sustain the plasma,  
 25 correct?

1 J.G. Eden  
 2 MR. GOLDENBERG: Objection.  
 3 A. Are you referring to a particular  
 4 part of one of my declarations, Ms. Reed?  
 5 Q. No. I'm just asking you a question,  
 6 Dr. Eden.  
 7 A. Okay. Could you repeat the question?  
 8 Q. Sure. Do you agree that a person of  
 9 ordinary skill in the art would have known that  
 10 sufficient absorption of the laser radiation by  
 11 the plasma is needed to sustain the plasma?  
 12 MR. GOLDENBERG: Objection, form.  
 13 A. That's a very vague question,  
 14 Ms. Reed. Can you make it a bit more  
 15 quantitative?  
 16 Q. Would a person of ordinary skill in  
 17 the art known that the plasma needed to absorb  
 18 the laser energy?  
 19 MR. GOLDENBERG: Objection, form.  
 20 A. For the type of -- I presume you're  
 21 talking to the type of light source that is  
 22 described in the patents at issue as well as, for  
 23 example, Gärtner that involves a laser-produced  
 24 plasma. One of the critical aspects of it is  
 25 that the plasma absorbs the laser light, that is

# Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

## Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

## Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

## Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

## API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

## LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

## FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

## E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.