

- VOLUME 2 -

IN THE UNITED STATES DISTRICT COURT
IN AND FOR THE DISTRICT OF DELAWARE

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

NOVARTIS PHARMACEUTICALS : CIVIL ACTION
CORPORATION and NOVARTIS :
AG, :
Plaintiffs, :

vs. :

BRECKENRIDGE :
PHARMACEUTICALS INC., : NO. 14-1043 (RGA)
Defendant. :

----- : CIVIL ACTION
NOVARTIS PHARMACEUTICALS :
CORPORATION and NOVARTIS :
AG, :
Plaintiffs, :

vs. :

ROXANE LABORATORIES, :
INC., :
Defendant. : NO. 14-1196 (RGA)

----- :
NOVARTIS PHARMACEUTICALS : CIVIL ACTION
CORPORATION and NOVARTIS :
AG, :
Plaintiffs, :

vs. :

PAR PHARMACEUTICAL, :
INC., :
Defendant. : NO. 14-1289 (RGA)

Wilmington, Delaware
Tuesday, August 30, 2016
8:30 o'clock, a.m.

- - -

BEFORE: HONORABLE RICHARD G. ANDREWS, U.S.D.C.J.

Par Pharm., Inc.
Exhibit 1071
Par Pharm., Inc. v. Novartis AG
Case IPR2016-00084

453

1 don't know that. There's nothing on that to
2 indicate where it was published, or that it was,
3 in fact, in the European Journal of Cancer.
4 THE COURT: Mr. Brown, how do you
5 know it was published in the European Journal of
6 Cancer?
7 MR. BROWN: Well, we provided the
8 citation to them. It has been in the expert
9 reports and everything throughout the case.
10 The first we heard of the
11 authenticity, other than there was some
12 boilerplate objections across the board, but the
13 first time we heard this articulated was last
14 night.
15 We already the found the cover
16 page of the document and I think we've got
17 librarians looking for it.
18 But --
19 THE COURT: All right. Well, I'm
20 not going to exclude it on the basis of this,
21 so, Ms. Jacobsen, you might as well address it
22 in your -- in the testimony.
23 I assume Dr. Ratain -- Dr.
24 Ratain's document, I assume he will say, yes, I

454

1 know it came from the cancer journal. The cover
2 page is not going to upset me.
3 All right. Can we give this back
4 to Ms. Jacobsen?
5 MS. JACOBSEN: Thank you.
6 So, your Honor, plaintiff's next
7 witness is Dr. Howard A. Burris, III.
8 Dr. Burris will be providing
9 testimony concerning whether there was a
10 reasonable expectation that everolimus would be
11 a safe and effectivetreatment for Afinitor's
12 renal cell carcinoma and breast cancer
13 indications and objective indicia of
14 nonobviousness. And Dr. Burris will also be
15 responding to issues the defendants' expert, Dr.
16 Ratain, raised in his expert report.
17 ... DR. HOWARD A. BURRIS, III,
18 having been duly sworn as a witness, was
19 examined and testified as follows...
20 MS. JACOBSEN: Your Honor, may I
21 approach the witness?
22 THE COURT: Yes.
23 (Ms. Jacobsen handed binders to

Burris - direct 455

1 DIRECT EXAMINATION
2 BY MS. JACOBSEN:
3 Q. Good morning.
4 A. Good morning.
5 Q. Please state your name for the
6 record.
7 A. My name is Dr. Howard A. Burris,
8 III.
9 Q. What is your current position, Dr.
10 Burris?
11 A. My current position is I am the
12 president of clinical operations, the chief
13 medical officer, and the executive director of
14 drug development at the Sarah Cannon Research
15 Institute in Nashville, Tennessee, and I'm also
16 an associate with Tennessee Oncology.
17 Q. What is the Sarah Cannon Research
18 Institute?
19 A. The Sarah Cannon Research
20 Institute is a private clinical research
21 organization. We conduct all phases of clinical
22 trials for cancer patients, Phase I through
23 Phase III.
24 Q. Can you turn to PTX-520 in your

Burris - direct 456

1 binder, Dr. Burris. It's also on the screen if
2 that would help.
3 A. Yes.
4 Q. Do you recognize that document?
5 A. Yes, I do.
6 Q. What do you recognize it to be?
7 A. The document is my curriculum
8 vitae, my CV.
9 MS. JACOBSEN: Thank you, Dr.
10 Burris.
11 Plaintiffs move into evidence
12 PTX-520, Dr. Burris' CV.
13 THE COURT: All right. Admitted
14 without objection.
15 (PTX-520 was admitted into evidence.)
16 MS. JACOBSEN: And, your Honor,
17 plaintiffs offer Dr. Burris as an expert in
18 medicinal oncology, including the past and
19 current treatment of renal cell carcinoma and
20 breast cancer, and in the clinical development
21 of anticancer and antitumor agents.
22 THE COURT: All right. You may
23 proceed.

<p style="text-align: center;">Burris - direct 457</p> <p>1 Q. Dr. Burris, what is renal cell 2 carcinoma?</p> <p>3 A. Renal cell carcinoma is the most 4 common form of cancer arising from the kidney.</p> <p>5 Q. Can we abbreviate renal cell 6 carcinoma to RCC?</p> <p>7 A. Yes, we can.</p> <p>8 Q. Thank you.</p> <p>9 Is everolimus FDA approved for the 10 treatment of RCC?</p> <p>11 A. Yes, it is. Everolimus is 12 approved for adults with advanced RCC after 13 failure of treatment with either sunitinib or 14 sorafenib.</p> <p>15 Q. And what is sunitinib and 16 sorafenib?</p> <p>17 A. Those are two oral agents that 18 work with different mechanisms of action than 19 everolimus that are used for the treatment of 20 RCC.</p> <p>21 Q. Is everolimus also FDA approved 22 for the treatment of breast cancer?</p> <p>23 A. Yes, it is. Everolimus is also 24 approved for the treatment of breast cancer in</p>	<p style="text-align: center;">Burris - direct 459</p> <p>1 MS. JACOBSEN: I'm sorry. I 2 understand I misspoke and said 2006. It should 3 be 2016.</p> <p>4 BY MS. JACOBSEN:</p> <p>5 Q. Dr. Burris, is it significant that 6 everolimus is FDA approved in RCC and breast 7 cancer after failure of other therapies?</p> <p>8 A. Yes, it is. Patients and their 9 cancers who have been treated with other 10 therapies have more resistant disease, more 11 aggressive disease, and have a greater need for 12 control of their disease, so this is a more 13 difficult group of cancer patients to treat.</p> <p>14 Q. Dr. Burris, will you please 15 summarize the conclusions on the validity of the 16 '772 patent that you reached in this case?</p> <p>17 A. Yes. Based on the little evidence 18 we had for rapamycin, that there was no 19 reasonable expectation for the clinical efficacy 20 seen with everolimus.</p> <p>21 With regard to evidence for 22 nonobviousness, there was a long and unfelt 23 need -- a long-felt and unmet need, I should 24 say, for the treatment of both advanced RCC and</p>
<p style="text-align: center;">Burris - direct 458</p> <p>1 post-menopausal women. That's hormone receptor 2 positive and HER2 negative. It's approved in 3 combination with exemestane, and after these 4 women have failed therapy with either 5 Anastrozole or Letrozole.</p> <p>6 Q. And what are anastrozole, 7 letrozole and exemestane?</p> <p>8 A. Those three drugs are each oral 9 agents that work through blocking hormonal 10 pathways that are used for the treatment of 11 patients with hormone receptor positive HER2 12 negative advanced breast cancer.</p> <p>13 Q. Are those therapies considered 14 hormonal therapies?</p> <p>15 A. Yes, they are.</p> <p>16 MS. JACOBSEN: For the record, Dr. 17 Burris referred to JTX-155 on PDX-5002. That's 18 the Afinitor February 2006 label, and plaintiffs 19 move to introduce this exhibit into evidence.</p> <p>20 MR. BROWN: No objection.</p> <p>21 THE COURT: All right. Admitted 22 without objection.</p> <p>23 (JTX-155 was admitted into</p>	<p style="text-align: center;">Burris - direct 460</p> <p>1 advanced breast cancer.</p> <p>2 There were also many others who 3 had tried and failed, attempting to develop 4 therapies for this disease, that there was, 5 these results that we saw for everolimus with 6 the demonstrated effectiveness in RCC and breast 7 cancer was unexpected. That there was 8 widespread industry praise for everolimus' 9 efficacy in these settings, and that there's a 10 clear connection between the clinical efficacy 11 of everolimus and the commercial success that 12 we've seen with Afinitor.</p> <p>13 Q. So can I have PDX-5003.</p> <p>14 Dr. Burris, does this slide 15 accurately reflect the areas that you'll be 16 testifying on today?</p> <p>17 A. Yes, it does.</p> <p>18 Q. Thank you.</p> <p>19 Now, in reaching these 20 conclusions, what definition of a POSA did you 21 use?</p> <p>22 A. I used the definition that a POSA 23 would be a medicinal chemist and that medicinal</p>

<p style="text-align: center;">Burris - direct 461</p> <p>1 with at least several years of experience in 2 treating patients with malignant or benign 3 tumors, or that that POSA, the medicinal chemist 4 would also have access to someone with a Ph.D. 5 in medical oncology or medicinal oncology who 6 had knowledge and expertise in preclinical 7 assays.</p> <p>8 Q. As of October 1992, were you a 9 person who would have advised the POSA under 10 this definition?</p> <p>11 A. Yes, I would have been.</p> <p>12 Q. And can we agree that when we 13 refer to what a POSA would have understood in 14 October 1992, we're including what the medicinal 15 chemist would have learned from somebody who 16 advised them such as yourself?</p> <p>17 A. Yes, we can.</p> <p>18 Q. Thank you.</p> <p>19 So, Dr. Burris, we'll take these 20 opinions out of order and start with the 21 long-felt, unmet medical need in advanced RCC.</p> <p>22 As of October 1992, were there any 23 treatments available for advanced RCC?</p> <p>24 A. Yes, there were.</p>	<p style="text-align: center;">Burris - direct 463</p> <p>1 patients who actually benefited from IL-2, and 2 unfortunately, about as many patients benefited 3 from the therapy as actually passed away from 4 complications of the therapy.</p> <p>5 An expert summarizes, as shown 6 here, there were severe side effects and only 7 marginal activity.</p> <p>8 Q. For the record, Dr. Burris, on 9 PDX-5006 referred to PTX-597, the 1993 PDR for 10 IL-2. PTX-607, Stahl 1992 at page 73. And 11 PTX-618, Wersall 1992 at page 71.</p> <p>12 And plaintiffs move to introduce 13 those exhibits into evidence.</p> <p>14 MR. BROWN: No objection.</p> <p>15 THE COURT: All right. Admitted 16 without objection.</p> <p>17 (PDX-597, PDX-607 and PTX-618 were 18 admitted into evidence.)</p> <p>19 BY MS. JACOBSEN:</p> <p>20 Q. You also mentioned interferon 21 alpha. Were there any problems associated with 22 therapy using that treatment?</p> <p>23 A. Yes. Although interferon alpha 24 was not FDA approved, it was a drug that was</p>
<p style="text-align: center;">Burris - direct 462</p> <p>1 Q. And what treatments were 2 available?</p> <p>3 A. The treatments that were available 4 in October 1992 included the recently approved 5 drug at that time, a drug known as interleukin-2 6 or IL-2, and then there was also use of a drug 7 known as interferon alpha.</p> <p>8 Q. We'll take those in turn. 9 Were there any problems associated 10 with IL-2?</p> <p>11 A. Yes, there were. The problems 12 with IL-2 could best be described as the 13 difficulties with the toxicity profile of 14 IL-2.</p> <p>15 The IL-2 therapy was very toxic 16 and caused severe side effects in the majority 17 of patients. Those side effects actually 18 resulted in a black box warning. That black box 19 warning largely centered on the capillary leak 20 syndrome or fluid overload that these patients 21 would develop that often resulted in admissions 22 to the Intensive Care Unit and frequently 23 resulted in death.</p>	<p style="text-align: center;">Burris - direct 464</p> <p>1 known to stimulate the immune system. That was 2 thought to be one mechanism of trying to treat 3 patients with advanced RCC. Only a small set 4 of, subset of patients actually responded to 5 this treatment.</p> <p>6 Again, we as clinicians that were 7 treating these patients at the time had 8 difficulty administering the therapy. Patients 9 had significant side effects. They were 10 different side effects than that of IL-2 but 11 were classified more as severe flu-like. These 12 patients had fever, fatigue, headache. And, 13 most importantly, these toxicities actually 14 limited our ability to give the drug at a 15 reasonable dosage.</p> <p>16 As experts described at that time, 17 these flulike symptoms were substantial and yet 18 we had an overall a minority of patients that 19 actually benefit from the interferon alpha.</p> <p>20 MS. JACOBSEN: For the record, on 21 PDX-5007, Dr. Burris referred to PTX-551, 22 Belldegrun 1992, at page 23. PTX-596, the 1992 23 PDR for Interferon Alpha, and PTX-607, Stahl</p>

<p style="text-align: right;">Burris - direct 465</p> <p>1 And plaintiffs move to introduce</p> <p>2 PTX-551 and 596 into evidence.</p> <p>3 MR. BROWN: No objection.</p> <p>4 THE COURT: All right. Admitted</p> <p>5 without objection.</p> <p>6 (PTX-551 and PTX-596 were admitted</p> <p>7 into evidence.)</p> <p>8 BY MS. JACOBSEN:</p> <p>9 Q. Dr. Burris, as of October 1992,</p> <p>10 was there a recognized need for a safe and</p> <p>11 effective treatment for patients with advanced</p> <p>12 RCC?</p> <p>13 A. Yes, there was. It was clear at</p> <p>14 that time that none of the therapies we were</p> <p>15 delivering offered substantial efficacy for</p> <p>16 patients, and the toxicity profiles were a</p> <p>17 problem.</p> <p>18 This slide demonstrates some of</p> <p>19 the conclusions that authors and experts in the</p> <p>20 field described for the state of treatment of</p> <p>21 RCC.</p> <p>22 Dr. Stahl commented it was</p> <p>23 unquestionable that none of the available</p> <p>24 systemic approaches could be recommended as a</p>	<p style="text-align: right;">Burris - direct 467</p> <p>1 A. Yes. Numerous investigators and</p> <p>2 developers had attempted to find new therapies</p> <p>3 for advanced RCC. With a little bit of success</p> <p>4 seen with both IL-2 and interferon alpha, much</p> <p>5 of the research focused on the immune system.</p> <p>6 It was felt that the immune system played a</p> <p>7 critical role in these patients in regulating</p> <p>8 tumor growth, so we saw a number of</p> <p>9 immunotherapies in the clinic.</p> <p>10 This slide lists several of those.</p> <p>11 LAK, which stands for lymphocyte activated</p> <p>12 killers cells; TIL, tumor infiltrating</p> <p>13 lymphocytes; and TNF, a drug known as tumor</p> <p>14 necrosis factor were all studied.</p> <p>15 As is described here in summary</p> <p>16 publications, the side effects were severe and</p> <p>17 life-threatening. There was no evidence for</p> <p>18 superiority. And we had low response rates with</p> <p>19 each of these therapies.</p> <p>20 MS. JACOBSEN: For the record, on</p> <p>21 PDX-5010, Dr. Burris referred to PTX-551, the</p> <p>22 Belldegrun 1992. PTX-605, Skillings 1992 at</p> <p>23 page 70. PTX-607, Stahl 1992, page 74. And</p> <p>24 PTX-619, Whiteside 1991.</p>
<p style="text-align: right;">Burris - direct 466</p> <p>1 standard treatment. There was an urgent need</p> <p>2 for an effective treatment, and actually, sadly,</p> <p>3 over the prior 20 years, the prognosis for RCC</p> <p>4 patients had not substantially changed.</p> <p>5 MS. JACOBSEN: For the record, on</p> <p>6 PDX-5008, Dr. Burris referred to PTX-607, Stahl</p> <p>7 1992, at pages 75 to 76 PTX-618, Wersall 1992,</p> <p>8 at page 71.</p> <p>9 BY MS. JACOBSEN:</p> <p>10 Q. Was the need that existed limited</p> <p>11 to drugs that could be used as first line</p> <p>12 therapies?</p> <p>13 A. No. As I've stated, some of the</p> <p>14 patients were treated, many with both IL-2 and</p> <p>15 interferon alpha. For those that were able to</p> <p>16 go on and receive subsequent lines of therapy,</p> <p>17 there was a large, unmet need. These patients</p> <p>18 also had growing tumors and more aggressive</p> <p>19 tumors, so that was clearly an area where we</p> <p>20 needed new therapies.</p> <p>21 Q. So moving on then to your opinions</p> <p>22 regarding the failure of others, but still in</p> <p>23 RCC, prior to October 1992, had anyone tried to</p>	<p style="text-align: right;">Burris - direct 468</p> <p>1 Plaintiffs move to introduce</p> <p>2 PTX-605 and 619 into evidence.</p> <p>3 MR. BROWN: No objection.</p> <p>4 THE COURT: Admitted without</p> <p>5 objection.</p> <p>6 (PTX-605 and PTX-619 were admitted into</p> <p>7 evidence.)</p> <p>8 BY MS. JACOBSEN:</p> <p>9 Q. Dr. Burris, had any other</p> <p>10 therapies been tested by October 1992?</p> <p>11 A. Yes. During this time period a</p> <p>12 number of chemotherapies and hormonal therapies</p> <p>13 had entered the clinic and been tested in</p> <p>14 patients.</p> <p>15 Dr. Yagoda summarized in a later</p> <p>16 publication that over 75 chemotherapy and</p> <p>17 hormonal therapies had been utilized. In</p> <p>18 summarizing the data for those patients, results</p> <p>19 were classified as dismal. Only six percent of</p> <p>20 patients benefiting by having a response or</p> <p>21 objective tumor shrinkage, and it was clear that</p> <p>22 advanced RCC showed continued resistance to the</p> <p>23 available therapies.</p>

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.