

1
2 UNITED STATES PATENT AND TRADEMARK OFFICE
3 BEFORE THE PATENT TRIAL AND APPEAL BOARD

4 Case IPR2016-00510
5 Case IPR2016-00512
6 Case IPR2016-00514
7 Case IPR2016-00516
8 Case IPR2016-00517

9 MYLAN PHARMACEUTICALS INC. and
10 MYLAN LABORATORIES LIMITED,

11 Petitioner,

12 vs.

13 UCB PHARMA GMBH,

14 Patent Owner.

15 DEPOSITION OF
16 STEVEN E. PATTERSON, Ph.D.

17 Atlanta, Georgia

18 Tuesday, October 4, 2016

19
20
21
22
23
24 Reported BY: Michelle M. Boudreaux, RPR

25 Job No: 113362

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

October 4, 2016

9:10 a.m.

Deposition of STEVEN E. PATTERSON,
Ph.D., held at the offices of Hunton &
Williams, Bank of America Plaza,
Suite 4100, 600 Peachtree Street, Atlanta,
Georgia pursuant to Agreement before
Michelle M. Boudreaux, a Registered
Professional Reporter in the State of
Georgia.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES OF COUNSEL

On behalf of the Petitioner:

ALYSON WOOTEN, Esq.
Kilpatrick Townsend & Stockton
1100 Peachtree Street Northeast
Atlanta, GA 30309

On behalf of the Patent Owner:

JAMES TRAINOR, JR., Esq.
White & Case
1155 Avenue of the Americas
New York, NY 10036

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STEVEN E. PATTERSON, Ph.D.,
being first duly sworn, was examined and testified as
follows:

MR. TRAINOR: So just to clarify for the
record, this deposition is being taken in
conjunction with four pending inter partes
reviews before the United States Patent
Office. The -- these reviews -- these IPRs
are numbered Case No. IPR 2016-0516, also
0510, 0512, 0514, 0517. And I believe 510 is
the '650, correct?

MS. WOOTEN: Yes.

MR. TRAINOR: Okay.

EXAMINATION

BY MR. TRAINOR:

Q Now, good morning, Dr. Patterson.

A Good morning.

Q Sorry for the circus here. Before we get
started, I wanted to hand you the declarations that
have you here today submitted in support of the
aforementioned IPRs. I'm handing you now what is, in
IPR 510, the declaration you submitted, and that IPR,
the number is Exhibit 1003.

A Uh-huh.

1 STEVEN E. PATTERSON, Ph.D.

2 Q And I'm also handing you a second declaration
3 submitted in support of the remaining IPR numbers. In
4 all of those IPRs, this is also designated as Exhibit
5 10003 [sic].

6 Now, if I could just ask your assistance,
7 this is probably the one document -- these are the two
8 documents that don't have the numbers on them, so maybe
9 we could just take a quick second and put the sticker
10 on these. The rest of them should all be marked, if
11 that's all right.

12 (Discussion off the record.)

13 (Exhibit 1003 marked for identification.)

14 Q (By Mr. Trainor) All right. Now, first of
15 all, Dr. Patterson, I take it you've had your
16 deposition taken before?

17 A This is the first time I have testified in
18 court, but not --

19 Q Testified at a deposition?

20 A I'm not sure. Actually in a court proceeding
21 before the judge. Not for this case, though. I'm
22 sorry. I guess that's what you're asking.

23 Q Let me just back up.

24 A Okay.

25 Q Have you testified before in a court

1 STEVEN E. PATTERSON, Ph.D.

2 proceeding?

3 A Yes, sir.

4 Q Okay. How many times?

5 A Once.

6 Q Okay. And in that proceeding, did you give a
7 deposition before you testified at trial or in court?

8 A I don't recall doing that, no, sir.

9 Q Okay. In that case, I'll just let you know,
10 I'm going to make a record today of some questions that
11 I'm going to have for you, and hopefully you can answer
12 those to the best of your ability. If anything is
13 unclear, please let me know. I'll try to clarify for
14 you.

15 If you'd like to take a break at any time,
16 please just let me know. We can take as many breaks as
17 you'd like. Just please don't ask for a break while a
18 question is pending.

19 A Sure.

20 Q Okay. So, Dr. Patterson, with regard to the
21 two exhibits numbered 1003 in front of you, are those
22 exhibits -- are those declarations that you recall
23 preparing?

24 A Yes.

25 Q Okay. Is it fair to say -- to try to make

1 STEVEN E. PATTERSON, Ph.D.

2 things easy today, is it fair to say that the substance
3 of those two declarations is more or less the same?

4 MS. WOOTEN: Objection, form. You still
5 can respond.

6 THE WITNESS: I'm sorry. Oh, okay.

7 Q (By Mr. Trainor) I mean, are there any
8 material differences in the declaration testimony you
9 provided?

10 A Without taking time to review them carefully,
11 I believe -- the differences that I remember are simply
12 just correction of typos from one to the other.

13 Q Okay. Okay. So, I mean, my take on this is
14 that they're really quite similar. If there's an
15 occasion today where you think that may not be the
16 case, let me know, and if there's any occasion where I
17 think that might not be the case, I'll let you know.
18 But to make things easy, why don't we just work with
19 one of the documents, and why don't we work off of
20 the -- let's see what I have here. We'll work off of
21 the one that is -- the one that is actually
22 pre-stamped, okay, so this is the one in the --

23 A The one that we do not have the sticker on?

24 Q Yeah, the one that says '650 patent at the
25 top.

1 STEVEN E. PATTERSON, Ph.D.

2 A Okay.

3 Q So the stickered one you can put aside.

4 A Okay.

5 Q And again, I'm not trying to --

6 A I understand.

7 Q -- pull anything over you. I just think
8 they're more or less the same document, so -- right
9 down to the paragraph numbers, so hopefully that will
10 make it easy to make the record here today.

11 Okay. Now, Dr. Patterson, in the court
12 proceeding that you testified in previously, what was
13 the nature of that dispute, if you recall?

14 A It was a dispute involving my work in
15 industry between Gilead and Idenix.

16 Q And I'm sorry?

17 A And Idenix.

18 Q Okay. Which was your previous employer?

19 A My previous employer was Pharmasset.
20 Pharmasset was acquired by Gilead.

21 Q Okay. So you testified in that proceeding as
22 a fact witness as opposed to as an expert witness?

23 A Yes, sir.

24 Q Okay. Have you ever consulted as an expert
25 witness or as an expert consultant for litigation prior

1 STEVEN E. PATTERSON, Ph.D.

2 to this proceeding?

3 A Not in the same way that we're doing now.

4 Q In what different way?

5 A I've had people call me for comments about
6 one thing or another. This is the first time where,
7 you know, I've been so involved as to prepare, you
8 know, documents and such.

9 Q Uh-huh. In the prior consultations that you
10 referred to, were you working with attorneys or --

11 A Yes.

12 Q -- non-attorneys?

13 A Attorneys.

14 Q Okay.

15 A My understanding is that they were attorneys,
16 in any event.

17 Q Okay. In-house attorneys at a company or
18 external counsel; do you recall?

19 A I don't recall.

20 Q That's fine.

21 Okay. Now, Dr. Patterson, please feel free
22 to refer to Exhibit 1003 at any time. And we'll be
23 looking at it together for a good part of the day. But
24 if I ask you questions that aren't directly related to
25 what's set forth in the declaration, you know, you can

1 STEVEN E. PATTERSON, Ph.D.

2 always feel free to refer to that.

3 And I'd also like to invite you to ask me at
4 any time if you see another exhibit referenced in
5 there, which I should have here, and you'd like to see
6 it, just let me know, because what we're here to do
7 today is give me the opportunity and my clients the
8 opportunity to sort of cross-examine the substance of
9 this declaration.

10 So given that, you've got a number of
11 citations in there. I should have copies of all of
12 them. I might show them to you on my own volition, but
13 if you say, "Hey, I'd like to see this," please feel
14 free to let me know --

15 A Okay.

16 Q -- because we want to know what your take on
17 that stuff is.

18 So, Dr. Patterson, without looking at the
19 declaration for a moment, in the time frame of 1998 and
20 1999, could you describe for me in your words what you
21 understood to be the state-of-the-art in the field of
22 OAB drug development?

23 A There were very few treatments out there
24 approved. There were some issues with many of them
25 regarding selectivity such that many of -- you know, at

1 STEVEN E. PATTERSON, Ph.D.

2 least one of them, you know, some of the patients, as I
3 understood, or the persons treated with the drug, you
4 know, discontinued treatment because of some of the
5 side effects.

6 Q And putting aside the OAB treatments that you
7 referred to as approved, was there research and
8 development ongoing that was publicly known that may or
9 may not have led later to an approved drug?

10 A According to reviews that I recall -- you
11 know, recall looking at, there were multiple -- let's
12 call them classes or drugs that were thought that might
13 work by different, you know, mechanisms under
14 investigation.

15 Q And they were being reported in the prior art
16 as of that time?

17 A I believe so.

18 Q Okay.

19 A I think so.

20 Q Okay. Why don't I show you Exhibit --

21 MR. TRAINOR: I'm going to show the
22 witness what's been marked as Exhibit 1006
23 in all of the proceedings. This is a
24 publication with the lead author Andersson.

25 Q (By Mr. Trainor) Now, Dr. Patterson, feel

1 STEVEN E. PATTERSON, Ph.D.

2 free to review that exhibit. Is this the review,
3 Exhibit 1006, that you were just referring to?

4 A I believe it is. I recall the tables on the
5 second -- or the -- yeah, the table. That's a single
6 table, actually. It goes from the second to the third
7 page of the paper.

8 Q Uh-huh.

9 A And that's what I was thinking about.

10 Q Okay. And maybe if it helps, in your
11 declaration, Exhibit 1003, if you turn to page 4,
12 beginning on page 4, you set forth a comprehensive
13 chart of the materials that you considered. Do you see
14 the Exhibit No. 1006 --

15 A Right.

16 Q -- "Andersson Review"?

17 A Right.

18 Q Okay. So if you ever need to refer to --
19 we'll try to cross-reference one of the documents I'm
20 showing you. I'm fairly certain that all of the
21 documents I'll show you are exhibits to your
22 declaration. So this might refresh what they are, and
23 here's the Exhibit No. 1006 on page 4 of your
24 declaration.

25 So, first of all, how was it that you came

1 STEVEN E. PATTERSON, Ph.D.

2 upon this Andersson review, Exhibit 1006?

3 A It was either referenced by counsel or I did
4 a literature search on my own and found it. Both
5 could, in fact, be true.

6 Q Okay. So is it fair to say that in the
7 preparation of this declaration, some documents were
8 provided to you by counsel?

9 A It is. That is true.

10 Q And other documents were procured by you
11 independently?

12 A I recall doing a literature search.

13 Q Okay.

14 A And I recall discussing the -- well, I
15 shouldn't say that. Sorry.

16 Q Okay.

17 A I recall doing a literature search, I think,
18 in order to protect our private discussion.

19 Q That's okay. Okay. Well, right now I'm not
20 as concerned about, you know, the source. What was
21 the -- do you have an understanding as to how the
22 search was conducted, what search terms were used or
23 something of that nature?

24 A I can't tell you what counsel did, but I can
25 tell you the terms I -- as best I can recall, because

1 STEVEN E. PATTERSON, Ph.D.

2 it was nearly a year ago, but I can -- I went to PubMed
3 and did a keyword search. I don't recall the exact
4 keywords.

5 Q Okay. Okay. Do you recall why you did that
6 search given that you were being provided documents by
7 counsel?

8 MS. WOOTEN: Objection, form. Go
9 ahead.

10 THE WITNESS: Should I answer? Oh.
11 That's my practice. I prefer to be, you
12 know, aware of what's out there.

13 MR. TRAINOR: Okay.

14 Q (By Mr. Trainor) Now, are you aware of any
15 other publications that were prior art as of 1999 or
16 1998 that, in substance, disclosed the goings-on in the
17 research and development in the OAB field, or was it
18 just this Andersson paper?

19 MS. WOOTEN: Objection, form.

20 THE WITNESS: What I remember -- should
21 I answer?

22 MS. WOOTEN: Yes.

23 THE WITNESS: Okay.

24 MS. WOOTEN: When I make objections,
25 it's just for the record. Unless I instruct

1 STEVEN E. PATTERSON, Ph.D.

2 you not to answer --

3 THE WITNESS: You will tell me?

4 MS. WOOTEN: I will tell you.

5 THE WITNESS: All right, good. Thank
6 you. I appreciate that.

7 I remember the Andersson paper best
8 because -- I think, because I don't remember
9 much others, it was just a nice review. I
10 don't recall that I found other reviews that
11 were useful in the way Andersson was.

12 MR. TRAINOR: Okay.

13 Q (By Mr. Trainor) Useful for what purpose?

14 A To help demonstrate what was -- what had been
15 in the literature previous to 1999.

16 Q Okay. But you understand if there are other
17 review papers besides the Andersson paper that were in
18 publication at or before that time, they would also be
19 considered within the prior art?

20 A That's my understanding, yes.

21 Q Okay. Okay. Now, the Andersson paper --
22 let's take a look at that here.

23 Now, is it fair to say that a skilled
24 artisan, reading the Andersson paper at the time of its
25 publication, would have understood at least the

1 STEVEN E. PATTERSON, Ph.D.

2 disclosure that the primary pharmacotherapy in the
3 field of OAB to date had been antimuscarinic drugs?

4 A Yes.

5 MS. WOOTEN: Objection, form.

6 MR. TRAINOR: Okay.

7 Q (By Mr. Trainor) Now, did you understand the
8 Andersson paper to suggest that the current research,
9 with respect to antimuscarinic drugs, was focused on
10 antimuscarinics that were selective for M3 receptors?

11 A My -- I'm not certain that Andersson
12 suggested that, but that's sort of a lesson that I
13 remember, you know, that -- you know, that that seemed
14 to be a very reasonable way to proceed.

15 Q Uh-huh. And why was that?

16 A Many of the side effects from the existing
17 were due to poor selectivity, and thus you would
18 conclude that you'd get improved selectivity and have a
19 better profile.

20 Q Okay. And poor selectivity for the various
21 antimuscarinic subtypes would lead to side effects why?

22 MS. WOOTEN: Objection, form.

23 THE WITNESS: These muscarinic receptors
24 are involved in other processes not related
25 to the desired. Alternatively, you know, one

1 STEVEN E. PATTERSON, Ph.D.

2 might look for tissue selectivity.

3 MR. TRAINOR: Okay.

4 Q (By Mr. Trainor) Now, are you familiar with
5 tolterodine?

6 A Yes.

7 Q How about atropine?

8 A Yes.

9 Q Okay. And do you understand that drugs such
10 as tolterodine and atropine were nonselective
11 antimuscarinics?

12 A Yes.

13 Q Meaning that they bound to muscarinic
14 receptors everywhere they could be found in the body,
15 correct?

16 A The studies had the multiple muscarinics
17 expressed in cell culture. And so, yeah, you might
18 expect that there would be found -- you know, that this
19 would happen. But the data showed that in these
20 culture models, you know, such agents were not
21 selected.

22 Q Uh-huh.

23 A Now, what happens in vivo might, in fact, be
24 slightly different because the drug might not be
25 equally distributed across the body.

1 STEVEN E. PATTERSON, Ph.D.

2 Q Okay. Now, the thinking at the time was that
3 the M3 subtype was most responsible for activity in the
4 bladder; is that right?

5 MS. WOOTEN: Objection, form.

6 THE WITNESS: I'm sorry, could you
7 repeat that?

8 MR. TRAINOR: Yes.

9 Q (By Mr. Trainor) The thinking at the time
10 was that M3 receptors predominated the bladder as
11 opposed to other subtypes, correct?

12 A I believe the line of thought at that time
13 was that the M3 was important for bladder function.

14 Q Okay. And so it was understood at the time
15 that one direction of research would be to develop
16 drugs that selected only for that M3 receptor, correct?

17 A Yes.

18 MS. WOOTEN: Objection, form.

19 MR. TRAINOR: Okay.

20 Q (By Mr. Trainor) Now, the Andersson paper
21 goes on to talk about other mechanisms of action that
22 had been investigated and reported at least up till
23 that time, correct?

24 A Yes.

25 Q So besides antimuscarinic drugs -- and

1 STEVEN E. PATTERSON, Ph.D.

2 perhaps it's best if we can look at the table that you
3 have in your declaration, which begins on the second
4 page of the -- well, in your declaration, that would
5 be -- let's get there anyway.

6 A Okay.

7 Q That's a good idea. So in your declaration,
8 this is around --

9 A If you prefer Andersson, that's fine.

10 Q No, they're both the same, but just for the
11 record, we can make clear.

12 Okay, if you go to -- yeah, page 39 of
13 Exhibit 1003 --

14 A Yes.

15 Q -- I believe is a reproduction of Table 2 in
16 the Andersson paper, which is Exhibit 1006, correct?

17 A Yes.

18 Q Okay. So looking at either one, this might
19 be the best bird's-eye view of the other research that
20 was being reported. So besides antimuscarinic drugs,
21 Andersson reports on drugs acting on membrane channels.
22 Do you see that?

23 A Uh-huh. Let me --

24 Q It's in italics. So the first italic is
25 "antimuscarinic."

1 STEVEN E. PATTERSON, Ph.D.

2 A Yeah, "adrenoceptor." I'm just trying to
3 find -- there it is. It's the -- yes.

4 Q Okay. And Andersson also discloses
5 investigation of drugs with mixed actions, correct?

6 A Yes.

7 Q And it also discloses investigation of the
8 use of alpha-adrenoceptor antagonists, correct?

9 A Right.

10 Q And beta-adrenoceptor antagonists [sic]?

11 A Right.

12 Q Antidepressants?

13 A Right.

14 Q Prostaglandin synthesis inhibitors?

15 A Right.

16 Q Vasopressin analogue?

17 A Right.

18 Q And then a number of other sort of
19 uncharacterized drugs, including Baclofen and
20 capsaicin. Do you see that?

21 A Yes.

22 Q And then there's -- and all of those
23 mechanisms of action fall under the greater heading of
24 "Bladder Hyperactivity." Do you see that?

25 A Right.

1 STEVEN E. PATTERSON, Ph.D.

2 Q Okay. And then there's a mention of a number
3 of investigations for treatments of stress
4 incontinence, and I'm not sure that really falls within
5 the definition of OAB or at least the indication for
6 Toviaz, which is why we're here today. But if you jump
7 down to "Overflow Incontinence," you see that there's a
8 further investigation of alpha-adrenoceptor
9 antagonists?

10 A (No audible response.)

11 Q Yes?

12 A Yes. Oh, yes.

13 Q Again, muscarinic receptor agonists; do you
14 see that?

15 A Yes.

16 Q And on the following page, continuing on,
17 anticholinesterase inhibitor?

18 A Yes.

19 Q And again, a number of other drugs. Do you
20 see that?

21 A Yes.

22 Q Okay. And so for bladder hyperactivity,
23 which you understand to encompass these symptoms of
24 OAB, correct --

25 A I think so.

1 STEVEN E. PATTERSON, Ph.D.

2 Q -- there are nine mechanisms of action that
3 were investigated, or eight in addition to
4 antimuscarinic drugs, correct?

5 MS. WOOTEN: Objection, form.

6 THE WITNESS: I haven't counted, but if
7 that's your count, I won't argue with it.

8 MR. TRAINOR: Okay.

9 Q (By Mr. Trainor) And for overflow
10 incontinence, which you understand to be a symptom of
11 OAB, correct, that's actual incontinence?

12 A Clinically, it might be difficult to tell
13 them apart.

14 Q Okay. In any event, the Andersson paper
15 reports on the investigation of four different
16 mechanisms of action, correct?

17 MS. WOOTEN: Objection, form.

18 THE WITNESS: If that's your count --

19 MR. TRAINOR: Okay.

20 THE WITNESS: -- I won't -- yeah.

21 Q (By Mr. Trainor) Now, under each of these
22 mechanisms of action which are detailed beyond this
23 table in the text of the Andersson paper, there are a
24 number of specific compounds falling as within
25 affecting that mechanism of action, correct?

1 STEVEN E. PATTERSON, Ph.D.

2 A I'm sorry, say again.

3 Q So for each mechanism of action, such as
4 antimuscarinic activity, the Andersson paper reports
5 the degree of investigation with respect to a number of
6 different compounds falling under that mechanism of
7 action. Do you see that?

8 A Yes, I do.

9 MS. WOOTEN: Objection, form.

10 Q (By Mr. Trainor) So, for example, atropine,
11 propantheline --

12 A Yes.

13 Q -- emepronium, and so forth, correct?

14 A Yes, I see the columns there.

15 Q Now, is it fair to say that this table
16 summarizes, with respect to each of the compounds that
17 are reported, whether those compounds have, in the
18 first column, shown efficacy, by the letter E, or have
19 been shown to be effective, rather? If you see the
20 legend on the following page --

21 A Yes.

22 Q -- it says E means effective.

23 A You mean the --

24 Q First column.

25 A Right, the first column after the drug

1 STEVEN E. PATTERSON, Ph.D.

2 column.

3 Q Right.

4 A Yes.

5 Q Okay. So for Table 2 in the Andersson paper,
6 E means that the compound has been shown effective, and
7 U means it's been shown -- unproven that it's
8 effective, correct?

9 A Yes.

10 Q All right. Now, in the next column of
11 Table 2, under the heading "Clinical," there are one of
12 four designations, and A meaning that a good quality
13 RCT has been undertaken. Do you see that?

14 A Yes.

15 Q And RCT means random controlled trial,
16 correct?

17 A I believe that's correct.

18 Q And that's sort of the gold standard of
19 clinical trials, correct?

20 MS. WOOTEN: Objection, form.

21 THE WITNESS: The FDA desires --
22 actually requires, you know -- and there are
23 exceptions to that, however.

24 MR. TRAINOR: Okay.

25 Q (By Mr. Trainor) And I'm not sure you

1 STEVEN E. PATTERSON, Ph.D.

2 completed the thought, so since we have to get the
3 record completely, the FDA requires, generally,
4 RCT-type clinical trials?

5 A Yes.

6 Q Okay. Now, continuing on, the designation A
7 in Table 2 under "Clinical" suggests that -- excuse me,
8 we just did it.

9 The designation B means that there have been
10 some clinical studies and, by inference, not
11 necessarily RCT level, but some clinical studies,
12 correct?

13 MS. WOOTEN: Objection, form.

14 THE WITNESS: Yes, that's what the table
15 appears to say.

16 Q (By Mr. Trainor) Right. And if the
17 designation C appears under "Clinical," would you
18 understand it to mean that no actual clinical study has
19 ever been conducted, but there's some expert opinion
20 out there that supports the claim of effectiveness?

21 A I think that's a reasonable interpretation.

22 Q Okay. So I don't know that you need to
23 necessarily count them, but with respect to all of the
24 mechanisms of action and all of the subsidiary
25 compounds that have been investigated and reported in

1 STEVEN E. PATTERSON, Ph.D.

2 this Andersson paper, I believe that -- count for
3 yourself, but I believe that it's about 27 of the
4 compounds have been reported as showing effectiveness
5 in treating either bladder hyperactivity or overflow
6 incontinence. Does that seem fair?

7 MS. WOOTEN: Objection, form.

8 Q (By Mr. Trainor) You're free to count.

9 A Would you like me to count?

10 Q No.

11 A Okay. If that's your count, I --

12 Q Okay.

13 A No dispute with that.

14 Q Great. And some smaller number -- I believe
15 it's about 20 -- have been in either an RCT or other
16 clinical study as opposed to not having been examined
17 in a clinical itself. Does that seem about right, just
18 eyeballing this chart?

19 MS. WOOTEN: Objection, form.

20 THE WITNESS: That seems close, I
21 suppose.

22 MR. TRAINOR: Okay.

23 Q (By Mr. Trainor) So -- and that would
24 include -- among the compounds that would fall into the
25 category of having being shown effective and have been

1 STEVEN E. PATTERSON, Ph.D.

2 the subject of a clinical trial are a number of the
3 antimuscarinic drugs -- do you see that --
4 propantheline, emepronium, trospium, and tolterodine?

5 A I see that.

6 Q Okay. And oxybutynin is not classified as an
7 antimuscarinic per se. He reports it as a drug with
8 mixed action. Do you see that?

9 A I see that.

10 Q But you understand that oxybutynin, among its
11 actions, has antimuscarinic action, correct?

12 A Correct.

13 MS. WOOTEN: Objection, form.

14 Q (By Mr. Trainor) So the question that I have
15 is: The person of ordinary skill in the 1998 or 1999
16 time frame would have a number of research options
17 available if the endeavor was to develop a new OAB
18 drug, correct?

19 MS. WOOTEN: Objection, form.

20 THE WITNESS: Correct.

21 MR. TRAINOR: Okay.

22 Q (By Mr. Trainor) And in your declaration --
23 let's turn back to that. I believe it begins around --
24 you addressed the Andersson paper around paragraph 71.
25 And there you're just sort of describing Andersson.

1 STEVEN E. PATTERSON, Ph.D.

2 But if you turn to paragraph 76 of your declaration,
3 paragraph 76 appears under a new heading that says
4 "Selection of a Compound for Obviousness Analysis." Do
5 you see that?

6 A I have found it.

7 Q Okay. Now, the sentence reads that you were
8 first asked to look at the market for overactive
9 bladder compounds as a skilled artisan in drug design
10 and development and determine where a person of
11 ordinary skill would begin possible development. Do
12 you see that?

13 A Yes.

14 Q Okay. So I take it the "asked" refers to
15 being asked by counsel?

16 A No, sir. I was thinking being asked by a
17 director as a scientist in a laboratory.

18 Q Uh-huh. So that being the case, that
19 paragraph should read, "I would have first been asked,"
20 or something like that; is that right?

21 A Oh, I'm sorry. I misunderstood the -- I
22 was -- in this case, yes. Yeah, the -- yeah, I was
23 asked by counsel. I'm sorry.

24 Q Okay.

25 A Yes.

1 STEVEN E. PATTERSON, Ph.D.

2 Q Now, is it your view that a person of
3 ordinary skill in drug development at the relevant time
4 would have only considered the compounds that were
5 available on the market at the time in terms of a
6 platform for research?

7 MS. WOOTEN: Objection, form.

8 THE WITNESS: Not only, but as a
9 starting point.

10 MR. TRAINOR: Uh-huh. Okay.

11 Q (By Mr. Trainor) So just so I have the
12 record correctly, in your opinion, a person of ordinary
13 skill in the art charged with trying to develop a new
14 OAB drug would have begun looking for lead compounds
15 exclusively among those that were on the market at the
16 time; is that right?

17 MS. WOOTEN: Objection, form.

18 THE WITNESS: Not exclusively, but as a
19 starting point.

20 MR. TRAINOR: Okay.

21 Q (By Mr. Trainor) Well, where else might you
22 look for a starting point?

23 A I would look for compounds in my
24 institution's library.

25 Q Even if they had nothing to do or no previous

1 STEVEN E. PATTERSON, Ph.D.

2 relation to urology?

3 A Indeed, yes.

4 Q Okay. And if you were to go about doing
5 that, separate from looking at the drugs on the market,
6 what types of compounds in your library would you be
7 looking for?

8 A I would look for molecules that bear
9 structural similarity to what I would perceive as a
10 structure -- from the structures that were known.

11 Q Uh-huh. Okay. Okay. And that would be the
12 case, again, even if some structural analog in your
13 library had never been experimented with before for the
14 purpose, in this case, of uses in OAB treatment,
15 correct?

16 A Yes.

17 MS. WOOTEN: Objection, form.

18 MR. TRAINOR: Okay.

19 MS. WOOTEN: Pause and give me a moment
20 to make an objection.

21 THE WITNESS: Okay. Sorry. Yeah,
22 you're right. Okay.

23 Q (By Mr. Trainor) And would you or the person
24 of ordinary skill in the art at the time also consider
25 the literature irrespective of whether the literature

1 STEVEN E. PATTERSON, Ph.D.

2 published about marketed products exclusively?

3 A Yes.

4 MS. WOOTEN: Objection, form.

5 THE WITNESS: Sorry.

6 MR. TRAINOR: Okay.

7 Q (By Mr. Trainor) Would the Andersson paper
8 be an example of such literature, a review paper like
9 that?

10 A It would.

11 Q It would, okay, and so would it be -- would
12 it be at least as reasonable to investigate compounds
13 with different mechanisms of action other than those
14 currently marketed as it would be to look at those
15 compounds that were presently marketed?

16 MS. WOOTEN: Objection, form.

17 Q (By Mr. Trainor) As starting points?

18 A I'm not -- so could you ask that again to
19 make sure I understand you correctly?

20 Q Okay. So when I asked you where a skilled
21 artisan would look to try to find a starting point for
22 a new OAB drug at the relevant time, in 1998 or 1999,
23 and correct me if I'm wrong, one place you would look
24 is at the currently marketed products in the field,
25 correct?

1 STEVEN E. PATTERSON, Ph.D.

2 A Correct.

3 Q A second place you would look, to the extent
4 that you had access, was a library with compounds
5 having structural similarity to compounds known to
6 have --

7 A Yes.

8 Q -- been effective?

9 A Yes.

10 MS. WOOTEN: Objection, form.

11 Q (By Mr. Trainor) And from your last answer,
12 I'm just asking, would it be just as reasonable to look
13 for a starting point in a review article like Andersson
14 that is proposing novel approaches to the problem,
15 although not necessarily with drugs in the class of
16 compounds that were presently marketed?

17 MS. WOOTEN: Objection, form.

18 THE WITNESS: I disagree that Andersson
19 is proposing novel approaches. Andersson is
20 merely reporting what's been described in the
21 literature.

22 Q (By Mr. Trainor) Fair enough. And what's
23 being described in the literature is that a great
24 number of researchers were, in fact, at the time
25 researching and trying to develop new OAB treatments

1 STEVEN E. PATTERSON, Ph.D.

2 that employed mechanisms of action other than classic
3 antimuscarinic treatment, correct?

4 MS. WOOTEN: Objection, form.

5 THE WITNESS: My opinion is that the
6 skilled artisan would look -- would look to
7 find out what's already known and then
8 proceed from there --

9 MR. TRAINOR: Right.

10 THE WITNESS: -- and choose what would
11 be perceived -- what that person perceived as
12 the easiest approach first.

13 Q (By Mr. Trainor) Why the easiest?

14 A Why begin with something difficult? It's the
15 easiest, but it's the most efficient way to work.

16 Q Well, how else does medicine progress if
17 everybody just looks for the easiest solution?

18 A It's where you begin.

19 Q I see.

20 A It's not always --

21 Q Okay. Okay. Be that as it may, in terms of
22 what's known, I think you said, there are at least 20
23 different compounds reported in Andersson that were
24 known, reported as effective, and had actually been in
25 clinical trials, correct?

1 STEVEN E. PATTERSON, Ph.D.

2 MS. WOOTEN: Objection, form.

3 THE WITNESS: If that's your count.

4 MR. TRAINOR: Okay.

5 Q (By Mr. Trainor) Well, all I'm saying is,
6 wouldn't they all be reasonable starting points?

7 MS. WOOTEN: Objection, form.

8 Q (By Mr. Trainor) Inclusive of tolterodine,
9 and I'm just saying wouldn't all of the compounds
10 reported as effective and having been through clinical
11 studies be as reasonable a starting point as
12 tolterodine, which is also reported in Andersson?

13 A The skilled artisan would then look and
14 choose a good starting point and select, right, from --
15 the skilled artisan can't do all of them
16 simultaneously.

17 Q Uh-huh. Isn't it the case that in research
18 and development, there's a motivation to arrive at
19 something different than what's already on the market?

20 MS. WOOTEN: Objection, form.

21 THE WITNESS: I believe the motivation
22 is a successful campaign to get something
23 successful to the market. The skilled person
24 would seek to avoid reproducing something
25 that's known.

1 STEVEN E. PATTERSON, Ph.D.

2 Q (By Mr. Trainor) Uh-huh. So -- okay. Now,
3 in the -- in paragraph 81 of your declaration, just a
4 little further down, you talk about "the analysis of
5 the overactive bladder treatment area began with a
6 review of the compounds and commercially available
7 products possessing known efficacy or proposed efficacy
8 in treating the disorder." Do you see that?

9 A Yes.

10 Q Would you agree that a great number of the
11 compounds other than tolterodine reported in Andersson
12 meet that description?

13 MS. WOOTEN: Objection, form.

14 THE WITNESS: Yes, the...

15 MR. TRAINOR: Okay.

16 THE WITNESS: "Great many" might be an
17 exaggeration, but there are compounds there,
18 right.

19 MR. TRAINOR: Okay.

20 THE WITNESS: It's a nice set.

21 MR. TRAINOR: Okay.

22 Q (By Mr. Trainor) Now, let's look at a few of
23 these compounds specifically, and this will actually, I
24 think, require us to go back a little bit in your
25 declaration --

1 STEVEN E. PATTERSON, Ph.D.

2 A Okay.

3 Q -- to -- the section begins around -- on
4 paragraph 26, which is on page 13.

5 A Paragraph 26?

6 Q Uh-huh. And there's an intro paragraph, but
7 then at paragraph 27, you discuss oxybutynin, correct?

8 A Yes.

9 Q That's reported in the Andersson paper?

10 A (No audible response.)

11 Q Yes?

12 A Yes.

13 Q And I note that in the last sentence, you
14 say, "Oxybutynin was approved for treating overactive
15 bladder in 1997." Do you see that?

16 A I see that.

17 Q Okay. Is that your understanding of when
18 oxybutynin was first approved?

19 A My understanding is that it was on the market
20 at that time. I don't recall the date it received FDA
21 approval.

22 Q Okay. Would it surprise you that it was in
23 the '70s?

24 A It would not.

25 Q Okay. And do you understand that the

1 STEVEN E. PATTERSON, Ph.D.

2 approval of oxybutynin in 1997 was for a new dosage
3 form of immediate release oxybutynin? I misspoke. Do
4 you understand that the approval of oxybutynin in 1997
5 was in a new dosage form, which was a
6 controlled-release dosage form?

7 A I don't remember all of the details --

8 Q Okay.

9 A -- of that approval.

10 Q Okay. Now, in Andersson's discussion of
11 oxybutynin, which he calls a mixed-action drug -- back
12 to the Andersson paper, Exhibit 1006, it's page 7 of
13 the exhibit, page 929 of the paper. There's a heading
14 at the top of the second column that says "Drugs with
15 mixed actions." And as you move down to the next
16 paragraph, the discussion beginning with the italics is
17 of oxybutynin. Do you see that?

18 A Yes.

19 Q Okay. Now, if you go down to the next
20 paragraph about oxybutynin, in the middle of the
21 paragraph, staying on Exhibit 1006, do you see the
22 sentence that begins "Oxybutynin has an active"?

23 A I see it.

24 Q Okay. And I'll just read it. It says,
25 "Oxybutynin has an active metabolite,

1 STEVEN E. PATTERSON, Ph.D.

2 N-desethyl oxybutynin, which has pharmacological
3 properties similar to those of the parent compound, but
4 which occurs in much higher concentrations." Do you
5 see that?

6 A I see that.

7 Q Okay. Now, that's somewhat similar to the
8 metabolism of tolterodine, correct?

9 MS. WOOTEN: Objection, form.

10 THE WITNESS: My understanding is
11 tolterodine is also dealkylated -- or is
12 dealkylated at the amino moiety.

13 Q (By Mr. Trainor) Uh-huh, but I should ask
14 the question this way: But this passage reflects that
15 oxybutynin, like tolterodine, has an active parent
16 compound, as Dr. Andersson uses it, and an active
17 metabolite, correct?

18 MS. WOOTEN: Objection, form.

19 THE WITNESS: I believe that is true for
20 both molecules.

21 MR. TRAINOR: Okay.

22 THE WITNESS: An active parent and an...

23 MR. TRAINOR: Okay.

24 Q (By Mr. Trainor) And if you move down to the
25 last sentence in that paragraph, it says, "The

1 STEVEN E. PATTERSON, Ph.D.

2 occurrence of an active metabolite may also explain the
3 lack of correlation between the plasma concentration of
4 oxybutynin and side effects in geriatric patients,
5 reported by Ouslander." Do you see that?

6 A I see that.

7 Q Okay. So does that reflect that the dual
8 active metabolism of oxybutynin can cause some issues
9 or drawbacks with that drug? Is that what's being
10 reported here?

11 MS. WOOTEN: Objection, form.

12 THE WITNESS: It's difficult for me to
13 answer that question without a careful review
14 of the Ouslander paper.

15 MR. TRAINOR: Okay.

16 Q (By Mr. Trainor) Okay. Without a review,
17 does it suggest that this metabolism and the presence
18 of two actives in oxybutynin make for variable
19 pharmacokinetics in certain parts of the patient
20 population?

21 MS. WOOTEN: Objection, form.

22 THE WITNESS: That might be the case.

23 MR. TRAINOR: Okay. Okay.

24 Q (By Mr. Trainor) Now, in any event,
25 oxybutynin -- well, let me ask you this question: Why

1 STEVEN E. PATTERSON, Ph.D.

2 would oxybutynin not have been a reasonable starting
3 point for the skilled artisan in 1998 to develop a new
4 OAB drug?

5 A I wouldn't have chosen that as a starting
6 point because of -- it's, you know, reported that many
7 people discontinue use due to, you know, off-target
8 effects, undesirable.

9 Q Uh-huh. So that was one drawback. And
10 potentially the variable pharmacokinetics caused by two
11 actives, would that be another drawback?

12 MS. WOOTEN: Objection, form.

13 THE WITNESS: It would be something to
14 think about.

15 MR. TRAINOR: Uh-huh.

16 Q (By Mr. Trainor) But -- and let me step back
17 and ask you --

18 A Uh-huh.

19 Q -- so would you describe yourself as a
20 medicinal chemist?

21 A Yes.

22 Q Okay. What would you say is the
23 authoritative treatise in the field of medicinal
24 chemistry?

25 MS. WOOTEN: Objection, form.

1 STEVEN E. PATTERSON, Ph.D.

2 THE WITNESS: I'm not certain there is a
3 single --

4 MR. TRAINOR: Right, sorry.

5 THE WITNESS: Right. The text I prefer
6 if I'm teaching is Foye's Medicinal
7 Chemistry.

8 MR. TRAINOR: Okay.

9 Q (By Mr. Trainor) Are there any other leading
10 treatises in medicinal chemistry?

11 A Limited to textbooks, Silverman has a good
12 one.

13 Q Who is it?

14 A Silverman.

15 Q Okay.

16 A But some people prefer Kerns and Di, is
17 another that some people prefer. It's difficult to say
18 leading. There are texts that people like.

19 Q All right. How about Burger's, is that --

20 A Burger's Medicinal Chemistry is a good one.
21 I don't know anyone who uses it. Doesn't mean it's not
22 used, but Burger is -- you know, if you're talking
23 about leading -- you know, the founder of medicinal
24 chemistry is often referred to as Paul Ehrlich.

25 Q Okay.

1 STEVEN E. PATTERSON, Ph.D.

2 A But you don't go wrong to mention Burger.

3 Q Okay. So anyway, I was just curious, but my
4 question, coming back to oxybutynin, is: Your
5 testimony was that you wouldn't have started with that
6 one because of the -- at least the dry mouth reports,
7 but isn't that what a medicinal chemist does, is look
8 at ways to modify compounds in any number of ways in an
9 effort to, you know, address a drawback like that?

10 A It is.

11 MS. WOOTEN: Objection, form.

12 THE WITNESS: Oh, sorry. It is.

13 MR. TRAINOR: Okay.

14 Q (By Mr. Trainor) And how is that any less
15 reasonable an option than making a prodrug of 5-HMT,
16 for example?

17 MS. WOOTEN: Objection, form.

18 THE WITNESS: 5-HMT would be preferred
19 for me because it had a better reported
20 tolerability profile.

21 MR. TRAINOR: Okay. Fair enough.

22 Q (By Mr. Trainor) I understand what you're
23 saying.

24 A Right.

25 Q But my question is, really, irrespective of

1 STEVEN E. PATTERSON, Ph.D.

2 the relative favorability of the compound that a
3 medicinal chemist is modifying, aren't there techniques
4 that are employed regardless of the problem in the
5 hopes of addressing that problem and arriving at a
6 novel compound?

7 A There are.

8 Q Okay. And those could have been employed to
9 address the concerns with oxybutynin, correct?

10 MS. WOOTEN: Objection, form.

11 THE WITNESS: I think a reasonable
12 person might disagree with me on the starting
13 point.

14 MR. TRAINOR: Okay.

15 THE WITNESS: But that -- right.

16 MR. TRAINOR: Okay.

17 THE WITNESS: That's...

18 Q (By Mr. Trainor) Okay. So does the -- so is
19 it your testimony that the ease of developing a new
20 compound is a function of the profile of the base
21 compound, if you will?

22 MS. WOOTEN: Objection, form.

23 THE WITNESS: That might help --

24 MR. TRAINOR: Uh-huh.

25 THE WITNESS: -- the...

1 STEVEN E. PATTERSON, Ph.D.

2 Q (By Mr. Trainor) And -- but separately, some
3 drawbacks with some compounds are challenging, more
4 challenging than others, correct?

5 A Correct.

6 Q And a medicinal chemist would employ
7 techniques to address those drawbacks, you know, as a
8 routine part of what a medicinal chemist does, right?

9 MS. WOOTEN: Objection, form.

10 THE WITNESS: Correct.

11 MR. TRAINOR: Okay.

12 Q (By Mr. Trainor) Now, the -- and the
13 problems with oxybutynin and its dry mouth profile were
14 known well before the Andersson paper was published,
15 correct?

16 A That appears to be the case.

17 Q Okay. And do you understand that the
18 formulation of oxybutynin that was approved in 1997 and
19 referenced in your declaration was an attempt to
20 address that drawback?

21 MS. WOOTEN: Objection, form.

22 THE WITNESS: I know that formulations
23 chemists can be very good at solving --

24 MR. TRAINOR: Okay.

25 Q (By Mr. Trainor) Is it fair to say that

1 STEVEN E. PATTERSON, Ph.D.

2 reformulating an existing drug can be a solution to
3 drawbacks with that existing drug?

4 MS. WOOTEN: Objection, form.

5 THE WITNESS: It can be.

6 Q (By Mr. Trainor) So, for example --

7 A It has been done.

8 Q Right. So, for example, with oxybutynin,
9 formulating it as a controlled-release or a
10 slow-release drug addressed the drawback to some extent
11 of the dry mouth side effect?

12 MS. WOOTEN: Objection, form.

13 THE WITNESS: I think that might be the
14 case.

15 MR. TRAINOR: Okay.

16 Q (By Mr. Trainor) All right, let's keep going
17 through your report here --

18 A Okay.

19 Q -- and the section of the prior art drugs.

20 A Uh-huh.

21 Q Now, the next compound is hyoscyamine. And
22 you indicate here at paragraph 28 that it had shown
23 some benefit in decreasing bladder contractions, but
24 was associated with systemic side effects; is that
25 right?

1 STEVEN E. PATTERSON, Ph.D.

2 A Correct.

3 Q Okay. And again, it wouldn't be beyond the
4 skill of an ordinary medicinal chemist to try to modify
5 the hyoscyamine compound to address those side effects,
6 correct, as of 1998?

7 MS. WOOTEN: Objection, form.

8 THE WITNESS: I think that's within the
9 skillset.

10 MR. TRAINOR: Okay.

11 Q (By Mr. Trainor) Now, propantheline is the
12 next compound, and this is also addressed in -- this
13 page is out of order -- in the Andersson paper, Exhibit
14 1006, as well, right? Sorry to make you jump back and
15 forth.

16 A No, that's okay.

17 Q Let me have you go to that table.

18 (Discussion off the record.)

19 Q (By Mr. Trainor) And it's on page 4 of the
20 exhibit, page 926 of the paper.

21 A At the bottom of page 4?

22 Q Yeah, yeah. Now, propantheline bromide is a
23 quaternary ammonium compound, correct?

24 A Let me look at the structure. Yes, that is a
25 quaternary.

1 STEVEN E. PATTERSON, Ph.D.

2 Q Okay. And the problem with quaternary
3 ammoniums like propantheline is that they were poorly
4 absorbed because of their quaternary nature; is that
5 right?

6 MS. WOOTEN: Objection, form.

7 THE WITNESS: That can be true.

8 MR. TRAINOR: Okay.

9 Q (By Mr. Trainor) And is it fair to say that
10 it was understood at the time that one possible way to
11 address the absorption of quaternary ammonium compounds
12 was to esterize the compound?

13 MS. WOOTEN: Objection, form.

14 THE WITNESS: I'm not sure -- ester --

15 Q (By Mr. Trainor) Or esterify?

16 A Okay, are you --

17 Q I'm just asking you in general.

18 A Well, you're speaking about formation of an
19 ester with a quaternary ammonium salt.

20 Q Uh-huh. Had that been employed to try to
21 improve absorption in these quaternary compounds?

22 A I'm having a problem with a quaternary --
23 with a quaternary ammonium and the term "ester" applied
24 to that moiety --

25 Q Uh-huh.

1 STEVEN E. PATTERSON, Ph.D.

2 A -- such --

3 Q Okay.

4 A Do you understand?

5 Q Sure. Well, you've got the -- you've
6 sketched out the compound in your report, page 15, is
7 that right, propantheline? But you would agree with me
8 that, in general, one of the problems with quaternary
9 ammonium compounds is that they were poorly absorbed;
10 is that right?

11 A That is often true.

12 Q Okay. As a medicinal chemist, how could you
13 have attempted to solve that problem in 1998?

14 A Start somewhere else.

15 Q If you started with propantheline, though?

16 A If I started with propantheline, I might --
17 you know, if I'm looking at this, you know, the
18 propantheline molecule, you know, when I look at
19 something that's highly polar, right, in this case, the
20 extreme polarity, right, it's charged, I look for a way
21 to make it more lipophilic.

22 Q Uh-huh.

23 A I would quite possibly -- you know, if I were
24 instructed, as you just did, to improve this one's
25 availability, I would try to modify the quaternary. I

1 STEVEN E. PATTERSON, Ph.D.

2 would try to find out does this particular
3 pharmacophore require quaternary ammonium moiety.

4 Q Okay. We'll come back to that.

5 Would you make a prodrug of propantheline to
6 solve the absorption problem?

7 A A prodrug of this one would be very difficult
8 because there are -- you know, the nitrogen is
9 quaternized, there are no free OHs, there are no
10 primary or secondary amines present.

11 Q Uh-huh. Are you familiar with the term "soft
12 drug"?

13 A Could you let me know what you mean by "soft
14 drug"? I've heard the word.

15 Q As it has been used in the literature --

16 A I've heard the term.

17 Q -- relating to prodrugs. You've heard of it?

18 A I've heard of it, yes.

19 Q What's a soft drug?

20 A At this time, I can't think of it.

21 Q Okay. Let's come back to it.

22 A Yeah, yeah.

23 Q All right. In paragraph 30 of your
24 declaration, Exhibit 1003, you address terodiline,
25 okay, which Dr. Andersson reports in the Exhibit 1006

1 STEVEN E. PATTERSON, Ph.D.

2 as a mixed-action drug having -- no, I'm sorry -- yes,
3 mixed-action drug.

4 Now, I take it that terodiline would not be a
5 reasonable starting point in your view because it had
6 been withdrawn from the market due to concerns of the
7 prolonged QT interval; is that right?

8 A Yes.

9 MS. WOOTEN: Objection, form.

10 MR. TRAINOR: Okay.

11 Q (By Mr. Trainor) All right. Now, do you
12 recall what the thinking in the art at the time, at
13 least by 1998, was as to the cause of the reported
14 prolonged QT interval?

15 A I can't remember exactly if that was, in this
16 case, due to the effect of the calcium channel or its
17 muscarinic activity.

18 Q Uh-huh.

19 MR. TRAINOR: We've been going for an
20 hour. Why don't we take a quick break.

21 (Recess taken.)

22 Q (By Mr. Trainor) Okay, Dr. Patterson, when
23 we broke, I had you at paragraph 31 of your
24 declaration, 1003 -- excuse me, paragraph 30,
25 concerning terodiline.

1 STEVEN E. PATTERSON, Ph.D.

2 A Okay.

3 Q Are you back there?

4 A Yes.

5 Q Okay. Now, there's a citation there to
6 Exhibit 1008, which I'm going to hand to you, citation
7 being the discussion of terodiline in paragraph 30.
8 And this is also a publication. The lead author is
9 Thomas, for the record, Exhibit 1008.

10 Now, it appears that -- well, this is
11 published in 1995, so this was in the prior art,
12 correct?

13 A Correct.

14 Q Okay. Now, it appears that you cited this
15 paper for general propositions of the mixed mechanisms
16 of action and also for the fact that it was withdrawn
17 from the market, European markets, in 1991, due to
18 concerns of the prolonged QT interval. Do you see
19 that?

20 A Yes.

21 Q Okay. Now, do you recall reading this paper?
22 And let me just -- maybe it's easier if I just direct
23 you to the conclusions on the front page under the
24 abstract.

25 A Okay.

1 STEVEN E. PATTERSON, Ph.D.

2 Q And the conclusion says, "Terodiline
3 increases QTc and QTd in a concentration dependent
4 manner. It's not clear whether this is a
5 stereoselective effect and, if so, which enantiomer is
6 responsible." Do you see that?

7 A Yes.

8 Q Okay. So do you understand that terodiline,
9 as it was once marketed, was a racemate?

10 A That appears to be what this author is
11 saying.

12 Q Okay. And what the author is concluding is
13 that the prohibitive effect on QT may be attributable
14 to one or the other of the enantiomers, the R and S,
15 correct?

16 A Yes.

17 Q Okay. So assuming that terodiline may have
18 been a reasonable starting point in 1998, wouldn't it
19 have been routine to separate those enantiomers and
20 test them for efficacy and safety as a means to develop
21 a new OAB drug?

22 MS. WOOTEN: Objection, form.

23 THE WITNESS: It might be.

24 MR. TRAINOR: Okay.

25 Q (By Mr. Trainor) Well, it would certainly

1 STEVEN E. PATTERSON, Ph.D.

2 have been within the skill to attempt that, correct?

3 A Yes.

4 Q Okay. Now, going back to your declaration,
5 the next compound that you reference in paragraph 31 is
6 trospium --

7 A Yes.

8 Q -- which is now marketed in the U.S. as
9 Sanctura?

10 A Uh-huh.

11 Q Okay. Now, you indicate in paragraph 31 that
12 as of the relevant time in 1998, it was not approved
13 for use to treat OAB. Do you see that?

14 A Yes.

15 Q Okay. Do you understand that while it was
16 not approved in the United States, it had been approved
17 in Europe by that time?

18 A I think that's true. I'm not entirely
19 certain, but I think that's true.

20 Q Okay.

21 A I just don't remember all of the approval
22 dates.

23 Q Okay. Now, regardless of whether it was
24 approved in the U.S., if my representation is correct
25 and it had been approved at least in Europe to treat

1 STEVEN E. PATTERSON, Ph.D.

2 OAB, why would that be any less promising a starting
3 point for development of a new OAB drug than
4 tolterodine, for example?

5 MS. WOOTEN: Objection, form.

6 THE WITNESS: Some drugs used in Europe
7 never make it to the United States.

8 Q (By Mr. Trainor) But do scientists really
9 develop drugs with borders in mind?

10 MS. WOOTEN: Objection, form.

11 THE WITNESS: There are cases when we
12 do.

13 MR. TRAINOR: Okay.

14 Q (By Mr. Trainor) Can you think of any reason
15 why trospium, having been approved to treat OAB by 1998
16 and shortly after approved in the U.S. for the same,
17 would not have been as promising a starting point for a
18 new OAB drug as tolterodine?

19 MS. WOOTEN: Objection, form.

20 THE WITNESS: I worry with trospium
21 about the unsubstituted phenyl rings, not a
22 universal, but I -- you know, they tend to
23 have some undesirable -- in my experience,
24 some undesirable properties, so...

25 MR. TRAINOR: Okay.

1 STEVEN E. PATTERSON, Ph.D.

2 Q (By Mr. Trainor) Well --

3 A So I -- but also I think that more was known
4 about tolterodine.

5 Q Uh-huh. Well, trospium certainly has an OH
6 group, correct?

7 A Right.

8 Q That could be esterified to make a prodrug?

9 A Right.

10 Q Yes?

11 A That's correct.

12 Q Okay. And if absorption was a problem with
13 trospium, would that have been a reasonable option to
14 try to optimize trospium?

15 MS. WOOTEN: Objection, form.

16 THE WITNESS: No.

17 Q (By Mr. Trainor) No, it would not have been?
18 Okay. Why not?

19 A There are no hydroxyls.

20 Q In trospium?

21 A I'm sorry, I'm looking at the wrong --

22 Q Paragraph 31.

23 A I'm sorry, I'm looking at the wrong molecule.
24 I'm sorry.

25 Q Okay.

1 STEVEN E. PATTERSON, Ph.D.

2 A Sorry, I was looking at the -- now that I'm
3 on the right molecule now --

4 Q Yes, sir, now that you're on the right
5 molecule, this is a diphenyl molecule?

6 A Uh-huh, right.

7 Q With the free OH group?

8 A Right, right. Okay.

9 Q Uh-huh.

10 A Right.

11 Q So my question is -- well, let me reask the
12 question because I think you may have been looking at
13 the wrong molecule.

14 Why would this have been any less a
15 reasonable starting point than tolterodine for a
16 developer looking to design an improved OAB drug?

17 MS. WOOTEN: Objection, form.

18 THE WITNESS: I think, you know, a
19 developer looking to improve it might use it
20 as a starting point. I'm not aware of a
21 readily addressable issue with trospium.

22 MR. TRAINOR: Okay.

23 Q (By Mr. Trainor) If we could look back at
24 the Andersson review, Exhibit 1006.

25 A Right.

1 STEVEN E. PATTERSON, Ph.D.

2 Q And trospium is an antimuscarinic, so that is
3 discussed at 928, which is page 6 of the Exhibit 1006.
4 Now, it's the third paragraph and first column.

5 A Okay.

6 Q You can read it to yourself.

7 A Okay.

8 Q But...

9 A Okay.

10 Q Okay. So does Andersson teach that trospium
11 had no drawbacks?

12 MS. WOOTEN: Objection, form.

13 Q (By Mr. Trainor) Well, let me --

14 A Yeah, I'm just --

15 Q -- let me speed it up. The second sentence
16 says it's got no selectivity for muscarinic receptor
17 subtypes.

18 A Right.

19 Q That's similar to tolterodine, correct?

20 A Correct.

21 Q And it says it's biological availability is
22 low, at less than 5 percent. Do you see that?

23 A Yes.

24 Q That's pretty low, right?

25 A Yes, that's...

1 STEVEN E. PATTERSON, Ph.D.

2 Q And that would suggest to you or to the
3 skilled artisan in reading this that that's a function
4 of poor absorption; is that right?

5 A Low absorption, yes.

6 Q Uh-huh. And as we discussed previously, poor
7 absorption is a drawback typical to quaternary ammonium
8 compounds, correct?

9 A Correct.

10 Q So having read that and looking back at the
11 structure in paragraph 31, I'll just ask again: In an
12 effort to improve absorption and bioavailability,
13 wouldn't trospium be a promising compound to modify?

14 MS. WOOTEN: Objection, form.

15 THE WITNESS: It could be.

16 MR. TRAINOR: Okay.

17 THE WITNESS: The -- you know, Andersson
18 reports they found efficacy through oral
19 dosing.

20 MR. TRAINOR: Uh-huh.

21 Q (By Mr. Trainor) Fewer side effects than
22 oxybutynin, correct?

23 A That appears -- let me make sure, but I think
24 that's what he says, yeah.

25 Q At the end.

1 STEVEN E. PATTERSON, Ph.D.

2 A Yes, he does, right.

3 Q Okay. Okay. Okay. So as I understand the
4 basis for your opinion, trospium chloride more or less
5 fits the same profile that you suggest is what called
6 for starting with tolterodine or 5-HMT, correct?

7 MS. WOOTEN: Objection, form.

8 THE WITNESS: There are similarities,
9 but since it had demonstrated clinical
10 efficacy through oral dosing, that appears a
11 solution has been found.

12 Q (By Mr. Trainor) Uh-huh. Same with
13 tolterodine, correct?

14 A Tolterodine is effective by oral dosing.

15 Q As well, yes?

16 A Yes.

17 Q Okay. Okay. Now, back at your report, after
18 trospium, the next two compounds, paragraphs 32 and 33,
19 back on Exhibit 1003, solifenacin and darifenacin.

20 A Okay, yes. Okay, I'm at paragraph 32.

21 Q Okay. Now, are you familiar with those two
22 drugs, solifenacin and darifenacin?

23 A I know the names and I know that they're used
24 for treatment of OAB.

25 Q Okay. Do you understand that by 1998, while

1 STEVEN E. PATTERSON, Ph.D.

2 they had not yet been approved, they were understood to
3 have been designed as M3-specific OAB drugs?

4 MS. WOOTEN: Objection, form.

5 THE WITNESS: That's my -- that's what I
6 remember, I think.

7 MR. TRAINOR: Uh-huh. Okay.

8 Q (By Mr. Trainor) So at least with respect to
9 these two drugs, they reflect that by 1998, at least
10 certain teams of researchers were focused on developing
11 antimuscarinic OAB treatments that were specific to
12 muscarinic receptor subtypes, correct?

13 MS. WOOTEN: Objection, form.

14 THE WITNESS: I'm sorry, say again.

15 Q (By Mr. Trainor) These two drugs would
16 reflect that -- as of 1998, you know, the reality that
17 researchers were, in fact, pursuing a design of
18 M3-specific antimuscarinics for treating OAB, correct?

19 MS. WOOTEN: Objection, form.

20 THE WITNESS: Pursuing, yes.

21 MR. TRAINOR: Yes.

22 Q (By Mr. Trainor) Okay. Now, there is
23 another compound that I don't believe you specifically
24 address in your declaration, but it's also reported in
25 Andersson, and I just want to have a look at that

1 STEVEN E. PATTERSON, Ph.D.

2 before we close this part out. The name of the drug is
3 propiverine.

4 So looking at Andersson 1006, propiverine is
5 listed in that table as a drug with a mixed action, and
6 there's a discussion in the text of Andersson about
7 propiverine. Sorry, let me just note -- you see in the
8 table of -- the Andersson table that you reproduce in
9 your declaration that propiverine, in the table, is
10 disclosed to have been reported to be effective in
11 treating bladder hyperactivity. Do you see that?

12 A Yes.

13 Q And also had been subject to an A-level or
14 RTC clinical trial, correct?

15 MS. WOOTEN: Objection, form.

16 THE WITNESS: That's in the table.

17 Q (By Mr. Trainor) That's correct?

18 A Correct.

19 Q Okay.

20 A I see it in the table.

21 Q Yep. So now, sorry, going back to the text,
22 this is page 9 of Exhibit 1006 and page 931 of the
23 Andersson paper. Now, the italicized "Propiverine"
24 begins in the second full paragraph. I just want to
25 take you down two paragraphs with the sentence which

1 STEVEN E. PATTERSON, Ph.D.

2 begins, "Propiverine has a documented beneficial effect
3 in the treatments of detrusor hyperactivity." Do you
4 see that?

5 A Yes.

6 Q And it goes on to say "and seems to have an
7 acceptable side effect profile." But then it
8 continues, "Its place in therapy is difficult to
9 evaluate and requires further comparative studies."

10 And then the end of the section about propiverine
11 concludes, "Its complex pharmacokinetics, with several
12 active and not very well characterized metabolites,
13 needs more attention." Do you see that?

14 A Yes.

15 Q Okay. So if you were a skilled artisan in
16 1998 considering whether propiverine might be a
17 reasonable starting point for the development of a new
18 OAB drug, what would that suggest to you, the
19 disclosure about the complex pharmacokinetics?

20 MS. WOOTEN: Objection, form.

21 THE WITNESS: About the complex
22 pharmacokinetics?

23 Q (By Mr. Trainor) Well, let me ask it to you
24 this way: That last passage I read, would that
25 dissuade you from using propiverine as a starting point

1 STEVEN E. PATTERSON, Ph.D.

2 as opposed to some of the other compounds, including
3 tolterodine that we've discussed?

4 MS. WOOTEN: Objection, form.

5 THE WITNESS: What might dissuade me
6 from that molecule is the call for additional
7 research. That tells me somebody is probably
8 already doing it, and that might dissuade me
9 from...

10 MR. TRAINOR: Okay.

11 Q (By Mr. Trainor) What about the part about
12 it having several active and not very well
13 characterized metabolites?

14 A That sounds like speculation to me, that
15 several actives, so that's unknown, hence the call for
16 further investigation.

17 Q You believe it was unknown that it had
18 several active metabolites?

19 A No, that the actives -- I think it was known
20 that it had several metabolites. I think there was
21 some degree of uncertainty whether all of them are
22 active --

23 Q Well --

24 A -- based on the comments here.

25 Q Right. Well, we'll turn to this shortly, but

1 STEVEN E. PATTERSON, Ph.D.

2 as I understand your declaration, one of the reasons
3 that, starting with tolterodine, the skilled person
4 would have attempted to modify 5-HMT was in large part
5 due to the fact that you had two actives with
6 tolterodine, correct?

7 MS. WOOTEN: Objection, form.

8 THE WITNESS: Yes.

9 Q (By Mr. Trainor) And that, in your view,
10 rendered the pharmacokinetics of tolterodine
11 problematic; is that right?

12 MS. WOOTEN: Objection, form.

13 THE WITNESS: The problem I saw wasn't
14 such -- so much that it had two actives. It
15 was that one of the metabolic pathways was
16 variable in humans.

17 MR. TRAINOR: Right.

18 Q (By Mr. Trainor) Well, isn't that always
19 more probable than not when you are dealing with two
20 active agents in a drug?

21 A I'm not certain. It's -- I'm not certain.

22 Q Okay. I guess let me ask the question this
23 way: Why would the complex pharmacokinetics, including
24 several active metabolites with propiverine, not be
25 considered a drawback in 1998 on the one hand, but

1 STEVEN E. PATTERSON, Ph.D.

2 tolterodine, with its multiple active agents, present a
3 drawback to the skilled artisan on the other?

4 A I'm not -- I don't mean to suggest that the
5 multiple metabolites are not a drawback. What I'm
6 suggesting is that when I see a review that says this
7 merits additional research, that tells me somebody is
8 probably doing it.

9 Q Uh-huh.

10 A And so I might be directed to do something
11 else if I were not already, you know, doing it. Right?

12 Q So did you just mean by that last testimony
13 there that one factor in determining what lead compound
14 to attempt to optimize is the knowledge that other
15 researchers may be doing the same thing?

16 A In some cases, it is. If I'm in industry, I
17 would be concerned about, you know, taking a parallel
18 path, and even in academia, I'm concerned about taking
19 a parallel path. Right?

20 Q Uh-huh.

21 A And so statements like that make me cautious.

22 Q Okay. Are you aware that at the time, in
23 1998 and 1999, others were working to improve
24 tolterodine?

25 MS. WOOTEN: Objection, form.

1 STEVEN E. PATTERSON, Ph.D.

2 THE WITNESS: That would not surprise
3 me.

4 MR. TRAINOR: Okay.

5 Q (By Mr. Trainor) And if that were the case,
6 how would that impact your opinion that the skilled
7 artisan would be drawn to tolterodine as a lead
8 compound among others?

9 A Okay, so what I'm saying is that if I'm in
10 industry and we -- let's say I was a competitor of the
11 pharma company that owned tolterodine, I might be
12 cautious about working there.

13 Q Okay.

14 A Right? Now, if I am -- I think that's
15 sufficient.

16 Q Okay. Well, in any event, to move on from
17 this, would you agree that what Exhibit 1006, the
18 Andersson paper, discloses is that in reality, at that
19 time, a number of different mechanisms of action,
20 including but not limited to antimuscarinic action,
21 were being pursued in an attempt to develop a new OAB
22 drug?

23 A Yes.

24 Q And do you understand that at least certain
25 of those approaches were suggested as promising in the

1 STEVEN E. PATTERSON, Ph.D.

2 prior art at that time?

3 MS. WOOTEN: Objection, form.

4 THE WITNESS: Andersson reports that
5 some of the molecules had demonstrated
6 clinical efficacy as well as pre-clinical
7 evaluation.

8 Q (By Mr. Trainor) That being the case, would
9 a skilled artisan take from that reporting that there
10 were promising avenues of research separate and apart
11 from nonspecific antimuscarinic treatment?

12 MS. WOOTEN: Objection, form.

13 THE WITNESS: Someone might.

14 MR. TRAINOR: Okay.

15 Q (By Mr. Trainor) But you can't say one way
16 or the other whether the hypothetical skilled person
17 would view these reports as promising new areas of
18 research?

19 MS. WOOTEN: Objection, form.

20 THE WITNESS: Some might, certainly.

21 MR. TRAINOR: Okay.

22 Q (By Mr. Trainor) Okay. Now, let's turn to
23 tolterodine, okay, and maybe we can just try to tee up
24 where we are in your declaration. So, let's see, at
25 the end of that last section we were running through,

1 STEVEN E. PATTERSON, Ph.D.

2 we get to page 18 of Exhibit 1003. And beginning with
3 paragraph 35 and, I would say, running through maybe
4 paragraph 55 --

5 A Okay.

6 Q -- you dedicate discussion in your
7 declaration to your view that skilled artisans would
8 recognize that tolterodine could be improved. So just
9 to -- you're there. And before I get to that, earlier
10 on in your declaration, and I'm paraphrasing here, but
11 let me know if this sounds familiar --

12 A Okay.

13 Q -- you suggest that those skilled in drug
14 development typically face two options, one being to
15 develop a completely novel compound, the second being
16 to improve upon an existing drug or compound. Is that
17 about fair?

18 A I think that's reasonable.

19 Q Okay. And can you tell me in what instances
20 would the skilled drug developer pursue the completely
21 novel compound approach?

22 A In a case where it's a new target.

23 Q Meaning?

24 A A recently validated drugable target,
25 something for which there's no known treatment. Right?

1 STEVEN E. PATTERSON, Ph.D.

2 Q Okay. And is it then the case that in all
3 other instances, drug developers are just looking to
4 improve upon existing compounds?

5 MS. WOOTEN: Objection, form.

6 THE WITNESS: I believe that's an
7 overstatement, all other cases to improve
8 on.

9 Q (By Mr. Trainor) How about in most other
10 cases?

11 A In many other cases -- I think you're
12 overstating it in two areas.

13 Q Okay.

14 A You know, just looking to improve upon,
15 right, we -- I'm not suggesting that we improve upon a
16 known active to the exclusion of all other approaches.

17 Q Uh-huh. Okay. Well, in any event, it's your
18 view that assuming the skilled artisan identifies
19 tolterodine as a starting point for a new drug
20 development project, that we're talking about the
21 second approach here, which is optimizing the compound
22 tolterodine or its analogs, correct?

23 MS. WOOTEN: Objection, form.

24 THE WITNESS: Yes.

25 MR. TRAINOR: Okay. Okay.

1 STEVEN E. PATTERSON, Ph.D.

2 Q (By Mr. Trainor) Now, the references, which
3 are particularly important in these proceedings, that
4 you point to for the proposition that a person of skill
5 would identify tolterodine as needing improvement
6 include this Postlind reference; is that right? Let me
7 show you it to you.

8 MR. TRAINOR: So I'm going to hand the
9 witness what's Exhibit 1010 in all of the
10 IPR proceedings, and it's a publication. The
11 lead author is Postlind, P-O-S-T-L-I-N-D.

12 Q (By Mr. Trainor) And you recall that
13 reference, Dr. Patterson?

14 A Yes.

15 Q Okay. Now, how does Postlind suggest or
16 contribute to the suggestion that the skilled artisan
17 would recognize that tolterodine could be improved in
18 1998, or as of the date of the publication anyway?

19 MS. WOOTEN: Objection, form.

20 THE WITNESS: Postlind identifies a
21 single active metabolite.

22 Q (By Mr. Trainor) Well, that's the case with
23 a lot of drugs, right?

24 A Right. And there are multiple metabolic
25 pathways. So the expression of the CYP2D6, isoform is

1 STEVEN E. PATTERSON, Ph.D.

2 variable in humans, and that could lead to some, you
3 know, dosing problems, unpredictable effects, so --

4 Q Let me ask you about that. You used the word
5 "dosing" or "dosing problems" quite a bit talking about
6 tolterodine in the declaration. What do you mean by
7 that?

8 A In that a safer example, a poor metabolizer
9 might require a different dosing protocol than an
10 extensive metabolizer.

11 Q Okay. And so in the Postlind reference, I
12 direct your attention to the end of the text of that
13 reference.

14 A Uh-huh.

15 Q Before we do that, the existence of multiple
16 metabolic pathways is common to a lot of drugs, no?

17 A I believe it is.

18 Q Yeah. Now, in this Postlind reference, at
19 the end of the discussion, the last paragraph begins
20 "Clinical studies have demonstrated that individuals
21 with reduced CYP2D6-mediated metabolism represent a
22 high-risk group in the population with a propensity to
23 develop adverse drug effects." Do you see that?

24 A Yes.

25 Q Is that what you were referring to?

1 STEVEN E. PATTERSON, Ph.D.

2 A It's one potential issue, right, that, you
3 know, there are -- you know, if there are multiple
4 metabolic pathways, then that increases the possibility
5 of such drug-drug interactions.

6 Q Uh-huh. And with any drug that has multiple
7 metabolic pathways, the FDA requires studies be done to
8 ensure that any drug-drug interactions don't have
9 adverse effects, correct?

10 MS. WOOTEN: Objection, form.

11 Q (By Mr. Trainor) I mean, isn't that the
12 case?

13 A There are drugs marketed such that, you know,
14 combinations are contradicted, so the FDA wants -- my
15 understanding is that the FDA wants such things known.

16 Q Uh-huh. And that's because whatever entity
17 is responsible for metabolizing the drug or further
18 metabolizing its metabolite can be inhibited by certain
19 other drugs that are taken concomitantly by the
20 patient, correct?

21 MS. WOOTEN: Objection, form.

22 THE WITNESS: That is a consideration.

23 It's a concern.

24 Q (By Mr. Trainor) And isn't it fair to say
25 that when that's the case, the FDA will ask you to run

1 STEVEN E. PATTERSON, Ph.D.

2 a study to ensure that inhibiting that metabolizing
3 agent won't give rise to adverse events in deficient
4 populations?

5 MS. WOOTEN: Objection, form.

6 THE WITNESS: I am aware of -- I guess
7 I'd say I'm reluctant to issue a blanket
8 statement of that because I have seen, you
9 know, these warnings that caution should be
10 exercised in, you know, use of one drug with
11 another drug.

12 Q (By Mr. Trainor) Okay. How about this way:
13 Let's assume -- forget about the FDA.

14 A Uh-huh.

15 Q Wasn't it the case in 1998, as now, that to
16 the extent multiple metabolic pathways present the
17 concerns of Postlind, that there are studies that can
18 be done with known inhibitors of the metabolizing
19 agent?

20 A Yes, that can be done.

21 Q Okay. And that was done in connection with
22 tolterodine, correct?

23 MS. WOOTEN: Objection, form.

24 THE WITNESS: I believe that's
25 correct.

1 STEVEN E. PATTERSON, Ph.D.

2 MR. TRAINOR: Okay.

3 Q (By Mr. Trainor) And so, I'm sorry, back to
4 the reference, your summary of the references around
5 paragraphs 41 and 42 just so -- I know I have you all
6 over the place, but the Postlind description by you in
7 your declaration begins at paragraph 40, and this
8 entire last paragraph of the discussion is set forth
9 there, correct?

10 A Could you remind me which paragraph?

11 Q So paragraph 40.

12 A Forty, okay. Yeah, the -- are you referring
13 to the citation to the abstract -- of the abstract at
14 the bottom of the page, the bottom of page 20 of the
15 declaration?

16 Q Yes.

17 A Okay.

18 Q Now, so continuing on paragraph 41 in your
19 declaration, is it fair to say what you're saying, at
20 least in the first instance, is Postlind tells you how
21 tolterodine is metabolized, that's where that is in the
22 art; is that correct? I'm just on 41 now.

23 A He does -- I'm sorry, you're at paragraph 41,
24 did you say? All right, he identifies three major
25 metabolites.

1 STEVEN E. PATTERSON, Ph.D.

2 Q Uh-huh. Okay. And then just moving down to
3 paragraph 43, that is -- aside from your little intro,
4 that is the last paragraph of the Postlind reference,
5 Exhibit 1010, reproduced in your declaration in
6 paragraph 43?

7 A I see that.

8 Q Okay. So my question is: To one skilled in
9 the art, what is the significance of this paragraph in
10 Postlind in connection with your opinion that those of
11 skill would have recognized the need to improve
12 tolterodine?

13 MS. WOOTEN: Objection, form.

14 THE WITNESS: Postlind identifies it --
15 identifies it, "it" being the CYP2D6, as a
16 high-risk group.

17 MR. TRAINOR: Uh-huh.

18 THE WITNESS: If I can easily avoid
19 that, I would be motivated to do so.

20 MR. TRAINOR: Okay.

21 Q (By Mr. Trainor) Now, that assumes that you
22 need to avoid that, correct?

23 A I think a caution would motivate me. He
24 seems to identify a need here.

25 Q Okay.

1 STEVEN E. PATTERSON, Ph.D.

2 A So...

3 Q Well, if we look at the second-to-last
4 sentence, it says, "The possibility of clinical drug
5 interaction at the enzyme level thus exists." Do you
6 see that?

7 A Certainly.

8 Q And then in the next sentence, he says,
9 "However, the large amount of 34a [sic] in the liver
10 and the fact that tolterodine is predominantly
11 eliminated via oxidation by CYP2D6 makes it less likely
12 that clinically significant drug-drug interactions
13 would occur with CYP3A."

14 A You said -- I think you said CYP4A [sic] --

15 Q Did I?

16 A -- and then I got lost at that point.

17 Q Okay.

18 A So could you --

19 Q Well, let me just strike that and say the
20 first sentence I read indicates that at this point in
21 time, as of this report, it's just a possibility that
22 those who are CYP2D6 deficient and take tolterodine are
23 at high risk, right?

24 A Yes.

25 MS. WOOTEN: Objection, form.

1 STEVEN E. PATTERSON, Ph.D.

2 Q (By Mr. Trainor) At this time -- at the time
3 of this publication, it was a possibility, correct?

4 MS. WOOTEN: Objection, form.

5 Q (By Mr. Trainor) Yes?

6 A Yes.

7 Q Okay. Now, is there anything else about the
8 Postlind reference, other than the teaching that CYP2D6
9 deficient patients may be at risk if they take
10 tolterodine, that is significant to your opinion?

11 A The metabolite from that pathway is active.

12 Q Okay. Okay. Now, the -- a second reference
13 that you cite in support of your opinion that
14 tolterodine would have been recognized as needing
15 improvement are two papers authored by Brynne. So if
16 we go to -- okay, now...

17 Okay, sorry. Now, here we go. Sorry. Back
18 to your declaration, page 22, paragraph 44, there's a
19 reference to Brynne 1997, which you identify as Exhibit
20 1007.

21 MR. TRAINOR: I'm handing the witness
22 Exhibit 1007 to both of his declarations.
23 It's a publication. The first author is
24 Brynne, B-R-Y-N-N-E. This one has a
25 publication date of 1997.

1 STEVEN E. PATTERSON, Ph.D.

2 Q (By Mr. Trainor) Now, I'm sure it's
3 difficult for you to parse these things out, and it's
4 more than one Brynne, but it's difficult for me because
5 I need to know, you know, where in these references
6 you're getting the support for your opinion.

7 So let me suggest this: There is a -- in
8 your paragraph 44, where you begin the discussion of
9 Exhibit 1007, this Brynne '97 paper, you again have a
10 large block of text that you cut out from Brynne. The
11 identification of the metabolic pathways of tolterodine
12 is set forth in paragraph 45, as taken from Brynne. Do
13 you see that?

14 A I see it.

15 Q Okay. And that's really already reported in
16 that Postlind reference, right?

17 MS. WOOTEN: Objection, form.

18 THE WITNESS: The --

19 MR. TRAINOR: Let me strike that.

20 Q (By Mr. Trainor) That was also reported in
21 the Postlind reference, just the metabolic pathway?

22 A Some of the metabolites are reported in
23 Postlind.

24 Q Uh-huh. Okay. Okay. So the Brynne '97
25 paper is more comprehensive with respect to the

1 STEVEN E. PATTERSON, Ph.D.

2 metabolites produced by tolterodine than Postlind; is
3 that right?

4 A Yes.

5 Q Okay. Now, I want to direct your attention
6 to what I believe to be sort of the contribution of
7 Brynne, as proposed in your declaration, and that is if
8 you look at this block quote in paragraph 44 where it
9 carries over from page 22 to page 23 --

10 A I'm there.

11 Q Yeah?

12 A I'm on page 23.

13 Q Great. So the first full sentence says, "The
14 absolute bioavailability was highly variable, ranging
15 from 10 to 70 percent." Do you see that?

16 A I have not found it yet. You said the -- are
17 we --

18 Q I'm in your declaration.

19 A Right, right.

20 Q Page 23.

21 A Oh, okay. I'm on the wrong page, then. All
22 right. I was looking at the -- okay, I was looking at
23 the first sentence in what we quoted. You mean the
24 first complete sentence on page 23?

25 Q Right.

1 STEVEN E. PATTERSON, Ph.D.

2 A I'm with you now. Sorry.

3 Q I'll just read it again so the record is
4 clear. "The absolute bioavailability was highly
5 variable, ranging from 10 to 70 percent." Do you see
6 that?

7 A Yes.

8 Q And that's a quotation from Brynne, Exhibit
9 1007, right?

10 A Yes.

11 Q Now, I take it from your declaration that you
12 believe that that's significant with respect to your
13 opinion. Is that fair to say, or no?

14 MS. WOOTEN: Objection, form.

15 THE WITNESS: It's a piece of
16 information that informed my opinion.

17 Q (By Mr. Trainor) Okay. How did it inform
18 your opinion?

19 A In that the exposure to the parent
20 tolterodine was variable.

21 Q Uh-huh. Okay. And that would be significant
22 if tolterodine itself was the only active agent,
23 correct?

24 A No.

25 Q Okay. Why not?

1 STEVEN E. PATTERSON, Ph.D.

2 A I would be concerned with some adverse events
3 due to higher exposure of the parent resulting from the
4 variable. So such -- you know, the persons who are
5 poor metabolizers may experience adverse events not
6 seen in the people who metabolize it more efficiently.

7 Q Uh-huh. And that assumes that tolterodine
8 carries more serious adverse events than 5-HMT, right?

9 MS. WOOTEN: Objection, form.

10 THE WITNESS: No, I don't believe that's
11 entirely right.

12 MR. TRAINOR: Okay.

13 Q (By Mr. Trainor) Well, in this Brynne paper
14 in 1997, is it fair to say that when the
15 bioavailability measurements were being made, they were
16 not being made with respect to the sum of both active
17 agents?

18 A The statement regarding the absolute
19 bioavailability appears to be made to the parent,
20 tolterodine.

21 Q Right. Now, as far as therapeutic effect
22 goes, that variability -- well, strike that.

23 That variability, if you were to sum
24 tolterodine and 5-HMT and measure that bioavailability,
25 would be or is a lot less variable, correct?

1 STEVEN E. PATTERSON, Ph.D.

2 A That's my recollection.

3 Q So isn't the range of variability reported in
4 Exhibit 1007 really just a function of the fact that
5 this drug exhibits polymorphism?

6 MS. WOOTEN: Objection, form.

7 THE WITNESS: You mean -- what do you
8 mean by "polymorphism"?

9 MR. TRAINOR: Sorry, that's inartfully
10 stated.

11 Q (By Mr. Trainor) The variability reported in
12 Brynne and recited in your declaration is attributable
13 to the fact that some of the patients or subjects in
14 this study were poor metabolizers and some were
15 extensive metabolizers?

16 A Oh, I see. That appears to be the case.

17 Q And would you agree that at the time that
18 this Brynne, Exhibit 1007, was published, that
19 phenomenon of polymorphism was just starting to come
20 into focus?

21 MS. WOOTEN: Objection, form.

22 THE WITNESS: There -- about the time,
23 there were some reported adverse events due
24 to such variable expression of the CYP2.

25 Q (By Mr. Trainor) Let's take a look at the

1 STEVEN E. PATTERSON, Ph.D.

2 discussion in this paper, 1007. This begins on page 7
3 of the exhibit, page 293.

4 A Begins on page which? I'm sorry.

5 Q It's page 7 of the exhibit on the bottom
6 right.

7 A I'm there.

8 Q And I'll read it. The discussion begins,
9 "The finding that the absolute bioavailability of
10 tolterodine was highly variable (ranging from 10 to 74
11 percent) and independent of dose showed either that the
12 drug was incompletely absorbed, or that tolterodine was
13 subject to pre-systemic elimination." Do you see that?

14 A Yes.

15 Q Today we know that tolterodine is not poorly
16 absorbed, correct, or incompletely absorbed?

17 MS. WOOTEN: Objection, form.

18 THE WITNESS: Today I would --
19 tolterodine -- I would express it tolterodine
20 is well absorbed.

21 MR. TRAINOR: Yeah, okay.

22 Q (By Mr. Trainor) But the reader of this
23 publication in 1997 would understand that these authors
24 are trying to make sense themselves of this -- what's
25 causing this high variability, correct?

1 STEVEN E. PATTERSON, Ph.D.

2 A Yes.

3 MS. WOOTEN: Objection, form.

4 THE WITNESS: Yes.

5 Q (By Mr. Trainor) Okay. And then as we move
6 over in the discussion to the very end of the next
7 column, the sentence that begins "However," very end.

8 A Okay, the second --

9 Q Yeah.

10 A -- sentence in the last paragraph [sic]?

11 Q Right. So it says, "However," and then it
12 continues on the next page, "the estimated
13 bioavailability (10 to 70 percent) clearly suggested
14 variable first-pass elimination, which may reflect
15 differences between subjects with respect to metabolic
16 capacity." Do you see that?

17 A I believe I was -- I was looking at the
18 second sentence in the last paragraph, "However, less
19 than 1 percent of the administered dose," so the last
20 sentence in -- "However, the estimated" -- okay, so the
21 last sentence that begins on that page. Okay, I found
22 it. Sorry. Variable first pass. I see that sentence.

23 Q So what Brynne is suggesting here in this '97
24 paper is that they're starting to realize that
25 bioavailability is being affected by some differences

1 STEVEN E. PATTERSON, Ph.D.

2 in metabolic capacity across subjects, right?

3 MS. WOOTEN: Objection, form.

4 THE WITNESS: They're beginning to
5 report it at this time.

6 MR. TRAINOR: Right, right. Okay.

7 Q (By Mr. Trainor) So in any event, the report
8 from this paper about the bioavailability of
9 tolterodine comes, you know, just as the researchers
10 are figuring out that there is an issue with respect to
11 certain phenyl types, right?

12 MS. WOOTEN: Objection, form.

13 THE WITNESS: It's hard to know what's
14 truly on their mind, but what they are
15 reporting is their findings here of variable.

16 MR. TRAINOR: Right.

17 Q (By Mr. Trainor) So, now, if you were a
18 skilled artisan in 1998, and I understand you're a
19 medicinal chemist, but, you know, probably dangerous
20 enough with pharmacology, and you're one of these
21 researchers or inventors and you're starting to realize
22 this through this data, what do you do, you know,
23 midway through this drug development, what is the next
24 thing that you do?

25 MS. WOOTEN: Objection, form.

1 STEVEN E. PATTERSON, Ph.D.

2 Q (By Mr. Trainor) And I should say when I ask
3 what is the next thing you do, I'm speaking to this
4 differences in metabolic capacity for now. I'm sure
5 there are other issues here, but what do you do next?

6 A Okay. I think about ways to avoid these
7 concerns, right, is there a ready solution that I can
8 come up with to make some or all of the concerns go
9 away or at least be reduced.

10 Q Uh-huh. Even if you are that far invested
11 into a project, into Phase 2, I believe, is what's
12 reported in Brynne?

13 A My opinion is that the wise drug person
14 always has Plan B.

15 Q Uh-huh. Okay. But would not the wise,
16 skilled drug developer ensure first whether or not
17 there was, in fact, a problem in terms of ultimate
18 efficacy and safety before going to Plan B?

19 A I would be concerned regarding safety. If
20 there's a safety concern, I would prefer to solve that
21 before taking that to humans.

22 Q Uh-huh. Okay. Well, certainly there were
23 poor metabolizers in this study, which gave rise to the
24 variable bioavailability, correct?

25 A Correct.

1 STEVEN E. PATTERSON, Ph.D.

2 Q And there weren't really any subjects
3 reported in this Brynne paper to have any serious
4 safety issues at this point, correct?

5 MS. WOOTEN: Objection, form.

6 THE WITNESS: At this point, none were
7 discovered.

8 MR. TRAINOR: Okay. Why don't we take a
9 break.

10 (Recess taken.)

11 Q (By Mr. Trainor) Now, in any event, the
12 scientist involved with the development of tolterodine,
13 notwithstanding any concerns, did assess the effect of
14 differing metabolic capacities of subjects after
15 administration with tolterodine, correct?

16 MS. WOOTEN: Objection, form.

17 THE WITNESS: So...

18 Q (By Mr. Trainor) Well, before the break, I
19 was asking you whether -- after reading the Brynne
20 paper or being one of the authors who wrote it, what
21 would you do, and you said you would try to find a
22 Plan B. And I'm just jumping over that and saying in
23 any event, those researchers went on to assess the
24 impact of polymorphism on tolterodine, CYP2D6?

25 A CYP2D6 polymorphism. Right, they identified

1 STEVEN E. PATTERSON, Ph.D.

2 some issues.

3 Q Okay. What issues were those?

4 A The variable metabolism --

5 Q The variable --

6 A -- as a result of --

7 Q Well, that had been identified as far back as
8 Postlind, right?

9 A Yes, you're right.

10 Q Okay.

11 A You're right.

12 Q And so --

13 A They further discuss it.

14 Q Right. So it's fair to say Postlind, they
15 identify the metabolic pathways and suggest there may
16 be a risk to CYP2D6 deficient subjects, right?

17 A Right, right, right.

18 Q And in parallel or otherwise, the researchers
19 reporting in the Brynne '97 paper that we just looked
20 at, they're also identifying that this may be an issue
21 as a function of the wide variability and
22 bioavailability that they're seeing, correct?

23 A Yes.

24 Q And then those researchers go on to assess
25 the safety and efficacy across the entire patient

1 STEVEN E. PATTERSON, Ph.D.

2 population, irrespective of CYP2D6 capacity, correct?

3 MS. WOOTEN: Objection, form.

4 THE WITNESS: I don't think that's
5 correct.

6 Q (By Mr. Trainor) Okay. Well, another of the
7 references that you rely considerably on in your
8 declaration is this second Brynne paper. This is
9 Brynne 1998.

10 A Okay.

11 MR. TRAINOR: And this would be
12 Exhibit 1011 in both declarations. So I'm
13 handing that to the witness now.

14 THE WITNESS: Thank you.

15 MR. TRAINOR: And just for the record,
16 this is -- 1011 is also a publication also
17 with a lead author Brynne, but its
18 publication date is 1998.

19 Q (By Mr. Trainor) Now, do you recall
20 reviewing Exhibit 1011 in preparing your declaration?

21 A Yes.

22 Q Okay. Now, the title of the paper is
23 "Influence of CYP2D6 polymorphism on the
24 pharmacokinetics and pharmacodynamics of tolterodine."
25 Do you see that?

1 STEVEN E. PATTERSON, Ph.D.

2 A Yes.

3 Q So would you agree that Exhibit 1011 is a
4 report on the assessment of administration of
5 tolterodine across the entire patient population, both
6 poor metabolizers and extensive metabolizers?

7 MS. WOOTEN: Objection, form.

8 THE WITNESS: If you mean, by the entire
9 human population, those two groups --

10 MR. TRAINOR: Uh-huh.

11 THE WITNESS: Okay. I wouldn't be so
12 broad as to say the entire human population.

13 MR. TRAINOR: Okay.

14 THE WITNESS: That's what I meant by...

15 MR. TRAINOR: Okay.

16 Q (By Mr. Trainor) Now, I think you refer in
17 your declaration to the fact that poor CYP2D6
18 metabolizers make up about 7 percent of the population.
19 Does that ring a bell?

20 A Yes, that's...

21 Q Okay. Now, if you would -- well, let me ask
22 you, in your own words, you know, what do you
23 understand Exhibit 1011, this Brynne '98 paper, to
24 teach those of skill in the art at the time of its
25 publication?

1 STEVEN E. PATTERSON, Ph.D.

2 MS. WOOTEN: Objection, form.

3 THE WITNESS: Okay. That, you know, the
4 poor metabolism among, you know, drugs that
5 are metabolized by the CYP2D6 form is
6 expected in about 7 percent of the
7 population.

8 They also mention that there are a large
9 number of drugs that are metabolized by this
10 pathway. And they teach that these
11 considerations are important in determining
12 the dosage, the appropriate dosage, for the
13 population, for people -- well, not the
14 population, the people who receive drugs
15 metabolized by this pathway, for some drugs
16 they say.

17 MR. TRAINOR: Okay.

18 THE WITNESS: They also, you know, even
19 say that this can affect efficacy of the
20 treatment in some cases.

21 Q (By Mr. Trainor) Where does it say that?

22 A It says here --

23 Q Can you refer us to a page number?

24 A Right. So, "Moreover, if a drug" -- oh, I'm
25 sorry. It's on the first page, the second column, the

1 STEVEN E. PATTERSON, Ph.D.
2 column on the right, sentence that begins with
3 "Moreover." It's about seven lines up from the bottom
4 of the page.

5 Q Uh-huh.

6 A So, "If a drug is metabolized by CYP2D6 to an
7 active metabolite, the activity of the enzyme may be an
8 important determinant of the effectiveness of
9 treatment." So they say there are some cases where --

10 Q Dr. Patterson, that's a general statement
11 about drugs --

12 A So you're asking me --

13 Q -- metabolized by CYP2D6 in general, correct?

14 A Right.

15 Q That's not a conclusion with respect to
16 tolterodine?

17 A Oh, okay, you're asking me about --

18 MS. WOOTEN: Objection, form. Just make
19 sure you let him finish his question before
20 you start talking.

21 THE WITNESS: All right. Thanks. Okay.
22 So I thought you were asking me about general
23 concepts with CYP2D6 --

24 MR. TRAINOR: Uh-huh.

25 THE WITNESS: -- not necessarily

1 STEVEN E. PATTERSON, Ph.D.

2 specific to tolterodine.

3 MR. TRAINOR: Okay.

4 Q (By Mr. Trainor) But as the title indicates,
5 the influence of CYP2D6 polymorphism was studied on
6 tolterodine here, correct?

7 A You're right.

8 Q Okay. Now, if we turn to the discussion of
9 the study, begins on page 8, which is page 536 of the
10 reference itself, and I want to direct your attention
11 one page over, okay, and there is -- at the bottom of
12 the first column, so the last full sentence, it begins
13 with "Metabolism." Do you see that? "Metabolism is
14 thus also the main route of elimination among poor
15 metabolizers."

16 A I see that.

17 Q Okay. And then the next sentence says, "In
18 an in vitro study, hydroxylation of tolterodine showed
19 strong correlation with CYP2D6 activity, whereas
20 dealkylation correlated with CYP3A activity." Do you
21 see that?

22 A I see that.

23 Q That's essentially what Postlind had found,
24 correct?

25 MS. WOOTEN: Objection, form.

1 STEVEN E. PATTERSON, Ph.D.

2 THE WITNESS: I think that's
3 consistent.

4 MR. TRAINOR: Okay.

5 Q (By Mr. Trainor) Well, if you look at the
6 citation there to Footnote 26 and you check the
7 bibliography, you'll see that that corresponds to
8 Postlind, right?

9 A Yes.

10 Q Okay. And then immediately following after
11 that citation to Postlind, it says, "Taken together,
12 these findings imply that tolterodine is eliminated by
13 at least two parallel pathways: a high-affinity,
14 low-capacity pathway (hydroxylation by CYP2D6) in
15 extensive metabolizers and a low-affinity,
16 high-capacity pathway (dealkylation by CYP3A) among
17 poor metabolizers." Do you see that?

18 A I see that.

19 Q And then it continues, "In contrast to the
20 kinetic data, the pharmacodynamics of tolterodine were
21 not generally influenced by metabolic phenotype." Do
22 you see that?

23 A I see that.

24 Q Okay. Now, doesn't that suggest to the
25 person of skill reading this paper that in the end, the

1 STEVEN E. PATTERSON, Ph.D.

2 CYP2D6 polymorphism did not impact the effect of
3 tolterodine on patients regardless of whether they were
4 poor or extensive metabolizer?

5 MS. WOOTEN: Objection, form.

6 THE WITNESS: It does.

7 Q (By Mr. Trainor) Okay. And if you
8 continue -- well, a couple other things too. And if
9 you go to the very conclusion of this paper, the very
10 last column before you get to the references, it says,
11 "Despite the influence of CYP2D6 polymorphism on the
12 pharmacokinetics of tolterodine, this does not appear
13 to be of great pharmacodynamic importance." Do you see
14 that?

15 A I do.

16 Q Okay. So in light of that conclusion, why,
17 in your view, would it be so readily apparent to a
18 skilled drug designer in 1998 that tolterodine had a
19 metabolism problem that called for optimizing?

20 MS. WOOTEN: Objection, form.

21 THE WITNESS: In this case, they're
22 dealing with a small population of
23 normally -- normal, healthy persons.

24 My concern, since, you know, some
25 adverse cardiac events have been reported, is

1 STEVEN E. PATTERSON, Ph.D.

2 that a person who is predisposed to a cardiac
3 condition may experience an adverse event as
4 a result of poor metabolism of tolterodine.

5 Q (By Mr. Trainor) But what cardiac events
6 have been reported about tolterodine?

7 A Among healthy -- oh, it caused an effect on
8 heart rate in some persons.

9 Q Right. Now, let's take a look at that, then.
10 If you have a look at -- if you turn back to just below
11 the -- on page 9, where it had the citation to
12 Postlind -- again, we're on Brynne, 1011 -- in that
13 same paragraph that we read from previously, the first
14 sentence, if we move down to the middle of that middle
15 paragraph, it says, "In the present study, at a dosage
16 of 4 milligrams, only a small increase in heart rate
17 was observed in extensive metabolizers, whereas heart
18 rate was unaffected among poor metabolizers." Do you
19 see that?

20 A Yes.

21 Q Doesn't that suggest that to the extent one
22 of these two active agents is responsible for effect on
23 heart rate, that it's 5-HMT and not tolterodine?

24 MS. WOOTEN: Objection, form.

25 THE WITNESS: There's another paper that

1 STEVEN E. PATTERSON, Ph.D.

2 indicated an alteration in heart rate among
3 poor metabolizers.

4 Q (By Mr. Trainor) Uh-huh, okay, but how about
5 this statement in this paper, isn't that what it
6 suggests? It's a small dataset, I'll grant you that,
7 but based on what we know, which is that poor
8 metabolizers are getting tolterodine and extensive
9 metabolizers predominantly 5-HMT, that it's 5-HMT, not
10 tolterodine, that would be responsible for the increase
11 in heart rate?

12 MS. WOOTEN: Objection, form.

13 THE WITNESS: My concern can't be based
14 upon a single reference with a small N. And
15 your question earlier was in the late 1990s.

16 MR. TRAINOR: Uh-huh.

17 THE WITNESS: Now, there were known
18 cardiac events among other drugs reported in
19 the late '90s. There was some with -- I
20 believe it was the antihistamine Seldane and
21 others with the NSAIDs, and there's a subset
22 of population with cardiac issues that were
23 affected by these drugs.

24 So this is something that was -- that
25 would have been on my mind and sticks with me

1 STEVEN E. PATTERSON, Ph.D.

2 to this day.

3 MR. TRAINOR: Uh-huh.

4 THE WITNESS: Right? And so that would
5 be my concern, particularly in a drug such as
6 this that might be likely to be used in an
7 aging population, you know, my understanding
8 is often, you know, women of a certain age
9 begin to be affected, right, and they might
10 be among the target population. And so as
11 this happens, I think there might be a subset
12 of those women susceptible, and that would be
13 my concern.

14 Q (By Mr. Trainor) Okay. Well, if that's your
15 concern, notwithstanding the data that we just looked
16 at with respect to heart rate, then why would you be
17 comfortable isolating 5-HMT?

18 MS. WOOTEN: Objection, form.

19 Q (By Mr. Trainor) If you have a concern about
20 one or the other of these entities being at elevated
21 levels in certain populations and you don't have an
22 educated guess as to which one is causing the effect on
23 the heart, then what would be the basis for isolating
24 5-HMT?

25 MS. WOOTEN: Objection, form.

1 STEVEN E. PATTERSON, Ph.D.

2 THE WITNESS: That it seems to be
3 deactivated reasonably well and with less
4 variability than the parent drug. So that
5 would appear to me to be easier to manage
6 clinically.

7 Q (By Mr. Trainor) I'm sorry, I don't
8 understand that. Your premise in this case is that you
9 would recognize a problem with tolterodine based upon
10 the fact that poor metabolizers would have excessive
11 levels of tolterodine as opposed to 5-HMT after
12 administration, okay?

13 A Yes.

14 Q And the premise follows that I would want to
15 isolate 5-HMT as the safer option as between those two
16 active agents. We just looked at data that suggests
17 that it's the patients with tolterodine -- excuse me,
18 with 5-HMT that are getting the increase in heart rate,
19 not the patients with tolterodine. You say you still
20 have a concern. If you still have a concern, then how
21 can you be comfortable isolating 5-HMT instead?

22 MS. WOOTEN: Objection, form.

23 THE WITNESS: Because there are other
24 references that indicate alterations in heart
25 rate with the parent drug, right, so the --

1 STEVEN E. PATTERSON, Ph.D.

2 Q (By Mr. Trainor) Which references are you
3 talking about?

4 A I believe it was another pharmacokinetics
5 study.

6 Q Okay.

7 A I don't remember the author. And so the
8 clinician would not necessarily know who among his
9 population are poor metabolizers in that, and it would
10 be difficult to predict the response of these patients.

11 Q Okay.

12 A So that would be my concern.

13 Q But the only way that you would confirm that
14 is to determine which of the two agents is causing the
15 effect, correct?

16 MS. WOOTEN: Objection, form.

17 THE WITNESS: I think based on the
18 paper, they show -- that I'm thinking about,
19 they show that -- or they claim that among
20 the poor metabolizers, they showed a decrease
21 in heart rate, right, so -- right, and in
22 this case, I think it would make it easy to
23 manage clinically because there would be
24 fewer issues for the clinician to be
25 concerned about among the patients.

1 STEVEN E. PATTERSON, Ph.D.

2 Q (By Mr. Trainor) There has to be a basis for
3 that. Easier to manage clinically has to be grounded
4 in some science, has to be grounded in the fact that,
5 you know, having a single entity is not the entity
6 that's responsible for adverse effects, correct?

7 MS. WOOTEN: Objection, form.

8 THE WITNESS: It's not the single entity
9 that's responsible for the potential adverse
10 effects.

11 MR. TRAINOR: I'm not suggesting --

12 THE WITNESS: It's --

13 MR. TRAINOR: Go ahead. Sorry.

14 THE WITNESS: So...

15 Q (By Mr. Trainor) I'm not suggesting that it
16 is. I don't know what paper you're referring to, but
17 I'm trying to understand because this declaration
18 supports that the prior art renders my client's patents
19 invalid. So if it's prior art in your declaration, I'd
20 like to know about it. If it's not and you can't
21 remember, fine. It could be that it's a paper from
22 before they were understanding this, before they were
23 measuring 5-HMT and tolterodine separately or before
24 they had appreciated this phenomenon. I don't know.

25 But the real question is: The skilled

1 STEVEN E. PATTERSON, Ph.D.

2 artisan reading this paper, reading this conclusion
3 about pharmacokinetics of tolterodine not being
4 influenced by metabolic phenotype can only conclude
5 that the drug is safe and effective regardless of what
6 segment of the population you administer it to,
7 correct?

8 MS. WOOTEN: Objection, form.

9 THE WITNESS: I would hesitate to make
10 such a leap with such a small N.

11 Q (By Mr. Trainor) Okay, but what other data
12 is there in the prior art? This is it, right? Are you
13 aware of any other prior art that suggests that this
14 conclusion should be conditioned?

15 A The -- there are other issues with other
16 drugs among the poor metabolizers where a subset of the
17 population -- and that would be a concern to me.

18 Q Okay. The subset of the population who are
19 poor metabolizers?

20 A And also may be predisposed to some cardiac
21 issues.

22 Q Okay. But there are warnings for all drugs
23 with patients, for the most part, who have cardiac
24 issues, no?

25 MS. WOOTEN: Objection, form.

1 STEVEN E. PATTERSON, Ph.D.

2 THE WITNESS: For -- there's a safety
3 index for all drugs. If we can reduce that
4 possibility, then I'm more comfortable.

5 Q (By Mr. Trainor) How do you reduce that
6 possibility?

7 A By using a drug that's targeted by fewer
8 metabolic pathways. That's one way that it can be
9 done. So if you do that, then you remove the concerns
10 expressed in the literature, right, and then --

11 Q Hold on. Sorry. What concerns expressed in
12 the literature? This is not a concern, Brynne --

13 A But there are other --

14 Q -- Exhibit 1011.

15 A Right, but Postlind discussed that, and there
16 are -- there is other literature on the alteration of
17 the heart rate.

18 Q But, Dr. --

19 A And there are also fewer potential drug
20 interactions if we only proceed with a drug that's
21 metabolized by the CYP3 isoform.

22 Q Okay. Dr. Patterson, you understand that a
23 person skilled in the art has to look at the prior art
24 as a whole, correct, all of it, correct?

25 A Yes.

1 STEVEN E. PATTERSON, Ph.D.

2 Q Okay. And a person looked at Postlind --
3 Brynne Exhibit 1011 cites Postlind -- recognizes what
4 Postlind suggested, that this can be an issue for
5 certain patients, and immediately following that says,
6 "In contrast to the kinetic data, the pharmacokinetics
7 of tolterodine were not generally influenced by
8 metabolic phenotype."

9 How is it that a person of ordinary skill in
10 the art reads that conclusion following the citation to
11 the statement in Postlind and says there's still a
12 problem that's readily apparent and needs to be fixed?

13 MS. WOOTEN: Objection, form, asked and
14 answered.

15 Q (By Mr. Trainor) I want an answer to that
16 question. I don't think there's anything equivocal
17 about that statement.

18 A Insufficient sample size.

19 Q Okay. That's fine. That doesn't mean -- if
20 it's an insufficient sample size, you can't draw a
21 conclusion one way or the other, correct, you can't
22 draw a conclusion that, to your point, it's absolutely
23 safe and effective for extensive and poor metabolizers,
24 but by the same token, you can't draw the conclusion
25 that now there's a need to isolate 5-HMT, correct?

1 STEVEN E. PATTERSON, Ph.D.

2 MS. WOOTEN: Objection, form.

3 THE WITNESS: What I'm saying is that
4 that concern still exists.

5 Q (By Mr. Trainor) It's unsupported by any
6 data at this point in the prior art, correct?

7 A I don't think I agree. We've had this
8 discussion, so --

9 Q Okay.

10 A -- I can repeat my previous statements if
11 you'd like, but...

12 Q Okay. Now, let's look at some other data.
13 If we could turn to the previous page, on page 8,
14 before the discussion, the end of the first column,
15 there's a paragraph that begins, and I'll read it, "All
16 16 volunteers completed the study. No severe adverse
17 events were reported. The most frequently reported
18 adverse events were headache (two extensive
19 metabolizers and four poor metabolizers), dry mouth
20 (four extensive metabolizers and two poor
21 metabolizers), abnormal visual accommodation (five poor
22 metabolizers), and tachycardia (four extensive
23 metabolizers)."

24 So again, putting the heart rate increase
25 aside, doesn't this suggest that if either of the two

1 STEVEN E. PATTERSON, Ph.D.

2 agents is responsible for the dry mouth side effect, it
3 would be 5-HMT, given that more extensive metabolizers
4 reported that adverse event?

5 MS. WOOTEN: Objection, form.

6 THE WITNESS: For that particular
7 adverse event?

8 MR. TRAINOR: Yes.

9 THE WITNESS: Yes, your rereading of
10 that points made to, you know, abnormal
11 visual accommodation among the poor
12 metabolizers.

13 MR. TRAINOR: Okay.

14 THE WITNESS: That's something that
15 might be avoided by such a pathway.

16 Q (By Mr. Trainor) Right, but if you avoid
17 that, then you might exacerbate the dry mouth, correct?

18 A You might.

19 Q Well, if you're pointing to the visual
20 accommodation data suggesting that tolterodine seems to
21 be causing that, then by the same token, it would
22 appear that 5-HMT is causing the dry mouth, no?

23 A So while we might not agree with one
24 another's conclusion, we can both see advantages to one
25 pathway. I don't think I agree with -- you know,

1 STEVEN E. PATTERSON, Ph.D.

2 entirely that all of the concerns are alleviated by
3 this. I understand your point.

4 Q Okay.

5 A And I perfectly understand why you're making
6 your point.

7 Q Right, and I understand your point about one
8 pathway always being preferred if you can get it, but
9 the question is really, in light of this data, in light
10 of these conclusions, in light of this assessment, does
11 the person of ordinary skill in the art say, "I am
12 going to make a new drug by isolating 5-HMT because
13 tolterodine is problematic enough to justify doing
14 that"?

15 MS. WOOTEN: Objection, form.

16 THE WITNESS: I think a person of
17 ordinary skill would be ready to do so and
18 would be eager to do so as a Plan B.

19 Q (By Mr. Trainor) Now, if -- let me just ask
20 you: If this data were extrapolated and held true and
21 it was confirmed that as between the two agents, 5-HMT
22 causes more dry mouth, okay, what would be the
23 justification for isolating 5-HMT at that point?

24 MS. WOOTEN: Objection, form.

25 THE WITNESS: The justification for

1 STEVEN E. PATTERSON, Ph.D.

2 isolating 5-HMT, I would say you still -- it
3 still does not alleviate my concern about the
4 subset of the population sensitive to cardiac
5 events that could be caused by accumulation
6 of 5-HMT or poor metabolism of 5-HMT.

7 Q (By Mr. Trainor) You're not aware of any
8 prior art that confirms that -- I think you might have
9 misspoke there.

10 A Did I?

11 Q Let's back up.

12 A Okay.

13 Q You said --

14 A I said 5-HMT, didn't I? Gosh. Thank you,
15 sir. I meant the parent, tolterodine. Thank you very
16 much.

17 Q Right, but you're not aware of any data or
18 any reports in the prior art that concluded that there
19 were cardiac events associated with tolterodine that
20 were not associated with 5-HMT?

21 A I don't remember the author of the paper, but
22 they report four subjects had an alteration -- among
23 the poor metabolizers, had an alteration in their heart
24 rate.

25 Q Uh-huh, okay.

1 STEVEN E. PATTERSON, Ph.D.

2 A So...

3 Q Okay. Now, earlier, when I asked you about
4 why oxybutynin wouldn't be a reasonable starting point,
5 you suggested because it had severe dry mouth and
6 caused withdrawals.

7 A Right.

8 Q And would you agree with me that in light of
9 oxybutynin, the skilled artisan in the OAB field is
10 looking to reduce that side effect?

11 A Yes.

12 Q Okay.

13 A And I'm not -- I think maybe saying it's an
14 unreasonable starting point might be an overstatement.
15 It's not necessarily where I would begin, knowing what
16 I know about 5-HMT, but I think reasonable persons
17 might be interested in modifying that molecule, maybe
18 not the first one, but that might be among the, say,
19 Plan B2 or something like that.

20 Q Okay. Let's take a look at this Detrol
21 label, which is Exhibit 1009 is both declarations.
22 Dr. Patterson, you understand that this is the label or
23 package insert for tolterodine as it had been approved
24 in early 1998?

25 A Yes.

1 STEVEN E. PATTERSON, Ph.D.

2 Q Okay. Now, I'd like to direct your attention
3 to the "Pharmacokinetics section" on the first actual
4 page of the -- page 2 of the Exhibit 1009.

5 A Uh-huh.

6 Q Now, as you run through the
7 "Pharmacokinetics" discussion, it carries over into the
8 next column. There's a heading that says "Variability
9 in Metabolism."

10 A Yes.

11 Q Do you see that?

12 And there's a discussion of the 2D6 and 3A4
13 pathways in extensive and poor metabolizers, as we've
14 been discussing now for a while. And I direct your
15 attention to just a little more than midway through
16 that paragraph, and it says, "Because of differences in
17 the protein-binding characteristics of tolterodine and
18 5-HMT, the sum of unbound serum concentrations of
19 tolterodine and the 5-HMT metabolite is similar in
20 extensive and poor metabolizers at steady state. Since
21 tolterodine and the 5-HMT metabolite have similar
22 antimuscarinic effects, the net activity of Detrol
23 tablets is expected to be similar in extensive and poor
24 metabolizers." Do you see that?

25 A I see that.

1 STEVEN E. PATTERSON, Ph.D.

2 Q Okay. So in this label, that's more or less
3 the same conclusion that we read from the Brynne '98
4 paper, Exhibit 1011, set forth in the FDA-approved
5 labeling, correct?

6 MS. WOOTEN: Objection, form.

7 THE WITNESS: It appears to follow the
8 same line of reasoning, yes.

9 Q (By Mr. Trainor) Okay. And that's the
10 conclusion that both poor and extensive metabolizers
11 get similar antimuscarinic effects and net activity of
12 Detrol regardless of their phenotype, right?

13 MS. WOOTEN: Objection, form.

14 THE WITNESS: Yes.

15 MR. TRAINOR: Okay.

16 Q (By Mr. Trainor) So this -- the FDA did not
17 see a problem or a concern with this polymorphism as it
18 related to tolterodine, correct?

19 A With efficacy, I agree.

20 Q Uh-huh. Well, net activity would encompass
21 adverse events too, no?

22 A Usually when I speak about activity, I
23 differentiate that from off-target -- the activity
24 against the -- you know, for the desired, and then I'll
25 talk about, you know, off-target affects or adverse

1 STEVEN E. PATTERSON, Ph.D.

2 events separately. That's usually what I do. So
3 that's usually how I interpret that versus the -- so...

4 Q Okay. So in terms of the polymorphism first
5 identified in Postlind, the Brynne paper, Exhibit 1011,
6 concludes that it's not a problem, and the approved FDA
7 labeling, Exhibit 1009, concludes that it's not a
8 problem, correct?

9 MS. WOOTEN: Objection, form.

10 THE WITNESS: They don't identify an
11 issue among otherwise normal, healthy
12 subjects who participated in the clinical
13 trial.

14 Q (By Mr. Trainor) Okay. And are you aware of
15 any other prior art that identifies an issue among
16 otherwise normal, healthy subjects?

17 A Only the potential adverse events we
18 discussed previously that were not dose-limiting.

19 Q That's the paper you can't remember or
20 identify?

21 A And we also discussed -- which was it? I
22 think it was the Brynne paper where they list, you
23 know, some alterations in vision and such.

24 Q Okay, but my question was: Are you aware of
25 any prior art that makes a conclusion contrary to these

1 STEVEN E. PATTERSON, Ph.D.

2 conclusions about the impact of polymorphism on
3 tolterodine that is set forth in Exhibits 1009 and
4 1011?

5 MS. WOOTEN: Objection, form.

6 Q (By Mr. Trainor) Anything else?

7 A No.

8 Q Okay. If you turn to the next page of the
9 Detrol label, in the second column, there's a heading
10 that says "Drug-Drug Interactions."

11 A Yes.

12 Q Okay. And underneath that, it says
13 "Fluoxetine."

14 A Yes.

15 Q Okay. Now, do you understand why a study
16 with fluoxetine was reported or required in the FDA
17 labeling?

18 MS. WOOTEN: Objection, form.

19 THE WITNESS: I think so.

20 Q (By Mr. Trainor) Okay, and why is that?

21 A Because it's a known inhibitor of CYP2D6.

22 Q And what was the result?

23 A Would you like me to read the --

24 Q No, I mean, is the conclusion that even if
25 poor metabolizers are taking CYP2D6 -- excuse me, in

1 STEVEN E. PATTERSON, Ph.D.

2 anybody who's taking CYP2D6 inhibitors, no dosage
3 estimate is required?

4 A Well, they report here that it inhibits the
5 metabolism in extensive metabolizers, and it alters the
6 pharmacokinetics in such papers. They do say among the
7 population studied, no dosage estimate is required.

8 Q Right. And when you administer a CYP2D6
9 inhibitor like fluoxetine to an extensive metabolizer,
10 would you agree that you're mimicking the situation of
11 the poor metabolizer with the drug?

12 A Yes.

13 MS. WOOTEN: Objection, form.

14 Q (By Mr. Trainor) Okay. So this test with
15 fluoxetine reported in the Detrol label is further
16 support for the fact that poor metabolizers are not at
17 risk; would you agree?

18 MS. WOOTEN: Objection, form.

19 THE WITNESS: That doesn't change my
20 concern that there may be a subset, you know,
21 prone to cardiac events that could be
22 sensitive.

23 Q (By Mr. Trainor) But how would the skilled
24 artisan reading this in 1998 understand the conclusion
25 in the Detrol label?

1 STEVEN E. PATTERSON, Ph.D.

2 A That, you know, such an event hadn't yet been
3 reported. I think, you know, these types of things
4 would be in the forefront of a skilled artisan's mind
5 at that time because some of these have been
6 described --

7 Q How big would the patient population --

8 A -- in other drugs. Sorry.

9 Q I'm sorry, I didn't mean to interrupt you.

10 A I'm sorry, I interrupted you. Go ahead.

11 Q How big would the patient population need to
12 be in a study, like this fluoxetine study, to give you
13 comfort that no incidents had been reported?

14 MS. WOOTEN: Objection, form.

15 THE WITNESS: Well, to be confident that
16 no incidents had been reported, I would just
17 have to say they -- you would just have to
18 see they didn't report the incidents. Not
19 being a statistician, in order to answer
20 that, I would have to consult with a
21 statistician.

22 MR. TRAINOR: I see.

23 Q (By Mr. Trainor) How about a rough ballpark,
24 I mean, would it have to be more than 50 patients, more
25 than 100 patients?

1 STEVEN E. PATTERSON, Ph.D.

2 MS. WOOTEN: Objection, form.

3 THE WITNESS: Yes, I would -- honestly,
4 since I'm concerned about a specific subset,
5 I would like to see that --

6 MR. TRAINOR: Okay.

7 THE WITNESS: -- that specific.

8 Q (By Mr. Trainor) So -- and just to wrap this
9 up, although I understand that you have concerns,
10 you're not aware of any prior art that contradicts
11 the conclusions in the Detrol label and the Brynne
12 Exhibit 1011 that polymorphism does not adversely
13 impact patients administered tolterodine?

14 A Right, the events -- right, the events
15 reported weren't such that caused a halt in further
16 development.

17 Q Okay, but in view of these conclusions, with
18 respect to the role of the differing metabolic
19 capacities, it is nonetheless your opinion that one of
20 skill in the art would recognize that tolterodine had a
21 problem, requiring improvement?

22 MS. WOOTEN: Objection, form.

23 THE WITNESS: I would recognize that
24 some of the concerns could be addressed and
25 you should have a Plan B.

1 STEVEN E. PATTERSON, Ph.D.

2 Q (By Mr. Trainor) And in terms of looking at
3 the prior art, the person of skill, recognizing the
4 need for improvement, would recognize that from this
5 publication concerning cardiac events in poor
6 metabolizers; is that right?

7 MS. WOOTEN: Objection, form.

8 THE WITNESS: That would be something
9 that would highlight the concern, I believe.

10 MR. TRAINOR: Okay.

11 Q (By Mr. Trainor) Is there anything else that
12 would highlight the concern or the need to improve in
13 the prior art?

14 A I think, again, some of the events reported
15 with the NSAIDs, that such things happen among, you
16 know, subsets of the population, would just make me
17 cautious.

18 Q Okay, but that prior art about NSAIDs is not
19 specific to tolterodine, correct?

20 A It is not.

21 Q Okay. So that wouldn't draw the skilled
22 artisan's attention to a problem with respect to
23 tolterodine any more than it would any other drug
24 that's got complex metabolism, right?

25 A I think so.

1 STEVEN E. PATTERSON, Ph.D.

2 MS. WOOTEN: Objection, form.

3 Q (By Mr. Trainor) You think I'm correct?

4 A I think you're correct.

5 Q Okay.

6 MR. TRAINOR: You want to break for
7 lunch now?

8 (Discussion off the record and lunch
9 recess taken.)

10 Q (By Mr. Trainor) So, Dr. Patterson, welcome
11 back.

12 A Thank you.

13 Q I actually just have a couple of last
14 questions before we move to chemistry.

15 On the Detrol label, Exhibit 1009, just very
16 quickly, if you turn to the second-to-last page, which
17 is page 6 of the exhibit --

18 A Okay.

19 Q -- and I'm focusing on the chart here that
20 takes up the bottom two-thirds of the page, this is a
21 report in the label of reported adverse events in the
22 Phase 3 clinical studies. Do you see that?

23 A Yes.

24 Q Okay. My only question is: For each of
25 these events, whether they rise to the level of adverse

1 STEVEN E. PATTERSON, Ph.D.

2 or not, you would agree that there's no way to
3 distinguish the agent as between 5-HMT and tolterodine
4 that may be responsible for any one of these symptoms
5 or events listed here, correct?

6 A The way this study is conducted, I don't
7 think you would discern between the -- you know, the
8 parent drug and its metabolites.

9 Q Okay. And other than that small dataset that
10 we looked at in the Brynne 1998 paper, are you aware of
11 any report in the prior art that distinguishes as
12 between 5-HMT and tolterodine as the source of a
13 particular pharmacokinetic effect?

14 MS. WOOTEN: Objection, form.

15 THE WITNESS: So pharmacokinetic effect,
16 I think of that as ADME, and those are, in
17 fact, you know, the --

18 MR. TRAINOR: Oh, fair enough, fair
19 enough. Let me rephrase the question.

20 Q (By Mr. Trainor) Other than the Brynne 1998
21 paper, Exhibit 1011, are you aware of any report in the
22 prior art that parses between 5-HMT and tolterodine as
23 the source of a particular pharmacodynamic effect?

24 MS. WOOTEN: Objection, form.

25 THE WITNESS: Source of -- still -- I'm

1 STEVEN E. PATTERSON, Ph.D.

2 not sure -- just to make sure we're on the
3 same page, right, so ask the question again,
4 because I'm --

5 MR. TRAINOR: Sure.

6 THE WITNESS: Yeah, right.

7 Q (By Mr. Trainor) I just asked you about the
8 label.

9 A Right, right, right, the label.

10 Q You said you couldn't contribute these
11 adverse events that are reported to being a function of
12 5-HMT or tolterodine, one way or the other.

13 A Right.

14 Q Then I asked you about Brynne, Exhibit 1011,
15 which does that to a limited extent. As you said, it's
16 a very small dataset. So my question is simply: Other
17 than that Brynne paper, are you aware of any reporting
18 in the prior art that similarly parses between 5-HMT
19 and tolterodine for a given effect?

20 A Besides the ones we've already discussed, no.

21 Q Okay. And when you say "already discussed,"
22 this is the cardiac reference that you can't remember?

23 A I wish I could --

24 Q Okay.

25 A Yeah.

1 STEVEN E. PATTERSON, Ph.D.

2 Q Okay. You can put the label aside.

3 And then in the prior art as of 1998, would
4 you agree that there were a number of examples of
5 compounds with multiple actives that were subject to
6 CYP2D6 polymorphism that were determined to be safe and
7 effective?

8 MS. WOOTEN: Objection, form.

9 THE WITNESS: I think there were --
10 well, there were known drugs that are
11 metabolized by that pathway, and some
12 examples are listed in the --

13 MR. TRAINOR: Okay.

14 Q (By Mr. Trainor) Oxybutynin would be one,
15 right? We looked at that earlier.

16 A (Witness nods head affirmatively.)

17 Q I think you have to say yes for the --

18 A Yes. Oh, I'm sorry.

19 Q How about the drug encainide, have you ever
20 heard of that?

21 A Encainide, can you spell that for me?

22 Q I think it's E-N-C-A-I-N-I-D-E.

23 A I don't recall very much about that drug.

24 Q Okay. Propafenone?

25 A Propafenone? Not propiophenone, right?

1 STEVEN E. PATTERSON, Ph.D.

2 That's not a drug.

3 Q Okay. And in your view, did the prior art
4 teach generally that in the situation where you have a
5 drug with multiple active ingredients mediated by
6 CYP2D6, that an assessment should be made as to whether
7 to further develop or modify?

8 MS. WOOTEN: Objection, form.

9 THE WITNESS: Okay, so just to make sure
10 I answer the right question, can you give it
11 to me one more time?

12 MR. TRAINOR: Yeah.

13 Q (By Mr. Trainor) I mean, would you agree
14 that in the prior art, it was taught that in the
15 instances like tolterodine, where you have multiple
16 actives and multiple metabolic pathways, including
17 being mediated by CYP2D6, that an assessment should be
18 made before terminating the development of that drug or
19 electing to modify it?

20 MS. WOOTEN: Objection, form.

21 THE WITNESS: My assessment of the art
22 is that at every step in development, you
23 should be prepared to -- right, prepared for
24 a no-go or a Plan B at every step. And so
25 seeing variable, you know, mechanism would

1 STEVEN E. PATTERSON, Ph.D.

2 be -- maybe put me on a slightly higher
3 alert.

4 MR. TRAINOR: Okay. Fair enough.

5 Q (By Mr. Trainor) And one other thing that
6 you mentioned this morning or the first half of the day
7 was I thought you mentioned a therapeutic index.

8 A Yes.

9 Q Okay. What is a therapeutic index?

10 A A therapeutic index is a ratio of the desired
11 effect to the -- usually a toxic effect.

12 Q Uh-huh.

13 A Right?

14 Q Okay.

15 A Usually referred to in a cell culture
16 assessment. Sometimes we broaden that, you know, to an
17 animal study or human study, in which case we often
18 say -- you know, call a safety index.

19 Q Okay. In your review of the prior art, did
20 you discern any teaching or suggestion about the
21 therapeutic index of tolterodine -- Detrol,
22 tolterodine?

23 MS. WOOTEN: Objection, form.

24 THE WITNESS: I do not recall seeing a
25 cytotoxicity assessment.

1 STEVEN E. PATTERSON, Ph.D.

2 Q (By Mr. Trainor) Okay. And putting aside
3 whether you saw anything in the art, how -- what would
4 you -- how would you define -- this is an inartful
5 question. I don't want to know what the therapeutic
6 index is, but if you were to say the therapeutic index
7 of tolterodine is a ratio of what?

8 MS. WOOTEN: Objection, form.

9 THE WITNESS: I can't answer that
10 because I don't --

11 MR. TRAINOR: Okay.

12 Q (By Mr. Trainor) I take it from your
13 answer -- well, I take it from your answer that
14 depending upon the effect you're defining as toxic,
15 there could be a number of therapeutic indexes for any
16 drug, right?

17 MS. WOOTEN: Objection, form.

18 THE WITNESS: There are a number of
19 alternatives to assign a safety index or
20 therapeutic index.

21 MR. TRAINOR: All right.

22 Q (By Mr. Trainor) I guess what I'm asking is:
23 Does toxic always mean toxic in the conventional sense
24 of the word, or can toxic be just an unpleasant adverse
25 event in the context of therapeutic index?

1 STEVEN E. PATTERSON, Ph.D.

2 A I would -- you know, if -- people may say
3 that in the terms of an -- but I would say it's more
4 properly addressed as something that we would all
5 recognize as, you know, toxicity --

6 Q I got you.

7 A -- in cell culture, cell death.

8 Q Okay. Okay, so I want to move to the next
9 phase of your declaration, I guess, for lack of a
10 better description, which is now we're going to assume
11 that you've identified tolterodine and wish to isolate
12 5-HMT. First question is: Would you agree that there
13 were a number of other design alternatives -- strike
14 that.

15 Would you agree that there were design
16 alternatives for trying to accomplish the isolation of
17 5-HMT other than prodrug?

18 MS. WOOTEN: Objection, form.

19 THE WITNESS: Well, prodrug wouldn't be
20 isolation of 5-HMT.

21 MR. TRAINOR: Okay.

22 Q (By Mr. Trainor) How so?

23 A Well, isolation means you have a pure sample
24 of your target molecule.

25 Q Oh, okay. I guess what I meant was we talked