

1
2 UNITED STATES DISTRICT COURT
3 DISTRICT OF DELAWARE
4

5 PFIZER, INC., and UCB PHARMA)

)

6 GMBH,)

)

7 Plaintiffs,)

CASE NO.

)

8 vs.)

15-00079-GMS

)

9 MYLAN PHARMACEUTICALS, INC.,)

)

10 Defendant.)

)
11
12
13

14 VIDEOTAPE DEPOSITION OF
15 CULLEY C. CARSON, III, M.D.
16 Atlanta, Georgia
17 Thursday, August 25, 2016
18
19
20
21

22 Reported by:

23 Judith Leitz Moran, CCR, RPR, RSA

24 JOB NO.: 111438
25

1 CULLEY C. CARSON, III, M.D.

2

3

4

5

August 25, 2016

6

9:00 a.m.

7

8

Videotape Deposition of CULLEY C.

9

CARSON, M.D., held at Hunton & Williams, 600

10

Peachtree Street, N.E., Suite 4100, Atlanta,

11

Georgia 30308, before Judith L. Leitz Moran,

12

Registered Professional Reporter, and Certified

13

Court Reporter for the State of Georgia.

14

15

16

17

18

19

20

21

22

23

24

25

1 CULLEY C. CARSON, III, M.D.

2 A P P E A R A N C E S:

3
4 WHITE & CASE

5 Attorneys for Plaintiffs

6 1155 Avenue of the Americas

7 New York, New York 10036

8 BY: JEFFREY OELKE, ESQUIRE

9 SO YEON CHOE, ESQUIRE

10
11 KILPATRICK TOWNSEND & STOCKTON

12 Attorneys for Defendant and the Witness

13 1100 Peachtree Street, NE

14 Atlanta, Georgia 30309

15 BY: ALYSON WOOTEN, ESQUIRE

16
17 ALSO PRESENT:

18 MIKE BROWN, VIDEOGRAPHER

19
20
21 (Pursuant to OCGA 15-14-37 (a) and (b) a
22 written disclosure statement was submitted by the
23 court reporter to all counsel present at the
24 proceeding and is attached hereto.)
25

1 CULLEY C. CARSON, III, M.D.

2 VIDEO TECHNICIAN: This marks the
3 beginning of Video No. 1.

4 Deposition of Culley Carson, M.D., in the
5 matter Pfizer, Incorporated, et al., versus Mylan
6 Pharmaceuticals, Incorporated.

7 Today's date, August 25th, 2016. The
8 time on the video monitor 9:01 a.m.

9 This video deposition taking place at 600
10 Peachtree Street.

11 Videographer, Mike Brown contracted by
12 TSG Reporting.

13 Counsel, please state your name for the
14 record and whom you represent.

15 MR. OELKE: Yeah, I'm Jeff Oelke from
16 White & Case. I'm appearing on behalf of the
17 Plaintiffs UCB and Pfizer, and with me is my
18 colleague So Yeon Choe.

19 MS. WOOTEN: Alyson Wooten from
20 Kilpatrick Townsend & Stockton. I'm here on behalf
21 of Defendants and the witness.

22 VIDEO TECHNICIAN: The court reporter
23 today, Judi Moran of TSG.

24 Will the court reporter please swear in
25 the witness.

1 CULLEY C. CARSON, III, M.D.

2 CULLEY C. CARSON, M.D.,

3 being first duly sworn, was examined as follows:

4 THE WITNESS: I do.

5 EXAMINATION

6 BY MR. OELKE:

7 Q Good morning, Dr. Carson.

8 A Good morning.

9 Q Can you please state your full name for
10 the record?

11 A Yes, Culley C. Carson, III, M.D.

12 Q Yes. And what's your address?

13 A 10387 Holt, Chapel Hill, North Carolina.

14 Q Have you been deposed before?

15 A I have.

16 Q How many times?

17 A Many.

18 Q Okay. So you understand the procedure,
19 I'm going to ask you a series of questions today?

20 A Yes.

21 Q And you're going to answer those
22 questions subject to your counsel may have
23 objections. But once she imposes those objections,
24 you're expected to answer the question I ask,
25 right?

1 CULLEY C. CARSON, III, M.D.

2 A Yes.

3 Q Okay. And it would help if you wait till
4 I finish my question before you start your answer.
5 That's easier for the court reporter and for the
6 record.

7 If you need a break, let me know, that's
8 fine. I just ask that you answer the question
9 that's pending before you do so.

10 A Yes.

11 Q Okay. The other times you've been
12 deposed, have you ever been deposed as an expert
13 witness before?

14 A Yes.

15 Q And how many times?

16 A As I said, many times. I've been in
17 neurology for more than 30 years. So only once in
18 a patent case, during the others malpractice cases.

19 Q Okay. And when was that patent case?

20 A It was about five years ago, I believe.
21 Four or five years ago.

22 Q And what did that patent case concern?

23 A It was Sildenafil.

24 Q And you gave a deposition?

25 A Yes, I did.

1 CULLEY C. CARSON, III, M.D.

2 Q Did you testify at trial?

3 A Yes, I did.

4 Q And where was the trial?

5 A The trial was in Norfolk, Virginia.

6 Q Now, in that Sildenafil trial, what were
7 your opinions generally?

8 A The case was about when the idea of
9 Sildenafil for erectile dysfunction had originally
10 begun. And so my opinion was that it was in early
11 -- earlier than what the patent stated.

12 And there were -- there were some
13 internal -- internal data from or basically
14 communications in Pfizer that showed that they were
15 thinking about ED and -- and Sildenafil prior to
16 the time it was patented for that use.

17 Q And what parties did you testify on
18 behalf of in that case?

19 A The -- not Pfizer, the other side.

20 Q The generics?

21 A The generic side, yes.

22 Q Okay. Did you give any opinions on
23 obviousness in that case?

24 A No.

25 Q Okay. Prior to this case, had you ever

1 CULLEY C. CARSON, III, M.D.

2 given any opinions relating to obviousness?

3 A No.

4 Q You mentioned in your report that you
5 also have been involved in the case called In re:
6 Testosterone replacement therapy products liability
7 litigation, right?

8 A Yes.

9 Q Okay. What was your role in that case?

10 A The case hasn't actually come to fruition
11 as of yet, but there's a -- there's a major class
12 action suit against testosterone because of cardiac
13 issues.

14 And -- and basically they subpoenaed
15 virtually all of the people that had any research
16 interest in testosterone clinically and to send all
17 of their information to the -- to the plaintiffs'
18 attorneys, which we've just recently complied with.

19 Q Okay. So you're -- you're not an expert
20 witness in that case?

21 A Not yet. I mean, I hope -- I may be,
22 but --

23 Q Okay.

24 A -- to date, no.

25 Q Okay. Now, what is your experience level

1 CULLEY C. CARSON, III, M.D.

2 with patents?

3 A Very minimal.

4 Q Okay. You ever been an inventor on a
5 patent?

6 A I have two patents of my own.

7 Q Okay. What do they concern?

8 A One of them is a tourniquet for bleeding
9 during -- during renal surgery. And another is an
10 instrument to do partial nephrectomy, neither of
11 which have done much other than be patented.

12 Q Okay. Who's the assignee on those
13 patents?

14 A I'm not sure what that exactly means.

15 Q Who's the owner?

16 A Benad Goldwasser.

17 Q Who is Benad Goldwasser?

18 A He's a urologist in Israel.

19 Q Okay. Is he an inventor on those patents
20 as well?

21 A He is, yes.

22 Q Okay. So you have some sort of
23 arrangement with Mr. -- Dr. -- is it Dr. --

24 A Doctor.

25 Q -- Goldwasser?

1 CULLEY C. CARSON, III, M.D.

2 A It is, yes.

3 Q And what is that arrangement?

4 A Well, I mean, the patents have never
5 amounted to anything so they just are sort of
6 there. The patents were from the early 19 --
7 1990s, I think it was 1991, something like that,
8 and nothing has ever happened with them.

9 Q Okay. What was your level of involvement
10 in the prosecution of those patents?

11 A Basically we invented the -- the devices
12 and then at the time I was a faculty member at Duke
13 University and they had some patent attorneys on --
14 on staff. And so we went to them with the -- the
15 inventions and they basically wrote the patents and
16 that was the end of it.

17 Q Okay. Were you involved in the
18 communications with -- back and forth with the
19 patent office on those patents?

20 A We had some meetings, yes, just to kind
21 of define what -- what the -- what the invention
22 actually was.

23 Q Okay. Now, you said you testified at the
24 trial on Sildenafil, right?

25 A Yes.

1 CULLEY C. CARSON, III, M.D.

2 Q How many other times have you testified
3 at trial?

4 A Quite a few actually, but none others
5 have been patents, it's always been malpractice
6 cases --

7 Q Okay.

8 A -- as expert witness.

9 Q Okay. Have you ever been a fact witness
10 in -- in any lawsuit?

11 A No.

12 Q Okay. Dr. Carson, did you meet with
13 counsel to prepare for this deposition?

14 A I did.

15 Q When was that?

16 A Yesterday.

17 Q How long did you meet?

18 A About six hours.

19 Q Okay. And where was the meeting at?

20 A At their firm.

21 Q Okay. You're talking about Kilpatrick
22 Stockton?

23 A Kilpatrick Stockton, just down the
24 street.

25 Q Okay. And prior to that meeting, did you

1 CULLEY C. CARSON, III, M.D.

2 have meetings with the attorneys at Kilpatrick
3 Stockton?

4 A One previous.

5 Q When was that meeting?

6 A In June, I believe.

7 Q June of this year?

8 A Yes.

9 Q And you were involved in preparing two
10 expert reports in this case?

11 A Yes.

12 Q How many hours did you spend on those
13 expert reports?

14 A Probably all together, close to 40 hours,
15 I would guess.

16 Q Okay. Now, were you provided documents
17 for those expert reports?

18 A I was provided some and then I found some
19 on my own.

20 Q Okay. Which ones did you find on your
21 own?

22 A Oh, just -- I did a Med-Line search and
23 just found a number of studies on overactive
24 bladder, fesoterodine, tolterodine, a variety of
25 things like that, of that nature.

1 CULLEY C. CARSON, III, M.D.

2 Q Do you remember any specific publications
3 that you found?

4 A The Paul Abrams study from 1998, I think.
5 There are a couple of Steve Kaplan studies.
6 There's studies by -- let me think who else. There
7 are just, I don't know, several others, but those
8 are the -- those are the ones I remember the most
9 vividly.

10 Q Okay.

11 MR. OELKE: Let's mark his CV. It's in
12 here?

13 MS. CHOE: Yeah, I think so.

14 MR. OELKE: Okay. It's a big exhibit.

15 I'd like to mark as Carson Exhibit 1
16 Opening Expert Report of Culley C. Carson, III,
17 M.D., which has attachments.

18 (Deposition Exhibit 1 marked.)

19 BY MR. OELKE:

20 Q Can you identify Carson Exhibit 1,
21 please?

22 A Yes, it's an expert report, the first
23 opening expert report from June of 2016.

24 Q And that's your signature on the front
25 page?

1 CULLEY C. CARSON, III, M.D.

2 A Yes, it is.

3 Q Okay. When were you first contacted
4 about working in this case?

5 MS. WOOTEN: Objection, just caution the
6 witness. You may respond to the question, but
7 don't reveal any attorney/client privileged
8 information as part of your response.

9 THE WITNESS: Okay.

10 BY MR. OELKE:

11 Q Well, just -- just for the record, I
12 don't think it's attorney/client, but I agree I
13 don't want you --

14 MS. WOOTEN: Guess.

15 BY MR. OELKE:

16 Q -- to reveal communications with --

17 A Right.

18 Q -- Ms. Wooten.

19 A Sometime in the -- in the spring, like,
20 April or May of 2016.

21 Q Okay. And your CV is attached as Exhibit
22 1; is that right?

23 A Yes.

24 Q Now, you received your undergraduate
25 degree from Trinity?

1 CULLEY C. CARSON, III, M.D.

2 A I did.

3 Q What was your undergraduate degree in?

4 A Biology.

5 Q Okay. Then you received your M.D. in
6 1971?

7 A That's correct.

8 Q What did you do after you received your
9 M.D.?

10 A I did two years of general surgery at
11 Dartmouth in Hanover, New Hampshire. And then
12 following that, I spent two years in the -- in the
13 Air Force as a flight surgeon. And then following
14 that, did residency at -- at the Mayo Clinic in
15 Rochester, Minnesota.

16 Q Okay. And you were a urology fellow
17 there?

18 A Yes.

19 Q Is that when your -- when you first
20 started specializing in neurology?

21 A Yes.

22 Q So you've specialized in neurology since
23 about 1975?

24 A That's correct.

25 Q Okay. And at that time in 1975, what was

1 CULLEY C. CARSON, III, M.D.

2 the treatment standards for urinary incontinence?

3 A Well, urinary incontinence is a broad
4 spectrum of things. It's -- surgery was one of the
5 things was -- was -- was used in those days for an
6 overactive bladder and urge or urgency, depending
7 on what you want -- how you want to term it,
8 incontinence.

9 There wasn't much available, although,
10 oxybutynin and Ditropan came out around the time I
11 began my urology training.

12 Q Okay. You used the term "overactive
13 bladder." Just for the record, what is overactive
14 bladder?

15 A Basically, overactive bladder is
16 contracting -- is abnormal, uncontrolled
17 contractions of the bladder when patients or people
18 don't want them to contract.

19 So it produces frequency, produces
20 urgency, produces urge incontinence, urgency
21 incontinence, nocturia, and those things are
22 bothersome to patients.

23 Q What is nocturia?

24 A Nocturia is arising at night to pass
25 urine.

1 CULLEY C. CARSON, III, M.D.

2 Q Okay. After you were done at the Mayo
3 Clinic, what was your next job?

4 A I was hired to be on the faculty at Duke
5 University, and I was at Duke University for 15
6 years.

7 Q Okay. And you focused on urology at that
8 time?

9 A Yes.

10 Q And did you have a subspecialty in
11 urology at that time?

12 A Most of my entire life of urology has
13 been in men's health areas. So erectile
14 dysfunction, male incontinence, testosterone
15 deficiency, those kinds of things.

16 Q So when did you -- what was your next job
17 after Duke?

18 A In -- in 1992-'93, I was -- I was
19 selected to be the head of urology at the
20 University of North Carolina, so I moved down the
21 street to be the chief of urology at the University
22 of North Carolina.

23 Q Okay. And -- and that's where you're at
24 still?

25 A Yes.

1 CULLEY C. CARSON, III, M.D.

2 Q Okay. And what are your titles at
3 University of North Carolina?

4 A I stepped down as being the -- the chief
5 and -- and -- but I'm now the Rhodes Distinguished
6 Professor of Urology at the University of North
7 Carolina, Chapel Hill.

8 Q Okay. And during your time at North
9 Carolina, your function -- your subspecialty has
10 been in men's health?

11 A Yes.

12 Q Okay. Sexual dysfunction?

13 A Sexual dysfunction, incontinence,
14 prostate cancer, prostate diseases.

15 Q Okay. But your subspecialty has not been
16 overactive bladder, correct?

17 A Not specifically.

18 Q Okay. There are other urologists, their
19 specialty is overactive bladder, correct?

20 A Part of their specialty. I wouldn't say
21 that's all they do.

22 Q Okay.

23 A I'm not sure they could make enough money
24 to keep themselves healthy just doing that.

25 Q Okay. But overactive bladder is not part

1 CULLEY C. CARSON, III, M.D.

2 of your specialty?

3 A No. Well, it is to an extent because men
4 with prostate enlargement have overactive bladder
5 associated with it, so I certainly -- I certainly
6 do have -- am involved with that.

7 Q To the extent it overlaps with sexual
8 dysfunction for men, you are interested in
9 overactive bladder, but your subspecialty is not
10 overactive bladder, right?

11 A Not that -- not specifically.

12 Q Okay. Now, do you consult with any
13 pharmaceutical companies?

14 A I do.

15 Q And which ones?

16 A At variable periods of time it depends on
17 the -- the life cycle of a particular product.
18 I've -- I've in the past consulted with Pfizer,
19 with Endo, with Auxilium, with Abbvie used to be
20 Abbott, with GlaxoSmithKline, with Bayer, to name a
21 few. Several other smaller ones as well.

22 Q Who are you consulting with now?

23 A Predominantly Abbvie and Endo as well as
24 Boston Scientific, which is not a drug company but
25 it's an industry.

1 CULLEY C. CARSON, III, M.D.

2 Q Of those companies, who have you
3 consulted with on the -- in the area of overactive
4 bladder?

5 A Pfizer for one. I -- I spent some time
6 consulting with the original Ditropan people, I
7 can't even remember the name of the company
8 anymore, that made Ditropan originally, but...

9 Q The rest of your consulting has been in
10 men's sexual dysfunction?

11 A Men's health. I mean, it's not just
12 sexual dysfunction.

13 Q Okay. Overactive bladders, as far as
14 pharmaceutical treatment, is mostly directed to
15 women, right?

16 A It has in the past, yeah.

17 Q Okay. What do you think the percentage
18 of the market is for overactive bladder
19 pharmaceutical treatments with respect to male
20 versus female?

21 A It's probably 70/30.

22 Q Okay. Do you still see patients?

23 A Yes.

24 Q How many patients do you see in a typical
25 month?

1 CULLEY C. CARSON, III, M.D.

2 A Let's see, in the neighborhood of 250.

3 Q And of that number, 250, how many do you
4 see for OAB?

5 A Pure OAB, probably no more than 15 or 20,
6 something like that. Most of them have other
7 problems such as an enlarged prostate that goes
8 along with their OAB that produces their OAB,
9 associated with their OAB.

10 Q Okay. Do you prescribe OAB medicines?

11 A Yes, I do.

12 Q And of those 250 patients a month, just
13 as an average, how many do you think you prescribed
14 OAB medicine for?

15 A Probably, again, maybe 10 or 15,
16 something like that.

17 Q Okay. And what OAB medicines do you
18 prescribe?

19 A A whole gamut of things. And it -- it's
20 dependent upon what their -- what their insurance
21 company will pay for to be honest.

22 Generally the insurance companies want
23 you to start with oxybutynin because it's a generic
24 drug and it's inexpensive. And then every
25 insurance company varies as to what they'll do as a

1 CULLEY C. CARSON, III, M.D.

2 second line. The one I use most often now is a
3 drug called Myrbetriq or Mirabegron.

4 Q What kind of mechanism of action does
5 Myrbetriq have?

6 A Yeah, it's -- it's basically a Beta-3
7 relaxant, and it has the advantage of relaxing the
8 bladder, although, it's not as potent as some of
9 the anti-muscarinics, but it doesn't have CNS
10 problems and it has very little dry mouth and very
11 little constipation associated with it. So for
12 aging patients, it tends to be the best choice.

13 But it's usually not covered by
14 insurance. So it's one of those things where you
15 have to start with something else, patients have to
16 fail it and then you move on. So it's kind of --
17 it's an iterative process.

18 Q Have you prescribed tolterodine --

19 A Yes.

20 Q -- to patients?

21 A I have.

22 Q Okay. And are there instances in which
23 you will prescribe tolterodine today to patients?

24 A Yes. Absolutely, yes.

25 Q And what are those instances?

1 CULLEY C. CARSON, III, M.D.

2 A The instances are when people -- people
3 have failed one anti-muscarinic. And failed means
4 it either doesn't work or they've had onerous side
5 effects that they can't tolerate. Or third thing
6 is that the -- that that's the preferred drug for
7 their particular insurance policy.

8 Q And when did you start prescribing
9 tolterodine?

10 A As soon as it was on the market.

11 Q Okay. Were you involved in clinical
12 trials for tolterodine?

13 A I was not.

14 Q Okay. And do you recall that it was
15 approved in March of 1998?

16 A Yes.

17 Q When did you start using it?

18 A You know, I don't really totally
19 remember, but as an academic urologist, I tend to
20 be an early adopter of these new drugs.

21 So probably within the first three or
22 four months of the time it was approved. I don't
23 remember when I wrote the first prescription
24 particularly, but I'm sure it was early in that
25 period of time.

1 CULLEY C. CARSON, III, M.D.

2 Q And before that you had prescribed
3 oxybutynin?

4 A Yes.

5 Q Had you described -- had you prescribed
6 other drugs for overactive bladder?

7 A Yes.

8 Q What other drugs?

9 A Flavoxate I used for a while or used in
10 some patients that couldn't tolerate -- couldn't
11 tolerate oxybutynin.

12 Really that was about the only -- those
13 are about the only reasonable things that were on
14 the market in those days.

15 Q Okay. Now, at some point trospium became
16 available in the U.S., right?

17 A Yes.

18 Q Have you ever prescribed trospium?

19 A Yes, I have.

20 Q In what types of patients do you -- have
21 you prescribed trospium for?

22 A Very similar to the -- to the
23 fesoterodine patients. And those are ones that --
24 where their insurance company says that that's what
25 you need to use.

1 CULLEY C. CARSON, III, M.D.

2 And, you know, I think physicians'
3 prescribing practices are based a lot on what their
4 personal experience is, what the newest thing on
5 the market is, what the marketing of that drug is,
6 but most often what the insurance companies will
7 pay for.

8 Q Okay. Now, when did Myrbetriq come on
9 the market?

10 A Oh, probably three, four years ago.

11 Q Okay. Prior to Myrbetriq's availability,
12 what drugs did you prescribe for elderly patients?

13 A Again, any -- anything other than
14 oxybutynin because the oxybutynin in elderly
15 patients is really not a very good choice, and the
16 CNS side effects are onerous.

17 Q Did tolterodine have CNS side effects in
18 -- in instances in which you prescribed it?

19 A Not so much. I mean, it had some. A
20 headache and -- and that sort of thing, but the
21 confusion issue that you get with oxybutynin was a
22 lot less with -- with tolterodine.

23 Q Okay. There are certain medications that
24 are on a -- a list that pilots can't use; is that
25 right?

1 CULLEY C. CARSON, III, M.D.

2 A Yes.

3 Q And oxybutynin is on that list, isn't it?

4 A It is.

5 Q Tolterodine is not on that list, is it?

6 A Correct, it is not.

7 Q The CNS side effects of tolterodine were
8 never judged to be serious enough to be put on that
9 list for -- for pilots, right?

10 A Part of it's because of the CNS -- CNS
11 issues, part of it's because of the ocular
12 accommodation issues. So those are both
13 significant problems with oxybutynin.

14 Q And they are not problems for
15 tolterodine?

16 A That's correct.

17 Q And other than headache, you've never
18 noticed CNS side effects in patients in which
19 you've prescribed tolterodine?

20 A You know, patients have all kinds of
21 problems and you never know if it's the medication
22 or it's -- it's the -- just have the problems. But
23 I've had patients that tell me that they have
24 dizziness from any -- from all of the
25 anti-muscarinics and you wonder if it's the drug or

1 CULLEY C. CARSON, III, M.D.

2 if they just had dizziness anyway.

3 So, basically, it's -- it's not something
4 that you can generalize about, I guess, but
5 certainly better than oxybutynin.

6 Q Did you -- so -- strike that.

7 You've -- you've consulted with
8 pharmaceutical companies, right?

9 A Yes, I have.

10 Q You've been on advisory boards for those
11 pharmaceutical companies?

12 A I have.

13 Q And you ever provided advice on the
14 development of drugs for OAB?

15 A Probably, yes. I don't remember
16 specifically, but it's one of those things where a
17 new drug comes out, they present the data and say
18 what do you think and you say could be better.

19 Q Do you --

20 A Is better, but could be better.

21 Q Okay. Did you ever have any
22 conversations in which you suggested that
23 tolterodine would be a good candidate to make
24 modifications to?

25 A Not to my knowledge.

1 CULLEY C. CARSON, III, M.D.

2 Q Okay. Now, you're familiar with 5-HMT?

3 A Yes.

4 Q A metabolite?

5 A Yes.

6 Q And it's an active metabolite of
7 tolterodine, right?

8 A That's correct.

9 Q Okay. Do you recall ever discussing with
10 any pharmaceutical company representative that
11 5-HMT would be a good candidate as a lead compound
12 for future drug development?

13 MS. WOOTEN: Objection, form.

14 A I don't remember specifically -- having
15 those specific conversations as you're stating
16 them, but I -- but I remember having conversations
17 with some of the marketing people for fesoterodine
18 that -- you know, that was a -- that 5-HMT was a
19 good target.

20 BY MR. OELKE:

21 Q Okay. That was after fesoterodine had
22 been developed?

23 A Yes.

24 Q Okay.

25 A I don't recall before. Maybe I did, but

1 CULLEY C. CARSON, III, M.D.

2 I don't remember.

3 Q Okay. Do you recall any discussions with
4 other urologists that 5-HMT would be a good
5 candidate for future development prior -- prior to
6 the development of fesoterodine?

7 A Fesoterodine, yeah. I don't recall. I
8 mean, that's -- that's been a long time ago, so I
9 don't really remember. We have a lot of
10 conversations about a lot of things at meetings and
11 so on, but I don't remember specific conversations,
12 no.

13 Q And you're not aware of any publications
14 prior to the development of fesoterodine that
15 suggested 5-HMT would be a good candidate for
16 future development?

17 A No. There are other publications that
18 suggest or show that that 5-HMT is a good agent for
19 bladder relaxation for anti-muscarinic targeting,
20 so, you know. But as far as saying the way that --
21 the way you said it, no.

22 Q Those publications you're talking about
23 are describing 5-HMT as a metabolite, right?

24 A As -- as a metabolite, correct.

25 Q None of them are discussing 5-HMT as an

1 CULLEY C. CARSON, III, M.D.

2 active ingredient?

3 A Well, they're discussing 5-HMT as an
4 active agent in the -- in the blockade of -- of
5 muscarinic receptors.

6 Q But only as a metabolite of tolterodine,
7 right?

8 A Well, as a -- as a particular compound.
9 I mean, you know, whether it's a metabolite or
10 whether it's pure, either way it's -- it's what
11 it's doing to the bladder.

12 Q Okay. But can you identify any
13 publications prior to the development of
14 fesoterodine that suggested 5-HMT should be
15 administered as an agent?

16 MS. WOOTEN: Objection, form.

17 A I can't -- I don't know specifically.
18 I'd have to look back, but I don't remember any
19 specific publications that -- that have that tenor.

20 BY MR. OELKE:

21 Q Okay. Now, you said you consulted for
22 Pfizer, right --

23 A Yes.

24 Q -- at some point in time?

25 When was that?

1 CULLEY C. CARSON, III, M.D.

2 A In the 1990s predominantly.

3 Q Okay.

4 A Early -- the early 2000s, but 1990s.

5 Q And when did your consulting with Pfizer
6 stop?

7 A I don't even remember, but probably in
8 the mid-2000s, I would guess.

9 I spoke for them about fesoterodine in
10 the -- in like 2007 to 2009 range, I guess, and
11 that's probably -- that was probably the end of it.

12 MR. OELKE: I would like to mark Carson
13 Exhibit 2, Consultant Agreement, Healthcare
14 Professional Consultant Agreement.

15 (Deposition Exhibit 2 marked.)

16 BY MR. OELKE:

17 Q Just take a moment to look at Carson
18 Exhibit 2, Dr. Carson.

19 A (Witness reviews document.)

20 Q Is Carson Exhibit 2 a consultant
21 agreement between you and Pfizer?

22 A It surely is, yes.

23 Q And you executed it in May of 2015?

24 A I did.

25 Q Okay.

1 CULLEY C. CARSON, III, M.D.

2 A Yeah, basically, this was a -- I gave a
3 talk at -- at the European Association of Urology
4 that was sponsored by Pfizer. I had forgotten
5 about this.

6 Q Okay. So there was a consulting
7 agreement in place in 2015 between you and Pfizer,
8 right?

9 A Yes, that's correct.

10 Q And you didn't include that in your
11 materials for your expert report, right?

12 A No, I did not.

13 Q Okay. Is there a reason you left this
14 out?

15 A I had totally forgotten about it because
16 it was a meeting that -- that I presented some --
17 some -- to some Middle Eastern urologists. And it
18 was arranged by one of my colleagues in London, and
19 I -- actually, to be honest, I had forgotten that
20 it was sponsored by Pfizer.

21 Q Okay. Now, do you know Dr. MacDiarmid?

22 A Yes, very well.

23 Q Okay. And how do you know him?

24 A He was a fellow at Duke when I was a
25 faculty member at Duke. And I tried to hire him to

1 CULLEY C. CARSON, III, M.D.

2 come to the University of North Carolina which
3 didn't work, and I've known him since that time.
4 We used to work out together actually.

5 Q Okay. Is Dr. MacDiarmid a specialist --
6 first of all, is he a urologist?

7 A He is.

8 Q And is a subspecialty of his OAB?

9 A It's female urology.

10 Q Okay.

11 A And incontinence. And OAB is a piece of
12 that.

13 Q Okay. And he sees a lot more patients
14 with respect to OAB than you do, correct?

15 MS. WOOTEN: Objection, form.

16 A I don't -- I don't know the answer to
17 that.

18 BY MR. OELKE:

19 Q You don't, okay. You don't know who
20 he -- how many patients he sees, for instance?

21 A I don't.

22 Q Okay. But you would agree that OAB is
23 certainly more a specialty of Dr. MacDiarmid's than
24 yours, correct?

25 MS. WOOTEN: Objection, form.

1 CULLEY C. CARSON, III, M.D.

2 A Yeah, I mean, that's something he's been
3 interested in for most of his life with the female
4 urology part.

5 BY MR. OELKE:

6 Q Okay. Do you respect Dr. MacDiarmid's
7 opinions?

8 A I do.

9 Q Okay. Is he a respected urologist?

10 A He is.

11 Q How many papers have you published on the
12 topic of overactive bladder?

13 A Probably not more than two or three.

14 Q Okay. How many papers have you published
15 total?

16 A More than 300.

17 Q Okay. Quite a few, right?

18 A Yeah.

19 Q Okay. How many of those papers relate to
20 men's sexual dysfunction?

21 A I don't even know, but probably a third.

22 Q Okay. Are there any other areas that
23 most of your writing concerns other than men's
24 sexual dysfunction and OAB?

25 A Yeah, I mean, incontinence in men --

1 CULLEY C. CARSON, III, M.D.

2 Q Okay.

3 A -- with prostate cancer is another one.

4 Urinary stone disease is another one.

5 Q Okay.

6 A Testosterone replacement.

7 Q Kidney stones?

8 A Kidney stones.

9 Q Yeah.

10 A I mentioned that, yeah.

11 MR. OELKE: I'd like to mark as Carson
12 Exhibit 3 an article entitled The Pharmacological
13 Treatment of Urinary Incontinence. The first
14 author is Karl Eric Andersson.

15 (Deposition Exhibit 3 marked.)

16 BY MR. OELKE:

17 Q Dr. Carson, have you seen this article
18 before?

19 A Yes, I have.

20 Q And who is Karl Eric Andersson?

21 A Karl Eric Andersson is probably the
22 expert on overactive bladder in the world. He is
23 -- he is Swedish and he is -- has an M.D. degree,
24 but basically is a pharmacologist.

25 He spent a lot of time in -- did most of

1 CULLEY C. CARSON, III, M.D.

2 his work in Sweden actually. But when he had to
3 retire from Sweden because of age, he actually came
4 to North Carolina and was -- spent some time at
5 Wake Forest, which he subsequently left, and I
6 think he's in Germany right now.

7 Q Oh, he's not at Wake Forest, okay.

8 A He's no longer at Wake Forest.

9 Q Okay. This article is dated 1999?

10 A Yes.

11 Q And you understand -- well, just as
12 background, do you understand there's five patents
13 at issue in this case, right?

14 A Yes.

15 Q And one of those patents we refer to as
16 the '650 patent?

17 A Right.

18 MR. OELKE: Maybe we should mark that
19 rather than just refer to it.

20 (Deposition Exhibit 4 marked.)

21 MR. OELKE: Mark this Carson Exhibit 4
22 U.S. Patent 6,858,650.

23 THE WITNESS: Thank you.

24 BY MR. OELKE:

25 Q Do you recognize Carson 4 as one of the

1 CULLEY C. CARSON, III, M.D.

2 five patents-in-suit, U.S. Patent 6,858,650?

3 A Yes.

4 Q Okay. And I know you said earlier that
5 -- that patents certainly aren't your specialty,
6 but do you understand there's dates that relate to
7 a patent by which you then determine whether or not
8 the patent is -- meets the standards of
9 patentability?

10 A Yes. I mean, generally, yes.

11 Q Okay. And you understand that this
12 patent has a foreign application priority date if
13 you look at the number 30 down in the left-hand
14 column there on the first page. It says,
15 November 16th, 1999. Do you see that?

16 A I do.

17 Q Do you understand that that's the date on
18 which this -- the German patent application was
19 filed that underlies this patent?

20 MS. WOOTEN: Objection, form.

21 A I see what you're saying, but I didn't --
22 you know.

23 BY MR. OELKE:

24 Q Do you understand --

25 A I believe you.

1 CULLEY C. CARSON, III, M.D.

2 Q Okay. Do you understand November 16th,
3 1999 is -- is the date by which you should assess
4 the issues with respect to this patent that you've
5 opined on?

6 A Again, I -- I agree with that, but -- but
7 I'm not --

8 Q Okay.

9 A That's not in my area of expertise.

10 Q Understood. But I'm just making sure
11 you're -- we're working off the same dates. When
12 we talk about dates --

13 A I gotcha.

14 Q -- we're working off these dates. So the
15 date for this patent is November 16th, 1999. Do
16 you see that?

17 A Yes, I do.

18 Q Okay.

19 MR. OELKE: Give me the '980.

20 I'm not going to mark all five patents.
21 I'll just mark one of the other four because they
22 all have the same date.

23 So this is -- Carson 5 is U.S. Patent
24 7,384,980.

25 (Deposition Exhibit 5 marked.)

1 CULLEY C. CARSON, III, M.D.

2 BY MR. OELKE:

3 Q And you see Carson 5 is U.S. Patent
4 7,384,980?

5 A Yes.

6 Q And that's one of the four other patents
7 that are at issue in this case, right?

8 A Yes.

9 Q You've reviewed these patents?

10 A Yes, as much as I can -- I mean, I had
11 reviewed them. Did I understand them? Maybe not.

12 Q Okay. You're not opining on the -- the
13 claimed subject matter of these patents, right?

14 A That's correct.

15 Q Okay. Now, you understand the -- the
16 priority date for this patent and the other three
17 related patents is -- again, if you look at -- at
18 No. 30 down there on the left-hand column of the
19 first page, it says May 12th, 1998.

20 A Yes.

21 Q So you understand that the -- the filing
22 date for this patent and -- and the three related
23 patents is May 12th, 1998?

24 A Yes.

25 Q So for the patents at issue in this case,

1 CULLEY C. CARSON, III, M.D.

2 the time frame we're talking about that's relevant
3 is 1998 or 1999, you understand that?

4 A Correct, yes.

5 Q Okay. Now, going back to the Andersson
6 publication which is Carson 3. You see that's
7 dated 1999?

8 A Yes.

9 Q And you understand this is a summary of
10 -- of -- of the available treatments in 1999?

11 A Yeah, it's a review article that really
12 expresses the state of the art in 1999.

13 Q Okay. And there's a table at Page 924
14 which is PFE08143400. Do you see that?

15 A Yes, I do.

16 Q It starts on -- on Page 924 and actually
17 goes on to Page 925.

18 A Correct.

19 Q And that table is entitled Drugs used in
20 the treatment of bladder hyperactivity, stress and
21 overflow incontinence.

22 A Yes.

23 Q Okay. And there's a -- a large number of
24 -- of compounds that are listed here for bladder
25 hyperactivity, right?

1 CULLEY C. CARSON, III, M.D.

2 A Yes.

3 Q And they're given a -- there's -- there's
4 a key for these different letters that are listed
5 in the columns for these different drugs. Do you
6 see that?

7 A Yes.

8 Q One is the Clinical column which has
9 either an A, B or C associated with it. Do you see
10 that?

11 A I do.

12 Q And do you understand that A indicates
13 good quality RCT?

14 A Yes.

15 Q What's RCT?

16 A Control trials, randomized control
17 trials.

18 Q Okay, great.

19 And Assessment is another column. Do you
20 see that?

21 A Yes.

22 Q And under that column, R indicates
23 recommended?

24 A Yes.

25 Q Okay. So you see that as of 1999 at

1 CULLEY C. CARSON, III, M.D.

2 least there were eight different compounds that
3 were listed as recommended for bladder
4 hyperactivity, right?

5 A Yes, that's correct.

6 Q If you would just go through those.
7 Propantheline, do you see that's one of the ones
8 that's recommended?

9 A Yes.

10 Q Did you ever prescribe propantheline?

11 A I did, in the olden days.

12 Q Okay. And what was your experience with
13 propantheline?

14 A Not very effective and many side effects.

15 Q Okay. And what about Emepronium, did you
16 ever prescribe Emepronium?

17 A I did not.

18 Q Okay. Are you familiar with Emepronium?

19 A I've read about Emepronium, but I'm not
20 familiar as a -- as a clinically useful agent.

21 Q Okay. And we already talked about
22 trospium. At some point trospium became available
23 in the United States, right?

24 A It did.

25 Q It was available in Europe before that,

1 CULLEY C. CARSON, III, M.D.

2 though, right?

3 A Correct.

4 Q As of 1999 it was already on the market
5 in Europe?

6 A Right. And it had -- had good clinical
7 trials.

8 Q Those clinical trials were available as
9 of 1998?

10 A Correct.

11 Q Okay. And was the perceived problem with
12 trospium one of bioavailability?

13 A That was the biggest problem with it,
14 yeah.

15 Q It had varied bioavailability, right?

16 A It had varied and really poor
17 bioavailability.

18 Q So did that mean with trospium you had to
19 modify your dosage depending on the patient?

20 A Oh, absolutely. I mean, all of the drugs
21 you have to modify the dosage based on the patient,
22 but trospium was even more dramatically such.

23 Q Okay. And that's because in some
24 patients they got very little effect from the
25 particular dose while in other patients more the

1 CULLEY C. CARSON, III, M.D.

2 dose would get to the -- to the desired area?

3 A Yeah, the responses were extremely
4 variable.

5 Q Okay. Now, would trospium have been a
6 good candidate to consider as a -- a -- a lead
7 compound by trying to increase its bioavailability?

8 A I mean, that's -- that would have been a
9 strategy that could have been helpful.

10 Q Okay. And oxybutynin is on this list as
11 well, right?

12 A It is, yes.

13 Q Okay. And that's a drug that a lot of
14 urologists had used starting when?

15 A 1975 really.

16 Q Okay. And it was the standard of care
17 until tolterodine became available?

18 A Correct.

19 Q Okay. And would oxybutynin have been a
20 good candidate for further development if you could
21 find a way to alleviate the side effects in that
22 compound?

23 A Yeah, and there were a number of things
24 that a -- a number of people that did exactly that.

25 Q Right. There were -- there were a number

1 CULLEY C. CARSON, III, M.D.

2 of research groups in the late 1990s that were
3 researching new OAB drugs, right?

4 A Correct.

5 Q And some of those groups were actually
6 trying to modify oxybutynin then, right?

7 A That's correct.

8 Q Okay. And that was a reasonable approach
9 to try to develop a new OAB drug in the late '90s,
10 right?

11 A Absolutely, yes.

12 Q Okay. Now, Propiverine, do you have any
13 experience with Propiverine?

14 A No.

15 Q Okay.

16 A It was basically withdrawn from the
17 market because of cardiac effects.

18 Q Okay. How about Terodiline, are you
19 familiar with Terodiline?

20 A Again, reading, yes, but prescribing, no.

21 Q Okay. And that drug was withdrawn from
22 the market at some point, right?

23 A Yes.

24 Q And that was because of the QT effect
25 associated with Terodiline?

1 CULLEY C. CARSON, III, M.D.

2 A QT prolongation, yeah.

3 Q Okay. What is QT prolongation?

4 A It's the interval between the Q wave and
5 the T wave in the electrocardiogram. And if it --
6 if it -- if it's severe enough, it can create
7 what's called torsade de pointes which is basically
8 a lead -- can lead to a fatal arrhythmia.

9 So it's a -- it's a -- it's a significant
10 issue for drugs. And in fact, there are long lists
11 of drugs that do have QT problems. And the FDA I
12 know looks at the QT interval and has a break point
13 after above which they won't approve a drug.

14 Q Okay. Now, is QT something that you have
15 to be concerned about with respect to
16 anti-muscarinics generally?

17 A The ones that are on the market not so
18 much, but the trouble -- one of the problems with
19 -- with this class of drugs is that they're often
20 given to aging patients, and aging patients respond
21 differently to -- to drugs. And -- and so often
22 they have polypharmacies, they have multiple drugs.

23 So if you stack up a variety of drugs
24 that all have a QT potential, QT prolongation
25 potential, then, yes, if you add more, perhaps that

1 CULLEY C. CARSON, III, M.D.

2 can be an issue. So you have to think about it.

3 Q Okay. And QT prolongation is actually
4 something that is mentioned in the label for
5 tolterodine, right?

6 A Yes, it is.

7 Q And why is that?

8 A Because of high doses, doses above the
9 recommended doses. In the 8-milligram range there
10 -- there was -- there have been recorded some QT
11 abnormality.

12 Q And that -- that would be a
13 suprathreshold dose for tolterodine?

14 A That's correct.

15 Q But why would warnings be given -- or
16 strike that.

17 Why would information be provided about
18 suprathreshold doses of tolterodine?

19 MS. WOOTEN: Objection, form.

20 A A couple of reasons. Some patients think
21 one pill is good, so let's take four and see what
22 happens. So that certainly is a possibility.
23 That's No. 1.

24 No. 2 thing is, is that if you have, as I
25 mentioned earlier, several drugs that have a

1 CULLEY C. CARSON, III, M.D.

2 propensity to prolong QT interval and you put them
3 all together in one patient, then perhaps you can
4 -- that will be the one that -- the straw that
5 breaks the camel's back, so to speak.

6 And the -- the final thing is, is that if
7 a patient is a poor metabolizer of tolterodine,
8 then perhaps they'll have more tolterodine around
9 and perhaps that -- that also in combination with
10 other drugs will -- will predispose them to QT
11 abnormalities.

12 So it's a concern.

13 BY MR. OELKE:

14 Q Okay. Is QT abnormality something that
15 you have taken into account in deciding whether to
16 prescribe tolterodine to patients?

17 A I don't personally do that, no. But if a
18 patient comes in and tells me that they have
19 cardiac problems, they have preexisting heart
20 disease or heart problems, then I would -- I would
21 take that into account.

22 Q Okay.

23 A And there are a number of other drugs I
24 have and feel the same way about.

25 Q Now, fesoterodine does not have the same

1 CULLEY C. CARSON, III, M.D.

2 concern with respect to QT, does it?

3 A It does not.

4 MS. WOOTEN: Objection, form.

5 BY MR. OELKE:

6 Q And there would have been nothing in 1998
7 to suggest that a -- a pro drug of 5-HMT would
8 solve any QT issues with tolterodine?

9 MS. WOOTEN: Objection, form.

10 A Well, the tolterodine appears to be the
11 drug or the agent that causes the QT abnormality
12 and its metabolite doesn't, and so, you know, I'm
13 not sure when that information was readily
14 available, but certainly that -- that would be an
15 issue.

16 BY MR. OELKE:

17 Q When was it -- when did it first become
18 known that tolterodine was the cause of QT and not
19 5-HMT?

20 MS. WOOTEN: Objection, form.

21 A I'm not sure exactly the date.

22 BY MR. OELKE:

23 Q It wasn't before 1999, was it?

24 A It was probably in that range. During
25 the drug development program, I would...

1 CULLEY C. CARSON, III, M.D.

2 Q You can't identify any reference or
3 article that indicates that was known in 1999, can
4 you?

5 A I can't right off the top of my head, no.

6 Q Okay.

7 A I'd have to research it.

8 Q If you'd turn back to Exhibit 1 which is
9 your report. There's an Exhibit 2 to that after
10 your CV.

11 A Okay.

12 Q You see this is a list of documents that
13 you considered in preparing this report?

14 A Yes.

15 Q Okay. And this includes documents that
16 counsel provided?

17 A Yes.

18 Q And it also includes documents that you
19 found in your preparation of these reports?

20 A Correct.

21 Q Okay.

22 MR. OELKE: Are we up to 5?

23 THE COURT REPORTER: 6.

24 MR. OELKE: I would like to mark as
25 Carson Exhibit 6, Rebuttal Expert Report of Culley

1 CULLEY C. CARSON, III, M.D.

2 C. Carson, III.

3 (Deposition Exhibit 6 marked.)

4 BY MR. OELKE:

5 Q Is Carson 6 your rebuttal report?

6 A Yes.

7 Q And that's your signature on the first
8 page?

9 A It is.

10 Q And this is in response to
11 Dr. MacDiarmid's report?

12 A Yes.

13 Q And again, there's a list of documents
14 considered that's Exhibit 1 -- no, I'm sorry,
15 Exhibit 18.

16 A Yes.

17 Q You see that?

18 A I do.

19 Q Okay. These two lists of documents
20 considered, are they complete as far as you're
21 aware?

22 A As far as I'm aware. I mean, I've done
23 some Med-Line searches and things since then, but
24 -- but this is -- at the time of this signature,
25 yes.

1 CULLEY C. CARSON, III, M.D.

2 Q Okay. And you're not seeking to add
3 anything additional?

4 A Not at this time.

5 Q Okay. Now, you understand there was a
6 previous litigation involving these patents between
7 the Plaintiffs and a group of other generic
8 Defendants?

9 A Yes.

10 Q Have you reviewed the decision in that
11 case?

12 A I've reviewed it, yes.

13 Q Okay. And you understand that Judge
14 Sleet determined that these patents are not invalid
15 for obviousness on the bases that were set forth by
16 the generic Defendants in that case, right?

17 A Yes.

18 Q And you'd agree that the bases for
19 obviousness that were set forth by those Defendants
20 are very similar to the bases that are set forth in
21 -- in your opinion and Dr. Janero's opinion, right?

22 A Yes.

23 MS. WOOTEN: Objection to form.

24 BY MR. OELKE:

25 Q Okay. Can you identify any differences

1 CULLEY C. CARSON, III, M.D.

2 in your opinions than what were set forth by the --
3 the experts in those cases --

4 A While --

5 Q -- in that case, I should say?

6 A While I reviewed that case, I'm not
7 totally familiar with it and the -- the legal
8 language was a -- was a bit confusing to me, I must
9 say.

10 Q Do you know who the urologist was that
11 testified on behalf of the generic Defendants in
12 that case?

13 A I think it was Victor Nitti.

14 Q He was for the Plaintiffs?

15 A Oh, he was the plaintiffs, okay. I know
16 -- I know Victor well, so that's...

17 Q Okay. And Dr. Nitti's now the, I don't
18 know, head of the AUA or something?

19 A Office of Education, yeah.

20 Q So he's not --

21 A Busy.

22 Q Yeah, he's busy.

23 If you would look at your opening report
24 going back to Exhibit 1. And turn to Paragraph --
25 Paragraph 10, I guess. I'm sorry, I'm looking at

1 CULLEY C. CARSON, III, M.D.

2 the wrong one. I want -- I want to look at your
3 rebuttal report.

4 A Okay.

5 Q Go to Paragraph 10 in that report.

6 A Okay.

7 Q There's this term the patent lawyers use
8 "person of ordinary skill in the art." Have you
9 heard that term before you worked on this case?

10 A Yes, I have.

11 Q Okay. And you opine on the level of
12 ordinary skill in the art at Paragraphs 10 to 12.
13 Do you see that?

14 A Yes.

15 Q Okay. And I'll just read what you wrote
16 in Paragraph 10. I -- it says, quote: I have been
17 informed that the person of ordinary skill at the
18 time of invention in this case would have had a
19 Ph.D. in chemistry, medicinal chemistry,
20 pharmacology, or a related field, and at least one
21 year of industrial exposure to drug discovery, drug
22 design, and synthesis. In lieu of an advanced
23 degree, the individual may have additional years of
24 industry experience, including, for example, in
25 drug discovery, drug synthesis, and

1 CULLEY C. CARSON, III, M.D.

2 structure-activity work. Do you see that?

3 A Yes.

4 Q That's your definition for what a person
5 of ordinary skill would have with respect to these
6 patents in this case?

7 A Yes.

8 Q And that person of ordinary skill would
9 not have expertise in urology, right?

10 A As far as drug development is concerned,
11 no.

12 Q Okay. And you would not qualify as a
13 person of ordinary skill with respect to these
14 patents under your standards?

15 A As far as development of a drug, correct.

16 Q Okay. If you go back to the Andersson
17 article, Carson Exhibit 3 on Page 926, which is
18 PFE01843402.

19 Do you see the first full paragraph on
20 that page?

21 A Future Studies, yes.

22 Q Yeah. It says, quote: Future studies
23 with muscarinic receptor antagonists with a
24 selectivity for M3 receptors, such as darifenacin,
25 vamicamide and zamifenacin, will reveal whether or

1 CULLEY C. CARSON, III, M.D.

2 not the principle of selective M3 receptor
3 antagonism offers therapeutic advantages. Do you
4 see that?

5 A Yes.

6 Q So at the time of this article, the
7 compound darifenacin was being developed, right?

8 A It was, yes.

9 Q And that was a selective M3 inhibitor?

10 A Yes.

11 Q And that's on the market today, right?

12 A It is.

13 Q And as of the 1998-1999 time frame, there
14 were research groups looking at selective M3
15 inhibition as a -- a pathway for developing a new
16 OAB drug, right?

17 A Yes.

18 MS. WOOTEN: Objection, form.

19 BY MR. OELKE:

20 Q Okay. So that would include darifenacin?

21 A Yes.

22 Q And then there was also solifenacin, too?

23 A Correct.

24 Q Okay. And were there other compounds
25 also being developed in 1998-1999 looking at

1 CULLEY C. CARSON, III, M.D.

2 selective M3 inhibition --

3 A Yes.

4 Q -- as a basis for developing a drug?

5 A There were.

6 Q Okay. And that was a reasonable starting
7 point for persons of skill in the art in the
8 1998-1999 time frame, right?

9 MS. WOOTEN: Objection, form.

10 A Reasonable thought process, yes.

11 BY MR. OELKE:

12 Q Okay. If you go back to Exhibit 1. Turn
13 to Page 2, Paragraph 7. It's your compensation.
14 You're being compensated at \$1120 per hour, right?

15 A Yes.

16 Q How did you arrive at that figure?

17 A Actually, I came to this process through
18 a company called Scimetex. And they contacted me
19 and asked me if I would be interested in doing
20 this, and they arrived at that number.

21 Q Okay. So it was a suggested number from
22 them?

23 A Yes.

24 Q Okay. You see there a section Summary of
25 This Report?

1 CULLEY C. CARSON, III, M.D.

2 A Yes. No. 5?

3 Q Yes.

4 A Yes.

5 Q And this summarizes your opinions in this
6 opening report; is that right?

7 A Yes.

8 Q And in the second sentence there you say:
9 Based on this understanding -- that's referring to
10 your explanation of the state of the art, that's --
11 that's referred to in the first sentence.

12 A Uh-huh.

13 Q Based on this understanding, it is my
14 opinion that one of ordinary skill in the art would
15 have selected 5-HMT for further development and
16 that the benefits of a modified 5-HMT molecule
17 would have been -- would have -- would have been
18 recognizable from the recognized problems of
19 tolterodine therapy. Right?

20 A Yes.

21 Q Now, as of the 1998-1999 time frame,
22 there were a number of groups that were looking to
23 develop a new OAB drug, right?

24 A That's correct.

25 Q And we've talked about some of those

1 CULLEY C. CARSON, III, M.D.

2 groups today?

3 A Right.

4 Q Other than the inventors of the
5 patents-in-suit, can you identify anyone else that
6 was using 5-HMT -- that selected 5-HMT for further
7 development?

8 A Well, the inventors, Scarf basically said
9 in his -- in his deposition, that he had that idea
10 and that physicians were stimulating him or
11 encouraging him to try to develop a 5-HMT agent.

12 Q Right. Other than -- other than the
13 inventors, though, can you identify anyone else
14 that was developing a drug based off of 5-HMT?

15 A No, but I'm not privy to the -- the
16 discussions at some of the drug companies. And I'm
17 sure that they had discussions about that very
18 issue, but I don't know. I don't know that.

19 Q Okay. And you can't identify any
20 publications that suggest 5-HMT should be selected
21 for further development as an agent itself to be
22 administered to patients?

23 A No, I think --

24 MS. WOOTEN: Objection, form.

25 A I think I already said that, but I agree

1 CULLEY C. CARSON, III, M.D.

2 with that.

3 BY MR. OELKE:

4 Q Okay. And in fact, as of 1998-1999,
5 5-HMT had never been administered orally to
6 patients, had it?

7 A Well, 5-HMT by itself doesn't absorb very
8 well, so oral administration of 5-HMT by itself
9 probably wouldn't be a -- a reasonable alternative.

10 Q Well, you say it would not be absorbed.
11 But no one actually knows that, right? No one's
12 ever tried to see if 5-HMT would be absorbed, have
13 they?

14 A No. As far as I know, they have not.

15 Q Right. And, in fact, you're aware that
16 there are experts in this case that have opined
17 that they think 5-HMT would be well absorbed
18 orally?

19 A I've seen that in the -- in the
20 depositions, but I -- but I don't know that --
21 there's no publication that has looked at that and
22 said yes or no.

23 Q Right. It's -- it's an unknown issue --

24 A Unknown.

25 Q -- as of 1998, right?

1 CULLEY C. CARSON, III, M.D.

2 A Correct.

3 Q It's an unknown issue as of 1999 whether
4 5-HMT would be well absorbed orally, right?

5 A Correct.

6 Q It's unknown as of 2016 whether or not
7 5-HMT would be well-absorbed orally, right?

8 A That's -- as far as I know, it's unknown.

9 Q Now, you're not opining that all -- well,
10 strike that.

11 You're opining that 5-HMT would have been
12 selected for further development?

13 A Yes.

14 Q But you're not opining on what that
15 further development would be, correct?

16 A Which direction it would go?

17 Q Right.

18 A No, but that -- that it would be -- that
19 would be a very good agent for decreasing
20 overactive bladder and -- and with tolerable side
21 effects.

22 Q But you're not giving an opinion on
23 whether it should be a pro drug or whether you
24 should make a modification to 5-HMT or whether you
25 should try to come up with a formulation of 5-HMT,

1 CULLEY C. CARSON, III, M.D.

2 that's not your area?

3 A No, that's correct.

4 Q And you're not giving an opinion on the
5 ultimate issue of whether the claim subject matter
6 of the patents-in-suit would have been obvious,
7 right?

8 MS. WOOTEN: Objection, form.

9 A No. Correct.

10 BY MR. OELKE:

11 Q That's something for someone that's of
12 skill in the art as to these patents, right?

13 A That is --

14 MS. WOOTEN: Objection, form.

15 A -- chemist, pharmacologist, industry
16 individual.

17 BY MR. OELKE:

18 Q Okay. You mentioned you -- you had
19 prescribed Flavoxate?

20 A Yes.

21 Q What is Flavoxate?

22 A It's kind of a mixed drug that has some
23 effect on the bladder, probably as a result of
24 excretion into the urine, but it's not very good.
25 In fact, it's not good at all.

1 CULLEY C. CARSON, III, M.D.

2 But -- but in the days before the options
3 we had in 2016 or in 2000 -- early 2000s, there
4 weren't many other options. So if somebody could
5 not tolerate oxybutynin, you were stuck with some
6 things that didn't work very well.

7 Q It's not indicated for OAB, right?

8 A Well, it's indicated. It's probably not
9 in the package insert, but people use it for that.

10 Q You know, I'm just -- what is the --
11 what's the actual indication for it, do you know?

12 A Basically burning on urination is what we
13 use it for in -- in -- in urology.

14 Q Okay.

15 MR. OELKE: Why don't we take a break.

16 VIDEO TECHNICIAN: Marks the end of Video
17 1. Off the record. The time is 10:12.

18 (Recess taken.)

19 VIDEO TECHNICIAN: Marks the beginning of
20 Video 2. Deposition of Culley Carson, M.D. Back
21 on the record. The time 10:25.

22 BY MR. OELKE:

23 Q Dr. Carson, tolterodine metabolizes into
24 5-HMT in patients, right?

25 A Yes, it does.

1 CULLEY C. CARSON, III, M.D.

2 Q And there's different groups of patients
3 that fall into the categories of poor metabolizers
4 and extensive metabolizers?

5 A Yes.

6 Q And for extensive metabolizers, those
7 patients are getting the -- their effect from
8 5-HMT, correct?

9 A They're getting more. Yeah, not a
10 hundred percent but more.

11 Q Okay. They're getting a majority of
12 their effect from 5-HMT, right?

13 A Probably the majority, yes.

14 Q And poor metabolizers are getting their
15 effect more from tolterodine, right?

16 A That's correct.

17 Q And tolterodine and 5-HMT both are
18 effective in the treatment of overactive bladder,
19 right?

20 MS. WOOTEN: Objection, form.

21 A They are.

22 BY MR. OELKE:

23 Q Okay. And their net activity for
24 extensive metabolizers and poor metabolizers have
25 been determined to be similar, right?

1 CULLEY C. CARSON, III, M.D.

2 A Yeah, there haven't been any really great
3 head-to-head studies, so it's a little hard to know
4 exactly.

5 But -- but -- and -- and it's known that
6 -- that tolterodine is more likely to be bound to
7 protein, so it's less available to the receptors
8 than 5-HMT.

9 So there is more activity from 5-HMT
10 pound for pound than -- than probably tolterodine.
11 But those things haven't been teased out in a
12 clinical trial.

13 Q And the studies -- the clinical studies
14 on tolterodine did determine, though, that -- that
15 patients that were poor metabolizers were getting
16 the same net effect as patients that were extensive
17 metabolizers, right?

18 MS. WOOTEN: Objection, form.

19 A Again, not extensively studied with large
20 numbers, but that was the -- that was the -- the
21 conclusion of the studies that looked at it.

22 BY MR. OELKE:

23 Q And you were aware of those studies when
24 you were prescribing tolterodine to patients?

25 A Yes.

1 CULLEY C. CARSON, III, M.D.

2 Q Do you ever try to determine whether or
3 not a patient is a poor metabolizer before
4 prescribing the drug to them?

5 A That's really something that's not
6 generally tested for.

7 Q Right. And there's a lot of drugs that
8 act through the CYP2D6 pathway, right?

9 A Yes.

10 Q And for patients that take drugs that act
11 through the CYP2D6 pathway, some of them are poor
12 metabolizers, right?

13 A Yeah, about -- in Caucasians, around
14 7-8 percent.

15 Q Right. So for the 92 to 93 percent that
16 are extensive metabolizers, those patients -- the
17 -- well, strike that.

18 The -- you suggested that 5-HMT would be
19 a good starting point, right?

20 A Yes.

21 Q As -- as a -- a compound to research
22 further?

23 A Yes.

24 Q Is that due to the effects in poor
25 metabolizers only?

1 CULLEY C. CARSON, III, M.D.

2 A Oh, no, I think that's one subset.
3 Certainly be better in poor metabolizers.

4 Also, be better in the patients that are
5 taking other CYP2D6 drugs that are competing for
6 the same binding spot, so that's another set of --
7 of individuals.

8 Also, I think that as I mentioned the --
9 the -- the binding of -- of the drugs -- protein
10 binding of the drugs also makes it more -- a better
11 target.

12 And then the final thing is the -- is the
13 CNS issues. You know, I think there's -- there's
14 definitely a CNS difference.

15 Q But you have not seen a problem in CNS
16 effects in the patients that you have prescribed
17 tolterodine to?

18 A I have not.

19 Q Okay.

20 MR. OELKE: Let's mark this.

21 (Deposition Exhibit 7 marked.)

22 MR. OELKE: Let's mark as Carson
23 Exhibit 7 a label for Detrol with the Bates range
24 PFE01843539 to 3545.

25 THE WITNESS: Thanks.

1 CULLEY C. CARSON, III, M.D.

2 BY MR. OELKE:

3 Q So is it your view that fesoterodine
4 provides a benefit over tolterodine based on less
5 CNS side effects?

6 A Yes.

7 Q And is that a reason for you to prescribe
8 fesoterodine rather than tolterodine to patients?

9 A Especially in aging patients, yes.

10 Q Okay. And you're aware that there are
11 studies on prescribing -- I'm sorry, there are
12 studies on the treatment of elderly patients using
13 fesoterodine, right?

14 A Yes.

15 Q And in those studies, fesoterodine was
16 judged to be a -- a good treatment for those
17 patients, right?

18 A Yes.

19 Q Okay. And are you aware of any other OAB
20 drugs where it has been studied -- where the
21 efficacy of that drug has been studied in elderly
22 patients?

23 MS. WOOTEN: Objection, form.

24 A You know, all of the -- all of the agents
25 have parts of their studies in patients over the

1 CULLEY C. CARSON, III, M.D.

2 age of 65 which are elderly patients, so I think
3 they're all studied for that. The FDA wants that
4 information basically.

5 BY MR. OELKE:

6 Q It includes patients over the age of 65,
7 but -- but a study that's specifically focused on
8 patients over the age of 65, that was done for
9 fesoterodine, right?

10 A That's correct. But a lot of those
11 studies for the aging patients are post hoc
12 analyses of subgroups of the patients in the
13 studies.

14 Q Okay.

15 A So they're not designed specifically for
16 elderly patients.

17 Q Are you aware of any post hoc analyses
18 for drugs other than fesoterodine in the elderly?

19 A I'm not aware, but I'm --

20 MS. WOOTEN: Objection, form.

21 A They're -- I'm -- I would imagine that
22 they're out there. I can't -- I can't quote you
23 any at this time.

24 BY MR. OELKE:

25 Q Okay. We'll look at some of those

1 CULLEY C. CARSON, III, M.D.

2 studies later.

3 Can you just look at the label that we
4 just marked which is Exhibit 7.

5 A Yes.

6 Q You see this is the label for immediate
7 release Detrol?

8 A Yes.

9 Q And if you look at the last page, it's
10 dated March 1998?

11 A Yes.

12 Q If you look at the second page, which is
13 PFE01843540.

14 A Okay.

15 Q There's a section there that says
16 Variability in Metabolism in the second column. Do
17 you see that?

18 A Yes.

19 Q It says, A subset (about 7 percent) of
20 the population is devoid of cytochrome P450 2D6.
21 Do you see that?

22 A Yes.

23 Q And is that consistent with your
24 understanding, about 7 percent of the population is
25 -- is poor metabolizers?

1 CULLEY C. CARSON, III, M.D.

2 A Yes. I mean, I think you have to
3 subcategorize that a little bit. That's basically
4 the Caucasian populations, less in Asians.

5 Q Okay. And it's also less in
6 African-Americans, right?

7 A That's correct.

8 Q And less in Mexican Americans?

9 A Yeah, but I mean, Mexican Americans are a
10 large variety of people. But in native -- native
11 peoples, yes.

12 Q I was just looking at your report, that's
13 where I got that --

14 A Oh, okay.

15 Q -- Mexican Americans. It says 3 to
16 6 percent of Mexican Americans.

17 A Right, right.

18 Q Okay. In any event, this section in
19 the -- in the label, it says, Variability in
20 Metabolism. It's talking about the poor
21 metabolizers when it talks about that subset,
22 right?

23 A Yes.

24 Q And that 7 percent subset is, as you say,
25 for Caucasians, right?

1 CULLEY C. CARSON, III, M.D.

2 A Correct.

3 Q So it's actually a lower number for the
4 overall population, correct?

5 A Yeah, if you --

6 Q Okay.

7 A -- include others.

8 Q It says, The identi -- the next sentence
9 says: The identified pathway metabolism for these
10 individuals, referred to as "poor metabolizers,"
11 is dealkylation via cytochrome P450 3A4 to
12 N-dealkylated tolterodine. Do you see that?

13 A Yes.

14 Q The remainder of the population is
15 referred to as extensive metabolizers. Right?

16 A Yes, uh-huh.

17 Q Now, this section talks about this
18 variability between these two groups, the -- the
19 extensive metabolizers and the poor metabolizers,
20 right?

21 A Yes.

22 Q And at the end of that paragraph, it --
23 the last sentence says: Since tolterodine in the
24 5-hydroxymethyl metabolite have similar
25 anti-muscarinic effects, the net activity of Detrol

1 CULLEY C. CARSON, III, M.D.

2 Tablets is expected to be similar in extensive and
3 poor metabolizers. Do you see that?

4 A I do.

5 Q And so, according to the label for Detrol
6 anyway, the net effect of this drug in extensive
7 metabolizers and poor metabolizers was the same,
8 right?

9 MS. WOOTEN: Objection, form.

10 A That's basically what they're saying, but
11 as I say, there were no specific studies to
12 document that, so I guess we don't totally know
13 that. But that's -- that's the -- the FDA agreed
14 with that as part of the package insert.

15 BY MR. OELKE:

16 Q Right. The FDA agreed with that
17 conclusion, right?

18 A Yes, they did.

19 Q Okay. And if you look on the next page,
20 PFE01843541, there's a section there entitled
21 Drug-Drug Interactions. Do you see that?

22 A I do.

23 Q And it's talking about fluoxetine. Do
24 you see fluoxetine?

25 A I do.

1 CULLEY C. CARSON, III, M.D.

2 Q Do you know what fluoxetine is?

3 A Yeah, it's an antidepressant, SSRI
4 antidepressant.

5 Q Okay. And it's also a 2D6 -- it also
6 works the 2D6 pathway, right?

7 A It's metabolized by 2D6 pathway, yes.

8 Q Okay. And so Pfizer did studies -- or
9 the pharmacy at Upjohn at this time?

10 A Right.

11 Q Did studies on whether or not fluoxetine
12 as a 2D6 inhibitor would have an effect on Detrol,
13 right?

14 A Yes.

15 Q And in that paragraph, it states they did
16 this in a study to assess the effect of fluoxetine
17 on the pharmacokinetics of tolterodine and its
18 metabolites, it was observed that fluoxetine
19 significantly inhibited the metabolism of
20 tolterodine in extensive metabolizers. Right?

21 A Yes.

22 Q And then goes on to say -- I'm sorry --
23 resulting in a 4.8-fold increase in tolterodine
24 AUC. And that's area under the curve, right?

25 A Correct.

1 CULLEY C. CARSON, III, M.D.

2 Q Okay. It goes on to say, there was a
3 52 percent decrease in Cmax and a 20 percent
4 decrease in AUC of the 5-hydroxymethyl metabolite,
5 right?

6 A Yes.

7 Q So concluded, fluoxetine thus alters the
8 pharmacokinetics in patients who would otherwise be
9 extensive metabolizers of tolterodine to resemble
10 the pharmacokinetic profile in poor metabolizers.
11 Right?

12 A Yes.

13 Q So if a patient is an extensive
14 metabolizer, is prescribed tolterodine, is already
15 on a CYP2D6 drug like fluoxetine, it's going to
16 cause that patient to act like a poor metabolizer
17 of tolterodine according to this?

18 A It does, yep. Yes.

19 Q But their conclusion is, No dose
20 adjustment is required when Detrol and fluoxetine
21 are co-administered, right?

22 A Yes.

23 Q And that's because the net activity for
24 extensive metabolizers and poor metabolizers of
25 tolterodine was judged to be the same by this

1 CULLEY C. CARSON, III, M.D.

2 label, right?

3 A Yes.

4 MS. WOOTEN: Objection, form.

5 A That's -- the FDA says, right, the label
6 says.

7 BY MR. OELKE:

8 Q So there are other drugs that act through
9 the CYP2D6 pathway where the -- the drug prior to
10 metabolism doesn't act in the same way as the
11 metabolite, right?

12 A Yes. I mean, there's a huge list of
13 drugs that are metabolized by 2D6.

14 Q Right. And so, tolterodine is really in
15 a different category than those drugs that don't,
16 you know, prior to metabolism act on as a
17 therapeutic, right?

18 MS. WOOTEN: Objection, form.

19 A Some of them have acting metabolites and
20 some don't. And it depends on the drug.

21 BY MR. OELKE:

22 Q Right, right.

23 But in this case, Detrol or tolterodine
24 acts in extensive metabolizers and poor
25 metabolizers to give the same net activity, right?

1 CULLEY C. CARSON, III, M.D.

2 A Yes.

3 MS. WOOTEN: Objection, form.

4 A That's the information that we have, yes.

5 BY MR. OELKE:

6 Q Okay. And if you look at the adverse
7 events reported for tolterodine which is on Page
8 PFE01843544.

9 This table will list adverse events for
10 patients that are either on placebo or on -- on the
11 Detrol, right?

12 A Yes.

13 Q And it's 2 milligrams b.i.d. for Detrol,
14 right?

15 A That's -- yeah, that's what they're using
16 in this.

17 Q And that's -- that's taking 2 milligrams
18 twice a day?

19 A Correct.

20 Q Okay. And that was the highest approved
21 dose for tolterodine, right?

22 A For the -- for the immediate release,
23 yes.

24 Q And even today for the immediate release,
25 the highest approved dose is 4 milligrams, right?

1 CULLEY C. CARSON, III, M.D.

2 A Correct.

3 Q And for the extended -- for the
4 long-acting version of Detrol, Detrol LA, the
5 highest dose is 4 milligrams --

6 A 4 milligrams.

7 Q -- a day, right?

8 A That's correct.

9 Q Okay. So this table lists all the
10 adverse events that were reported where that
11 percentage is greater than 1 percent, right?

12 A Correct.

13 Q So any adverse event that's less than
14 1 percent isn't even recorded here, right?

15 A That's correct.

16 Q Okay. And that's consistent with how
17 these are typically reported in a label, right?

18 MS. WOOTEN: Objection, form.

19 A Virtually all drugs are the same, yep.

20 BY MR. OELKE:

21 Q Okay. So the -- there are a number of
22 different side effects listed here and many of them
23 are not CNS side effects, right?

24 A Correct.

25 Q CNS is central nervous system, right?

1 CULLEY C. CARSON, III, M.D.

2 A That's correct.

3 Q So which adverse events that are reported
4 here relate to the central nervous system?

5 A Well, they have categorized central and
6 peripheral nervous system, but also, if you include
7 psychiatric which is central nervous system,
8 nervous system somnolence I think are also central
9 nervous system adverse events.

10 Q Okay. So for central/peripheral nervous
11 there's paresthesia and vertigo/dizziness, right?

12 A Yes.

13 Q What is paresthesia?

14 A Paresthesia is like tingling.

15 Q And for paresthesia, the reported
16 percentage of incidence for tolterodine is 1.1
17 percent?

18 A Yes.

19 Q And that's compared to 0.6 percent for
20 placebo, right?

21 A Correct.

22 Q And for vertigo/dizziness, the -- the
23 percentage for Detrol is 8.6 percent?

24 A That's correct.

25 Q But for placebo, it's even higher, it's

1 CULLEY C. CARSON, III, M.D.

2 9.1 percent?

3 A Correct.

4 Q And you mention the psychiatric adverse
5 events. The two listed there are nervousness, do
6 you see that?

7 A Yes.

8 Q And for -- for Detrol that's 1.1 percent?

9 A That's correct.

10 Q Compared to 0.6 percent for placebo?

11 A Correct.

12 Q And for somnolence it's 3.3 percent?

13 A I think it's 3.0 percent.

14 Q Right. Compared to 1.7 percent for
15 placebo?

16 A Yes.

17 Q And what's somnolence?

18 A Somnolence is sleepiness.

19 Q Okay. So these are the -- the four
20 reported CNS side effects for -- for Detrol on the
21 label, right?

22 MS. WOOTEN: Objection, form.

23 A Yes. I don't think -- let me just look
24 to be sure that none of the others are -- could
25 be --

1 CULLEY C. CARSON, III, M.D.

2 BY MR. OELKE:

3 Q Okay.

4 A -- central nervous system, but I don't
5 think -- and I looked at this before and I don't
6 remember seeing any other culprits.

7 No, that -- that's basically -- those are
8 the ones. I mean, fatigue could be, but they're
9 the same, so...

10 Q So the -- the four that are reported here
11 that you identify, would you consider any of those
12 to be side effects to be concerned about based on
13 these numbers?

14 A Probably the somnolence, I mean, it's
15 almost doubled. The somnolence of placebo -- or of
16 Detrol versus placebo, but still a small number,
17 3 percent.

18 Q Have you ever had patients that told you
19 that it created somnolence to them when they were
20 on tolterodine?

21 A Yes, but it's hard to know that that was
22 the exact culprit because many of those patients
23 are on polypharmacy. So is it that one that causes
24 somnolence or is it something else they're taking?

25 Q Does fesoterodine have a better side

1 CULLEY C. CARSON, III, M.D.

2 effect profile for CNS side effects than this for
3 tolterodine?

4 MS. WOOTEN: Objection, form.

5 A It does. But the head-to-head studies
6 show pretty much the same CNS side effects for
7 tolterodine and fesoterodine. There are little
8 tweaks, you know, between the two, but they're not
9 statistically significant.

10 BY MR. OELKE:

11 Q The -- the main side effect reported for
12 tolterodine was dry mouth, right?

13 A Uh-huh, that's correct.

14 Q Okay. Now, this label came out in March
15 of 1998. How quickly did Detrol become the -- a
16 drug that was commonly used by urologists?

17 A It's hard to recall exactly, but my
18 memory of it was very quickly because this
19 alternative was far better than oxybutynin, so --
20 as far as side effects were concerned, and the
21 efficacies were the same.

22 So I think urologists who tend to be
23 pretty much up on what's happening with the
24 overactive bladder community of patients adopted
25 Detrol pretty quickly.

1 CULLEY C. CARSON, III, M.D.

2 Q Now, you say Detrol had the same efficacy
3 as -- as Ditropan. Was that -- was that ever
4 established in any head-to-head studies?

5 A Yeah, there are head-to-head studies of
6 that. There's a study by Paul Abrams --

7 Q Right.

8 A -- that looked at head-to-head.

9 Q And was -- was Detrol determined to be --
10 have the same therapeutic efficacy as oxybutynin by
11 those?

12 A In frequency, yes. In urgency -- urgent
13 incontinence, actually, oxybutynin was a bit
14 better.

15 Q Right.

16 A But the side effects were far better with
17 -- with Detrol.

18 Q Right. So for patients that were
19 extensive metabolizers who were not on other CYP2D6
20 drugs, there would have been no reason to look to
21 5-HMT as a -- as a starting point for those
22 patients, right?

23 MS. WOOTEN: Objection, form.

24 A No, I think there are reasons. I think
25 the CNS issue is a -- is a reason even though, you

1 CULLEY C. CARSON, III, M.D.

2 know, that -- that's a small number of people that
3 complain of CNS issues.

4 And the other thing is the -- the protein
5 binding of -- of tolterodine versus 5-HMT. So the
6 bioavailability is different.

7 So I think there are -- I think there are
8 still reasons.

9 BY MR. OELKE:

10 Q But the CNS and extensive metabolizers
11 would be attributable to the 5-HMT, right, not to
12 tolterodine?

13 MS. WOOTEN: Objection, form.

14 A I don't know that you can say that. The
15 5-HMT clears the central nervous system more
16 rapidly than tolterodine because of the P-gp
17 system.

18 BY MR. OELKE:

19 Q That wasn't known in 1998, right?

20 A Well, the P-gp system was known.

21 Q Right.

22 A I mean, it's been studied for 50 years,
23 but it wasn't known that that was a clearance issue
24 in 1998 that I know of. And it wasn't -- at least
25 there's nothing in the literature about it.

1 CULLEY C. CARSON, III, M.D.

2 Q Right. In fact, it was determined that
3 5-8 -- I'm sorry, that tolterodine was a P-gp --

4 A Substrate.

5 Q -- substrate? Thank you. Acted through
6 a P-gp substrate mechanism, right?

7 A Yes.

8 Q In the late 2000s, right?

9 A Yes.

10 Q Okay. Prior to that, there was no
11 knowledge that tolterodine could cause CNS effects
12 because of P-gp, right?

13 A Yeah.

14 MS. WOOTEN: Objection, form.

15 A That wasn't -- hadn't been studied at
16 that stage.

17 BY MR. OELKE:

18 Q Okay. And if you look at this label,
19 there's nothing in this label that would indicate
20 that any of these CNS side effects were
21 attributable to tolterodine rather than 5-HMT,
22 right?

23 A It's -- it's not --

24 MS. WOOTEN: Objection, form.

25 A -- not determined. It's not...

1 CULLEY C. CARSON, III, M.D.

2 BY MR. OELKE:

3 Q And was that ever teased out for
4 tolterodine?

5 A As far as whether it was 5-HMT or the
6 tolterodine that was causing the -- the issues?

7 Q Let -- let me ask the question. You're
8 right, let me get it on the record.

9 A Okay, please.

10 Q For tolterodine, was it ever determined
11 whether CNS side effects were attributable to 5-HMT
12 or to tolterodine?

13 A No.

14 Q And there's nothing in the Detrol label
15 that would indicate which of these side effects are
16 attributable to 5-HMT and which are attributable to
17 tolterodine, right?

18 A There are not.

19 Q Okay.

20 MR. OELKE: I would like to mark as an
21 article entitled Trospium chloride versus
22 oxybutynin: a randomized, double-blind, multicentre
23 trial in the treatment of a detrusor hyperreflexia
24 by H. Madersbacher and others.

25 (Deposition Exhibit 8 marked.)

1 CULLEY C. CARSON, III, M.D.

2 THE WITNESS: Thank you.

3 BY MR. OELKE:

4 Q Have you seen this article before?

5 A I have seen it, yes. It's one of the few
6 papers that actually looked at urodynamic changes.
7 Most of the -- most of the studies are patient
8 reported outcomes.

9 Q Right. And this is a -- a study that
10 considered trospium versus oxybutynin, right?

11 A Yes.

12 Q And the -- the doses that were studied
13 were for trospium chloride was two times -- 20
14 milligrams twice a day; is that right?

15 A That's correct.

16 Q And for oxybutynin, it was 5 milligrams
17 three times a day?

18 A Correct.

19 Q And why were those two different dosages
20 studied against each other?

21 A Oxybutynin and 5 t.i.d. was the
22 established dose in that -- in those -- in those
23 days, so that was kind of a standard clinical dose.

24 The 20 milligrams of -- of trospium was
25 chosen, I -- I assume and I don't know why because

1 CULLEY C. CARSON, III, M.D.

2 this was before it was approved, at least in the
3 U.S.

4 I assume that was the -- the dose that
5 they were targeting for -- for a clinical use.

6 Q You understand that those are the -- the
7 highest approved doses for those drugs?

8 A Yes.

9 Q So this is a study of the highest
10 approved doses of trospium versus oxybutynin?

11 A Yes, correct.

12 Q And is that an appropriate study to
13 compare the highest dose of two drugs?

14 A Absolutely.

15 Q Okay. And the conclusion was that
16 trospium chloride and oxybutynin judged in terms of
17 objective urodynamic parameters are of
18 substantially equal value as parasympathetic
19 antagonists. Do you see that?

20 A Yes.

21 Q However, assessment of tolerance in terms
22 of adverse drug effects showed that the trospium
23 chloride had certain advantages, right?

24 A Yes.

25 Q Those advantages were largely because of

1 CULLEY C. CARSON, III, M.D.

2 dry mouth?

3 A Dry mouth, correct.

4 Q Okay.

5 A Had other side effects, but dry mouth was
6 the predominant one.

7 Q Okay. Now, does urodynamic parameters,
8 just generally, how are those studied?

9 A I mean, urodynamics is basically a study
10 of bladder function and it's done in a variety
11 different ways.

12 Usually with a catheter in the bladder
13 and the -- and pressure sensors and -- and nerve
14 sensors and the bladder is filled and emptied and
15 the response of the bladder to that filling and
16 emptying is -- is recorded. So that's basically
17 the -- kind of what urodynamics is.

18 And so it evaluates contraction of the
19 bladder in both normal and abnormal.

20 It also -- it also registers the tone of
21 the bladder, meaning the underlying contractility
22 or compliance of the bladder.

23 So that -- that's kind of the most
24 scientific way of seeing what a drug effect on the
25 bladder are.

1 CULLEY C. CARSON, III, M.D.

2 Q Okay. But you said most of these studies
3 instead focus on diary entries by the patients,
4 right?

5 A Correct.

6 Q Why are there more studies in diary
7 entries versus urodynamics?

8 A No. 1, they're easier.

9 No. 2 thing is that the FDA is very
10 interested in patient-reported outcomes.

11 No. 3 thing, at the end of the day if the
12 drug is safe, it's really what the patient
13 perceives as the effect that's the most important
14 effect.

15 Q Okay. Now, the -- if you look on Page 75
16 which is PFE01844309.

17 It shows there two paragraphs from the
18 bottom in the right-hand column: Withdrawal from
19 the trial occurred more frequently in patients
20 taking oxybutynin (No. 7, 16 percent) than in those
21 taking trospium chloride (No. 3, 6 percent.) Do
22 you see that?

23 A Yes.

24 Q Furthermore, the Oxy patients withdrew
25 earlier (after an average of 7.1 days) than the