

1 DAVID R. JANERO, Ph.D.

2 component as well, in my opinion.

3 Q. Mm-hmm.

4 A. Nor did I state that these -- that this  
5 would be the only factor.

6 Q. No. I understand.

7 A. That would be a consideration. And, in  
8 fact, in my experience, it's always a  
9 consideration, and it should be a consideration  
10 with respect to any drug that has significant,  
11 enough CNS exposure to have any nervous  
12 system-related effect.

13 Q. How does an agent -- how does a central  
14 nervous system become exposed to an agent?

15 A. There are three general ways.  
16 Basically, though, the physiology is by crossing  
17 the blood-brain barrier.

18 Q. Okay.

19 A. It is a membrane system that delimits  
20 the central nervous system; specifically, the  
21 brain --

22 Q. Mm-hmm.

23 A. -- from the blood circulation. There  
24 are active transporters, both -- that can alter  
25 this transit across the membrane.

1 DAVID R. JANERO, Ph.D.

2 Q. Mm-hmm.

3 A. And there are also situations where the  
4 physiochemical property of the compound, small  
5 molecule, will be favorable for its partitioning  
6 into that membrane system and then partitioning  
7 potentially out of it into the nervous system  
8 compartment.

9 Q. Okay. Now, you would agree with me  
10 that tolterodine, in the vast majority of  
11 patients, metabolizes into the active 5-HMT.  
12 Correct?

13 MS. WOOTEN: Objection. Form.

14 A. In the vast majority of patients, yes.

15 Q. Okay. So isn't it fair to say that the  
16 reports of adverse events with respect to the  
17 central nervous system are a function of 5-HMT  
18 crossing into the central nervous system?

19 A. Based upon these data, no.

20 Q. No?

21 A. The 5-HMT would have to be tested  
22 independently, under the same conditions, at the  
23 same dosing regimen, with the same vehicle,  
24 independently of tolterodine, to make some sort  
25 of conclusion, comparative conclusion in that

1                   DAVID R. JANERO, Ph.D.

2       regard, in my opinion.

3           In other words, tolterodine, alone, would  
4       have to be profiled.

5           Q.     Okay.

6           A.     I'm sorry. 5-HMT would have to be  
7       profiled.

8           Q.     But a person of ordinary skill in the  
9       art, in 1998, would have understood the  
10      probability of any of these effects to be  
11      attributable to 5-HMT in the majority of  
12      patients? No?

13           MS. WOOTEN: Objection. Form.

14           A.     I could not say in the majority of  
15      patients.

16           Q.     Okay. If -- so your testimony is that  
17      a person of ordinary skill in the art, familiar  
18      with tolterodine and its pharmacology and its  
19      metabolism, in 1998, did not understand that in  
20      the majority of patients, 5-HMT was the active  
21      agent? Is that right?

22           MS. WOOTEN: Objection. Form.

23      Mischaracterizes testimony.

24           A.     No. That's not correct.

25           Q.     That's not right?

1                   DAVID R. JANERO, Ph.D.

2           A.    No.  The understanding, in my opinion,  
3 would have been that the parent compound,  
4 tolterodine, is metabolized into 5-HMT, and the  
5 antimuscarinic effect of the parent, Detrol,  
6 tolterodine, is a consequence of both of these  
7 agents as active drugs.

8           Q.    Mm-hmm.  And what is the percentage of  
9 the population that are extensive metabolizers?

10          A.    I don't remember, offhand.

11          Q.    Does 93 percent ring a bell?

12          A.    They may be extensive metabolizers.

13          Q.    Mm-hmm.  Yes.

14          A.    That simply means they have the  
15 capacity to do so.

16          Q.    Okay.  Isn't it well reported in the  
17 prior art that in extensive metabolizers, the  
18 active agent is 5-HMT?

19          A.    But tolterodine also has activity as  
20 well.

21          Q.    Correct.  But -- so you're saying that  
22 one of ordinary skill in the art would not assume  
23 that in the majority of those patients, whatever  
24 might be crossing into the central nervous system  
25 is tolterodine?

1 DAVID R. JANERO, Ph.D.

2 A. In terms of mesh action, yes. But in  
3 terms of having -- initiating or effecting a side  
4 effect or an event profile, we don't know.

5 Q. Okay. Okay. And with respect to dry  
6 mouth, are you aware of any evidence which  
7 suggests that the activity leading to dry mouth  
8 is attributable to 5-HMT or tolterodine?

9 MS. WOOTEN: Objection. Form.

10 A. I don't believe 5-HMT, itself, has been  
11 tested in humans to that endpoint -- with that  
12 clinical endpoint. I am aware of the cat study I  
13 alluded to earlier in vivo by Nilvebrant, et  
14 al --

15 Q. Mm-hmm.

16 A. -- that did look at, did compare 5-HMT  
17 and tolterodine in terms of effect on  
18 salivation --

19 Q. Mm-hmm.

20 A. -- and found that tolterodine had more  
21 of an effect than did 5-HMT in terms of affecting  
22 salivation, saliva production in the cat model in  
23 vivo.

24 Q. Okay. Did you not review the human  
25 data --

1 DAVID R. JANERO, Ph.D.

2 A. Mm-hmm.

3 Q. -- in the Brynne paper?

4 A. I have. I may not remember it. But if  
5 you have the paper, I'll be glad to do it now.

6 Q. We'll get to that. Let me just stick  
7 with the CNS --

8 A. Sure.

9 Q. -- concern for a moment.

10 If it's the case that you didn't know  
11 whether it's 5-HMT or tolterodine which may be  
12 causing any CNS effects --

13 A. Mm-hmm.

14 Q. -- then why would you be so readily --  
15 motivated to isolate 5-HMT at the time?

16 A. To isolate 5-HMT?

17 Q. That's what you're trying to do.  
18 Right? They're both active. You're trying to  
19 segregate 5-HMT to deliver it only by a prodrug.  
20 Correct? That's the theory?

21 A. That would be true. Yes.

22 Q. Right.

23 A. That would be, yes. Yes.

24 Q. So how can I be motivated to improve  
25 upon the CNS profile of tolterodine if I'm not

1 DAVID R. JANERO, Ph.D.

2 sure whether it's tolterodine or 5-HMT that's  
3 causing the CNS effects?

4 MS. WOOTEN: Objection. Form.

5 A. That may not be the sole factor in  
6 terms of the motivation. As I alluded to  
7 earlier, there could have been many other  
8 factors, many other factors. And one may,  
9 indeed, show that by a prodrug, there may be a  
10 different pharmacokinetic, pharmacodynamic  
11 profile that might benefit a number of these  
12 aspects.

13 Q. Okay.

14 A. The data would tell.

15 Q. Okay.

16 A. But, a priori, I could not forecast  
17 that.

18 Q. Okay. This is what I'm trying to do,  
19 is understand the basis for your opinion.

20 A. Mm-hmm.

21 Q. And we've got to the point where you  
22 say the skilled artisan would have been motivated  
23 to improve upon tolterodine in 1998 or 1999, and  
24 I'm trying to understand what about tolterodine  
25 needed to be improved.

1                   DAVID R. JANERO, Ph.D.

2           I believe that you said the CNS profile, the  
3 dry mouth issue and the polymorphism issue?

4           A.     Right.

5           Q.     If there are other factors, I'd like to  
6 know what they are. But if there aren't, then I  
7 want to take these one by one --

8           A.     Sure.

9           Q.     -- and ask you, you know, what the  
10 basis would be for each of them respectively.

11          A.     To me, the polymor- -- the  
12 polypharmacology issue generating two active  
13 agents from one would be, to me, a prime  
14 motive --

15          Q.     Okay.

16          A.     -- of the three listed.

17          Q.     But you would agree with me that, just  
18 sticking with the CNS factor --

19          A.     Mm-hmm.

20          Q.     -- if, as you say, you can't be sure  
21 which agent is responsible for the CNS effects,  
22 then you, therefore, wouldn't be sure whether  
23 that is something that needed to be improved upon  
24 with tolterodine. Correct?

25          A.     Unless directly --



1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection to form.

3 A. Unless directly tested. I agree. The  
4 only way to answer that question would be, as I  
5 mentioned earlier, a direct test of the various  
6 agents.

7 Q. Okay. But you're not aware of any  
8 direct testing that was done or available at the  
9 time in 1998. Correct?

10 A. In humans, I'm not aware of any.

11 Q. Okay.

12 MR. TRAINOR: Why don't we take a  
13 break. Let's go off.

14 THE VIDEOGRAPHER: The time now is  
15 12:27, and we're off the record.

16 (Lunch recess was taken.)

17 THE VIDEOGRAPHER: The time now is  
18 13:17. We're back on the record.

19 BY MR. TRAINOR:

20 Q. Sorry. Okay, Dr. Janero, welcome back.

21 A. Thank you. Pardon me.

22 Q. So we were talking before about the  
23 areas for improvement of tolterodine that you  
24 identified. Just to set the context, we had the  
25 CNS concerns, the dry mouth concern, the

1 DAVID R. JANERO, Ph.D.

2 polymorphism concerns and patent concerns?

3 A. Mm-hmm.

4 Q. We just talked about the CNS. I want  
5 to turn to the dry mouth issue.

6 A. Actually, I do want to finish one point  
7 about that, if I may.

8 Q. About which?

9 A. The nervous system related.

10 Q. That's the central nervous system.  
11 Okay.

12 A. Well, it could be in general, because  
13 the autonomic nervous system also has a component  
14 of control of, neuro control of salivary  
15 secretions, so that affects dry mouth. So I'm  
16 going to --

17 Q. Okay.

18 A. -- put them together for the sake of  
19 time and for the sake of discussion. The  
20 basic -- the question, I believe, that was posed  
21 is basically why -- why, in essence, would one  
22 find 5-HMT attractive in terms of improving some  
23 of these profiles. Is that correct?

24 Q. Right now, I'm just sticking to the  
25 part about why one of ordinary skill would have

1 DAVID R. JANERO, Ph.D.

2 recognized a need to improve tolterodine.

3 A. Okay. Then we'll save that.

4 Q. Okay.

5 A. Thank you. Sorry.

6 Q. So turning to improving the dry mouth  
7 profile --

8 A. Mm-hmm. Mm-hmm.

9 Q. -- of tolterodine, the improvement in  
10 dry mouth was really sort of the breakthrough  
11 with tolterodine, to begin with, at its launch.  
12 Right? Would you agree with that?

13 A. It still had that as a side effect that  
14 is considered to be a common effect for  
15 antimuscarinic agents because of the population  
16 of receptors in the oral mucosa that are there.  
17 So it had a -- I'd have to look at the numbers to  
18 refresh my memory, but certainly it was a shared  
19 side effect in this class.

20 The extent to which it was expressed versus  
21 others in the class, that, I don't remember.

22 Q. Okay. And you're aware that it's just  
23 a matter of a couple of months, right, between  
24 the time that tolterodine or Detrol was approved  
25 and the first priority date of these patents in

1 DAVID R. JANERO, Ph.D.

2 1998, right, roughly?

3 A. Roughly. Yes.

4 MS. WOOTEN: Objection. Calls for a  
5 legal conclusion.

6 A. But I --

7 Q. Okay. So this is Exhibit 11, the  
8 label.

9 A. Yes, I have that.

10 Q. Okay. Now, under the autonomic nervous  
11 system as you're just --

12 A. Yes.

13 Q. -- referring to, that's where they list  
14 dry mouth as --

15 A. Yes.

16 Q. -- an adverse event. And I think, as  
17 you pointed out, in the text of this label there  
18 are also some textual comments about dry mouth  
19 being the frequently reported adverse event.

20 My question is similar to the CNS-related  
21 question, is isn't it also true that based upon  
22 what was available at the time, in 1998,  
23 including this label, it was not known which  
24 chemical entity 5-HMT, on the one hand, or  
25 tolterodine, on the other hand, was responsible

1 DAVID R. JANERO, Ph.D.

2 for the dry mouth side effect. Correct?

3 A. I believe so, because to my knowledge,  
4 5-HMT was itself not directly studied in this  
5 paradigm, in the clinic.

6 Q. Okay. So this is just the report of  
7 you administer tolterodine, and most of the  
8 population is extensive metabolizers, there's  
9 some poor metabolizers, but the events don't  
10 discriminate between tolterodine and 5-HMT?

11 A. I couldn't say that from this, from  
12 this table.

13 Q. From the label. Right?

14 A. From the label. Right.

15 Q. Okay. So irrespective of the label,  
16 are you aware of any evidence or information that  
17 was available to a skilled artisan at that time  
18 that would have allowed them to recognize which  
19 of the two active entities was responsible for  
20 the dry mouth side effect?

21 A. There was the -- there is the  
22 preclinical study that was published by  
23 Nilvebrant, et al, in vivo in the cat.

24 Q. Mm-hmm.

25 A. Which was shown -- which I believe

1                   DAVID R. JANERO, Ph.D.

2       showed that both agents, both tolterodine,  
3       Detrol, and 5-HMT, did affect salivation in that  
4       model.

5           Q.     Mm-hmm.

6           A.     But the differential between the  
7       potencies at which relaxation was affected in the  
8       bladder and salivation was affected was less  
9       differential for tolterodine versus 5-HMT,  
10      despite the fact that 5-HMT was around, as I  
11      recall, around sevenfold more potent, a smooth  
12      muscle relaxant, an antimuscarinic smooth muscle  
13      relaxant.

14          Q.     Mm-hmm. So relevant to my question,  
15      what is the implication? Do you believe a person  
16      of ordinary skill would have understood  
17      tolterodine to be more responsible for dry mouth  
18      as distinguished from 5-HMT?

19          A.     I think from those data, the person of  
20      ordinary skill in the art, at that time, would  
21      have concluded that 5-HMT could have less  
22      propensity to induce dry mouth.

23          Q.     Okay. And so, therefore, that would  
24      support your opinion that tolterodine's dry mouth  
25      profile could be improved upon by isolating

1                   DAVID R. JANERO, Ph.D.

2       5-HMT. Is that right?

3                   MS. WOOTEN: Objection. Form.

4           A. That would be -- that could be one  
5 possible route, but not the only possible route.

6           Q. I understand. But --

7           A. Right.

8           Q. -- just on this issue of dry mouth.

9           A. Right.

10          Q. Okay. By the way, can we have that  
11 Nilvebrant paper? I think -- I hope I have the  
12 one that you're referring to, because I don't  
13 want you to be operating in a vacuum here.

14          There was a Nilvebrant paper, Exhibit 8, I  
15 showed you before. You said that was not the  
16 one --

17          A. I have it here. I have it here.

18          Q. -- that you had in mind and that you  
19 referenced here. So let me show you this.

20                 MR. TRAINOR: This will be number 12, I  
21 believe.

22                         (Document Bates-stamped  
23 MYLB\_FESO\_00027129 through -7132 marked  
24 Exhibit 12.)

25          A. This is the paper to which I was

1                   DAVID R. JANERO, Ph.D.

2           referring. Yes.

3           Q.     Okay. Let me just introduce it for the  
4           record.

5           A.     Oh.

6           Q.     I asked the court reporter to mark as  
7           Janero Exhibit 11 a publication entitled  
8           "Antimuscarinic Potency and Bladder Selectivity  
9           of PNU-200577, A Major Metabolite of  
10          Tolterodine." The lead author is Nilvebrant,  
11          copyright date 1997. It bears Mylan Bates  
12          numbers 27129 through 32.

13          And I'm sorry, Dr. Janero, you were saying  
14          that this is the paper you had in mind?

15          A.     Yes, it is the paper. Yes.

16          Q.     If you look at Paragraph 14 of your  
17          opening report, Exhibit 1, would you just confirm  
18          this is the Nilvebrant paper that's referenced  
19          there?

20          A.     From pharmacology and toxicology, yes.  
21          Pharmacol, toxicol.

22          Q.     Okay. Now, it's a short paper, but --  
23          you can take a minute to review it, but I want to  
24          ask you: Where in this paper is there a  
25          disclosure that you're referring to with respect



1                   DAVID R. JANERO, Ph.D.

2       to -- let's start with the potency issue?

3           A.     I was referring specifically to the in  
4       vivo study in the cat model.

5           Q.     Okay.

6           A.     And that's on Page 171, left column,  
7       about a third down under the heading "In Vivo  
8       Studies."

9           Q.     Okay.

10          A.     The second sentence gives the mean  
11       ID50. That means mean inhibitory dose,  
12       50 percent inhibition for acetyl, for PNU, of  
13       15 nanomole per kilogram. And that's for  
14       acetylcholine-induced urinary bladder  
15       contraction.

16                   (Reporter clarification.)

17          A.     For acetylcholine-induced urinary  
18       bladder contraction.

19           So that's one piece of data.

20          Q.     Okay. Okay. For the record, can we  
21       note PNU 200577 is 5-HMT. Correct?

22          A.     Yes. Yes. That is correct.

23          Q.     Okay.

24          A.     I'll refer to it subsequently as 5-HMT.

25       Sorry.

1           DAVID R. JANERO, Ph.D.

2           Q.    Now, what does that tell us about the  
3 relative potencies of 5-HMT in tolterodine?

4           A.    Now, if we retain that figure --

5           Q.    Right.

6           A.    -- and we proceed on to the -- in the  
7 article --

8           Q.    Yup.

9           A.    -- and we go to Page 172, left column,  
10 first paragraph --

11          Q.    Mm-hmm.

12          A.    -- third line in that, "Although 5-HMT  
13 is more potent than the parent compound in vivo,  
14 ID50 values for tolterodine were 101 nanomole per  
15 kilogram respectively for inhibition of urinary  
16 bladder contraction and salivation."

17          Q.    Mm-hmm.

18          A.    "A likely explanation for the higher  
19 potency of 5-HMT in vivo is that available  
20 percentage of tolterodine is unbound in serum,  
21 whereas over 30 percent of 5-HMT exists as the  
22 unbound drug."

23                So I compare the 101 nanomole per kilogram  
24 ID50 for tolterodine with the stated, on the  
25 previous page, 15 nanomole per kilogram, as mean

1                   DAVID R. JANERO, Ph.D.

2       ID50 for 5-HMT to conclude that 5-HMT is about  
3       sevenfold more potent than tolterodine in this  
4       model, as an antimuscarinic bladder-relaxing  
5       agent in response to acetylcholine-induced  
6       bladder contraction."

7           Q.     Okay. But at the conclusion of that  
8       paragraph that you were just reading from on  
9       172 --

10          A.     Uh-huh.

11          Q.     -- the next sentence discusses the  
12       likely explanation for the higher potency in  
13       vivo --

14          A.     Yes.

15          Q.     -- is the very low percentage of  
16       tolterodine in unbound serum relative to the  
17       percentage of 5-HMT that is unbound. Correct?

18          A.     Yes.

19          Q.     Okay. Doesn't that mean that  
20       pound-for-pound, if you will, the potency is the  
21       same?

22          A.     We can't -- we can't equil- -- we can't  
23       make equivalent the bound component of either  
24       drug to the free, because only the free will be a  
25       ligand, will be able to bind to muscarinic

1 DAVID R. JANERO, Ph.D.

2 receptor.

3 Q. Mm-hmm.

4 A. That portion that is sequestered in any  
5 plasma protein, plasma lipoprotein will not be  
6 able to bind as that complex. It must be freed.

7 Q. Right.

8 A. So they're not -- so we can't say  
9 pound-per-pound, because they're in different  
10 states in terms of drug ability.

11 Q. Mm-hmm.

12 A. So in terms of the less than 5 percent  
13 versus greater than 30 percent of 5-HMT that's  
14 available as an active agent in vivo --

15 Q. Right.

16 A. -- they're different.

17 Q. Well, the free is what is unbound in  
18 serum. Correct?

19 A. And that, in the case of 5-HMT, is  
20 greater than 30 percent. In the case of  
21 tolterodine, it is less than 5 percent.

22 Q. Right. And is it just a coincidence  
23 that that's also a factor of six or seven?

24 A. It may be. I don't have all of  
25 those -- those data --

1                   DAVID R. JANERO, Ph.D.

2           Q.    Mm-hmm.

3           A.    -- to look and see what the absolute  
4 kinetics would be --

5           Q.    Mm-hmm.

6           A.    -- and what the distribution of those  
7 kinetics would be, what the distribution of both  
8 compounds would be over time.

9           The important point here, I think, is that  
10 both compounds were looked at. 5-HMT, in  
11 particular, was examined separately.

12          Q.    Now --

13                   THE WITNESS:  Excuse me.

14          Q.    -- does it surprise you that given the  
15 same dose to the same subject, in this case, in  
16 animal, that the value of potency that is  
17 measured is greater for the entity which has a  
18 higher free percentage by a factor of six or  
19 seven?

20                   MS. WOOTEN:  Objection.  Form.

21          A.    Those two factors would correlate, but  
22 the higher free need not translate into greater  
23 efficacy --

24          Q.    Mm-hmm.

25          A.    -- or higher potency.

1                   DAVID R. JANERO, Ph.D.

2           Q.     But you would agree that, at least  
3 according to this author, that's the likely  
4 explanation for the difference in potency in  
5 vivo.   Correct?

6                   MS. WOOTEN:   Objection.   Form.

7           A.     Actually, I refer to the sentence that  
8 follows that --

9           Q.     Mm-hmm.

10          A.     -- that begins "since," and after the  
11 comment, "the response observed in vivo following  
12 oral administration of tolterodine is likely to  
13 be, in part, the result of the activity of  
14 unbound 5-HMT."

15                   So it would be difficult, in the case of  
16 tolterodine, where we have the polypharmacology,  
17 we have two active agents going on, to parse out  
18 quantitatively the way you're suggesting.  It  
19 would be difficult for me, at least, to do that  
20 quantitatively.

21          Q.     Okay.

22          A.     Because we have the same effect; in  
23 other words, relaxation of acetylcholine-induced  
24 bladder contraction.  In one case, we're looking  
25 at 5-HMT.  In another case, we're looking at some

1                   DAVID R. JANERO, Ph.D.

2       dynamic combination of bound-unbound tolterodine  
3       and bound-unbound 5-HMT, either one of which has  
4       different proportions of bound and unbound.

5           Q.     Okay. Now, if you assume that all  
6       things being equal, the two agents are  
7       equipotent, then that would explain the  
8       difference in the potency measure, that being the  
9       difference in percentage bound. Correct?

10           MS. WOOTEN: Objection. Form.

11           A.     I don't believe that's an explanation,  
12       because there are other factors involved, one of  
13       which that's not -- that's not dealt with in this  
14       paper, in the discussion, is the idea that the  
15       bound portion is not -- is dynamic.

16           Q.     Mm-hmm.

17           A.     It can enter and exit plasma proteins,  
18       plasma lipoproteins. So although it is true that  
19       the muscarinic receptor, muscarinic receptors  
20       cannot bind drug that's bound to plasma protein  
21       or plasma lipoprotein, that doesn't mean that  
22       it's permanently bound there.

23           There are on and off, so-called "on and off  
24       rates" associated with this -- with this -- with  
25       these complexes.

1                   DAVID R. JANERO, Ph.D.

2           Q.     Okay.

3           A.     And this happens to be one of the --  
4 one of the areas I studied in my -- my postdoc at  
5 Hopkins.

6           Q.     Okay. Now, in that next paragraph, the  
7 first sentence indicates that, in vitro, the  
8 potency -- the potencies of 5-HMT and tolterodine  
9 are identical. Correct?

10          A.     I don't read that statement. I read  
11 the statement to say "that the pharmacological  
12 profile in vitro."

13          Q.     Mm-hmm.

14          A.     The pharmacological profile goes much  
15 beyond, but may include potency and efficacy.

16          Q.     Okay. If you look at the next  
17 paragraph, at the bottom of the column, the  
18 sentence says, "In summary, the pharmacological  
19 in vitro and in vivo profiles of 5-HMT are  
20 identical to those of tolterodine, the parent  
21 compound."

22                 Do you see that?

23          A.     Yes. That is almost identical to  
24 those. Yes. I see that.

25          Q.     Okay. So do you have any understanding



1                   DAVID R. JANERO, Ph.D.

2       why the author would conclude that the  
3       pharmacological profiles are identical?

4                   MS. WOOTEN: Objection. Form.

5           A.     I believe the next sentence gives a  
6       clue, because both compounds have high  
7       antimuscarinic potency. They both have protein  
8       bound, but one has a different degree of protein,  
9       of plasma protein, lipoprotein binding. And they  
10      have good serum concentrations in humans after  
11      oral administration.

12           The conclusion is that, as stated here by  
13      the authors, that 5-HMT may contribute to the  
14      therapeutic action of tolterodine. So, in other  
15      words, both the 5-HMT and tolterodine are acting  
16      to effect the muscarinic relaxation in response  
17      to acetylcholine contraction in the bladder.

18           That's how I would interpret that.

19           Q.     Can you look at the abstract on the  
20      first page.

21           A.     Yes.

22           Q.     The second to last sentence in the  
23      abstract.

24           A.     Yes. I see.

25           Q.     It says, "Thus, 5-HMT is similar to

1 DAVID R. JANERO, Ph.D.

2 tolterodine in terms of antimuscarinic potency."

3 Do you see that?

4 A. Yes, I do.

5 Q. As a reader, how would you reconcile  
6 that with your conclusion that the data suggests  
7 5-HMT is more potent?

8 MS. WOOTEN: Objection. Form.

9 A. The data --

10 Q. Tolterodine -- sorry.

11 A. Yes. The data I'm referring to are in  
12 the in vivo study. They're not referring to the  
13 radioligand binding studies or the in vitro  
14 studies, where it could be a similar -- there  
15 could be a similar potency or similar profile  
16 there.

17 In other words, in the in vitro testing,  
18 biochemical testing, differences or similarities  
19 need not quantitatively transfer into the intact  
20 animal.

21 And that's why I would put myself, in terms  
22 of drug discovery and development, in terms of  
23 pharmacology, more weight on the difference in  
24 vivo than I would in a binding study, because  
25 both of these, we know both of these compounds

1 DAVID R. JANERO, Ph.D.

2 are, indeed, muscarinic receptor agents with good  
3 ligand binding properties.

4 Q. Are you aware of any evidence, other  
5 than this cat data, which speaks to the  
6 relevant -- relative potencies of 5-HMT and  
7 tolterodine that was available in 1998?

8 A. I have not looked at that point  
9 extensively. My impression, generally, has been  
10 that 5-HMT itself has rarely been tested.

11 Q. Mm-hmm. Now, can you just -- my  
12 question is: As to the relative potencies of the  
13 two entities, are you aware of anything else, as  
14 you sit here, other than this cat data, which  
15 speaks to the relative potencies?

16 MS. WOOTEN: Objection. Form.

17 A. Let me just say in terms of this  
18 particular data set or in terms of any other?

19 Q. Anywhere. I mean, I see what you're  
20 saying here. I see this paper.

21 A. Yes.

22 Q. I just want to know if -- are you aware  
23 of anything else that you read or reviewed that  
24 suggests that 5-HMT is more potent than  
25 tolterodine?

1                   DAVID R. JANERO, Ph.D.

2           A.    In vivo?

3           Q.    In vivo, in vitro.  Anything?

4           A.    As I say, my focus was on in vivo in  
5 studying this, and in vivo, I, at this moment,  
6 don't -- cannot cite any other references --

7           Q.    Okay.

8           A.    -- than this.

9           Q.    In your opening report, Page 17,  
10 Paragraph 55 --

11          A.    Yes.

12          Q.    -- in that paragraph, you're providing  
13 a couple of different reasons as to why, in your  
14 view, a person of ordinary skill in the art would  
15 have been motivated to improve upon 5-HMT.

16                I'm not sure if you meant tolterodine there,  
17 but the second reason that you provided, it says,  
18 "It was known that 5-HMT's affinity for the M3  
19 receptor was comparable to tolterodine."

20          A.    Mm-hmm.

21          Q.    Do you see that?

22          A.    Yes, I do.

23          Q.    Okay.  So if you thought -- if your  
24 view of the prior art was that 5-HMT was more  
25 potent, why would you suggest that they were

1           DAVID R. JANERO, Ph.D.

2       comparable in your report there, at Paragraph 55?

3           A.     The data, in terms of the biochemical  
4       parameters, in terms of competition binding to  
5       the receptor, shows that within, in my opinion,  
6       statistical error, they are comparable.

7           They are both high-affinity ligands for the  
8       muscarinic type, Subtype 3 receptor.

9           Q.     Okay.

10          A.     And that -- pardon me, I'll just  
11       conclude by connecting my point earlier, as I  
12       say, but the -- that in vitro affinity in an  
13       isolated biochemical, not a living system, need  
14       not quantitatively translate to a complex mammal  
15       in vivo, such as a human or experimental animal.

16          Q.     A skilled artisan in drug development  
17       setting out to dedicate resources into developing  
18       a new drug in 1998, in your view, how much weight  
19       would they put on that cat data, in the absence  
20       of other data speaking to the potency of 5-HMT?

21           MS. WOOTEN:  Objection.  Form.

22          A.     As an outsider who was not at -- in the  
23       development or discovery stream in the company, I  
24       do not know that there were no -- I would not  
25       know there would be no other data.  But I'll take

1                   DAVID R. JANERO, Ph.D.

2       it as an assumption that I would not know.

3           My experience as a drug discovery and  
4       development person has taught me over many  
5       decades that internal data need not appear in  
6       publications, in print, in any form.

7           Q.     Right.

8           A.     So, given that, and given the fact  
9       that -- and we'll set that aside -- the fact that  
10      these data in this particular paper, in this  
11      particular model, address the very -- the  
12      fundamental basis for bladder contraction;  
13      namely, acetylcholine-induced smooth muscle  
14      relaxation via an antimuscarinic mechanism, I  
15      believe that these data would hold significant  
16      credence in terms of a drug discovery campaign.

17          Q.     Okay. My question was simply: If you  
18      were going to develop a new drug and the idea was  
19      that to target an entity because it had shown  
20      better potency than what existed at the time,  
21      would you really undertake that endeavor on the  
22      basis of a study in cats?

23                   MS. WOOTEN: Objection. Form.

24          A.     I would need to know the whole context  
25      in order to answer your question.

1                   DAVID R. JANERO, Ph.D.

2           Q.     Okay.

3           A.     Specifically, I would need to know any  
4 other data.

5                   On the other hand, I realize again from my  
6 experience in drug discovery and development,  
7 that we're operating here at a more sophisticated  
8 level of in vivo animal than is usually done in  
9 pre-clinical; namely, mouse, rat, rodent.

10           Q.     Mm-hmm. You do know that, in terms of  
11 invalidating the patent, that it's not really the  
12 perspective of these inventors or this company.  
13 It's what this hypothetical ordinary person would  
14 do with the information that's available.  
15 Correct?

16           A.     Yes. I'm simply taking those data, for  
17 example --

18           Q.     Okay.

19           A.     -- at face value.

20           Q.     All right. Let's move on to the  
21 polymorphism issue. Where in the art or what  
22 evidence do you have to support the fact that --  
23 or the supposition that the polymorphism  
24 exhibited by those given tolterodine was, in  
25 fact, a problem or something that needed to be

1 DAVID R. JANERO, Ph.D.

2 improved upon?

3 MS. WOOTEN: Objection. Form.

4 A. I suggest that we want to clarify. I  
5 was talking about polypharmacology. I was not  
6 equating that with polymorphism. We can discuss  
7 polymorphism, but my point was different.

8 My point was the idea that when a mammal,  
9 man, administered tolterodine two active agents  
10 result. That is what I was calling  
11 polypharmacology.

12 Q. Mm-hmm.

13 A. The polymorphism is the genetic  
14 variance in metabolizing enzymes, so we're  
15 talking about the latter.

16 Q. Okay.

17 A. Are we? I just want to be sure.

18 Q. Well, let's just -- let's just step  
19 back and say the fact -- we agree that there are  
20 two active agents with tolterodine. Correct?

21 A. We agree. Yes.

22 Q. That's a little unique. Correct?

23 A. I haven't done a complete survey of all  
24 known drugs in the pharmacopeia, so I would not  
25 know how unique it is.



1                   DAVID R. JANERO, Ph.D.

2                   Q.    Are you familiar with any other drugs  
3 that exhibit that type of double agent activity?

4                   MS. WOOTEN:  Objection.  Form.

5                   A.    I have certainly encountered drugs that  
6 have active metabolite.

7                   Q.    Mm-hmm.  And the parent or the starting  
8 compound is also active?

9                   A.    Yes.

10                  Q.    Are those compounds that are  
11 commercialized?

12                  A.    They probably would be.  I can't name  
13 any, but I know I've run into them in my own drug  
14 discovery and development.

15                  Q.    Okay.  Maybe we should start out with  
16 this:  Can you explain to me what the difference  
17 is between polypharmacology and polymorphism?

18                  A.    Yes.  Polypharmacology, as I'm  
19 referring to, is the condition whereby we have  
20 multiple active agents effecting the same  
21 therapeutic result.

22                  Q.    Mm-hmm.

23                  A.    The specific example here is  
24 tolterodine and 5-HMT.

25                  Q.    Mm-hmm.

1 DAVID R. JANERO, Ph.D.

2 A. Polymorphism is a genetic difference  
3 among subjects, whereby variance in enzymes can  
4 result in disparate enzyme activities that then  
5 can manifest themselves in differences in  
6 metabolism, differences in metabolic products,  
7 differences in rates of metabolism, differences  
8 in sensitivity to a compound, a drug.

9 Q. In essence, the person asking the  
10 question was very inartful. So I understand what  
11 you're saying.

12 A. I just wanted to be clear that because  
13 they are very different things, in my opinion.

14 Q. I understand.

15 A. Okay.

16 Q. Drugs are not polymorphic. All right.  
17 That's your point. Drugs themselves aren't  
18 polymorphic?

19 A. In the context that we just laid out,  
20 that is a separate concept.

21 Q. That's my bad. Okay. So --

22 A. I --

23 Q. -- the polypharmacology of  
24 tolterodine -- well, let's just say the poly-- in  
25 the instances where -- forget about tolterodine.

1 DAVID R. JANERO, Ph.D.

2 You've got a drug that itself is active and has  
3 an active metabolite --

4 A. Yes.

5 Q. -- giving rise to polypharmacology.

6 Correct?

7 A. Yes.

8 Q. Is that always a problem?

9 MS. WOOTEN: Objection. Form.

10 A. I haven't surveyed every instance, so I  
11 can't say always. I do know, in my own personal  
12 experience, some -- a major problem can occur as  
13 a result of the active metabolite having a  
14 toxicity profile or other profile that would be  
15 unwarranted, that could lead to adverse events in  
16 and of itself.

17 Q. Mm-hmm. So a person of skill, in 1998,  
18 how, if at all, would they have recognized that  
19 to be a problem with tolterodine?

20 A. I didn't say that it would be a problem  
21 with tolterodine. I said that would be a general  
22 instance to exemplify an answer to your question.

23 Q. Okay. And the prior art is clear that  
24 this was something that was recognized, correct,  
25 prior to 1998?

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Form.

3 A. "This" being?

4 Q. "This" being the polypharmacology of  
5 tolterodine?

6 A. If we define that as the ability of  
7 tolterodine to be metabolized in vivo by a common  
8 cytochrome enzyme to result -- 2D6 -- to result  
9 in an active product, 5-HMT, correct. Yes.

10 Q. And it was investigated by researchers,  
11 including the researchers at Pharmacia. Correct?

12 MS. WOOTEN: Objection. Form.

13 A. I have no direct knowledge of that  
14 since I was not at Pharmacia.

15 Q. Well, certain of the art that you cite  
16 in your report is reflective of that  
17 investigation. Correct?

18 A. Yes. From what I have read and from  
19 what I have gleaned from published literature,  
20 the common knowledge at that time would have been  
21 that tolterodine administered to a mammal, to a  
22 human, results in production of 5-HMT.

23 Both of these chemicals act as high-affinity  
24 antimuscarinic agents in vivo.

25 Q. Mm-hmm.

1                   DAVID R. JANERO, Ph.D.

2           A.    Yes.

3           Q.    So the question is:  The fact that  
4 there are two active agents with tolterodine, why  
5 was it a problem?

6           MS. WOOTEN:  Objection.

7           Q.    That required improvement of  
8 tolterodine?

9           MS. WOOTEN:  Objection.  Form.  
10 Mischaracterizes prior testimony.

11           MR. TRAINOR:  I'm not mischaracterizing  
12 anything.

13           Q.    Tell me -- you said one of the reasons  
14 that you would improve upon tolterodine is  
15 because of this polypharmacology that it  
16 exhibits.  And I'm asking, you know, what  
17 evidence supports that a person of ordinary skill  
18 would look at that fact and say, That's  
19 problematic, that's a reason to improve upon  
20 tolterodine?

21           MS. WOOTEN:  Objection.  Form.

22           A.    One aspect would be the fact that  
23 metabolizing tolterodine to 5-HMT in vivo --

24           Q.    Mm-hmm.

25           A.    -- by two cytochromes, in particular,

1 DAVID R. JANERO, Ph.D.

2 results in more extended metabolism and actually  
3 produces a metabolite that is tolterodine  
4 specific.

5 Q. Mm-hmm.

6 A. So the metabolism is more complex.

7 Secondly, a person in the art, at that time,  
8 would likely recognize the difference between  
9 tolterodine and 5-HMT structure; the latter,  
10 5-HMT, being a dialcohol; tolterodine being  
11 monoalcohol.

12 We're talking at the 2 position, both  
13 tolterodine and 5-HMT. The 5 position is the  
14 dialcohol, 5-hydroxymethyltoluene.

15 This would suggest readily that 5-HMT is  
16 more hydrophilic, less lipophilic, and,  
17 therefore, less -- has less proclivity to cross  
18 the blood-brain barrier, enter the nervous system  
19 passively.

20 This, to me, would be an attractive feature  
21 to capitalize from 5-HMT in terms of the adverse  
22 event profile that we had -- that we had  
23 discussed earlier.

24 The other factor that we also discussed  
25 earlier is the idea that in the mammalian

1 DAVID R. JANERO, Ph.D.

2 system's per-unit dose, there's more free 5-HMT  
3 available to bind to muscarinic receptor target  
4 than there is tolterodine.

5 Q. Mm-hmm.

6 A. This would also, to me, lend an  
7 attractive feature for focus on 5-HMT in terms of  
8 potential improvements over tolterodine.

9 Q. Okay. Let's talk about the first issue  
10 you identified, which is that the metabolism is  
11 complex. That's true for a lot of drugs.

12 Why was that problematic?

13 A. Here we have a means to simplify that  
14 with an agent that effects the same therapeutic  
15 result as tolterodine. So we have a chance to  
16 simplify that.

17 Q. I understand that is the solution.  
18 Right?

19 A. Right.

20 Q. But I'm asking you, before you get to  
21 how you solve it, the question is: Why do you  
22 need to solve it? What indicated that the  
23 complex metabolism compromised tolterodine in any  
24 way?

25 A. I don't see that it did. But I see, in

1                   DAVID R. JANERO, Ph.D.

2       terms of basic tenets of drug discovery and  
3       development, simpler metabolism with less  
4       intermediates is generally preferred.

5           Q.     Okay. But you wouldn't apply general  
6       rules to embark on a drug development program,  
7       would you?

8           A.     I would apply them if they -- if they  
9       are general rules that stood the test of time,  
10      and based on experience, yes.

11          Q.     Well, what about -- would you apply the  
12      general rule when you had a lot of specific  
13      observation about that very issue and in-depth  
14      investigation about the metabolism? Which would  
15      you turn to, the general rule or what you knew  
16      about the pharmacology of tolterodine at that  
17      point?

18          A.     Both.

19                MS. WOOTEN: Objection. Form.

20          A.     Both, because all of these compounds,  
21      including tolterodine, are foreign -- they're  
22      xenobiotics. They're foreign agents in living  
23      systems.

24                And in treatment, one wants to minimize that  
25      as much as possible. They're foreign chemicals.



1                   DAVID R. JANERO, Ph.D.

2           Q.    Mm-hmm.  Okay.

3           A.    There's no -- right.

4           Q.    Okay.  So let me just make sure that I  
5 have this correctly.

6           A.    Sure.

7           Q.    Is it your opinion that the fact that  
8 tolterodine has two active agents compromised the  
9 efficacy or side effect profile of tolterodine  
10 such that one of skill would have recognized it  
11 needed to be improved?

12                   MS. WOOTEN:  Objection.  Form.

13           A.    I cannot say that it -- that the  
14 presence of both metabolites compromised, because  
15 I don't have data for 5-HMT in virtually any of  
16 the studies, alone, as an agent.

17           Q.    Mm-hmm.

18           A.    So I don't have the comparator  
19 necessary to answer that question.

20           Q.    Mm-hmm.  And isn't it one of the  
21 fundamental principles of prodrug design that you  
22 should design a prodrug based on an entity that  
23 you do have data for, that you do fully  
24 understand?  In this case, 5-HMT?

25           A.    Some knowledge, yes.

1                   DAVID R. JANERO, Ph.D.

2           Q.     So --

3           A.     So --

4           Q.     So you don't have enough information to  
5 know whether or not it's a problem, but you have  
6 enough information to solve the problem, if, in  
7 fact, it's a problem?

8           A.     Whether what's a problem?

9           Q.     The motivation for eliminating two  
10 active agents in tolterodine is something I  
11 believe that you said you can't speak to, because  
12 you don't have enough data about 5-HMT to know  
13 whether, in fact, the two-agent issue compromised  
14 tolterodine as an agent.

15          A.     Mm-hmm.

16          Q.     But when you turned to prodrugs and you  
17 look at Bundgaard, for example, and it says, the  
18 first thing you need to do when you're designing  
19 a prodrug is have as much information as you can  
20 about the entity which you're trying to convert  
21 to. Correct?

22          A.     Mm-hmm.

23          Q.     So I'm just trying to make sense of  
24 that. There's not enough information to know  
25 whether, in fact, it was a problem with

1 DAVID R. JANERO, Ph.D.

2 tolterodine, but it is enough information to  
3 justify making a prodrug?

4 MS. WOOTEN: Objection. Form.

5 A. We know that there are two active  
6 agents that effect the clinical outcome, the  
7 therapeutic outcome when tolterodine is  
8 administered. Both agents are effective,  
9 high-efficacy antimuscarinic ligands.

10 Q. Mm-hmm.

11 A. 5-HMT, the structure of 5-HMT, would  
12 present to someone, in my opinion, skilled in the  
13 art in -- at the time, certain advantages as a  
14 starting point in terms of designing a  
15 proprietary prodrug that gave the benefits, the  
16 pharmacological profile of 5-HMT because of its  
17 decreased propensity to be sequestered by plasma  
18 proteins, lipoproteins, and its dialcohol  
19 profile, which would increase its hydrophilicity;  
20 and, therefore, decrease its propensity to cross  
21 the blood-brain barrier passively.

22 Q. If the polypharmacology, as you  
23 referred to it, was not determined to be  
24 problematic for tolterodine, is it your opinion  
25 that one of skill would, nonetheless, make a

1 DAVID R. JANERO, Ph.D.

2 prodrug to isolate 5-HMT?

3 MS. WOOTEN: Objection. Form.

4 Q. Is that how rational drug companies  
5 work? I mean, would you really --

6 A. That question ignores the other factors  
7 that I mentioned, as well as the fact that the  
8 5-HMT, being a dialcohol, would have less  
9 propensity to cross the blood-brain barrier, to  
10 enter the nervous system, and, therefore, to have  
11 less potential for side effects than  
12 tolterodine -- nervous system side effects than  
13 tolterodine.

14 So if we're on a drug campaign leveraging  
15 the -- your drug that you have out there on the  
16 market that's an accepted therapy for that  
17 therapeutic space, and you have an active  
18 metabolite therefrom that shows certain potential  
19 for benefit, to extend the franchise, then, yes,  
20 that would be an attractive combination of -- of  
21 circumstances and properties to go forward, in my  
22 opinion.

23 Q. Okay. But when you say "benefit," that  
24 assumes a baseline of something that needs  
25 improvement. Correct?

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Form.

3 A. We have the -- we have the -- we have  
4 the side effect profile of tolterodine in the  
5 prescribing information.

6 Q. Yes.

7 A. And any side effect, any adverse event  
8 could be improved upon, could be ameliorated or  
9 reduced.

10 Q. That may be true, but we've already  
11 established that since you didn't know whether it  
12 was 5-HMT or tolterodine that was causing those  
13 side effects, and because you don't have enough  
14 data to know whether the polypharmacology is a  
15 problem, what is the justification for doing  
16 that?

17 A. But we also have data in vivo, at least  
18 published data -- there may be more that I don't  
19 know -- of the greater potency, the better  
20 potency of 5-HMT as an antimuscarinic agent in  
21 the mammalian bladder that's contracted by the  
22 natural agent that is the contractile agent,  
23 acetylcholine.

24 Q. Okay. Why don't we just sort of maybe  
25 stick to the specific bases for invalidity that

1 DAVID R. JANERO, Ph.D.

2 you have in your report.

3 As I understand it, and I believe the  
4 claim-by-claim analysis begins around Page 42 of  
5 Exhibit 1.

6 A. Okay.

7 Q. And if you look at Paragraph 133, just  
8 starting out with Claim 1, the combination of  
9 references that you suggest would have rendered  
10 these patent claims which cover fesoterodine  
11 obvious are Postlind and Bundgaard, in view of  
12 the Detrol label, and Berge; or, alternatively,  
13 Brynne, Bundgaard, and Johansson.

14 Do you see that?

15 A. I do.

16 Q. So let's start with Postlind.

17 MR. TRAINOR: Can I mark that, please.  
18 This will be 13.

19 (Document Bates-stamped  
20 MYLB\_FESO\_00026898 through -6902 marked  
21 Exhibit 13.)

22 Q. I've asked the court reporter to mark  
23 as Janero Exhibit 13 a publication entitled  
24 "Tolterodine, A New Muscarinic Receptor  
25 Antagonist, Is Metabolized By Cytochromes P450

1                   DAVID R. JANERO, Ph.D.

2       2D6 and 3A in Human Liver Microsomes." This has  
3       a copyright date of 1998. The lead author is  
4       Postlind, Mylan Bates numbers 26898 through -902.

5                   Now, you recognize this reference, right,  
6       Dr. Janero?

7                   A.     I do.

8                   Q.     And I think you'll agree with me that  
9       the crux of this publication in relation to your  
10      opinions is -- comes at the end of the  
11      publication, the very last paragraph. And I'll  
12      just read that -- the first sentence. "Clinical  
13      studies have demonstrated that individuals with  
14      reduced CYP2D6 mediated metabolism represent the  
15      high-risk group in the population with a  
16      propensity to develop adverse drug effects."

17                  Do you see that?

18                  A.     I do.

19                  Q.     And further down in the paragraph, it  
20      says -- a little more than halfway through the  
21      paragraph, it says, "The possibility of clinical  
22      drug interaction at the enzyme level thus exists,  
23      especially if tolterodine is administered at the  
24      same time as a compound that is preferentially  
25      metabolized by CYP2D6 or to individuals

1 DAVID R. JANERO, Ph.D.

2 associated with the CYP2D6 poor metabolizer  
3 phenotype." Do you see that?

4 A. I do.

5 Q. Isn't it the case that you cite this  
6 reference because, in your view, this suggested  
7 that the -- in certain members of the population  
8 who are CYP2D6 deficient, would not metabolize  
9 tolterodine to 5-HMT, and, thus, high levels of  
10 tolterodine may create side effects?

11 MS. WOOTEN: Objection. Form.

12 A. That was one consideration. They're  
13 higher levels, yes. But whether they're  
14 absolutely high levels to cause adverse events,  
15 that I cannot say.

16 Q. Why don't you just tell me in your own  
17 words --

18 A. Yup.

19 Q. -- why a person of ordinary skill in  
20 the art would read this reference and be  
21 motivated to make a prodrug for 5-HMT?

22 A. It show -- the reference, the data show  
23 and the reference show that tolterodine is  
24 metabolized to 5-HMT --

25 Q. Mm-hmm.



1                   DAVID R. JANERO, Ph.D.

2           A.    -- by the cytochrome system that is  
3 well established to do the -- to effect these  
4 chemical transformations.

5           So it defines the main metabolic pathways of  
6 tolterodine in human liver microsomes. So  
7 that's -- the first point is that we have the  
8 metabolite identified.

9           Q.    Mm-hmm. But what about this reference  
10 motivated one of skill in the art to improve upon  
11 tolterodine by making a prodrug of 5-HMT?

12          A.    But then we can go to the fact that now  
13 we have the two chemical entities --

14          Q.    Mm-hmm.

15          A.    -- and we have the possibility that in  
16 a subpopulation, they could be treated  
17 differently.

18          Q.    Mm-hmm. How so?

19          A.    And that you identified or you read  
20 portions of the last paragraph, saying that if  
21 there are changes or deficiencies in the enzymes  
22 they're metabolizing, the parent compound,  
23 tolterodine, this could result in a differential  
24 distribution of tolterodine and its active  
25 metabolite --

1                   DAVID R. JANERO, Ph.D.

2           Q.     Okay.

3           A.     -- 5-HMT.

4           Q.     So just to be clear, in terms of your  
5 proposed reasons that one of skill would want to  
6 improve upon tolterodine, this -- this reference  
7 does not speak to the dry mouth issue. Correct?

8           A.     I haven't read it in a while, but I  
9 don't believe it does.

10          Q.     And it doesn't speak to the --

11          A.     I don't believe it does.

12          Q.     It doesn't speak to the CNS concern.  
13 Correct?

14          A.     I don't believe it can speak to any  
15 biological concern, because I don't see any in  
16 vivo or animal data in this paper at all.

17          Q.     So this speaks to the polypharmacology  
18 concern. Correct?

19          A.     And also the fact that the metabolite  
20 is generated by the CYP mechanism, and the  
21 mechanism by which it's generated is one that is  
22 found in humans.

23          Q.     And it's fair to say that Postlind is  
24 setting forth this general rule that it stood the  
25 test of time, you say, that as a general matter,

1 DAVID R. JANERO, Ph.D.

2 having two actives can be problematic. Yes?

3 MS. WOOTEN: Objection. Form.

4 A. He says the possibility of a clinical  
5 drug interaction at the enzyme level could  
6 exist --

7 Q. Mm-hmm.

8 A. -- what you read. So there's a  
9 possibility of that. There are no data here  
10 showing that, but it is raised in that paragraph  
11 as a possibility.

12 Q. All right. But this reflects that  
13 general rule. Yes?

14 MS. WOOTEN: Objection. Form.

15 A. The general rule that?

16 Q. The general rule that having two active  
17 agents can be problematic. Can be?

18 A. In this particular case, yes. It's --  
19 it opens the possibility.

20 MR. TRAINOR: Okay. Now, can I see  
21 this? This one.

22 Q. And just while we're still on  
23 Exhibit 13, the Postlind reference, this -- your  
24 understanding is that this was published by  
25 Pharmacia, the same people who made tolterodine.

1 DAVID R. JANERO, Ph.D.

2 Correct?

3 A. Pharmacia, Upjohn. Yes. I would have  
4 to concluded that.

5 Q. Okay.

6 A. Although it does say in the reprint  
7 request "Hans Postlind, Department of Drug  
8 Metabolism, Pharmacia and Upjohn, Upsala,  
9 Sweden."

10 Q. Yes.

11 A. I would imagine that was so, based upon  
12 the contact information, alone.

13 Q. And in your experience over the course  
14 of drug development as things are discovered,  
15 these -- events like this would be published, not  
16 necessarily, but, you know, a group of  
17 researchers might serially publish what's going  
18 on in their development. Correct?

19 MS. WOOTEN: Objection. Form.

20 A. They would publish, in my experience,  
21 select data, not necessarily serially, with  
22 respect to the time course at which it evolved.

23 Q. Okay.

24 A. That also occurs in terms of any type  
25 of research, in my experience.

1 DAVID R. JANERO, Ph.D.

2 MR. TRAINOR: Okay. Mark this one as  
3 14.

4 (Document Bates-stamped  
5 PFE01847326 through -7372 marked Exhibit 14.)

6 THE WITNESS: Thank you.

7 Q. I've asked the court reporter to mark  
8 as Janero Exhibit 14 another publication, the  
9 title of which is "Role of pharmacokinetics and  
10 metabolism in drug discovery and development."  
11 It is -- the lead author is Lin, L-Y-N -- excuse  
12 me, L-I-N. Copyright date of 1997. This bears  
13 Pfizer Bates numbers -1847326 through -7372.

14 Now, Dr. Janero, as far I can see, this is  
15 not a publication that you cite or reference in  
16 your report. But I'll ask you: Are you familiar  
17 with this publication?

18 A. I believe I have seen it in the past,  
19 but you're right, I have not cited it in the  
20 report.

21 Q. Okay. Now, in the front page of this,  
22 there's a little table of conte- -- contents,  
23 excuse me. And you see the Roman Numeral V --

24 A. I do.

25 Q. -- at the bottom. It says,

1                   DAVID R. JANERO, Ph.D.

2       "Interindividual variability: A critical issue  
3       in drug development."

4           Do you see that?

5           A.    I see that.

6           Q.    So I want to turn to -- and one of --  
7       the subheading B is "Pharmacogenetics of Drug  
8       Metabolism."

9           Do you see that?

10          A.    I do.

11          Q.    The proposition from the Postlind  
12       reference that we just looked at that concerns  
13       pharmacogenetics of drug metabolism. Correct?

14          A.    It does.

15          Q.    Okay. Now, if you go to that section  
16       on pharmacogenetics of drug metabolism, this is  
17       at Page 436 of the reference --

18          A.    I have it.

19          Q.    -- okay, and the -- if you look -- this  
20       is a discussion underneath heading B, about  
21       midway through the second paragraph, it says,  
22       "The major polymorphisms that have clinical  
23       implications are those related to the oxidation  
24       of drugs by CYP2D6 and CYP2C19."

25          Do you see that?

1                   DAVID R. JANERO, Ph.D.

2           A.    I do.

3           Q.    Okay.  And is that consistent with your  
4 experience?  CYP2D6 has been pretty well  
5 studied --

6                   MS. WOOTEN:  Objection.  Form.

7           Q.    -- in the art?

8           A.    My impression is it's a very  
9 well-recognized metabolic oxidation pathway for  
10 drug elimination.  Yes.

11           Q.    Okay.  And if you look at the last  
12 sentence of that same paragraph, it says,  
13 "Individuals who inherit an impaired ability to  
14 catalyze one or more of these enzymatic reactions  
15 may be at an increased risk of  
16 concentration-related adverse events and  
17 toxicity."

18                   Do you see that?

19           A.    I do.

20           Q.    Is that more or less consistent with  
21 the statement from Postlind that we just looked  
22 at?

23                   MS. WOOTEN:  Objection.  Form.

24           A.    That would depend upon the specific  
25 drug.

1           DAVID R. JANERO, Ph.D.

2           Q.    Okay.  Okay.  And then it's -- then  
3 there's a subheading one, "Polymorphism and Drug  
4 Oxidation."

5           A.    I see that.

6           Q.    In fact, the first sentence says,  
7 "CYP2D6 polymorphism is perhaps the most studied  
8 genetic polymorphism in drug metabolism."

9           Do you see that?

10          A.    I do.

11          Q.    Do you agree with that?

12          A.    I have no direct databases to -- to  
13 support it or disagree with that.

14          Q.    Okay.  Now, that paragraph ends, "To  
15 date, more than 50 drugs, including  
16 antidepressants, antipsychotics, and  
17 cardiovascular drugs, are known to be catalyzed  
18 primarily by CYP2D6."

19          Do you see that?

20          A.    I do.

21          Q.    Does that sound reasonable to you?

22          A.    I have no reason to doubt that.  In  
23 fact, my experience in cardiovascular and in  
24 central nervous system-acting drugs would tend to  
25 support that as well as a very common oxidative



1 DAVID R. JANERO, Ph.D.

2 pathway.

3 Q. Okay. And, again, in the next  
4 paragraph, there's a mention that those who are  
5 poor metabolizers (PMs) of the CYP2D6-mediated  
6 drugs have a propensity to develop adverse  
7 effects, or there's a high risk.

8 Do you see that?

9 A. I do. I see that sentence.

10 Q. Now, then there's a discussion about  
11 some practical experiences with the drug going on  
12 to the next page, and it says -- on the second  
13 full paragraph, it begins, "The effects of CYP2D6  
14 polymorphism on pharmacological responses can be  
15 quite complex, depending on whether the parent  
16 drug or metabolite or both are pharmacologically  
17 active."

18 Do you see that?

19 A. I do.

20 Q. Okay. And then there's a discussion on  
21 Encanide. Do you see that?

22 A. I do.

23 Q. And as you move further down, there's  
24 another discussion of a different drug,  
25 propafenone, and it's 5-hydroxy propafenone.

1 DAVID R. JANERO, Ph.D.

2 Do you see that?

3 A. I do. It's the middle of the  
4 paragraph, yes.

5 Q. And this reference indicates that  
6 propafenone and its metabolite are similar to  
7 tolterodine -- is similar to tolterodine, a drug  
8 where both the parent and the metabolite are  
9 active. Yes?

10 MS. WOOTEN: Objection. Form.

11 A. In that general term, yes. But I don't  
12 know the activity. They're not -- they're not  
13 muscarinic agents, as far as I know. So they may  
14 have pharmacological activity.

15 That's the extent of similarity that I would  
16 see.

17 Q. Mm-hmm. And moving back up in that  
18 first case of Encanide, the conclusion is that,  
19 in both PMs and EMs, that drug produces similar  
20 therapeutic responses. Correct?

21 A. That is so stated. Yes. That's  
22 stated.

23 Q. And then moving back down to this  
24 propafenone example, it says -- I think in that  
25 second to last sentence -- the same conclusion.

1 DAVID R. JANERO, Ph.D.

2 Differences between extensive and poor  
3 metabolizers, there's no significant difference?

4 MS. WOOTEN: Objection. Form.

5 A. In terms of propafenone --

6 Q. Mm-hmm.

7 A. -- and its metabolite.

8 Q. So these represent two examples of  
9 precedent for a drug, which is both active and  
10 having an active metabolite, also being mediated  
11 by CYP2D6, where in the end, and after  
12 investigation, there was -- it was not  
13 problematic that you had this polypharmacology.  
14 Correct?

15 MS. WOOTEN: Objection. Form.

16 A. I don't know to what extent the  
17 differential activities of the metabolite in the  
18 original compound in either case here parallels  
19 quantitatively the situation with respect to  
20 tolterodine and 5-HMT.

21 Certainly, these are different agents,  
22 without question. They're not muscarinic agents  
23 whatsoever. They have different therapeutic  
24 profiles.

25 They have different targets, different

1 DAVID R. JANERO, Ph.D.

2 therapeutic results, different pharmacologies.

3 So without all of those details, I couldn't draw  
4 a parallel among these three, other than the  
5 simple statement that all three compounds we're  
6 discussing are good CYP -- appear to be facile  
7 CYP2D6 substrates and that CYP2D6 converts them  
8 to at least one active metabolite.

9 Q. Okay. If you turn to the next page on  
10 438, just before this section closes, the second  
11 full paragraph, it -- I'll read it. It says, "In  
12 view of the examples presented above, it is clear  
13 that genetic polymorphism in drug metabolism  
14 could lead to clinically significant differences  
15 in pharmacokinetics and pharmacological responses  
16 of some patients and, therefore, might result in  
17 adverse effects or therapeutic failure."

18 Do you see that?

19 A. I do.

20 Q. Again, that's more or less in line with  
21 the Postlind statement. Yes?

22 A. Could lead to. Yes.

23 Q. Okay.

24 A. Raises the possibility.

25 Q. But then it goes on to say, two

1 DAVID R. JANERO, Ph.D.

2 sentences down, "However the development of a  
3 drug is sometimes prematurely terminated based  
4 solely on the fact that its metabolism is  
5 polymorphic."

6 Do you see that?

7 A. I do.

8 Q. And then there's a disclosure in the  
9 next sentence that says, "To avoid premature  
10 termination, the clinical relevance of genetic  
11 polymorphism must be assessed carefully."

12 Do you see that?

13 A. I do.

14 Q. Do you agree with that?

15 MS. WOOTEN: Objection. Form.

16 Q. Do you agree that the clinical  
17 relevance should be assessed carefully?

18 A. I would agree with that, to avoid  
19 termination of the development.

20 Q. Okay.

21 A. Yes.

22 Q. And then it goes on to say,  
23 "Pharmacokinetic differences between phenotypes  
24 are most relevant for drugs with narrow  
25 therapeutic indices."

1                   DAVID R. JANERO, Ph.D.

2                   Do you see that?

3                   A.     I do.

4                   Q.     Do you agree with that?

5                   A.     I would, yes.

6                   Q.     And did you ever determine what the  
7 therapeutic index of tolterodine is or was?

8                   A.     I don't know it, offhand. It must  
9 be -- it must be in the literature, certainly in  
10 the corporate information. But I don't know it,  
11 nor do I know it for 5-HMT.

12                  Q.     Mm-hmm.

13                  A.     Again, my impression is 5-HMT itself  
14 would not be known, because it would not perhaps  
15 have been studied directly. But, no, I don't  
16 know those data.

17                  Q.     Okay. And the last sentence of this  
18 section says, "If the benefit of a drug is  
19 significantly greater than its risk and dosage  
20 can be titrated by direct clinical monitoring,  
21 then polymorphic metabolism is of less  
22 consequence."

23                  Do you see that?

24                  A.     I do.

25                  Q.     Do you agree with that?

1 DAVID R. JANERO, Ph.D.

2 A. Operationally, I agree with it.  
3 However, in practice, what this would do, it  
4 would tend to decrease the range over which  
5 dosing could be titrated.

6 Q. How about the first part? I mean, do  
7 you agree that the benefits that were presented  
8 by tolterodine upon its launch in 1998 were  
9 greater than any risk that was presented by the  
10 polypharmacology?

11 MS. WOOTEN: Objection. Form.

12 A. I don't know to what extent the  
13 polypharmacology, per se, contributed to any of  
14 the risk. So I really couldn't answer that  
15 question --

16 Q. Okay.

17 A. -- alone, per se.

18 MR. TRAINOR: Okay. Now, can I see --  
19 just a few more questions, and we can take a  
20 short break. We've been going about an hour.  
21 This will be 15. Right?

22 COURT REPORTER: Yes.

23 (Document Bates-stamped  
24 MYLB\_FESO\_00026903 through -6913 marked  
25 Exhibit 15.)

1 DAVID R. JANERO, Ph.D.

2 THE WITNESS: Thank you.

3 Q. I asked the court reporter to mark as  
4 Janero Exhibit 15 another publication entitled  
5 "Influence of CYP2D6 polymorphism on the  
6 pharmacokinetics and pharmacodynamics of  
7 tolterodine."

8 The lead author is Brynne, and it bears  
9 Mylan Bates numbers -26903 through -913.

10 Dr. Janero, is this the Brynne paper that's  
11 referred to in Paragraph 133 of your report that  
12 we were just looking at, Page 44 of Exhibit 1?

13 A. Forty-four. I believe it is, yes.

14 Q. Okay. Have you reviewed this paper  
15 since you submitted your report?

16 A. I have not.

17 Q. Now -- well, let me ask you: What is  
18 about, if you know, in Paragraph 133, I guess  
19 independent of the Postlind reference, this, in  
20 combination with some other references, supports  
21 your view that the patent claims are invalid?

22 Can you tell me what particular teaching or  
23 teachings of Brynne support that opinion?

24 A. One is that the paper teaches me that  
25 CYP2D6 transforms tolterodine into 5-HMT in



1                   DAVID R. JANERO, Ph.D.

2       humans, in male subjects. And allied with the  
3       previous data, 5-HMT is a good antimuscarinic  
4       agent that's effective in relaxing acetylcholine  
5       contracted bladder.

6           Q.     Okay. Now, you see the conclusion in  
7       the abstract, the last sentence says, "Despite  
8       the effect on pharmacokinetics, the CYP2D6  
9       polymorphism does not appear to be of great  
10      importance in the antimuscarinic effect probably  
11      because of the addited action -- additive action  
12      of the parent drug and active metabolite."

13           Do you see that?

14           A.     Yes, I do.

15           Q.     Okay. Would you agree that that  
16      conclusion is supported by the data that are  
17      presented in this paper?

18           MS. WOOTEN: Objection. Form.

19           A.     In these particular subjects, that  
20      would appear to be so.

21           Q.     Okay. Could you turn to -- it's Page 5  
22      of this Brynne paper.

23           A.     Yes.

24           Q.     It's in the discussion. And at the  
25      very end of the first column, there's a sentence

1                   DAVID R. JANERO, Ph.D.

2       that carries over. It says, "In an in vitro  
3       study, hydroxylation of tolterodine showed strong  
4       correlation with CYP2D6 activity, whereas  
5       dealkylation correlated with CYP3A4 activity."

6           Do you see that?

7           A.    I do, but I believe it's CYP3A  
8       activity.

9           Q.    Sorry. CYP3A activity. Then there's a  
10       citation to reference No. 26. Do you see that?

11          A.    I do.

12          Q.    And if you look at the references,  
13       you'll see reference 26 is the Postlind  
14       reference?

15          A.    Yes.

16          Q.    Okay. Now, right after, there's a  
17       following sentence and then the next paragraph  
18       says, "In contrast to the kinetic data, the  
19       pharmacodynamics of tolterodine were not  
20       generally influenced by metabolic phenotype."

21       Do you see that?

22       A.    I see that.

23       Q.    So does that suggest to you that the  
24       possible problem posed by the metabolism of  
25       tolterodine that was reported in Postlind had

1 DAVID R. JANERO, Ph.D.

2 subsequently been considered, addressed, and  
3 determined not to be problematic for tolterodine?

4 MS. WOOTEN: Objection. Form.

5 A. It would not lead me to conclude that  
6 it was -- that the -- that the polymorphism was  
7 not problematic.

8 I concluded from that, and the previous  
9 quotes from this paper, that the pharmacodynamics  
10 of tolterodine were not generally influenced by  
11 the difference in enzymatic phenotype.

12 MR. TRAINOR: Mm-hmm. I see. Okay.  
13 Now, why don't we take a quick break.

14 THE VIDEOGRAPHER: The time now is  
15 14:27, and we are off the record.

16 (A recess was taken.)

17 THE VIDEOGRAPHER: The time now is  
18 14:35, and we are back on the record.

19 BY MR. TRAINOR:

20 Q. Okay. Dr. Janero, just staying with  
21 Exhibit 15, this Brynne paper, on Page 534 there  
22 begins a section on  
23 pharmacokinetic-pharmacodynamic relation?

24 A. I see that.

25 Q. And then on the next page, in the

1                   DAVID R. JANERO, Ph.D.

2 left-hand column, that begins a discussion with  
3 respect to salivation. It's the sentence that  
4 begins, "Tolterodine caused a decrease in  
5 salivation among all subjects."

6           A.    I see that.

7           Q.    Okay. And it goes on and carries over  
8 into the next paragraph -- next column. And it  
9 says, "A distinct drug effect was nevertheless  
10 obtained for four of eight extensive metabolizers  
11 and most of the poor metabolizers after oral  
12 administration."

13           Do you see that?

14          A.    I do.

15          Q.    Okay. And it says, "For extensive  
16 metabolizers, the effect was equally pronounced  
17 after intravenous, compared with oral, where  
18 salivation was less affected among poor  
19 metabolizers after the infusion."

20           Do you see that?

21          A.    I do.

22          Q.    Doesn't that suggest to you or the  
23 reader that of the two agents, the agent most  
24 influencing the dry mouth side effect is actually  
25 the 5-HMT?

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Form.

3 A. I actually -- if you go back to the  
4 sentence, please, that begins, "A distinct drug  
5 effect was nevertheless."

6 Q. Mm-hmm.

7 A. I read that as saying, mean number  
8 50 percent of extensive metabolizers and most of  
9 the poor metabolizers, after oral administration,  
10 had a drug effect on saliva secretion.

11 So I would interpret that as saying that the  
12 poor metabolizers of tolterodine, more of them  
13 had the adverse effect on saliva secretion than  
14 did the extensive metabolizers, who would have  
15 converted more of the tolterodine to 5-HMT.

16 Q. Mm-hmm. Okay. But as you mentioned  
17 before, when we looked at the label, you can't be  
18 sure which agent is really responsible. Correct?

19 A. Unless 5-HMT itself were tested  
20 directly, at equidose and so on, that is correct.

21 Q. Okay. Turning on to the next page,  
22 about seven lines down, it says, "The relation  
23 between salivary effect and unbound serum  
24 concentrations of tolterodine and 5-HM for  
25 extensive metabolizers is shown in 5A." And then

1 DAVID R. JANERO, Ph.D.

2 it says, "There was a weak correlation between  
3 tolterodine concentration and effect on  
4 salivation."

5 A. Mm-hmm.

6 Q. "A stronger correlation was seen with  
7 5-HM concentration and effect."

8 Do you see that?

9 A. I do.

10 Q. So does that suggest to you that of the  
11 two agents, the 5-HMT is more responsible for the  
12 effect on salivation?

13 A. No, because the correlation study never  
14 establishes causality.

15 Q. Okay. Okay. How about in the next  
16 paragraph? It says, "All 16 volunteers completed  
17 the study. No severe adverse events were  
18 reported."

19 And then it says, "The most frequently  
20 reported adverse events were headache (two  
21 extensive metabolizers and four poor  
22 metabolizers), dry mouth (four extensive  
23 metabolizers and two poor metabolizers)."

24 Do you see that?

25 A. I do.

1 DAVID R. JANERO, Ph.D.

2 Q. Does that suggest to you given that  
3 poor metabolizers have almost all tolterodine and  
4 extensive metabolizers convert primarily  
5 extensively to 5-HMT, that 5-HMT is the more  
6 responsible agent for the dry mouth?

7 MS. WOOTEN: Objection. Form.

8 A. Pardon me. No. I read this because  
9 of -- I read this sentence in terms of the  
10 frequency of report. And if we go on and we take  
11 this sentence, as a whole, my impression is that,  
12 in terms of the quantitative tally, that poor  
13 metabolizers, especially with respect to abnormal  
14 visual accommodation, can have basically an  
15 exclusive side effect, if you will, in this --  
16 again, in this population of 16 male subjects who  
17 do not suffer from a urinary problem.

18 Q. Right. By the same token, the  
19 tachycardia is only experienced by those  
20 converting to 5-HMT. Correct?

21 A. According to this, yes. The four out  
22 of the 16 male subjects.

23 Q. Right. Doesn't that suggest that if  
24 you isolate 5-HMT, you're more likely to have  
25 patients exhibit tachycardia?

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Form.

3 A. A reported adverse event does not  
4 equate with a clinically significant event, so I  
5 cannot answer that question.

6 Q. Okay.

7 A. In fact, the next sentence does say  
8 most events were judged as mild. Again, I don't  
9 know what the spectrum of clinical outcome would  
10 be. Therefore, I can't -- I can't quantify the  
11 effect in any of these cases.

12 Q. Okay. Well, are you aware of anything,  
13 other than the results reported in this Brynne  
14 study, that even attempt to segregate adverse  
15 effects as between 5-HMT and tolterodine?

16 MS. WOOTEN: Objection. Form.

17 A. I don't believe that this study  
18 segregates between the two. As I mentioned  
19 before, pharmacological segregation of effect  
20 would involve parallel analysis of 5-HMT, alone,  
21 under exactly the same conditions with exactly  
22 the same dosing protocol, exactly the same route  
23 of dosing, exactly the same subject or subject  
24 population, head-to-head, under the same  
25 conditions. That was not achieved in this study.



1 DAVID R. JANERO, Ph.D.

2 Q. Mm-hmm. And if no such head-to-head  
3 study existed, then how would you -- would one of  
4 ordinary skill ever have the expectation that  
5 5-HMT would reduce side effects?

6 MS. WOOTEN: Objection. Form.

7 A. As I mentioned before, the chemical  
8 nature of 5-HMT, as a dialcohol versus  
9 tolterodine, would indicate that it would have  
10 less propensity to cross passively the  
11 blood-brain barrier because of its relatively  
12 greater hydrophilicity, lower lipophilicity, and  
13 also the attraction of 5-HMT, the amount of  
14 available drug, available antimuscarinic to  
15 effect the clinical outcome would be greater,  
16 because of its lower binding capacity to serum  
17 protein and lipoproteins.

18 Q. But when I asked you before as to CNS  
19 effects that would be caused by a crossing of the  
20 blood-brain barrier, whether it was reasonable to  
21 infer that, if anything, 5-HMT is responsible,  
22 given that that is what is most exposed in the  
23 majority of patients, you said you can't draw  
24 that conclusion, even based on data.

25 Are you suggesting to me that you can draw

1 DAVID R. JANERO, Ph.D.

2 that conclusion and develop a drug based on a  
3 two-dimensional diagram of the chemical  
4 structure?

5 MS. WOOTEN: Objection. Form.

6 A. No. I'm simply saying that the  
7 potential to do so, to me, would exist because of  
8 the dialcohol property of the 5-HMT versus  
9 tolterodine.

10 Q. Mm-hmm.

11 A. The experimental data -- as I've  
12 alluded to, the data would be necessary to answer  
13 that question definitively.

14 Q. Right. And the data was not available.  
15 Correct?

16 A. Not in the public domain that I have  
17 been able to access.

18 Q. Mm-hmm.

19 A. I cannot speak for internal  
20 documentation or studies.

21 Q. Now, the chemical structure of  
22 tolterodine, does that suggest to you that it, in  
23 fact, has an unsafe or risky propensity to cross  
24 the blood-brain barrier and cause side effects,  
25 as a practical matter?

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Form.

3 A. In and of itself, no.

4 Q. Okay. And I guess I'd just like to  
5 know what the expectation would have been of a  
6 person of ordinary skill in the art who did  
7 decide to make a prodrug or otherwise deliver  
8 5-HMT, per se, if you will, what the expectation  
9 would be with respect to the benefit of that  
10 prodrug or that administration over tolterodine,  
11 as it was reported in the prior art?

12 MS. WOOTEN: Objection. Form.

13 A. Let me understand the question. You're  
14 saying either as a prodrug or the actual agent?  
15 5-HMT would be the only active agent we're  
16 talking about now.

17 Q. Correct.

18 A. Okay.

19 Q. What benefit would you expect to gain  
20 by administering it solely?

21 A. Well, one expectation would be, as I  
22 say, you would have -- compared to tolterodine  
23 administration, you would have less propensity to  
24 cross the blood-brain barrier passively, because  
25 you would have a more hydrophilic, less

1                   DAVID R. JANERO, Ph.D.

2       lipophilic agent.

3           You would expect to have the potential for  
4       greater efficacy, because you would have more  
5       free compound than bound, more free -- free  
6       component being able to bind and interdict in  
7       terms of the acetylcholine bladder contraction  
8       and have a therapeutic effect thereof.

9           You would also have the ability to deliver  
10      one active agent doing essentially the same --  
11      effecting the same therapeutic outcome, rather  
12      than having two active agents that could have  
13      variability among patient populations, among  
14      subjects --

15           Q.     Right.

16           A.     -- in the clinic.

17           Q.     But the conclusion of the Brynne paper  
18      is that having two active agents does not create  
19      any problems with respect to tolterodine?

20           MS. WOOTEN:  Objection.  Form.

21           Q.     Correct?

22           A.     I'm looking at the text in the  
23      conclusion.  In the antimuscarinic effect,  
24      probably because of the additive action of parent  
25      drug and active metabolite.

1                   DAVID R. JANERO, Ph.D.

2           Q.     Mm-hmm.

3           A.     So this is a conjecture, probable,  
4 because of the additive action of parent drug and  
5 active metabolite. So I would submit that that  
6 also could compel someone to focus on the active  
7 metabolite as a sole agent for delivery or for --  
8 as a therapeutic small molecule, as a chemical  
9 therapeutic.

10          Q.     Would there be any practical difference  
11 between the biological effects of 5-HMT, in  
12 isolation, and extensive metabolizers'  
13 administered tolterodine?

14                   MS. WOOTEN: Objection. Form.

15          A.     There is -- there is the possibility.  
16 I don't know the data. But, again, we'll go back  
17 to the Brynne paper that we're looking at, at the  
18 moment. The implication from the data, "The  
19 findings imply that at least 80 percent of a  
20 systematically available dose of tolterodine is  
21 metabolized by CYP2D6 to 5-HM in extensive  
22 metabolizers."

23                   So in that very patient population, there's  
24 perhaps, according to this quantification,  
25 20 percent or so of tolterodine still there and

1 DAVID R. JANERO, Ph.D.

2 still active.

3 Q. Right.

4 A. So --

5 Q. And what are the consequences of that?  
6 Is there any evidence that that would be  
7 problematic?

8 MS. WOOTEN: Objection. Form.

9 A. Without data comparing tolterodine  
10 versus 5-HMT, alone, under exactly the  
11 circumstances, I don't know how that could be  
12 parsed out to answer that question.

13 Q. And the CYP34A enzyme metabolizing the  
14 unmetabolized tolterodine. No?

15 A. It does. It, in fact, creates a unique  
16 metabolite as a result of that enzymatic  
17 transformation.

18 Q. Which is inactive. Correct?

19 A. As far as the literature says. Yes, it  
20 is inactive.

21 Q. And that remaining 20 percent is pretty  
22 extensively metabolized, you would conclude,  
23 would you not, when you look at Page 537 at the  
24 bottom of the first column, and it says that,  
25 "Only 2.5 percent of intact tolterodine is

1 DAVID R. JANERO, Ph.D.

2 excreted."

3 Do you see that?

4 A. Is that "only minimum amounts"?  
5 Starting at that? Where, exactly, are we?

6 Q. The last complete sentence in the  
7 column, it says, "Metabolism" --

8 A. Ah, yes. Sorry. I've got it. Yes,  
9 basically tolterodine, as well as 5-HMT, are --  
10 share the elimination route through that 3A, the  
11 CYP3A.

12 Q. So that being the case, what would be  
13 the risk of unmetabolized tolterodine?

14 A. If it were -- being relatively more  
15 lipophilic than the dialcohol 5-HMT, if it were  
16 to have a greater permeability across the  
17 blood-brain barrier, that could be a potential  
18 risk, and that could underlie some of the adverse  
19 event profile.

20 And not having a direct comparison with  
21 5-HMT, alone, we don't have the data to make that  
22 conclusion quantitatively.

23 Q. Okay. Now --

24 (Discussion off the record.)

25 Q. Now, let's -- I'll assume now, for a

1 DAVID R. JANERO, Ph.D.

2 moment, that -- well, probably for the rest of  
3 this deposition, that one of ordinary skill would  
4 start with 5-HMT as a lead compound.

5 My question is: Why would one use a prodrug  
6 to deliver 5-HMT rather than some other vehicle?

7 MS. WOOTEN: Objection. Form.

8 A. In my opinion, knowing that 5-HMT is  
9 not only an effective antimuscarinic, but also a  
10 component of the efficacy of the parent compound,  
11 tolterodine, this, to me, would provide a very  
12 effective lead, in terms of simple chemical  
13 elaboration, to maintain 5-HMT's therapeutic  
14 effect at the target organ of interest, the  
15 bladder.

16 Q. Mm-hmm. And I'm saying -- I'm assuming  
17 that now. I want to know why not deliver it --  
18 why not just administer 5-HMT orally?

19 A. I believe that, my understanding of the  
20 proprietary field, the patent art, is that 5-HMT  
21 was already a proprietary -- it was already  
22 claimed by another party.

23 Q. Is that the only reason to believe why  
24 one wouldn't administer it directly?

25 A. If I were in a drug company that --



1 DAVID R. JANERO, Ph.D.

2 who's depending upon -- that depended upon  
3 revenue to support my ongoing R&D and to  
4 establish a product line, that could be a very  
5 important factor.

6 Q. What is an important factor?

7 A. The ability -- the inability to market  
8 the drug, to market the compound as a drug.

9 Q. Okay. Because of the patent situation?

10 A. Because of the inability to penetrate  
11 the market with an agent that you would, in  
12 essence, own and gain revenue from.

13 Q. Okay. I'm not sure if I understand the  
14 answer. But let me just ask you this: Let's  
15 just assume there was no patent coverage anywhere  
16 on 5-HMT, so they didn't have that constraint --

17 A. I understand.

18 Q. -- why wouldn't a person of skill in  
19 the art of drug development just administer  
20 5-HMT, per se?

21 MS. WOOTEN: Objection. Form.

22 A. Under the circumstance where there was  
23 no prior disclosure of 5-HMT other than it was a  
24 metabolite, an active metabolite of tolterodine,  
25 offhand, I don't see any reason why one wouldn't

1                   DAVID R. JANERO, Ph.D.

2 administer that, at least test it preclinically.

3           I believe regulatory authorities would not  
4 allow its direct administration as a substitute  
5 or proxy for tolterodine, even though it were an  
6 active metabolite.

7           It would still have to go through the  
8 development and -- the development process.

9           Q.    Right. You'd have to --

10          A.    Right.

11          Q.    -- demonstrate to the regulatory  
12 authorities?

13          A.    Right. Yes. That's it.

14          Q.    But certainly the reasonable drug  
15 developer would at least try to administer it  
16 orally, before going to the trouble of a prodrug.  
17 Correct?

18                   MS. WOOTEN:  Objection.  Form.

19          A.    That would, to me, be a logical  
20 experiment.  Perhaps that was the basis for the  
21 experiment, the in vivo experiments, Exhibit 12,  
22 that Nilvebrant, et al, in the cat model, in the  
23 feline model, where it was administered  
24 separately.

25          Q.    Okay.

1                   DAVID R. JANERO, Ph.D.

2           A.     Again, I have no knowledge of internal  
3 experiments. Therefore, I cannot say whether  
4 there have been other experiments. But in the  
5 public literature, that is one example.

6           Q.     Okay. Now, I think in your expert  
7 report, I don't believe that was the reason that  
8 you suggested one would try to make a prodrug of  
9 5-HMT.

10           Let me see. I believe that what you had  
11 suggested was that you would make a prodrug  
12 because a person of ordinary skill in the art  
13 would expect that 5-HMT, administered orally,  
14 would not be absorbed.

15           I'm just jumping around here. If you go to  
16 Paragraph 48, Page 15.

17                                 (Witness complies.)

18           Q.     And you've got this first paragraph --

19           A.     Mm-hmm.

20           Q.     -- that says how to avoid the  
21 complications of tolterodine, "maintain positive  
22 attributes of 5-HMT."

23           And then in the next paragraph, it says, "A  
24 person of ordinary skill in the art would  
25 recognize that it's more hydrophilic than

1                   DAVID R. JANERO, Ph.D.

2   tolterodine, seek to avoid the potential  
3   limitation of 5-HMT absorption across the gut and  
4   into the systemic blood circulation."

5           Do you see that?

6           A.    I do.

7           Q.    So which is the reason, you wouldn't  
8   attempt to administer it orally because of the  
9   patent restraints, or one wouldn't try to  
10   administer it orally because the limitation of  
11   absorption?

12          A.    Both could play a part.  We're talking  
13   here about absorption across the gut into the  
14   systemic circulation.

15          Q.    Mm-hmm.

16          A.    Without that absorption, the active  
17   agent would not reach the target organ; namely,  
18   the bladder.

19          Q.    Mm-hmm.

20          A.    So there has to be a balance here along  
21   the hydrophil- -- hydrophobic scale so that you  
22   could leverage the physical properties of 5-HMT  
23   so that they would be hydrophilic enough, more  
24   hydrophilic than tolterodine so as to limit the  
25   nervous system exposure.  But not so much as to

1 DAVID R. JANERO, Ph.D.

2 compromise absorption across the gut so that the  
3 agent would enter -- could enter the systemic  
4 blood circulation and reach the target organ.

5 Q. Okay. Well --

6 A. If --

7 Q. -- what if you didn't alter 5-HMT at  
8 all, then you wouldn't increase the propensity to  
9 cross the blood-brain barrier, as long as you  
10 could get it absorbed through the gut?

11 MS. WOOTEN: Objection.

12 Q. Why not do that?

13 MS. WOOTEN: Objection. Form.

14 A. Theoretically, that would be possible,  
15 but in light of the comparative data, I can't  
16 answer that question. Theoretically, it's  
17 possible.

18 Q. Well, I mean, it's kind of an important  
19 point. Right? Because my understanding, from  
20 your report, is that the reason you make a  
21 prodrug, as opposed to any other design  
22 alternative or as opposed to administering 5-HMT,  
23 per se, is because you don't expect that it would  
24 get across the gut wall and get absorbed?

25 A. That could be one consideration. But

1                   DAVID R. JANERO, Ph.D.

2     the other consideration, for example, to  
3     leverage, in another chemical form, the  
4     properties of that active metabolite, that active  
5     agent; the other chemical form being the prodrug  
6     that would then be converted to that active  
7     agent; the prodrug being a new chemical entity.  
8     That would be another factor.

9           Q.     Well, didn't you testify earlier that  
10    the objective is to simplify the process,  
11    simplify the metabolic pathway, when possible?

12           A.     Yes.

13           Q.     Is that always preferred?

14           A.     And that's why I believe, in this case,  
15    a prodrug of 5-HMT simplifies, we know, the  
16    tolterodine metabolic pathway, but also  
17    continues, preserves, leverages the profile of  
18    5-HMT as an effective muscarinic agent that binds  
19    to the M3 and other receptors with high affinity.

20           Q.     I understand that. But what I'm asking  
21    is: Why -- what you've just described certainly  
22    is not -- strike that.

23           What you've just described is not more  
24    simple than just administering 5-HMT.

25           A.     No.

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: What -- objection. Form.  
3 I'm not sure if that was a question.

4 A. It is more simple, in my opinion, than  
5 altering the basic structure of 5-HMT to arrive  
6 at another compound with 5-HMT-like  
7 pharmacological and therapeutic properties.

8 Q. You mean --

9 A. That was my point.

10 Q. -- making an analog?

11 A. Yes. A different -- yes.

12 Q. I understand.

13 A. Not an ester.

14 Q. I understand.

15 A. Right.

16 Q. Just making a different 5-HMT analog,  
17 like tolterodine, you're saying that would just  
18 be no less complicated, maybe more complicated.  
19 I understand that.

20 What I'm saying is making a prodrug of 5-HMT  
21 is not less simple than just administering 5-HMT  
22 or at least trying. Correct?

23 A. I agree.

24 Q. Okay. And so why would you not  
25 expect -- why would you not try to just

1 DAVID R. JANERO, Ph.D.

2 administer 5-HMT orally, per se, instead of  
3 making a prodrug?

4 MS. WOOTEN: Objection. Form.

5 A. If I could not obtain a marketable  
6 entity out of that 5-HMT, alone, as 5-HMT, the  
7 active agent, as a marketable chemical  
8 therapeutic, this, to me, would not be attractive  
9 in terms of drug discovery and development --  
10 commercial drug discovery and development.

11 Q. I understand that.

12 A. That would be, to me, a driver. And,  
13 as I understand the situation, more globally,  
14 that is the case in this scenario.

15 Q. Okay. I understand. What I'm saying  
16 is Paragraph 49 does not suggest that that's not  
17 why you would not first try to administer 5-HMT  
18 orally.

19 It's suggesting that you would make a  
20 prodrug because a person of skill did not expect  
21 5-HMT would be absorbed sufficiently, if  
22 administered, per se; is that correct?

23 A. That could be one -- that could be an  
24 expectation, because it could be a bit too  
25 hydrophilic to cross or to be absorbed by the gut



1                   DAVID R. JANERO, Ph.D.

2       mucosa, not the blood -- not across the  
3       blood-brain barrier. This is a possibility.

4           Q.     In your experience, assuming you don't  
5       have these patent restrictions, wouldn't you  
6       certainly attempt that administration, per se,  
7       and see whether it gets absorbed sufficiently  
8       before going to the trouble and expense of making  
9       a prodrug?

10           MS. WOOTEN:  Objection.  Form.

11           A.     I would be surprised if that had not  
12       been done. I do not know the results, though,  
13       if it had been done.

14           Q.     Would you expect a person of ordinary  
15       skill in 1998 to attempt to administer 5-HMT, per  
16       se, before going to the time and expense of  
17       making a prodrug?

18           MS. WOOTEN:  Objection.  Form.

19           A.     I would have.

20           Q.     Okay. And the -- a prodrug, assuming  
21       it works -- I understand everything you suggest  
22       with regard to its benefits. But you would agree  
23       with me that there are a great number of  
24       variables and uncertainties in prodrug design.  
25       Correct?

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Form.

3 A. In general, yes. But those  
4 variabilities and uncertainties are tempered and  
5 restricted by knowledge of, in this case, 5-HMT  
6 structure and what 5-HMT does and what it -- what  
7 its profile is pharmacologically.

8 These, to me, represent great advantages in  
9 design of a 5-HMT prodrug versus design of  
10 prodrugs perhaps in other areas, where the  
11 profiling were not as -- as extensive for the  
12 parent compound or the models were not as well  
13 established, for example.

14 Q. Okay. And according to --

15 (Discussion off the record.)

16 Q. I think the support for your opinion  
17 with regard to the obviousness of making a  
18 prodrug; in other words, support for the  
19 obviousness of that design approach, you rely  
20 primarily on this Bundgaard reference. Is that  
21 right?

22 A. It was certainly one of the most  
23 comprehensive.

24 Q. Okay. And we can look at this --

25 MR. TRAINOR: We can mark this.

1                   DAVID R. JANERO, Ph.D.

2           Q.    We can look at this, but would you  
3 agree with me that among the many things in this  
4 text, that Bundgaard text, there's a suggestion  
5 that if you're going to make a prodrug, you need  
6 to understand what problem it is that you're  
7 trying to solve. Correct?

8                   MS. WOOTEN: Objection. Form.

9           A.    That's one possibility. Another  
10 possibility is you would like to see what  
11 potential improvements you could make or what  
12 potential new chemical entity you could market.

13           Q.    Okay. Well, the first part of that is  
14 the other side of the coin.

15                   In other words, if my problem is absorption,  
16 I would follow teachings about prodrugs that were  
17 made to overcome an absorption problem. Correct?

18           A.    Yes. And perhaps you would, as I  
19 allude to here in Paragraph 49, you might alter  
20 the hydrophilicity a bit by making an ester, for  
21 instance. For instance.

22           Q.    I understand. But the -- right. And  
23 the point is that you're trying to make the  
24 prodrug more lipophilic than the metabolite, if  
25 you will, in the instance where absorption is

1                   DAVID R. JANERO, Ph.D.

2    what you're trying to resolve. Correct?

3           A.    Resolve, improve, differentiate.

4           Q.    Right. You wouldn't necessarily always  
5    try to make a more lipophilic prodrug if that  
6    wasn't the issue with the metabolite compound  
7    you're trying to convert to?

8           MS. WOOTEN: Objection. Form.

9           A.    I could see where there would be cases  
10   where you would. For example, suppose you had a  
11   dosing regimen that was limited by a limited  
12   absorption. For example, your dose range was  
13   very, very limited.

14           You might then want to develop a prodrug  
15   that would have a wider dose range so that,  
16   potentially, if it reached the clinic, you would  
17   be able to prescribe various dosing regimens for  
18   a wider patient population than simply one dose  
19   for perhaps a more circumscribed patient  
20   population.

21           MR. TRAINOR: Okay.

22           Q.    Now, all I was really trying to just  
23   sort of establish for these questions with the  
24   prodrug is the assumption in following the  
25   prodrug teachings is that absorption is the issue

1 DAVID R. JANERO, Ph.D.

2 that needs to be resolved with 5-HMT. Correct?

3 MS. WOOTEN: Objection. Form.

4 A. I don't believe that it's an issue that  
5 needs to be resolved. I think the potential  
6 avenue for improvement because of the dialcohol  
7 nature of the compound could invite limitation  
8 for absorption across the gut into the blood  
9 circulation. Without that, there is no  
10 pharmacological activity.

11 Q. Right. But if it's sufficiently  
12 absorbed, there is no reason to go to the  
13 complexity of a prodrug at all. Correct?

14 A. No. There could be. As I've just  
15 alluded to, the idea that if you have sufficient  
16 absorption to give a pharmacological effect, but  
17 that absorption is such that the efficacious dose  
18 is limited in some way --

19 Q. Okay.

20 A. -- then you might wish to enhance,  
21 alter the gut absorption to improve that  
22 parameter, enhance that parameter so that you  
23 could increase the dose range, increase the  
24 dynamic range over which you could give the drug,  
25 to have an effect over a wider range of

1 DAVID R. JANERO, Ph.D.

2 population.

3 Q. Okay. What evidence are you aware of  
4 that 5-HMT's absorption was such that there was  
5 room for improvement or insufficient  
6 bioavailability?

7 MS. WOOTEN: Objection. Form.

8 A. I do not know of any study that looked  
9 specifically at the bioavailability of 5-HMT,  
10 alone.

11 Q. Mm-hmm. Okay.

12 A. So I cannot answer that question.

13 Q. Well, then how can you come to the  
14 opinion that one would simply make a prodrug of  
15 5-HMT without having the data to provide that  
16 justification?

17 A. If you're in a situation where 5-HMT,  
18 which this is, is an effective antimuscarinic,  
19 effectively produces relaxation of the  
20 acetylcholine contracted bladder, and we're  
21 putting aside, as I understand it, an important  
22 factor here, that prior art basically prohibits  
23 5-HMT from reaching the marketplace for this  
24 particular commercial entity we're discussing, as  
25 a drug.

1                   DAVID R. JANERO, Ph.D.

2           Q.     Mm-hmm.

3           A.     Then one would -- in my opinion, it  
4 would be very attractive to leverage the  
5 pharmacological properties of 5-HMT as an  
6 antimuscarinic agent, active at the bladder, in  
7 terms of a prodrug design approach.

8           Q.     All right. But how much more are you  
9 leveraging it over the administration of 5-HMT,  
10 alone, if 5-HMT is sufficiently absorbed?

11           MS. WOOTEN: Objection. Form.

12           A.     We would need the data to show, but if  
13 5-HMT is sufficiently absorbed, is  
14 ultra-absorbed, if I don't have a drug, if I  
15 don't have a commercial entity out of the  
16 situation, at the end of the day, I have nothing,  
17 regardless of its pharmacological profile, in  
18 terms of the marketplace, in terms of therapy, in  
19 terms of the pharmacopeia, in terms of the  
20 clinic.

21                   (Document Bates-stamped

22           MYLB\_FESO\_00026925 through -7120 marked  
23           Exhibit 16.)

24           Q.     Mm-hmm. Okay. Now, the Bundgaard  
25 paper that I just marked, I'm sorry, marked as

1                   DAVID R. JANERO, Ph.D.

2       Exhibit No. 16, Janero 16, is a multi-page  
3       publication that we've been referring to here as  
4       the "Bundgaard publication."

5               This is -- it looks like a copy of an actual  
6       textbook on the first page, the title of which is  
7       "Design of Prodrugs." The editor is Bundgaard.  
8       And these are Mylan Bates numbers -26934 through  
9       -27120.

10              Now, Dr. Janero, you recognize this text?

11              A.    I do.

12              Q.    Is this -- is this something that you  
13       pulled up in your search for prior art?

14              A.    I believe I obtained it through  
15       counsel.

16              Q.    Okay. Now, if you look at -- if you  
17       turn a few pages in, there's an introduction, and  
18       it just sort of walks through, with a sentence or  
19       two, a description of what each of the following  
20       chapters concerns.

21              A.    I see that.

22              Q.    And that's followed by a table of  
23       contents. Do you see that?

24              A.    I do.

25              Q.    If I'm not mistaken, your reliance on



1 DAVID R. JANERO, Ph.D.

2 this Bundgaard text is limited to the first  
3 chapter, "Design of Prodrugs: Bioreversible  
4 Derivatives or Various Functional Groups and  
5 Chemical Entities."

6 Do you see that?

7 A. That's correct. Yes, I do.

8 Q. And if we turn to that chapter, okay,  
9 there is an introduction and then there is a  
10 section on -- well, before you get to the  
11 Section 2, on Page 2 of the reference, I believe  
12 that you have -- if you look at almost -- about  
13 three lines up from the end of the text, it says,  
14 "In the past, esters mostly have been considered  
15 as prodrug types, and the best known prodrugs  
16 are, in fact, esters of drugs containing hydroxyl  
17 or carboxyl groups."

18 Do you see that?

19 A. I do.

20 Q. I think you relied on that statement in  
21 your report, in your opinions. Right?

22 A. Yes.

23 Q. And then it goes on to say, "Various  
24 reviews have dealt with esters, and, therefore,  
25 this important class will only be briefly treated

1                   DAVID R. JANERO, Ph.D.

2       herein." And I think that treatment is in this  
3       following Section 2 on esters as prodrugs.

4                   Do you see that?

5                   A.     I do.

6                   Q.     Okay. Now, the fact that esters had  
7       been historically used and best known for drugs  
8       containing hydroxyl and carboxyl groups doesn't  
9       mean that there are not other promoieties that  
10      were available to one of skill in the art at the  
11      time. Correct?

12                  MS. WOOTEN: Objection. Form.

13                  A.     Correct.

14                  Q.     Okay. Now, the Table 2 here on Page 3,  
15      there is a great number of different esters that  
16      could be used. Correct?

17                  A.     Correct.

18                  Q.     So let me just ask you: Why is it your  
19      opinion that one of ordinary skill in the art, at  
20      the time, would not have considered other  
21      promoieties besides esters?

22                  MS. WOOTEN: Objection. Form.

23                  A.     The person skilled in the art, I  
24      believe at that time, would leverage the known  
25      distribution, the known activities and the known

1                   DAVID R. JANERO, Ph.D.

2       promiscuity of esterases in the human body, as  
3       well as in other mammals, to convert prodrug into  
4       active agent, in the classic definition of a  
5       prodrug.

6                This is why I believe, as stated by  
7       Bundgaard, esters have mostly been considered as  
8       prodrug types, and the best known prodrugs are,  
9       in fact, esters of drugs containing hydroxyl,  
10      carboxyl groups, because these are readily  
11      transferred, very rapidly, catalytically, into  
12      active agent.

13           Q.     Okay. And is what you're referring to  
14      the fact that esterases are ubiquitous in the  
15      body?

16           A.     Esterase activity is ubiquitous in the  
17      body. Yes.

18           Q.     Okay. Now the -- keep that open.

19                If you look at the figures of tolterodine,  
20      5-HMT, and fesoterodine you've got here nicely on  
21      Page 7 of your report.

22           A.     I see that. Yes.

23           Q.     Okay. Now, fesoterodine's ester group  
24      has got a little circle around it there?

25           A.     I see that. Yes.

1 DAVID R. JANERO, Ph.D.

2 Q. What type of ester is that?

3 A. That's a hydrocarbon ester, simple  
4 hydrocarbon ester.

5 Q. Okay. Now --

6 A. Isopropyl, to be exact.

7 Q. Mm-hmm. Now, the fact that esterases  
8 are ubiquitous in the body and available to  
9 convert an ester prodrug means that it's possible  
10 that the prodrug will convert quick -- too  
11 quickly before it even reaches the systemic  
12 circulation. Correct?

13 A. That's a possibility. However, that  
14 would depend upon the local esterase activity  
15 with respect to this specific prodrug as  
16 substrate at the level of the gut.

17 Q. Okay. Well, what would stop it from  
18 being converted in the GI tract by all the  
19 esterases that are there?

20 MS. WOOTEN: Objection. Form.

21 A. If the compound presented the  
22 esterases, did not or was limited in terms of its  
23 engaging the catalytic, the active site of the  
24 enzyme, it would, therefore, have limited ability  
25 to be converted, because the enzyme would have

1 DAVID R. JANERO, Ph.D.

2 limited recognition of that agent, as substrate.

3 In other words, just because esterases are  
4 ubiquitous doesn't mean that they are -- that  
5 they -- that any esterase will recognize any  
6 substrate.

7 There is a substrate specificity associated  
8 with esterases.

9 Q. Okay. Well, what esterases would this  
10 prodrug that you proposed would recognize the  
11 prodrug -- I mean, I'm not sure I understand. I  
12 thought esterases are esterases, wherever they  
13 are in the body.

14 A. Right.

15 Q. Okay. Go ahead.

16 A. An esterase, no matter what its  
17 substrate, introduces the elements of water,  
18 hydrogen and OH --

19 Q. Mm-hmm.

20 A. -- across an ester bond. That's why,  
21 for example, fesoterodine, an esterase, acts on a  
22 fesoterodine, we obtain back as product the  
23 dialcohol 5-HMT. In other words, the 2 position  
24 is reconverted to the alcohol.

25 Q. Mm-hmm.

1                   DAVID R. JANERO, Ph.D.

2           A.    So, in general, that is so. All  
3    esterases are protein machines that catalyze that  
4    activity.

5           However, the esterases vary in terms of  
6    enzyme type. They vary in terms of tissue  
7    concentration. They vary in terms of plasma  
8    concentration.

9           They're promiscuous, in the sense that most  
10   enzymes will recognize a very limited number,  
11   perhaps one, substrate.

12          Q.    Mm-hmm.

13          A.    Mammalian esterases have more of a  
14   variety which they'll recognize. However, they  
15   won't recognize all esters.

16          Q.    Mm-hmm.

17          A.    So they have their limitations with  
18   respect to what molecules they'll turn over from  
19   substrate to product.

20          So, therefore, they have limitations as to  
21   what prodrugs they can convert to active agent.

22          Q.    Right.

23          A.    That's my meaning.

24          Q.    A person of ordinary skill in the art,  
25   who is developing, in 1998, a prodrug of 5-HMT,

1 DAVID R. JANERO, Ph.D.

2 which esterases does he know are going to  
3 recognize that prodrug?

4 A. In general, the basic principle is that  
5 the more chemically delimited, the smaller the  
6 ester group, the general tendency is for  
7 esterases to be more able to recognize that ester  
8 functionality, because the group has to fit into  
9 the active site pocket of the esterase or  
10 esterases that may interact on that.

11 Q. I understand. So back to my initial  
12 question, which is: How did you know to design a  
13 prodrug that would avoid premature conversion by  
14 virtue of the esterases that come before the gut?

15 MS. WOOTEN: Objection. Form.

16 A. I don't -- I don't know that that would  
17 have been a necessary specific consideration.

18 Q. Why not? Esterases are ubiquitous, are  
19 they not? Are they not found in the GI tract?

20 A. They are. In fact, the esterase there  
21 in the GI tract, that's -- one is relying on that  
22 to get the prodrug into the blood, and perhaps  
23 blood esterases could do that as well.

24 Q. Okay.

25 A. One would have to do, and one would do

1 DAVID R. JANERO, Ph.D.

2 experimentally in vitro studies looking at  
3 potential drug candidates, potential hits,  
4 potential leads, as esterase substrates. And  
5 that's a typical, routine biochemical assay,  
6 usually done with a liver supinate preparation.

7 And, in fact, investigators across various  
8 publications cited here and elsewhere use that  
9 assay. It's a very routine assay with a human  
10 supinate.

11 Q. Okay.

12 A. So one would -- one would gauge this  
13 type of activity in drug discovery, this  
14 propensity in drug discovery in the preclinical  
15 stage.

16 Q. Okay. But without the benefit of the  
17 testing and the assays, is there anything in the  
18 prior art that teaches the type of esters that  
19 can be used to avoid premature conversion?

20 A. Not that I can cite.

21 Q. Okay. And sometimes, depending on what  
22 you're trying to do with the prodrug, you may not  
23 want rapid conversion. Correct?

24 A. The kinetics of conversion are really  
25 complex, because the rapidity of conversion or



1                   DAVID R. JANERO, Ph.D.

2       the retardation of the conversion in terms of the  
3       enzyme kinetics do give exposure of the system to  
4       the drug, but not need -- they need not correlate  
5       absolutely with the efficacy of the drug in vivo.

6           As long as there's sufficient turnover, the  
7       drug should be active, if, indeed, we're talking  
8       about the classic prodrug definition, the prodrug  
9       being inactive.

10          Q.     Mm-hmm. Right. What I'm saying is,  
11       there are times, depending on your objective,  
12       where you want very quick conversion. There are  
13       times -- there are other times, depending on your  
14       objective, where you may want to delay the  
15       conversion of the prodrug. Correct?

16          A.     I agree.

17          Q.     One example would be if your objective  
18       is to arrive at a once-daily drug. Correct?

19          A.     I agree.

20          Q.     With respect to design of once-daily  
21       drugs, you don't necessarily want immediate and  
22       rapid conversion. Correct?

23          A.     Not necessarily. Correct.

24          Q.     Okay. And the same would be true with  
25       respect to prodrugs designed to be target

1 DAVID R. JANERO, Ph.D.

2 specific. Correct?

3 A. Not necessarily. No.

4 Q. Okay. The prodrug that one of ordinary  
5 skill would have designed of 5-HMT, would that  
6 design have attempted to target receptors in the  
7 bladder?

8 MS. WOOTEN: Objection. Form.

9 A. Target the receptors with the prodrug?

10 Q. In other words, would you be trying to  
11 target conversion at or near contact with that  
12 tissue or location?

13 A. You could, but I would believe the  
14 preferential route would be to target so that you  
15 obtain sufficient therapeutic level of 5-HMT in  
16 the blood.

17 Q. Okay.

18 A. Now, I should mention, in terms of this  
19 discussion, that there are many other factors in  
20 vivo that impinge upon our discussion of rates --

21 Q. Mm-hmm.

22 A. -- one of which is, for example,  
23 suppose we have very rapid conversion at the gut  
24 of a prodrug into an active metabolite, but that  
25 active metabolite is subsequently very rapidly

1 DAVID R. JANERO, Ph.D.

2 eliminated, as the intact metabolite even.

3 (Court reporter clarification.)

4 A. If it's rapidly eliminated as the  
5 active metabolite then, yes, you have rapid  
6 activation of the prodrug, of a fair amount of  
7 prodrug, but basically your exposure can still be  
8 very limited --

9 Q. Mm-hmm.

10 A. -- even with the rapid conversion.

11 So a rapid conversion need not necessarily  
12 translate into a flood or a quantum of active  
13 agent in the circulation.

14 Q. Okay.

15 A. It's a balancing act.

16 Q. But what effect would the design of the  
17 prodrug have on that phenomenon? Wouldn't that  
18 just purely be a function of how the metabolite  
19 is eliminated, no matter how you deliver it?

20 MS. WOOTEN: Objection. Form.

21 A. You could design the prodrug, though,  
22 for example, to have a slower turnover with  
23 respect to the esterases. I'm just -- I just  
24 used that example to say that the in vivo  
25 situation, in terms of these kinetics, in terms

1                   DAVID R. JANERO, Ph.D.

2       of these dynamics, the output being therapeutic  
3       effect, is complicated.

4           What we're discussing here in terms of the  
5       kinetics, the enzyme kinetics of esterase action  
6       is one component. It's an important essential  
7       component, but it's not the only determinant, by  
8       any means. That's all I wish to say.

9           Q.     So would the person of ordinary skill,  
10       at the time in 1998, need to have an  
11       understanding of how 5-HMT is eliminated?

12          A.     To? A need to then, a need to?

13          Q.     In other words, to avoid the exposure  
14       problem that you were just alluding to?

15          A.     Not necessarily, no.

16          Q.     Okay.

17          A.     No.

18          Q.     Okay. Now, what teachings in the art  
19       suggest which esters provide the requisite  
20       stability for 5-HMT?

21          A.     In terms of chemical stability of the  
22       compound?

23          Q.     Right. In other words -- well, let's  
24       step back.

25          If you want to have an effective prodrug --

1                   DAVID R. JANERO, Ph.D.

2           A.    Mm-hmm.

3           Q.    -- the prodrug has to be stable.

4   Correct?

5           A.    As a chemical entity, yes.

6           Q.    Yes.  It's got to convert.  Yes?

7           A.    Yes.

8           Q.    It's got to be inactive.  Correct?

9           A.    In terms of the classic definition of a  
10   prodrug, yes.

11          Q.    That's --

12          A.    In terms of this definition, yes.

13          Q.    "This," meaning Bundgaard's definition?

14          A.    Yes.

15          Q.    Okay.  And the prodrug itself, to the  
16   extent not metabolized, cannot be toxic.  Is that  
17   right?

18          A.    That would be essential.  Yes.

19          Q.    And the pro moiety, in this case, the  
20   ester, itself, cannot be toxic and have  
21   off-target effects.  Correct?

22          A.    Correct.

23          Q.    Okay.  Now, are there any teachings as  
24   to what ester will accomplish those things, or  
25   you just have to test?

1                   DAVID R. JANERO, Ph.D.

2           A.     There are two ways to answer that -- to  
3 derive it, that information along those lines.  
4 One is the empirical route, which is simply  
5 designing, making compounds and testing them  
6 against an esterase preparation, for example.

7           Q.     Mm-hmm.

8           A.     Another is to appreciate that various  
9 chemical, various moieties, various groups,  
10 chemical groups can carry their own reactivities.  
11 And even if separated, if hydrolyzed from the  
12 parent compound, the ester, yes, one would derive  
13 the active agent desired from the prodrug.

14           But one could also invite a complication due  
15 to potential chemical reactivity, instability,  
16 metabolism of that agent. For example -- I'll  
17 give one specific example. If we had an ester of  
18 an unsaturated fatty acid, an unsaturated fatty  
19 acid is susceptible to oxidation. That's a  
20 rancid fat that's not metabolized very well, and,  
21 actually, that can be toxics.

22           So one would tend to avoid certain  
23 possibilities as a result of not wishing to  
24 invite further reactivity metabolism  
25 transformations, post de-esterification.