

1                   DAVID R. JANERO, Ph.D.

2                   So that's why I -- my viewpoint in the  
3 document is -- favors simple discrete ester  
4 functionalities, hydrocarbon-based, without these  
5 types of reactive elements, moieties to them.

6                   Q.     Okay. Now, one of the ester examples  
7 that is provided in this section on esters in  
8 Bundgaard, I think you've got to go to Page 4,  
9 are these ampicillin prodrugs. Is that right?

10                  A.     Let me see.

11                  Q.     I think you refer to that example in  
12 your opinion.

13                  A.     Mm-hmm. Yes. I see it on Page 4.

14                  Q.     Now, ampicillin is -- the ampicillin  
15 prodrugs are more complicated than one-step  
16 conversions. Correct?

17                  MS. WOOTEN: Objection. Form.

18                  A.     They're more complicated in what sense?

19                  Q.     Well --

20                  A.     In terms of the enzymatic conversion?  
21 Because they're basically -- they're  
22 de-esterification by enzymatic attack. So in  
23 that sense, they're not more complicated.

24                  Q.     Mm-hmm. Well, correct me if I'm wrong,  
25 but Bundgaard is citing ampicillin as an example

1 DAVID R. JANERO, Ph.D.

2 of embodying a double-ester concept.

3 Do you see that at the first paragraph on  
4 Page 5?

5 A. Oh, in that sense, yes. We're not  
6 dealing here with fesoterodine, with the  
7 two-ester functionality. We're dealing with one.

8 Q. Right.

9 A. So in that sense, if you want to regard  
10 that as more complicated, certainly it's a  
11 difference.

12 Q. It's two steps?

13 A. It's a difference. Yes.

14 Q. Okay.

15 A. It's a difference.

16 Q. Two steps is more complicated than one  
17 step. Right?

18 A. Mm-hmm.

19 Q. Which is what you suggest --

20 A. Mm-hmm.

21 Q. -- one would design?

22 A. Mm-hmm.

23 Q. So would you agree that the ampicillin  
24 prodrugs are not necessarily suggestive of the  
25 compounds claimed in the patents?

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Form.

3 A. They're suggestive to the extent that  
4 in order for them to be activated and to produce  
5 the desired chemical therapeutic, they have to be  
6 transformed as -- their ester functionalities  
7 have to be transformed, have to be hydrolyzed by  
8 enzymatic hydrolysis by esterases.

9 So, in that sense, they have parallel to  
10 fesoterodine.

11 Q. Mm-hmm. But then they have to be  
12 further metabolized by a chemical process.  
13 Correct?

14 A. And that monoester is, in a sense,  
15 parallel chemically to the monoester of  
16 fesoterodine. That monoester would then be  
17 hydrolyzed to drug.

18 Q. Now, ampicillin had been known since  
19 the early 20th century. Correct?

20 A. I don't know the exact time, but  
21 certainly it's a venerable drug. It certainly  
22 would predate the OAB field in terms of  
23 fesoterodine and tolterodine.

24 Q. Right. And that is distinguished from  
25 5-HMT, for which there was very little

1                   DAVID R. JANERO, Ph.D.

2 information. Correct?

3                   MS. WOOTEN: Objection. Form.

4           A.     Just as at one time, there was very  
5 little information about the cillins.

6                   MR. TRAINOR: Okay. I'm going to have  
7 a couple of other questions related to the  
8 chemistry and Bundgaard, but let's just take a  
9 quick, short break --

10                   THE WITNESS: Sure.

11                   MR. TRAINOR: -- for five minutes or  
12 so.

13                   THE VIDEOGRAPHER: The time now is  
14 15:35, and we are now off the record.

15                             (A recess was taken.)

16                   THE VIDEOGRAPHER: The time now is  
17 15:45, and we are back on the record.

18 BY MR. TRAINOR:

19           Q.     Okay. Dr. Janero, staying with  
20 Exhibit 16, the Bundgaard text, on prodrugs --

21           A.     Yes.

22           Q.     -- you would agree with me that there  
23 are a number of alternative prodrugs to ester  
24 prodrugs that are disclosed in this text.

25 Correct?



1 DAVID R. JANERO, Ph.D.

2 A. That are disclosed, yes.

3 Q. Ethers would be one?

4 A. Yes.

5 Q. And carbamates. Correct?

6 A. Carbamate esters, yes.

7 Q. And carbonates?

8 A. Yes.

9 Q. There's also phosphate esters.

10 Correct?

11 A. Yes.

12 Q. And Mannich bases?

13 A. Yes.

14 Q. My question is: Why wouldn't a person  
15 of ordinary skill in the art have considered  
16 those prosubstituents, if you will, to design a  
17 prodrug of 5-HMT?

18 MS. WOOTEN: Objection. Form.

19 A. They could be considered,  
20 theoretically. However, they would have to be  
21 considered in the context of the design  
22 parameters for the intended product, as well as  
23 for their applicability to the chemistry  
24 associated in the design.

25 For example, the Mannich bases, as quoted

1                   DAVID R. JANERO, Ph.D.

2       here, are "potentially useful prodrug candidates  
3       for amine acidic compounds such as various  
4       amides, imides, carbamates, hydantoins and urea  
5       derivatives."

6               Well, that, for example, could be  
7       considered, but 5-HMT does not fit that chemical  
8       class. Therefore, that could be considered not a  
9       particularly attractive route, not a particularly  
10      attractive substitution to make in terms of  
11      derivatizing as a -- 5-HMT as a prodrug.

12             Q.     And why is that?   Because 5-HMT is  
13      basic?

14             A.     It doesn't -- no, it simply doesn't fit  
15      any of these -- any of these descriptions. It's  
16      not an amide. It's not a carbamate. It's not a  
17      hydantoin, etc.

18             Q.     Can you show me what you're pointing  
19      to?

20             A.     Oh, yes. Pardon me. This is on  
21      Page 10, Paragraph 3.1 --

22             Q.     Mm-hmm.

23             A.     -- sentence one. So I'll use that to  
24      exemplify the idea that, yes, the table and -- in  
25      Chapter 1 does disclose and discuss various

1 DAVID R. JANERO, Ph.D.

2 routes to prodrugs.

3 Q. Mm-hmm.

4 A. Many various chemical modifications  
5 that can yield prodrugs. However, these  
6 modifications are not necessarily attractive or  
7 even applicable in all cases.

8 Q. Okay. That's the Mannich bases?

9 A. Yes.

10 Q. Why wouldn't one of skill in the art  
11 have considered an ether prodrug?

12 A. I think it could be considered.  
13 However, ethers do have their own potential for  
14 reactivity as well, and that could impact  
15 their -- their attractiveness as that -- as a  
16 prodrug, as a moiety for a prodrug.

17 Q. Esters also can be reactive. No?

18 A. As a type of ester. A simple  
19 hydrocarbon ester, such as that in fesoterodine,  
20 would be -- doesn't have any reactive chemical  
21 moiety, other than the ability of that ester  
22 functionality to serve as a hydrolyzable  
23 substrate for an esterase and break that ester  
24 bond by introduction of water across the bond.

25 Q. Okay.

1 DAVID R. JANERO, Ph.D.

2 A. In other words, the methyl groups  
3 themselves bear no reactivity, no significant  
4 reactivity.

5 Q. Okay. One of the other examples that  
6 you cite in your report of a prodrug is codeine.  
7 Correct?

8 A. I believe so. That's on Page 17, 54  
9 paragraph.

10 Q. Yes. Thank you. Okay. Codeine is an  
11 ether prodrug. Correct?

12 A. It has an ether functionality, yes, but  
13 that's at the left-hand side of the molecule.

14 Q. Mm-hmm. So if codeine is relevant to  
15 suggest making a prodrug of 5-HMT, why is the  
16 teaching of the ether substituent not applicable  
17 to 5-HMT prodrugs?

18 MS. WOOTEN: Objection. Form.

19 A. I don't believe it's not applicable,  
20 because it exemplifies the conversion of an  
21 inactive to an active chemical by CYP2D6  
22 hydrolysis.

23 Q. But that's exactly what you're trying  
24 to avoid by the design of a 5-HMT prodrug.  
25 Correct?



1                   DAVID R. JANERO, Ph.D.

2           A.     I don't think you would want to avoid  
3 that. You would need to activate -- you would  
4 need to activate the prodrug.

5           Q.     Well, as I understand it, the rationale  
6 for designing a prodrug of 5-HMT is to avoid the  
7 CYP2D6 metabolism of tolterodine, which in  
8 CYP2D6-deficient people means that they don't  
9 convert to 5-HMT.

10          A.     Mm-hmm. Mm-hmm.

11          Q.     Correct?

12          A.     Well, they convert less. In one paper  
13 we cited, it was 20 percent or so. Yes.

14          Q.     Okay. So the morphine prodrug is the  
15 opposite. Right? You're actually trying to take  
16 advantage of CYP2D6 to go from codeine to  
17 morphine?

18          A.     To activate. Right.

19          Q.     Okay.

20          A.     Right. My purpose in the example was  
21 to show that a conversion of an inactive to an  
22 inactive agent wasn't to analogize this  
23 conversion chemically. That this is the desired  
24 conversion for fesoterodine.

25          Q.     Okay. So, in addition, as we

1 DAVID R. JANERO, Ph.D.

2 mentioned, at least the codeine prodrug of  
3 morphine is an ether prodrug. Correct?

4 A. Yes. It has an ether functionality.

5 Q. And so why does codeine or why does a  
6 prodrug of morphine or -- strike that.

7 What did the example of the morphine prodrug  
8 teach a skilled artisan trying to develop a 5-HMT  
9 prodrug in 1998?

10 A. My opinion, it would teach the  
11 principle that the -- that the conversion could  
12 be made enzymatically from an inactive to an  
13 active agent --

14 Q. Okay.

15 A. -- by a discrete, one-step enzymatic  
16 conversion --

17 Q. Okay.

18 A. -- into, into an alcohol product, which  
19 is the type of product that one would aim for,  
20 desire in terms of the fesoterodine to 5-HMT  
21 conversion. In other words --

22 Q. Okay.

23 A. -- that that 2 prime -- that 2 position  
24 alcohol.

25 Q. Okay. So beyond the general principle

1 DAVID R. JANERO, Ph.D.

2 that you can go from an inactive to an active  
3 compound, and the active compound being an  
4 alcohol form, would you agree that the other  
5 teachings of the morphine prodrug are not  
6 necessarily transferable to the design of 5-HMT  
7 prodrugs?

8 MS. WOOTEN: Objection. Form.

9 A. They could potentially be transferable  
10 if one wanted to follow the design in terms of an  
11 ether conversion.

12 Q. Right. Okay.

13 A. But, again, I have no internal  
14 knowledge of that.

15 Q. No. I understand. I'm saying if  
16 you're pointing to a prodrug of morphine as  
17 suggestive of designing fesoterodine as a prodrug  
18 of 5-HMT, why doesn't that also suggest using,  
19 making an ether prodrug?

20 A. It could. But my purpose was  
21 suggesting or illustrating the enzymatic  
22 conversion of an inactive compound to its active  
23 alcohol product.

24 Q. I understand that.

25 A. That was my aim in that example.

1 DAVID R. JANERO, Ph.D.

2 Q. But wouldn't you agree that a person of  
3 ordinary skill trying to design a 5-HMT prodrug  
4 might not look to a prodrug activated by the  
5 CYP2D6 enzyme, given the circumstances of why  
6 you're making the 5-HMT prodrug?

7 A. Yes. It's a possibility.

8 MS. WOOTEN: Objection. Form.

9 THE WITNESS: Pardon me.

10 Q. And wouldn't you agree that if a person  
11 were to look at the morphine prodrug example,  
12 that they would be led toward employing an ether  
13 prodrug as opposed to an ester prodrug?

14 MS. WOOTEN: Objection. Form.

15 A. I don't necessarily agree with that  
16 conclusion. No.

17 Q. Okay. Well, how do I look at the  
18 example of the morphine prodrug and say, I'll  
19 disregard the ether substitution, and I'll use an  
20 ester prodrug?

21 A. I don't think this, per se, teaches  
22 away from that substitution. I think it teaches  
23 for the enzymatic conversion of an inactive  
24 prodrug to an active alcohol-based agent or  
25 alcohol agent, morphine.



1 DAVID R. JANERO, Ph.D.

2 Q. Okay.

3 A. And that was the point in that  
4 illustration.

5 Q. Okay. Now, how about carbamates and  
6 carbonates, why wouldn't a person of ordinary  
7 skill in the art use those types of prodrugs as  
8 opposed to a simple ester prodrug?

9 A. The author, Bundgaard, indicates on  
10 Page 7 that carbamates of alcohols, in general,  
11 appear to be of no value in prodrug design due to  
12 the high stability. Certain activated carbamates  
13 may be useful.

14 Again, this depends on the specific, the  
15 specific chemical involved in terms of the  
16 derivatization. I can't, a priori, say that a  
17 person would not consider this. But I can say  
18 that as opposed to a simple hydrocarbon short  
19 chain ester, such as on fesoterodine, these are  
20 more complex molecules that have their, their  
21 limitations and their applications and are not  
22 necessarily, in my opinion most attractive for  
23 the 5-HMT fesoterodine application.

24 Q. Well, depending on how big your ester  
25 group; they're not necessarily more complex.

1 DAVID R. JANERO, Ph.D.

2 Correct?

3 A. Well, that's one factor in complexity,  
4 and that is one of the considerations in terms of  
5 my proclivity toward the simple, short  
6 hydrocarbon ester functionality.

7 Q. Mm-hmm. But certainly the suggestion  
8 on Page 7 about carbamate esters suggests that  
9 carbonate -- excuse me, carbamate esters derived  
10 from phenols show high lability and strong  
11 enzymatic catalysis -- catalysis, sorry, where most  
12 endI substituted carbamates prove highly  
13 stable --

14 A. Mm-hmm.

15 Q. -- as did carbamates of hydroxy  
16 compounds. Correct?

17 A. Yes. But you notice in illustration  
18 11, for example, example 11, that's a much more  
19 complex situation with respect to the length of  
20 the hydrocarbon chain versus fesoterodine. And I  
21 don't know the specific compounds referenced in  
22 the citations given; specifically, citation 103.

23 Q. Mm-hmm.

24 A. So I can't do a direct comparison with  
25 these data between the two situations; namely,

1 DAVID R. JANERO, Ph.D.

2 fesoterodine, 5-HMT, versus the library or few  
3 compounds that were made to substantiate this  
4 conclusion.

5 Q. Mm-hmm. Now, so in sum, I take it that  
6 your opinion is that a person of skill would look  
7 to esters first because of their simplicity. Is  
8 that right?

9 A. Short-chain hydrocarbon esters, because  
10 of their simplicity, in terms of lack of chemical  
11 reactivity, intrinsic chemical stability, and  
12 greater propensity to be accepted as substrates  
13 for enzymatic hydrolysis by esterases.

14 There are several interplay, interwoven  
15 factors.

16 Q. Right. But isn't it also true that the  
17 more simple the promoiety, or, in this case, the  
18 more simple the ester, the less likelihood that  
19 you'll get conversion?

20 MS. WOOTEN: Objection. Form.

21 A. No. I -- it again depends upon how the  
22 specific ester functionality, as chemically  
23 attached to the parent molecule in the prodrug  
24 form, can access the active site of the enzyme.

25 Q. But can't you determine that based upon

1 DAVID R. JANERO, Ph.D.

2 what you know about the chemical structure of  
3 5-HMT?

4 A. You could determine that based -- you  
5 could estimate that, a derivative of 5-HMT, you  
6 could conjecture, you could project that a  
7 chemical derivative of 5-HMT, if it were an  
8 ester, an esterified 5-HMT, the simpler the  
9 ester, the greater chance it would have for  
10 efficient conversion back to 5-HMT.

11 Q. Mm-hmm. But you would agree that there  
12 are many prodrug substitutions that can be  
13 designed to interact with an appropriate enzyme  
14 to yield an alcohol. Correct?

15 A. In theory, yes. Yes.

16 Q. Other than simple hydrocarbon esters.  
17 Correct?

18 A. Yes, indeed. Complex hydrocarbon  
19 esters, yes.

20 Q. Okay. And wouldn't you benefit from  
21 any complications of the non-simple hydrocarbon  
22 esters by using a carbon spacer with a different  
23 type of promoiety?

24 MS. WOOTEN: Objection. Form.

25 A. Not necessarily.



1                   DAVID R. JANERO, Ph.D.

2           Q.    Okay.  Why not?

3           A.    An ester that's used is a simple methyl  
4 ester, for example, which has no spacer at all.

5           Q.    Mm-hmm.

6           A.    So a spacer, spacer requirement, again,  
7 this would depend upon the desired profile in  
8 terms of the in vivo exposure, pharmacokinetics,  
9 and so on.

10           I don't believe that the spacer would  
11 necessarily correlate with those desired  
12 therapeutic effects.

13           Q.    Okay.  You can use a phosphate ester to  
14 yield an alcohol.  Correct?

15           A.    Phosphate ester.  Yes.

16           Q.    You could use a carbamate to yield an  
17 alcohol.  Correct?

18           A.    As stated, yes.

19           Q.    You could use a Mannich base to yield  
20 an alcohol?

21           A.    It would vary, yes.  With certain  
22 compounds, yes.

23           Q.    Now, one of the other reasons that I  
24 believe you suggest the simple hydrocarbon ester  
25 would be used is because of the simple one-step

1 DAVID R. JANERO, Ph.D.

2 metabolic process. Correct?

3 A. In a --

4 MS. WOOTEN: Objection. Form.

5 THE WITNESS: Pardon me.

6 A. In a monoester form, that would be a  
7 potential attraction. Yes.

8 Q. Mm-hmm. Okay. Now, but there are a  
9 number of different prodrugs, other than simple  
10 hydrocarbon esters, that metabolize in a one-step  
11 process. Correct?

12 A. Yes.

13 Q. Okay. And one of the other reasons, I  
14 believe, that you provided about the teaching  
15 toward ester prodrugs is because they had been  
16 previously used to improve lipophilicity in  
17 compounds similar in structure to 5-HMT. Is that  
18 right?

19 A. Or to alter the hydrophilicity and  
20 lipophilicity and desired properties. Yes.

21 Q. Okay. But with compounds of similar  
22 structure to 5-HMT?

23 A. Let me see exactly where that statement  
24 is.

25 Q. Okay.

1                   DAVID R. JANERO, Ph.D.

2           A.    Small molecules, certainly, of which  
3 5-HMT is.  But I'm trying to find the exact  
4 chemical specification here.

5           Q.    Okay.  Well, what compounds are  
6 sufficiently similar in structure to 5-HMT, such  
7 that one would draw the parallel with the ester  
8 prodrugs of those other compounds?

9           MS. WOOTEN:  Objection.  Form.

10          A.    In my opinion, the small molecule  
11 alcohol with comparable molecular weight.

12          Q.    Mm-hmm.  Are there any, in particular,  
13 that you can give as an example?

14          A.    Not offhand, specifically.  No.

15          Q.    Okay.  Now, in the Table 2 in  
16 Bundgaard, the Page 3 there --

17          A.    I have it, yes.

18          Q.    -- okay, now are any of these compounds  
19 on the left-hand side, in your opinion,  
20 structurally similar to 5-HMT?

21          MS. WOOTEN:  Objection.  Form.

22          A.    I don't know the structures of all of  
23 them.  However, I can state about two-thirds of  
24 the way down, phenols --

25          Q.    Mm-hmm.

1 DAVID R. JANERO, Ph.D.

2 A. -- if we just take a small portion of  
3 5-HMT, basically the alcohol ring, that's -- that  
4 could be considered separate from the major  
5 portion, the rest of the molecule, that 2  
6 position hydroxyl on the phenyl ring, alone, so  
7 eliminating most of the molecule. That's phenol.  
8 But I do not know the structures of all of these  
9 compounds, offhand, to direct them specifically  
10 and compare them.

11 I could do that, had I had the structures in  
12 stick diagram, as I do for 5-HMT, in front of me.

13 Q. Okay. Well, if phenols are  
14 structurally similar, wouldn't the Bundgaard  
15 publication suggest to use an amino acid ester?

16 A. No. I'm not saying that the structure  
17 is similar. In fact, I made the point explicitly  
18 twice just now that we have to eliminate most of  
19 the 5-HMT molecule to derive at the phenol. The  
20 only thing I meant to say -- I said was that if  
21 we take the northwestern aromatic ring with the  
22 hydroxyl at the 2 position, that's the  
23 equivalent. That is phenol.

24 We would eliminate all of the rest of the  
25 molecule.



1                   DAVID R. JANERO, Ph.D.

2           Q.    Well, if you eliminate all of the rest  
3 of the molecule, is it still a structurally  
4 similar molecule to 5-HMT?

5           A.    No. That was not my point. I said --  
6 my point is simply saying that this hydroxyl  
7 group is a reactive one that could be esterified,  
8 and that ring with the OH group at the 2 position  
9 would be phenol.

10          Q.    Okay.

11          A.    But the molecule itself does not  
12 resemble phenol. It has much more structure to  
13 it.

14          Q.    Right.

15          A.    It has another benzene -- another pi  
16 electron ring, for example, there and has another  
17 hydroxyl group.

18          Q.    Right. And I think you said earlier  
19 that the decision on which ester to employ is a  
20 function of the structure of the molecule you're  
21 trying to convert to. Correct?

22                   MS. WOOTEN: Objection. Form.

23          A.    That's one of the factors. Yes.

24          Q.    It's a pretty major factor. Right?  
25 There has to be compatibility. No?

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Object to the  
3 form.

4 A. Compatibility to?

5 Q. I mean, you can't just -- you can't  
6 just take the teaching about what ester to use  
7 from a completely dissimilar, structurally  
8 dissimilar molecule and draw parallels. Correct?

9 A. In my opinion, that would be tenuous,  
10 but one could do that. But I would not do that.

11 So that's why we focus here on the -- on  
12 either one of the two, the two or -- the methyl  
13 hydroxyl or the phenolic hydroxyl on 5-HMT.

14 Q. Okay.

15 A. As the reactive groups or the  
16 potentially derivatizable groups.

17 Q. Maybe I should ask it this way: How is  
18 it so obvious to use an ester or a specific type  
19 of ester based upon this disclosure in Bundgaard,  
20 without knowing how function -- structurally  
21 similar that ester has been successfully used in  
22 the past? Strike that.

23 I mean, what I'm saying is: How does  
24 Bundgaard teach you toward the ester, the  
25 isobutyryl ester in fesoterodine, if the compound

1 DAVID R. JANERO, Ph.D.

2 that is given as an example of a prior known  
3 ester drug is structurally dissimilar from 5-HMT?

4 MS. WOOTEN: Objection. Form.

5 A. Specifically, he would not do that  
6 because the drug is not listed. But he does,  
7 however, exemplify here cases where esters of  
8 various types are conjugated to various types of  
9 drugs to derive prodrugs.

10 So the exemplification here is ester  
11 conjugation or ester derivatization to derive at  
12 a prodrug, the esters of various chemical types,  
13 the promoiety of various chemical types.

14 Q. Okay. There is -- in this Table 2 here  
15 of these esters listed, there's no specific  
16 disclosure of an isobutyryl ester. Correct?

17 A. Correct.

18 Q. Okay. And you would agree that of the  
19 drugs listed as exemplary ester prodrugs, none of  
20 these are OAB prodrugs. Correct?

21 MS. WOOTEN: Objection. Form.

22 A. Not to my knowledge.

23 Q. Mm-hmm. And none of them are  
24 antimuscarinic drugs. Correct?

25 A. Not to my knowledge. Correct.

1 DAVID R. JANERO, Ph.D.

2 Q. And none of them are diphenyl  
3 propylamines. Correct?

4 MS. WOOTEN: Objection. Form.

5 A. I would have to know the specific  
6 structures to determine that --

7 Q. Okay.

8 A. -- in a form that would be compatible  
9 with the 5-HMT structure drawn in the document.

10 Q. Well, when you determined that  
11 Bundgaard taught to make an ester prodrug of  
12 5-HMT, did you consider what the structures of  
13 these compounds on the left-hand side were at  
14 some time?

15 A. Not every --

16 MS. WOOTEN: Objection. Form.

17 A. Not every one, individually. The  
18 general principle was in the teaching that esters  
19 could be used as a viable route for prodrugs,  
20 esters of various types, of various chemical  
21 constituencies with respect to the promoiety.

22 Q. But how do you know that they could be  
23 used without giving consideration to the  
24 structure of the metabolite?

25 MS. WOOTEN: Objection. Form.



1           DAVID R. JANERO, Ph.D.

2           A.    The idea is that these -- these are the  
3 active drugs. I don't necessarily have to -- I  
4 would not necessarily have to know the metabolite  
5 and metabolic profile, as long as this teaches  
6 that the ester, whatever the ester -- specific  
7 esters may be in each case, result in the drug  
8 mentioned.

9           Q.    Okay. Could you turn to Paragraph 143  
10 of Exhibit 1. It's on Page 47.

11                           (Witness complies.)

12           A.    I have it.

13           Q.    Okay. And Paragraph 43 in the second  
14 sentence, it says, "Ester prodrugs were known in  
15 the art to improve lipophilicity and had been  
16 used to do so in compounds with a similar  
17 structure to 5-HMT."

18                   And then there's a cite to Bundgaard and to  
19 Table 2 --

20           A.    Mm-hmm.

21           Q.    -- and Scheme 1.

22           A.    Mm-hmm.

23           Q.    So when you wrote that, I'm trying to  
24 figure out, what compounds are similar in  
25 structure to 5-HMT that had been previously made

1 DAVID R. JANERO, Ph.D.

2 as ester prodrugs to improve lipophilicity?

3 A. They were small molecule compounds.  
4 They were basically the small molecules. The  
5 closest active group would be, as I say, with the  
6 phenol at the 2 position.

7 Q. Okay.

8 A. That was the concept that I was trying  
9 to get across in more general terms, and I should  
10 have not worded it in terms of similar structure  
11 to 5-HMT, because that implies all of the rest of  
12 the molecule.

13 Q. Okay. So -- so that it's not correct  
14 that the drugs identified in this exhibit are  
15 structurally similar to 5-HMT. Correct?

16 MS. WOOTEN: Objection. Form. It  
17 mischaracterizes testimony.

18 A. In the sense that they have -- they're  
19 low-molecular-weight agents that are estimable  
20 prodrugs, no. They have similarities. But in  
21 terms of exact chemical structure, they are  
22 dissimilar --

23 Q. Okay.

24 A. -- as I remember. Again, I don't have  
25 all of the structures in front of me at present.

1 DAVID R. JANERO, Ph.D.

2 Q. Okay. So, to your recollection, the  
3 reference to compound with similar structure was  
4 a reference to the phenols?

5 A. Well, the -- I know the similarity, as  
6 I say, because the -- if we go back to the  
7 diagram on Page 7 of Exhibit 1, the moiety at the  
8 2 position of 5-HMT, that hydroxyl attached to  
9 the aromatic ring, if we leave all of the rest of  
10 the molecule, that's a phenol group, phenol  
11 substituent, phenolic substituent.

12 Q. I understand that.

13 A. That's what --

14 Q. I'm just trying to understand, when you  
15 wrote this, I'm just trying to figure out what  
16 drugs, in Table 2 of Bundgaard, did you mean when  
17 you said, "The previous compounds with similar  
18 structure to 5-HMT had been made as ester  
19 prodrugs"?

20 A. Well, the phenol would fit in terms of  
21 an ester prodrug, because hydrolysis of an ester  
22 phenol would give you back the alcohol, and that  
23 is, in essence, what happens when fesoterodine is  
24 hydrolyzed by an esterase.

25 We get the alcohol back at the 2 position,

1 DAVID R. JANERO, Ph.D.

2 which is 5-HMT.

3 Q. So the answer is that, in your view, a  
4 phenol is structurally similar to 5-HMT?

5 MS. WOOTEN: Objection. Form.

6 A. No. I'm saying, in my view, there's a  
7 parallel between the hydrolysis of a phenolic  
8 ester to gain back the phenol and the hydrolysis  
9 of fesoterodine to gain back the phenol moiety of  
10 the 5-HMT.

11 Q. Okay. So do you agree or disagree that  
12 a phenol is a similar structure to 5-HMT?

13 MS. WOOTEN: Objection. Form. Asked  
14 and answered.

15 A. As I mentioned, there's a phenol moiety  
16 in 5-HMT.

17 Q. Does that make it a similar structure,  
18 in your opinion?

19 A. In terms of that particular component,  
20 there's a commonality.

21 Q. Okay. Are there any other such  
22 similarities among the drugs in Table 2?

23 A. I would have to refresh my memory of  
24 the other structures.

25 Q. Mm-hmm. Okay. Now, assuming that



1 DAVID R. JANERO, Ph.D.

2 there are no other structurally similar drugs in  
3 Table 2 of Bundgaard, other than phenols, would  
4 you agree that the disclosure of the esters used  
5 can't teach toward the appropriate prodrug of  
6 5-HMT?

7 MS. WOOTEN: Objection. Form. Facts  
8 not in evidence.

9 A. This document gives, in my opinion, no  
10 consideration or no treatment whatsoever as to  
11 the appropriateness of anything associated with  
12 the drug therapeutic properties of 5-HMT or  
13 fesoterodine.

14 Q. "This document," meaning the Bundgaard  
15 reference?

16 A. The Bundgaard. Yes.

17 Q. Now --

18 A. Exhibit 16. Yes.

19 Q. Now, you talked a little bit about the  
20 placement of the functional groups in a prodrug  
21 candidate needing to be optimized to fit the  
22 binding pocket. Do you recall that?

23 A. Yes. To be hydrolyzed by esterase,  
24 yes.

25 Q. So when you talk about the binding

1 DAVID R. JANERO, Ph.D.

2 pocket, you're talking about binding to the  
3 esterase, not binding to the muscarinic receptor.  
4 Correct?

5 A. In terms of conversion of the prodrug  
6 to the desired product, yes.

7 Q. Okay. Okay. Now, if a structure is  
8 not similar to 5-HMT, then the placement of the  
9 functional groups on a different structure  
10 wouldn't necessarily teach you anything about  
11 where to -- which functional groups to substitute  
12 on 5-HMT. Correct?

13 MS. WOOTEN: Objection. Form.

14 A. If the same functional group were to be  
15 substituted on 5-HMT and substituted on, say, a  
16 low molecular weight, a different, but the same  
17 potentially hydrolyzable ester group --

18 Q. Mm-hmm.

19 A. -- and they were run in parallel or  
20 they were -- they were examined in an esterase  
21 preparation, the S9 supinate and what have you,  
22 then one could glean from either case the notion  
23 that or the susceptibility of that particular  
24 moiety to esterase activation, to esterase  
25 hydrolysis, qualitatively.

1                   DAVID R. JANERO, Ph.D.

2           Q.     Okay.

3           A.     It would -- would it mean  
4     quantitatively the extent of conversion would be  
5     the same among identical esters of various  
6     chemicals? No, not necessarily.

7           Q.     Mm-hmm.

8           A.     But it certainly would give you  
9     positive data to guide you forward that at least  
10    that functionality was recognizable by some  
11    esters.

12          Q.     Right. But if you can't --

13          A.     Esterases, sorry.

14          Q.     -- if you can't draw parallels with  
15    respect to the quantitative conversion, that's  
16    pretty significant. Right? Because,  
17    qualitatively, you can have a structurally  
18    dissimilar compound with the same functional  
19    group, and it converts in a very low percentage.

20                That wouldn't be a very good prodrug, would  
21    it?

22                MS. WOOTEN: Objection. Form.

23          A.     That would depend upon the structure of  
24    the rest of the molecule. That's why I qualified  
25    my statement and my answer by saying that those

1 DAVID R. JANERO, Ph.D.

2 two -- the comparators would have to be within  
3 relative striking distance of molecular mass,  
4 solubility and so on.

5 I wouldn't take something that had a  
6 molecular mass of something, say, 400 molecular  
7 weight, which had the simple ester functionality,  
8 and something that had 4000 molecular weight, and  
9 compare those.

10 Q. Okay. I see. So what you're saying is  
11 in a structurally dissimilar compound, you might  
12 be able to glean that the same functional group  
13 will cleave, but you can't say anything about the  
14 extent of conversion --

15 MS. WOOTEN: Objection. Form.

16 Q. -- as applied to a different compound?

17 A. Well, you could say that you would  
18 expect that there would be some conversion. But  
19 could you quantify that conversion, based upon  
20 another compound? No.

21 Q. Okay.

22 A. Not absolutely, no.

23 Q. And, now, the other classes of esters  
24 that are, for example, disclosed in Table 2 --

25 A. Mm-hmm.



1                   DAVID R. JANERO, Ph.D.

2           Q.    -- well, first of all, you'd agree with  
3 me that what is being described under the ester  
4 column is a number of different classes of  
5 esters. Correct?

6           A.    A wide variety. Yes.

7           Q.    Okay. And what informs whether to use  
8 one class of ester over another, according to  
9 Bundgaard or to your own opinion?

10           MS. WOOTEN: Objection. Form.

11           A.    According to Bundgaard, it depends  
12 upon, for example, potential reactivity of the  
13 ester group itself, potential ability to  
14 conjugate that ester functionality to the parent  
15 compound.

16           We used the example earlier of the Mannich  
17 bases that are potentially useful prodrug  
18 candidates for certain amino acidic compounds,  
19 but they wouldn't necessarily be general agents  
20 to derivatize any compound.

21           So there has to be a chemical match there as  
22 well as a stability and a property of the ester  
23 group, once hydrolyzed, once released, not to  
24 have, in a particular situation, in vivo  
25 biological activity, at least undesired

1 DAVID R. JANERO, Ph.D.

2 biological activity.

3 Q. Mm-hmm. Now, in the class of -- the  
4 classes of esters provided in Table 2 that we're  
5 looking at, is there a class or particular  
6 classes that are superior to others?

7 MS. WOOTEN: Objection. Form.

8 A. The superiority would reflect their  
9 properties, but reflect their properties  
10 conjugated to specific molecules. So, a priori,  
11 there would be no way to answer that question.

12 Q. Because it's specific to the compound  
13 structure that it's conjugated to?

14 A. Well, these examples are only given to  
15 specific compounds.

16 Q. Okay. And --

17 A. In other words, I could not generalize  
18 from this table all carbon-made esters. The  
19 carbon-made ester here, for example, in the  
20 second line is paracetamol.

21 Q. Okay. With respect to structure of  
22 5-HMT, are there esters or is there an ester  
23 class or classes of esters that are preferable on  
24 this list to others?

25 MS. WOOTEN: Objection. Form.

1                   DAVID R. JANERO, Ph.D.

2           A.     In my opinion, as I stated earlier,  
3 simple, nonreactive hydrocarbon ester would be  
4 most attractive. So something like aromatic  
5 ester, in my opinion, would be not attractive.

6           Q.     Okay. And what about 5-HMT suggests to  
7 you that that's -- that aromatic esters are not  
8 attractive?

9           A.     Nothing about the molecule, per --  
10 well, there are two things. One, a large bulky  
11 group, particularly at the 2 hydroxyl of that  
12 phenyl ring, could cause steric hindrance in the  
13 rest of the molecule. It would be a very bulky  
14 group at that end.

15           So that would be one consideration that I  
16 would bring into play to limit that area and  
17 limit the derivatization at that area.

18           The second one is that if you have the --  
19 the electronic configuration of an aromatic group  
20 could lead to other routes of metabolism and  
21 other reactions at that aromatic group.

22           Q.     Okay. Now, but that assumes that you  
23 have to make the substitution at the 2 position.  
24 Correct?

25                   MS. WOOTEN: Objection. Form.

1                   DAVID R. JANERO, Ph.D.

2           A.    Yes.  That is -- that is the example  
3 because of the link with fesoterodine and with  
4 the fact that tolterodine itself has the hydroxyl  
5 at that position.  It does not have one at the  
6 opposing position, 5.

7           Q.    Okay.  But that's with the benefit of  
8 seeing fesoterodine?

9           A.    Mm-hmm.

10          Q.    If you only just look at 5-HMT, why  
11 would the substitution of aromatic esters not be  
12 attractive, given the possibilities for  
13 substitution?

14          A.    Because of the potential steric bulk at  
15 the 2 position and either at the 2 position or  
16 the 5 position, which would be at the opposite  
17 position to the 2, that would also introduce --  
18 could introduce the potential for further  
19 reactivity as a result of that phenol ring.

20          Q.    At the 5 position?

21          A.    In either position.

22          Q.    What do you mean by "further  
23 reactivity"?

24          A.    Because the phenolic group is electron  
25 rich.  It has three unsaturated bonds, and those



1                   DAVID R. JANERO, Ph.D.

2           unsaturated bonds could react further with other  
3           molecules.

4           Q.     Mm-hmm.

5           A.     Or could drive the metabolism of 5-HMT  
6           elsewhere or could provide a bulky substituent  
7           that might be less attractive to esterase  
8           cleavage.

9           Again, I go back to my principle that a  
10          simple moiety, nonreactive hydrocarbon, in my  
11          opinion, is most attractive.

12          Q.     Okay. Now, you've mentioned in your  
13          report, probably more than once, that even the  
14          smallest modification to a molecule can affect  
15          the properties of a compound. Correct?

16          A.     Any modification can. Yes.

17          Q.     Okay. And if that's the case, then why  
18          would a skilled artisan limit his or herself to,  
19          you know, a limited number of substitutions or  
20          limited number of promoieties?

21                 MS. WOOTEN: Objection. Form.

22          A.     Because someone skilled in the art, in  
23          my opinion, would realize that limiting the  
24          options, promoieties to simple, nonreactive  
25          promoieties that would have the potential to fit

1                   DAVID R. JANERO, Ph.D.

2   into, easily, as conjugated to the parent  
3   structure, the esterases for cleavage and for  
4   return or obtaining the parent compound again.

5                   That would be an attractive scenario.

6                   Q.    Okay.

7                   A.    So, in other words, this arrangement,  
8   this constellation of factors, would be  
9   metabolically attractive, from a prodrug  
10  standpoint, to obtain the active desired  
11  compound.

12                  Q.    Okay. Now, Dr. Janero, did you have an  
13  opportunity to consider the expert report of Dr.  
14  Rauch?

15                  A.    I did.

16                  Q.    Okay. Now, do you recall Dr. Rauch  
17  says if you assume -- if you just sort of limit  
18  the possible experimental choices of ester  
19  substitution to C2 through C6 carbons, there are  
20  at least 86 different possibilities.

21                  Do you recall that, generally?

22                  A.    Generally, I do. Yes.

23                  Q.    And I think, in your report here, you  
24  suggest, in reality, that number would be much  
25  smaller. Do you recall that?

1                   DAVID R. JANERO, Ph.D.

2                   I'm sorry. Let me help you out here. It's  
3 my fault.

4                   A. Sure. I don't recollect I quoted an  
5 exact number, but we have to see the text.

6                   Q. Yeah. I'll help you with that. It is  
7 67.

8                   A. Oh, 67. Here we are. Thank you.

9                   Q. Mm-hmm. I think, more specifically,  
10 I'm getting at what you've got there in the  
11 second sentence that says -- after saying that,  
12 "theoretically, there may be 86 different  
13 phenolic monoester substitutions at the 2  
14 position."

15                   But then you said, "There is a much smaller  
16 number of potential substitutions that would have  
17 favorable properties, such as not being  
18 susceptible to chemical transformation or  
19 reactivity."

20                   A. Mm-hmm.

21                   Q. Okay. "Or substitutions that would  
22 create a polar molecule bearing an ionic charge  
23 that may compromise absorption and  
24 bioavailability."

25                   A. Yes.

1                   DAVID R. JANERO, Ph.D.

2           Q.    Okay.  So, first of all, I don't see  
3 any citation to any prior art references or  
4 anything like that.  So I'm just wondering, what  
5 is the basis for suggesting that the number is  
6 much smaller than 86?

7           A.    Practically, the basis would be knowing  
8 that you'd want to limit the steric molecule.  
9 You want to limit the size of that promoiety.  
10 Someone skilled in the art, in my opinion, would  
11 start with the most simple, unreactive  
12 hydrocarbon.

13           If I remember correctly, in fact, in the  
14 literature that was available, Dr. Mog and the  
15 group actually started with a methyl ester --

16           Q.    Mm-hmm.

17           A.    -- which is the simplest of the  
18 hydrocarbon esters --

19           Q.    Mm-hmm.

20           A.    -- and did the comparisons among  
21 relatively simple esters of a few carbons, not  
22 going up to six, seven, multiple carbons.

23           The other factors, not only the number of  
24 carbons, but the positioning of the carbons in  
25 the promoiety; for example, in fesoterodine,



1                   DAVID R. JANERO, Ph.D.

2       there's -- you have three, you have a  
3       three-carbon group. But the -- it's an isopropyl  
4       functionality. So you have three carbons. You  
5       could have three linear carbons and so on.

6               So that number doesn't necessarily represent  
7       different numbers of carbons. It can also -- it  
8       also represents different arrangements of the  
9       same number of carbons.

10           Q.     Mm-hmm.

11           A.     So that number is -- is increased in  
12       that way as well.

13           The thrust of this reasoning was that one  
14       would have, theoretically, far greater, but my  
15       opinion, someone skilled in the art would start  
16       with the more structurally simple promoieties,  
17       fewer carbons, with no unsaturated bonds that  
18       could be reactive, for example, could be  
19       oxidized, for example.

20           Q.     But you don't know the reactivity of a  
21       compound until you test it. Right?

22           A.     But you know -- you know, in most  
23       cases, the potential to susceptibility of that to  
24       reactivity. For example, a saturated bond  
25       couldn't be advantageously oxidized,

1                   DAVID R. JANERO, Ph.D.

2       carbon-carbon bond, whereas an unsaturated bond  
3       could be.

4           Q.     And where do you get the support for  
5       that?

6           A.     In terms of basic fatty acid  
7       metabolism, unsaturated fatty acid bond, when  
8       it's oxidized, chemically or enzymatically, forms  
9       a different product with different metabolic  
10      activity.

11          That's how a polyunsaturated fatty acid or  
12      acetic acid can become a lipid-signaling  
13      intermediate; leukotriene, for example,  
14      prostaglandin.

15          Q.     Now, you mention the simple methyl is  
16      the best option, and I think you also just  
17      recalled that that's how the inventors started.  
18      Correct?

19          A.     I can't say that the inventors started,  
20      but I believe it was an early specification in  
21      the -- in the documents that I reviewed. And I  
22      don't know that it was the best, but certainly it  
23      is the simplest, in terms of a small, hydrocarbon  
24      ester.

25          Q.     Okay.

1                   DAVID R. JANERO, Ph.D.

2           A.     In other words, having one ester  
3 carbon, the methyl.

4           Q.     And fesoterodine doesn't have a methyl  
5 ester. Correct?

6           A.     No. Fesoterodine has an -- an  
7 isopropyl ester group.

8           Q.     Mm-hmm. So if the person of skill  
9 would start with the simple methyl option, how,  
10 in your view, would you end up arriving at the  
11 isobutyryl of fesoterodine?

12          A.     Perhaps the methyl ester was not  
13 stable, was not -- was hygroscopic, attracted  
14 atmospheric water so that it couldn't be  
15 solidified. Or when it was solidified, it was  
16 not -- it didn't remain a solid.

17          In fact, I believe those were some of the  
18 factors that came into play. But, be that as it  
19 may, regardless of any knowledge of that, those  
20 could be some -- those would be some of the  
21 practical considerations to explore other esters.  
22 But, at the same time, keep that ester  
23 functionality as limited as possible.

24          Q.     Mm-hmm. I understand that. But there  
25 is no teaching in the art as to how stable, for

1 DAVID R. JANERO, Ph.D.

2 example, a particular ester substitution will  
3 render the prodrug. Correct?

4 MS. WOOTEN: Objection. Form.

5 A. Stable in terms of chemical stability,  
6 as a neat compound?

7 Q. Yes.

8 A. That's true.

9 Q. And I just want to understand your  
10 opinion with regard to why it was obvious to  
11 substitute only at the 2 position, as opposed to  
12 the 5 position or both.

13 A. Mm-hmm.

14 Q. Can you just explain that to me?

15 A. Yes. Both, I think we covered to some  
16 degree earlier; namely, the -- I would disregard  
17 that, and I would suggest that a person, a  
18 skilled person at that time would also have  
19 because of the need to have multiple enzymatic  
20 conversions to derive or to arrive at 5-HMT.

21 Q. Mm-hmm.

22 A. So if we take that into consideration,  
23 then we can view the 2 versus 5 hydroxyl. As I  
24 mentioned in the di -- in the text, following the  
25 diagram, yes, they're both hydroxyl groups. But



1 DAVID R. JANERO, Ph.D.

2 they're not chemically equivalent.

3 The hydroxyl at the 2 position, 5-HMT, is  
4 the same hydroxyl in tolterodine.

5 The methyl hydroxyl at the 5 position  
6 actually is a result of the -- of the metabolism  
7 of tolterodine to 5-HMT.

8 Secondly, the difference is that the 2  
9 hydroxyl is relatively more acidic than the 5.  
10 And, therefore, under standard conditions, would  
11 be more readily converted to a simple ester, in  
12 terms of the synthetic chemistry, the chemical  
13 transformation to a stable ester. I --

14 Q. Where do you get that the 2 hydroxyl is  
15 relatively more acidic than the 5?

16 A. Because it's conjugated to the pi  
17 substituents, the pi ring of the phenyl moiety,  
18 whereas the 5 is not. It's separated by one  
19 methyl group.

20 Q. And, from that, you concluded it's more  
21 acidic?

22 A. Yes, because the electronic  
23 configuration, as shown in these diagrams, is  
24 actually not as rigid here. You have a pi  
25 electron ring that basically can be moved toward

1                   DAVID R. JANERO, Ph.D.

2       the electron-rich ring that alters the acidity,  
3       that makes that direct link between the 2  
4       hydroxyl and that pi ring system more acidic.

5               The lack of that direct link with respect to  
6       the 5 position, by virtue of that carbon spacer,  
7       so to speak, makes that relatively less acidic,  
8       because it's not in communication, direct  
9       communication with the pi electron system of the  
10      phenyl ring.

11           Q.     Okay. Now, you had mentioned -- well,  
12      if you look at the figure that you've been  
13      pointing to, which is on -- what is it, seven?

14           A.     Seven, yes.

15           Q.     And you are -- strike that.

16           You agree that both tolterodine and 5-HMT  
17      are active in and of themselves. Correct? They  
18      have, they share antimuscarinic properties.  
19      Correct?

20           A.     Yes.

21           Q.     And the fact that what is common to  
22      those two molecules is the hydroxyl in the 2  
23      position, in addition to the amine group,  
24      wouldn't that suggest to a person of ordinary  
25      skill in the art the likelihood that that

1 DAVID R. JANERO, Ph.D.

2 molecular configuration is important to the  
3 muscarinic receptor binding?

4 MS. WOOTEN: Objection. Form.

5 A. Not in terms of the binding data I've  
6 seen that show that both tolterodine and 5-HMT  
7 are high affinity ligands for that receptor.

8 Q. Okay. But that structural commonality,  
9 wouldn't that suggest to one of skill in the art  
10 that that's involved with the binding?

11 MS. WOOTEN: Objection. Form.

12 A. Not -- not in and of itself, no.

13 Q. Okay.

14 A. Because there are so many -- for  
15 example, there are so many other commonalities;  
16 namely, the tertiary amine, for example. The  
17 entire right side of the molecule, from the  
18 stereoselective hydrogen over to the right, are  
19 also common.

20 Q. Mm-hmm.

21 A. And if memory serves, I believe that  
22 the amine region is a very critical determinant  
23 of the interaction of these types of ligands with  
24 muscarinic receptors.

25 Q. Okay. Fair enough. It could be the

1 DAVID R. JANERO, Ph.D.

2 amine, the common amine structure. But the other  
3 common structure is the hydroxyl at 2. Correct?

4 MS. WOOTEN: Objection. Form.

5 A. Between tolterodine and 5-HMT, yes.

6 Q. And that being one of the two common  
7 structural features between these two compounds,  
8 which you know work, wouldn't that suggest to a  
9 person of skill in the art that that 2-positioned  
10 hydroxyl is important towards driving the  
11 activity of these compounds?

12 A. No. Because I believe there's  
13 precedent for the amine region to be particularly  
14 critical, but also there are not -- there are  
15 many more than two common chemical similarities  
16 between tolterodine and 5-HMT.

17 We could go around the molecule and count  
18 them. But the entire 3-position moiety from the  
19 phenol group is common to both. And that has  
20 several chemical entities associated with it.

21 Q. Okay.

22 A. In fact, that has -- the bulk of the  
23 molecule is there.

24 Q. Mm-hmm. Now, I believe that you  
25 reviewed the deposition transcript of Dr. Sparf,



1                   DAVID R. JANERO, Ph.D.

2           one of the inventors. Correct?

3           A.     I believe so, yes.

4           Q.     And do you recall that initially the  
5           inventors were attempting to make the prodrug by  
6           substitution at the 5 position?

7           A.     I don't actually recall that,  
8           specifically.

9                   MR. TRAINOR: Okay. I'd like to show  
10           you this. And what I've marked as Janero  
11           Exhibit 17 is United States Patent 5,382,600 --

12                                 (Document Bates-stamped  
13           MYLB\_FESO\_00027703 through -7722 marked  
14           Exhibit 17.)

15           Q.     -- to Jönsson, et al. And I believe if  
16           you look at Page 6 of your report, this is  
17           another one of the documents that you considered,  
18           as you see in the table there --

19           A.     Yes.

20           Q.     -- in Paragraph 14.

21           A.     Yes.

22           Q.     So do you understand that this  
23           document, this U.S. patent is the patent that  
24           covers tolterodine?

25           A.     The '600. That's my understanding.

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Calls for a  
3 legal conclusion.

4 Q. Okay. Now, if you -- did you review  
5 this patent?

6 A. I did.

7 Q. Okay. If you look at Column 29, which  
8 is pretty close to the end of the patent --

9 A. Got it.

10 Q. Okay. In the second full sentence, it  
11 says -- oh, sorry, in the first full sentence, it  
12 says, "The test procedures are described below,  
13 and the test results are reported in Table 1,"  
14 which starts on the next page. And I'll get to  
15 that.

16 But before we get to that, it says, "For  
17 comparison purposes, the testing also included  
18 the commercially available drug terodiline and a  
19 structurally similar compound," which it names,  
20 and states that it's disclosed in the prior art  
21 and other patents.

22 And then the paragraph concludes, "The test  
23 results clearly show that the compounds according  
24 to the invention are superior to the known  
25 compounds, especially as regards selectivity

1                   DAVID R. JANERO, Ph.D.

2       between the desired anticholinergic activity and  
3       the undesired side effects."

4           Do you see that?

5           A.     I see that.

6           Q.     Okay.  So if you go to Table 1, you see  
7       the first two compounds are these compounds that  
8       were described in that passage I just read as the  
9       prior art compounds.

10          A.     Yes.

11          Q.     Terodiline and this GBA compound?

12          A.     Yes.

13          Q.     And, in particular, terodiline is a  
14       diphenylpropylamine that is completely  
15       unsubstituted.  Do you see that?  On the rings?

16          A.     The phenyls are unsubstituted except  
17       for the --

18          Q.     Okay.  And then the rest of Table 1 are  
19       examples of the compounds claimed in this patent.  
20       And my question is just, looking at those  
21       structures numbered 1 through 13 in the patent,  
22       would you agree with me that the one common  
23       feature of all of these compounds is either the  
24       hydroxyl or methoxy at the 2 position of one of  
25       the phenyl rings?

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Form.

3 A. A common feature, yes. Ether hydroxy  
4 or methoxy. Yes.

5 Q. Okay. Now, and you'll note that the  
6 amine groups vary from compound to compound.  
7 Correct?

8 A. They do.

9 Q. And there are some compounds that are  
10 substituted or have additional substitution on  
11 the rings or are substituted on both rings?

12 A. Yes.

13 Q. But, again, the one common feature is  
14 the 2-position substitution of hydroxyl for  
15 methoxy. Correct?

16 A. In at least one of the rings, if not --

17 Q. Yeah.

18 A. -- both.

19 Q. Right. It should be clear, because you  
20 have it in your -- Page 7 of your report, but  
21 just represent that compound four is tolterodine.  
22 So I'll ask you the question again.

23 Having seen this, and also considering the  
24 structure of 5-HMT, does that suggest to you that  
25 the substitution of a hydroxy or, I guess, in a



1 DAVID R. JANERO, Ph.D.

2 few cases a methoxy at the 2 position may be  
3 important to the activity of these compounds?

4 MS. WOOTEN: Objection. Form.

5 A. Well, let's consider compound four.

6 Q. Mm-hmm.

7 A. And let's consider its direct analog  
8 where the substitution is at the 5 position with  
9 a hydroxyl, only a methoxy --

10 Q. Mm-hmm.

11 A. -- and what number would that be?

12 Q. Eight. That's a different amine group.

13 A. No.

14 Q. I'm sorry?

15 A. No. I said, "No". It is a different  
16 amine group. Sorry.

17 Q. But I'm just asking you --

18 A. See --

19 Q. -- for the benefit of --

20 A. Right.

21 Q. -- they're all analogs. Right? And  
22 5-HMT is an analog of these as well?

23 A. Right. Some are, as you alluded to  
24 earlier, for example, example ten, compound ten  
25 is a cyclic analog. So there are various --

1                   DAVID R. JANERO, Ph.D.

2       there are multiple changes.

3                   What I was looking for was the direct  
4       comparison between 4 --

5                   Q.     Mm-hmm.

6                   A.     -- at the 2 position and versus the  
7       direct comparator at the 5 position.

8                   Q.     Right. But that's kind of my point,  
9       which is that you don't have that. That  
10      tolterodine, in its analogs and 5-HMT, the one  
11      thing they all have in common is that there's  
12      this substitution at the 2 position.

13                  So my question is: Don't you think that  
14      would suggest to someone reviewing the prior art,  
15      in 1998, that there's something significant about  
16      the substituent at that specific 2 position on  
17      these analogs?

18                  MS. WOOTEN: Objection. Form.

19                  A.     As I say, I can't see the exact  
20      equivalent that is substituted only at the 5  
21      position with the hydroxy. I'm not seeing that  
22      to make that comparison. I see a diphenolic, but  
23      I don't see the equivalent to make that  
24      comparison directly in terms of anticholinergic,  
25      antimuscarinic, what have you.

1                   DAVID R. JANERO, Ph.D.

2           Q.    Mm-hmm.

3           A.    I'm not seeing that comparison.  So,  
4   from this -- from these data alone, from this  
5   data set, I could not -- I could not agree with  
6   that statement, because I don't see a direct  
7   comparator here.

8           Q.    Well, wouldn't you agree that one  
9   possible reason is that the 5-position  
10   substitution you're looking for is not the  
11   significant structural characteristic of all  
12   these analogs?

13           MS. WOOTEN:  Objection.  Form.

14           A.    Or the possibility exists, given the  
15   other changes in the structures, including what I  
16   would consider are radical changes in terms of  
17   cyclization around the nitrogen, that those may  
18   be determining factors, or the interaction of  
19   those.

20           There's no way, in my opinion, of  
21   concluding -- of answering that question without  
22   the direct comparator, and I don't see it in this  
23   table.  I'm sorry.

24           Q.    I'm not suggesting that you could  
25   conclude that.  I'm not even suggesting that a

1 DAVID R. JANERO, Ph.D.

2 person of ordinary skill would conclude that.

3 I'm just saying, wouldn't it possibly occur  
4 to them that there is some significance?

5 It may or may not be true, but given that  
6 you've got 11 -- 13 compounds here, plus 5-HMT,  
7 and all of them are substituted at the 2  
8 position, wouldn't it be possible the person of  
9 ordinary skill in the art would consider maybe  
10 that is important to the function of these  
11 analogs?

12 MS. WOOTEN: Objection. Form. Asked  
13 and answered.

14 A. Well, I'll accept that the prior art.

15 Q. Correct.

16 A. And that has an anticholinergic effect,  
17 if I read this, of 5 times 10 to the minus 7  
18 molar, as I see, 50.

19 Q. Right.

20 A. So that's a -- that's a -- that's about  
21 half. Let's say 5.2. 5.2, 5.5. So that's about  
22 fourfold difference between 4. But still you're  
23 in the -- you're in the submicromolar range with  
24 virtually all of these.

25 Q. Okay.



1                   DAVID R. JANERO, Ph.D.

2           A.     So from this, alone, I stand by my  
3 conclusion that I would -- I could not isolate,  
4 without direct comparator, the contribution of  
5 that specific position on the phenol ring to the  
6 overall profile given here of these compounds.

7           Q.     Okay. That's fine. Let me ask it to  
8 you this way: Let's assume that a person of  
9 ordinary skill in the art had a different view,  
10 and it occurred to them that there's something  
11 significant about the 2 substitution.

12           I want you to assume that. You may not  
13 agree with it. I want you to assume that that's  
14 what the thinking would be.

15           Assuming that the person of ordinary skill  
16 in the art did consider that, wouldn't you agree  
17 that they would be less likely to make the  
18 prodrug substitution at that position that may be  
19 important, and, instead, consider substitution at  
20 the 5 position?

21           MS. WOOTEN: Objection. Form.

22           A.     If there were data to show that  
23 assumption were true, then, in my opinion, the 2  
24 position would be less favored.

25           Q.     Okay.

1 DAVID R. JANERO, Ph.D.

2 A. But a substitution at the 2 position,  
3 having said that, would not necessarily change in  
4 an adverse way, per se, the activity of that  
5 compound or its ability to be hydrolyzed as a  
6 prodrug, an ester substitution.

7 Q. Okay. Okay. Fair enough. But you  
8 would agree that it would be reasonable for a  
9 person of ordinary skill to say, I want to avoid  
10 a prodrug substitution at the 2 position, because  
11 it might be important, and I don't want to  
12 interfere with the importance of keeping the  
13 hydroxyl there or the methoxy?

14 MS. WOOTEN: Objection. Form.

15 A. Given that assumption --

16 Q. Yes.

17 A. -- and given comparator proof that  
18 the -- that that 2-position hydroxyl were  
19 absolutely necessary for the activity --

20 Q. Mm-hmm.

21 A. -- those data, not being in the  
22 document before me, in the table, but with those  
23 as suppositions and given, then that would be a  
24 reasonable conclusion, in my opinion.

25 Q. Okay. Now, I just want to ask a few

1 DAVID R. JANERO, Ph.D.

2 more questions about this -- some of this  
3 molecular modification opinions.

4 So going back to -- you know, it begins on  
5 Page 21, I think. Actually, it goes back a  
6 little further. So let's look at Paragraph 62,  
7 for example.

8 A. I have it.

9 Q. Now, here in Paragraph 62, you express  
10 your opinion that the skilled artisan would not  
11 be motivated to di substitute at both 2 and 5.  
12 Do you see that?

13 A. I do.

14 Q. Okay. One of the reasons that you  
15 provide -- or sort of at the end of Paragraph 62,  
16 is that the -- a higher molecular weight and/or  
17 dual esterification is not desired, because that  
18 would reduce the propensity for esterases to act  
19 on the molecule. Do you see that?

20 A. I do.

21 Q. What is the basis for suggesting that  
22 an increase in molecular weight correlates with a  
23 reduction in the propensity to esterize or for  
24 esterases to act on the molecule?

25 A. The higher molecular weight, in this

1                   DAVID R. JANERO, Ph.D.

2       case, as I referred to earlier in the paragraph,  
3       would derive from the increase in complexity of  
4       the moiety.

5           Q.     Mm-hmm.

6           A.     So we're keeping the parent moiety, so  
7       to speak, the same.

8           Q.     Mm-hmm.

9           A.     So we have enzymes whose active sites  
10      can accommodate only so much bulk, molecular  
11      bulk --

12          Q.     Mm-hmm.

13          A.     -- molecular weight, molecular mass.

14                If we increase this -- and, by the way,  
15      these enzymes are water-soluble enzymes.

16                So if we increase the molecular weight, a  
17      person skilled in the art would invite, would  
18      consider inviting -- that this would invite less  
19      ability of the higher-molecular-weight species to  
20      interact with the catalytic site in such a way  
21      that they would be transformed, that they would  
22      be acted upon by the enzyme to -- as prodrugs, to  
23      result in the desired active product.

24           Q.     So where is the support for that? That  
25      the heavier the prodrug, the less likely it is



1 DAVID R. JANERO, Ph.D.

2 that the esterase will act on it?

3 A. Let's take an example. If we have a  
4 fatty ester --

5 Q. Mm-hmm.

6 A. -- let's say 16, 18, 20 carbons, versus  
7 a short chain ester, two, three carbons, simple  
8 linear chain, saturated, no problem --

9 Q. Mm-hmm.

10 A. -- and we expose an S9 preparation from  
11 liver to the very long chain lipid ester versus  
12 the short chain --

13 Q. Mm-hmm.

14 A. -- the long chain ester would not be  
15 recognized by this type of es- -- of enzyme, in  
16 terms of enzymatic hydrolysis. It would be  
17 recognized by another type that's membrane  
18 associated that takes that type of fatty  
19 molecule, but it would not be recognized by this  
20 type of water-soluble esterase that we're talking  
21 about here acting on a small molecule.

22 This is why, if one assays esterase activity  
23 from commercial reagents, this type of esterase,  
24 the commercial substrates, are small molecules.  
25 And the ester- -- the esterase converts them into

1                   DAVID R. JANERO, Ph.D.

2       a product that forms color, that emits light,  
3       that fluoresces, and that's how this type of  
4       assay is done in terms of determining esterase  
5       activity.

6           It's not -- it's not performed, but with a  
7       high molecular weight, long-chain fatty ester.  
8       These experiments I have actually done myself.

9           Q.     Okay. At what point -- is there a  
10      threshold of molecular weight that you need to  
11      stay under?

12      A.     Not that I know of.

13      Q.     And this is not a memory test, but I'll  
14      represent to you, I think the molecular weight of  
15      5-HMT is about 341.

16      A.     I would say that's reasonable.

17      Q.     Would you agree that you don't run the  
18      risk of -- I mean, that it would take quite a bit  
19      to go over that threshold? In other words, to  
20      add to the molecular weight of 341, at what point  
21      does it become too big, where it becomes the  
22      problem that you're envisioning?

23                   MS. WOOTEN: Objection. Form.

24      A.     I wouldn't know that, but it's not only  
25      in terms of the steric bulk. It's in terms of

1 DAVID R. JANERO, Ph.D.

2 now changing the molecular properties.

3 If one introduces a very extensive  
4 hydrocarbon chain onto 5-HT --

5 Q. Mm-hmm.

6 A. -- HMT, you have a situation where  
7 you're now making the molecule very lipophilic,  
8 very greasy.

9 Q. Okay.

10 A. And these molecules -- these enzymes,  
11 these esterases are water soluble. They -- their  
12 active sites are well hydrated. They don't act  
13 upon this type of high-molecular-weight molecule.

14 They wouldn't act upon this because you've  
15 changed, not only molecular weight increased, by  
16 doing so, you've increased the lipophil- -- you  
17 made them actually into lipid-like molecules.

18 So it's not only the molecular mass. Other  
19 factors come in as well when one increases the  
20 complexity, the chemical complexity of this ester  
21 profunction.

22 Q. Okay. In the next paragraph,  
23 Paragraph 63 --

24 A. Yes.

25 Q. -- I probably should have brought you

1 DAVID R. JANERO, Ph.D.

2 here before, but there's a discussion of these  
3 other types of common ester groups --

4 A. Mm-hmm.

5 Q. -- that can be considered. Phosphate  
6 esters, ethers --

7 A. Yes.

8 Q. -- carbamates and carbonates.

9 And just to paraphrase, the opinion that you  
10 express there is that these types of ester groups  
11 invite changes to the charge of the parent  
12 molecule.

13 Do you see that?

14 A. Yes, but not in all cases. The  
15 phosphate ester may, because it may have a  
16 negative charge. But not all of them.

17 Q. Carbonates and carbamates, they don't  
18 even have a charge. Correct?

19 A. At certain pHs, they don't.

20 Q. Okay.

21 A. The point here is not to -- my point  
22 was not to assign specific reactivity or charges  
23 to any specific groups here. The idea that by  
24 introducing certain types of esters, such as  
25 these, these functionalities have in themselves



1 DAVID R. JANERO, Ph.D.

2 chemical reactivities that would not be displayed  
3 by simple hydrocarbons, such as that on a methyl  
4 ester, such as that on an isopropyl ester.

5 Q. Mm-hmm.

6 A. And that, to me, and to someone skilled  
7 in the art at that time, in '98, would make such  
8 a simple ester, hydrocarbon ester, more  
9 attractive versus these esters in a discovery  
10 program.

11 Q. Okay. But the reasoning of inviting  
12 charge, just sticking with that for a moment --

13 A. Sure.

14 Q. -- if carbamates and carbonates don't  
15 have charge, that wouldn't be a reason not to  
16 consider them as the ester groups for a 5-HMT  
17 prodrug. Correct?

18 A. Right, if under those conditions. But  
19 it could be under conditions where they have  
20 their own reactivities, chemical reactivities as  
21 carbamates or carbonates or ethers, for example.

22 Q. And even if any of these other  
23 alternative esters were charged, wouldn't you  
24 agree that the body takes care of charges all the  
25 time?

1 DAVID R. JANERO, Ph.D.

2 A. No. I wouldn't agree with that.

3 Q. Okay. Is every amino acid in the human  
4 body charged?

5 A. No.

6 Q. Okay. And tolterodine and 5-HMT at the  
7 physiological pH, are they charged?

8 A. They would not be, in my opinion. No.

9 Q. And the -- there's another reference to  
10 inviting local changes to the tissue pH --

11 A. Yes.

12 Q. -- if these esters are employed?

13 A. Mm-hmm. Mm-hmm.

14 Q. Okay. And --

15 A. Again, not all esters, but this is a  
16 potential for some esters that would have more  
17 acidic chemical reactivity or basic reactivity.

18 Q. Okay. But the inciting local  
19 charges -- or the risk of inciting local changes  
20 in the tissue pH --

21 A. Mm-hmm.

22 Q. -- that you suggest would be brought on  
23 by these alternative ester promoieties --

24 A. Mm-hmm.

25 Q. -- doesn't that fail to account for the

1 DAVID R. JANERO, Ph.D.

2 fact that human serum is a buffer in and of  
3 itself?

4 A. It's --

5 MS. WOOTEN: Objection, form.

6 THE WITNESS: Pardon me.

7 A. It's an extraordinarily weak buffer.

8 Q. Okay.

9 A. And it's not what one would call a  
10 general buffer. It's an extraordinarily weak  
11 buffer. And this is why, for example,  
12 interavenous or intramuscular drugs are not  
13 interjected at pH 1.

14 Q. Mm-hmm.

15 A. They're not administered at pH 12. If  
16 it were such a good buffer, the pH of these preps  
17 wouldn't matter at all.

18 Q. Okay. And regardless of whether a  
19 prodrug of the type described in Paragraph 63 is  
20 employed, once the active molecule is released  
21 from the prodrug, it's going to have the same  
22 reactivity, no matter what. Correct?

23 MS. WOOTEN: Objection. Form.

24 A. This would depend upon whether the  
25 prodrug did alter, for example, the metabolism of

1                   DAVID R. JANERO, Ph.D.

2       that drug and produced, for instance, other  
3       byproducts that were active or may have  
4       interfered with the activity of that drug.

5               So that's not -- that's the optimal  
6       scenario, but I could see it would not  
7       necessarily be the case.

8               Q.     Okay. And the -- I believe that --  
9       okay. Let me just see if there's anything else  
10      on this and then -- now, the -- going back to  
11      Bundgaard, a number of the compounds that are  
12      listed in that Table 2, they are -- some of them  
13      are di substituted, some of them are tri  
14      substituted.

15              How is it that Bundgaard would suggest only  
16      a mono substitution for a 5-HMT prodrug?

17              A.     Well, there are some that are  
18      monoesters that are specified here, and they are  
19      prodrugs for drugs containing a hydroxyl group,  
20      and that is in parallel with 5-HMT.

21              Q.     Mm-hmm.

22              A.     In terms of monoester, one would have  
23      to go -- versus -- one would have to go back into  
24      these independently and assess that situation.

25              I don't know the specific basis, nor would I



1 DAVID R. JANERO, Ph.D.

2 conclude that a monoester would be favored from  
3 this table, alone. I gave other reasons earlier,  
4 as well as in the document, why I would think a  
5 person skilled in the art at that time would  
6 favor a monoester.

7 Q. Mm-hmm. Despite examples in the prior  
8 art of a number of prodrugs that are -- have  
9 multiple substitutions?

10 MS. WOOTEN: Objection to form.

11 Q. Correct.

12 A. The multiple substitution in those  
13 would not necessarily guarantee that the same  
14 multiple substitution would be appropriate for  
15 5-HMT.

16 Q. Mm-hmm.

17 A. For example, some of those drugs need  
18 not have been modified at a hydroxyl group.

19 Q. Mm-hmm. Okay. Now, but you would  
20 agree that the Bundgaard publication teaches a  
21 number of successful diesters. Correct?

22 MS. WOOTEN: Objection. Form.

23 A. Successful, in terms of?

24 Q. Prodrugs functioning as they should.

25 A. No. I would disagree with that.

1                   DAVID R. JANERO, Ph.D.

2           Q.    Okay.  You have epinine, albuterol,  
3    terbutaline, epinephrine, dobutamine.  Those are  
4    all diesters?

5           A.    Right.  But in contrast to what you  
6    stated, I would say that the table represents  
7    various -- in terms of successful, to use the  
8    terminology, conversions of ester prodrugs to  
9    active drugs that are listed in the left-hand  
10   column --

11          Q.    Mm-hmm.

12          A.    -- and in the references.

13                It gives me no indication as to the  
14   pharmacological profile of the resultant drugs in  
15   terms of their therapeutic success or therapeutic  
16   limitation for adverse events or lack of adverse  
17   events.

18          Q.    Okay.  Okay.  There are, I think, a  
19   number of indications in this section about the  
20   molecular modifications that a person of ordinary  
21   skill would make, and I think a couple of times  
22   you make a reference to preserving the metabolic  
23   pathway of 5-HMT.

24                Does that sound familiar to you?

25          A.    It does sound familiar.  I'd like a

1 DAVID R. JANERO, Ph.D.

2 specific example, though --

3 Q. Mm-hmm.

4 A. -- because it may be very contextual.

5 Q. Well, let me see here. Why don't I  
6 just ask you this question, which is: Regardless  
7 of what prodrug you design of 5-HMT, once it  
8 converts to 5-HMT, the metabolic pathway of 5-HMT  
9 is what it is. It's not going to change or be  
10 affected by the way you've designed the prodrug.  
11 Correct?

12 MS. WOOTEN: Objection. Form.

13 A. Once the conversion is to 5-HMT --

14 Q. Mm-hmm.

15 A. -- 5-HMT would be expected to be  
16 inactivated, metabolized, by two cytochromes, two  
17 essentially inactive products --

18 Q. Mm-hmm.

19 A. -- yes. That are known.

20 However, the derivatization of 5-HMT into a  
21 prodrug could alter such things as the rate of  
22 conversion. It could alter the site of  
23 conversion and so forth. But where, if I  
24 understand your question, it starts at point of  
25 5-HMT --

1                   DAVID R. JANERO, Ph.D.

2           Q.     Okay.

3           A.     -- if I have that right.

4           Q.     Okay.  And one more question about  
5 Bundgaard.

6                   There are also a number of examples of  
7 prodrugs made with substitutions at the aliphatic  
8 positions.  Correct?

9           A.     Yes.

10          Q.     I think quinapril is one.  What else?  
11 And there's prodrugs of IDU.  Those are not in  
12 the table, but they are in this chapter?

13          A.     Mm-hmm.

14          Q.     But would you agree that Bundgaard  
15 discloses prodrugs where the ester substitution  
16 is at the aliphatic position?

17                   MS. WOOTEN:  Objection.  Form.

18          A.     There are specified examples in  
19 Table 2.  Yes.

20          Q.     Okay.  And is there any reason why  
21 those disclosures or teaching of Bundgaard  
22 wouldn't suggest substituting at the aliphatic  
23 position in designing a 5-HMT prodrug?

24          A.     Well, given knowledge at the time, and  
25 I believe, if memory serves, this goes back to



1                   DAVID R. JANERO, Ph.D.

2 oxybutynin, in itself, the aliphatic region of  
3 these -- of these antimuscarinic agents is very  
4 critical to their engagement at the receptor, at  
5 the muscarinic receptor.

6           So I would use that information, combined,  
7 yes, there's a possibility to derivatize at the  
8 aliphatic region of 5-HMT, but I would temper  
9 that information with the knowledge that the  
10 substituents around the ring, in fact, this  
11 nitrogen region to the right, is very critical in  
12 terms of recognition of these antimuscarinic  
13 agents and their engagement by target receptor  
14 that is known to be involved in the relaxation of  
15 the bladder smooth muscle, so I would say that  
16 that information would teach someone skilled in  
17 the art away from -- away from substitution at  
18 that region, the aliphatic region, rather than  
19 toward.

20                   MR. TRAINOR: Okay. Why don't we take  
21 a quick break.

22                   THE VIDEOGRAPHER: The time now is  
23 17:17, and we're off the record.

24                               (A recess was taken.)

25                   THE VIDEOGRAPHER: The time now is

1                   DAVID R. JANERO, Ph.D.

2       17:29, and we're back on the record.

3       BY MR. TRAINOR:

4           Q.     I know it's getting late.  Just  
5       finishing up on the opinions you have with  
6       respect to the specific molecular design of the  
7       5-HMT prodrug, is it fair to say that your  
8       opinions are based on -- strike that.

9           Is it fair to say that your opinion is that  
10       a person of ordinary skill would start with small  
11       hydrocarbon esters, because they are less complex  
12       and keep the molecules simple -- that that's your  
13       opinion.  Correct?  That the person of skill  
14       would start, reasonably, with that particular  
15       choice of ester?

16           MS. WOOTEN:  Objection.  Form.

17           A.     Those are two factors, but there are  
18       other factors as well, as I alluded to.  In other  
19       words, the ability of these simpler esters to be  
20       hydrolyzed by required enzymes, to regain the  
21       parent compound from the prodrug, the  
22       attractiveness of the more conservative esters, I  
23       think would not change the intrinsic properties  
24       of the molecule, physiochemical properties, for  
25       example, making them more lipophilic; as an

1                   DAVID R. JANERO, Ph.D.

2       example, I showed fatty, to the extent that they  
3       might have alternative activities or might  
4       engender metabolic products that would have other  
5       perhaps unwanted activities and so forth. So  
6       those reasons that you cite are part of a larger  
7       picture, but they are reasons, so yes.

8           Q.     So my question is really: Would you  
9       agree that nonester prodrugs such as the ones we  
10      discussed in Bundgaard or other classes of  
11      esters, ester prodrugs, like carbonates and  
12      carbamates, would you agree that they may also be  
13      converted, notwithstanding that they may be more  
14      complex and less simple?

15           In other words, it's not your opinion that  
16      other types of prodrugs wouldn't work to convert  
17      5-HMT. Correct?

18           A.     I cannot say that, because I don't know  
19      to what extent a derivative of 5-HMT would be --  
20      would be -- those other types of derivatives  
21      would be, a priori, susceptible to hydrolysis.

22           Experiments would say that, but I don't know  
23      that, just based upon the chemical structure,  
24      other than if we keep the promoiety relatively  
25      conservative, my guesstimate would be that they



1                   DAVID R. JANERO, Ph.D.

2       would have a good chance of being converted.   But  
3       I would not know that.

4           Q.     Right.  And I think that's my point.

5           It's your opinion that you would start with  
6       these conservative hydrocarbon esters because of  
7       their simplicity and the likelihood that they may  
8       work.  Correct?

9           A.     And lack of intrinsic chemical  
10       reactivity.

11          Q.     Right.  And all I'm asking you is:  It  
12       doesn't necessarily follow that nonester prodrugs  
13       or more complex ester prodrugs couldn't also work  
14       as a solution.  Correct?

15           MS. WOOTEN:  Objection.  Form.

16          A.     Not necessarily from -- correct.

17          Q.     Right.

18          A.     But the data on the ester prodrug, per  
19       se, would not necessarily be predictive of either  
20       another type of ester "working," quote/unquote,  
21       or not.

22          Q.     Okay.  Now, with respect to the design  
23       choices that faced the skilled artisan with  
24       respect to making a prodrug of 5-HMT, are there  
25       any particular rules or teachings in the art



1                   DAVID R. JANERO, Ph.D.

2       which suggest which types of prodrugs or which  
3       types of esters are likely to render the prodrug  
4       inactive?

5           A.     If we go back to the prior context and  
6       reasoning --

7           Q.     Mm-hmm.

8           A.     -- the prodrug would be rendered  
9       inactive in a scenario where it would not be  
10      hydrolyzed at all or hydrolyzed efficiently by  
11      ester prodrug.

12          So going back to a former example, if we  
13      were to derivatize a relatively low molecular  
14      weight, of around 400ish or so, molecule with a  
15      very large, aliphatic hydrocarbon, greasy, lipid  
16      ester group, that stands very little chance of  
17      being hydrolyzed by this type of water-soluble  
18      esterase, then, yes, that would have great  
19      impact, perhaps decisive impact on the ability.

20          Q.     Right. I think we're -- I may have  
21      confused you.

22          I'm talking about to the extent that the  
23      prodrug does not get hydrolyzed, and I'm sure you  
24      would agree with me that no matter what the  
25      prodrug is and no matter what the drug is, there

1 DAVID R. JANERO, Ph.D.

2 will always be some unconverted prodrug, itself.  
3 Right?

4 A. I wouldn't say always, no.

5 Q. Okay. And, obviously, you wouldn't  
6 want that. But to the extent -- I mean, the  
7 teaching about Bundgaard and the definition of  
8 the prodrug being inactive, that's important,  
9 correct, to the extent that not all of the  
10 prodrug gets converted?

11 MS. WOOTEN: Objection. Form.

12 A. In the classic definition that  
13 Bundgaard gives as a prodrug, that is a  
14 prerequisite. That is a characterization, a  
15 characterizing factor of the prodrug, yes.

16 Q. Right. And that's because, for any  
17 unconverted prodrug, you don't want to run the  
18 risk of it having activity that could be adverse  
19 or affect other targets. Correct?

20 MS. WOOTEN: Objection. Form.

21 A. Well, but by definition of the prodrug  
22 that we're using here, the prodrug would have no  
23 significant biological activity.

24 Q. I agree. I understand. That's -- that  
25 is the definition of a prodrug, that the prodrug

1                   DAVID R. JANERO, Ph.D.

2           itself doesn't have activity.

3           And my question is: Are there any  
4           particular teachings as to how to modify or  
5           design a prodrug to ensure that if the prodrug is  
6           not converted, it's still inactive?

7                   MS. WOOTEN: Objection. Form.

8           A.     If it's not converted --

9           Q.     Correct.

10          A.     -- it's still inactive?

11          Q.     Correct.

12          A.     Well, other than to try to ensure that  
13          it is not a substrate of esterases, because if a  
14          prodrug is not a substrate or a very poor  
15          substrate of esterases; i.e., not risked by the  
16          enzyme's active site to be converted, then you  
17          would end up in this paradigm with mainly or only  
18          inactive prodrug. So...

19          Q.     Well, once -- it's preferably inactive,  
20          but you don't necessarily know that. Correct?  
21          Lets take a look at the three structures in front  
22          of you, Page 7.

23          A.     Mm-hmm.

24          Q.     Okay. You would agree with me,  
25          tolterodine is active?

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Form.

3 A. It has muscarinic activity, yes.

4 Q. Right. And 5-HMT is active as well?

5 A. It has muscarinic activity, yes.

6 Q. And so isn't it fair to assume that  
7 there's a risk in designing a prodrug being an  
8 analog of those two active antimuscarinics, that  
9 the prodrug you design converts, but,  
10 unfortunately, it's active also and doesn't solve  
11 the problem of the two active agents?

12 MS. WOOTEN: Objection. Form.

13 A. If I understand the question correctly,  
14 the risk would be mitigated by the conservative  
15 nature of the substitution.

16 Q. It would be mitigated. So --

17 A. Could be.

18 Q. Okay. That's what I wanted to ask you.  
19 So your -- your testimony is that a conservative  
20 ester is likely to lead to a compound that's  
21 inactive?

22 A. No.

23 MS. WOOTEN: Objection. Form.

24 A. The opposite. A conservative ester  
25 would likely be a substrate of esterases, and,



1 DAVID R. JANERO, Ph.D.

2 therefore, would likely end up with a com- -- if  
3 the compound is active, it would likely be  
4 activated, it would likely be transformed by the  
5 esterase.

6 A conservative ester substitution of the  
7 parent compound, a priori, need not necessarily  
8 lead to an inactive prodrug.

9 Q. Right. What I'm saying --

10 A. That's --

11 Q. What I'm saying is the two structural  
12 analogs of fesoterodine, 5-HMT and tolterodine --

13 A. Yes.

14 Q. -- they're active?

15 A. Yes.

16 Q. If I create a prodrug that is not  
17 completely converted, and the unconverted analog  
18 of those two is active --

19 A. Mm-hmm.

20 Q. -- then I haven't designed a prodrug,  
21 by definition. Correct?

22 A. The classic definition --

23 MS. WOOTEN: Objection. Form. Asked  
24 and answered.

25 A. That's true, because the classic

1 DAVID R. JANERO, Ph.D.

2 definition that we're following here is the  
3 prodrug has no significant biological activity.

4 Q. Right. And so what I'm asking you is  
5 when you're doing this design --

6 A. Yes.

7 Q. -- before you know whether it converts  
8 or anything like that, before you've done any  
9 testing of its qualities as a prodrug --

10 A. Mm-hmm.

11 Q. -- what is it in the prior art, if  
12 anything, that teaches you what substitutions to  
13 make to ensure that, unlike its two analogs, it  
14 is not also active?

15 MS. WOOTEN: Objection. Form. Asked  
16 and answered.

17 Q. Or is that something you just have to  
18 test?

19 A. The nonempirical way to do it would be  
20 to make the derivatives and profile them, of  
21 course --

22 Q. Right.

23 A. -- and quantify the rate and extent of  
24 conversion.

25 Q. Mm-hmm.

1                   DAVID R. JANERO, Ph.D.

2           A.    The mere derivatization of a compound,  
3 an active pharmacological agent --

4           Q.    Mm-hmm.

5           A.    -- to any type of ester need not  
6 guarantee that that derivatization has  
7 inactivated that compound.

8           Q.    That's what I was asking you. And so  
9 on that point, is there anything that a medicinal  
10 chemist or a drug designer can do that will tend  
11 to result in an inactive prodrug, as opposed to a  
12 drug which converts, and in unconverted form,  
13 remains active?

14           MS. WOOTEN:  Objection to form.

15           Q.    Do you understand my question?

16           A.    Right.  If one knew, for example, that  
17 there were a certain reactive molecule, not the  
18 prodrug, that were essential to its  
19 pharmacological action, such that if that region  
20 were altered, abrogated, changed in some way, as  
21 a prodrug, as an ester prodrug, however,  
22 chemically, then negatively affected the  
23 activity, that one could design around that  
24 region to limit the activity as a prodrug.

25           But, at the same time, introduce a promoiety

1 DAVID R. JANERO, Ph.D.

2 that would be susceptible to metabolic conversion  
3 to regain that compound back.

4 Q. Mm-hmm.

5 A. And in the design rationale, that would  
6 support the idea of inactivating or limiting the  
7 activation in the classic sense, inactivating  
8 that original molecule and then expressing the  
9 activity with metabolic conversion as a prodrug.

10 So that would be one way one could do that.

11 Q. Okay. I guess my question is: This  
12 presents a good example on Page 7, but with  
13 respect to any prodrug that you're trying to  
14 design to get back to an active metabolite, for  
15 example, you're necessarily creating an analog of  
16 the active compound. Correct?

17 A. Chemical analog. Yes.

18 Q. And so wouldn't a person of ordinary  
19 skill in the art be concerned that any analog of  
20 an agent I know is active might also be active?

21 MS. WOOTEN: Objection. Form. Asked  
22 and answered.

23 A. That would be potential. Yes, it would  
24 be a potential outcome.

25 Q. Right. And I apologize if you answered



1 DAVID R. JANERO, Ph.D.

2 this already. I'm just saying, were there any  
3 teachings or rules that existed in 1998 that  
4 dictated how you might design a closed structural  
5 analog to, conversely, be inactive?

6 MS. WOOTEN: Objection. Form.

7 A. You would have to look at the specific  
8 compound in question in terms of a data set that  
9 I was -- prior art that I was describing earlier,  
10 with respect to regions of the molecule that had  
11 been changed and that had affected activity.

12 In this case, you would want the activity to  
13 have been affected adversely or reduced so that  
14 you could then leverage that information in terms  
15 of then reducing the activity or eliminating the  
16 activity in a prodrug, whether it be an ester or  
17 some other moiety.

18 Q. Okay. And I take it from your answer  
19 about needing to understand what parts of the  
20 active molecule are involved in the activity,  
21 that the fact that fesoterodine is inactive  
22 suggests that that 2 position probably is  
23 involved in the activity of both tolterodine and  
24 5-HMT. Correct?

25 MS. WOOTEN: Objection. Form.

1 DAVID R. JANERO, Ph.D.

2 Mischaracterizes testimony.

3 A. No. It simply suggests that the  
4 structure around that region, but not necessarily  
5 the 2 position, may -- may be a determinant of  
6 the fit of that skeleton into the binding pocket  
7 of the muscarinic receptor.

8 Q. Okay. And you testified earlier that  
9 you're not aware of what parts of the 5-HMT or  
10 tolterodine molecules are responsible for the  
11 activity. Correct?

12 A. I believe that one component that's  
13 important in the engagement of this type of  
14 molecule is the derivatized nitrogen in this  
15 class of molecules, so there is something known  
16 about it.

17 Q. Mm-hmm.

18 A. I don't know that this literature that  
19 has picked apart each individual group around  
20 these -- around these molecules sequentially, and  
21 given the same substitution all around or similar  
22 substitution, to prove that point experimentally.

23 Q. But if the amine group of tolterodine  
24 and 5-HMT were important to the activity,  
25 wouldn't a person of ordinary skill in the art

1                   DAVID R. JANERO, Ph.D.

2       try to alter the amine, the amine group to ensure  
3       that the prodrug would be inactive, to the extent  
4       not converted?

5                   MS. WOOTEN: Objection. Form.

6           A.     But then, in my opinion, you'd run the  
7       situation of either an inefficient conversion or  
8       conversion to something else.

9           I would say that that would teach away, that  
10      would teach to preserve that region, not  
11      derivatize the region as being essential for  
12      engagement to the target receptor that's involved  
13      in the disease.

14          Q.     Okay. In any event, in the -- the  
15      answer to my question of how do you make design  
16      choices to best ensure inactivity of the prodrug,  
17      is it correct that the answer is, if you know,  
18      you try to design around the parts of the  
19      compound responsible for the activity? Is that  
20      right?

21                  MS. WOOTEN: Objection. Form.

22          A.     That's one approach, but certainly not  
23      the only approach.

24          Q.     Mm-hmm.

25          A.     You -- by doing that, as I just

1 DAVID R. JANERO, Ph.D.

2 mentioned, you run the risk of having a limited  
3 regain of reactivity upon conversion, if  
4 conversion occurs at all.

5 Q. Mm-hmm.

6 A. You need -- if you have an essential  
7 moiety in your parent compound and you derivatize  
8 that to something else that may be theoretically  
9 convertible, you would have to return that moiety  
10 to exactly the same position, exactly at the same  
11 hydration, exactly the same ester chemistry in  
12 order for the prodrug approach to have worked.

13 If you take a less -- a region of the  
14 molecule that is less involved, less critical,  
15 and derivatize that, that, to me, would be more  
16 attractive in terms of a prodrug design.

17 Q. Okay. Let me just have one last  
18 question on this.

19 A. Sure.

20 Q. Looking at those three compounds on  
21 Page 7 of your report, tolterodine, 5-HMT,  
22 fesoterodine, and you agree with me that  
23 fesoterodine has been proven to be inactive in  
24 and of itself. Correct?

25 A. All that I've seen would support that,



1 DAVID R. JANERO, Ph.D.

2 yes.

3 Q. Do you have a view as to why  
4 fesoterodine is inactive in and of itself?

5 MS. WOOTEN: Objection. Form.

6 A. One possibility is that this region of  
7 the tertiary amine may be somehow shielded from  
8 its interaction with the receptor, but at the  
9 same time, the conservative nature of this ester  
10 modification allows this intact molecule, as  
11 fesoterodine, to be recognized by esterases for  
12 cleavage and for return back to 5-HMT as a  
13 prodrug --

14 Q. Okay.

15 A. -- oxidation to the alcohol.

16 Q. Okay. So if a person of ordinary skill  
17 in the art, in 1998, or any time, has an  
18 understanding of how the active agent or the  
19 desired agent binds or otherwise affects its  
20 activity, then that is something you would  
21 consider in the design of a prodrug. Correct?

22 A. That would be one element of the  
23 information, yes.

24 MR. TRAINOR: Okay. That's great.

25 Thanks.

1 DAVID R. JANERO, Ph.D.

2 Q. Now, I just want to ask you about your  
3 opinions with respect to the obviousness of the  
4 fumarate salt --

5 A. Salt, yes.

6 Q. -- of fesoterodine.

7 A. Yes.

8 Q. One of the papers that you cite in  
9 support is this Berge paper. Let me just give it  
10 to you, so you have it in front of you.

11 MR. TRAINOR: Can you just pass me  
12 that.

13 Q. Actually, before I get to that, let me  
14 just ask you: Now, assuming that the person of  
15 ordinary skill makes a prodrug and designs to  
16 make a conservative hydrocarbon ester prodrug,  
17 how is it, in your view, that the person of  
18 ordinary skill in the art would have obviously  
19 come to the specific isobutyryl substitution of  
20 fesoterodine?

21 MS. WOOTEN: Objection. Form. Asked  
22 and answered.

23 A. It would comply with those  
24 specifications as a conservative hydrocarbon,  
25 unreactive modification. That would be one of

1                   DAVID R. JANERO, Ph.D.

2     other possible, but that would certainly be, in  
3     my opinion, someone -- a skilled artisan, at that  
4     time, or any time, to be a prime candidate.

5           Q.     So why is isobutyryl a prime candidate,  
6     aside from being conservative?

7           A.     It has limited hydrocarbon. It doesn't  
8     even have a hydrocarbon chain, isobutyl, short  
9     molecule. It has three carbons, and that would  
10    satisfy the specifications that I would consider  
11    attractive in terms of modifying at that -- as a  
12    hydrocarbon ester.

13          Q.     Right. But there's still a number of  
14    other esters that fit that description. Correct?

15          A.     Yes.

16          Q.     Okay. So my question is: Why  
17    isobutyryl specifically? Where is the teaching  
18    specifically to isobutyryl?

19                MS. WOOTEN: Objection. Form.

20          A.     I believe that the ultimate teaching  
21    would come from experimental comparative data  
22    with respect to, say, turnover of esterases.

23          Q.     Okay. So you'd agree that there's no  
24    specific teaching to use isobutyryl in connection  
25    with 5-HMT or molecules structurally similar to

1                   DAVID R. JANERO, Ph.D.

2   5-HMT.  Rather, it would be a function of trial  
3   and error?

4                   MS. WOOTEN:  Objection.  Form.  
5   Mischaracterizes testimony.

6                   A.  No.  I would say that the teaching  
7   would restrict the potential derivatization to  
8   small conservative modifications of nonreactive  
9   hydrocarbon esters, a prime candidate of which, a  
10  prime specimen of which, a prime example of which  
11  is the isopropyl.

12                  Q.  Right.  And all I'm saying is that  
13  that's -- but you would arrive there by virtue of  
14  testing a number of other conservative esters  
15  that fit that description, including isobutyryl.  
16  Correct?

17                  MS. WOOTEN:  Objection.  Form.

18                  A.  No.  I would arrive at that by taking  
19  into account those parameters and knowing that  
20  isobutyl fit those parameters, as did methyl.  So  
21  they would be, in my opinion, for someone  
22  experienced in the art at that time, any time,  
23  prime candidates to derivatize such an agent.

24                  Q.  I understand that.  But there are a  
25  number of candidates.  Correct?



1                   DAVID R. JANERO, Ph.D.

2           A.    Well --

3                   MS. WOOTEN:  Objection.  Form.

4           A.    -- there would be a number of  
5 candidates, chemically, that would fit that  
6 description, yes.

7           Q.    Right.  And aside from it being inside  
8 this group of candidates, you're not aware of any  
9 teaching in the prior art that said specifically  
10 isobutyryl is the ester to use with a compound  
11 structurally similar to 5-HMT?

12          A.    No.  I am not aware of that.

13          Q.    Okay.  And now I'll turn to this  
14 question of salt.

15          A.    The salt.

16          Q.    The -- thank you.

17                   MR. TRAINOR:  This is number 18.  So  
18 I'm asking the court reporter to mark as  
19 Janero -- let me put it up here, Janero  
20 Exhibit 18, another publication from the Journal  
21 of Pharmaceutical Sciences, a review article  
22 entitled "Pharmaceutical Salts."  And the lead  
23 author is Berge or Berge (pronunciation).  This  
24 is -- bears Mylan Bates numbers -26914 to -933.

25                                   (Document Bates-stamped

1 DAVID R. JANERO, Ph.D.

2 MYLB\_FESO\_00026914 through -6933 marked  
3 Exhibit 18.)

4 Q. Now, you recognize this publication,  
5 Dr. Janero?

6 A. I do.

7 Q. Exhibit 18?

8 A. I do.

9 Q. Okay. Now, would you agree that it is  
10 from this publication that you concluded that the  
11 fumarate salt of fesoterodine would have been  
12 obvious to a skilled artisan in 1999?

13 MS. WOOTEN: Objection. Form.

14 A. Could you repeat the question, please.

15 Q. So the relevance of this publication to  
16 your opinions is that, in your view, this  
17 publication, Exhibit 18, teaches the fumarate  
18 salt of fesoterodine?

19 MS. WOOTEN: Objection. Form.

20 A. I believe it teaches that fumarate salt  
21 is a very attractive salt, from both a commercial  
22 marketing standpoint, as well as biocompatibility  
23 standpoints, to be used in formation of a salt.

24 Q. Mm-hmm.

25 A. It does not, to my recollection,

1                   DAVID R. JANERO, Ph.D.

2       specify fesoterodine or any antimuscarinic agent  
3       in that class, per se.

4           Q.     Okay.  And on the first page of this  
5       article, and the paragraph in the second column  
6       that begins -- the second column that begins,  
7       "Salt forming agents are often chosen  
8       empirically."

9           A.     Yes.

10          Q.     Do you see that?

11          A.     Yes, I do.

12          Q.     About halfway down, we can see there's  
13       a sentence that begins, "Unfortunately, there's  
14       no reliable way of predicting the influence of  
15       particular salt species on the behavior of a  
16       parent compound."

17          A.     I see that.

18          Q.     Do you see that?

19          A.     Yes, I do.

20          Q.     Do you agree with that, that that was  
21       the case in 1998 or 1999?

22          A.     Yes.  I would agree with that.

23          Q.     Okay.  And then it continues,  
24       "Furthermore, even after many salts of the same  
25       basic agent have been prepared, no efficient

1 DAVID R. JANERO, Ph.D.

2 screening techniques exist to facilitate the  
3 selection of the salt most likely to exhibit the  
4 desired pharmacokinetic solubility and  
5 formulation profiles."

6 Do you see that?

7 A. I do.

8 Q. Okay. Did you agree that that  
9 statement was accurate as of 1998 or 1999?

10 A. I don't know what the qualifier  
11 "efficient" means, but certainly there are  
12 techniques that existed then, and certainly exist  
13 now, to facilitate selection of a salt.

14 Q. Okay.

15 A. Experimental techniques, screening  
16 techniques.

17 Q. Okay. Now, what was it about  
18 fesoterodine fumarate that would have suggested  
19 to a skilled artisan that it would be  
20 biocompatible with a 5-HMT prodrug?

21 MS. WOOTEN: Objection. Form.

22 A. Well, the fumarate salt itself, the  
23 fumarate itself, as a known fumaric acid, is  
24 known to be biocompatible. It's actually a  
25 metabolite.



1                   DAVID R. JANERO, Ph.D.

2                   So when a salt form of a compound is placed  
3 into water and dissolutes, the compound results  
4 and fumarate would result, fumarate being a  
5 biocompatible molecule that would argue for the  
6 compatibility of the salt form, biocompatibility  
7 of the salt form.

8                   Q.    Oh, okay.  Then I should ask you, what  
9 do you mean by "biocompatible"?

10                  A.    That the dissolution of the salt form  
11 into its salt and other agents -- agents, that  
12 the salt itself does not engender adverse  
13 event -- adverse evex -- adverse events and that  
14 it can be readily eliminated or metabolized by  
15 the -- by the organism treated.

16                               (Reporter clarification.)

17                  A.    By the organism, by the living entity  
18 treated.

19                  Q.    Okay.  Now, would you agree that in  
20 1999, or 1998, you could not predict whether one  
21 could actually make a fumarate salt of a specific  
22 fesoterodine molecule?

23                               MS. WOOTEN:  Objection.  Form.

24                  A.    Based upon the knowledge at that time  
25 that a salt form of a closely-related compound

1 DAVID R. JANERO, Ph.D.

2 could be made, I would conclude that knowledge  
3 would argue that a salt form could be made.

4 Q. So --

5 A. Or would be likely to be made, to be  
6 able to be made.

7 Q. Okay. Even assuming that's true, does  
8 it follow that the fumarate salt form  
9 specifically could be made of a 5-HMT analog?

10 A. From that evidence, alone, no.

11 Q. Okay. And would you agree that while  
12 one may make -- one may be capable of making some  
13 salt of a given compound, that it doesn't  
14 necessarily follow that that salt is sufficient  
15 to make that compound viable as a pharmaceutical?

16 MS. WOOTEN: Objection. Form.

17 A. If I understand the question correctly,  
18 are you asking whether a specific salt form can  
19 guarantee that that salt form of a compound  
20 ensures its viability as a drug?

21 Q. Correct.

22 A. That is correct. There would be no  
23 absolute assurance of that.

24 Q. Okay. In other words, for example,  
25 would you agree you could take any compound and

1 DAVID R. JANERO, Ph.D.

2 possibly make some salt of it, but if the salt is  
3 amorphous or unstable, the fact that you can make  
4 that salt doesn't mean that that's an attractive  
5 drug candidate. Correct?

6 MS. WOOTEN: Objection. Form.

7 A. It would depend upon the product that  
8 resulted from the salt.

9 In other words, if you had a substance, for  
10 example, a salt form of a substance that were  
11 hygroscopic, in and of itself; in other words,  
12 that absorbed water molecules from the air --

13 Q. Mm-hmm.

14 A. -- that, a priori, would not  
15 necessarily rule it out as a drug. That  
16 perceived potential limitation could be  
17 eliminated by, for example, correct formulation  
18 or storage under heavy gas; argon, for example,  
19 or in a desiccated manner prior to  
20 administration.

21 Q. Okay. And the -- is there any  
22 significance to the fumarate salt as among all  
23 the other salts that are described in Exhibit 18,  
24 that made it particularly likely to form a salt  
25 of a 5-HMT analog?

1 DAVID R. JANERO, Ph.D.

2 MS. WOOTEN: Objection. Form.

3 A. No. Other than its attractiveness that  
4 it does have a pKa that would -- that would  
5 support its salt formation, but not necessarily  
6 specifically with fesoterodine.

7 Q. Okay. And the -- when you say the  
8 "pKa," you mean the pKa of the 5-HMT analog or  
9 the pKa of the salt?

10 A. The salt.

11 Q. Okay. And would you agree that there  
12 are a good number of salts with a pKa range that,  
13 theoretically, would provide for a salt of a  
14 5-HMT analog in this paper?

15 MS. WOOTEN: Objection. Form.

16 A. I haven't quantified the number, so I  
17 can't address whether the number is good or not,  
18 but I can say that there are alternatives  
19 mentioned in the paper that would have a pKa  
20 within the range of 3 to 5, say.

21 Q. Okay. And would you agree that this  
22 disclosure in this Berge publication suggests  
23 that the optimal salt selection is informed by  
24 the structure and properties of the compound  
25 itself?



1                   DAVID R. JANERO, Ph.D.

2           A.    The ultimate salt resulting is --  
3 reflects properties of the interaction between  
4 the salt and the parent compound.

5           Q.    Okay.

6           A.    So, therefore, there is an interaction  
7 between the two. Is one a determinant versus the  
8 other or a more definitive determinant versus the  
9 other? No, I can't say that.

10          Q.    Okay. Now, this text goes on for quite  
11 a bit and discusses certain specific salts of  
12 specific compounds. And, as far as I can see,  
13 there's no treatment in the text beyond its  
14 identification in the table of the fumarate salt,  
15 specifically.

16               Does that seem correct to you? I mean, I  
17 don't want to make you read the whole thing.  
18 I've read it. I don't think there's any  
19 discussion of fumarate salt with any particular  
20 compound in this paper, but if you're aware of  
21 any, perhaps you could point that out to me.

22          A.    I would have to reread it to point that  
23 out or do a computer word search of the PDF.

24          Q.    Okay.

25          A.    But I don't -- I haven't memorized

1 DAVID R. JANERO, Ph.D.

2 text.

3 Q. I'm not sure we could get a PDF of this  
4 one, 1977.

5 A. Venerable. Yes.

6 Q. The -- there is a -- in the Table 3,  
7 "Potentially Useful Salts," do you see that on  
8 Page 5 of the article?

9 A. I do.

10 Q. Okay. There is, in the second column,  
11 there's a description of the compound modified  
12 for a particular salt example.

13 Do you see that?

14 A. I do.

15 Q. Okay. So if you look, for example,  
16 maybe six up from the bottom, there's a reference  
17 to the compound being formed as a salt, as  
18 various amines. Do you see that?

19 A. I see that.

20 Q. Would analogs of 5-HMT fall into the  
21 category of "various amines"?

22 A. 5-HMT does have a tertiary amine  
23 functionality.

24 Q. So my question -- and the salt that  
25 corresponds to that in this table is tannic acid.

1                   DAVID R. JANERO, Ph.D.

2       Do you see that?

3           A.     I do see that.

4           Q.     Okay. Do you have -- strike that.

5                   In your opinion, having read this  
6       publication, Exhibit 18, and trying to design a  
7       salt form of a 5-HMT analog or prodrug,  
8       wouldn't -- wouldn't this publication suggest to  
9       look to tannic acid, for example?

10                   MS. WOOTEN: Objection. Form.

11           A.     Well, in prior testimony, I did allude  
12       to the idea that the amine functionality would  
13       not be one that I would submit a person skilled  
14       in the art at the time would be interested in  
15       modifying, because the amine functionality of  
16       this class of compounds; specifically, the  
17       tertiary amine functionality is -- seems to be  
18       important for engagement of these ligands at  
19       muscarinic receptors.

20           Q.     Right. But we're not --

21           A.     So I am not --

22           Q.     -- modifying the compound. Right?

23       We're just selecting the salt. Correct?

24           A.     Well, but you -- that's true, but you  
25       have got -- it says here "the top compound

1                   DAVID R. JANERO, Ph.D.

2       modified."

3           Q.     Mm-hmm.

4           A.     So I presume that this is -- I would  
5       not regard "modified compound." It's -- you're  
6       introducing another amine into the -- into the  
7       mixture.

8           So if that's the case, we have a  
9       dissoluble amine, then I would say that this,  
10      to me, to someone experienced in this field,  
11      would teach away, because you would be  
12      introducing, potentially, an amine interference  
13      by introducing another amine into the salt.

14          Q.     That's how you read this table?

15          A.     That's how I read it.

16          Q.     Let's just -- if you start at the top,  
17      right --

18          A.     Yes.

19          Q.     -- the compound identified as  
20      doxycycline.

21          A.     Oh, I'm sorry. The tannic acid is the  
22      com- -- is the salt-forming agent --

23          Q.     Right.

24          A.     -- so you'd have a tannate salt. You  
25      have a tannate salt of an amine. Okay. Then my



1                   DAVID R. JANERO, Ph.D.

2       first statement would hold, because I wouldn't  
3       want to, in any way, do -- have any interaction  
4       with an essential amine group that would be  
5       critical, very important for interaction of that  
6       molecule with the receptor, with the target  
7       receptor.

8           Q.     But they're not doing away with an  
9       amine group.

10          A.     I understand that.

11          Q.     Let's look at the first example of  
12       doxycycline.

13          A.     Mm-hmm.

14          Q.     Don't you read this to say this is just  
15       an example, in the art, of a salt that was  
16       successful for use with doxycycline?

17          A.     I do.

18          Q.     Right. So coming back to the various  
19       amines, what this table is saying is there are  
20       examples of amine compounds that have been  
21       successfully formed as tannate salts?

22          A.     I see. I agree with that. Yes. Yes.

23          Q.     Okay. So my question is: If a person  
24       of ordinary skill in the art was looking at this  
25       reference and trying to determine what salt form

1 DAVID R. JANERO, Ph.D.

2 to make the 5-HMT prodrug --

3 A. Mm-hmm.

4 Q. -- would you agree that that person  
5 would, with the benefit of this article, look to  
6 tannate salts?

7 MS. WOOTEN: Objection. Form.

8 A. I wouldn't necessarily agree with that,  
9 because I would argue that the dissolution of the  
10 tannic acid salt forming the hydrated tannic acid  
11 could tend to alter the pH, the local pH of the  
12 tissue adversely, because you would have a -- you  
13 would have an acid in solution as a result of  
14 that salt dissolution.

15 Q. Okay. Well, wouldn't the same be true  
16 for fumarate acid?

17 A. But fumarate is a metabolic product,  
18 and it's easily -- it's not as strong an acid, I  
19 believe, as tannic acid.

20 Q. Okay. So you don't believe that, based  
21 on this disclosure, the person of skill might  
22 look to tannic acid before looking at fumarate  
23 acid?

24 MS. WOOTEN: Objection. Form. Asked  
25 and answered.

1                   DAVID R. JANERO, Ph.D.

2           A.     It's a possibility, but I don't know  
3     that this would be, in my opinion, looked at  
4     preferably to an alternative acid that is a  
5     natural metabolite of cells, tissue, and organs.

6           Q.     Okay. And if you look a few pages on,  
7     Page 10 of the article, there's a section on  
8     "Bioavailability." Do you see that?

9           A.     I do.

10          Q.     And then further on, under this larger  
11     heading on the next page, there's a section about  
12     "Absorption Alteration."

13          A.     I do. I see that.

14          Q.     Okay. Now, if the premise for  
15     developing a prodrug of 5-HMT is to enhance or  
16     ensure it's the absorption of 5-HMT to the  
17     system, would you agree that a skilled artisan  
18     might look to the disclosures about successful  
19     salts in conjunction with achieving sufficient  
20     absorption?

21          A.     Yes. Provided those other examples  
22     had similar physicochemical properties to the  
23     parent compound in question here.

24          Q.     Okay. And under that section, we can  
25     kind of just go through what -- there are a

1 DAVID R. JANERO, Ph.D.

2 number of examples discussed in the first  
3 paragraph. It's theophylline, isopropyl --  
4 isopropanol amine.

5 There's a potassium salt discussed, two  
6 paragraphs down; estolate salt on the next page,  
7 stearate salt, potassium, hydro amine.

8 So a number of salts and examples of  
9 compounds where the salt was used to ensure  
10 absorption. So do you see that as you run  
11 through?

12 A. Yes. In some cases to ensure, but I  
13 would say to alter the -- to affect the  
14 absorption one way or another.

15 Q. Okay. Wasn't that an objective of  
16 developing a 5-HMT prodrug, in your view?

17 MS. WOOTEN: Objection. Form.

18 A. I don't know if the objection [sic]  
19 were to increase, I think the absorption of the  
20 prodrug would be to ensure its absorption --

21 Q. Mm-hmm.

22 A. -- not to enhance absorption,  
23 necessarily.

24 Q. Okay. So you wouldn't look to any of  
25 these salt examples in selecting a salt for a



1 DAVID R. JANERO, Ph.D.

2 5-HMT prodrug over looking to the fumarate salt,  
3 which is not discussed here?

4 MS. WOOTEN: Objection. Form.

5 A. The fact that these salt forms in these  
6 specific instances, with these specific  
7 molecules, alter the absorption would not  
8 necessarily translate in a beneficial way to an  
9 effect on the absorption of fesoterodine --

10 Q. Okay.

11 A. -- in my opinion.

12 Q. Was there any information in the prior  
13 art that suggested that the fumarate salt,  
14 specifically, would translate to effective  
15 absorption?

16 MS. WOOTEN: Objection. Form.

17 Q. That you're aware of?

18 A. Not that I'm aware of.

19 Q. Okay. I guess I've got one other  
20 question. In your rebuttal report, which is  
21 Exhibit 2 -- we could look at it, but let me see  
22 if you recall this opinion.

23 You have an opinion that the -- with respect  
24 to fesoterodine not exhibiting unexpected results  
25 over tolterodine, do you recall that, generally?

1                   DAVID R. JANERO, Ph.D.

2           A.    I really would like to see the context  
3 before I address that.

4           Q.    Yeah.  So Exhibit 2 --

5           A.    Yes.

6           Q.    -- this is actually a pretty short  
7 report.  So there is an opinion that says -- I'll  
8 tell you right now -- that it was not unexpected  
9 that fesoterodine could be effectively dosed at  
10 8 milligrams, given what was known about  
11 tolterodine.  And, I'm sorry, this is not my  
12 version.

13                   (Discussion off the record.)

14           MS. MEDINA:  Paragraph 37?

15           MR. TRAINOR:  37?  No.  Sorry, that's  
16 not it.

17           A.    Let me go back then and try to find it.

18           Q.    Well, let me just try to ask you the  
19 question, so we can get out of here.

20                   You would agree that tolterodine and 5-HMT  
21 have dose-dependent antimuscarinic effects.  
22 Correct?

23                   MS. WOOTEN:  Objection.  Form.

24           A.    Yes.

25           Q.    Okay.  And one of the antimuscarinic