

1 IN THE UNITED STATES DISTRICT COURT  
2 IN AND FOR THE DISTRICT OF DELAWARE  
3  
4 FINJAN SOFTWARE LTD., : Civil Action  
5 Plaintiff, : No. 05-359 (MS)  
6 v. :  
7 SECURE COMPUTING CORPORATION, :  
8 CYBERGUARD CORPORATION, :  
9 WEBBANKER AG and DOES 1 :  
10 THROUGH 100, :  
11 Defendants. :  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Wilmington, Delaware  
Monday, March 10, 2008  
9:30 a.m.  
Day Six of Trial

BEFORE: HONORABLE GREGORY M. SLEET, Chief Judge,  
and a Jury.

APPEARANCES:

PHILIP A. ROVNER, ESQ.  
Potter Anderson & Corroon LLP  
-and-  
PAUL J. ANDRE, ESQ.,  
LISA KOSIHALKA, ESQ.,  
JAMES HANSON, ESQ.,  
MELISSA WARTON, ESQ.,  
KRIS WASTERS, ESQ., and  
HANNAH LEE, ESQ.  
King & Spalding  
(Milliken Valley, California)  
Counsel for Plaintiff

1151

1 THE COURT: Good morning, counsel.  
2 (Counsel: Good morning, Your Honor.)  
3 THE COURT: I understand there is an evidentiary  
4 issue we need to talk about. I think we might still be  
5 waiting for a juror, too.  
6 MR. SCHÜTZ: Your Honor, after some further  
7 discussions with Mr. Rovner, there is a potential  
8 evidentiary issue we may be able to defer. It has to do  
9 with an exhibit that they have identified for possible use  
10 with Mr. Parr. Mr. Rovner tells me that depending on  
11 Mr. Degen's testimony this morning, he may not use it. If  
12 Your Honor wishes -- it's a three-minute issue, and if it  
13 does come up, we can defer it if you wish.  
14 THE COURT: We can do that.  
15 MR. ANDRE: Your Honor, may I discuss a  
16 housekeeping matter.  
17 THE COURT: Sure.  
18 MR. ANDRE: Mr. Degen will be the Defendants'  
19 last witness. So we will be moving for our Rule 50 motions  
20 thereafter. I was talking to counsel about how we want to  
21 proceed these last two days.  
22 We think we might be able to get our rebuttal  
23 case in today, we aren't sure. It depends on how long the  
24 cross goes. We have the charge conference. We filed  
25 another set of jury instructions this morning. We have

1 APPEARANCES (Continued):  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

FREDERICK R. COTTRELL, III, ESQ., and  
KELLY J. FARNAN, ESQ.  
Richards, Layton & Finger  
-and-  
RONALD J. SCHUTZ, ESQ.,  
CHRISTOPHER A. SEIDL, ESQ.,  
TREVOR J. FOSTER, ESQ., and  
JAKE M. HOLDREITH, ESQ.  
Robins, Kaplan, Miller & Ciresi, L.L.P.  
(Minneapolis, MN)  
Counsel for Defendants

1 about, substantive, about four or five issues on those jury  
2 instructions. There is a couple, three or four of them that  
3 we don't think they should be there, they don't think they  
4 should be there, that type of thing.  
5 THE COURT: You mean the jury instructions,  
6 there doesn't need to be an instruction on a particular  
7 topic?  
8 MR. ANDRE: Exactly. The substantive disputes,  
9 there is a dispute on obviousness, as Your Honor may figure,  
10 with KSR.  
11 THE COURT: I guess it's the case that the  
12 parties are going to benefit from some guidance from the  
13 various groups that weigh in on model jury instructions at  
14 some point. I think most of them have not.  
15 MR. ANDRE: Not yet. That's correct.  
16 We didn't know if you wanted to try to have the  
17 charge conference on the jury instructions late this  
18 afternoon, even if we do not finish today and we can carry  
19 on tomorrow morning. Or if you want to do it tomorrow  
20 morning.  
21 THE COURT: We should do it today. Because what  
22 I would like to do is to have the instructions collated and  
23 in shape so that there is no delay with regard to getting  
24 them to the jury.  
25 MR. ANDRE: If we have our last witness on the

1343

Heberlein - direct

1 identified a mobile production code packaging engine.  
2 Q. So would you disagree with Dr. Wallach's opinion  
3 regarding a package engine as well, that element?  
4 A. Once again, he didn't provide me enough evidence to  
5 agree with him. So I am going to have to disagree with him.  
6 Q. Claim 13 is dependent upon Claim 12. Would that --  
7 what would be your basis of disagreeing with Dr. Wallach on  
8 that one?  
9 A. Once again, because it's dependent on a claim that's  
10 already valid, it would be valid as well.  
11 Q. Mr. Heberlein, just so we can wrap this up on this  
12 issue of the claims, is it your opinion that the claims, the  
13 asserted claims of the '194 and the '780 and '822 are valid  
14 in light of the prior art?  
15 A. It is my opinion that they are all valid.  
16 Q. Now, have you heard of a, something called secondary  
17 or considerations of nonobviousness?  
18 A. Yes, I have.  
19 Q. What is your understanding of secondary considerations  
20 of nonobviousness?  
21 A. Secondary considerations of nonobviousness --  
22 THE COURT: Mr. Andre, we are going to take our  
23 afternoon break.  
24 (Jury leaves courtroom at 3:15 p.m.)  
25 (Recess taken.)

1344

Heberlein - direct

1 THE COURT: We are going to go straight through  
2 until 4:30.  
3 MR. ANDRE: Your Honor, may the witness take the  
4 stand.  
5 (Jury enters courtroom at 3:32.)  
6 THE COURT: Ladies and gentlemen, please take  
7 your seats and we will continue.  
8 MR. ANDRE: Thank you, Your Honor.  
9 BY MR. ANDRE:  
10 Q. Mr. Heberlein, before we broke, I asked you if you  
11 have ever heard of something called secondary considerations  
12 of nonobviousness?  
13 A. Yes, I have.  
14 Q. What is your understanding of those?  
15 A. In a broad sense, it's measures of success that the  
16 patented technology has had. Success is determined in a  
17 number of ways. One, does it address a long-felt need. Is  
18 it financially successful? Is it copied by competitors?  
19 Those are some examples.  
20 Q. Let me ask you a question about that. On your  
21 opinion, has the patented inventions of Finjan's patents we  
22 are talking about here today, have they met a long-felt but  
23 unresolved need in the marketplace?  
24 A. Yes, it has. May I explain?  
25 Q. Please do so.

1345

Heberlein - direct

1 A. One of the major concerns in security, once again,  
2 that large corporations that spend a lot of money to protect  
3 their information, is the so-called zero-day attack. The  
4 zero-day attack is an attack that either wasn't previously  
5 known and exploits vulnerability that you didn't know about  
6 or at least a vulnerability that you can't patch in your  
7 system.  
8 You have these vulnerabilities within your  
9 computer systems, and a new attack comes and you have never  
10 seen the attack. So you want some mechanism to stop that  
11 attack before it gets through.  
12 That is particularly an important aspect. That  
13 is what a lot of these -- the major focus of these patents  
14 are, is being able to stop the suspicious activities that  
15 you didn't know about before, any attack that you didn't  
16 know about before.  
17 Also, there is a number of benefits to their  
18 architecture that they describe in the patent. Once again,  
19 remember, we talked about two different types of security  
20 systems. One is the filtering firewall. And one is the  
21 gateway. The filtering firewall can be really fast.  
22 Packets come in, packets go out. It is a relatively simple  
23 system that you can implement fairly fast.  
24 The gateway, which is the approach that these  
25 technologies are talking about, a much more complex system.

1346

Heberlein - direct

1 A much richer system. So, for example, if someone is  
2 downloading a large file, a gigabyte file or something like  
3 that, it might be a huge file that has to be analyzed. All  
4 that information has to go to the gateway. And the gateway  
5 constructs this information.  
6 If a packet is lost somewhere across the  
7 network, the gateway has to say, Hey, I didn't see that  
8 packet. I need to go back and ask the remote machine for  
9 that packet.  
10 Filtering firewall, you don't have to worry  
11 about that. The application of gateway also has to  
12 reconstruct all of this information. All these packets come  
13 in and now it has to take all the data and reconstruct the  
14 data it is going to analyze. Then it does the analysis.  
15 The gateway we are talking about here has to do  
16 a whole bunch of extra work that the filtering firewall  
17 doesn't.  
18 To address that, you need to look at  
19 optimization techniques. That is what these patents are  
20 talking about. Remember before, you would see this new  
21 downloadable code, the first time you have seen it, for  
22 example, you have to do some analysis. That analysis is  
23 costly. We are going to do the analysis, extract the  
24 security profile, we are going to go ahead and keep it.  
25 That is sort of the focus of the '194 patents and the

1347

Heberlein - direct

1 additional patents.

2 The next time that same downloadable comes by, I

3 don't have to go through all that additional work to

4 decompose and analyze that program because I have already

5 done it once and we have kept that information to use it a

6 second time.

7 We have got this optimization that says, I have

8 kept this information around, I don't need to do it a second

9 time.

10 So we talked about quickly the zero-day attack,

11 then the optimization for extracting the security profile

12 and keeping that security profile, so that, subsequently, I

13 don't have to do further analysis.

14 A third aspect is especially important for a lot

15 of worms and viruses, the self-propagating code. In the

16 security field, we talk about a security code being hard and

17 crunchy on the outside and soft and chewy on the inside.

18 What that means is that a site will protect the perimeter,

19 it will put a lot of protection, it will investment money,

20 they will put the firewall to stop the attack from coming

21 in.

22 Once an attack has gotten into the system, it

23 can spread pretty easily. Once again, if you have a

24 zero-day attack, and, once again, the worm gets in

25 initially, once it gets in, it can spread throughout your

1348

Heberlein - direct

1 organization relatively quickly.

2 So the classical signature-based detection

3 system, a classical signature-based virus detection system

4 can't stop those worms.

5 It is a classical system that they can't build a

6 signature until they see the attack. Once the attack gets

7 inside your network, it can route your network and you are

8 kind of screwed up.

9 The technology they are talking about here

10 addresses all those issues and addresses the zero-day

11 attack, it addresses workload that you are going to have to

12 address on your server.

13 Once again, you are going to put this gateway

14 there. It is going to intercept all this traffic between

15 your organization and the outside world. So you want it to

16 be fast or else users are going to complain.

17 It is also especially important in the case of

18 self-automated worms that are new, because you want to stop

19 them before they get in. You want to stop them at that

20 gateway the very first time you ever seen them.

21 Q. Has the evolution of the Internet had any effect on

22 this long-felt need in this space?

23 A. Yes. If you look back when the web first came out,

24 most pages were the static HTML page. So I would get on my

25 browser. I would go off to a site. It would pull down a

1349

Heberlein - direct

1 page and display ^ read SDMRAND this system. It would have

2 some text and a picture. And every time you went, you got

3 the exact same stuff back. It was always displayed exactly

4 the same.

5 Over time, there has been this evolution to what

6 is now called Web 2.0 or Web Application. So if you look in

7 the newspaper or see stories, they will, that will talk

8 about Web 2.0 or Web Applications, which create a much more

9 dynamic environment on your system, so when I go out to a

10 site, if you go to Google maps, for example, you will pull

11 down something. Now I can actually drag around that map

12 like I was using in the application.

13 Maybe you will have a stock ticker on your

14 system that constantly goes out and updates the stock

15 quotes. On your web page, you constantly have this updated

16 ^ stuff going on. There is entire games that are web-based

17 games.

18 As you move to this new technology, this Web

19 2.0, the system is much more dynamic. The mechanisms to

20 provide that dynamic environment is these downloadable

21 codes, so pulling down this downloadable code. It is this

22 increasing trend that the market has to address.

23 Q. Did you find any evidence of copying of the invention

24 of the Finjan patents in the marketplace?

25 A. Yes, that's another example of, sign of secondary

1350

Heberlein - direct

1 considerations. Did someone else like your stuff,

2 especially a competitor? Yes, there is a number of

3 examples.

4 Q. Would you please give one of them.

5 A. Certainly, the WebWasher approach copies this, and

6 they talk about it, specifically wanting to address the same

7 capabilities. They talk about the Finjan killer. We want

8 to address, have the capability just like Finjan does.

9 Q. Did you rely upon any documents to make your

10 determination that the WebWasher copied the patented

11 technology of Finjan's?

12 A. There were several e-mails. I believe they may have

13 already been presented; if not, we can present them here.

14 There were several documents that they presented, generated

15 a White Paper internally that they would use to describe

16 their systems.

17 Q. Did you look at -- can we see PTX-10.

18 Did you look at this White Paper here?

19 A. Yes, I did.

20 Q. Did you look at this step-by-step guide as well?

21 A. Yes, I did.

22 Q. Based on your review of these e-mails and these guides

23 and White Papers, did you make -- is that how you made the

24 determination that WebWasher copied Finjan's patented

25 technology?

1351

Heberlein - direct

1 A. Yes, sir. Once again, based on these documents, it  
2 certainly appears that WebWasher was trying to duplicate  
3 Finjan's technology.  
4 Q. Did you see any evidence of commercial success of the  
5 patented technology?  
6 A. Yes, there is a number of them. Finjan is making  
7 millions of dollars selling their products. Obviously,  
8 there is some success there.  
9 In addition, Microsoft licensed their patents.  
10 So Microsoft is the largest software corporation  
11 in the world. It's got -- I don't know about millions of  
12 developers, but large numbers of developers. So instead of  
13 just developing it on their own, they went off to Finjan and  
14 said, Let's just license their technology.  
15 Q. We are calling these "secondary considerations," the  
16 considerations that you just discussed today. Do they  
17 further support your opinion that the asserted claims are  
18 valid and not obvious?  
19 A. Yes, they do.  
20 Q. Just one final question: Do you find that the Finjan  
21 technology and patents are valid?  
22 A. I believe that the patents are valid.  
23 Q. Thank you very much, Mr. Heberlein.  
24 MR. ANDRE: I haven no further questions, Your  
25 Honor.

1352

Heberlein - direct

1 THE COURT: Mr. Holdreith,  
2 MR. HOLDREITH: Thank you, Your Honor.  
3 CROSS-EXAMINATION  
4 BY MR. HOLDREITH:  
5 Q. Mr. Heberlein, good afternoon.  
6 A. Good afternoon.  
7 Q. Now, you are Mr. Heberlein, not Dr. Heberlein. Right?  
8 A. That's correct.  
9 Q. You just gave an opinion that WebWasher is a copy of  
10 Finjan. Right?  
11 A. That is correct, based on the -- WebWasher has  
12 technologies that Finjan has based on the descriptions in  
13 the documents that I looked at.  
14 Q. What you said is that WebWasher is copied from Finjan.  
15 Right?  
16 A. Based on my opinion from what I saw, yes.  
17 Q. But you did not look at source code for any Secure  
18 Computing product, did you?  
19 A. I did not. Someone else was doing that.  
20 Q. You didn't rely on somebody else here, did you? This  
21 is your opinion?  
22 A. This is my opinion, correct.  
23 Q. You didn't look at source code?  
24 A. I did not look at their source code.  
25 Q. You did not look at any Secure Computing product in

1353

Heberlein - cross

1 operation?  
2 A. I did not look at any Secure Computing product in  
3 operation regarding these particular patents.  
4 Q. And you haven't, in fact, looked at WebWasher in  
5 detail, have you?  
6 A. I have not looked at WebWasher in detail at the code  
7 level. I have looked at the White Papers.  
8 Q. You don't know how WebWasher particularly operates, do  
9 you?  
10 A. I do not know the specifics of how the code operates,  
11 that is correct.  
12 Q. And you have not done a limitation-by-limitation  
13 analysis, where you compared WebWasher to Finjan's patent?  
14 A. That is correct. I did not do a limitation to show  
15 that WebWasher infringed specifically on specific claims.  
16 Q. So you don't even know if WebWasher does what Finjan's  
17 patent says?  
18 A. Based on the documentation that I have seen, it  
19 certainly appears to be the same. But I have not done a  
20 detailed source code analysis with a claim-by-claim  
21 analysis. That is correct.  
22 Q. I would like to show you Exhibit 1056. This is one of  
23 the e-mails that you just mentioned that you relied on when  
24 you were studying whether WebWasher was copied. Right?  
25 A. I believe so.

1354

Heberlein - cross

1 Q. This is an e-mail called, Product Meeting Minutes,  
2 dated June 1 of 2004?  
3 A. According to the print there, yes.  
4 Q. And here are some participants. Do you know who any  
5 of these people are?  
6 A. Not based on those names, no.  
7 Q. The paragraph that you relied on is this Paragraph 3  
8 of Exhibit 1056. Right?  
9 (Pause.)  
10 A. Yes. I believe there was additional e-mails which  
11 reference the term "Finjan killer."  
12 Q. And this e-mail says, "For WebWasher 5.1 planning, two  
13 solutions were elaborated." Right?  
14 A. I see the text there.  
15 Q. And the text says, "First, we could copy Finjan's  
16 features." That's what it says?  
17 A. I see that.  
18 Q. That's what you relied on?  
19 A. I don't know if I relied specifically on this one and  
20 solely this one.  
21 Q. Well, you pointed this out in your report, didn't you?  
22 A. I believe so. But I don't know if I cited additional  
23 ones.  
24 Q. And the next sentence after that says, "This idea was  
25 dropped because the gain in security is questionable."