

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

UNITED STATES PATENT AND TRADEMARK OFFICE  
BEFORE THE PATENT TRIAL AND APPEAL BOARD

<hr/>		)	
APPLE, INC.,		)	
		)	
Petitioner,		)	
		)	CASE IPR2016-01372
vs.		)	Patent 8,659,571
		)	
IMMERSION CORPORATION,		)	
		)	
Patent Owner.		)	
<hr/>		)	

DEPOSITION OF PATRICK M. BAUDISCH, PH.D.  
San Diego, California  
Tuesday, August 15, 2017  
VOLUME I

Reported by:  
ELAINE SMITH, RMR  
CSR No. 5421  
Job No. 2677339  
PAGES 1 - 48

1 UNITED STATES PATENT AND TRADEMARK OFFICE  
 2 BEFORE THE PATENT TRIAL AND APPEAL BOARD  
 3  
 4 \_\_\_\_\_ )  
 5 APPLE, INC., )  
 6 Petitioner, )  
 7 vs. ) CASE IPR2016-01372  
 8 IMMERSION CORPORATION, ) Patent 8,659,571  
 9 Patent Owner. )  
 10 \_\_\_\_\_ )  
 11  
 12  
 13  
 14  
 15 Deposition of PATRICK M. BAUDISCH, PH.D.,  
 16 Volume I, taken on behalf of Patent Owner, at  
 17 401 B Street, Suite 1700, San Diego, California,  
 18 beginning at 9:01 a.m. and ending at 1:36 p.m., on  
 19 Tuesday, August 15, 2017, before ELAINE SMITH, RMR,  
 20 Certified Shorthand Reporter No. 5421.  
 21  
 22  
 23  
 24  
 25

Page 2

1 APPEARANCES:  
 2  
 3 For Petitioner Apple, Inc.:  
 4 DLA PIPER LLP (US)  
 5 BY: ROBERT C. WILLIAMS, ESQ.  
 6 401 B Street, Suite 1700  
 7 San Diego, California 92101-4297  
 8 (619)699-2820  
 9 robert.williams@dlapiper.com  
 10  
 11 For Patent Owner Immersion Corporation:  
 12 IRELL & MANELLA LLP  
 13 BY: MICHAEL R. FLEMING, ESQ.  
 14 JAMES A. MILKEY, ESQ.  
 15 1800 Avenue of the Stars, Suite 900  
 16 Los Angeles, California 90067-4276  
 17 (310)277-1010  
 18 mfleming@irell.com  
 19 jmilkey@irell.com  
 20  
 21  
 22  
 23  
 24  
 25

Page 3

1 INDEX  
 2 WITNESS EXAMINATION  
 3 PATRICK M. BAUDISCH, PH.D.  
 Volume I  
 4  
 5  
 6  
 7 BY MR. FLEMING 5  
 8  
 9  
 10 PREVIOUSLY MARKED EXHIBITS  
 11 NUMBER PAGE  
 12 Exhibit 1001 23  
 Exhibit 1002 12  
 13 Exhibit 1005 9  
 Exhibit 1014 9  
 14  
 15 (Previously marked exhibits not attached)  
 16  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25

Page 4

1 San Diego, California; Tuesday, August 15, 2017  
 2 9:01 a.m.  
 3  
 4 MR. FLEMING: I'm Mike Fleming, with Irell &  
 5 Manella, on behalf of the Patent Owner. And with me is  
 6 James Milkey, also on behalf of the Patent Owner. This  
 7 is IPR2016-01372 challenging U.S. Patent No. 8,659,571.  
 8 MR. WILLIAMS: I'm Rob Williams, with  
 9 DLA Piper, representing Petitioner Apple.  
 10 THE WITNESS: My name is Patrick Baudisch. My  
 11 middle name is Markus, with a K, and I'm the expert  
 12 witness.  
 13  
 14 PATRICK M. BAUDISCH, PH.D.,  
 15 having been administered an oath, was examined and  
 16 testified as follows:  
 17  
 18 EXAMINATION  
 19 BY MR. FLEMING:  
 20 Q Can you state your home address for the record.  
 21 A Yes. My home address is Oranienburger Str. 17,  
 22 10178 Berlin, Germany.  
 23 Q Doctor, do you understand that you have just  
 24 taken an oath to tell the truth?  
 25 A Yes.

Page 5

<p>1 Q You understand that this oath has the same 2 force as if given in a court of law before a judge and 3 jury? 4 A Yes. 5 Q Is there anything preventing you from giving 6 full and accurate answers today? 7 A No. 8 Q Is there any reason you cannot give your best 9 testimony today? 10 A No. 11 Q If you do not ask me to clarify a question, 12 I'll assume you understood the question. Is that fair? 13 A Yes. 14 Q Are you represented by counsel today? 15 A Yes, Rob Williams sitting next to me. 16 Q You understand that you're under oath even when 17 we take a break? 18 A Yes. 19 Q You understand that when you are under oath you 20 are not to discuss this case while on break or with 21 anyone outside of this room? 22 A I understand. 23 Q You understand that when you are under oath 24 your counsel cannot coach you, act as an intermediate, 25 interpret the questions or help you answer the</p> <p style="text-align: right;">Page 6</p>	<p>1 A Yes. I just said I reread my own declarations, 2 responses on both sides and the involved patents such as 3 the -- including the '571 Burrough, maybe others. 4 Q Did any of these documents refresh your 5 recollection? 6 A Yes. 7 Q What documents were they that refreshed? 8 A As I was just saying, the particular '571 9 Burrough, multiple reports and replies, declarations on 10 both sides. 11 Q Did you bring any documents with you today? 12 A I've got a binder here, but given that we've 13 done this before, my understanding is that I will just 14 leave it where it is. 15 Q And that I'll provide you the documents? 16 A If you'd be so kind. 17 Q Have you ever been deposed before? 18 A This would be my fifth deposition. 19 Q And were these all IPR depositions? 20 A The first deposition was in the context of 21 Apple versus HTC. 22 Q Are your opinions complete for this record? 23 A Yeah. I'd say that my combination of the two 24 declarations should have my complete opinions. 25 Q Did you write your declarations yourself?</p> <p style="text-align: right;">Page 8</p>
<p>1 questions? 2 A Yes. 3 Q Do you understand your counsel cannot instruct 4 you not to answer the question unless it's necessary to 5 preserve privilege? 6 A I understand. 7 Q You understand that unless your counsel 8 instructs you not to answer in order to preserve 9 privilege, you must answer the question? 10 A I understand. 11 Q Did you do anything to prepare for this 12 deposition? 13 A I did. I reread my own declarations, responses 14 on both sides, the involved patents. 15 Q Did you meet with your attorneys? 16 A Absolutely. 17 Q Did you meet with your attorneys last night? 18 A We had dinner last night. I don't know if that 19 counts. 20 Q How long did you meet with your attorneys to 21 discuss preparing for this deposition? 22 A I'd say a little bit more than a day, like over 23 the past weekend. 24 Q Did you review any documents in preparation for 25 this deposition?</p> <p style="text-align: right;">Page 7</p>	<p>1 A I did, with support from counsel. 2 Q So did you write the first draft? 3 A There was back and forth by phone and e-mail 4 exchange. I don't recall who wrote the first draft. 5 Q What modifications did you make to the 6 declaration to correct inaccuracies? 7 A I definitely corrected inaccuracies. There 8 was, as I said, multiple iterations back and forth. 9 Q Doctor, I'm presenting you Exhibit 1014. 10 A Thank you. It says, "Reply Declaration of 11 Patrick Baudisch." 12 Q Do you recognize this document? 13 A Yes. I think this is my reply declaration. 14 Q Was this the document that was submitted for 15 the IPR for the '571 patent, IPR206 -- I'm sorry, 16 IPR2016-01372? 17 A Yes. 18 Q I'd like to present you Exhibit 1005. 19 A Thank you. 20 Q Do you recognize this document? 21 A Yes. It says, "Publication No.: 22 US 2010/0156818 A1, multi touch with multi haptics." 23 And the first inventor is Burrough. 24 Q May we refer to this document as Burrough? 25 A Please.</p> <p style="text-align: right;">Page 9</p>

1 Q Turning to Burrough, the haptic profile H(d)  
 2 depends on the distance between the user's fingers;  
 3 correct?  
 4 MR. WILLIAMS: Objection to form.  
 5 THE WITNESS: Let me maybe try to eliminate  
 6 some potential confusion here which results in the fact  
 7 that the inventor uses the term "H(d)" in multiple  
 8 contexts with slightly different meanings. On the one  
 9 hand, he uses the term to refer to the haptic response.  
 10 On the other hand, he uses it to refer to the haptic  
 11 profile. And if that's okay, I will just read from my  
 12 declaration on that, my response.  
 13 So I think I'm saying it really precisely here.  
 14 It says, "Burrough discloses a dynamic interaction  
 15 parameter, haptic response H(d), whose magnitude varies  
 16 as a function of the distance between the user's fingers  
 17 during the course of a zoom gesture. Patent Owner  
 18 argues that haptic response H(d) is 'neither dynamic nor  
 19 generated,' because the function represented by H(d) is  
 20 stored in memory. Patent Owner's argument, however,  
 21 confuses the function that defines H(d), (also referred  
 22 to as the 'haptic profile'), with the output of that  
 23 function (the 'haptic response'), which changes  
 24 dynamically depending on the gesture signals it relies  
 25 upon."  
 Page 10

1 So to go back to your question, if you had said  
 2 the haptic response H(d) changes dynamically depending  
 3 on the gesture signal, I would agree to that. So I  
 4 don't know how we're going to do this today, but we  
 5 somehow need to find a language between the two of us  
 6 how we distinguish the two H(d)s here just to avoid  
 7 misunderstandings.  
 8 BY MR. FLEMING:  
 9 Q So it's your opinion Burrough provides two  
 10 different H(d)?  
 11 A I don't think he provides two different H(d)s.  
 12 I can understand why he uses the same terminology twice.  
 13 In one case, he refers to the haptic profile, which is  
 14 data stored in memory, and, in the other case, he refers  
 15 to when that haptic profile is being applied. I think  
 16 that's very common in language, we say things like, you  
 17 know, F of X to refer to a function, but then we also  
 18 say F of 5 and not refer to the function. It may be  
 19 called, in other cases, I mean, the number coming out of  
 20 this. So we just have to be very clear so we don't run  
 21 into the trap of miscommunication here.  
 22 Q So can you define haptic profile H(d) since you  
 23 are coining a term?  
 24 MR. WILLIAMS: Objection. Form.  
 25 THE WITNESS: I don't think I'm coining a term.  
 Page 11

1 I'm just trying to clarify what the inventor, in my  
 2 opinion, tries to communicate so that we don't  
 3 miscommunicate with each other.  
 4 BY MR. FLEMING:  
 5 Q So, in your mind, what does H profile H(d)  
 6 mean?  
 7 MR. WILLIAMS: Objection. Form.  
 8 BY MR. FLEMING:  
 9 Q To be clear, what is your definition of haptic  
 10 profile H(d), so we can refer to it consistently?  
 11 A Do you mind if I look at my initial declaration  
 12 for that?  
 13 Q Doctor, I'm presenting you Exhibit 1002.  
 14 A Thank you.  
 15 Q Do you recognize this document?  
 16 A It says, "Declaration of Dr. Patrick Baudisch"  
 17 on it.  
 18 Q Is this is your first declaration that was  
 19 submitted in the '571 IPR?  
 20 A I think so.  
 21 Q For the record, I would like to get the  
 22 nomenclature clear. When I refer to IPR '571, I'm  
 23 referring to IPR2016-01372. Is that okay with you,  
 24 Doctor?  
 25 A Yes.  
 Page 12

1 Q To be sure that we're not confusing things, I'm  
 2 asking you what is your definition of haptic profile  
 3 H(d) so that we can refer to it consistently in the  
 4 deposition.  
 5 A Absolutely. I don't think I need to define  
 6 very much here. I think we can just turn to Burrough  
 7 and learn about what he means by haptic profile. So in  
 8 one passage, he mentions that "haptic profiles for each  
 9 of the fingers relating the distance d between the two  
 10 fingers to the corresponding haptic response H(d)  
 11 experienced at each finger."  
 12 So, apparently, a haptic profile is a function  
 13 that accepts parameters, in this case, the distance, and  
 14 produces the haptic response as output.  
 15 Q Can you tell me where you were reading from  
 16 Burrough.  
 17 A It's paragraph 82 from Burrough.  
 18 Q So then the haptic profile H(d) depends on the  
 19 distance between the user's fingers; correct?  
 20 A That's not exactly what I said. The result  
 21 produced by applying the haptic profile, also known as  
 22 the haptic response, that may depend, for example, on  
 23 the distance between the user's fingers.  
 24 Q So the haptic profile H(d) is stored in memory?  
 25 A Do you mind showing me the Patent Owner's  
 Page 13

1 response?  
 2 Q Before I show you the response, I don't  
 3 understand why you need to see our response. You're the  
 4 expert. Can you not tell me where in Burrough -- I'm  
 5 just simply asking your opinion. The haptic profile  
 6 H(d), is it stored in memory?  
 7 MR. WILLIAMS: Objection. Form.  
 8 BY MR. FLEMING:  
 9 Q According to Burrough.  
 10 A I can totally give you that answer, but the  
 11 fastest answer, I think, is through the Patent Owner's  
 12 response, because I'm looking at my own response  
 13 declaration, and there's -- and that suggests that the  
 14 Patent Owner's response tells me exactly what paragraph  
 15 that's in. It just speeds things up. I hope that's in  
 16 everyone's interest.  
 17 MR. MILKEY: I'm actually not sure that -- for  
 18 some reason, I'm not sure we've included it in here.  
 19 MR. FLEMING: Can we take a break?  
 20 THE WITNESS: Happy to.  
 21 (Recess.)  
 22 BY MR. FLEMING:  
 23 Q Doctor, I'm going to present to you Paper  
 24 No. 14.  
 25 A Thank you so much.

Page 14

1 MR. WILLIAMS: So, just for the record, as a  
 2 courtesy, we went ahead and printed out a copy of the  
 3 exhibit that opposing counsel requested. I don't see  
 4 any reason -- and we did so expeditiously. I don't see  
 5 any reason this deposition will go seven hours, but to  
 6 the extent it does get close to that, the 15 minutes or  
 7 so that we spent preparing the document for counsel will  
 8 count against the seven-hour time limit.  
 9 BY MR. FLEMING:  
 10 Q For clarification, what is the document?  
 11 A Oh, yes. It says, "Immersion Corporation's  
 12 Patent Owner Response." And thanks for providing it.  
 13 MR. WILLIAMS: Is there a question?  
 14 THE WITNESS: Yeah. The question is if this is  
 15 stored in memory.  
 16 BY MR. FLEMING:  
 17 Q Is the haptic profile H(d) stored in memory?  
 18 A So the Burrough patent at paragraph 51 says,  
 19 "By dynamic it is meant that although specific haptic  
 20 profiles H stored in haptic profile data base 134..."  
 21 So it's stored in the database. I guess this suggests  
 22 that this would be in memory.  
 23 Q And so then the haptic response H(d) is what is  
 24 read out of that memory?  
 25 A Well, in part. It seems like a bit more is

Page 15

1 happening in the sense that additional factors seem to  
 2 be applied. You want the detail on that?  
 3 Q Yes, please. I'm trying to understand what  
 4 your definition of haptic response H(d) is.  
 5 A I thought we were talking about the haptic  
 6 profile right now.  
 7 Q You said the haptic profile is stored in  
 8 memory; correct?  
 9 A Uh-huh.  
 10 Q And I'm asking is the haptic response H(d) what  
 11 is read out of that memory that stores the haptic  
 12 profile H(d)?  
 13 A I see what you mean. What I'm trying to say is  
 14 that the act of producing the haptic response may be a  
 15 little bit more than just reading memory.  
 16 Q And what more is it?  
 17 A So --  
 18 Q So the haptic response is not solely dependent  
 19 on the haptic profile? Is that what you're saying?  
 20 A Well, the interesting part here, I think, is  
 21 that the haptic profile can change during the course of  
 22 the zoom gesture. So the inventor says in paragraph 82,  
 23 "as the zoom factor increases, the haptic profile H(d)  
 24 can change by, for example, the slope becoming more  
 25 steep as the resolution of the underlying map

Page 16

1 increases." I can think of a variety of ways this could  
 2 be implemented. But it seems to suggest that a little  
 3 bit more is happening around haptic profiles than just  
 4 reading memory locations.  
 5 Q So is the haptic response H(d) dependent on the  
 6 distance between the user's fingers?  
 7 A Well, in one particular embodiment, that seems  
 8 to be the case.  
 9 Q Does the distance include both magnitude and  
 10 direction?  
 11 A Well, the haptic -- sorry. While the distance  
 12 itself is a scalar, the act of producing the distance  
 13 may or may not involve magnitudes and directions  
 14 depending on what type of gesture signal that was  
 15 produced. If it's produced from position data, it may,  
 16 for example, not. If it happens to be produced from  
 17 what the inventor calls delta T by delta X and delta T  
 18 by delta Y in paragraph 51, the production of the haptic  
 19 response may have gone through some elements that  
 20 include magnitude and direction along the way. But I  
 21 agree, at the end, the distance itself is a scalar.  
 22 Q So then distance between two fingers cannot be  
 23 a vector signal?  
 24 A I think it depends on the context, since you're  
 25 asking that question. Certainly, it's possible to

Page 17

# Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

## Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

## Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

## Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

## API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

## LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

## FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

## E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.