

1 UNITED STATES PATENT AND TRADEMARK OFFICE
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD
3

4 APPLE INC., Case No.: IPR1016-01381

5 Petitioner, Patent No.: 8,773,356

6 v.

7 IMMERSION CORPORATION,

8 Patent Owner.
9
10
11
12

13 INTER PARTES REVIEW
14 EXAMINATION OF
15 PATRICK M. BAUDISCH, Ph.D.
16 San Diego, California
17 Monday, August 14, 2017
18 Volume 1
19
20

21 Reported by:

LESLIE JOHNSON

22 RPR, CCRR, CSR No. 11451

23 Job No.: 2677325

24 PAGES 1 - 92
25

Page 1

1 UNITED STATES PATENT AND TRADEMARK OFFICE
 2 BEFORE THE PATENT TRIAL AND APPEAL BOARD
 3
 4 APPLE INC., Case No.: IPR1016-01381
 5
 6 Petitioner, Patent No.: 8,773,356
 7
 8 v.
 9
 10 IMMERSION CORPORATION,
 11
 12 Patent Owner.
 13
 14
 15
 16
 17 INTER PARTES REVIEW, EXAMINATION OF PATRICK M.
 18 BAUDISCH, Ph.D., Volume 1, taken on behalf of Patent
 19 Owner, at 401 B Street, Suite 1700, San Diego,
 20 California, beginning at 9:08 a.m. And ending at
 21 5:18 p.m., on Monday, August 14, 2017, before LESLIE
 22 JOHNSON, Certified Shorthand Reporter No. 11451.
 23
 24
 25

Page 2

1 APPEARANCES:
 2
 3 For Petitioner:
 4 DLA PIPER
 5 BY: ROBERT C. WILLIAMS, ESQ.
 6 BRIAN ERICKSON, ESQ. (Telephonically)
 7 401 B Street, Suite 1700
 8 San Diego, California 92101
 9 (619)699-2820
 10 robert.williams@dlapiper.com
 11 For Patent Owner:
 12 IRELL & MANELLA, LLP
 13 BY: MICHAEL R. FLEMING, ESQ.
 14 JAMES A. MILKEY, ESQ.
 15 1800 Avenue of the Stars, Suite 900
 16 Los Angeles, California 90067
 17 (310)277-1010
 18 mfleming@irell.com
 19
 20
 21
 22
 23
 24
 25

Page 3

1 I N D E X
 2
 3 WITNESS EXAMINATION
 4 PATRICK M. BAUDISCH, Ph.D.
 5 Volume 1
 6 BY MR. FLEMING 5
 7
 8 EXHIBITS
 9 PATRICK M. BAUDISCH, Ph.D.
 10 NUMBER DESCRIPTION PAGE
 11 Exhibit 2011 Star Craft guide 54
 12 Exhibit 2012 Super Smash Bros. guide 57
 13
 14 PREVIOUSLY MARKED DOCUMENTS:
 15 Exhibit 1001 United States Patent No. 8,773,356 12
 16 Exhibit 1002 Declaration of Dr. Patrick Baudisch 29
 17 Exhibit 1007 Patent Application No. 09/487,737 49
 18 Exhibit 1013 Patent Application No. 09/103,281 49
 19 Exhibit 1025 Reply Declaration of Patrick Baudisch 10
 20
 21
 22
 23
 24
 25

Page 4

1 San Diego, California, Monday, August 14, 2017
 2 9:08 A.M.
 3
 4 MR. FLEMING: I'm Mike Fleming, with Irell &
 5 Manella, on behalf of the patent owner. And with me is
 6 James Milkey, also on behalf of the patent owner. This
 7 is IPR2016-01381 challenging U.S. Patent No. 8,773,356.
 8 MR. WILLIAMS: Rob Williams with DLA Piper on
 9 behalf of Petitioner Apple. Also with me today on the
 10 phone is Brian Erickson, also with DLA.
 11 THE WITNESS: My name is Patrick Baudisch --
 12 Patrick Markus Baudisch, with a K, and I'm the expert
 13 witness.
 14
 15 PATRICK M. BAUDISCH, Ph.D.,
 16 having been first duly sworn, was examined
 17 and testified as follows:
 18
 19 EXAMINATION
 20 BY MR. FLEMING:
 21 Q Doctor, do you understand you've taken an oath
 22 to tell the truth?
 23 A Yes.
 24 Q Do you understand that this oath has the same
 25 force and effect as if it was given in a court of law

Page 5

<p>1 before a judge and jury? 2 A Yes. 3 Q Is there anything preventing you from giving 4 accurate and full answers today? 5 A No. 6 Q Is there any reason you cannot give your best 7 testimony today? 8 A No. 9 Q If you do not ask me to clarify a question, 10 I'm going to assume you understood the question. 11 Is that fair? 12 A Yes. 13 Q Are you represented by counsel today? 14 A Yes. 15 Q Can you identify counsel? 16 A Rob Williams next to me. 17 Q Do you understand that you're under oath, even 18 when you take a break? 19 A Yes. 20 Q Do you understand that you're under oath, and 21 you are not to discuss the case while on break or with 22 anyone outside of this room? 23 A Yes. 24 Q Do you understand that when you are under 25 oath, your counsel cannot coach you, act as an</p> <p style="text-align: right;">Page 6</p>	<p>1 MR. WILLIAMS: Can we take a quick break? 2 (Pause in the proceedings from 9:14 to 3 9:16 a.m.) 4 BY MR. FLEMING: 5 Q Did you meet with counsel? 6 A I did. 7 Q How long did you meet with counsel? 8 A Including both patents, I think two and a half 9 days. 10 Q You mentioned that you -- before the break, 11 that you had reviewed documents in preparation for this 12 deposition, correct? 13 A Yes. 14 Q Did any of these documents refresh your 15 memory? 16 A Yes. 17 Q What documents refreshed your memory? 18 A I guess the main patents are the '356, the 19 '281, the '737. These come to mind. There might have 20 been more. 21 Q Did you bring any documents with you? 22 A I've got a printout of my reply declaration 23 and the original declaration, fresh off the printer. 24 Q We would like you not to refer to those 25 documents. We will provide you the documents here.</p> <p style="text-align: right;">Page 8</p>
<p>1 intermediate, interpret questions, or help you answer 2 the questions? 3 A Yes. 4 Q You understand that your counsel cannot 5 instruct you on how to answer a question, unless it is 6 necessary to preserve privilege? 7 A Yes. 8 Q Do you understand that, unless your counsel 9 instructs you not to answer in order to preserve 10 privilege, you must answer the question? 11 A Yes. 12 Q Did you do anything to prepare for the 13 deposition? 14 A Yes. I read various materials and I consulted 15 with counsel. 16 Q What materials did you read? 17 A I read the various declarations on both sides 18 and reply declarations. I read the involved -- reread 19 the involved patents, you know, whatever is listed as 20 exhibits in my declaration list. 21 Q How long did that take? 22 A You mean the preparation for today? 23 Q Uh-huh. 24 A Well, given there are two patents involved, I 25 think, overall, six days maybe.</p> <p style="text-align: right;">Page 7</p>	<p>1 A I think I can handle that. That's okay. 2 Q You've been deposed before, correct? 3 A Yes. 4 Q Have you testified in a court case? 5 A Yes. 6 Q What other matters have you been an expert on? 7 A I was an expert on Apple versus HTC. I guess 8 that must have been three years ago, maybe. 9 Q Are your opinions complete? 10 A So my reply declaration describes my knowledge 11 from whenever it was posted, I think two weeks ago. I'm 12 trying to think if anything new has come to light in 13 terms of material since then, but I think it should 14 actually be complete. 15 Q Did you write the reply declaration yourself? 16 A Yes. With support from counsel. 17 Q So you said with support with counsel. 18 Does that mean counsel wrote some of it? 19 A Reports went back and forth. Phone calls were 20 made, yes. 21 Q Did they write the first draft? 22 A I don't recall who wrote the first draft. 23 The -- it was going back and forth between both sides. 24 Q So in all this back and forth, did you make 25 corrections toward inaccuracies?</p> <p style="text-align: right;">Page 9</p>

<p>1 A Of course. 2 Q Do you believe that you consulted all of the 3 relevant sources of information that may be material to 4 the -- your opinion in your present reply declaration? 5 A I think so. 6 (Exhibit 1025 introduced) 7 BY MR. FLEMING: 8 Q I want to present Exhibit 1025. I'm 9 presenting you Exhibit 1025. 10 A Thank you. 11 Q Do you recognize this exhibit? 12 A Yes. My reply declaration. 13 Q Is it the reply declaration for the '356 14 patent? 15 A For the '356, yes. 16 Q Right. So it's the '356 which is the present 17 IPR? 18 A Yes. 19 Q Feel free to refer to this document as you 20 need during the deposition. 21 A Thank you. 22 Q What languages do you speak? 23 A So my native language is German. I speak 24 English because I spent nine years in the U.S. And I 25 speak French as well. I've got a basic knowledge of</p> <p style="text-align: right;">Page 10</p>	<p>1 nouns anymore. It would be an unusual case. 2 Q So it's an unusual case in German? 3 A I would assume, yeah, except in those cases 4 where it just comes down to tracing back what the origin 5 of the word was in the first place. And I would assume 6 that many of those originated maybe as nouns. I don't 7 know. But as you rightfully point out, I'm an engineer. 8 So this would not be my primary expertise. 9 Q Can a noun ever function as an adjective in 10 the English language? 11 A As I was just saying, I would assume that in 12 some examples. In slang language, I think that might 13 have happened a couple times. 14 Q So only in slang language can a noun ever 15 function as an adjective in English? 16 A That's not what I said. It's just that that's 17 what comes to mind. 18 Q You can't think of a -- 19 A I would have to spend a lot of time on really 20 being sure. 21 Q You can't think of an example in formal 22 language? 23 A Nothing comes to mind right now. 24 (Exhibit 1001 introduced.) 25 ////</p> <p style="text-align: right;">Page 12</p>
<p>1 Latin, I guess. 2 Q So what order did you learn those languages? 3 A The first one would be German. Then English, 4 then French, then Latin. 5 Q So where did you grow up? 6 A Germany. 7 Q So you grew up speaking German? 8 A Yes. 9 Q Before the other languages? 10 A That's true. 11 Q Can a noun ever function as an adjective in 12 the German language? 13 A I cannot really think of an example, but 14 examples in English come to mind like '50s expressions 15 like "That's swell." I would assume that -- do you 16 think "swell" was a noun at some point? Probably, 17 right. 18 Q So you can't think of a situation of where 19 that occurs in German? 20 A It's hard because I'm thinking in English 21 right now. So let me think harder. 22 Q So to repeat the question, can a noun ever 23 function as an adjective in the German language? 24 A I guess there are examples, in slang language, 25 yeah. I guess over time, you don't think of them as</p> <p style="text-align: right;">Page 11</p>	<p>1 BY MR. FLEMING: 2 Q Doctor, I'd like to present you Exhibit 1001. 3 A I think you just gave me the '356 patent. 4 Q So you recognize this document? 5 A Yes. 6 Q Can you read the full patent number for us? 7 A Of course. U.S. 8,773,356 B2. 8 Q Is this the patent that's being challenged in 9 the IPR? 10 A Yes. 11 Q Can we turn to column 20, please. 12 Do you see claim 1 in column 20? 13 A Yes. 14 Q So the claim language states, quote, 15 "Generating a haptic signal based, at least in part, on 16 the interaction haptic effect data in a lookup table," 17 correct? 18 Want me to repeat it again? 19 The claim language states "Generating an 20 actuator signal, based at least in part on the 21 interaction and haptic effect data in a lookup table." 22 A Correct. 23 Q In the phrase -- scratch that. 24 Is, quote, "Interaction and haptic effect 25 data" a phrase?</p> <p style="text-align: right;">Page 13</p>

<p>1 MR. WILLIAMS: Objection. Vague. 2 THE WITNESS: I'd say that's a fragment. 3 Specifically, also, the text seems to refer to the 4 interaction. 5 BY MR. FLEMING: 6 Q Looking at "haptic effect data," is "haptic 7 effect" modifying "data"? 8 A I'd say so. 9 Q Is "haptic effect" an adjective? 10 A No. It's a noun. 11 Q Looking at the quote, "the interaction and 12 haptic effect data," end quote, is it possible that 13 "interaction" is modifying "data" as an adjective? 14 A Could you repeat that"? 15 Q Looking at the quote, "the interaction and 16 haptic effect data," end of quote, is it possible that 17 the, quote, "interaction" is modifying "data" as an 18 adjective? 19 A I don't understand it that way for two 20 reasons. 21 Q I didn't ask you how you understood it. I was 22 asking, is it possible in the English language? 23 A As we already agreed upon, my native language 24 is German, not English. However, I've analyzed this in 25 the context of this patent, and it seems more relevant</p> <p style="text-align: right;">Page 14</p>	<p>1 I just consider this in isolation and I put "sausage" in 2 front of the word, then it becomes nonsensical. So 3 somehow I need to consider this in some context. And 4 that would be, for me, claim 1. And there I have an 5 opinion. If it's removed from claim 1, I'm not sure how 6 to -- how to describe my opinion there. 7 Q So do you see the word "comprising" in 8 claim 1? 9 A Yes. 10 Q What do you understand comprising to mean in 11 the context of claim 1? 12 A Maybe to fulfill, as a synonym. 13 Q So how does the term "comprising" impact your 14 interpretation of the claim language, quote, "based at 15 least in part on the interaction and haptic effect data 16 in the table lookup," end of quote? 17 A Given what I know about the way claims are 18 formulated, makes me understand that what's following 19 are different claim limitations and that all of these 20 have to be fulfilled. So it's logically a conjunction. 21 Q So based on that statement, are you saying 22 that the word "comprising" means that -- that you have 23 to have -- the actuator signal has to generate based 24 upon interaction and haptic effect data? 25 MR. WILLIAMS: Objection. Vague to the extent</p> <p style="text-align: right;">Page 16</p>
<p>1 here. So I would like to answer that question in the 2 context of claim 1. 3 Q I'm asking you a specific question. I'm not 4 asking about claim interpretation. I'm just asking 5 basic English sentence structure. 6 Is it possible that "interaction" could be an 7 adjective in the phrase "the interaction and haptic 8 effect"? 9 A As I said a second ago, I think this is a 10 fragment. And it has very concrete meaning in this very 11 concrete context, and that actually limits how it can be 12 interpreted. So I have not -- 13 Q So is it your opinion that it cannot be 14 possible that it's an adjective? 15 A I have not had a chance to think about this 16 outside the context of this claim. 17 Q So you cannot render an opinion whether it's 18 possible or not possible? 19 A I think in its position inside of this claim, 20 there's lots of clues that tell me what is intended. 21 Q I'm not asking you about claim interpretation. 22 I'm simply asking sentence structure in the English 23 language. Is it possible? 24 A It's not a sentence. It's a fragment, right? 25 I mean, if other words were in front of -- if</p> <p style="text-align: right;">Page 15</p>	<p>1 it mischaracterizes the witness's testimony. 2 THE WITNESS: Having spent some time with 3 patents, all the word "comprising" tells me is what 4 follows are claim limitations. And each one of those 5 has to be fulfilled. And I see, I think, four of them 6 here. 7 BY MR. FLEMING: 8 Q Is it your understanding that every embodiment 9 described in the specification practiced -- scratch 10 that. 11 Does every embodiment described in the 12 specification practice every element of claim 1? 13 A Are you asking me if that is the intended 14 purpose of a claim 1 or of a top-level claim in the 15 patent or if that holds for the '356 patent? 16 Q I am just simply asking, does every embodiment 17 described in the specification of the '356 patent -- 18 must practice every element of claim 1. 19 A This question has not really come up for me so 20 far because, so far, my task was to understand not 21 what's embodiment, but what's claim 1. So in claim 22 construction, the main question was how to interpret 23 claim 1. And in that sense, what is an embodiment under 24 various claim constructions can certainly be a question, 25 and I'll be happy to speak to that.</p> <p style="text-align: right;">Page 17</p>

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.