

UNITED STATES PATENT AND TRADEMARK OFFICE

---

BEFORE THE PATENT TRIAL AND APPEAL BOARD

---

APPLE INC.,  
Petitioner,

v.

VALENCELL, INC.,  
Patent Owner.

---

Case IPR2017-00319 (Patent 8,923,941 B2)  
Case IPR2017-00321 (Patent 8,923,941 B2)<sup>1,2</sup>

---

Record of Oral Hearing  
Held: February 27, 2018

---

Before BRIAN J. McNAMARA, JAMES B. ARPIN, and SHEILA F.  
McSHANE, *Administrative Patent Judges*.

Case IPR2017-00319 (Patent 8,923,941 B2)

Case IPR2017-00321 (Patent 8,923,941 B2)

APPEARANCES:

ON BEHALF OF THE PETITIONER:

BYRON L. PICKARD, ESQUIRE  
MICHELLE K. HOLOUBEK, ESQUIRE  
MICHAEL D. SPECHT, ESQUIRE  
MARK CONSILVIO, ESQUIRE  
Sterne, Kessler, Goldstein & Fox  
1100 New York Avenue, N.W.  
Washington, D.C. 20005

ON BEHALF OF THE PATENT OWNER:

JUSTIN B. KIMBLE, ESQUIRE  
JEFFREY BRAGALONE, ESQUIRE  
Bragalone Conroy, P.C.  
2200 Ross Avenue, Suite 4500W  
Dallas, Texas 75201-7924

and

R. SCOTT RHOADES, ESQUIRE  
Warren Rhoades  
1212 Corporate Drive, Suite 250  
Irving, Texas 75038

The above-entitled matter came on for hearing on Tuesday, February 27, 2018, commencing at 11:50 a.m., at the U.S. Patent and Trademark Office, 600 Dulany Street, Alexandria, Virginia.

Case IPR2017-00319 (Patent 8,923,941 B2)

Case IPR2017-00321 (Patent 8,923,941 B2)

P R O C E E D I N G S

1 - - - - -

2 JUDGE McNAMARA: This is going to be the hearing in  
3 IPR2017-00319 and 00321. And again, we'll hear first from the petitioner,  
4 then the patent owner and any rebuttal from the petitioner. Petitioner has  
5 40 minutes. Is there some amount of time you would like me to alert you to?

6 MS. HOLOUBEK: Yes, Your Honor, I would like to reserve ten  
7 minutes for rebuttal, please.

8 JUDGE ARPIN: Counselor, before you begin, because we are  
9 doing this as a consolidated hearing, although it is the same patent for both  
10 cases, if there are arguments that you are presenting which are related only  
11 to one of the two petitions, if you would please specify which petition you  
12 are speaking of.

13 MS. HOLOUBEK: Yes, Your Honor, I'll do that.

14 JUDGE McNAMARA: All right. Please proceed.

15 MS. HOLOUBEK: Thank you. Good morning. May it please the  
16 Board, my name is Michelle Holoubek and I represent petitioner, Apple Inc.,  
17 along with my colleagues Mark Consilvio and Michael Specht, who are  
18 backup counsel on this case.

19 At the outset, as I mentioned, I would like to reserve ten minutes  
20 for rebuttal in this portion of the hearing. Both of them relate to Valencell's  
21 '941 patent. First I will plan to discuss the 319 IPR which covers claims 1 to  
22 2 and 6 to 13. And then I'll turn to the 321 IPR which covers claims 14  
23 through 21, along with its motion to amend.

24 Regarding claims 1 to 2 and 6 to 13, the Board's analysis of these  
25 claims in its institution decision was correct. Rather than presenting

1 anything new during the trial portion of this proceeding, Valencell simply  
2 dug in on the same arguments as before that the Board had already  
3 considered in its institution decision. No new information has been provided  
4 that should change the decision previously rendered by the Board.

5 Each claim in this IPR would have been obvious based on the  
6 combination of Luo and Craw, if we could turn to slide 2, which provides us  
7 with a summary. And again, these demonstratives that I'm referring to right  
8 now are in the 319 portion of our demonstratives.

9 So we have the combination of Luo plus Craw, and then separately  
10 a combination based on the references Mault and Al-Ali. Valencell's  
11 arguments to the contrary rest on a faulty and overly narrow reading of claim  
12 1. And because the arguments have focused on claim 1 and not any of the  
13 dependent claims, claim 1 is what we'll focus on today as well.

14 So let's take a look together at claim 1 to see what it actually  
15 recites. If we turn to slide 3, we can see that we have a method with two  
16 steps. We have a sensing step and a processing step. And I find these  
17 individual steps pretty long, so I find it helpful to break them up. In the  
18 sensing step, two types of data are sensed, physical activity and  
19 physiological information. This data is sensed by a monitoring device. And  
20 that monitoring device is open-ended so it can comprise any number of  
21 sensors. But the claim does require that the physical activity be sensed by at  
22 least one motion sensor. And the claim also requires that the physiological  
23 information be sensed by at least one PPG sensor. Now, that doesn't mean  
24 that all the physiological information sensed by the entire monitoring device  
25 must come solely from this PPG sensor. It simply means that the PPG  
26 sensor has to contribute to the physiological data. That's what it says.

1 JUDGE ARPIN: Counselor, with regard to claim construction, the  
2 physiological information was something we construed in the DI, and I don't  
3 believe that patent owner has challenged that construction. Is that your  
4 understanding?

5 MS. HOLOUBEK: That's my understanding as well, yes.

6 JUDGE ARPIN: Patent owner, however, has proposed a  
7 modification for the construction of the term "PPG sensor" which you just  
8 mentioned. Do you have any objections to the patent owner's construction  
9 of that term?

10 MS. HOLOUBEK: No, I have no objections to that, Your Honor.  
11 We agree with that.

12 JUDGE ARPIN: Are those the only claim construction issues that  
13 we are dealing with in the 319 case?

14 MS. HOLOUBEK: To my knowledge, we don't really have any  
15 claim construction issues other than that correction to the PPG sensor. We  
16 have not disputed any of the claim constructions as instituted by the Board.

17 JUDGE ARPIN: Thank you very much, counselor. Please  
18 continue.

19 MS. HOLOUBEK: So going back to the claim, the claim says at  
20 least one PPG sensor for sensing the physiological data. That is open-ended.  
21 So other sensors can contribute to the physiological data as well. And that's  
22 important because Valencell's primary argument against both grounds in the  
23 319 IPR is that all the physiological data in the claim has to come from the  
24 PPG sensor. But again, the claim uses words like "comprising" and "at  
25 least" and is open-ended.

# Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

## Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

## Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

## Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

## API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

## LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

## FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

## E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.