

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

UNITED STATES INTERNATIONAL TRADE COMMISSION

WASHINGTON, D.C

-----x

In the Matter of :

CERTAIN AUDIO PROCESSING : Investigation No.

HARDWARE AND SOFTWARE AND : 337-TA-1026

PRODUCTS CONTAINING THE SAME :

-----x

Videotaped Deposition of SCOTT CLINTON DOUGLAS, Ph.D.

Washington, D.C.

Friday, June 16, 2017

9:09 a.m.

Job No.: 148105

Pages: 1 - 248

Reported By: Dawn M. Hart, RPR/RMR/CRR

1 Videotaped deposition of Scott Clinton Douglas,
2 Ph.D., held at the law offices of:

3

4

COVINGTON & BURLING LLP

5

850 Tenth Street, Northwest

6

One City Center

7

Washington, DC 20001

8

(202) 662-6000

9

10

11

12

13

14

15

16

17

18

Pursuant to Notice, before Dawn M. Hart,

19

RPR/RMR/CRR and Notary Public in and for the District

20

of Columbia.

21

22

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

A P P E A R A N C E S

ON BEHALF OF THE COMPLAINANT AND THE

WITNESS:

BRADLEY T. LENNIE, ESQUIRE

PEPPER HAMILTON LLP

Hamilton Square

600 Fourteenth Street, Northwest

Washington, DC 20005-2004

(202) 220-1200

ON BEHALF OF THE SAMSUNG RESPONDENTS:

PETER A. SWANSON, ESQUIRE

MATTHEW A. KUDZIN, ESQUIRE

ROBERT T. HASLAM, ESQUIRE

(Via teleconference.)

COVINGTON & BURLING LLP

850 Tenth Street, Northwest

One City Center

Washington, DC 20001

(202) 662-6000

1 A P P E A R A N C E S (Continued)

2 ON BEHALF OF THE RESPONDENT APPLE, INC.:

3 THOMAS A. BROUGHAN, III, ESQUIRE

4 STEVEN S. BAIK, ESQUIRE

5 SIDLEY AUSTIN LLP

6 1501 K Street, Northwest

7 Washington, DC 20005

8 (202) 736-8583

9

10 ON BEHALF OF THE U.S. ITC INVESTIGATIVE STAFF:

11 R. WHITNEY WINSTON, ESQUIRE

12 UNITED STATES INTERNATIONAL TRADE COMMISSION

13 Office of Unfair Import Investigations

14 500 E Street, Southwest

15 Washington, DC 20436

16 (202) 205-2221

17

18 ALSO PRESENT: Michael Rutigliano, Summer Intern

19 Elvis Centeno, Videographer

20

21

22

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

C O N T E N T S

EXAMINATION OF SCOTT CLINTON DOUGLAS PAGE

By Mr. Swanson 8

By Mr. Broughan 152

E X H I B I T S

(Exhibits are attached to the transcript.)

S. DOUGLAS DEPOSITION EXHIBITS PAGE

Exhibit 1 U.S. Patent 6,363,345 10

Exhibit 2 A Spatio-Temporal Power Method 19

for Time-Domain Multi-Channel

Speech Enhancement

Exhibit 3 Expert report re Kyriakakis 37

Exhibit 4 Boll paper Suppression of 51

Acoustic Noise in Speech Using

Spectral Subtraction

Exhibit 5 U.S. Patent 5,706,395 78

Exhibit 6 Expert report re Douglas 116

Exhibit 7 Martin paper An Efficient 122

Algorithm to Estimate the

Instantaneous SNR of Speech Signals

Exhibit 8 Rebuttal expert report re 129

Douglas

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

E X H I B I T S (Continued)

(Exhibits are attached to the transcript.)

S. DOUGLAS DEPOSITION EXHIBITS	PAGE
Exhibit 9 U.S. Patent 6,035,048	131
Exhibit 10 Martin paper Spectral Subtraction Based on Minimum Statistics	148
Exhibit 11 U.S. Patent 8,374,854	188
Exhibit 12 Hirsch paper Noise Estimation Techniques for Robust Speech Recognition	205

Transcript of Scott Clinton Douglas, Ph.D.

Conducted on June 16, 2017

7

1	P R O C E E D I N G S	09:09:56
2	THE VIDEOGRAPHER: Here begins Tape No. 1 in	08:36:30
3	the videotaped deposition of Dr. Scott Douglas in the	09:08:53
4	Matter of Certain Audio Processing Hardware and	09:08:56
5	Software, et al., Case No. 337-TA-1026.	09:09:00
6	Today's date is June 16, 2017. The time on	09:09:07
7	the video monitor is 9:09. The videographer today is	09:09:10
8	Elvis Centeno, representing Planet Depos. The video	09:09:13
9	deposition is taking place at 1501 K Street,	09:09:17
10	Northwest, Washington, DC.	09:09:21
11	Would counsel please identify themselves and	09:09:23
12	state whom they represent.	09:09:23
13	MR. SWANSON: Sure. Peter Swanson, from	09:09:26
14	Covington & Burling, on behalf of Samsung Electronics	09:09:30
15	Co. Limited and Samsung Electronics America, Inc.	09:09:34
16	Also with me from Covington is	09:09:37
17	Matthew Kudzin, and on the line is Robert Haslam, also	09:09:39
18	with Covington & Burling.	09:09:45
19	MR. BROUGHAN: Good morning. Tom Broughan,	09:09:49
20	Sidley Austin, on behalf of Respondent Apple. With me	09:09:50
21	is Steve Baik.	09:09:54
22	MR. WINSTON: Whitney Winston, from the	09:09:56

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

IPR No. 2017-00627

Apple Inc. v. Andrea Electronics Inc. - Ex. 1030, p. 7

Transcript of Scott Clinton Douglas, Ph.D.
Conducted on June 16, 2017

8

1	Commission Investigative Staff.	09:09:56
2	MR. LENNIE: And Brad Lennie, of	09:10:00
3	Pepper Hamilton, representing the witness and also	09:10:00
4	Andrea Electronics.	09:10:05
5	THE WITNESS: And I'm Scott Douglas.	09:10:07
6	THE VIDEOGRAPHER: Would the Reporter please	09:10:14
7	swear in the witness.	09:10:15
8	SCOTT CLINTON DOUGLAS, Ph.D.	09:10:15
9	being first duly sworn or affirmed to testify to	09:10:15
10	the truth, the whole truth, and nothing but the truth,	09:10:15
11	was examined and testified as follows:	09:10:15
12	EXAMINATION BY COUNSEL FOR THE RESPONDENT SAMSUNG	09:10:15
13	BY MR. SWANSON:	09:10:15
14	Q Good morning.	09:10:27
15	A Good morning.	09:10:29
16	Q Would you please state your name for the	09:10:30
17	record.	09:10:32
18	A Scott Clinton Douglas.	09:10:32
19	Q And are you employed, Mr. Douglas?	09:10:34
20	A I am employed, yes.	09:10:37
21	Q Where are you employed?	09:10:38
22	A I am a professor in the Department of	09:10:41

PLANET DEPOS
888.433.3767 | WWW.PLANETDEPOS.COM

1	Electrical Engineering at Southern Methodist	09:10:44
2	University in Dallas, Texas.	09:10:46
3	Q And you've been retained by	09:10:50
4	Andrea Electronics in this case as an expert witness?	09:10:52
5	A Yes, I have.	09:10:54
6	Q And you've been retained to provide opinions	09:10:55
7	on the issues of invalidity; is that right?	09:10:57
8	A That's one of the issues I've been retained	09:11:03
9	on, yes.	09:11:06
10	Q Have you been deposed before?	09:11:08
11	A Yes, I have.	09:11:09
12	Q How many times?	09:11:10
13	A I believe three other times.	09:11:15
14	Q Okay. Do you understand the process for a	09:11:17
15	deposition, the ground rules?	09:11:20
16	A I believe I do, yes.	09:11:24
17	Q Okay. Just to briefly summarize, if you	09:11:25
18	don't understand one of my questions, then please ask	09:11:29
19	for clarification. If you need a break, please ask	09:11:32
20	for it. And please remember to give verbal answers.	09:11:37
21	A Okay. I understand.	09:11:42
22	Q Okay.	09:11:44

1	(Exhibit 1 was marked for identification and	09:12:12
2	is attached to the transcript.)	09:12:12
3	Q Okay. I just handed you what's been marked	09:12:40
4	Exhibit 1. This is a copy of U.S. Patent No.	09:12:42
5	6,363,345.	09:12:48
6	Have you seen this patent before?	09:12:53
7	A Yes, I have.	09:12:55
8	Q Are you familiar with this patent?	09:12:56
9	A I am.	09:12:58
10	Q Okay. And you've offered opinions on this	09:12:59
11	patent in this case?	09:13:01
12	A Yes, I have.	09:13:02
13	Q What's the invention described in the '345	09:13:06
14	patent?	09:13:10
15	MR. LENNIE: Objection. Form.	09:13:12
16	A Can you clarify your question?	09:13:16
17	Q Do you believe -- let me back up.	09:13:19
18	Does -- the '345 patent relates to the area	09:13:21
19	of noise suppression?	09:13:26
20	MR. LENNIE: Objection.	09:13:28
21	A '345 is a system, method and apparatus for	09:13:29
22	canceling noise.	09:13:38

1 Q Okay. Does it fall within the field -- are 09:13:39
2 you familiar with the field of noise suppression and 09:13:42
3 noise cancellation? 09:13:44

4 A I am familiar with the field of noise 09:13:45
5 suppression. 09:13:48

6 Q Okay. Do you consider yourself an expert in 09:13:48
7 that field? 09:13:50

8 A I have experience and understanding in the 09:13:52
9 field of noise suppression. 09:13:54

10 Q How -- how much experience? How long have 09:14:00
11 you been working in the field of noise suppression? 09:14:01

12 A I've been a professor for over 25 years at 09:14:08
13 two different institutions, and I've done work on 09:14:12
14 various different aspects of signal processing, things 09:14:15
15 related to adaptive filters and active noise control 09:14:18
16 and aspects that basically relate to noise 09:14:22
17 suppression. 09:14:25

18 Q Okay. And you've been doing that you said 09:14:26
19 for over 25 years? 09:14:28

20 A Yes. 09:14:30

21 Q So the '345 patent relates -- falls within 09:14:32
22 the field of noise suppression and noise cancellation? 09:14:36

1	MR. LENNIE: Objection. Form.	09:14:39
2	A The '345 patent is a system, method and	09:14:40
3	apparatus for canceling noise. It's a technology	09:14:50
4	that's designed to process signals to make them	09:14:53
5	better.	09:14:58
6	Q Uh-huh. And "make them better" meaning to	09:14:59
7	try to suppress noise or to cancel noise?	09:15:02
8	A The technology within '345 can be used to	09:15:11
9	cancel noise.	09:15:14
10	Q Does the '345 patent also relate to spectral	09:15:16
11	subtraction?	09:15:20
12	MR. LENNIE: Objection. Form.	09:15:22
13	A (Reviewing.)	09:15:28
14	It is a spectral subtraction technique and	09:15:28
15	it's a method to further reduce the noise.	09:15:42
16	Q Do you see -- let me direct you to column 1,	09:15:50
17	lines 19 through 21, of the patent.	09:15:56
18	A Uh-huh.	09:15:59
19	Q Do you see that?	09:16:00
20	A Yes.	09:16:00
21	Q It says, "The present invention relates to	09:16:01
22	noise cancellation and reduction and, more	09:16:02

1	specifically, to noise cancellation and reduction	09:16:05
2	using spectral subtraction"?	09:16:08
3	A Yes.	09:16:10
4	Q Do you agree with that?	09:16:11
5	A I see that it says that, yes.	09:16:12
6	Q Do you agree that the present invention	09:16:14
7	relates to noise cancellation/reduction?	09:16:16
8	A Yes.	09:16:18
9	MR. LENNIE: Objection. Form.	09:16:19
10	Q Do you agree that the present invention of	09:16:19
11	the '345 patent relates more specifically to noise	09:16:20
12	cancellation/reduction using spectral subtraction?	09:16:22
13	MR. LENNIE: Objection. Form.	09:16:25
14	A The technology within the '345 patent can be	09:16:38
15	used for noise reduction.	09:16:41
16	Q And that technology is generally known as	09:16:46
17	spectral subtraction?	09:16:51
18	MR. LENNIE: Objection. Form.	09:16:53
19	Q Or falls within the field known as spectral	09:16:56
20	subtraction?	09:16:58
21	MR. LENNIE: Same objection.	09:16:59
22	A The technology is designed to remove the	09:17:04

1 noise from signals and it relates to the 09:17:06
2 noise/cancellation reduction. 09:17:13
3 Q And it does so by using spectral 09:17:15
4 subtraction? 09:17:18
5 A It uses techniques that are related to the 09:17:28
6 methods within spectral subtraction. 09:17:30
7 Q Related to the method of spectral 09:17:34
8 subtraction. Are those techniques considered spectral 09:17:36
9 subtraction? 09:17:42
10 MR. LENNIE: Objection. 09:17:43
11 Q The techniques of the '345 patent? 09:17:44
12 MR. LENNIE: Objection. Form. 09:17:46
13 A The techniques described in the '345 patent 09:18:14
14 are essentially about the spectral subtraction 09:18:16
15 technique within the '345 and it uses methods that 09:18:20
16 are -- that are common in spectral subtraction. 09:18:25
17 Q All right. What is spectral subtraction? 09:18:28
18 A Can you give me a little more context? 09:18:38
19 Q Do you have an understanding of the idea 09:18:40
20 behind spectral subtraction? 09:18:42
21 A Yes, I do. 09:18:44
22 Q All right. What is that understanding? 09:18:45

1 A The goal is a technique to estimate noise 09:18:48
2 and to be able to process the resulting signal to try 09:18:53
3 to remove that noise. 09:18:56

4 Q That idea that you just described, that idea 09:19:01
5 was known as of the time of the '345 patent -- the 09:19:05
6 filing of the '345 patent; is that right? 09:19:09

7 MR. LENNIE: Objection. Objection. Form. 09:19:13

8 A Techniques in spectral subtraction have been 09:19:18
9 described. The '345 patent is a system, method and 09:19:21
10 apparatus for canceling noise. 09:19:26

11 Q But what you just described as the concept 09:19:28
12 of spectral subtraction, that was already known as of 09:19:30
13 February 1999, right? 09:19:34

14 A There are methods and procedures and 09:19:45
15 techniques that people have been used -- that people 09:19:47
16 have used to apply to remove noise from signals prior 09:19:49
17 to this. 09:19:52

18 Q All right. Techniques to estimate noise and 09:19:56
19 to remove that noise from signals, right? 09:19:58

20 A Yes. 09:20:02

21 Q Okay. Let me direct you to column 1, line 09:20:07
22 64, the sentence beginning at line 64. 09:20:17

1	This method described in detail in	09:20:26
2	suppression of acoustic noise in speech using spectral	09:20:28
3	subtraction, and then there is --	09:20:32
4	A Just -- just a moment.	09:20:34
5	Q Yep.	09:20:36
6	A I want to make sure I --	09:20:36
7	Q Sorry, column 1, line -- the sentence	09:20:37
8	beginning at line 64.	09:20:40
9	A Oh, 64. Thank you. Uh-huh.	09:20:42
10	Q Do you see that sentence?	09:20:44
11	A I do.	09:20:45
12	Q Okay. And that sentence refers to a paper	09:20:46
13	titled "Acoustic Noise in Speech Using Spectral	09:20:50
14	Subtraction."	09:20:53
15	Do you see that?	09:20:53
16	A Yes.	09:20:55
17	Q And that paper is by Steven Boll?	09:20:55
18	A Yes.	09:20:58
19	Q Do you see that?	09:20:59
20	That paper -- that paper relates to the	09:21:01
21	field of spectral subtraction, right?	09:21:07
22	A Yes.	09:21:09

1	Q	That was a well-known publication as of	09:21:11
2		1999?	09:21:14
3	A	Yes.	09:21:21
4	Q	A person of skill in the art as of 1999	09:21:22
5		would have been familiar with Boll's paper?	09:21:25
6	A	A person working in signal processing in the	09:21:31
7		field of noise suppression would be aware of that	09:21:35
8		paper.	09:21:37
9	Q	What was significant about the Boll paper?	09:21:38
10		MR. LENNIE: Objection. Form.	09:21:42
11	A	Can you give me some context in the -- in	09:21:52
12		your question? It's not obvious what it is that	09:21:55
13		you're asking.	09:21:57
14	Q	Well, you said it was -- you agree it was a	09:21:58
15		well-known publication as of 1999. Why -- why was it	09:22:00
16		so well known in the field?	09:22:03
17		MR. LENNIE: Objection. Form.	09:22:05
18	A	It was a relatively early authored paper in	09:22:48
19		the field. It described techniques for digitally	09:22:51
20		processing signals to reduce noise.	09:22:56
21	Q	Those techniques were spectral subtraction	09:23:00
22		techniques?	09:23:03

1	A	He used the term "spectral subtraction" to	09:23:11
2		describe them. The techniques were oriented towards	09:23:13
3		removing noise.	09:23:18
4	Q	And to noise estimation as well?	09:23:22
5	A	He used methods of noise estimation within	09:23:28
6		his technique.	09:23:31
7	Q	Is musical noise a problem with spectral	09:23:34
8		subtraction?	09:23:36
9		MR. LENNIE: Objection. Form.	09:23:37
10	A	What do you mean by "musical noise"?	09:23:45
11	Q	Do you have an understanding of that term?	09:23:48
12	A	It's a term that can have different meaning	09:24:18
13		in different contexts.	09:24:21
14	Q	Does it have a meaning in the context of	09:24:23
15		spectral subtraction?	09:24:25
16		MR. LENNIE: Objection. Form.	09:24:26
17	A	It is not a precise term as I'm aware of it.	09:25:03
18		It's describing more of an effect of something that	09:25:07
19		someone might hear when -- when using a noise	09:25:10
20		reduction system.	09:25:13
21	Q	What is that effect?	09:25:16
22	A	As I've understood others who've considered	09:25:35

1 it, it's the effect of hearing sounds that basically 09:25:39
2 sound like their tones in nature. 09:25:45
3 Q And that effect, those -- those tones, 09:25:52
4 are -- are they caused by spectral subtraction? 09:25:54
5 MR. LENNIE: Objection. Form. 09:26:00
6 A They can be caused by many things, I think. 09:26:11
7 It's not obvious that they're caused by spectral 09:26:14
8 subtraction. 09:26:17
9 Q But is that one of the things that can cause 09:26:19
10 musical noise? 09:26:21
11 MR. LENNIE: Objection. Form. 09:26:24
12 A I -- again, as I heard about it in different 09:26:25
13 contexts, it's caused by the operation of the system 09:27:04
14 upon a signal that goes through it. 09:27:08
15 MR. SWANSON: Please mark this as Exhibit 2. 09:27:14
16 (Exhibit 2 was marked for identification and 09:27:15
17 is attached to the transcript.) 09:27:15
18 Q Are you familiar with this document? 09:27:38
19 A I am. 09:27:40
20 Q What is this? 09:27:41
21 A This is a paper on a spatio-temporal power 09:27:44
22 method for time-domain multi-channel speech 09:27:47

1 enhancement. 09:27:51

2 Q Okay. Are you one of the authors on the 09:27:52

3 paper? 09:27:54

4 A I am. 09:27:55

5 Q Do you see under -- on the first page under 09:27:56

6 the heading Introduction the first sentence reads, 09:28:00

7 "Spectral subtraction is one of the most popular 09:28:06

8 speech enhancement techniques because of its 09:28:08

9 simplicity and relative low computational complexity"? 09:28:11

10 A I do. 09:28:15

11 Q Do you agree with that? 09:28:16

12 A I do. 09:28:19

13 Q And the next sentence says, "This technique 09:28:21

14 performs well in high signal-to-noise-ratio 09:28:24

15 environments but tends to create a noticeable tonal 09:28:26

16 noise, more commonly known as the musical noise in low 09:28:29

17 SNR and non-stationary noise conditions." 09:28:33

18 Do you see that? 09:28:36

19 A I do. 09:28:37

20 Q Do you agree with that? 09:28:38

21 A It can create a noticeable tonal noise, yes. 09:28:41

22 Q And the next sentence says, "This drives 09:28:45

1 algorithm developers to be very conservative in noise 09:28:47
2 suppression and as a result the technique 09:28:51
3 under-performs in all noise scenarios." 09:28:52

4 Do you see that? 09:28:55

5 A I do see that. 09:28:56

6 Q Do you agree with that statement? 09:28:57

7 A It's a guidance in terms of how the 09:29:11
8 technique tends to be used and how the performance 09:29:13
9 may -- may be in certain situations. 09:29:18

10 Q And because of how that performance may be 09:29:24
11 in certain situations, algorithm developers tend to be 09:29:27
12 conservative in noise suppression? Is that what this 09:29:32
13 sentence is saying? 09:29:36

14 MR. LENNIE: Objection. Form. 09:29:37

15 A It's saying about algorithm developers and 09:29:48
16 their design processes have to be careful about 09:29:52
17 selecting parameters when designing such systems. 09:29:56

18 Q Uh-huh. And you agree that they tend to be 09:29:58
19 conservative because of the issue of musical noise? 09:30:02

20 A They tend to carefully design such systems 09:30:12
21 to mitigate any such effects that might occur. 09:30:16

22 Q And they do so by being conservative, that's 09:30:20

1 what you wrote here, right? 09:30:23

2 MR. LENNIE: Objection. Form. 09:30:25

3 A Designers of systems have to be careful 09:30:59

4 about how they use such systems when they apply them 09:31:01

5 in particular situations. 09:31:04

6 Q Okay. I don't think that answered my 09:31:09

7 question. 09:31:11

8 A Could you repeat your question? 09:31:12

9 Q Yes. You wrote here in this paper that the 09:31:13

10 issue of musical noise drives algorithm developers to 09:31:20

11 be very conservative in noise suppression. 09:31:23

12 Do you agree with that? 09:31:28

13 A I agree that algorithm developers have to 09:31:34

14 carefully design their systems to mitigate effects 09:31:37

15 that might occur as the result of the design. 09:31:40

16 Q Okay. I get that as a general principle. 09:31:45

17 I'm asking about the specific statement you wrote 09:31:47

18 here, which is you're talking about spectral 09:31:50

19 subtraction, you said one of the problems with 09:31:53

20 spectral subtraction was musical noise and you said, 09:31:55

21 quote, this drives algorithm developers to be very 09:31:57

22 conservative in noise suppression. 09:32:00

1	Do you agree with the sentence that you	09:32:02
2	wrote in this paper or not?	09:32:04
3	MR. LENNIE: Objection. Form.	09:32:06
4	A What is important about the meaning of this	09:32:23
5	sentence is that one must consider aspects in the	09:32:27
6	design of such systems when implementing them to	09:32:31
7	mitigate any ill effects that can result from that	09:32:34
8	implementation.	09:32:37
9	Q Okay. I'm not asking what's important about	09:32:42
10	the meaning of the sentence; I'm asking whether the	09:32:45
11	sentence is accurate as you wrote it or not.	09:32:47
12	Can you please give me a yes or no ques- --	09:32:52
13	answer to that?	09:32:53
14	MR. LENNIE: Objection. Form.	09:32:54
15	A In order to make sure that the meaning of	09:32:58
16	what's here is clear, I'm providing clarification.	09:33:01
17	When algorithm developers are implementing	09:33:09
18	systems, one has to be careful about how one uses the	09:33:12
19	implementation in order to mitigate any ill effects.	09:33:16
20	Q Uh-huh. And in the case of spectral	09:33:20
21	subtraction and the problem of musical noise that	09:33:24
22	results from spectral subtraction, that is mitigated	09:33:27

1	by algorithm developers being conservative in how they	09:33:33
2	do noise suppression; is that right?	09:33:39
3	MR. LENNIE: Objection. Form.	09:33:41
4	A There are many ways to mitigate it.	09:33:42
5	Q Uh-huh. And one way to mitigate it is to be	09:33:45
6	conservative in how you do your noise suppression?	09:33:48
7	A I mean, there are methods for addressing	09:33:53
8	musical noise, so one can apply methods to be able to	09:33:58
9	address it as well.	09:34:02
10	Q And is one of those methods to be	09:34:03
11	conservative in how you do noise suppression?	09:34:04
12	MR. LENNIE: Objection. Form.	09:34:07
13	A Again, this sentence is really about how	09:34:10
14	one, when designing systems, has to consider the	09:34:18
15	potential effects of the implementation of that system	09:34:22
16	and any problems that might arise.	09:34:25
17	Q Uh-huh. You said there are many ways to	09:34:29
18	mitigate musical noise; is that right?	09:34:30
19	A There are -- there are methods that have	09:34:33
20	been proposed, yes.	09:34:35
21	Q And what are the different methods?	09:34:36
22	A (Reviewing.)	09:34:53

1 Sitting here today I don't have the 09:34:53
2 approaches sitting in front of me. I can't give you a 09:36:01
3 list of all the different methods. 09:36:03
4 Q Uh-huh. And sitting here today you're not 09:36:05
5 able to say whether musical noise drives algorithm 09:36:08
6 developers to be very conservative in noise 09:36:13
7 suppression? 09:36:15
8 MR. LENNIE: Objection. Form. 09:36:16
9 A As I've explained, those who are 09:36:22
10 implementing systems for reducing noise have to take 09:36:25
11 into account the effects that such systems might have 09:36:30
12 and mitigate any issues associated with them. 09:36:33
13 Q Uh-huh. But sitting here right now you 09:36:36
14 can't say whether one way developers address the 09:36:39
15 problem of musical noise is to be conservative? 09:36:43
16 MR. LENNIE: Objection. 09:36:47
17 Q In noise suppression? 09:36:48
18 A I -- 09:36:49
19 MR. LENNIE: Objection. Form. 09:36:49
20 A Again, it -- it comes down to the 09:36:50
21 implementation of the overall system. 09:36:54
22 Q Some developers might do that, though, for 09:36:57

1	some systems?	09:37:01
2	MR. LENNIE: Objection. Form.	09:37:02
3	A Again, developers, when they're designing	09:37:13
4	systems, have to consider the overall effects of that	09:37:20
5	implementation.	09:37:23
6	Q So you can't say, then, sitting here right	09:37:24
7	now whether that's -- that's one way a developer might	09:37:27
8	address the problem of musical noise?	09:37:30
9	MR. LENNIE: Objection. Form.	09:37:33
10	A Again, developers who are implementing	09:37:45
11	systems have to consider those ill effects.	09:37:51
12	Q Right. And I'm just asking if you're able	09:37:55
13	to say whether this is one possible way, not the only	09:37:59
14	way, just one possible way, of addressing the effect	09:38:02
15	of musical noise?	09:38:04
16	MR. LENNIE: Objection. Form.	09:38:09
17	A The techniques that people use to address	09:38:15
18	these ill effects can -- can vary.	09:38:18
19	Q Okay. If you turn back to the '345 patent,	09:38:23
20	Exhibit 1.	09:38:41
21	A Uh-huh.	09:38:42
22	Q And let's look at Figure 1.	09:38:44

1	A	(Complying.)	09:38:58
2	Q	Figure 1 is a flow diagram; is that right?	09:39:06
3	A	It's a system that has input samples and	09:39:15
4		output samples.	09:39:17
5	Q	Okay. And it's captioned "Spectral	09:39:19
6		Subtraction System"?	09:39:22
7	A	Yes.	09:39:24
8	Q	Okay. The first block, 104, of Figure 1	09:39:25
9		is -- says "Collect Input Data."	09:39:33
10		Do you see that?	09:39:37
11	A	Yes.	09:39:38
12	Q	Do you agree that collecting input data was	09:39:40
13		known in the art as of the time of the '345 patent?	09:39:44
14	A	Yes.	09:39:54
15	Q	The next block, 106, says "Combine 256 New	09:39:55
16		Point with 256 History."	09:40:01
17		Do you see that?	09:40:03
18	A	Yes.	09:40:04
19	Q	Is that describing the process of creating a	09:40:05
20		frame?	09:40:07
21		MR. LENNIE: Objection. Form.	09:40:10
22	A	(Reviewing.)	09:40:20

1 Block 104 is a temporary buffer that stores 09:41:03
2 input samples, and it stores 256 points, and block 106 09:41:08
3 is a combiner that takes the new 256 points and 09:41:15
4 provides -- combines with -- those with the previous 09:41:20
5 256 points to provide 512 input points. 09:41:24
6 Q Okay. Was block 106 known in the art? 09:41:28
7 MR. LENNIE: Objection. Form. 09:41:32
8 A I mean, systems that collect values are ones 09:41:37
9 that are known that -- I mean, they're part of systems 09:41:43
10 that people would have designed. 09:41:46
11 Q Uh-huh. Okay. So prior art systems would 09:41:49
12 have done step 106? 09:41:54
13 A What prior art systems are you talking 09:42:00
14 about? 09:42:01
15 Q Just the prior art in general, as of the 09:42:02
16 time of -- as of February 1999. 09:42:05
17 A Prior -- prior art in what context? 09:42:09
18 Q Prior art -- things predating the '345 09:42:12
19 patent. 09:42:14
20 A In all contexts? 09:42:16
21 Q At least in some contexts. 09:42:19
22 A Okay, what contexts are those? 09:42:20

1 Q Any contexts. 09:42:23

2 A But that's -- that's all contexts. I mean, 09:42:24

3 I -- I'm -- well, I'm trying to figure out what it is 09:42:27

4 that you're -- that you're trying to get me to -- to 09:42:31

5 answer. 09:42:32

6 Q In prior art spectral subtraction systems. 09:42:33

7 So Figure 1 is about a spectral subtraction system, 09:42:35

8 right? 09:42:39

9 A Yes. 09:42:40

10 Q Okay. Did prior art spectral subtraction 09:42:40

11 systems perform the step 106? 09:42:42

12 A So systems for processing signals would have 09:42:48

13 collected points. The choice of the number of points 09:42:51

14 would depend upon the application. 09:42:54

15 Q Okay. Step 108 says "Multiply By Hanning 09:42:56

16 Window"? 09:43:04

17 A Yes. 09:43:08

18 Q Was that step known in the art? 09:43:10

19 MR. LENNIE: Objection. Form. 09:43:15

20 A (Reviewing.) 09:43:23

21 108 is a multiplier that multiplies the 09:44:22

22 input points with a shading window. Shading window 09:44:25

1 can be Hanning or it could be other types of windows. 09:44:30

2 Q But shading using Hanning windows or other 09:44:39

3 types of windows was known in the art as of 1999? 09:44:43

4 A The purpose of this block is to smooth 09:45:08

5 transients between two process blocks and to reduce 09:45:14

6 side loads. 09:45:18

7 Q I don't think that answers my question. 09:45:24

8 A The method for doing this would be something 09:45:26

9 that someone would be aware of, yes. 09:45:29

10 Q Okay. As of February 1999? 09:45:32

11 A This particular isolated block, yes. 09:45:35

12 Q Okay. The next block, 110, takes the output 09:45:38

13 of the Hanning window and applies a 512-point FFT; is 09:45:45

14 that right? 09:45:52

15 A Yes. 09:45:52

16 Q Was step 110 an FFT? Was that known in the 09:45:54

17 art? 09:45:59

18 A An FFT, Fast Fourier Transform processor, 09:46:16

19 which is what the block 110 is, is something that was 09:46:16

20 used in various systems. 09:46:22

21 Q Prior to the '345 patent? 09:46:27

22 A It was used in various systems for different 09:46:29

1 applications, yes. 09:46:31

2 Q And the next block, block 112, that's 09:46:37

3 labeled "Noise Processing"? 09:46:43

4 A Uh-huh. 09:46:45

5 Q And that's a -- that's representing that the 09:46:46

6 output of the FFT noise processing is performed on the 09:46:50

7 output of the FFT; is that right? 09:46:54

8 A Yes. 09:47:10

9 Q Okay. And the idea of doing noise 09:47:11

10 processing on the output of an FFT, that was known in 09:47:16

11 the art as of February 1999? 09:47:19

12 A Noise processing via FFT was known in the 09:47:29

13 technologies related to noise suppression within the 09:47:35

14 art. 09:47:38

15 Q As of 1999? 09:47:40

16 A Yes, but that's not referring to this 09:47:44

17 specific technique. 09:47:47

18 Q What do you mean by "this specific 09:47:49

19 technique"? 09:47:50

20 A Well, '345 is a system, method and apparatus 09:48:05

21 for canceling noise. So it describes a set of 09:48:07

22 processes for performing that. 09:48:11

1	Q	Uh-huh. And are any of those processes	09:48:13
2		novel?	09:48:18
3		MR. LENNIE: Objection.	09:48:19
4	A	Yes.	09:48:20
5		MR. LENNIE: Objection. Form.	09:48:20
6	Q	Which?	09:48:21
7		MR. LENNIE: Same objection.	09:48:26
8	A	The '345 patent is an apparatus for	09:52:07
9		canceling noise, and it's novel in many respects, one	09:52:10
10		of them being the use of threshold detection for	09:52:15
11		setting a threshold for each frequency bin using a	09:52:19
12		noise estimation process and for detecting for each	09:52:22
13		frequency bin whether the magnitude of the --	09:52:32
14		(Reporter interruption.)	09:52:33
15	A	Detecting for each frequency bin whether the	09:52:34
16		magnitude of the frequency bin is less than the	09:52:34
17		corresponding threshold, thereby detecting positions	09:52:36
18		of noise elements for each frequency bin.	09:52:40
19	Q	And were you just reading from the language	09:52:43
20		of claim 1?	09:52:45
21	A	I was.	09:52:47
22	Q	Okay. Is there anything else novel in the	09:52:47

1	'345 patent?	09:52:51
2	MR. LENNIE: Objection. Form.	09:52:52
3	A There are many aspects of the system that	09:52:59
4	are novel. All -- you know, those aspects which	09:53:02
5	relate to or depend upon claim 1 matter as well.	09:53:09
6	Q Uh-huh.	09:53:12
7	A As well as other claims within there.	09:53:13
8	Q Do you have an opinion as to what -- what	09:53:18
9	was inventive in the '345 patent over the prior art?	09:53:21
10	MR. LENNIE: Objection. Form.	09:53:26
11	A I've been asked to provide opinions that	09:53:56
12	relate to the report of Dr. Kyriakakis regarding the	09:53:59
13	validity of this patent. So I provided opinions along	09:54:09
14	that line.	09:54:12
15	Regarding your question, it's a challenge to	09:54:14
16	think of all the different possibilities of novelty	09:54:17
17	that one could consider for this.	09:54:20
18	Q Uh-huh. Is there anything -- can you name	09:54:22
19	anything in the '345 patent that was not just novel	09:54:28
20	but was inventive over the prior art?	09:54:32
21	MR. LENNIE: Objection. Form.	09:54:36
22	A An invention is a combination of its	09:54:39

1 elements and, you know, the novelty can be in the 09:54:42

2 combination, it can be in the individual portions. 09:54:45

3 Q Okay. And what was the invention -- in your 09:54:52

4 view, what was the invention of the '345 patent? 09:54:55

5 MR. LENNIE: Objection. Form. 09:54:59

6 A I don't know if I have a view that points to 09:55:12

7 one specific thing or feature. 09:55:15

8 Q Can you point to anything in the patent that 09:55:18

9 was an invention over the prior art? 09:55:19

10 MR. LENNIE: Objection. Form. 09:55:22

11 A Again, the nature of the invention is in the 09:55:23

12 combination of its elements and how the various 09:55:27

13 different techniques are combined to produce the 09:55:30

14 processing that it does. 09:55:33

15 Q And the techniques in the '345 patent, were 09:55:36

16 those combined in a way that was inventive over the 09:55:40

17 prior art? 09:55:44

18 A Yes. 09:55:46

19 Q How so? 09:55:47

20 A In the specific way, I mean it's the 09:55:49

21 methodology that was used. 09:55:52

22 Q What techniques -- when you say "the 09:56:04

1	techniques of the patent," what techniques are you	09:56:06
2	referring to? Are you referring to the technique for	09:56:09
3	estimating noise?	09:56:12
4	MR. LENNIE: Objection. Form.	09:56:13
5	A Again, the novelty of the patent is in the	09:56:23
6	combination of the various elements to produce the	09:56:28
7	resulting processing that it does.	09:56:31
8	Q What elements are you referring to?	09:56:41
9	A (Reviewing.)	09:57:01
10	Well, the noise processing and the noise	09:57:11
11	estimation processing as well as the subtraction	09:57:14
12	processing and residual noise processing.	09:57:19
13	Q Are you saying each of those on their own	09:57:26
14	were inventive or the combination of those were	09:57:29
15	inventive -- was inventive?	09:57:33
16	MR. LENNIE: Objection. Form.	09:57:35
17	Q Strike that.	09:57:48
18	Was residual noise processing by itself an	09:57:50
19	invention over the prior art?	09:57:54
20	MR. LENNIE: Objection. Form.	09:58:00
21	A The general goal of residual noise	09:58:08
22	processing was under -- was a technique which was	09:58:11

1 investigated. There are methods for doing it -- there 09:58:16
2 are other methods, though -- excuse me, strike that 09:58:19
3 issue -- there are other methods. 09:58:24
4 The '345 patent uses residual noise 09:58:30
5 processing along with its other methods to provide the 09:58:34
6 capability of the patent that is described. 09:58:39
7 Q Was the residual noise processing described 09:58:43
8 in the '345 patent by itself an invention over the 09:58:45
9 prior art? 09:58:50
10 MR. LENNIE: Objection. Form. 09:58:53
11 A (Reviewing.) 09:58:54
12 As I understand it, the novelty of the 09:59:20
13 patent can be in the combination of its elements and 09:59:23
14 in the way the processing is combined to provide the 09:59:26
15 resulting system operation. 09:59:30
16 Q Uh-huh. But residual noise processing 09:59:35
17 predated the '345 patent, right? 09:59:37
18 A There was residual noise processing methods 09:59:42
19 prior to the '345 patent. 09:59:45
20 Q Okay. And there were noise estimation 09:59:46
21 processes prior to the '345 patent, right? 09:59:49
22 A Yes. There are methods for estimating noise 09:59:54

1	in systems.	09:59:57
2	Q Okay. And there were noise processing	09:59:58
3	methods prior to the '345 patent, right?	10:00:01
4	A Yes, there was noise processing systems.	10:00:06
5	Q And there were subtraction processing	10:00:10
6	methods prior to the '345 patent?	10:00:12
7	A Yes, there were those as well.	10:00:16
8	Q Uh-huh. Just going back to claim 1, is your	10:00:19
9	opinion that claim 1 is novel over the prior art?	10:00:40
10	A Yes.	10:00:49
11	(Exhibit 3 was marked for identification and	10:01:09
12	is attached to the transcript.)	10:01:09
13	Q This is the expert report of	10:01:41
14	Chris Kyriakakis, the Respondents' expert on	10:01:44
15	invalidity; is that right?	10:01:49
16	A Yes.	10:01:50
17	Q Have you seen this before?	10:01:51
18	A Yes.	10:01:53
19	Q Have you reviewed this?	10:01:54
20	A Yes.	10:01:56
21	Q And you were asked to respond to	10:01:57
22	Dr. Kyriakakis's opinions expressed in this report; is	10:01:59

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

IPR No. 2017-00627

Apple Inc. v. Andrea Electronics Inc. - Ex. 1030, p. 37

1	that right?	10:02:03
2	A Yes.	10:02:04
3	Q Can you turn to Page 213 of the report?	10:02:20
4	A Yes.	10:02:39
5	Q And do you see Paragraph 648?	10:02:40
6	A I do.	10:02:46
7	Q Okay. And the first sentence of that	10:02:47
8	paragraph reads, "It is my opinion that Diethorn" --	10:02:49
9	D-I-E-T-H-O-R-N.	10:02:53
10	A Right.	10:02:57
11	Q -- "anticipates claim 1 of the '345 patent	10:02:58
12	if the claim is not subject to Section 112, Paragraph	10:03:01
13	6."	10:03:04
14	A Uh-huh.	10:03:04
15	Q Do you see that?	10:03:05
16	A I do.	10:03:05
17	Q Do you disagree with that opinion?	10:03:07
18	A I haven't provided an opinion on this issue	10:03:28
19	in my report.	10:03:31
20	Q Why not?	10:03:36
21	A In the process of writing the report and	10:03:41
22	considering the technical issues in it, I was asked	10:03:45

1 not to consider this particular issue at the point of 10:03:52
2 preparing -- at -- in the process of preparing the 10:03:56
3 report. 10:03:59
4 Q You were asked by the lawyers for Andrea? 10:04:01
5 A It was part of our dis- -- a dis- -- our 10:04:06
6 discussion. 10:04:09
7 Q So they instructed you not to render an 10:04:10
8 opinion as to Diethorn? 10:04:13
9 MR. LENNIE: I'm just going to cau- -- go 10:04:16
10 ahead and finish the question. 10:04:18
11 Q Did the lawyers instruct you not to analyze 10:04:19
12 whether Diethorn anticipates it? 10:04:22
13 MR. LENNIE: So I'm just going to interject 10:04:25
14 here that I understand that there's a discovery 10:04:27
15 stipulation that indicates that the discussions 10:04:29
16 between -- communications between counsel and the 10:04:34
17 witness are non-discoverable -- 10:04:37
18 MR. SWANSON: Uh-huh. 10:04:40
19 MR. LENNIE: -- unless the witness is 10:04:42
20 relying on those communications. 10:04:43
21 MR. SWANSON: I think I'm entitled to ask 10:04:47
22 him if -- what he was asked to do. Do you agree? 10:04:48

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

IPR No. 2017-00627

Apple Inc. v. Andrea Electronics Inc. - Ex. 1030, p. 39

1	MR. LENNIE: I do.	10:04:53
2	MR. SWANSON: All right.	10:04:53
3	BY MR. SWANSON:	10:04:53
4	Q Were you asked to analyze whether Diethorn	10:04:54
5	anticipates claim 1 of the '345 patent?	10:04:58
6	A I was asked to consider Diethorn in the	10:05:03
7	context of the patent and all of the aspects of	10:05:05
8	Diethorn with respect to all of the claims.	10:05:09
9	Q And did you form an opinion as to whether	10:05:12
10	Diethorn anticipates claim 1?	10:05:14
11	A I did not.	10:05:16
12	Q Why not?	10:05:17
13	A The report is long, almost 300 pages, I	10:05:25
14	believe. It -- it took some time to do and at some	10:05:30
15	point in looking at the issues, it became a	10:05:36
16	challenging issue to be able to address.	10:05:40
17	Q Challenging in what respect?	10:05:44
18	A In trying to think about the aspects, it	10:05:49
19	wasn't obvious to me, in terms of the amount of time.	10:05:51
20	I -- I had to take time on various different things to	10:05:55
21	be able to address the various different aspects of	10:05:58
22	the rebuttal response for my report.	10:06:02

1 Q Uh-huh. So are you saying you did not form 10:06:04
2 an opinion as to Diethorn on claim 1 because you ran 10:06:09
3 out of time? 10:06:14

4 MR. LENNIE: Objection. Form. 10:06:15

5 A We made choices with respect to what aspects 10:06:20
6 of various different portions of the rebuttal report 10:06:23
7 we would -- we would spend effort on. It wasn't 10:06:27
8 running out of time, though. 10:06:31

9 Q But you said you were asked to look at all 10:06:34
10 aspects of Diethorn with respect to all of the claims, 10:06:36
11 right? 10:06:39

12 A I was asked to consider various aspects. I 10:06:42
13 don't have an opinion at this point in time. I wasn't 10:06:46
14 able to form an opinion about them. 10:06:50

15 Q Okay. And why were you not able to form an 10:06:52
16 opinion? 10:06:57

17 MR. LENNIE: Objection. Form. 10:06:59

18 A I -- it just became a choice from the 10:07:01
19 standpoint of looking at the various different items. 10:07:08
20 I mean, I looked at different aspects of different 10:07:10
21 references. 10:07:15

22 Q Uh-huh. So you chose not form an opinion or 10:07:17

1	you were --	10:07:23
2	A No, I didn't choose not to form an opinion.	10:07:28
3	Q Okay. Sitting here right now, do you	10:07:31
4	have -- do you have an opinion as to whether Diethorn	10:07:35
5	anticipates claim 1?	10:07:37
6	A I do not.	10:07:40
7	Q Was there a -- strike that.	10:08:07
8	Earlier in your testimony you said you have	10:08:18
9	an opinion. In your opinion claim 1 is novel, right?	10:08:21
10	A I believe I said that, yes.	10:08:32
11	Q Okay. Does that include -- by that did you	10:08:33
12	mean claim 1 is novel over Diethorn?	10:08:39
13	MR. LENNIE: Objection. Form.	10:08:42
14	A At this point I don't have an opinion on	10:08:52
15	that.	10:08:54
16	Q How are you able to form an opinion that	10:08:54
17	claim 1 is novel if -- if you are unable to form an	10:08:56
18	opinion as to whether it's novel over Diethorn?	10:08:59
19	MR. LENNIE: Objection. Form.	10:09:03
20	A I haven't gotten to conclusions regarding	10:09:34
21	Diethorn, so I -- I can't say about with respect to	10:09:40
22	that reference.	10:09:43

1	Q	In the course of providing your opinions in	10:09:49
2		this case, you looked at the Diethorn reference?	10:09:51
3	A	I did.	10:09:56
4	Q	And you studied that reference?	10:09:57
5	A	I spent time looking at it, yes.	10:10:00
6	Q	And you did render opinions on Diethorn with	10:10:02
7		respect to other claims of the '345 patent, right?	10:10:05
8	A	Yes, I did.	10:10:08
9	Q	Can I direct you back to the '345 patent?	10:10:22
10	A	Sure.	10:10:26
11	Q	You can put the Kyriakakis report to the	10:10:27
12		side for now.	10:10:30
13		Okay. Can you look at the claims?	10:10:55
14	A	Uh-huh.	10:11:00
15	Q	Specifically claim 13?	10:11:03
16	A	Uh-huh.	10:11:06
17	Q	Claim 13 depends from claim 1; is that	10:11:09
18		right?	10:11:12
19	A	Yes.	10:11:13
20	Q	And claim 13 adds a limitation of a	10:11:15
21		subtractor for subtracting said noise elements	10:11:22
22		estimated at said positions determined by said	10:11:26

1 threshold detector from said audio signal -- sorry -- 10:11:28
2 to derive said audio signal substantially without said 10:11:30
3 noise. 10:11:37
4 Do you see that? 10:11:39
5 A I do. 10:11:40
6 Q Is that limitation describing the process of 10:11:43
7 spectral subtraction? 10:11:47
8 MR. LENNIE: Objection. Form. 10:11:48
9 A (Reviewing.) 10:12:16
10 It's describing elements of systems that 10:12:29
11 employ spectral subtraction. It's describing 10:12:37
12 methodologies that are within the '345 patent. 10:12:42
13 Q And within the prior art, correct? 10:12:45
14 MR. LENNIE: Objection. Form. 10:12:53
15 A It's not describing techniques in prior art 10:13:10
16 specifically because it's describing things related to 10:13:14
17 threshold detection and setting thresholds for each 10:13:19
18 frequency bin using a noise estimation process and 10:13:22
19 also detecting for each frequency bin where the 10:13:26
20 magnitude of the frequency bin is less than the 10:13:29
21 corresponding threshold, thereby detecting the 10:13:31
22 positions of noise elements. 10:13:34

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 Q Okay. I just want to focus on the 10:13:36
2 additional step in claim 13, subtracting said noise 10:13:38
3 elements. 10:13:43

4 The '345 -- did the '345 inventors invent 10:13:44
5 the idea of subtracting noise elements from an audio 10:13:52
6 signal? 10:13:58

7 MR. LENNIE: Objection. Form. 10:13:59

8 A (Reviewing.) 10:14:26

9 The '345 patent is an invention which 10:14:35
10 relates to noise cancellation and reduction and to 10:14:37
11 noise cancellation/reduction using spectral 10:14:41
12 subtraction. 10:14:44

13 Q Uh-huh. The step of subtracting noise 10:14:45
14 elements from an audio signal, was that known in the 10:14:52
15 art? 10:14:55

16 MR. LENNIE: Objection. Form. 10:15:02

17 A I mean, the general concept of being able to 10:15:03
18 do subtraction was known. The methodologies of 10:15:07
19 various techniques are different. 10:15:10

20 Q And the additional limitation of claim 13, 10:15:18
21 subtracting said noise -- and I won't read all of 10:15:21
22 it -- but the additional limitation of claim 13, was 10:15:24

1 that in the prior art as of the time of the patent? 10:15:28

2 MR. LENNIE: Objection. Form. 10:15:34

3 A No, it wasn't, because of the way the 10:15:37

4 methods have been combined in the '345 patent. 10:15:39

5 Q So it's your opinion that subtracting said 10:15:44

6 noise elements estimated at said positions determined 10:15:48

7 by said threshold detector from said audio signal was 10:15:51

8 novel? 10:15:57

9 A It was novel in relation to the 10:15:57

10 methodologies that are described in the '345 patent. 10:15:59

11 Q You agree there are prior art methods of 10:16:03

12 estimating noise, right? 10:16:06

13 A Yes. 10:16:08

14 Q Okay. So let's put aside the -- the '345's 10:16:08

15 technique for how you estimate the noise. 10:16:14

16 Once you have a noise estimate, was the step 10:16:18

17 of subtracting the estimated noise from an audio 10:16:21

18 signal known as of the time of the patent? 10:16:24

19 A There were methods that -- that were known 10:16:31

20 and could be applied to do that sort of technique. 10:16:32

21 Q Okay. In fact, Boll describes one of those 10:16:35

22 techniques, right? 10:16:58

1 Q Do you have an understanding of what it 10:18:43

2 means to subtract noise elements from an audio signal? 10:18:44

3 A Well, in the context of the '345 patent, 10:18:48

4 there are techniques that are described for doing it. 10:18:50

5 The rea- -- you used terms that are within the claim 10:18:52

6 language; that's the reason why I'm asking the 10:18:54

7 question. 10:18:56

8 Q What are the techniques for subtracting 10:18:57

9 noise elements in the '345 patent? 10:18:59

10 A (Reviewing.) 10:19:01

11 The specification describes a particular 10:19:54

12 embodiment of the invention. Figure 4 provides a 10:20:09

13 detailed description of the subtraction process and 10:20:13

14 indicates elements that are being used to perform 10:20:16

15 subtraction. 10:20:20

16 Q Can you turn to Figure 4? 10:20:32

17 A (Complying.) 10:20:36

18 Q What's -- what's being shown in Figure 4? 10:20:45

19 A It shows the processing of the subtraction 10:20:48

20 process. 10:20:52

21 Q There are -- there are two steps in Figure 10:20:54

22 4, 402 and 404; is that right? 10:20:55

1	A	Yes, it contains those two blocks.	10:20:59
2	Q	Okay. What does step 402 show?	10:21:02
3	A	(Reviewing.)	10:21:19
4		Step 402 shows $Y(n)$, the magnitude of the	10:21:20
5		current bin, and $N(n)$, the noise estimation of that	10:22:11
6		bin, being used in a filter process to compute $H(n)$.	10:22:16
7	Q	Is that process known as filter	10:22:25
8		multiplication?	10:22:27
9	A	It is.	10:22:30
10	Q	And that was known in the art?	10:22:31
11	A	(Reviewing.)	10:22:45
12		The process of filter multiplication is one	10:24:09
13		way to implement such systems.	10:24:12
14	Q	By "such systems" do you mean spectral	10:24:17
15		subtraction systems?	10:24:19
16	A	I mean systems that employ noise processing	10:24:20
17		and noise reduction.	10:24:24
18	Q	And filter multiplication was known in the	10:24:26
19		art as of the time of the '345 patent?	10:24:29
20		MR. LENNIE: Objection. Form.	10:24:46
21	A	The process of performing filtering with	10:24:47
22		multiplication was understood. The process of	10:24:50

1	performing filter multiplication in the context of all	10:24:52
2	the different aspects of this patent, you know,	10:24:56
3	I'm ...	10:24:59
4	Q Were you done with that answer?	10:25:04
5	A It -- it's in combination with other methods	10:25:09
6	where this patent is en- -- is enabling its	10:25:11
7	functionality.	10:25:15
8	Q Uh-huh. But the process of filter	10:25:15
9	multiplication by itself was understood in the art as	10:25:18
10	of the time of the patent, right?	10:25:20
11	A The process of filter multiplication was	10:25:36
12	understood with respect to certain aspects of certain	10:25:39
13	systems being implemented. The relative advantages of	10:25:44
14	those lead one to use techniques in specific ways	10:25:48
15	for -- for a particular invention.	10:25:57
16	Q Had the particular formula shown in 402 of	10:26:01
17	Figure 4 of the '345 patent --	10:26:04
18	A Uh-huh.	10:26:06
19	Q -- been used in prior art spectral	10:26:06
20	subtraction systems?	10:26:09
21	A The particular formula depends upon	10:26:12
22	quantities that are going into it. So the quantities	10:26:15

1 that are being used, you know, depend upon other 10:26:17
2 processing methods. So the overall computation is 10:26:20
3 not. I'm saying the overall computation, including 10:26:24
4 the way $Y(n)$ is calculated, is not. 10:26:26
5 Q Is not what? 10:26:30
6 A Because of the techniques that are being 10:26:32
7 used to perform those. 10:26:34
8 MR. SWANSON: Can you mark this as Exhibit 10:26:43
9 4. 10:26:44
10 (Exhibit 4 was marked for identification and 10:26:44
11 is attached to the transcript.) 10:26:44
12 Q Is Exhibit 4 the Boll paper that we talked 10:27:19
13 about earlier? 10:27:21
14 A It is. 10:27:23
15 Q This is the paper that's referenced in the 10:27:24
16 '345 patent? 10:27:26
17 A It is. 10:27:28
18 Q Okay. And you're familiar with this paper? 10:27:29
19 A I am. 10:27:31
20 Q Can you please turn to Page 116 of Boll? 10:27:39
21 A (Complying.) 10:27:49
22 Q The Bates number ends in 56673, for the 10:27:53

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 record. 10:27:57

2 And can I direct to the right-hand column -- 10:28:01

3 A Uh-huh. 10:28:07

4 Q -- under the heading "Bias Removal and 10:28:07

5 Half-Wave Rectification"? 10:28:10

6 A Yes. 10:28:11

7 Q And the first sentence under that heading 10:28:15

8 reads, "The spectral subtraction spectral estimate \hat{S} 10:28:24

9 hat" -- 10:28:29

10 A Uh-huh. 10:28:29

11 Q -- "is obtained by subtracting the expected 10:28:29

12 noise magnitude spectrum from the magnitude signal 10:28:31

13 spectrum." 10:28:35

14 Do you see that? 10:28:38

15 A I do. 10:28:39

16 Q And then it provides two formulas? 10:28:39

17 A Yes. 10:28:43

18 Q Is the second formula showing filter 10:28:46

19 multiplication? 10:28:51

20 A The second formula is showing the 10:29:13

21 multiplication of a quantity H , which is not here -- I 10:29:15

22 don't see what H is -- oh, here it is -- H is here -- 10:29:19

1	A	In box 402 there is two bars to the left and	10:30:34
2		right of the numerator which performs a calculation	10:30:45
3		which is not part of Boll.	10:30:50
4	Q	You're talking about the -- the absolute	10:30:54
5		values?	10:30:57
6	A	Yes, I am.	10:30:57
7	Q	Okay. Other than those absolute values, is	10:30:58
8		that the same mathematical formula?	10:31:04
9	A	I don't understand what you mean.	10:31:07
10	Q	Putting aside the abso- -- the absolute	10:31:08
11		value bars that are missing in Boll --	10:31:12
12	A	Yes.	10:31:15
13	Q	-- is that the same mathematical formula?	10:31:16
14	A	It's -- if you change -- if you remove the	10:31:19
15		absolute value bars, you change the mathematical	10:31:21
16		formula.	10:31:24
17	Q	Uh-huh. This is showing -- in Boll this is	10:31:25
18		showing the noise estimate divided by the signal,	10:31:34
19		correct?	10:31:39
20	A	Noise estimate? I don't -- I'm -- I'm not	10:31:43
21		sure what you mean.	10:31:45
22	Q	Do you see the Greek character Mu in Boll?	10:31:52

1	A	I do.	10:31:56
2	Q	And the sentence before the formula is	10:31:57
3		that's -- it says that that's the expected noise	10:31:59
4		magnitude spectrum, right?	10:32:01
5	A	I see it says that, yes.	10:32:04
6	Q	Okay. So Mu is the noise estimate?	10:32:05
7	A	Mu is the expected value of the noise	10:32:11
8		magnitude spectrum as he's defined it.	10:32:14
9	Q	Right. Which is the noise estimate?	10:32:18
10	A	It's an expected noise magnitude spectrum.	10:32:20
11	Q	How is that different from a noise estimate?	10:32:23
12	A	There are various ways to estimate noise.	10:32:25
13		It doesn't describe how the estimation is done and how	10:32:28
14		the expectation is done.	10:32:33
15	Q	But in Boll that's treated as a noise	10:32:35
16		estimate, right?	10:32:38
17	A	It's treated as an expected noise magnitude	10:32:40
18		spectrum.	10:32:43
19	Q	Which in Boll is his estimated noise?	10:32:49
20	A	It's an estimate at each frequency bin.	10:32:56
21	Q	An estimate of the noise?	10:32:59
22	A	Of the expected value of the noise magnitude	10:33:01

1	spectrum.	10:33:04
2	Q Is that different from the noise at each	10:33:09
3	frequency bin?	10:33:13
4	MR. LENNIE: Objection. Form.	10:33:16
5	A Where are you getting the second aspect of	10:33:20
6	the noise at each frequency -- where are you referring	10:33:22
7	to that?	10:33:25
8	Q Well, I was asking if Mu is -- Mu of K is	10:33:26
9	the noise estimate, and you keep saying that it's the	10:33:31
10	expected noise magnitude spectrum.	10:33:37
11	A Correct.	10:33:39
12	Q And I'm asking, is there a difference	10:33:40
13	between the noise estimate and the expected noise	10:33:42
14	magnitude spectrum?	10:33:46
15	A What noise estimate are you talking about?	10:33:48
16	Q Well, Boll estimates the noise at each	10:33:50
17	frequency bin, right?	10:33:53
18	A Boll uses an estimate of each frequency bin	10:34:00
19	of the expected value of the noise magnitude spectrum.	10:34:03
20	Q Which is Boll's estimate of the noise,	10:34:07
21	right?	10:34:09
22	MR. LENNIE: Objection. Form.	10:34:11

1 A Mun, as he describes it, is the expected 10:34:37
2 value of the noise magnitude spectrum at a frequency 10:34:41
3 bin. 10:34:47
4 MR. HASLAM: This is Bob Haslam. I have to 10:34:55
5 leave now, rejoin this deposition later. 10:34:56
6 Q Okay. You agree that Boll in the second 10:35:31
7 formula is showing a filter multiplication; is that 10:35:35
8 right? 10:35:39
9 A He's multiplying an input sample by a 10:35:51
10 coefficient. 10:35:54
11 Q And the coefficient is a filter? 10:35:56
12 A It is the val- -- it is H, as indicated in 10:36:03
13 this expression. 10:36:06
14 Q And H in this expression is a filter? 10:36:08
15 A H represents one quantity within the system. 10:36:19
16 Doesn't represent a filter by itself. 10:36:24
17 Q What is that quantity? 10:36:27
18 A It's a coefficient that's multiplying the -- 10:36:32
19 the input sample. 10:36:34
20 Q How is it derived? 10:36:36
21 A There's an equation for it, 1 minus Mu K 10:36:42
22 over absolute value of X(k). 10:36:45

1 Q And what does that equation represent? 10:36:50

2 A It represents a coefficient that's 10:36:55

3 multiplying the input sample. 10:36:57

4 Q For the purpose of reducing the noise in the 10:37:01

5 signal? 10:37:03

6 A Yeah, Boll speaks of a stand-alone noise 10:37:08

7 suppression algorithm. 10:37:12

8 Q Can you look at Page 114 under the heading 10:37:13

9 on the left-hand column "Spectral Subtraction 10:37:23

10 Estimator"? 10:37:27

11 Do you see that? 10:37:27

12 A I do. 10:37:29

13 Q Okay. And the first sentence says, "The 10:37:30

14 spectral subtraction filter H." 10:37:33

15 Do you see that? 10:37:35

16 A Uh-huh. I do. 10:37:36

17 Q So do you now agree that H is the spectral 10:37:37

18 subtraction filter? 10:37:40

19 A He uses slightly different notation here. 10:37:45

20 The notation he -- he is using considers values across 10:37:53

21 different frequencies. 10:38:01

22 Q But it is a spectral subtraction filter? 10:38:05

1 A Well, as I said, $Y(n)$ and $N(n)$ are used to 10:39:30
2 compute it and the methodologies for those which are 10:39:36
3 not described in this. 10:39:38
4 Q Uh-huh. But just the multi- -- the filter 10:39:40
5 calculation itself -- 10:39:42
6 A Uh-huh. 10:39:44
7 Q -- is there any other way in which that 10:39:44
8 deviates from Boll? 10:39:46
9 A I mean, the calculations are different. 10:40:32
10 Q How? 10:40:36
11 A Well, there is the absolute value. 10:40:37
12 Q Uh-huh. Anything else? 10:40:40
13 A And again, the way $Y(n)$ and $N(n)$ are 10:40:45
14 computed as input into this with respect to other 10:40:49
15 parts of the patent. 10:40:53
16 Q I'm not talking about how they're computing, 10:40:54
17 but just this formula here in 402 -- 10:40:56
18 A Uh-huh. 10:40:59
19 Q -- is there anything else other than the 10:41:00
20 absolute values that differs from Boll? 10:41:01
21 A There can be choices of lengths of windows 10:41:04
22 used in the processing, there can be other aspects of 10:41:09

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 the systems that are not represented in this 10:41:13
2 particular block in the way the calculations are done 10:41:15
3 which are not represented here. 10:41:18
4 Q Okay. But those -- any such differences 10:41:20
5 aren't represented here in 402? 10:41:23
6 A They are part of the implementation process 10:41:30
7 when one is implementing systems like this. 10:41:32
8 Q But they're not shown in 402? 10:41:45
9 A I don't know what you mean by "not shown in 10:41:48
10 402." 10:41:50
11 Q Those other -- strike that. 10:41:51
12 Can you turn to column 7 of the patent, and 10:41:59
13 starting at line 1, the sentence beginning, 10:42:19
14 "Alternative approach," then down through line 8 or 9, 10:42:22
15 shows that same formula as 402? 10:42:29
16 A Yes. 10:42:34
17 Q Is that -- that right? 10:42:34
18 The '345 patent doesn't say that this is a 10:42:35
19 new filter multiplication approach, does it? 10:42:38
20 A (Reviewing.) 10:42:52
21 THE WITNESS: Could you read back the 10:42:53
22 question? 10:45:03

1	(Pending question was read.)	10:45:03
2	A The '345 patent says, "... the present	10:45:19
3	invention applies to filter multiplication to effect	10:45:21
4	the subtraction. The filter function, a Wiener filter	10:45:25
5	function for example, or an approximation of the	10:45:28
6	Wiener filter is multiplied by the complex data of the	10:45:30
7	frequency domain audio signal."	10:45:30
8	This is how it characterizes that.	10:45:31
9	Q Right, but the patent is not saying that the	10:45:38
10	filter multiplication used here is novel over the	10:45:42
11	prior art, does it?	10:45:46
12	A It's describing the methodology of how the	10:45:51
13	filter function may effect a full-wave rectification	10:45:57
14	or a half-wave rectification or otherwise negative	10:45:59
15	results of the subtraction process or simple	10:46:03
16	subtraction.	10:46:07
17	It's an element of the system which, in	10:46:10
18	combination with other elements, allows the system	10:46:14
19	to -- to be implemented.	10:46:16
20	Q Okay. But the patent does not claim to have	10:46:32
21	invented a new filter multiplication technique, does	10:46:39
22	it?	10:46:42

1 MR. LENNIE: Objection. Form. 10:46:44

2 A The patent claims an apparatus according to 10:47:20

3 claim 13 -- I'm reading from claim 14 -- wherein said 10:47:24

4 subtractor performs subtraction using a filter 10:47:28

5 multiplication which multiplies said audio signal by a 10:47:29

6 filter function. 10:47:34

7 Q Right. And the patent doesn't purport to 10:47:37

8 have invented a new filter multiplication technique? 10:47:40

9 A This technique should be viewed in 10:47:48

10 combination with the other features of the patent. 10:47:49

11 Q Let's assume claim 1 is invalid, okay? 10:47:58

12 Assuming claim 1 is invalid, does the additional 10:48:06

13 limitation of claim 13 make that claim patentable over 10:48:12

14 claim 1? 10:48:16

15 MR. LENNIE: Objection. Form. 10:48:19

16 A I -- I think that's why we're here in these 10:48:19

17 sorts of proceedings, to try to decide this. I don't 10:48:32

18 know as a technical expert whether I'm here to decide 10:48:35

19 that sort of aspect of the issue. 10:48:38

20 Q You don't have an opinion on that? 10:48:40

21 MR. LENNIE: Objection. Form. 10:48:45

22 A Well, the invention is -- or has aspects 10:48:46

1 which are novel, and I'm not here to render an opinion 10:49:01
2 where, you know, said subtractor performs subtraction 10:49:11
3 using a filter multiplication which multiplies said 10:49:14
4 audio signal by a filter function is novel. 10:49:17
5 Q Okay. So you have no opinion on whether 10:49:21
6 claim 1 would be valid if claim 1 is invalid? 10:49:23
7 MR. LENNIE: Objection. Form. 10:49:28
8 A I -- I'm confused by your statement. You 10:49:30
9 said claim 1 is valid if claim 1 is invalid. This 10:49:35
10 doesn't make sense to me. 10:49:39
11 Q Okay, let me rephrase. 10:49:40
12 Do you have an understanding that as a legal 10:49:41
13 matter if an independent claim, like claim 1 here, is 10:49:44
14 invalid, the claims that depend from claim 1 can 10:49:49
15 nevertheless be found not invalid? 10:49:54
16 MR. LENNIE: Objection. Form. 10:49:58
17 Q If they are novel and nonobvious over the 10:49:59
18 independent claim? 10:50:02
19 MR. LENNIE: Same objection. 10:50:03
20 A (Reviewing.) 10:50:09
21 MR. BAIK: Just for the record, what is the 10:50:39
22 witness looking at? 10:50:40

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 THE WITNESS: I'm looking at the rebuttal 10:50:42
2 expert report of my own. 10:50:44

3 A (Reviewing.) 10:52:19

4 I am not a lawyer, but I understand that a 10:52:19
5 patent claim that contains several elements may not be 10:52:21
6 obvious because all -- just because all of the claim 10:52:26
7 elements are individually known in the prior art. 10:52:28

8 Q Okay. And I'm asking about the difference 10:52:33
9 between independent and dependent claims, 10:52:35
10 understanding that you're not a lawyer, but do you 10:52:37
11 have an understanding that if an independent claim is 10:52:39
12 invalid, that a dependent claim can be not invalid if 10:52:43
13 it's novel and nonobvious over the independent claim? 10:52:49

14 MR. LENNIE: Objection. Form. 10:52:55

15 A Again, I'm not a lawyer. Could you 10:53:00
16 restate -- restate your question. 10:53:02

17 Q Sure. Was there something you didn't 10:53:04
18 understand about it or you just want me to repeat the 10:53:06
19 question? 10:53:10

20 A You can repeat the question. 10:53:10

21 Q Okay. Do you have an understanding that as 10:53:12
22 a legal matter if an independent claim is invalid, a 10:53:13

1 claim that depends from that independent claim can be 10:53:18
2 found not invalid if it's novel and nonobvious over 10:53:23
3 the independent claim? 10:53:27

4 MR. LENNIE: Same objection. 10:53:31

5 A I understand dependent claims depend upon 10:53:54
6 independent ones. The question of obviousness or 10:53:59
7 non-obviousness is something that I am here to provide 10:54:04
8 opinions on with respect to the report that 10:54:09
9 Dr. Kyriakakis has provided. 10:54:12

10 Q Okay. And are you -- let me just go back to 10:54:16
11 my earlier question, just trying to understand what -- 10:54:21
12 what you have opinions on and what you don't have 10:54:23
13 opinions on in this case. 10:54:27

14 If we assume claim 1 is invalid, is it your 10:54:29
15 opinion that claim 13 is novel and nonobvious over 10:54:35
16 claim 1? 10:54:39

17 A That's a difficult question. I would have 10:54:50
18 to think about that. 10:54:52

19 Q You haven't thought about that before today? 10:54:54

20 A I haven't been considering scenarios where 10:54:58
21 claim 1 is invalid, no. You case it in the context of 10:55:03
22 if claim 1 is invalid. 10:55:10

1 Q Even though you decided not to offer an 10:55:13
2 opinion, you decided not to dispute Dr. Kyriakakis's 10:55:15
3 opinion that claim 1 is invalid over Diethorn? 10:55:20
4 MR. LENNIE: Objection. Form. 10:55:27
5 A I haven't formed an opinion on that issue. 10:55:28
6 Q Okay. So sitting here today you have no 10:55:30
7 opinion as to whether claim 13 represents a novel and 10:55:33
8 nonobvious distinction over claim 1? 10:55:39
9 MR. LENNIE: Objection. Form. 10:55:47
10 A I would have to give it some specific 10:55:52
11 thought. I haven't thought through the process of 10:55:53
12 taking out claims to try to then insert other ones. 10:55:57
13 Q And you haven't yet rendered an opinion on 10:56:00
14 that issue in this case? 10:56:03
15 MR. LENNIE: Objection. Form. 10:56:05
16 A (Reviewing.) 10:56:21
17 Speaking with respect to Diethorn, Diethorn 10:56:22
18 does not anticipate claim 13 of the '345 patent. And 10:59:37
19 it doesn't guarantee, for example, that the gain value 10:59:42
20 is less than one when noise values are detected. 10:59:45
21 Q Uh-huh. Are you talking -- you're looking 10:59:48
22 at your expert report? 10:59:55

1	A	I'm using it to recall what I know about	10:59:58
2		Diethorn, yes.	11:00:00
3	Q	Okay. So I'm not asking about Diethorn	11:00:02
4		specifically. I'm asking whether if you assume claim	11:00:05
5		1 is invalid, whether the additional limitation of	11:00:11
6		claim 13 represents -- makes that claim patentable	11:00:15
7		above and beyond claim -- claim 1?	11:00:22
8	A	I -- I haven't thought through --	11:00:24
9		MR. LENNIE: Objection. Form.	11:00:26
10	A	I haven't thought through the process that	11:00:26
11		would allow me to make that determination at this	11:00:30
12		point in time.	11:00:32
13	Q	Okay. If you assume claim 13 is invalid	11:00:33
14		over the prior art, do you have an opinion as to	11:00:36
15		whether the additional limitation of claim 14 -- let	11:00:38
16		me strike that.	11:00:42
17		Can we look at claim 14? Claim 14 depends	11:00:45
18		from claim 13; is that right?	11:00:49
19	A	Yes.	11:00:51
20	Q	And claim 14, the additional limitation is	11:00:52
21		that the said subtractor performs subtraction using a	11:00:57
22		filter multiplication which multiplies said audio	11:01:03

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	signal by a filter function?	11:01:05
2	A Uh-huh.	11:01:09
3	Q Right?	11:01:09
4	A Yes.	11:01:10
5	Q Sitting here today, do you have an	11:01:11
6	opinion -- let me start over.	11:01:12
7	Assuming claim 13 is invalid, do you have an	11:01:15
8	opinion as to whether the additional limitation of	11:01:20
9	claim 14 makes that claim patentable over claim 13?	11:01:25
10	MR. LENNIE: Objection. Form.	11:01:30
11	A Again, I haven't thought through processes	11:01:31
12	that would -- that would consider both claims 1 and	11:01:34
13	claims 13 invalid in order to try to decide whether 14	11:01:37
14	is valid. I haven't considered that issue.	11:01:43
15	Q And if you look at claim 15, claim 15	11:01:50
16	depends from claim 14; is that right?	11:01:57
17	A Yes.	11:01:59
18	Q The additional limitation of claim 15 is	11:02:02
19	that said filter function is a Wiener filter function	11:02:06
20	which is a function of said frequency bins of said	11:02:09
21	noise elements of magnitude.	11:02:12
22	Do you see that?	11:02:14

1	A	Uh-huh. Yes.	11:02:15
2	Q	Do you have an understanding of what a	11:02:16
3		Wiener filter is?	11:02:17
4	A	I do.	11:02:19
5	Q	What is a Wiener filter?	11:02:19
6	A	It is a filter that is designed to reduce	11:02:35
7		noise according to a specific criterion.	11:02:43
8	Q	What's the purpose of using a Wiener filter?	11:02:51
9	A	The purpose of a Wiener filter is to reduce	11:02:55
10		noise.	11:02:58
11	Q	What's the purpose of using a Wiener filter	11:02:59
12		over the filter multiplication shown in Figure 4 of	11:03:01
13		the '345 patent?	11:03:07
14		MR. LENNIE: Objection. Form.	11:03:09
15	A	I guess I don't understand the question.	11:03:14
16	Q	Why would you -- so Figure 4 of the '345	11:03:18
17		patent shows filter multiplication, right?	11:03:23
18	A	Yes.	11:03:26
19	Q	Why would one use a Wiener filter as opposed	11:03:28
20		to the filter multiplication approach shown in Figure	11:03:32
21		4?	11:03:36
22	A	Why are you --	11:03:36

1	MR. LENNIE: Objection. Form.	11:03:36
2	A I don't understand your question. "As	11:03:37
3	opposed to," that doesn't make sense to me.	11:03:40
4	Q What -- what's the advantage of a Wiener	11:03:43
5	filter over other types of filters?	11:03:46
6	A Are you speaking hypothetically and	11:03:49
7	generally?	11:03:51
8	Q Generally. In the art, in the field of	11:03:51
9	spectral subtraction and noise suppression, why would	11:03:55
10	one use a Wiener filter as opposed to some other type	11:03:57
11	of filter multiplication?	11:04:00
12	MR. LENNIE: Objection. Form.	11:04:02
13	A I -- I mean, speaking in the -- more	11:04:05
14	generally, a Wiener filter is designed to reduce noise	11:04:09
15	according to a specific criterion. It's simply a way	11:04:12
16	to design the filter.	11:04:15
17	Q Does it offer advantages over other types of	11:04:17
18	filters?	11:04:20
19	A It provides a method and specification for	11:04:24
20	setting filter coefficients.	11:04:28
21	Q Uh-huh. If you turn to column 8, line 52,	11:04:31
22	of the '345 patent?	11:04:35

1	A	(Complying.)	11:04:37
2	Q	The sentence beginning on line 52 reads,	11:04:41
3		"Although the straight forward approach may be used by	11:04:44
4		which phase is estimated and applied, the alternative	11:04:48
5		Wiener Filter is preferred since this saves processing	11:04:50
6		time and complexity."	11:04:54
7		Do you see that?	11:04:55
8	A	I do.	11:04:56
9	Q	Do you agree that the Wiener filter saves	11:04:57
10		processing time and complexity over the	11:04:59
11		straightforward approach?	11:05:01
12	A	(Reviewing.)	11:05:36
13		So that the record is clear, the statement	11:05:56
14		"the straightforward approach" refers to the value of	11:05:58
15		the estimated bin noise magnitude is subtracted from	11:06:03
16		the current bin magnitude.	11:06:07
17	Q	Uh-huh.	11:06:08
18	A	The "alternative Wiener filter approach" is	11:06:11
19		referring to processing similar to block 400, because	11:06:16
20		this processing saves time and computation.	11:06:34
21	Q	You agree that that processing saves time	11:06:37
22		and -- sorry, that process saves processing time and	11:06:42

1 complexity? 11:06:46

2 A It does save processing time and complexity. 11:06:48

3 Q Okay. Did the inventors of the '345 patent 11:06:51

4 invent Wiener filters? 11:06:58

5 A Wiener filters were understood in the art 11:07:02

6 for various applications in -- in tasks more 11:07:05

7 generally. 11:07:09

8 Q Including spectral subtraction? 11:07:11

9 A The concept of a Wiener filter can be 11:07:24

10 applied in many -- in many places. 11:07:26

11 Q Uh-huh. 11:07:29

12 A It was not something that was common within 11:07:30

13 spectral subtraction to use. 11:07:33

14 Q Had it been used in spectral subtraction 11:07:35

15 prior to '345? 11:07:37

16 A The methodology for the filter design had 11:07:47

17 been used in techniques employing noise reduction. 11:07:49

18 THE WITNESS: Actually, could we take a 11:08:09

19 break? Is that -- 11:08:10

20 MR. SWANSON: Yeah, sure. 11:08:11

21 THE WITNESS: I mean, I know you were -- 11:08:11

22 MR. SWANSON: No, we've been going for a 11:08:11

1 while, yeah. 11:08:13

2 THE WITNESS: Yeah, let's do it. 11:08:14

3 MR. SWANSON: That's fine, sure. 11:08:14

4 THE VIDEOGRAPHER: Going off the record. 11:08:15

5 The time is 11:08. 11:08:15

6 (A recess was taken.) 11:20:42

7 THE VIDEOGRAPHER: Here begins Tape No. 2. 11:20:47

8 We're back on the record 11:21. 11:20:48

9 (Mr. Haslam has rejoined the proceedings.) 11:20:51

10 BY MR. SWANSON: 11:20:52

11 Q Dr. Douglas, you said earlier that a Wiener 11:20:56

12 filter is a filter that is designed to reduce noise 11:20:59

13 according to a specific criterion. 11:21:03

14 Do you remember that? 11:21:06

15 A I do. 11:21:06

16 Q What is the specific criterion that you're 11:21:08

17 referring to? 11:21:12

18 A So a Wiener filter is generally about trying 11:21:13

19 to reduce noise. So the goal is to try to improve the 11:21:18

20 quality of the signal relative to the noise. 11:21:28

21 Q Okay. And how does that relate to the 11:21:34

22 specific criterion that you mentioned? 11:21:36

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 A H generally is a filter as part of a filter 11:23:24
2 function. It can be computed in various ways. One of 11:23:26
3 the ways in which this is -- this is an example of one 11:23:30
4 way in which it's computed. 11:23:34
5 Q And is this example a Wiener filter? 11:23:35
6 A (Reviewing.) 11:23:37
7 It's one way of estimating a Wiener filter 11:23:39
8 function. 11:24:55
9 Q How does -- how does this filter meet the 11:25:01
10 specific criterion that you mentioned? 11:25:08
11 A (Reviewing.) 11:25:11
12 This particular function computes $H(n)$ as a 11:26:44
13 ratio of two quantities. The top quantity is the 11:26:49
14 absolute value of a signal magnitude that's been noise 11:26:57
15 reduced, and the bottom quantity is the signal 11:27:03
16 magnitude. 11:27:07
17 And there is understanding within how Wiener 11:27:09
18 filters work how that relates to Wiener filtering 11:27:18
19 processing in general; although, this uses estimates 11:27:22
20 of quantities to be able to compute it. 11:27:26
21 Q Uh-huh. How does this particular formula 11:27:28
22 relate to Wiener filtering processing? 11:27:37

1 A I mean, one of the ways is that the way the 11:27:46
2 ratio has been calculated and the fact the numerator 11:27:50
3 and the denominator have been chosen the way they are. 11:27:54
4 But it relates also to how the estimates are 11:27:58
5 performed. 11:28:01
6 Q Can you turn back to Boll, please? And on 11:28:06
7 Page 116 -- 11:28:30
8 A Uh-huh. 11:28:30
9 Q -- the formula we were looking at earlier -- 11:28:33
10 A Yes. 11:28:36
11 Q -- in the right-hand column. 11:28:36
12 Is the formula for H in Boll, is that a 11:28:39
13 Wiener filter? 11:28:43
14 A No, it's not. 11:28:54
15 Q Why not? 11:28:56
16 A Well, one of the things that a Wiener filter 11:29:00
17 generally has is that the filter function is greater 11:29:03
18 than zero, and there's nothing in this that guarantees 11:29:07
19 that. 11:29:10
20 Q That -- 11:29:17
21 A That's one of the ways. 11:29:18
22 Q Is there anything else? Any other reason 11:29:20

1 why it's not a Wiener filter? 11:29:21

2 A Well, there's the ways in which Mu K and XK 11:29:24

3 are computed, I would have to look at how -- how he -- 11:29:31

4 how he implements those. There's no -- it's not clear 11:29:34

5 from his description that it is. 11:29:36

6 (Exhibit 5 was marked for identification and 11:29:38

7 is attached to the transcript.) 11:29:38

8 Q Exhibit 5 is the Arslan prior art reference; 11:30:51

9 is that right? 11:30:55

10 A Yes. 11:30:59

11 Q U.S. Patent 5,706,395, for the record. 11:31:00

12 A Yes. 11:31:06

13 Q You've seen this before? 11:31:08

14 A I have. 11:31:09

15 Q And you're familiar with Arslan? 11:31:11

16 A I am. 11:31:14

17 Q Does Arslan disclose a Wiener filter? 11:31:17

18 A Arslan talks about noncausal Wiener 11:31:27

19 filtering which minimizes the mean squared error. 11:31:29

20 Q Turning back to the '345 patent, Exhibit 1, 11:32:15

21 and just going back to the claims. 11:32:19

22 A Uh-huh. 11:32:36

1	Q	So claim 15, do you see that?	11:32:40
2	A	I do.	11:32:45
3	Q	And claim 15 depends from claim 14; is that	11:32:46
4		right?	11:32:49
5	A	Yes.	11:32:51
6	Q	The additional limitation of claim 15 is	11:32:53
7		that the filter function of claim 14 is a Wiener	11:32:56
8		filter function which is a function of said frequency	11:33:01
9		bins of said noise elements and magnitude.	11:33:03
10		Is that right?	11:33:06
11	A	That's what it says, yes.	11:33:07
12	Q	If you assume that claim 14 is invalid, do	11:33:10
13		you have an opinion as to whether the addition of the	11:33:14
14		Wiener filter in claim 15 makes that claim patentable?	11:33:17
15		MR. LENNIE: Objection. Form.	11:33:23
16	A	I haven't given thought to how, again, these	11:34:09
17		hypothetical situations of things being invalid	11:34:16
18		somehow allows me then to decide where systems that	11:34:18
19		happen to use Wiener filters are also invalid. I	11:34:21
20		haven't given that thought. That would take me more	11:34:25
21		time to think through in this specific case.	11:34:28
22	Q	Okay. Can you look at claim 16? Claim 16	11:34:30

1 depends from claim 15; is that right? 11:34:35

2 A Yes. 11:34:43

3 Q And claim 16 recites that the filter 11:34:44

4 multiplication multiplies the complex elements of said 11:34:51

5 frequency bins by said Wiener filter function? 11:34:54

6 A Yes. 11:34:57

7 Q If you assume that claim 15 is invalid, do 11:35:03

8 you have an opinion as to whether the additional 11:35:06

9 requirement of claim 16 makes that claim patentable? 11:35:10

10 MR. LENNIE: Objection. Form. 11:35:17

11 A Again, considering problems associated with 11:35:24

12 claim dependence where you would say claim 16 depends 11:35:32

13 upon 15 and 15 depends upon 14 and 14 depends upon 13 11:35:35

14 and you're claiming that things are invalid, I haven't 11:35:40

15 given thought to these processes of what portion of, 11:35:43

16 you know, the system is -- I mean, I would need more 11:35:47

17 thought to think through this. 11:35:52

18 Q Okay. Are all of your opinions in this case 11:35:53

19 based on the assumption that claim 1 is valid? 11:35:58

20 MR. LENNIE: Objection. Form. 11:36:03

21 A No, they're not. 11:36:06

22 Q Looking back at claim 16, was it known in 11:36:17

1 the art as of the time of the '345 patent that the way 11:36:22
2 you would apply a Wiener filter to a signal is to 11:36:26
3 multiply the complex elements by the filter? 11:36:30
4 MR. LENNIE: Objection. Form. 11:36:35
5 A I'm sorry, could you repeat the question? I 11:36:42
6 want to make sure I get it right. 11:36:44
7 Q Uh-huh, yeah. 11:36:46
8 (Pending question read.) 11:36:46
9 A I mean, speaking more generally, a Wiener 11:37:21
10 filter is implemented in different ways depending upon 11:37:24
11 different systems, so ... (shrugging shoulders.) 11:37:27
12 The use of complex elements, I can't -- I 11:37:33
13 guess I don't understand exactly what you're asking in 11:37:38
14 terms of how it is that if someone would employ using 11:37:43
15 a Wiener filter. 11:37:47
16 Certainly the statement Wiener filter would 11:37:48
17 not -- would not imply that somebody is using complex 11:37:50
18 elements. Necessarily. 11:37:54
19 Q But that's one way you could do it? 11:37:59
20 A There are different ways to implement 11:38:03
21 filters. 11:38:05
22 Q Uh-huh. 11:38:06

1 A Employing complex processing is a way to 11:38:07
2 implement a filter. 11:38:11

3 Q And that was known prior to the '345 patent? 11:38:12

4 A What do you mean by "it"?

5 Q You said, "Employing complex processing is a 11:38:20
6 way to implement a filter." 11:38:24

7 A Yes. Employing complex processing is a way 11:38:25
8 to implement a filter was something that was known, 11:38:28
9 yes. 11:38:31

10 Q Okay. And by "employing complex 11:38:31
11 processing," do you mean that the filter would be 11:38:33
12 applied by multiplying the filter against the complex 11:38:35
13 elements of a signal? 11:38:39

14 A That's one way to perform the resulting 11:38:40
15 calculation. 11:38:43

16 Q Okay. Let's look at claim 17. Claim 17 11:38:44
17 depends from claim 13, right? 11:38:58

18 A Uh-huh. 11:39:03

19 Q And claim 17 recites the additional 11:39:03
20 limitation of a residual noise processor for reducing 11:39:07
21 residual noise remaining after said subtractor 11:39:12
22 subtracts that noise elements at said positions 11:39:15

1 determined by -- sorry -- determined by said threshold 11:39:18

2 detector from said audio signal. 11:39:22

3 Do you see that? 11:39:26

4 A I do. 11:39:27

5 Q And I believe you said earlier that residual 11:39:29

6 noise processing was known in the art as of the time 11:39:33

7 of the '345 patent? 11:39:36

8 A There were techniques for performing 11:39:42

9 residual noise processing on -- on signals after they 11:39:44

10 had been processed. 11:39:50

11 Q And in fact, Boll discloses a technique for 11:39:51

12 performing residual noise -- 11:39:54

13 A Boll has a specific technique that he has 11:39:56

14 described for this. 11:39:59

15 Q For residual noise processing? 11:40:00

16 A For residual noise processing, yes. 11:40:01

17 Q Are you familiar with the concept of 11:40:08

18 residual noise processing? 11:40:11

19 A Yes, I'm familiar with the general concept 11:40:17

20 of it. I haven't been using it or practicing it in 11:40:20

21 terms of implementing such systems. 11:40:24

22 Q Is the purpose of residual noise processing 11:40:27

1 to reduce the artifacts that remain after spectral 11:40:29
2 subtraction? 11:40:33

3 A I mean -- can you give me a little more 11:40:37
4 context? 11:40:44

5 Q Do you have an understanding that after 11:40:47
6 spectral subtraction is performed that there can be 11:40:52
7 noise artifacts remaining in the signal? Is that 11:40:55
8 right? 11:40:58

9 A There can be noise remaining in the signal, 11:40:59
10 yes. 11:41:02

11 Q And is the purpose of residual noise 11:41:02
12 processing to reduce some of that remaining noise? 11:41:05

13 A Yes. The purpose of residual is -- the 11:41:10
14 concept -- the term "residual" refers to the idea that 11:41:13
15 you wish to further reduce the resulting, you know, 11:41:17
16 undesirable components within the signal after you've 11:41:23
17 done your initial filtering. 11:41:28

18 Q And that was known at the time of the '345 11:41:30
19 patent? 11:41:32

20 A Aspects of it were described in Boll in 11:41:34
21 specific ways. 11:41:36

22 Q The '345 inventors didn't invent residual 11:41:38

1 noise processing, right? 11:41:44

2 MR. LENNIE: Objection. Form. 11:41:49

3 A The '345 patent contains many different 11:42:01

4 elements which comprise it. Those elements, put 11:42:04

5 together, yield the resulting system. A residual 11:42:08

6 noise processing is one of those aspects. 11:42:14

7 Q Was there anything novel about the way the 11:42:17

8 '345 patent performs residual noise processing? 11:42:19

9 MR. LENNIE: Objection. Form. 11:42:23

10 A Again, I'm not here to try to determine the 11:42:34

11 novelty of that particular residual noise processing. 11:42:36

12 My report rebuts the opinions of Dr. Kyriakakis in 11:42:42

13 terms of his determination of elements of the '345 11:42:46

14 patent. And other aspects. 11:42:50

15 Q Uh-huh. Does that mean you don't know if 11:42:53

16 there was anything novel about the way the '345 patent 11:43:01

17 performs residual noise processing? 11:43:04

18 MR. LENNIE: Objection. Form. 11:43:06

19 A Again, the '345 contains combinations of 11:43:38

20 different elements which include residual noise 11:43:41

21 processing. So the design of the '345 system as one 11:43:44

22 of its embodiments would allow residual noise 11:43:52

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

IPR No. 2017-00627

Apple Inc. v. Andrea Electronics Inc. - Ex. 1030, p. 85

1 processing to be part of it. 11:43:57

2 Q But I'm -- I'm focusing just on the residual 11:43:59

3 noise processing element of the '345 patent. 11:44:03

4 Was there anything novel about their 11:44:06

5 technique for residual noise processing? 11:44:08

6 MR. LENNIE: Objection. Form. 11:44:17

7 A (Reviewing.) 11:44:18

8 The '345 describes methods for performing 11:46:32

9 residual noise reduction in both Figure 5 and Figure 11:48:01

10 5A, and Figure 5A employees a similar threshold used 11:48:04

11 by the noise estimator at 508 on the noise-free output 11:48:10

12 bin. So it uses thresholds and methods for estimating 11:48:15

13 thresholds. 11:48:22

14 Q And is there anything novel about that? 11:48:26

15 MR. LENNIE: Objection. Form. 11:48:29

16 A Again, the mechanism for estimating 11:48:38

17 thresholds and the mechanisms for performing that 11:48:43

18 noise processing are part of the '345 patent, and 11:48:46

19 those combinations yield results which are -- have -- 11:48:50

20 are indicative of, you know, system -- the system that 11:48:56

21 is being described in the '345 embodiment. 11:49:03

22 Q And is that novel, in your view, a novel way 11:49:13

1 of performing residual noise reduction? 11:49:18

2 MR. LENNIE: Objection. Form. 11:49:21

3 A I mean, again, my purpose here is -- is to 11:49:22

4 provide opinions on rebuttal of Dr. Kyriakakis's 11:49:26

5 report. You know, '345 contains combinations of 11:49:32

6 systems which allow it to be a novel invention. 11:49:42

7 Q You consider yourself an expert in the area 11:49:45

8 of signal processing? 11:49:50

9 A I do. 11:49:53

10 Q You consider yourself an expert in the area 11:49:54

11 of noise cancellation? 11:49:56

12 A I've worked in noise cancellation and 11:49:58

13 systems that reduce noise, yes. 11:50:00

14 Q Do you -- that's a yes? You consider 11:50:02

15 yourself an expert in noise cancellation? 11:50:05

16 A In systems that reduce noise. 11:50:07

17 Q And you've been working in the field for 25 11:50:10

18 years? 11:50:12

19 A I -- so I've been working in the field of 11:50:13

20 signal processing and various aspects of -- of, you 11:50:16

21 know, the processing of audio signals in particular. 11:50:19

22 Q For more than 25 years? 11:50:23

1	A	Yes.	11:50:25
2	Q	And you've been a professor since 1998?	11:50:26
3	A	Actually, I've been a processor since 1992.	11:50:30
4	Q	Oh. So more than -- I guess that's 25	11:50:34
5		years?	11:50:37
6	A	Yes.	11:50:38
7	Q	Okay. And that was before the '345 patent	11:50:38
8		was filed?	11:50:41
9	A	Yes.	11:50:42
10	Q	Okay. So based on those 25 years of	11:50:43
11		experience in the area of signal processing and noise	11:50:48
12		cancellation, do you have an opinion as to whether the	11:50:51
13		techniques described in the '345 patent for residual	11:50:56
14		noise processing were novel?	11:50:59
15		MR. LENNIE: Objection. Form.	11:51:02
16	A	Again, I'm here to provide rebuttal on the	11:51:04
17		opinions that have been provided by Dr. Kyriakakis	11:51:09
18		that he has raised in his report.	11:51:12
19	Q	So the answer is no, you don't have an	11:51:14
20		opinion?	11:51:16
21		MR. LENNIE: Objection. Form.	11:51:17
22	A	Again, my -- I am here to provide opinions	11:51:22

1 on the opinions that have been provided by the other 11:51:25
2 expert in his report. 11:51:30

3 Q Okay. So you don't have an opinion on that 11:51:32
4 issue? 11:51:34

5 MR. LENNIE: Objection. Form. 11:51:35

6 A Again, what makes the patent -- what makes 11:51:58
7 the patent novel is the combination of its elements 11:52:03
8 that allow the various different aspects of the system 11:52:06
9 to function in conjunction and that overall aspect of 11:52:09
10 the system made the system unique. 11:52:14

11 Q But sitting here today you're not able to 11:52:18
12 say whether or not the noise -- the residual noise 11:52:20
13 reduction techniques in the '345 patent were novel? 11:52:23

14 MR. LENNIE: Objection. Form. 11:52:27

15 A Again, the residual noise reduction 11:52:33
16 techniques use noise estimation processes and 11:52:38
17 threshold processes which are important features and 11:52:41
18 unique features of '345 patent. 11:52:45

19 Q Were those techniques novel as of the time 11:52:52
20 of the '345 patent? The residual noise reduction 11:52:55
21 techniques? 11:53:00

22 A The methodologies that were used in 11:53:01

1 combination were, yes. 11:53:04

2 Q When you say "in combination," you mean in 11:53:08

3 combination with everything else in the patent? 11:53:11

4 MR. LENNIE: Objection. Form. 11:53:13

5 A I mean -- again, aspects of -- the patent 11:53:14

6 describes an embodiment which combines the resulting 11:53:22

7 elements. 11:53:25

8 Q Was there anything novel about the technique 11:53:31

9 for Wiener filtering described in the patent? 11:53:34

10 MR. LENNIE: Objection. Form. 11:53:38

11 A (Reviewing.) 11:53:54

12 Again, methodologies for performing the 11:54:05

13 noise estimation process and for detecting positions 11:54:08

14 of noise elements in order to do computations are an 11:54:11

15 important feature of the patent and novel in the 11:54:15

16 patent. 11:54:19

17 Q So your opinion is that the novelty was the 11:54:21

18 way in which noise was estimated and detected? 11:54:24

19 MR. LENNIE: Objection. Form. 11:54:31

20 A It's not the only novelty, no. 11:54:32

21 Q What are the other novelties? 11:54:34

22 A The way the system is combined together and 11:54:37

1 the way the aspects and, in fact, the way the 11:54:40
2 inventors considered the various different aspects to 11:54:43
3 be combined is an important aspect of its novelty. 11:54:46
4 Q So it's the combination of noise estimation 11:54:50
5 and noise detection along with the other features like 11:54:52
6 Wiener filters and residual noise processing, not 11:54:57
7 those features on their own? 11:55:01
8 A It -- 11:55:03
9 MR. LENNIE: Objection. Form. 11:55:04
10 A (Reviewing.) 11:55:05
11 Again, the methodologies for how the system 11:55:35
12 performs its computation is novel and in addition to 11:55:37
13 allowing the other methods to be combined with it. 11:55:43
14 Q What do you mean? What computation are you 11:55:48
15 referring to? 11:55:51
16 A For example, a threshold detector for 11:55:54
17 setting a threshold for each frequency bin using a 11:55:55
18 noise estimation process and for detecting for each 11:55:59
19 frequency bin whether the magnitude of the frequency 11:56:02
20 bin is less than the corresponding threshold, thereby 11:56:05
21 detecting the position of noise elements for each 11:56:07
22 frequency bin. That's an example. 11:56:10

1	Q	Was the computation of the Wiener filter	11:56:13
2		novel?	11:56:15
3	A	The way in which values are used to	11:56:20
4		calculate the Wiener filter function -- sorry. The	11:56:23
5		way in which the values are computed within the Wiener	11:56:27
6		filter function certainly bring it novelty.	11:56:30
7	Q	Aside from how the variables themselves were	11:56:33
8		calculated, is the calculation for the Wiener filter	11:56:36
9		novel?	11:56:39
10	A	I mean, it used also aspects of full-wave	11:56:41
11		rectification as mentioned, as an example.	11:56:44
12	Q	Are you saying that full-wave rectification	11:57:01
13		was novel?	11:57:05
14		MR. LENNIE: Objection. Form.	11:57:10
15	A	Again, when used with the techniques within	11:57:12
16		the patent to be able to perform the computation, it	11:57:17
17		provided -- it provided a -- a capable and novel	11:57:23
18		system.	11:57:27
19	Q	You're talking about the noise estimation	11:57:28
20		techniques?	11:57:30
21	A	For example.	11:57:31
22	Q	Okay. But you're not saying that the Wiener	11:57:32

1 filter calculation itself was novel? 11:57:34

2 MR. LENNIE: Objection. Form. 11:57:39

3 A The concept and knowledge of a Wiener filter 11:57:59

4 was known. The methodologies of how to apply it can 11:58:01

5 be unique in various situations. And depending upon 11:58:04

6 the situation, those -- those evaluations can be 11:58:08

7 different. 11:58:13

8 Q Was it unique in this patent, the way in 11:58:15

9 which it was applied? 11:58:19

10 A Yes. 11:58:20

11 Q How so? 11:58:21

12 A Because it used computations of both noise 11:58:22

13 estimation and processing to allow the system to -- to 11:58:28

14 effectively yield an accurate estimation of the 11:58:35

15 output. 11:58:39

16 Q Okay. Turning back to the claims. Claim 11:58:42

17 17. 11:58:49

18 A Uh-huh. 11:58:52

19 Q Assuming -- so claim 17 depends from claim 11:58:55

20 13; is that right? 11:58:59

21 A It does. 11:59:01

22 Q Okay. Assuming that claim 13 is invalid, do 11:59:02

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

IPR No. 2017-00627

Apple Inc. v. Andrea Electronics Inc. - Ex. 1030, p. 93

1 you have an opinion as to whether the additional 11:59:06
2 limitation of claim 17 makes that claim patentable 11:59:08
3 over claim 13? 11:59:11
4 A Claim 17 refers to said positions and said 12:00:42
5 threshold detector and these described techniques 12:00:45
6 within the '345 patent for setting a threshold for 12:00:48
7 each frequency bin using a noise estimation process 12:00:56
8 and for detecting positions of noise elements. 12:01:00
9 So there are features in this which are 12:01:02
10 pointing towards elements within '345 that have -- 12:01:07
11 that yield functionality for the overall patent. 12:01:15
12 Q You're talking about what's described in the 12:01:20
13 specification? 12:01:23
14 A That's one -- 12:01:24
15 Q [Inaudible.] 12:01:25
16 A That's one instantiation of that, yes. 12:01:26
17 Q Is it your opinion that what's described in 12:01:28
18 the specification for setting a threshold and 12:01:30
19 detecting a position of noise elements is required by 12:01:32
20 the threshold detector limitation in claim 1? 12:01:35
21 A It's not -- 12:01:37
22 MR. LENNIE: Objection. Form. 12:01:38

1	A	It's not required; it is an example	12:01:39
2		embodiment for the system.	12:01:41
3	Q	Uh-huh. But the claim isn't limited to	12:01:44
4		that?	12:01:46
5	A	The claim is not limited to that example	12:01:48
6		embodiment, no.	12:01:51
7	Q	Okay. So going back to my question, then,	12:01:52
8		about claim 17, do you have an opinion -- if you	12:01:54
9		assume claim 13 is invalid, do you have an opinion as	12:01:58
10		to whether the additional limitation of claim 17 makes	12:02:01
11		it patentable over claim 13?	12:02:05
12		MR. LENNIE: Objection. Form.	12:02:08
13	A	Again, I haven't gone through the thought	12:02:43
14		process of trying to determine where -- you know, if	12:02:45
15		these items are invalid, how this aspect of the system	12:02:48
16		would be.	12:02:54
17	Q	Okay. Looking at --	12:02:55
18	A	Decided.	12:02:58
19	Q	Sorry?	12:02:59
20	A	Decided. I said decided.	12:03:00
21	Q	Okay. Looking at claim 18, claim 18 depends	12:03:01
22		on claim 17, right?	12:03:06

1	A	Yes.	12:03:09
2	Q	And the additional limitation of claim 18 is	12:03:11
3		that said residual noise processor replaces said	12:03:15
4		frequency bins corresponding to non-speech segments of	12:03:19
5		said audio signal with a minimum value.	12:03:22
6		Is that right?	12:03:26
7	A	It says that, yes.	12:03:27
8	Q	Assuming claim 17 is invalid, do you have an	12:03:29
9		opinion as to whether the additional limitation of	12:03:33
10		claim 18 makes that claim patentable over claim 17?	12:03:35
11		MR. LENNIE: Objection. Form.	12:03:40
12	A	(Reviewing.)	12:03:47
13		Again, I haven't considered that issue with	12:04:15
14		regard to my -- my response in my rebuttal report, and	12:04:17
15		I haven't gone through the thought processes of trying	12:04:25
16		to take out portions of the system's -- of the	12:04:29
17		validity of this to try to determine that.	12:04:30
18	Q	Okay. Let's take a look at claim 21. Claim	12:04:33
19		21 refers to an estimator for estimating a magnitude	12:04:41
20		of each frequency bin?	12:04:45
21	A	Uh-huh.	12:04:47
22	Q	Do you see that?	12:04:47

1	A	I do.	12:04:48
2	Q	Is that referring to the step in the	12:04:53
3		patent -- why don't we look at Figure 2 of the patent?	12:05:01
4	A	(Complying.)	12:05:07
5	Q	Is that referring to what's shown in Figure	12:05:16
6		204? Sorry, box 204 of Figure 2?	12:05:19
7	A	(Reviewing.)	12:05:25
8		Box 204, Figure 2, describes an example of	12:05:46
9		an estimate of the magnitude of a frequency bin.	12:05:50
10	Q	And that would be done instead of	12:05:58
11		calculating magnitude exactly, right?	12:06:01
12		MR. LENNIE: Objection. Form.	12:06:06
13	A	(Reviewing.)	12:06:07
14		This is a method for estimating the	12:06:16
15		magnitude of a frequency bin.	12:06:20
16	Q	And is the purpose of that to avoid the	12:06:25
17		complexity of calculating magnitude precisely?	12:06:30
18		MR. LENNIE: Objection to form.	12:06:34
19	A	Are you speaking of this particular	12:06:37
20		calculation or are you speaking of in general methods	12:06:41
21		for estimating magnitude?	12:06:45
22	Q	In general.	12:06:48

1 A In general, I mean the purpose of estimating 12:06:49
2 magnitude is to try to determine the size of a signal, 12:06:52
3 and there are many ways to do it. This is an example 12:06:56
4 of one way. 12:06:59
5 Q You can calculate magnitude exactly, right? 12:07:01
6 MR. LENNIE: Objection. Form. 12:07:05
7 A The magnitude is the size of a signal. 12:07:17
8 Q Uh-huh. 12:07:20
9 A It involves a decision as to what that size 12:07:20
10 is and then methods to estimate the magnitude attempt 12:07:24
11 to come to values that are close in some sense to 12:07:30
12 that. 12:07:33
13 Q Is there a mathematical formula for 12:07:36
14 calculating magnitude? 12:07:39
15 Let me be more precise. Is there a formula 12:07:50
16 for calculating magnitude based on the output of a 12:07:52
17 FFT? 12:07:58
18 MR. LENNIE: Objection. Form. 12:08:00
19 A (Reviewing.) 12:09:31
20 For an FFT, the values that are computed can 12:11:38
21 be complex in value. In such contexts, the values can 12:11:42
22 be represented using an amplitude and a phase for a 12:11:49

1 complex number. And the magnitude calculation can be 12:11:55
2 used to find the amplitude of that complex number. 12:12:00

3 Q And is the formula for that magnitude 12:12:06
4 calculation shown in column 5, line 39, recognizing 12:12:11
5 there is a mistake in that formula and that the 12:12:18
6 exponent should be one-half instead of negative 2? 12:12:22

7 A That is a way to compute the amplitude of a 12:12:30
8 complex number. 12:12:33

9 Q And then immediately after that formula 12:12:36
10 it's -- the patent says, "In order to save processing 12:12:43
11 time and complexity the signal magnitude is estimated 12:12:45
12 by an estimator using an approximation formula 12:12:49
13 instead." 12:12:52

14 Do you see that? 12:12:52

15 A I see the passage that you're pointing to. 12:12:54

16 Q Okay. And then following that there is a -- 12:12:58
17 another formula? Do you see that? 12:13:01

18 A Yes, I do. 12:13:05

19 Q And that's a formula for approximating 12:13:06
20 magnitude? 12:13:11

21 A That's an estimator of the magnitude. 12:13:13

22 Q And do you agree that one reason why you 12:13:18

1 would estimate magnitude instead of using the formula 12:13:22

2 above is to save processing time and complexity? 12:13:27

3 A There are different ways -- or different 12:13:35

4 reasons, I should say -- for using estimation 12:13:38

5 processes. One of those is to reduce complexity. 12:13:42

6 Q Did the inventors of the '345 patent invent 12:13:52

7 this technique for estimating magnitude? 12:13:57

8 MR. LENNIE: Objection. Form. 12:14:02

9 A I haven't been asked to yield an opinion on 12:14:17

10 whether this particular technique was invented. 12:14:19

11 Q Was the magnitude calculation shown at 12:14:25

12 column 5, line 39, was that known in the art as of the 12:14:32

13 time of the '345? 12:14:37

14 A Versions of that equation were -- were known 12:14:54

15 with regard to calculations used with complex 12:15:04

16 processing. This system purports to use it in the 12:15:09

17 context of noise estimation. 12:15:13

18 Q Had this formula been used in the context of 12:15:20

19 noise estimation before the '345? 12:15:22

20 MR. LENNIE: Objection. Form. 12:15:26

21 A I -- I don't know how I could know that. I 12:15:27

22 don't have access to all the systems that have ever 12:15:30

1 been designed prior to this to try to figure that out. 12:15:33

2 Q Did Boll calculate the magnitude of signals? 12:15:37

3 MR. LENNIE: Objection. Form. 12:15:47

4 A Boll uses the absolute value of signal 12:15:50
5 quantities in his calculations. 12:15:55

6 Q And is that a calculation of magnitude? 12:15:58

7 MR. LENNIE: Objection. Form. 12:16:05

8 A It is a representation of amplitude. 12:16:08
9 Magnitude is a calculation designed to get at that. 12:16:11

10 Q Is it -- when you say it's a representation, 12:16:15
11 do you mean it's an estimation of amplitude or is it 12:16:19
12 an exact calculation of amplitude? 12:16:22

13 MR. LENNIE: Objection. Form. 12:16:25

14 A I don't understand what you mean in your 12:16:33
15 question. 12:16:36

16 Q What did you mean by "it's a representation 12:16:36
17 of amplitude" ? 12:16:38

18 A Absolute value is one way to represent the 12:16:47
19 amplitude of a complex number and the way to 12:16:52
20 essentially look at the length of the complex vector 12:16:57
21 in real and imaginary space. 12:17:01

22 Q At the time of the '345 patent, were -- was 12:17:05

1 it known to use approximation formulas for magnitude 12:17:11
2 rather than calculating magnitude exactly? 12:17:16
3 MR. LENNIE: Objection. Form. 12:17:27
4 A (Reviewing.) 12:18:11
5 There were methods for approximating the 12:18:14
6 exact calculation of the magnitude of quadrature 12:19:08
7 components. 12:19:12
8 Q And just for the record, you were looking 12:19:12
9 back at your report; is that right? 12:19:14
10 A Yes, I was. I wanted to make sure that what 12:19:16
11 I said was consistent with it. I was aware of 12:19:19
12 methods, but I wanted to be sure that my wording was 12:19:23
13 precise. 12:19:26
14 Q Okay. And do those methods include 12:19:27
15 estimating -- 12:19:32
16 THE COURT REPORTER: I'm sorry, I lost you. 12:19:32
17 MR. SWANSON: Sorry. 12:19:32
18 THE COURT REPORTER: Go ahead. That was my 12:19:32
19 fault. 12:19:32
20 MR. SWANSON: Sure, no, no problem. 12:19:32
21 Q Did those methods include -- did those 12:19:41
22 methods include estimating magnitude as a function of 12:19:47

1 the maximum and minimum values of the complex element 12:19:50
2 of an FFT? 12:19:54
3 MR. LENNIE: Objection. Form. 12:19:57
4 A With regard to an FFT, I -- no, I'm not -- 12:20:05
5 I'm not considering situations where frequency domain 12:20:11
6 processing was -- was being done. 12:20:14
7 Q Uh-huh. What about just using the 12:20:17
8 maximum/minimum values of complex numbers generally? 12:20:19
9 A That -- that was known, yes. 12:20:22
10 Q Looking back at the claims again of the 12:20:26
11 '345, claim 21. 12:20:30
12 A Uh-huh. 12:20:32
13 Q Claim 21 depends on claim 1? 12:20:32
14 A Yes. 12:20:39
15 Q Correct? 12:20:40
16 And claim 21 adds the limitation of an 12:20:44
17 estimator for estimating a magnitude of each frequency 12:20:47
18 bin; is that right? 12:20:50
19 A Yes. 12:20:52
20 Q Assuming claim 1 is invalid, do you have an 12:20:52
21 opinion as to whether the additional limitation of 12:20:55
22 claim 21 makes it patentable over claim 1? 12:21:00

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	MR. LENNIE: Objection to form.	12:21:04
2	A I wasn't considering scenarios where claim 1	12:22:48
3	was somehow invalid, and looking at claim 21, the	12:22:51
4	focus is on estimator for estimating a magnitude of	12:22:57
5	each frequency bin.	12:23:00
6	This is a technique which is something	12:23:02
7	that -- you know, estimating a magnitude of each	12:23:04
8	frequency bin depends upon a particular application,	12:23:11
9	so it's hard to know from that aspect exactly what	12:23:16
10	feature we're talking about in terms of what's valid	12:23:20
11	or invalid.	12:23:23
12	Q Are you saying you don't understand claim	12:23:25
13	21?	12:23:27
14	MR. LENNIE: Objection. Form.	12:23:29
15	A I understand what an estimator for	12:23:41
16	estimating a magnitude of each frequency bin is in the	12:23:42
17	general context of these -- of -- of this system.	12:23:46
18	Q All right. So going back to my question, do	12:23:49
19	you have an opinion as to whether if you assume claim	12:23:53
20	1 is invalid the additional limitation of claim 21	12:23:57
21	make its patentable over claim 21?	12:24:01
22	MR. LENNIE: Objection. Form.	12:24:08

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	A	Again, there are systems that use processing	12:24:15
2		involving magnitudes. Speaking -- and this is a	12:24:18
3		hypothetical that's hard to put my head around in	12:24:23
4		terms of what it is that you're asking me to do.	12:24:26
5	Q	Okay. So you have no opinion on that issue	12:24:31
6		sitting here today?	12:24:34
7	A	I would have to give additional thought to	12:24:37
8		it.	12:24:39
9	Q	Okay. And looking at claim 22, claim 22	12:24:40
10		depends from claim 21, right?	12:24:44
11	A	Yes.	12:24:49
12	Q	And claim 22 adds the limitation of said	12:24:50
13		estimator estimates said magnitude of each frequency	12:24:55
14		bin as a function of the maximum and the minimum	12:25:00
15		values of the complex element of said frequency bins	12:25:03
16		for a number n of frequency bins.	12:25:07
17	A	Sure.	12:25:12
18	Q	Assuming claim 21 is invalid, do you have an	12:25:14
19		opinion as to whether the additional limitation of	12:25:17
20		claim 22 makes it patentable over claim 21?	12:25:20
21		MR. LENNIE: Objection. Form.	12:25:24
22	A	(Reviewing.)	12:26:55

1 A system which estimates said magnitude of 12:28:48
2 each frequency bin as a function of the 12:28:51
3 maximum/minimum values of the complex elements of said 12:28:53
4 frequency bins for a number n of frequency bins 12:28:56
5 describes frequency domain processing. 12:29:00
6 Q Uh-huh. 12:29:02
7 A I'm aware of methods for performing 12:29:06
8 calculations using maximum and minimum values as 12:29:09
9 estimators. The context of this sort of processing 12:29:13
10 depends, though, on the overall context of the patent 12:29:16
11 and also of the system for which the methods are being 12:29:20
12 used. 12:29:24
13 Q So is that a yes, you do have an opinion or 12:29:29
14 no, you don't have an opinion on that? 12:29:33
15 A I -- it's an issue which I can't address 12:29:34
16 without -- I mean, if you're simply saying, you know, 12:29:37
17 a system which has just this feature, I mean it's in 12:29:42
18 combination with these other features which allows the 12:29:47
19 system to be able to -- to function. 12:29:49
20 So, you know, I -- I -- I've been asked to 12:29:53
21 render -- to look at issues related to the entire 12:30:01
22 patent, not to that specific one issue. 12:30:04

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 Q All right. And just to be clear, I mean my 12:30:06
2 question is, if you assume that claim 21 was either 12:30:13
3 that the apparatus of claim 21 was either anticipated 12:30:18
4 or obvious over the prior art, do you have an opinion 12:30:22
5 as to whether the additional feature recited in claim 12:30:26
6 22 would make that claim patentable over the apparatus 12:30:30
7 of claim 21? 12:30:36
8 A Well -- 12:30:36
9 MR. LENNIE: Objection. Objection. Form. 12:30:38
10 A Well, but the apparatus of 21 depends upon 12:30:39
11 claim 1. 12:30:43
12 Q Right. 12:30:44
13 A So it certainly could potentially be 12:30:45
14 patentable because of claim 1. 12:30:49
15 Q But if you assume -- so you understand that 12:30:51
16 claim 21 includes the limitations of claim 1, right? 12:30:53
17 A Yes. 12:30:57
18 Q Okay. So if the apparatus of claim 21, 12:30:58
19 including all the limitations of claim 1, was 12:31:00
20 anticipated or obvious over the prior art, you have no 12:31:05
21 opinion as to whether the additional limitation of 12:31:10
22 claim 22 makes that claim patentable over the 12:31:13

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	apparatus of claim 21?	12:31:17
2	MR. LENNIE: Objection. Form.	12:31:19
3	A Again, I haven't been asked to consider	12:31:20
4	claim 22 in isolation without considering claim 1 --	12:31:23
5	or claim 21 as well as back to claim 1.	12:31:26
6	Q All right. What about claim 23? Claim 23	12:31:30
7	depends on claim 21, right?	12:31:35
8	A Yes.	12:31:39
9	Q And claim 21 adds the additional element of	12:31:39
10	a smoothing unit which smooths the estimate of each	12:31:44
11	frequency bin; is that right?	12:31:49
12	A That's --	12:31:51
13	MR. LENNIE: Objection. Form.	12:31:54
14	A The apparatus, according to claim 21,	12:31:55
15	further comprising a smoothing unit which smooths the	12:31:56
16	estimate of each frequency bin is what the claim	12:31:59
17	states.	12:32:02
18	Q And if you again assume that the apparatus	12:32:04
19	of claim 21 is anticipated or obvious over the prior	12:32:08
20	art, do you have an opinion as to whether the	12:32:15
21	additional limitation of claim 23 makes that claim	12:32:17
22	patentable over the apparatus of claim 21?	12:32:22

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	MR. LENNIE: Objection. Form.	12:32:33
2	A (Reviewing.)	12:32:34
3	Again, the language of this claim is in --	12:35:23
4	is written in the context of the patent, where the	12:35:26
5	patent describes a smoothing unit which smooths the	12:35:29
6	estimate of each frequency bin.	12:35:32
7	And I -- I don't understand how I'm supposed	12:35:34
8	to consider this smoothing unit in the context of that	12:35:38
9	without considering the entire system.	12:35:41
10	Q What's your understanding of what claim 23	12:35:46
11	requires?	12:35:50
12	A Well, it comprises a smoothing unit, and it	12:36:00
13	smooths the estimate of each frequency bin, so it's a	12:36:03
14	system which employs some form of smoothing and/or	12:36:07
15	averaging.	12:36:10
16	Q And can that be smoothing or averaging over	12:36:11
17	time?	12:36:13
18	A It can be smoothing and averaging over time	12:36:17
19	and over frequency.	12:36:19
20	Q Okay. Do you understand claim 23 to require	12:36:28
21	any specific type of smoothing or averaging?	12:36:34
22	A Can you be more specific?	12:36:43

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 Q Well, I was just going back to your answer a 12:36:45
2 moment ago about the -- you said you don't know how 12:36:48
3 you're supposed to consider the smoothing unit of 12:36:52
4 claim 23 without considering the entire system, and I 12:36:56
5 was just wondering if -- if you were saying that claim 12:37:01
6 23 requires some specific type of smoothing described 12:37:04
7 in the patent? 12:37:08

8 A It doesn't require a specific type of 12:37:09
9 smoothing. 12:37:11

10 Q Okay. So going back to my question, then, 12:37:11
11 if you assume that the apparatus of claim 21 was 12:37:17
12 anticipated or obvious over the prior art, do you have 12:37:22
13 an opinion as to whether the additional limitation in 12:37:25
14 claim 23 makes that claim patentable over the 12:37:28
15 apparatus of claim 21? 12:37:33

16 MR. LENNIE: Objection. Form. 12:37:36

17 A Again, I've considered all aspects of the 12:37:39
18 patent, including the various claims and those that -- 12:39:10
19 those independent claims that depend on the claim that 12:39:16
20 we're talking about. 12:39:22

21 If you take away 1 and 21 and 22 and we're 12:39:26
22 left with 23, this is the only claim we have, there 12:39:31

1 are systems that perform smoothing, but I don't 12:39:35
2 understand how I'm supposed to judge patentability of 12:39:40
3 things when you take away all of these other aspects. 12:39:44
4 Q By "systems that perform smoothing" you mean 12:39:49
5 prior art systems that perform smoothing? 12:39:52
6 A I mean the concept of smoothing in general 12:39:55
7 and the -- and yes, systems that would calculate 12:39:58
8 smoothing. 12:40:02
9 Q Were part of the prior art? 12:40:03
10 MR. LENNIE: Objection. Form. 12:40:08
11 A There are methods that smooth quantities 12:40:10
12 having to do with things that -- calculations that 12:40:12
13 occur. This is speaking to smoothing which smooths 12:40:17
14 estimates of frequency bins with respect to this 12:40:23
15 application. So this is pointing to a type of 12:40:27
16 smoothing within -- within the operation of the 12:40:31
17 patent. 12:40:33
18 Q Does Boll disclose smoothing of frequency 12:40:35
19 bins? 12:40:38
20 A (Reviewing.) 12:40:53
21 Q Let me point you specifically to Page 116 of 12:42:07
22 Boll. 12:42:10

1	A	(Reviewing.)	12:43:19
2		Again, I haven't been asked to consider 23	12:43:20
3		in isolation to determine whether it's patentable or	12:44:46
4		not. The system I've been looking at is the system of	12:44:50
5		the '345 which includes many elements.	12:44:54
6	Q	Okay. Just turning to claim 25 on the next	12:44:59
7		page.	12:45:04
8	A	(Complying.)	12:45:05
9	Q	Claim 25 depends from claim 1, right?	12:45:08
10	A	Yes.	12:45:11
11	Q	And claim 25 adds the limitation of an	12:45:13
12		adaptive array comprising a plurality of microphones	12:45:16
13		for receiving said audio signal?	12:45:20
14	A	Yes.	12:45:23
15	Q	Assuming claim 1 is invalid, do you have an	12:45:25
16		opinion as to whether the additional limitation of	12:45:29
17		claim 25 makes it patentable over claim 1?	12:45:31
18		MR. LENNIE: Objection. Form.	12:45:35
19	A	(Reviewing.)	12:46:26
20		Can we be more specific about what it is	12:46:26
21		that you're talking about in claim 25 in terms of the	12:47:12
22		terms that you're asking about?	12:47:15

1 Q Do you not understand claim 25? 12:47:18

2 A I understand an adaptive -- what an adaptive 12:47:22
3 array is. 12:47:27

4 Q Is there something else you don't understand 12:47:28
5 in claim 25 or -- 12:47:30

6 A Well, I guess -- you're asking me the 12:47:32
7 question of trying to separate claim 25 from claim 1. 12:47:34
8 I'm -- I'm -- I'm trying to navigate that question. 12:47:37

9 Q Uh-huh. What I'm asking is if the -- claim 12:47:41
10 1 describes an apparatus for canceling noise, right? 12:47:45

11 A That's one -- yes, that's how it starts, 12:47:49
12 yes. 12:47:52

13 Q Okay. If you assume that the apparatus 12:47:53
14 covered by claim 1 -- 12:47:57

15 A Right. 12:47:59

16 Q -- was already in the prior art as of the 12:47:59
17 time of the '345 patent, does the addition of an 12:48:02
18 adaptive array comprising a plurality of microphones 12:48:07
19 for receiving said audio signal represent a novel and 12:48:12
20 nonobvious variation on the apparatus of claim 1? 12:48:16

21 MR. LENNIE: Objection. Form. 12:48:20

22 A But how is it -- how are we talking about a 12:48:22

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	nonobvious variation of something that's already --	12:48:26
2	I'm -- I'm trying to understand what it is that you're	12:48:29
3	asking me to -- to ...	12:48:32
4	Q Do you have an understanding that claim 25	12:48:34
5	narrows claim 1, it adds --	12:48:37
6	A Yes.	12:48:39
7	Q -- a limitation to claim 1, right?	12:48:39
8	A Yes.	12:48:41
9	Q And you have an understanding of what that	12:48:42
10	limitation is?	12:48:44
11	A Yes.	12:48:45
12	Q That's the adaptive array comprising a	12:48:45
13	plurality --	12:48:46
14	A Yes.	12:48:46
15	Q -- of microphones?	12:48:46
16	A It is using it in here as an adaptive array,	12:48:50
17	yes.	12:48:52
18	Q So if you assume that what's described in	12:48:53
19	claim 1 was in the prior art, would the addition of an	12:48:55
20	adaptive array with a plurality of microphones be a	12:48:58
21	novel or nonobvious addition to that apparatus?	12:49:03
22	MR. LENNIE: Objection. Form.	12:49:08

1	A	An adaptive array is a system that comprises	12:52:03
2		many parts to it. It can have many different	12:52:06
3		features.	12:52:09
4		Again, this problem of trying to determine	12:52:12
5		whether, you know, an adaptive array is patentable	12:52:17
6		based off of an invalidity -- or the statement about	12:52:22
7		whether the first element is -- in claim 1 is obvious	12:52:25
8		or not is something I haven't been asked to opine	12:52:29
9		upon.	12:52:32
10	Q	All right.	12:52:33
11	MR. LENNIE:	Be a good time to break for	12:52:40
12		lunch?	12:52:41
13	THE WITNESS:	Yeah, I think it's a good time	12:52:42
14		to break, if that's okay.	12:52:43
15	THE VIDEOGRAPHER:	Going off the record.	12:52:45
16		The time is 12:52.	12:52:46
17		(A recess was taken.)	12:52:48
18		AFTERNOON SESSION	12:52:48
19		(Exhibit 6 was marked for identification and	13:35:32
20		is attached to the transcript.)	13:35:32
21	THE VIDEOGRAPHER:	Here begins Tape No. 3.	13:36:02
22		We're back on the record at 1:36.	13:36:03

1	BY MR. SWANSON:	13:36:06
2	Q Good afternoon.	13:36:06
3	A Good afternoon.	13:36:07
4	Q I've just handed you what's been marked as	13:36:09
5	Exhibit 6. Is this a copy of your report in this	13:36:11
6	case?	13:36:14
7	A Yes.	13:36:15
8	Q Okay. How was your report prepared?	13:36:16
9	A I wrote my report and I wrote it with --	13:36:29
10	with consideration given to me from legal help,	13:36:33
11	because I'm not a lawyer.	13:36:45
12	I developed technical opinions on aspects of	13:36:48
13	technology related to the matter at hand.	13:36:52
14	(Mr. Haslam joined the proceedings.)	13:37:03
15	A I worked with the legal assistants that I	13:37:07
16	had to be able to, you know, take a shell in terms of	13:37:14
17	an overall structure of -- of the document and I,	13:37:19
18	along with help, populated portions of this which	13:37:24
19	allows me to in- -- to put in my technical opinions	13:37:28
20	and also address legal considerations which are --	13:37:32
21	which are important with respect to this matter.	13:37:37
22	Q When you say you wrote the report, did you	13:37:40

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 write everything in the report? 13:37:42

2 A As I said, there was a shell document that 13:37:44

3 we started with -- 13:37:47

4 Q Uh-huh. 13:37:48

5 A -- and that helped me understand the basic 13:37:48

6 structure that is appropriate for a report of this 13:37:51

7 type. 13:37:55

8 With regard to the technical opinions, those 13:37:56

9 opinions are ones that I developed, and in terms of 13:37:58

10 the technical understanding and so on, allowed me to 13:38:02

11 impart my opinion and also my expertise into the 13:38:09

12 matter. 13:38:13

13 Q But the actual language in the report was 13:38:14

14 written by the lawyers? 13:38:23

15 A No, the language was written by me where I 13:38:25

16 have technical opinion. It corresponds to the things 13:38:29

17 of -- that are important with respect to what I 13:38:36

18 thought would be a important description of a 13:38:38

19 particular piece of technology or issue that's 13:38:44

20 relevant to the matter at hand. 13:38:48

21 Q You said the language was written by you 13:38:52

22 where you have technical opinions. Does that mean -- 13:38:54

1 again, I'm just trying to understand -- 13:38:56

2 A Yeah, sure. 13:38:58

3 Q I'm trying to understand, did you write this 13:38:59

4 entire thing or was it written by you in conjunction 13:39:01

5 with the lawyers together? 13:39:04

6 MR. LENNIE: Objection. Form. 13:39:05

7 A Well, again, the document has structure 13:39:12

8 which, you know, I received technical assistance -- or 13:39:14

9 legal assistance on. 13:39:17

10 From the technical aspects of the document, 13:39:19

11 I provided input as to those particular issues and 13:39:24

12 addressed concerns with respect to the -- you know, 13:39:29

13 the concerns raised by the other expert. 13:39:34

14 Q You -- when you say you provided input, you 13:39:40

15 were providing input to the lawyers? 13:39:42

16 A No. I'm actually providing content that 13:39:44

17 goes into the document. 13:39:46

18 Q Okay. So you provided some of the content 13:39:48

19 that went into the document. Or did you provide all 13:39:50

20 of the content? 13:39:54

21 A I -- well, it's -- this was done in 13:39:55

22 conjunction with -- with legal assistance. I provided 13:40:01

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 most of the content, but I -- but the issue is, these 13:40:07
 2 represent my opinions. So I reviewed everything 13:40:11
 3 that's, you know, here in terms of the content and 13:40:14
 4 they represent what is my opinion on -- on the matters 13:40:19
 5 at hand. 13:40:22

6 Q Yeah. Okay. I'm just trying to understand, 13:40:23
 7 again, kind of -- 13:40:26

8 A Yeah, I understand. 13:40:27

9 Q -- who reduced it to writing, and it sounds 13:40:27
 10 like you reduced parts of it to writing and the 13:40:30
 11 lawyers reduced parts of it to writing. 13:40:32

12 A Well, again, reduced parts of it to writing. 13:40:35
 13 I -- you know, I didn't type it all by hand -- 13:40:39

14 Q Uh-huh. 13:40:40

15 A -- but I typed the portions associated with 13:40:40
 16 aspects related to technology and also had the 13:40:44
 17 opportunity to review those portions in conjunction 13:40:48
 18 with other portions. 13:40:52

19 Again, I'm not a lawyer, so I'm looking for 13:40:54
 20 legal assistance in forming my opinions with respect 13:40:56
 21 to the report. 13:41:00

22 Q Okay. So the lawyers wrote parts of the 13:41:03

1	report?	13:41:05
2	A The report is mine.	13:41:08
3	Q Right.	13:41:09
4	A It represents my opinions. I mean, I	13:41:10
5	received assistance with -- from lawyers, too.	13:41:13
6	Q Okay. Some of the drafting was done by the	13:41:15
7	lawyers?	13:41:18
8	A There's some aspects -- certainly legal	13:41:19
9	language and so on are things that they're best to	13:41:21
10	provide.	13:41:25
11	Q Okay. Let's turn to Paragraph 129.	13:41:25
12	A Okay.	13:42:05
13	Q And in Paragraph 129 you say that, "Martin	13:42:06
14	(1993) does not anticipate claim 25 because Martin	13:42:10
15	(1993) fails to disclose an adaptive array."	13:42:15
16	Do you see that?	13:42:19
17	A Yes.	13:42:20
18	Q Why does Martin 1993 fail to disclose an	13:42:20
19	adaptive array?	13:42:24
20	A (Reviewing.)	13:42:25
21	Martin 1993 describes applications of his	13:42:34
22	signal-to-noise-ratio estimation algorithm. When he	13:42:39

1 is describing that, he is looking to apply his SNR 13:42:46
2 estimation technique on systems, and he mentions two 13:42:51
3 such systems, one of them being time delay estimation. 13:42:55
4 Time delay estimation is an aspect of 13:43:00
5 microphone processing when you have more than one 13:43:05
6 microphone, but it doesn't describe an adaptive array. 13:43:07
7 Q Why not? 13:43:12
8 A Because it doesn't describe how contents of 13:43:13
9 the microphone signals would be combined to be able to 13:43:18
10 produce a resulting signal that -- that achieves some 13:43:23
11 useful end. 13:43:27
12 Q Do you agree that Martin 1993 does talk 13:43:28
13 about using an adaptive array? 13:43:31
14 A (Reviewing.) 13:43:38
15 (Exhibit 7 was marked for identification and 13:43:47
16 is attached to the transcript.) 13:43:47
17 Q Why don't -- 13:43:52
18 A Yeah, he's -- 13:43:52
19 Q Oh, sorry. 13:43:52
20 A Oh, go ahead. 13:43:52
21 Q I was going to hand you a -- 13:43:52
22 A Oh. 13:43:54

1 Q -- marked copy of Martin 1993. 13:43:55

2 A Thank you. 13:43:57

3 In the Application section for time delay 13:43:59

4 estimation he does talk about in-phase summation or 13:44:02

5 adaptive processing of these microphone signals 13:44:07

6 usually requires a time delay compensation. 13:44:10

7 Q And in the previous sentence he refers to 13:44:16

8 the array. Do you see that? 13:44:20

9 A I do. He says, "For microphone arrays where 13:44:22

10 the speaker is in a nonsymmetric position." 13:44:26

11 Q Okay. So you agree that Martin is -- Martin 13:44:29

12 refers to a microphone array? 13:44:31

13 A He doesn't describe the structure -- 13:44:34

14 Q Uh-huh. 13:44:35

15 A -- of the adaptive array or the processing. 13:44:37

16 Q Uh-huh. But he does disclose a microphone 13:44:41

17 array; is that right? 13:44:49

18 A Yeah, he -- he mentions a microphone array. 13:44:51

19 He doesn't describe how the microphone array works 13:44:53

20 or -- he actually is talking about time delay 13:44:57

21 estimation, which can be one component of an array, 13:44:59

22 but doesn't complete the array nor provide all of the 13:45:03

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 details that allows such an array to be realized. 13:45:07

2 Q So what are the details about the array that 13:45:10

3 you think Martin had to provide in order for you to 13:45:13

4 say that Martin discloses an adaptive array? 13:45:16

5 A I -- well -- 13:45:19

6 MR. LENNIE: Objection. Form. 13:45:20

7 A Yeah, to -- to have Martin describe an 13:45:21

8 adaptive array, he would have to provide additional 13:45:24

9 information about how the signals are processed. For 13:45:27

10 example, how the signals might be combined, what's the 13:45:31

11 method of combination, what is the procedure by which 13:45:34

12 one is using to -- to compute coefficients, for 13:45:38

13 example. 13:45:42

14 Q Is all of that required by claim 25? 13:45:43

15 MR. LENNIE: Objection. Form. 13:45:46

16 A (Reviewing.) 13:45:47

17 The understanding of what an adaptive array 13:46:02

18 is requires some description in order to provide, you 13:46:05

19 know, a statement that yes, the information is there 13:46:09

20 and there actually is an adaptive array. And there's 13:46:12

21 no signal computed off of this to determine that 13:46:17

22 actually, you know, a system has actually been 13:46:20

1 realized from it. 13:46:21

2 Q Where is that description provided in the 13:46:24

3 '345 patent? 13:46:27

4 A I'm sorry, I need to look at my report just 13:46:43

5 to be sure that I'm precise. 13:47:32

6 Q Sure. 13:47:35

7 A I hope it's okay that I take the time. 13:47:36

8 Q No, absolutely. 13:47:38

9 A Thank you. Yeah. 13:47:40

10 (Reviewing.) 13:47:42

11 With regard to the '345 patent there are, at 13:51:00

12 the very front, related applications incorporated by 13:51:04

13 reference. 13:51:07

14 Q Uh-huh. 13:51:08

15 A One of those applications is U.S. Patent No. 13:51:08

16 '898 issued October 20th, 1998. 13:51:14

17 The written description that supports and 13:51:23

18 provides structure for the adaptive array can be found 13:51:25

19 in the '898 patent which is incorporated by reference. 13:51:27

20 Q But it's not in the specification of the 13:51:32

21 '345 itself? 13:51:35

22 MR. LENNIE: Objection to form. 13:51:37

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 A Methods for performing adaptive processing 13:53:07
2 of microphone arrays were available and were -- were 13:53:10
3 or could be used. 13:53:17

4 Q Okay. Is your report a complete statement 13:53:20
5 of your opinions in this case? 13:53:34

6 A The report represents my response to the 13:53:38
7 report by Dr. Kyriakakis. It's a rebuttal report. At 13:53:43
8 points within the report I indicate that 13:53:49
9 Dr. Kyriakakis has provided limited and, in some 13:54:17
10 cases, conclusory statements regarding the '345 patent 13:54:24
11 as well as the various references with respect to it, 13:54:31
12 and I found it challenging to respond to those 13:54:34
13 situations, so rather than try to construct a response 13:54:41
14 on something that wasn't there, I put in statements 13:54:46
15 that allowed me to reserve me right to rebut to such 13:54:52
16 arguments should some -- should such an argument come 13:54:56
17 in the future. 13:55:00

18 Q All right. But it's your complete response 13:55:00
19 to what is in Dr. Kyriakakis's report? 13:55:02

20 A It is a response to -- to the report that he 13:55:07
21 has provided and the arguments that he's provided at 13:55:10
22 this time. 13:55:13

1 Q And is it complete or do you have anything 13:55:13
2 to add to the report at this time? 13:55:15

3 A I don't have anything to add at this 13:55:20
4 particular time. 13:55:22

5 Q Okay. Is it a complete statement of your 13:55:23
6 reasons for your opinions? 13:55:26

7 A My reasons? I'm sure -- what do you mean? 13:55:28

8 Q Does the report contain all of the reasons 13:55:32
9 and bases for your opinions? 13:55:35

10 A I mean, it contains my opinions. Where 13:55:49
11 situations dictate that I'm required to elaborate -- I 13:55:53
12 think that's why I'm here, so I can elaborate on 13:55:56
13 aspects of it -- but it represents a response that -- 13:56:00
14 to Dr. Kyriakakis's report that I offer at this time. 13:56:04

15 Q Do you have any additional reasons, sitting 13:56:11
16 here today, that support your opinions, beyond what's 13:56:14
17 said in your report? 13:56:17

18 A I do not. 13:56:19

19 Q Are you aware of any mistakes in your 13:56:24
20 report? 13:56:26

21 A I tried to catch as many typographical 13:56:27
22 errors as possible. There may be some typographical 13:56:31

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 errors that I missed, but I believe my -- my report to 13:56:34
2 be accurate in that regard. 13:56:37

3 Q Sitting here today there's nothing you want 13:56:40
4 to correct in your report? 13:56:42

5 A There's no corrections that I wish to make 13:56:46
6 with the report at this time. 13:56:48

7 Q Okay. 13:56:50

8 MR. SWANSON: Let me mark Exhibit 8. 13:56:51

9 (Exhibit 8 was marked for identification and 13:56:55
10 is attached to the transcript.) 13:57:11

11 Q Okay. I'm showing you what's been marked as 13:57:12
12 Exhibit 8, beginning with the Bates number 13:57:14
13 Andrea_ITC_1026_00215947, with the ending Bates number 13:57:20
14 of 216215. 13:57:36

15 Is this a copy of your expert report in the 13:57:42
16 '949 investigation? 13:57:45

17 A It appears to be. It has certain 13:57:53
18 information, though, that's been redacted due to 13:57:56
19 confidentiality. 13:57:59

20 Q Okay. And the '949 investigation also 13:58:00
21 involved the '345 patent? 13:58:04

22 A It did. 13:58:06

1	Q	Okay. One of the prior art references the	13:58:07
2		Respondents in that case were relying on was the	13:58:12
3		Martin 1993 paper; is that right?	13:58:15
4	A	Yes.	13:58:17
5	Q	That's the same paper we were just talking	13:58:18
6		about a moment ago, Exhibit 7?	13:58:20
7	A	Yes.	13:58:22
8	Q	Is that right?	13:58:23
9		Can you turn to Paragraph 245.	13:58:24
10	A	(Complying.)	13:58:32
11	Q	And the heading right before Paragraph 245	13:58:46
12		reads, "Alleged Combination of Hirsch with Martin	13:58:52
13		'93" --	13:58:54
14	A	Uh-huh.	13:58:55
15	Q	-- (Claim 25)," and then the following two	13:58:56
16		paragraphs, 245 and 246, are discussing claim 25 of	13:59:01
17		the '345 patent; is that right?	13:59:06
18	A	It does.	13:59:11
19	Q	Okay. And in this report in the '949 case,	13:59:12
20		you didn't argue that Martin '93 fails to disclose an	13:59:18
21		adaptive array, right?	13:59:26
22	A	(Reviewing.)	13:59:35

1	MR. LENNIE: Objection. Form.	13:59:38
2	A No, I didn't. What I argued was that one of	13:59:45
3	ordinary skill would not have been motivated to	13:59:49
4	combine these references to obtain the apparatus.	13:59:52
5	Q Okay. You can put that to the side.	13:59:57
6	(Exhibit 9 was marked for identification and	14:00:22
7	is attached to the transcript.)	14:00:22
8	Q Exhibit 9 is U.S. Patent No. 6,035,048.	14:01:02
9	This is the Diethorn patent; is that right?	14:01:10
10	A Yes, it is.	14:01:16
11	Q This is the same patent we were talking	14:01:17
12	about this morning, Diethorn?	14:01:19
13	A It is.	14:01:21
14	Q All right. You -- you familiar with this	14:01:22
15	patent?	14:01:26
16	A I am.	14:01:27
17	Q You provided opinions on this patent in your	14:01:28
18	report?	14:01:31
19	A I have.	14:01:32
20	Q Can you turn to Paragraph 741 of your	14:01:57
21	report?	14:02:03
22	A Okay.	14:02:17

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	Q	And in Paragraph 741 you say Diethorn does	14:02:18
2		not anticipate claim 13 of the patent?	14:02:21
3	A	Yes.	14:02:24
4	Q	And then in Paragraph 742 you say, "The	14:02:25
5		system of Diethorn does not guarantee that the gain	14:02:29
6		value is less than 1 when noise values are detected,	14:02:32
7		and therefore Diethorn does not teach a system that	14:02:36
8		includes a subtractor that subtracts said noise	14:02:40
9		elements."	14:02:43
10		Do you see that?	14:02:44
11	A	Yes, I do.	14:02:45
12	Q	What do you mean by "Diethorn does not	14:02:46
13		guarantee that the gain value is less than 1 when	14:02:50
14		noise values are detected"?	14:02:54
15	A	In Diethorn, column 8, he describes the	14:03:06
16		operation of his system in Figure 9 -- this is at line	14:03:11
17		24 -- and he states, "... the signal gain function	14:03:16
18		$g(k,m)$ is determined by $\text{PHI}(k,m)$, but has an upper	14:03:22
19		bound of unity." That is, $g(k,m)$ is equal to the	14:03:27
20		minimum of 1.0 and $\text{PHI}(k,m)$.	14:03:30
21	Q	Uh-huh.	14:03:35
22	A	And so he is describing a system which	14:03:38

1 processes the signal using $\text{PHI}(k,m)$. $\text{PHI}(k,m)$ are -- 14:03:41
2 is computed from the normalized deflection 14:03:47
3 coefficients, and these deflection coefficients depend 14:03:50
4 upon other processes, including a noise estimate value 14:03:56
5 $n(k,m)$. 14:04:00

6 The system, when it processes signals, its 14:04:09
7 $\text{PHI}(k,m)$ value determines the processing, and it's not 14:04:14
8 clear how -- or I should say, a system which performs 14:04:23
9 noise -- detection of noise values would produce 14:04:33
10 noise -- sorry, would estimate noise and would produce 14:04:36
11 an output signal that would generally reduce it when 14:04:43
12 there's noise that's present. 14:04:48

13 Here is a system that's producing an output 14:04:51
14 which is not changing the gain when -- you know, for 14:04:54
15 some aspects of the system, and it's not clear that 14:04:58
16 this system when it's connected to the noise estimate 14:05:02
17 that it's actually being used to -- to do that 14:05:05
18 processing when detection of noise is -- is made. 14:05:08

19 Q Are you saying that Diethorn would never set 14:05:12
20 the gain function less than one when noise is 14:05:17
21 detected? 14:05:23

22 A No, I'm saying that the system, when it 14:05:37

1 detects noise, does not ensure that the gain is less 14:05:40
2 than one. 14:05:42

3 Q Why does it not ensure the gain is less than 14:05:44
4 one? 14:05:47

5 A Because through the processing there's no 14:05:49
6 direct connection between this and -- sorry. When 14:05:52
7 computing the $g(k,m)$, if a system is detecting noise, 14:05:56
8 then the gain of the system should then try to reduce 14:06:03
9 the resulting output. 14:06:06

10 Q So it may set the gain value less than one 14:06:10
11 when there's noise, but you're saying it also may not? 14:06:13

12 A That's correct. 14:06:17

13 Q Why -- why would you want to have a system 14:06:20
14 that -- where the gain value is one when you've 14:06:24
15 detected noise? 14:06:27

16 MR. LENNIE: Objection. Form. 14:06:29

17 Q What would -- 14:06:30

18 A I mean -- I'm -- 14:06:31

19 Q You know what, strike that question. Yeah. 14:06:40

20 A You would want a system to detect noise to 14:06:42
21 be less than one when noise is detected. I think you 14:06:46
22 said the opposite. 14:06:49

1 Q Well, you're saying -- never mind. 14:06:51
2 Okay. So you mention -- so the gain 14:06:57
3 function is set based on the value of Phi? That's 14:07:04
4 what you said? 14:07:09
5 A Correct. 14:07:10
6 Q And Phi is set or is determined based on the 14:07:12
7 two deflection coefficients? 14:07:18
8 A Yes, the broadband and the narrowband 14:07:21
9 deflection coefficients. 14:07:24
10 Q Okay. And those are represented by 14:07:25
11 lowercase D and uppercase D -- 14:07:27
12 A Yes. 14:07:29
13 Q -- in Diethorn? 14:07:29
14 And in column 8, line -- I guess it's line 14:07:31
15 16, the formula for Phi, that's taking the maximum of 14:07:37
16 the narrowband deflection coefficient divided by 14:07:42
17 GAMMA_NB? 14:07:48
18 A Uh-huh. 14:07:50
19 Q And the broadband deflection coefficient 14:07:50
20 divided by GAMMA_BB? 14:07:53
21 A Uh-huh. 14:07:57
22 Q Is that right? 14:07:57

1	A	Yes.	14:07:59
2	Q	Okay. And if you go further up in column 8,	14:08:00
3		column 8 -- I guess the very top of column 8, line 1.	14:08:10
4	A	Uh-huh.	14:08:14
5	Q	According to the first of these formulas,	14:08:15
6		the narrowband and broadband deflection coefficients	14:08:16
7		are each normalized to a respective GAMMA_NB or	14:08:21
8		GAMMA_BB.	14:08:27
9	A	Uh-huh.	14:08:29
10	Q	Do you see that?	14:08:29
11	A	Yes.	14:08:30
12	Q	Okay. And then the next sentence says,	14:08:30
13		"These thresholds represent the respective levels at	14:08:30
14		which the deflection ratios are declared to indicate a	14:08:34
15		certainty of speech energy."	14:08:38
16		Do you see that?	14:08:41
17	A	Yes.	14:08:42
18	Q	That sentence in Diethorn is saying that	14:08:42
19		GAMMA NB and GAMMA BB would be set such that where,	14:08:45
20		unless you're certain that there is speech, the gain	14:08:51
21		value will be less than one so you can reduce noise?	14:08:56
22		MR. LENNIE: Objection. Form.	14:09:17

1 A These quantities, GAMMA NB and GAMMA BB, are 14:10:25
 2 referred to by Diethorn as respective thresholds, but 14:10:28
 3 there is no decision being made here with respect to 14:10:34
 4 these quantities in regards to whether the signal 14:10:37
 5 contains speech energy. 14:10:43

6 Q But isn't Diethorn saying that you should 14:10:47
 7 set those two thresholds at a value where you're 14:10:50
 8 certain that the signal is speech? 14:10:55

9 A It's not stating that one should set those 14:11:10
 10 thresholds, it's saying that there's a value that he's 14:11:13
 11 chosen for those parameters and there's no decision 14:11:16
 12 being made here. 14:11:19

13 Q But the value he's chosen for those 14:11:22
 14 parameters is the value where there's a certainty of 14:11:24
 15 speech, right? 14:11:28

16 A Again, this description doesn't involve 14:11:33
 17 making a decision on, you know, these deflection 14:11:40
 18 coefficients as to whether they're speech or not. 14:11:45

19 Q What do you think that second sentence 14:11:54
 20 means? 14:11:57

21 A Well, they represent the levels at which the 14:12:01
 22 deflection ratios are declared to indicate a certainty 14:12:04

1 of speech. So they're set according to a concept of, 14:12:09
2 well, what is in these particular values in terms of 14:12:13
3 what is it that they represent, but there is no 14:12:19
4 decision being made here in the system. There's no 14:12:23
5 calculation that's being done at this point. 14:12:26

6 Q Right. The actual calculation is the 14:12:31
7 calculation of Phi below, right? 14:12:34

8 A There is a calculation of Phi which is then 14:12:38
9 using those gamma values, yes. 14:12:40

10 Q Right. So in his system he sets the gamma 14:12:43
11 values to 30, right? 14:12:47

12 A Well, he is choosing the value 30 for both 14:12:48
13 GAMMA NB and GAMMA BB. 14:12:51

14 Q Right. And he says 30 is the level at which 14:12:54
15 you're certain that there is speech, right? 14:12:56

16 A Yeah. Again, he's not making a decision, 14:13:13
17 though, on those particular values. He's not looking 14:13:15
18 at particular values of deflection ratios to decide 14:13:18
19 whether they -- they contain speech or not. 14:13:22

20 Q But he is saying that the values that he's 14:13:28
21 chosen and that should be chosen for gamma are the 14:13:32
22 values at which speech is certain, right? 14:13:36

1	A	Well, they're chosen to allow $\text{PHI}(k,m)$ to --	14:13:47
2		to be run or calculated. And $\text{PHI}(k,m)$ then combines	14:13:49
3		deflection ratios in a way to -- to produce a value	14:13:56
4		for $\text{PHI}(k,m)$ which chooses one or the other.	14:14:00
5	Q	So in Diethorn's system if the deflection	14:14:03
6		coefficients are less than 30, then the gain	14:14:06
7		function -- the gain value will be less than one,	14:14:10
8		right?	14:14:13
9	A	I'm sorry, could you repeat your statement?	14:14:16
10	Q	In Diethorn, if the deflection coefficients,	14:14:19
11		lowercase D and uppercase D, are less than 30, then	14:14:23
12		the value of the gain will be less than one?	14:14:30
13	A	The value of the gain depends upon $\text{PHI}(k,m)$,	14:14:46
14		which depends upon both small $d(k,m)$ and large $D(k,m)$,	14:14:50
15		which are these narrowband and broadband deflection	14:14:54
16		coefficients. There's not a decision being made that	14:15:00
17		says one or the other contains speech in this	14:15:04
18		equation.	14:15:07
19	Q	That's not my question.	14:15:08
20	A	Okay.	14:15:09
21	Q	If the value of the narrowband deflection is	14:15:10
22		less than 30, and if the value of the broadband	14:15:15

1	deflection is less than 30, then the gain value will	14:15:18
2	be less than one, correct?	14:15:21
3	A For his chosen value, then both the small	14:15:27
4	$d(k,m)$ divided by its gamma and the large $D(k,m)$	14:15:31
5	divided by its gamma will be less than one, and so the	14:15:34
6	maximum of those two will be less than one.	14:15:40
7	But that's for the first formula. There's	14:15:42
8	also the second formula, and that requires another	14:15:50
9	parameter P that needs to be chosen, but both of --	14:15:54
10	sorry.	14:15:57
11	Q And would that -- would that affect the gain	14:15:58
12	value?	14:16:02
13	A Well, the value of P does.	14:16:03
14	Q Right, but P is an ex- -- is an exponent,	14:16:08
15	right? Just being raised to a power?	14:16:11
16	A Yes, P is an exponent --	14:16:18
17	Q Right.	14:16:19
18	A -- yes.	14:16:20
19	Q So if the deflection coefficient --	14:16:21
20	A Yes.	14:16:23
21	Q -- divided by GAMMA NB is less than one --	14:16:23
22	A Then it's -- it's the same situation.	14:16:26

1 Q Okay. 14:16:28

2 A From that regard. 14:16:28

3 Q And Diethorn in column 8, line 12, says P is 14:16:29

4 equal to unity, right? 14:16:34

5 A Right. So it's a linear function. 14:16:36

6 Q Right. Unity is one, right? 14:16:37

7 A Yes. 14:16:40

8 Q Okay. So it -- in this system, it wouldn't 14:16:40

9 actually affect the -- 14:16:42

10 A Right. 14:16:43

11 Q -- gain value? 14:16:43

12 A That is correct. 14:16:44

13 Q Okay. So in your opinion, claim 13 requires 14:16:45

14 a system in which the gain values always have to be 14:16:57

15 less than one when noise is detected? 14:17:01

16 A A system that's performing spectral 14:17:28

17 subtraction and performing noise reduction would 14:17:30

18 generally need to perform some amount of reduction of 14:17:34

19 that noise, and so if the noise is not zero, then 14:17:38

20 there will be some reduction in the overall gain of 14:17:45

21 the system. 14:17:47

22 Q Okay. I'm asking about your understanding 14:17:57

1	of claim 13.	14:18:00
2	A Uh-huh.	14:18:02
3	Q You said Diethorn does not anticipate claim	14:18:03
4	13 because it does not guarantee that the gain value	14:18:07
5	is less than one.	14:18:09
6	A Right.	14:18:11
7	Q So is it your opinion that claim 13 requires	14:18:11
8	a system in which the gain values are always less than	14:18:14
9	one when noise is detected?	14:18:17
10	A My analysis of Diethorn with respect to	14:18:23
11	claim 13 applies to Diethorn. In other words, I am --	14:18:26
12	I am -- and my analysis is appropriate with respect to	14:18:31
13	Diethorn's functioning of the system. I'd have to	14:18:34
14	look at the nature of the system to decide this	14:18:38
15	resulting issue.	14:18:41
16	Q But you're offering an opinion as to whether	14:18:42
17	Diethorn meets the requirements of the claim, right?	14:18:45
18	A Right.	14:18:47
19	Q So you must have an understanding of what	14:18:48
20	the claim requires.	14:18:50
21	A Yes.	14:18:51
22	Q Does the claim require a system in which the	14:18:52

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	gain value is always less than one when noise is	14:18:54
2	detected?	14:18:57
3	MR. LENNIE: Objection. Form.	14:18:58
4	A No, it does not, it depends upon the	14:19:02
5	operation of the overall system. It depends on the	14:19:04
6	nature of the resulting processing.	14:19:09
7	Q Okay. Let's turn to Paragraph 93 of your	14:19:13
8	report.	14:19:24
9	A Uh-huh.	14:19:39
10	Q And in Paragraph 93 you are discussing the	14:19:47
11	Martin 1993 reference; is that right?	14:19:52
12	A Yes.	14:19:55
13	Q Okay. And in the second sentence in	14:19:56
14	Paragraph 93 you say, "In my opinion, the value of	14:20:08
15	PMmin" --	14:20:14
16	A Uh-huh.	14:20:18
17	Q -- "is not a threshold for a given frequency	14:20:20
18	bin ..."	14:20:25
19	What's your basis for that?	14:20:28
20	Let me ask a clearer question, actually.	14:20:31
21	A Uh-huh.	14:20:43
22	Q Why is PMmin not a threshold for a frequency	14:20:43

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 bin within the meaning of claim 1? 14:20:50

2 A Martin 1993 describes a system for 14:21:15

3 estimating the instantaneous signal-to-noise-ratio. 14:21:19

4 It applies to a full band signal. It's oriented 14:21:23

5 towards performing this signal-to-noise-ratio 14:21:33

6 estimation. 14:21:38

7 The value of PMmin is not being used within 14:21:55

8 the threshold detector for setting a threshold for 14:22:00

9 each frequency bin, and there are no frequency bins 14:22:05

10 associated with the system in Martin 1993. 14:22:09

11 Q So is your opinion that PMmin -- let me 14:22:15

12 start over. 14:22:20

13 So your opinion is that Martin '93 does not 14:22:27

14 have frequency bins and, therefore, PMmin is not a 14:22:33

15 threshold for a frequency bin? 14:22:39

16 A That's one of the reasons. 14:22:40

17 Q Okay. Do you have an additional reason? 14:22:42

18 A (Reviewing.) 14:22:56

19 The value PMmin is not a threshold for a 14:23:17

20 given frequency bin. It represents the value of 14:23:21

21 either P max or the smallest input sample within a 14:23:27

22 given subwindow. And there are multiple subwindows in 14:23:31

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 a given data window. And only one of these subwindows 14:23:36
2 is used to update the value of PMmin. 14:23:39

3 Q So why does that mean that PMmin is not a 14:23:45
4 threshold? 14:23:49

5 A It's not being used for setting a threshold 14:24:00
6 for -- it's not being used to set a threshold. 14:24:03

7 Q Why is that? 14:24:07

8 A It doesn't use it. It doesn't use it in a 14:24:10
9 threshold calculation from the standpoint of setting a 14:24:13
10 threshold. 14:24:15

11 Q Is it used to detect the position of noise 14:24:17
12 elements? 14:24:19

13 A It's not used to detect the position of 14:24:28
14 noise elements. 14:24:30

15 Q Why not? 14:24:34

16 A Because it's not a threshold for -- well, 14:24:37
17 it's not a threshold, and it's not a threshold for a 14:24:39
18 frequency bin. 14:24:42

19 Q Do you have Martin '93 in front of you? 14:25:06

20 A I do. 14:25:08

21 Q Okay. The variable Pn in Martin '99 -- 14:25:09
22 Martin 1993 -- that's the noise power estimate? Is 14:25:17

1 that right? 14:25:28

2 A It's the estimated noise power, yes. 14:25:31

3 Q Is that a threshold within the meaning of 14:25:36

4 the claims? 14:25:38

5 A This system performs signal-to-noise-ratio 14:25:50

6 estimation. It is not used as a threshold within the 14:25:54

7 system. Within the meaning of the claims. 14:25:58

8 Q Is P_n used to detect noise elements within 14:26:12

9 the meaning of the claims? 14:26:16

10 A The value $P_n(i)$ is not used as a role of a 14:26:34

11 threshold in making a decision within this algorithm. 14:26:39

12 Q Okay. Can I point you to Figure 2 of Martin 14:26:42

13 '93? 14:26:46

14 A Yes. 14:26:48

15 Q Do you have that? Okay. 14:26:48

16 And do you see on the left-hand side of the 14:26:50

17 figure -- the text is small, but the second block down 14:26:53

18 on the left, there's a formula, $P_n(i)$ equals min of 14:27:00

19 $P_x(i)$, $P_n(i)$? 14:27:11

20 A Yes. 14:27:16

21 Q Do you see that? 14:27:17

22 Is that formula segment, P_n , the noise power 14:27:19

1	estimate, equal to the minimum of the current signal	14:27:24
2	power and the current noise power estimate?	14:27:30
3	A This block is taking -- there is a value in	14:27:37
4	$P_n(i)$, and that value is either staying the same or	14:27:42
5	changing depending upon the value of $\bar{P}_x(i)$.	14:27:48
6	Q Right. So if P -- $P_x(i)$ is less than P_n ,	14:27:53
7	then P_n becomes P_x .	14:28:02
8	Let me try that again.	14:28:09
9	If I -- what this formula is doing is if the	14:28:11
10	current signal power is less than the current noise	14:28:16
11	power estimate, then you set the noise power estimate	14:28:19
12	equal to the current signal power?	14:28:23
13	A $P_n(i)$ stays the same unless $\bar{P}_x(i)$ is	14:28:28
14	less than $P_n(i)$, at which point it changes to \bar{P}_x	14:28:35
15	(i) .	14:28:40
16	Q Right. And P -- $P_x(i)$, that's the signal	14:28:41
17	power?	14:28:45
18	A That is the signal power, yes.	14:28:47
19	Q Okay. And so when that's below the noise	14:28:49
20	power estimate, then Martin sets the noise power	14:28:52
21	estimate equal to the signal power?	14:28:56
22	A The value of $P_n(i)$ stays the same or it	14:29:03

1 changes. If it changes, it would happen because P_x 14:29:07
2 bar is less than P_n. 14:29:11

3 Q Right. Is that a detection of noise element 14:29:13
4 within the meaning of the claims? 14:29:21

5 MR. LENNIE: Objection. Form. 14:29:23

6 A It's not a detection of noise elements 14:29:32
7 within the meaning of the claims. This is part of 14:29:35
8 some method for calculating P_n(i). 14:29:40

9 Q Can you turn to Paragraph 926. 14:30:01

10 A (Complying.) 14:30:14

11 MR. SWANSON: Actually, let me go ahead and 14:30:15
12 mark this as well. 14:30:25

13 (Exhibit 10 was marked for identification 14:30:26
14 and is attached to the transcript.) 14:30:38

15 Q I just handed you Exhibit 10. Is this the 14:30:49
16 Martin 1994 paper that's discussed in your report? 14:30:52

17 A It is. 14:30:56

18 Q Okay. And you're familiar with this paper? 14:30:57

19 A I am. 14:31:00

20 Q Okay. And in Paragraph 926 of your report 14:31:01
21 you say that neither P_{Mact} nor P_{min} constitutes a 14:31:18
22 threshold for a frequency bin. 14:31:31

1	A	That's correct.	14:31:34
2	Q	Why do those values not constitute	14:31:37
3		thresholds for a frequency bin?	14:31:39
4	A	There's no test with respect to those values	14:32:27
5		where you're using -- you are setting a threshold with	14:32:31
6		those values to make some decision with respect to the	14:32:36
7		overall process.	14:32:41
8	Q	Can you explain what you mean by that? I'm	14:33:03
9		not sure I followed that answer.	14:33:05
10	A	PMact -- neither PMact nor Pmin are a	14:33:18
11		threshold for a frequency bin. They are simply values	14:33:26
12		that are used to track the minimum of the M samples	14:33:34
13		across a window of M samples, which is a portion of a	14:33:40
14		window of length D, considering the entire set of	14:33:48
15		samples of a frequency bin.	14:33:54
16	Q	Okay. Can you turn back to your '949	14:33:59
17		report?	14:34:04
18		MR. LENNIE: Exhibit 8.	14:34:12
19	Q	And specifically Paragraph 566.	14:34:16
20	A	(Complying.)	14:34:34
21	Q	In Paragraph -- are you there?	14:34:35
22	A	Uh-huh, I am.	14:34:38

1	Q	Paragraph 566 is discussing the same Martin	14:34:39
2		1994 paper we were just looking at?	14:34:43
3	A	Uh-huh. Yes.	14:34:46
4	Q	Do you agree with what you said in Paragraph	14:34:49
5		566?	14:34:51
6	A	(Reviewing.)	14:34:57
7		Sorry, I'm reading it, because I'm recalling	14:35:52
8		the argument. If you recall, this matter was some	14:35:55
9		time ago. I want to make sure I have the technical	14:35:58
10		ideas.	14:36:01
11	Q	Absolutely, yeah.	14:36:02
12	A	(Reviewing.)	14:36:04
13		Yes, I agree with this, and that enabled me	14:37:06
14		to orient myself on the arguments that are presented	14:37:11
15		here.	14:37:14
16	Q	Okay. Is this saying the same thing as what	14:37:14
17		you're saying in your report in this case about Martin	14:37:18
18		1994?	14:37:21
19	A	It's not saying the same thing, no. I don't	14:37:26
20		mean to have this in place of that. If I wanted this	14:37:31
21		in place of that, I would have put it there.	14:37:34
22	Q	Okay. Why did you change what you said	14:37:36

1 about Martin 1994? 14:37:39

2 A My current report is a rebuttal report in 14:38:12

3 response to Dr. Kyriakakis's assertions. 14:38:15

4 Q Uh-huh. 14:38:21

5 A So this is a response to his. 14:38:21

6 Q Uh-huh. 14:38:24

7 A It doesn't contain everything that I've 14:38:24

8 written from other reports in every context. 14:38:27

9 Q Uh-huh. 14:38:29

10 A It is -- I've written it with the 14:38:30

11 understanding and -- and concept that I am responding 14:38:35

12 to what Dr. Kyriakakis has said in his report. 14:38:39

13 He makes the conclusory assertion that PMact 14:38:45

14 and PM teach the threshold detector limitation, and I 14:38:49

15 provided what I thought was sufficient detail to 14:38:57

16 address his assertion. 14:39:00

17 Q Okay. 14:39:03

18 MR. SWANSON: Go off the record. 14:39:07

19 THE VIDEOGRAPHER: Going off the record. 14:39:09

20 The time is 2:39. 14:39:09

21 (A recess was taken.) 14:39:11

22 (Back on the record at 2:50 p.m.) 14:39:11

1	THE VIDEOGRAPHER: We're back on the record.	14:50:15
2	EXAMINATION BY COUNSEL FOR RESPONDENT APPLE, INC.	14:50:20
3	BY MR. BROUGHAN:	14:50:20
4	Q Good afternoon, Professor Douglas.	14:50:21
5	A Good afternoon.	14:50:22
6	Q Tom Broughan. I'm going to ask you a few	14:50:23
7	questions on behalf of Apple and Respondents.	14:50:25
8	I want to continue with the Martin reference	14:50:28
9	that we were just discussing, but if you could open	14:50:30
10	your report, please --	14:50:33
11	A Uh-huh.	14:50:34
12	Q -- to Paragraph 183.	14:50:34
13	A Uh-huh.	14:50:49
14	Q In the second or third sentence you state,	14:50:52
15	"Martin (1993) operates on a broadband, time domain	14:50:55
16	signal."	14:51:01
17	Do you see that?	14:51:02
18	A I do.	14:51:03
19	Q And then two sentences later you state, "In	14:51:05
20	my opinion, the Martin (1993) algorithm would require	14:51:07
21	non-trivial modifications in order to operate in the	14:51:13
22	frequency domain in the manner claimed by the '345	14:51:17

1 patent." 14:51:20

2 Do you see that? 14:51:20

3 A I do. 14:51:22

4 Q You agree that the '345 patent requires 14:51:32

5 performing operations in the frequency domain? 14:51:35

6 A This '345 patent employs FFT processing 14:51:43

7 which generates frequency domain components from a 14:51:45

8 time domain signal, and those frequency domain 14:51:51

9 components are operated upon in a frame-by-frame 14:51:54

10 basis, thereby allowing them to have indexes in time. 14:51:57

11 Q Uh-huh. So you state that, Martin would 14:52:03

12 require nontrivial modifications to operate in the 14:52:07

13 frequency domain. 14:52:10

14 What sorts of modifications would be 14:52:12

15 required? 14:52:14

16 A Well, in order to operate in the frequency 14:52:19

17 domain in the matter claimed by the '345 patent. So 14:52:21

18 at a minimum -- at a minimum it would require 14:52:26

19 employing a device that would be computing frequency 14:52:30

20 bins because the system in '345 employs frequency bins 14:52:34

21 as a result of its processing. 14:52:41

22 And it would also require additional 14:52:44

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 modifications with regard to threshold detection and 14:52:48
2 also setting a threshold using a noise estimation 14:52:54
3 process. Those are some examples. 14:52:58

4 Q You agree that the '345 patent requires 14:53:01
5 generation of frequency bins? 14:53:05

6 A The '345 patent generates frequency domain 14:53:12
7 components in order to be essentially implemented to 14:53:17
8 allow the system to then do its processing on its 14:53:24
9 signals in time. 14:53:27

10 Q Earlier you stated that the system in the 14:53:30
11 '345 employs frequency bins as a result of its 14:53:33
12 processing. Is that correct? 14:53:36

13 A That's correct. 14:53:39

14 Q So you agree that the '345 patent requires 14:53:40
15 frequency bins as a result of its processing? 14:53:43

16 MR. LENNIE: Objection. Form. 14:53:47

17 A The '345 patent describes a system which 14:53:54
18 uses processing to generate frequency domain 14:53:59
19 components. There are many ways to perform that 14:54:04
20 frequency domain calculation. And '345 allows for 14:54:07
21 different methods to perform that calculation. 14:54:12

22 Q Uh-huh. Okay. Would you pick up the Martin 14:54:15

1 '93 article, please, and turn to Page 1096. Or the 14:54:19
2 back. On the right-hand side there's a heading called 14:54:29
3 "Spectral Subtraction." 14:54:33
4 Do you see that? 14:54:35
5 A I do. 14:54:37
6 Q And in this section Martin describes 14:54:37
7 experiments using a filter bank with 256 channels, 14:54:41
8 correct? 14:54:45
9 A He says, "In our experiments we used a 14:54:48
10 filter bank with 256 channels ..." 14:54:50
11 Q And he goes on to say that in his 14:54:55
12 experiments he estimated the minimum power of the 14:54:59
13 signal in each of those 256 channels, correct? 14:55:02
14 A He says he estimated the minimum power in 14:55:06
15 each of these channels. 14:55:09
16 Q There are 256 channels, correct? 14:55:24
17 A He says, we used a filter bank with 256 14:55:29
18 channels. 14:55:33
19 Q In this passage of Martin '93, is he 14:55:35
20 discussing operations performed in the frequency 14:55:39
21 domain? 14:55:42
22 A Martin 1993 is focused on the estimation of 14:55:56

1 signal-to-noise-ratio, and its primary description and 14:56:00
2 technical discussion is around that -- that problem 14:56:05
3 and approach. 14:56:09

4 The description that he has here is brief 14:56:11
5 and does not provide very much detail with respect to 14:56:15
6 the overall processing that he does. It's not clear 14:56:20
7 from this what the exact structure is that he is 14:56:24
8 using. 14:56:27

9 Q So you don't know whether Martin 1993 14:56:35
10 discloses performing operations in the frequency 14:56:39
11 domain? 14:56:42

12 MR. LENNIE: Objection. Form. 14:56:43

13 A From this description it's not obvious what 14:56:46
14 exactly he is doing from the standpoint of his 14:56:48
15 processing. 14:56:51

16 Q Could he be operating in the time domain? 14:56:55

17 MR. LENNIE: Objection. Form. 14:57:02

18 A Again, there's not many -- much detail to be 14:57:08
19 able to determine exactly what the processing is that 14:57:11
20 he's using in this particular brief description of an 14:57:13
21 application. 14:57:17

22 Q In the Spectral Subtraction section on Page 14:57:19

1 1096 of Martin 1993 he could be describing performing 14:57:22
2 operations in the time domain or he could be 14:57:28
3 describing operations performed in the frequency 14:57:30
4 domain? Is that your opinion? 14:57:33

5 A Again, it's not obvious what he is doing. 14:57:35
6 He hasn't provided enough sufficient detail with 14:57:37
7 regard to his processing to determine exactly what's 14:57:40
8 going on. 14:57:42

9 Q And you've looked at this passage of Martin 14:57:47
10 1993 and you can't figure it out? 14:57:49

11 MR. LENNIE: Objection. Form. 14:57:53

12 A There's not enough detail here to determine 14:57:55
13 exactly what is going on. 14:57:57

14 Q So you don't know whether each of the 256 14:58:06
15 channels of the filter bank is a frequency bin? 14:58:09

16 A Again, he has not provided enough detail to 14:58:14
17 determine exactly what the processing is that he is 14:58:17
18 using. 14:58:20

19 Q So you can't say for certain whether each of 14:58:36
20 the 256 channels of the filter bank is a frequency 14:58:39
21 bin? 14:58:42

22 A Whether each of the channels? 14:58:45

1	Q	Yes.	14:58:48
2	A	Again, the system structure is not detailed	14:58:49
3		enough to be able to determine what that is. He	14:58:52
4		doesn't provide -- provide enough structure to really	14:58:57
5		figure out what's going on there.	14:59:01
6	Q	So you can't say one way or the other	14:59:03
7		whether it's a frequency bin or not?	14:59:05
8	A	I can't say one way or another with respect	14:59:07
9		to this description what he is doing.	14:59:08
10	Q	Okay. In your report would you please turn	14:59:12
11		to Paragraph 97.	14:59:20
12	A	Uh-huh.	14:59:21
13	Q	You considered a figure from	14:59:39
14		Dr. Kyriakakis's report; is that correct?	14:59:43
15	A	I did.	14:59:46
16	Q	Are you pulling up the figure?	14:59:54
17	A	Yeah, I'm getting to it, because we're	14:59:56
18		mentioning it.	14:59:58
19	Q	It's Paragraph 402, if that's helpful.	15:00:02
20	A	Uh-huh, yes.	15:00:06
21	Q	In Paragraph 97 of your report you do not	15:00:09
22		note anything about Dr. Kyriakakis's figure that is	15:00:14

1 inaccurate, correct? 15:00:17

2 A Can you be more specific with respect to the 15:00:48

3 inaccuracy that you're referring to? 15:00:49

4 Q Well, you state, "... this hypothetical 15:00:52

5 system would eliminate a significant and integral 15:00:54

6 portion of the system of Martin (1993)." 15:00:58

7 Is that correct? 15:01:03

8 A Yes. 15:01:03

9 Q But you don't note anything about the figure 15:01:05

10 that is inaccurate or incorrect? 15:01:08

11 MR. LENNIE: Objection. Form. 15:01:14

12 A My statement is not being applied to this 15:01:16

13 particular figure; my statement applies to the -- to 15:01:19

14 the system of Martin 1993. 15:01:25

15 Q Which statement is not being applied to this 15:01:35

16 particular figure? 15:01:37

17 A "This hypothetical system would 15:01:38

18 eliminate" -- the "significant and integral portion." 15:01:40

19 I'm referring to the significant and integral portion 15:01:45

20 of Martin 1993 that's not represented in this figure. 15:01:49

21 Q And that significant and integral portion of 15:01:58

22 Martin 1993 are the subwindows? 15:02:00

1 A One of the aspects of the system is the use 15:02:08
2 of subwindow processing within Martin 1993. 15:02:13

3 Q Uh-huh. And Dr. Kyriakakis's figure assumes 15:02:17
4 a system where the number of subwindows is one, 15:02:21
5 correct? 15:02:24

6 A Yes. 15:02:25

7 Q If the Martin algorithm were to run using 15:02:29
8 one as the number of subwindows, is the figure that 15:02:35
9 Dr. Kyriakakis provided accurate? 15:02:39

10 A The system is not specified for a value of 15:02:50
11 one subwindow. The system assumes that a data window 15:02:56
12 of length L is decomposed into W windows of length M. 15:03:02

13 Q Would you be able to configure the Martin 15:03:18
14 1993 algorithm to use a subwindow -- strike that. 15:03:21

15 Would you be able to configure the Martin 15:03:27
16 1993 algorithm to use a single subwindow? 15:03:30

17 A The Martin 1993 algorithm is created with 15:03:37
18 the specific design choice of W windows and its 15:03:45
19 structure assumes the use of multiple subwindows. 15:03:52

20 Q Would you be able to configure the Martin 15:03:59
21 1993 algorithm to use a single subwindow even though 15:04:00
22 the Martin 1993 paper describes using more than one 15:04:05

1 subwindow? 15:04:10

2 A Any attempt at some sort of configuration 15:04:13

3 would significantly change the method that's being 15:04:16

4 described in Martin 1993. It would cease to be the 15:04:18

5 algorithm that Martin 1993 is describing. 15:04:23

6 Q So you would be unable to change the Martin 15:04:29

7 1993 algorithm to use a single sub- -- strike that. 15:04:33

8 So you would be unable to change the Martin 15:04:41

9 1993 algorithm to use a single subwindow; is that 15:04:43

10 correct? 15:04:48

11 MR. LENNIE: Objection. Form. 15:04:50

12 A Looking at the Martin 1993 algorithm, it 15:04:54

13 uses multiple subwindows in order for its processing. 15:04:57

14 If you're asking me to change the algorithm in some 15:05:02

15 hypothetical way, it would change it from Martin, it 15:05:06

16 would no longer be the algorithm that Martin 1993 is 15:05:09

17 describing. 15:05:13

18 Q But would you be able to make that change to 15:05:14

19 the Martin algorithm? 15:05:15

20 (Mr. Haslam joined the proceedings.) 15:05:17

21 A Well, the description of the algorithm 15:05:23

22 requires multiple subwindows. I don't see the point 15:05:25

1 of trying to change it at this point. 15:05:28

2 Q So you don't know how to do it? 15:05:31

3 MR. LENNIE: Objection. Form. 15:05:33

4 A It's not something that's part of the Martin 15:05:36

5 1993 approach. 15:05:39

6 MR. BAIK: Can whoever joined go on mute, 15:05:44

7 please? 15:05:47

8 (Pause in the proceedings.) 15:06:07

9 Q You are a person of ordinary skill in the 15:06:15

10 art? 15:06:17

11 A I am. 15:06:17

12 Q So even though Martin 1993 does not describe 15:06:20

13 using a single subwindow, you would be unable to 15:06:23

14 modify Martin to use a single subwindow? 15:06:29

15 A Martin 1993 contemplates using a data window 15:06:32

16 of length L that's decomposed into W windows of length 15:06:36

17 M -- 15:06:41

18 Q Uh-huh. 15:06:41

19 A -- where W is two or more, because he says W 15:06:42

20 windows. 15:06:45

21 Q Would a person of ordinary skill in the art 15:06:46

22 lack the technical expertise to modify Martin to use a 15:06:49

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

IPR No. 2017-00627

Apple Inc. v. Andrea Electronics Inc. - Ex. 1030, p. 162

1	single subwindow?	15:06:54
2	A If one were to try to contemplate the	15:06:58
3	change, it would change the algorithm away from	15:07:01
4	Martin, it would no longer be what the description of	15:07:04
5	Martin is, and it would remove significant portions of	15:07:07
6	the system to try to do so.	15:07:11
7	Q But that person would be able to make the	15:07:23
8	change?	15:07:25
9	A I don't see why someone would be motivated	15:07:47
10	to consider such a change.	15:07:50
11	Q Your opinion is that someone would not be	15:07:52
12	motivated to consider making that change, not that the	15:07:54
13	person would be unable to make the change?	15:07:58
14	A Well -- and to make the change would then	15:08:02
15	change the algorithm such that it would no longer be	15:08:05
16	what Martin is describing in his paper.	15:08:08
17	Q Does the Martin 1993 algorithm contemplate	15:08:18
18	using two subwindows?	15:08:22
19	A The algorithm describes typical window	15:08:32
20	parameters where W is equal to four, which would	15:08:35
21	correspond to four subwindows.	15:08:39
22	Q Could you adjust the Martin 1993 algorithm	15:08:41

1 to use two subwindows? 15:08:45

2 A Yes, you could. 15:08:47

3 Q Would a system -- strike that. 15:08:59

4 With respect to Dr. Kyriakakis's figure, do 15:09:13

5 you dispute the way he depicts the tracking of the 15:09:16

6 minimum values? 15:09:21

7 MR. LENNIE: Objection. Form. 15:09:22

8 A The figure describes some method which uses 15:09:34

9 a value of W equal to one, which would be one 15:09:39

10 subwindow, which doesn't make sense with respect to 15:09:43

11 Martin 1993. So I'm not sure how this particular 15:09:46

12 figure relates to Martin 1993. 15:09:50

13 Q So you are unable to perform the analysis 15:09:53

14 required to determine whether the figure in 15:09:55

15 Dr. Kyriakakis's report is accurate because it assumes 15:09:58

16 that the subwindow size in Martin is one? 15:10:03

17 A I'm not sure what the term "inaccurate" 15:10:22

18 means when it's describing a system which has the 15:10:24

19 number of subwindows which Martin doesn't consider. 15:10:28

20 Q Would you go to Paragraph 100, please, of 15:10:43

21 your report? 15:10:46

22 A (Complying.) 15:10:47

1	Q	Here you are discussing the array of PMmin?	15:10:57
2		PM M-I-N?	15:11:01
3	A	Yes.	15:11:06
4	Q	And you state, "The claim language states	15:11:07
5		that the current minimum is updated in accordance with	15:11:10
6		a single future minimum value of the frequency bin."	15:11:12
7		Correct?	15:11:16
8	A	Yes.	15:11:17
9	Q	Why do you think that the claim is limited	15:11:22
10		to a single future minimum value?	15:11:24
11	A	Because current minimum value and future	15:11:33
12		minimum value imply that there's some connection	15:11:36
13		between those two. It doesn't make sense to call	15:11:41
14		something a future minimum and something a current	15:11:44
15		minimum and not have them be related to each other in	15:11:46
16		terms of the way they're calculated.	15:11:51
17	Q	In your view, the fact that there is a	15:11:54
18		current minimum and a future minimum means that the	15:11:57
19		claim is limited to a single future minimum value?	15:12:00
20		MR. LENNIE: Objection. Form.	15:12:07
21	A	The claim language says said current minimum	15:12:13
22		value being derived in accordance with a future	15:12:15

1 minimum value. A future minimum value would be over 15:12:18

2 an appropriate frequency bin. 15:12:21

3 Q You agree that claim 4 requires a single 15:12:29

4 future minimum value? 15:12:32

5 A It requires a future minimum value of the 15:12:37

6 corresponding frequency bin, that frequency bin being 15:12:41

7 a data window of an appropriate number of samples that 15:12:45

8 corresponds to the window associated with the current 15:12:51

9 minimum value. 15:12:53

10 Q Would a system that used two future minimum 15:12:56

11 values practice claim 4 of the '345 patent? 15:12:59

12 MR. LENNIE: Objection. Form. 15:13:05

13 A It's hard to contemplate an answer to that 15:13:31

14 question without looking at a specific structure 15:13:33

15 associated with that. 15:13:36

16 Q You state that, "The claim language states 15:13:39

17 that the current minimum is updated in accordance with 15:13:41

18 a single future minimum value of the frequency bin." 15:13:44

19 Correct? 15:13:48

20 A Correct. The future minimum value of the 15:13:49

21 corresponding frequency bin, that frequency bin being 15:13:54

22 of a size corresponding to that of the current 15:14:00

1 minimum. 15:14:04

2 Q "The frequency bin being a size 15:14:05

3 corresponding to that of the current minimum." 15:14:10

4 What do you mean? 15:14:13

5 A A frequency bin contains some number of 15:14:15

6 samples. We're talking about the minimum over a 15:14:18

7 frequency bin. We have to take a collection of 15:14:22

8 samples. We can't talk about a minimum of one value. 15:14:24

9 Q A frequency bin itself does not contain 15:14:30

10 multiple frequency bins? 15:14:35

11 A In the context of the '345 patent, we're 15:14:41

12 talking about processing of values in a frequency bin. 15:14:47

13 We have to be talking about the frequency bin values. 15:14:52

14 In this case, it's a future minimum value of 15:14:56

15 the corresponding frequency bin. That's referring to 15:14:59

16 the number of samples that are being computed for the 15:15:02

17 minimum value. 15:15:06

18 Q In Paragraph 100 of your report why do you 15:15:18

19 state that the claim language requires a single future 15:15:21

20 minimum value? 15:15:25

21 A I say, "The claim language states that the 15:15:37

22 current minimum is updated in accordance with a single 15:15:40

1 future minimum value of the frequency bin." 15:15:43

2 Q Why did you put the word "single" in that 15:15:45

3 sentence? 15:15:48

4 A Because by contrast, Martin 1993 in the case 15:15:50

5 of non-monetizing increasing power, stores several 15:15:58

6 values across subwindows, and these values are 15:16:03

7 basically over short time frames not corresponding to 15:16:08

8 the entire data window. There are multiple PMmins 15:16:13

9 that are being computed. 15:16:20

10 Q So if the claim permitted more than one 15:16:25

11 future minimum value, would you agree that the array 15:16:29

12 of PMmin values would be a future minimum value? 15:16:33

13 MR. LENNIE: Objection. Form. 15:16:39

14 A I -- the array of minimum values is not a -- 15:16:40

15 is not a minimum value. Any one of those values is 15:16:56

16 not a minimum value. 15:17:00

17 Q And if any one of those values is not a 15:17:05

18 minimum value -- 15:17:07

19 A Over the data window of length L. 15:17:08

20 Q -- would each be a minimum value over the 15:17:10

21 window -- the subwindow of length M? 15:17:14

22 A Yes. They're -- they're -- they are 15:17:18

1 calculations done over subwindows, yes. 15:17:21

2 Q And in your view, the future minimum value 15:17:25

3 requires that the minimum be calculated over an entire 15:17:28

4 window? 15:17:31

5 A Yes. The minimum has to be calculated over 15:17:34

6 an entire window or else it's not a minimum. 15:17:36

7 Q And a subwindow M would not be a data 15:17:39

8 window, in your view? 15:17:42

9 MR. LENNIE: Objection. Form. 15:17:44

10 A A subwindow M is not the entire data window 15:17:47

11 over which a current minimum or a future minimum would 15:17:50

12 be calculated. 15:17:53

13 Q It is a data window, though; is that 15:18:08

14 correct? 15:18:09

15 A It's a subwindow. It contains partial 15:18:12

16 information. 15:18:15

17 And I also want to point out that Martin 15:18:16

18 1993, we're talking about where we would have to add 15:18:20

19 additional -- well, it doesn't talk about frequency 15:18:23

20 the main processing in Martin 1993. So this is for 15:18:28

21 full band processing that he's referring to, so ... 15:18:33

22 There's additional constraints that are involved with 15:18:36

1	A	That's what he states, yes.	15:19:52
2	Q	And you don't understand how the algorithm	15:19:55
3		would be applied to each of those channels because he	15:19:57
4		doesn't provide you with enough information?	15:20:00
5	A	I don't understand how he has applied his	15:20:05
6		algorithm to each of these channels because he has not	15:20:07
7		provided the information about what he did.	15:20:11
8	Q	So looking at his algorithm and looking at	15:20:14
9		the disclosure on Page 1096, you would be unable to	15:20:16
10		construct a system that applied Martin's algorithm to	15:20:22
11		each channel of the filter bank?	15:20:25
12		MR. LENNIE: Objection. Form.	15:20:30
13	A	He has not described with sufficient detail	15:20:38
14		what exactly he is doing with respect to his	15:20:41
15		processing.	15:20:44
16	Q	Based on the disclosure in Martin 1993, you	15:20:50
17		would be unable to make such a system?	15:20:53
18		MR. LENNIE: Objection. Form.	15:20:57
19	A	I haven't been asked to consider the	15:21:01
20		creation of such a system.	15:21:04
21	Q	Dr. Kyriakakis in his report did not suggest	15:21:09
22		the creation of such a system?	15:21:10

1	A	(Reviewing.)	15:21:40
2		He has applied an algorithm to processing of	15:21:46
3		a sequence of values. It's unclear what those values	15:21:52
4		are or where they came from when he -- when he did his	15:21:56
5		application of his particular approach. In	15:22:01
6		particular, he chose W equal to one, which is not the	15:22:03
7		Martin 1993 system.	15:22:06
8	Q	When you refer to "he," you were referring	15:22:16
9		to Dr. Kyriakakis?	15:22:18
10	A	Yes.	15:22:19
11	Q	So you don't understand that -- strike that.	15:22:28
12		It is not -- strike that.	15:22:32
13		Dr. Kyriakakis was not describing applying	15:22:39
14		the algorithm to the output of the filter bank?	15:22:41
15	A	Can you point me to the paragraph to which	15:22:50
16		you refer?	15:22:52
17	Q	You have no specific recollection of	15:22:54
18		Dr. Kyriakakis describing such a system?	15:22:57
19		Let me ask a different question.	15:23:14
20		You have not addressed such a system in your	15:23:16
21		report; is that correct?	15:23:18
22	MR. LENNIE:	Objection. Form.	15:23:20

1 using a single future minimum? 15:25:14

2 MR. LENNIE: Objection. Form. 15:25:22

3 A Again, Martin 1993 talks about SNR 15:25:28

4 estimation across a full band signal. So we're not 15:25:34

5 talking about frequency bin processing here in the 15:25:37

6 context of frequency bins. 15:25:40

7 Q I'm not asking about frequency bins, I'm 15:25:44

8 asking about minimum values. And so let's set aside 15:25:46

9 the issue of whether it's a frequency bin or not and 15:25:50

10 just focus on whether it's a future minimum value of 15:25:52

11 the signal. 15:25:55

12 If $P_n(i)$ is the smallest of the PM_{min} values 15:25:57

13 in the entire window, isn't that the same as using a 15:26:03

14 single future minimum value? 15:26:07

15 A Can you point me to -- 15:26:11

16 MR. LENNIE: Objection. Form. 15:26:12

17 A Can you point me to the calculation that 15:26:13

18 you're talking about? I want to be specific, that's 15:26:14

19 the reason why. 15:26:19

20 Q Yes. If you look at Figure 2? 15:26:20

21 A Uh-huh. 15:26:22

22 Q On the left-hand side below the SNR 15:26:25

1 calculation box, $P_n(i)$ is -- I'm sorry, that's not it. 15:26:30

2 It's in the bottom right-hand corner of 15:26:38

3 Figure 2. $P_n(i)$ is set equal to PM_{min} . Do you see 15:26:40

4 that? 15:26:46

5 A I -- I do, uh-huh. 15:26:47

6 Q If $P_n(i)$ in this calculation is set equal to 15:27:03

7 the smallest of the PM_{min} values in the entire data 15:27:09

8 window, isn't that calculation the same as using a 15:27:13

9 single future minimum? 15:27:16

10 MR. LENNIE: Objection. Form. 15:27:20

11 A Are you -- you're referring to this box here 15:27:30

12 (indicating)? 15:27:32

13 Q Yes. 15:27:33

14 A I'm sorry, I want to make sure. I'm 15:27:33

15 sorry -- 15:27:34

16 Q Yes. 15:27:34

17 A -- the box to the right? 15:27:34

18 Q That box there (indicating), yes. $P_n(i)$ 15:27:38

19 equals PM_{min} , correct? 15:27:42

20 A Okay. Yes, that box says $P_n(i)$ is equal to 15:27:43

21 PM_{min} . 15:27:47

22 Q And so it's setting $P_n(i)$ equal to PM_{min} ? 15:27:48

1 A That's correct. For monotonically 15:27:53
2 increasing power. 15:27:55

3 Q Right. And so that would be the last PMmin 15:27:57
4 in the data window? 15:28:02

5 A That's correct. 15:28:04

6 Q If that PMmin was the smallest value of 15:28:05
7 PMmin in the data window, wouldn't the calculation in 15:28:09
8 the bottom right of Figure 2 work the same as using a 15:28:14
9 single future minimum? 15:28:21

10 MR. LENNIE: Objection. Form. 15:28:23

11 A I couldn't see how that's possible. Because 15:28:25
12 the test above it says monotonically increasing power. 15:28:29
13 If the power is increasing monotonically, the last 15:28:35
14 PMmin would be the largest value, not the smallest 15:28:40
15 value. 15:28:44

16 Q Okay. So if we go to the left, there's 15:28:44
17 another box. 15:28:47

18 A I see that. 15:28:49

19 Q Pn(i) is equal to the min of the PMmin 15:28:50
20 values in the vector? 15:28:55

21 A That box is a minimum of a set of values. 15:28:59

22 Q And this assignment will result in assigning 15:29:07

1 Pn(i) to the smallest of the Pmin values in the entire 15:29:10
2 data window, correct? 15:29:16
3 A It results in the calculation of a Pn(i) 15:29:20
4 value which is the minimum of the min vec values. 15:29:22
5 Q And the min vec values are the PMmin values, 15:29:27
6 correct? 15:29:32
7 A They are the PMmin values. 15:29:33
8 Q So this assignment in Figure 2 of Martin 15:29:36
9 assigns Pn(i) to the smallest PMmin in the data 15:29:40
10 window, correct? 15:29:46
11 A Yes. 15:29:52
12 Q If the data window was segmented into four 15:30:01
13 subwindows, that would be the smallest of four PMmin 15:30:05
14 values, correct? 15:30:10
15 A That is correct. 15:30:11
16 Q In your view, using four minimum values is 15:30:16
17 different than using a single future minimum value? 15:30:21
18 MR. LENNIE: Objection. Form. 15:30:26
19 A The claim language states that a current 15:30:36
20 minimum is updated in accordance with a single future 15:30:39
21 minimum value of the frequency bin. 15:30:42
22 Q So if Pn(i) was the current minimum, setting 15:30:45

1	it equal to the smallest of four future minimum values	15:30:51
2	is outside the scope of the claim?	15:30:55
3	A I'm -- I'm confused. I'm not sure what	15:30:58
4	you're saying. You used the term "current minimum."	15:31:01
5	I don't see how that is appropriate here.	15:31:04
6	Q Assume that the value of $P_n(i)$ is a current	15:31:09
7	value.	15:31:14
8	A Assume that.	15:31:15
9	Q Please.	15:31:16
10	A But this system -- I --	15:31:16
11	Q You can't -- you can't assume that?	15:31:19
12	A The system is working on a full band signal.	15:31:33
13	Q I'm not talking about the frequency bin	15:31:38
14	aspect; I'm talking about the minimum value aspect.	15:31:39
15	For the purposes of evaluating the minimum	15:31:42
16	value aspect of the claim, can you assume that $P_n(i)$	15:31:45
17	is the current minimum value?	15:31:50
18	MR. LENNIE: Objection. Form.	15:31:52
19	A I don't know -- I don't know what it means	15:31:59
20	to assume that something is, you know, a piece of the	15:32:00
21	claim language that we're talking about.	15:32:05
22	Q Does the claim indicate -- does claim 4 of	15:32:25

1 the '345 patent indicate a time window? 15:32:27

2 A (Reviewing.) 15:32:41

3 MR. LENNIE: I'm going to object to the form 15:32:41

4 of the question. 15:32:56

5 A The claim language refers to a threshold 15:32:58

6 detector, the setting of a threshold detector for each 15:33:04

7 frequency bin, and doing so in accordance with the 15:33:11

8 current minimum value of the magnitude of the 15:33:12

9 corresponding frequency bin and said current minimum 15:33:14

10 value being derived in accordance with the future 15:33:20

11 minimum value of the magnitude of the corresponding 15:33:22

12 frequency bin. 15:33:25

13 Minimum values require that you have 15:33:26

14 multiple quantities in order to perform a calculation, 15:33:30

15 and in this context "frequency bin" refers to a range 15:33:34

16 of values in time to allow that calculation to happen. 15:33:41

17 Q When you refer to "a range of values in 15:33:48

18 time," are you referring to a frame? That the 15:33:50

19 frequency bin reflects the values in a frame that's 15:33:54

20 processed during FFT? 15:33:57

21 A As an example, yes. 15:33:59

22 Q So without a frame of an FFT, you cannot 15:34:17

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 have a minimum value as that term is meant within 15:34:21

2 '345's claims? 15:34:26

3 MR. LENNIE: Objection. Form. 15:34:27

4 A There's nothing in claim 4 which restricts 15:34:36

5 the processing to that of an FFT. What is required is 15:34:38

6 the ability to calculate frequency bin. 15:34:45

7 Q So without a frame that's used in an 15:34:53

8 operation that converts a signal to the frequency 15:34:56

9 domain, you cannot have a minimum value as that term 15:35:01

10 is meant within the '345 claims? 15:35:04

11 MR. LENNIE: Objection. Form. 15:35:08

12 A The minimum value is computed off of the 15:35:17

13 magnitude of the corresponding frequency bin. One 15:35:21

14 needs to have a time extent in order to define the 15:35:27

15 minimum value from which the current minimum is found. 15:35:32

16 Q The minimum value being tracked over time? 15:35:36

17 A The minimum values are calculated over some 15:35:42

18 time frame, yes. 15:35:45

19 Q Claim 4 does not require the minimum values 15:35:53

20 to be tracked over any particular time frame, correct? 15:35:56

21 A Claim 4 does not specify a particular length 15:36:02

22 of time over which one must perform a calculation. 15:36:05

1 Q Claim 4 does not specify that you must use a 15:36:12
2 data window as opposed to a data subwindow, correct? 15:36:15
3 MR. LENNIE: Objection. Form. 15:36:21
4 A The issue of window size is in relation to 15:36:31
5 how the current minimum value and the future minimum 15:36:36
6 value are calculated. 15:36:39
7 Q So claim 4 does not specify a window versus 15:36:49
8 a subwindow, correct? 15:36:55
9 MR. LENNIE: Objection. Form. 15:36:57
10 A A calculation over a subwindow is a partial 15:37:02
11 calculation; you haven't finished the job. One must 15:37:05
12 look at an entire set of samples in order to decide 15:37:09
13 what a minimum is. 15:37:13
14 Q So a subwindow is not an entire set of 15:37:32
15 samples in your view? 15:37:35
16 MR. LENNIE: Objection. Form. 15:37:38
17 A If we're talking about Martin 1993? 15:37:40
18 Q We were talking about the language of claim 15:37:46
19 1 -- or claim 4. 15:37:47
20 A In relation to Martin 1993? Because Martin 15:37:50
21 1993 provides context over which one is doing a 15:37:54
22 calculation of an entire data window over subwindows. 15:37:58

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 Q But claim 4 of the '345 patent does not 15:38:02
2 specify a data window, correct? 15:38:05
3 A It's -- 15:38:06
4 MR. LENNIE: Objection. Form. 15:38:10
5 A Claim 4 describes threshold detector where 15:38:11
6 the setting of the threshold for each frequency bin in 15:38:20
7 accordance with a current minimum value of the 15:38:24
8 magnitude of the corresponding frequency bin. That 15:38:26
9 current minimum value has to be performed over a set 15:38:31
10 of samples. And the future minimum value of the 15:38:33
11 magnitude of the corresponding frequency bin must also 15:38:38
12 be computed over a set of samples. 15:38:41
13 Q Claim 4 does not specify how long that 15:38:49
14 window must be, correct? 15:38:52
15 A It's understood that the current minimum and 15:38:57
16 the future minimum share some relationship from the 15:39:00
17 standpoint of how they're calculated. 15:39:05
18 One of those understandings is that the 15:39:09
19 current minimum and future minimum have a same number 15:39:13
20 of samples associated with them from the standpoint of 15:39:17
21 minimum calculation. 15:39:21
22 Q Where does claim 4 say that? 15:39:22

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

IPR No. 2017-00627

Apple Inc. v. Andrea Electronics Inc. - Ex. 1030, p. 182

1	A	I'm providing explanation with respect to	15:39:30
2		what "current minimum" and "future minimum" mean.	15:39:32
3	Q	And does that reflect the language of claim	15:39:36
4		4?	15:39:43
5	A	There is an understanding of what a	15:39:43
6		frequency bin is. You have to be talking about --	15:39:44
7		especially for a minimum of a frequency bin, you have	15:39:46
8		to be considering a time extent associated with a	15:39:49
9		signal to be able to calculate that minimum.	15:39:53
10	Q	Claim 4 does not specify a particular time	15:39:56
11		extent that's used to calculate the minimum, correct?	15:39:58
12	A	It does not specify that time extent.	15:40:02
13	Q	It could be five seconds? Is one example?	15:40:06
14	A	For example, yes.	15:40:13
15	Q	It could be one second?	15:40:13
16	A	It could be, yes.	15:40:18
17	Q	Claim 4 doesn't say?	15:40:21
18	A	It doesn't say what that choice is, but	15:40:23
19		there's a relation between the current minimum and the	15:40:26
20		future minimum with regard to the time extent	15:40:29
21		associated with the calculation.	15:40:33
22	Q	If you'll look at Martin '94 briefly.	15:40:46

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	complexity?	15:42:17
2	A I agree that he claims that.	15:42:18
3	Q As a person of ordinary skill in the art	15:42:25
4	reading this article, would you be inclined to	15:42:28
5	consider this article for an algorithm that has the	15:42:31
6	features that he describes in his Abstract?	15:42:35
7	A In what context?	15:42:41
8	Q Let's say you were looking to implement a	15:42:55
9	spectral subtraction noise reduction system. Can you	15:42:57
10	assume that?	15:43:04
11	A I'm not sure what would drive me to go to	15:43:05
12	this particular paper to consider that.	15:43:07
13	Q My question isn't whether you would go to	15:43:11
14	this paper to begin with, it was whether you could	15:43:13
15	assume that hypothetical?	15:43:15
16	A Can you repeat the hypothetical?	15:43:17
17	Q Yes. Can you assume that you are a person	15:43:19
18	of ordinary skill in the art looking to implement a	15:43:21
19	spectral subtraction noise reduction system?	15:43:26
20	A Okay. I am assuming that now.	15:43:29
21	Q Let's assume that you have a pile of papers	15:43:31
22	in front of you that have to do with spectral	15:43:34

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 subtraction. Can you do that? 15:43:38

2 A Okay. 15:43:41

3 Q For example, you selected these because they 15:43:41

4 used the words "spectral subtraction" in the title, as 15:43:43

5 one hypothetical. 15:43:46

6 A That's -- that's one possibility, yes, as a 15:43:46

7 hypothetical, sure. 15:43:49

8 Q And you were evaluating features that you 15:43:50

9 might want to incorporate into your system. 15:43:53

10 A Okay. 15:43:57

11 Q Reading Martin 1994's Abstract, would you 15:43:57

12 understand his system to be one possible way to 15:44:02

13 implement an algorithm that can track non-stationary 15:44:06

14 noise and that compares favorably with standard 15:44:09

15 spectral subtraction methods in terms of performance 15:44:14

16 and computational complexity? 15:44:16

17 A I would understand that he would state that 15:44:19

18 and that might factor into the evaluation of this 15:44:23

19 paper and the other papers in the pile but, you know, 15:44:26

20 sitting here today, it's not obvious that I would pick 15:44:30

21 up this particular paper as the choice, particularly 15:44:33

22 since I don't have the other papers with which to 15:44:37

1	compare.	15:44:40
2	Q But if you were looking through the articles	15:44:41
3	and you were interested in an algorithm that could	15:44:43
4	track non-stationary noise, you might consider the	15:44:48
5	Martin 1994 article based on his statements in the	15:44:52
6	Abstract?	15:44:55
7	MR. LENNIE: Objection. Form.	15:44:56
8	A I have to have a need to be able to consider	15:45:00
9	that. If I don't have the need for it, then I don't	15:45:03
10	see the reason to do it.	15:45:06
11	Q If that were a feature you were looking for,	15:45:15
12	would you evaluate Martin 1994 in more depth to	15:45:19
13	determine whether his statement in the Abstract is	15:45:23
14	true?	15:45:25
15	A I'm -- I'm not sure, because there could be	15:45:26
16	other papers in the pile that have a similar feature	15:45:28
17	and those other papers may have other desirable	15:45:30
18	aspects. It would depend upon the situation, and	15:45:34
19	sitting here today, I don't know if I could make that	15:45:37
20	choice.	15:45:40
21	Q Okay.	15:45:41
22	MR. BROUGHAN: Please mark this as the next	15:45:58

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	exhibit.	15:45:59
2	(Exhibit 11 was marked for identification	15:46:00
3	and is attached to the transcript.)	15:46:01
4	Q Do you recognize this document?	15:46:14
5	A I do.	15:46:15
6	Q Is this a patent on which you are a named	15:46:17
7	inventor?	15:46:21
8	A I am.	15:46:21
9	Q Have you read the document before?	15:46:22
10	A Yes, I have.	15:46:25
11	Q Would you please turn to column 1.	15:46:26
12	A (Complying.)	15:46:38
13	Q For -- in most of column 1 and column 2	15:46:39
14	there is a list of references, correct?	15:46:41
15	A Yes.	15:46:45
16	Q And in the patent you state that this list	15:46:47
17	of 20 references is a list of the various technologies	15:46:53
18	referenced and described in the patent, correct?	15:46:56
19	A These are methods that -- that were used to	15:47:17
20	evaluate the technique -- that were used to determine	15:47:20
21	information that enabled us to -- to design systems	15:47:28
22	with respect to our speech enhancement technique.	15:47:32

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	Q	Did you intend for the reader to pick up	15:47:42
2		these articles for more information about the systems	15:47:45
3		used in your article? Or in your patent, excuse me.	15:47:48
4	MR. LENNIE:	Objection. Form.	15:47:52
5	A	They represented recommendations for things	15:48:03
6		to look at from the standpoint of providing some	15:48:06
7		context to understand the processing.	15:48:08
8	Q	Would you consider your patent in	15:48:14
9		combination with the references listed in columns 1	15:48:18
10		and 2 of your patent?	15:48:21
11	A	In what context?	15:48:27
12	Q	Did you expect the reader to consider your	15:48:35
13		patent in combination with the references listed in	15:48:37
14		columns 1 and 2 of your patent?	15:48:41
15	MR. LENNIE:	Objection. Form.	15:48:44
16	A	Did I expect the reader? Is that what --	15:48:46
17		I'm sorry, could you repeat the question?	15:48:49
18	Q	By listing these 20 references in columns 1	15:48:57
19		and 2 of your patent, was it your intention to allow	15:49:02
20		the reader to consider your patent in combination with	15:49:06
21		these references?	15:49:10
22	MR. LENNIE:	Objection. Form.	15:49:13

1 A The reason for combining references is 15:49:21
2 driven by the system implementer, the person who 15:49:28
3 chooses to build the system. 15:49:32

4 Q If you were a person of ordinary skill in 15:49:36
5 the art reading your patent, would you consider this 15:49:38
6 patent in combination with the 20 references listed in 15:49:44
7 columns 1 and 2 of the patent? 15:49:48

8 MR. LENNIE: Objection. Form. 15:49:51

9 A Again, to solve what problem? I -- I -- I 15:49:53
10 need more context to understand the nature of the 15:49:55
11 question. 15:49:58

12 Q Would you consider them together? 15:50:00

13 A I'm -- I'm not sure why I'd want to consider 15:50:03
14 them together. 15:50:06

15 Q If you wanted more information -- sorry, I 15:50:08
16 didn't mean to cut you off. 15:50:10

17 A Well, I -- I just -- I don't know why -- I 15:50:11
18 don't understand the reason why I'm wanting to 15:50:13
19 consider these together. The reason that you're 15:50:18
20 providing. 15:50:25

21 Q So looking in columns 1 and 2 of your 15:50:26
22 patent, there'd be no reason for you to pick up any of 15:50:29

1 the 20 references that are listed here and consider 15:50:34
2 them? 15:50:37

3 A The reasons to consider the combination of 15:50:42
4 these references or other technology that's not listed 15:50:44
5 here would not be found within this patent, it would 15:50:47
6 be found within the application to which this 15:50:50
7 particular technology would -- would be applied. 15:50:52

8 Q So if a patent like yours listed 20 15:50:58
9 references, you would not be motivated in any way to 15:51:01
10 pick up one of those references and read it? 15:51:06

11 MR. LENNIE: Objection. Form. 15:51:11

12 A Again, the motivation to pick up a reference 15:51:15
13 is driven by the application and the need of the 15:51:17
14 designer. 15:51:20

15 Q So if you wanted more information about a 15:51:25
16 technique described in your patent, you wouldn't go to 15:51:28
17 one of these 20 references to fill in those details? 15:51:31

18 A The patent employs references, it provides 15:51:44
19 background information. If a person looking at 15:51:48
20 technology needs the background information, they may 15:51:52
21 go to that to determine more information. That would 15:51:55
22 be a reasonable reason to go look at references. 15:51:58

1 That's a pretty common thing to do both in patents as 15:52:02
2 well as in technical papers. 15:52:06

3 Q If you wanted more information about a 15:52:09
4 technique described in the paper, you might consult 15:52:11
5 the references that are cited by the paper? 15:52:14

6 A That's correct. 15:52:17

7 Q If you look at the bottom of column 2? 15:52:24

8 A Uh-huh. 15:52:27

9 Q Lines approximately 60 to 65, you describe 15:52:27
10 spectral subtraction? 15:52:38

11 A (Reviewing.) 15:52:43

12 Uh-huh, yes. 15:52:46

13 Q Do you agree that spectral subtraction is a 15:52:47
14 simple and popular single-channel speech enhancement 15:52:49
15 technique? 15:52:54

16 A Yes. 15:52:54

17 MR. LENNIE: Objection. Form. 15:52:59

18 A It is a simple and popular technique. It 15:53:00
19 can be used in various applications. It depends on 15:53:03
20 the application. 15:53:08

21 Q You agree that spectral subtraction is a 15:53:10
22 popular technique? 15:53:12

1	MR. LENNIE: Objection. Form.	15:53:14
2	A It can be used in many different contexts,	15:53:30
3	many different systems. The type of systems that	15:53:33
4	would use them, you know, would -- they would have to	15:53:38
5	have a need for them, but it is a technique that is	15:53:44
6	used.	15:53:47
7	Q In your patent you state that spectral	15:53:54
8	subtraction is a popular speech enhancement technique,	15:53:57
9	correct?	15:54:01
10	A It states that, "Spectral subtraction is a	15:54:08
11	simple and popular single channel speech enhancement	15:54:10
12	technique that achieved marked reduction in background	15:54:13
13	noise."	15:54:21
14	Q Are you able to answer my question, that	15:54:26
15	spectral subtraction -- whether spectral subtraction	15:54:30
16	is a popular technique with a yes or no answer?	15:54:31
17	MR. LENNIE: Objection. Form.	15:54:38
18	A Without having some information about the	15:54:43
19	context or the types of systems in which it's in, it's	15:54:45
20	not clear that one could easily say yes or no to that	15:54:49
21	question.	15:54:52
22	Q And in your patent you cite to Boll for	15:55:12

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 information about spectral subtraction; is that 15:55:18
2 correct? 15:55:20
3 A Yes, I do. 15:55:21
4 Q So a reader who might want more information 15:55:23
5 about spectral subtraction might go look at Boll? 15:55:27
6 A Within this particular patent and technique, 15:55:39
7 it certainly would make sense in particular because 15:55:42
8 we've referenced it. 15:55:45
9 Q If you had not referenced the Boll article, 15:56:00
10 would a person of ordinary skill in the art had reason 15:56:05
11 to go look at the Boll article for more information 15:56:09
12 about spectral subtraction? 15:56:12
13 MR. LENNIE: Objection. Form. 15:56:15
14 A Again, in what context? I want to be 15:56:16
15 precise. 15:56:19
16 Q The context is reading your patent. 15:56:19
17 A Okay. 15:56:22
18 Q We're deleting the reference to Boll. 15:56:22
19 If a person of ordinary skill in the art 15:56:24
20 wanted to know more about spectral subtraction as 15:56:28
21 described here in column 2 of your patent, would they 15:56:31
22 have had reason to go look at the Boll article? 15:56:35

1 A This particular spatio-temporal speech 15:56:47
2 enhancement technique is not focused on spectral 15:56:50
3 subtraction. There's no particular feature or need 15:56:54
4 for it with respect to its processing. 15:56:59

5 If the reference weren't there, it wouldn't 15:57:02
6 be the case that someone would say, I need to go to 15:57:05
7 that particular reference in order to figure out that 15:57:09
8 type of system. 15:57:12

9 What I mean is that particular reference, 15:57:21
10 assuming that it's not there. 15:57:23

11 Q Why did you choose to cite to the Boll 15:57:29
12 article for more information about spectral 15:57:32
13 subtraction? 15:57:34

14 A It was one of the first articles on spectral 15:57:36
15 subtraction using digital techniques to be published. 15:57:39

16 Q You selecting Boll as the reference for more 15:57:47
17 information about spectral subtraction seemed like a 15:57:50
18 natural choice? 15:57:54

19 A For purposes of describing the methods in 15:58:00
20 the introductory portion of this particular patent, it 15:58:04
21 made sense to provide a foundational reference in 15:58:08
22 order to orient the reader on the general set of 15:58:12

1 techniques associated with spectral subtraction. 15:58:15

2 Q You agree that the Boll article is a 15:58:19

3 foundational reference that describes spectral 15:58:22

4 subtraction? 15:58:25

5 A It is a reference, as I said, that is one of 15:58:29

6 the first that provides implementation methods using 15:58:33

7 digital techniques. 15:58:39

8 Q Is the Boll article well known in your 15:58:40

9 field? 15:58:53

10 A So my research, I work on various problems 15:59:04

11 in terms of the types of things that I process. With 15:59:08

12 respect to audio signals, there's work I do in 15:59:11

13 microphone arrays, I've also done work in active noise 15:59:16

14 control and adaptive filters. Depending upon the 15:59:19

15 application, it may or may not require knowledge of 15:59:22

16 Boll in order to implement things. 15:59:28

17 Certainly it may not be the case that people 15:59:30

18 working in these fields would necessarily go to Boll 15:59:34

19 because spectral subtraction may not be important to 15:59:39

20 them. 15:59:41

21 Q If a paper referenced spectral subtraction 15:59:59

22 in combination with a noise reduction technique, would 16:00:03

1	you consider going to look at the Boll article?	16:00:08
2	MR. LENNIE: Objection. Form.	16:00:12
3	A So you used the words "the paper referenced	16:00:14
4	spectral subtraction," and I want to make sure that	16:00:19
5	it's clear that we're not talking about a reference,	16:00:21
6	we're talking about mention, for example.	16:00:24
7	Q Right. So for example, the Martin 1994	16:00:29
8	article has the -- uses the term "spectral	16:00:32
9	subtraction," correct?	16:00:35
10	A Correct.	16:00:36
11	Q Based on Martin 1994's reference to spectral	16:00:39
12	subtraction, might you go look at the Boll article	16:00:42
13	about spectral subtraction?	16:00:46
14	MR. LENNIE: Objection. Form.	16:00:49
15	A If you're talking about the implementation	16:00:51
16	of the method in Martin --	16:00:53
17	Q I'm not talking about the implementation	16:00:55
18	method in Martin.	16:00:58
19	A Okay.	16:00:58
20	Q As a person of ordinary skill reading	16:00:59
21	Martin --	16:01:01
22	A Yes.	16:01:02

1 Q -- you see the words "spectral subtraction," 16:01:02
2 correct? 16:01:04
3 A I do. 16:01:05
4 Q And if you were not completely familiar with 16:01:06
5 that technique, you might go look at the Boll article 16:01:10
6 for more information about it, correct? 16:01:13
7 MR. LENNIE: Objection. Form. 16:01:15
8 A Well, the Boll article would be one of 16:01:17
9 several that I might consider. It wouldn't be a 16:01:22
10 necessary thing that I'd have to go to. 16:01:26
11 Q But you might consider it? 16:01:29
12 A Again, I would have to have a need to do so, 16:01:36
13 and that need would have to be driven by something in 16:01:38
14 an application. Again, hypothetically speaking. It's 16:01:42
15 not clear what exactly is going to be required when 16:01:45
16 you're trying to build a system to solve a particular 16:01:48
17 problem. 16:01:51
18 Q Do you know what a whitening filter is? 16:01:56
19 A I do. 16:02:00
20 Q Does a whitening filter spread the spectrum 16:02:01
21 of a microphone signal more evenly across the 16:02:04
22 bandwidth of the microphone signal? 16:02:08

1 A Is there particular language that you're 16:02:12
2 pointing to? 16:02:14

3 Q Your patent discusses a whitening filter, 16:02:17
4 and I am asking you if I'm accurately describing what 16:02:21
5 a whitening filter is? 16:02:24

6 A Ah, okay. So you're saying in the context 16:02:26
7 of my patent. 16:02:29

8 Q Does the term "whitening filter" have a 16:02:31
9 meaning to you? 16:02:32

10 A It does. 16:02:33

11 Q What is a whitening filter? Let's talk 16:02:36
12 about generally -- 16:02:40

13 A Sure. 16:02:41

14 Q -- instead of in the context of your patent. 16:02:42
15 Can you -- sorry. 16:02:44

16 A Generally, a white -- it depends on the 16:02:46
17 nature of the signals being processed and also the 16:02:47
18 underlying assumptions of those signals. 16:02:53

19 Whitening, in the context of signal 16:02:56
20 processing, could be over time, it could actually be 16:02:59
21 over channel as well. It generally involves taking a 16:03:04
22 system and making the power or level of a signal in 16:03:12

1 the system more or less equal across different 16:03:20
2 channels and across different samples and/or 16:03:25
3 frequencies, as it turns out. It depends on the 16:03:28
4 resulting system. 16:03:32
5 MR. BROUGHAN: Do you want to take a quick 16:03:48
6 break? 16:03:49
7 THE WITNESS: Sure, we can take a break. 16:03:51
8 THE VIDEOGRAPHER: Going off the record. 16:03:52
9 The time is 4:04. 16:03:52
10 (A recess was taken.) 16:03:54
11 THE VIDEOGRAPHER: Here begins Tape No. 4. 16:20:44
12 We're back on the record at 4:20. 16:20:45
13 BY MR. BROUGHAN: 16:20:51
14 Q Would you get out the '345 patent, please. 16:20:51
15 A Okay. 16:20:54
16 Q And look at claim 1? 16:21:02
17 A Uh-huh. 16:21:06
18 Q Claim 1 recites three limitations, correct? 16:21:10
19 A It has, "An apparatus for canceling noise, 16:21:17
20 comprising" and then three different sections below 16:21:20
21 that. 16:21:24
22 Q You agree that an apparatus must include all 16:21:26

1 three limitations to anticipate claim 1? 16:21:30

2 A Yes. 16:21:38

3 Q You agree that if an apparatus does not 16:21:43

4 contain one of the limitations it does not anticipate 16:21:46

5 claim 1? 16:21:50

6 A Yes. And if it doesn't include 2 and if it 16:21:54

7 doesn't include 3. 16:22:02

8 Q Prior to this patent, an apparatus for 16:22:14

9 canceling noise existed; is that correct? 16:22:17

10 A There were systems for canceling noise, yes. 16:22:21

11 Q Andrea doesn't have a patent on all systems 16:22:26

12 for canceling noise, correct? 16:22:29

13 A All systems that cancel noise. Can you give 16:22:34

14 me a context? That's pretty broad. 16:22:38

15 Q There can exist an apparatus that cancels 16:22:40

16 noise that does not practice claim 1 of the '345 16:22:43

17 patent? 16:22:48

18 A Correct. Yes. 16:22:54

19 Q Andrea didn't invent the concept of spectral 16:23:14

20 subtraction, did it? 16:23:16

21 A Again, the concept of spectral subtraction 16:23:17

22 is fairly broad. 16:23:24

1	Q	Boll describes spectral subtraction,	16:23:27
2		correct?	16:23:28
3	A	Boll describes a technique for spectral	16:23:30
4		subtraction. I think the idea of having a concept of	16:23:32
5		a spectral subtraction is perhaps even broader than	16:23:36
6		that.	16:23:40
7	Q	Claim 1 doesn't cover the Boll patent --	16:23:41
8		strike that.	16:23:44
9		Claim 1 doesn't cover the Boll reference,	16:23:46
10		does it?	16:23:48
11	A	Yeah, again, what do you mean by "cover"?	16:23:55
12	Q	Boll doesn't anticipate claim 1 of the '345	16:23:57
13		patent, in your opinion?	16:24:01
14	A	Boll does not anticipate claim 1 associated	16:24:13
15		with the system.	16:24:16
16	Q	Does Boll anticipate any other claim of the	16:24:18
17		'345 patent?	16:24:22
18	A	Sorry, I want to make sure that I have --	16:24:41
19	Q	Do you think you offered an opinion that	16:24:43
20		Boll anticipated claim 1 or any of the claims of the	16:24:45
21		'345 patent?	16:24:49
22	A	No, I did not.	16:24:49

1 Q Okay. 16:24:51

2 A But I wanted to make sure that I had the 16:24:51

3 sections available should you -- should you become 16:24:53

4 more specific in your -- in your desire for 16:24:55

5 information. 16:25:00

6 Q Andrea didn't invent every sys- -- strike 16:25:11

7 that. 16:25:14

8 Claim 1 of the '345 patent covers a system 16:25:23

9 that in part estimates a noise -- estimates noise, 16:25:27

10 correct? 16:25:32

11 A It certainly uses a threshold detector for 16:25:40

12 setting a threshold for each frequency bin using a 16:25:46

13 noise estimation process. 16:25:48

14 Q Systems can use a noise estimation process 16:25:55

15 without practicing claim 1 of the '345 patent? 16:25:57

16 A A noise estimation process is useful for 16:26:07

17 many different types of systems. A system can use 16:26:10

18 noise estimation in its processing, certainly. 16:26:13

19 Q And some of those systems do not practice 16:26:17

20 claim 1 of the '345 patent, correct? 16:26:20

21 A Certainly some of those systems can. 16:26:26

22 Q Some of those systems do not practice claim 16:26:34

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 1 of the '345 patent? 16:26:36

2 A Again, some of those systems can. We -- 16:26:40

3 we'd have to look at particular systems in order to 16:26:43

4 decide whether it does or it doesn't. 16:26:45

5 Q So if a system estimates noise, it 16:26:49

6 necessarily practices claim 1 of the '345 patent? 16:26:54

7 MR. LENNIE: Objection. Form. 16:26:57

8 A No. It's not the case that existence of a 16:27:00

9 noise estimator implies that it's practicing claim 1. 16:27:04

10 The noise estimation process is used to set a 16:27:10

11 threshold detector for -- sorry, it's used in a 16:27:14

12 threshold detector for setting a threshold for each 16:27:16

13 frequency bin in claim 1. 16:27:21

14 Q Could a prior art reference disclose a 16:27:26

15 threshold yet not disclose the threshold detector of 16:27:29

16 claim 1? 16:27:34

17 A The question -- it's a hypothetical question 16:27:44

18 because it's not clear -- I would have to see the 16:27:46

19 language of the particular reference to decide what 16:27:49

20 "threshold" means. 16:27:52

21 A threshold detector is pretty clear. The 16:27:55

22 term "threshold" is -- is something that's used in a 16:27:57

1 way specifically in the '345 patent. I certainly 16:28:01
2 wouldn't want to claim that somebody using a word 16:28:06
3 means that they have a particular feature of '345. 16:28:08
4 Q The Hirsch article describes an adaptive 16:28:14
5 threshold, correct? You don't have it. 16:28:17
6 MR. BROUGHAN: Please mark this as the next 16:28:40
7 exhibit. 16:28:41
8 (Exhibit 12 was marked for identification 16:28:42
9 and is attached to the transcript.) 16:28:43
10 Q If you look on the right-hand column, middle 16:28:47
11 paragraph, "In contrast to these approaches an 16:28:49
12 adaptive threshold is introduced here." 16:28:53
13 A Yes. That -- that is what Hirsch says in 16:28:58
14 his paper. 16:29:02
15 Q Is it your opinion that Hirsch does not 16:29:12
16 disclose the thresh- -- the threshold detector of 16:29:14
17 claim 1 of the '345 patent? 16:29:18
18 A It does not disclose the threshold detector 16:29:20
19 of claim 1. 16:29:23
20 Q A reference could use a threshold yet not 16:29:27
21 disclose the threshold detector of claim 1? 16:29:32
22 A The threshold detector of claim 1 has 16:29:35

1 additional limitations for setting a threshold for 16:29:39

2 each frequency bin using a noise estimation process. 16:29:41

3 Q So if you set a threshold without using a 16:29:44

4 noise estimation process, that would not practice 16:29:47

5 claim 1 of the '345 patent? 16:29:50

6 MR. LENNIE: Objection. Form. 16:29:55

7 A Just because one particular feature -- I'm 16:29:58

8 sorry, could you repeat the question? 16:30:04

9 Q If a reference set a threshold without using 16:30:07

10 a noise estimation process, would that reference 16:30:10

11 disclose claim 1 of the '345 patent? 16:30:13

12 MR. LENNIE: Same objection. 16:30:21

13 A I'm sorry, if you could repeat the question, 16:30:46

14 I apologize. It's legal terminology and I want to 16:30:48

15 make sure that I'm understanding it correctly. 16:30:51

16 Q If a reference disclosed a system that set a 16:30:53

17 threshold without using a noise estimation process, 16:30:58

18 would that reference disclose claim 1 of the '345 16:31:02

19 patent? 16:31:05

20 MR. LENNIE: Objection. Form. 16:31:08

21 A Again, we're speaking in a hypothetical way. 16:31:13

22 One would have to examine the reference to determine 16:31:18

1 that the reference is implementing a threshold 16:31:22
2 detector for setting a threshold for each frequency 16:31:25
3 bin using a noise estimation process and, in addition, 16:31:29
4 having these other limitations. 16:31:31

5 It would also have the other limitations as 16:31:35
6 well in terms of the input -- for inputting the 16:31:37
7 system -- or signal as well as a frequency spectrum 16:31:42
8 generator. 16:31:44

9 Q Claim 1 requires a threshold to be set using 16:31:48
10 a noise estimation process? 16:31:51

11 A It requires setting a threshold for each 16:32:01
12 frequency bin using a noise estimation process. 16:32:04

13 Q If for each frequency bin the threshold was 16:32:06
14 always set to a particular value, would that disclose 16:32:10
15 setting a threshold using a noise estimation process? 16:32:15

16 MR. LENNIE: Objection. Form. 16:32:18

17 A I don't understand the operation of setting 16:32:20
18 a threshold to a particular value. Without some form 16:32:23
19 of context, I can't really evaluate the question. 16:32:27

20 Q If you look at Hirsch, it sets an adaptive 16:32:35
21 threshold for each frequency bin, correct? 16:32:41

22 A It sets a threshold. 16:32:50

1	Q	Hirsch calls it an adaptive threshold.	16:32:54
2	A	Correct, he calls it an adaptive threshold,	16:32:57
3		yes.	16:33:00
4	Q	And the adaptive threshold is set as the	16:33:00
5		noise estimate N_i hat (k) times an overestimation	16:33:04
6		factor β , correct?	16:33:12
7	A	No. The threshold is N_i hat $(k - 1)$ times	16:33:17
8		β . The value is used to determine when the actual	16:33:25
9		spectral component $X(i)$ exceeds the threshold to	16:33:34
10		determine a rough detection of speech. And it stops	16:33:39
11		the recursive accumulation.	16:33:43
12	Q	What is N_i hat $(k - 1)$?	16:33:45
13	A	N_i hat k is an estimation of the noise	16:33:54
14		magnitude. N_i hat $(k - 1)$ is its previous value.	16:33:58
15	Q	If the threshold is β times the noise	16:34:08
16		estimate, you agree that the threshold is set using a	16:34:11
17		noise estimation process?	16:34:15
18		MR. LENNIE: Objection. Form.	16:34:20
19	A	To understand how Hirsch works, he has a	16:34:26
20		statement, "The simple processing is illustrated in	16:34:31
21		figure 1 as part of a complete noise reduction	16:34:33
22		scheme," as part of that paragraph.	16:34:36

1	Q	Uh-huh.	16:34:38
2	A	And I'm informed about how it works by	16:34:38
3		looking at Figure 1.	16:34:42
4	Q	Are you informed about how it works by the	16:34:46
5		text in column 2 on Page 153 of Hirsch?	16:34:48
6	A	Yes, but to understand it fully, Figure 1	16:34:52
7		enables me to determine what is meant by the	16:34:57
8		description in the paper.	16:35:01
9	Q	If you stay on Page 153, the bottom	16:35:12
10		right-hand column?	16:35:17
11	A	Uh-huh.	16:35:20
12	Q	Beta is an overestimation factor; is that	16:35:22
13		correct?	16:35:25
14	A	He refers to it as an overestimation factor,	16:35:26
15		beta.	16:35:30
16	Q	And he multiplies $N_{hat i}$ by the	16:35:31
17		overestimation factor beta?	16:35:34
18	A	He does.	16:35:37
19	Q	And Hirsch subtracts from X_i the value of	16:35:42
20		beta times $N_{hat i}$, correct?	16:35:50
21	A	That is correct; although, to be clear, the	16:35:57
22		quantity being subtracted is beta times $N_{hat i}(k-1)$.	16:36:02

1 This is what Figure 1 shows on the next page. 16:36:09

2 Q In your view, is beta times \hat{N}_i (k -1) a 16:36:18

3 threshold within the meaning of the '345 claim 1? 16:36:22

4 A No, it's not. 16:36:27

5 Q Why not? 16:36:30

6 A Well, it's being used to subtract a noise 16:36:41

7 estimate from $X(i)$ to produce an estimate S_i -- of 16:36:48

8 \hat{N}_i in this case -- of the clean speech, as he 16:36:54

9 describes in the text as well. But as shown in Figure 16:36:59

10 1. 16:37:02

11 The test that he uses is to determine 16:37:05

12 whether X_i minus beta times \hat{N}_i (k -1) is greater 16:37:09

13 than zero. And this is the test that he uses, at 16:37:19

14 which point this detects the onset of speech. 16:37:22

15 Q In that test, is beta times \hat{N}_i and k -1 16:37:27

16 a threshold? 16:37:35

17 A It's not. It's being used to subtract -- 16:37:39

18 sorry. It's being used to subtract from X_i , and 16:37:41

19 it is a value that is employed to both determine 16:37:49

20 whether the system stops adjusting, thereby stopping 16:37:56

21 the method of estimation, and to remove the resulting 16:38:02

22 value from the original spectral magnitude to estimate 16:38:08

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 the clean speech. 16:38:14

2 Q Hirsch removes the value $\beta \times \hat{N}_i$ 16:38:17

3 k^{-1} from the signal magnitude X_i , correct? 16:38:21

4 A He does. 16:38:28

5 Q And then he compares that value to zero, 16:38:29

6 correct? 16:38:33

7 A He does. 16:38:34

8 Q In that comparison, is zero a threshold 16:38:37

9 within the meaning of the '345 claim 1? 16:38:41

10 A It's not because zero is not set using the 16:38:49

11 noise estimation process. Zero is zero. 16:38:53

12 Q So Hirsch describes two algorithms for 16:39:07

13 estimating the noise level in a signal, correct? 16:39:12

14 A He has a second approach as well. 16:39:20

15 Q The first approach is called the weighted 16:39:26

16 average approach? I'll direct you to -- 16:39:29

17 A Yes. 16:39:37

18 Q -- the Introduction section. 16:39:38

19 A He refers to the first approach as the 16:39:39

20 weighted average approach and the second, a histogram 16:39:41

21 technique. 16:39:45

22 Q If you turn to Page 154, there's a heading 16:39:51

1 "Recognition of Noise Data"? 16:39:57

2 A I see it. 16:40:02

3 Q All right. Hirsch is describing a series of 16:40:03

4 experiments involving speech recognition in this 16:40:08

5 section, correct? 16:40:13

6 A Yes, he's -- he's looking at the problem of 16:40:21

7 speech recognition using hidden Markov models. That's 16:40:24

8 what "HMM" means. 16:40:30

9 Q And near the end of this last paragraph on 16:40:31

10 Page 154 he states, "Both above mentioned estimation 16:40:33

11 techniques are applied to the nonlinear spectral 16:40:38

12 subtraction as a preprocessing step to recognition." 16:40:42

13 Correct? 16:40:46

14 A Yes, I can see that. He states this. 16:40:47

15 Q So Hirsch combined his algorithms with a 16:40:50

16 speech recognition system? 16:40:56

17 A He -- it appears that he did and he reports 16:40:59

18 on it. 16:41:02

19 Q If you flip over to Page 155. Below the 16:41:03

20 figure? 16:41:09

21 A Uh-huh. 16:41:10

22 Q Hirsch is describing improvements that were 16:41:15

1 made to the noise recognition -- strike that. 16:41:18

2 Hirsch is describing improvements that were 16:41:21

3 made to the speech recognition system? 16:41:23

4 A He is describing improvements that can be 16:41:28

5 achieved. 16:41:31

6 Q And they can be achieved by integrating his 16:41:33

7 noise estimation techniques into those systems, 16:41:35

8 according to him, correct? 16:41:38

9 A He is; although, he's also stating the 16:41:49

10 detection of speech pauses as implemented to obtain 16:41:52

11 these results. So he's doing multiple modifications. 16:41:55

12 Q And Hirsch says, "The detection is based on 16:42:01

13 the evaluation of all the SNRs and all subbands." 16:42:04

14 What does "SNRs" mean in that sentence? 16:42:09

15 A It means signal-to-noise-ratios. He -- 16:42:17

16 typically when you're using an acronym, one would 16:42:21

17 actually use it before and define it, but "SNR" 16:42:24

18 generally means signal-to-noise-ratio. 16:42:28

19 Q And he's describing signal-to-noise-ratio as 16:42:31

20 the ratio of N divided by X? 16:42:41

21 A It's not clear. He hasn't actually given an 16:42:45

22 equation for it here. 16:42:49

1 Q If you read the -- it's right below the 16:42:50
2 figure, the last sentence before equation 3. "A 16:42:54
3 relative measure of NXrel of the ratio N divided by X 16:42:57
4 (noise to noise & signal) is calculated for each 16:43:01
5 subband." 16:43:05
6 A Ah, yes. Actually, he -- he's defined an 16:43:05
7 Xrel, which is noise to noise and signal. This is a 16:43:12
8 little different than signal to noise. So he's been 16:43:20
9 rather specific in the equation -- in the 16:43:25
10 specification of what NXrel means here. 16:43:28
11 Q If you follow after equation 3 he states 16:43:46
12 that the values NXmin and NXmax are determined from 16:43:49
13 past segments of about 600 milliseconds? 16:43:54
14 A I see that. 16:43:58
15 Q So Hirsch has integrated his algorithm into 16:44:00
16 a system that calculates the noise estimate from past 16:44:03
17 segments of about 600 milliseconds? 16:44:08
18 A Again, it's not clear from the description 16:44:17
19 here that he has. The fact that he's mentioned the 16:44:19
20 detection of speech pauses and he said that he needs 16:44:24
21 that to obtain these results, I'm not sure if the 16:44:28
22 speech pauses are important, and the detection of 16:44:32

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 those speech pauses are important to obtain what 16:44:36

2 he's -- what he's produced here. 16:44:38

3 Q If you look back to claim 1 of the '345 16:45:26

4 patent. What does it mean to "thereby detecting the 16:45:29

5 position of noise elements for each frequency bin"? 16:45:38

6 A (Reviewing.) 16:45:54

7 The '345 patent describes an interpretation 16:46:03

8 of this particular statement in the specification. It 16:46:10

9 says, "In the preferred embodiment" -- and I'm reading 16:46:14

10 from column 3, line 28 -- "the present invention 16:46:17

11 obviates the need for a voice switch by precisely 16:46:20

12 determining the non-speech segments using a separate 16:46:24

13 threshold detector for each frequency bin. The 16:46:27

14 threshold detector precisely detects the positions of 16:46:30

15 the noise elements, even within continuous speech 16:46:33

16 segments, or by determining whether frequency spectrum 16:46:37

17 elements, or bins, of the input signal are within a 16:46:40

18 threshold set ..." 16:46:45

19 And we could talk about how it's set. 16:46:46

20 Q That's your understanding of what "detecting 16:46:48

21 the position of noise elements for each frequency bin" 16:46:51

22 means? 16:46:54

1 A That's what's described in the preferred 16:46:55
2 embodiment in the '345 patent. It shows an example of 16:46:57
3 how the detection could be done. 16:47:00

4 Q Does detecting the position of noise 16:47:03
5 elements for each frequency bin require a binary 16:47:05
6 decision as to whether the frequency bin contains 16:47:08
7 noise or not? 16:47:11

8 MR. LENNIE: Objection. Form. 16:47:14

9 A A threshold detector is generally a decision 16:47:33
10 and that decision is -- a decision is generally binary 16:47:35
11 valued. It's not restricted to a single binary value 16:47:42
12 from the standpoint of having a threshold detector -- 16:47:48
13 sorry, having a threshold detector has a single binary 16:47:52
14 value, but the presence of a sing- -- of a threshold 16:47:58
15 detector is what's needed for '345 patent. 16:48:06

16 Q For a threshold detector to detect the 16:48:09
17 position of noise elements for each frequency bin, 16:48:12
18 does it need to make a noise/no noise decision with 16:48:15
19 respect to each frequency bin? 16:48:19

20 MR. LENNIE: Objection. Form. 16:48:23

21 A It needs to make a noise/no noise decision 16:48:27
22 and be able to use that. It could use a system which 16:48:35

1 calculates a noise decision. 16:48:51

2 Q What is a system that calculates -- 16:49:00

3 A Sorry, I'm not done yet. 16:49:02

4 Q Sorry. 16:49:04

5 A Depending upon different thresholds. So it 16:49:05

6 could include a system which has a threshold detector 16:49:10

7 but in combination with a -- for example, a second 16:49:22

8 threshold detector. 16:49:26

9 Q Does the threshold detector -- strike that. 16:49:40

10 Does the threshold detector of claim 1 of 16:49:55

11 the '345 patent require the use of a single threshold? 16:49:58

12 A Again, I would have to have some context; 16:50:09

13 i.e., something to look at to decide. One can't 16:50:13

14 simply say yes, it must be one. One has to see the 16:50:19

15 nature of the system and how it processes the 16:50:23

16 resulting signals in order to make that determination. 16:50:26

17 Q Does the apparatus of claim 1 require 16:50:51

18 setting a single threshold for each frequency bin 16:50:57

19 using a noise estimation process? 16:51:02

20 MR. LENNIE: Objection. Form. 16:51:06

21 A Again, one would want to look at what the 16:51:12

22 system is to try to make a decision, but it does not 16:51:14

1 require a single threshold. 16:51:17

2 Q Claim 1 of the '345 patent does not require 16:51:22

3 a single threshold that is set using a noise 16:51:25

4 estimation process. 16:51:28

5 MR. LENNIE: Objection. Form. Is that a 16:51:31

6 question? 16:51:36

7 MR. BROUGHAN: It's a bad question. Let me 16:51:37

8 strike that. 16:51:39

9 A Yeah, I'm -- I'm trying to interpret -- 16:51:39

10 Q Yeah, I'll -- 16:51:41

11 A Okay, thank you. 16:51:42

12 Q I will attempt to fix it. 16:51:50

13 Earlier you testified that claim 4 was 16:51:55

14 limited to a system that had a single future minimum 16:51:58

15 value. Is that correct? 16:52:03

16 A It was in the context of the analysis of one 16:52:15

17 of the Martin references where we were considering how 16:52:21

18 that processing was being done. In the context of 16:52:25

19 that processing, the multiple values that were being 16:52:30

20 computed, none of those were future minimum. 16:52:33

21 Q The term "a future minimum value" in claim 4 16:52:45

22 is not limited to being a single future minimum value, 16:52:49

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	correct?	16:52:52
2	MR. LENNIE: Objection. Form.	16:53:01
3	A (Reviewing.)	16:53:19
4	With regard to claim 4, the current minimum	16:53:28
5	value is being derived in accordance with a future	16:53:32
6	minimum value. So there's a correspondence between a	16:53:34
7	current minimum value and a future minimum value.	16:53:39
8	Q Do you have an opinion as to whether claim 4	16:53:46
9	of the '345 patent is limited to requiring a single	16:53:49
10	future minimum value?	16:53:55
11	MR. LENNIE: Objection. Form.	16:53:57
12	A There is a correspondence between the	16:54:02
13	current minimum value and a future minimum value.	16:54:04
14	Q So because there's the correspondence to the	16:54:06
15	future minimum value and the current minimum value, in	16:54:09
16	your view, that means there's one of each?	16:54:13
17	MR. LENNIE: Objection. Form.	16:54:16
18	A It's -- there may not necessarily be one of	16:54:17
19	each, but for each current minimum value there is a	16:54:33
20	corresponding future minimum value.	16:54:37
21	Q You agree, then, that claim 4 of the '345	16:54:46
22	patent covers a system with multiple future minimum	16:54:48

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	values?	16:54:53
2	MR. LENNIE: Objection. Form.	16:54:56
3	A Again, I would have to examine what that	16:54:57
4	system is. It's unclear the operation of the system	16:55:01
5	speaking hypothetically.	16:55:06
6	Q Based on the language of claim 4 of the '345	16:55:14
7	patent, you cannot say whether it encompasses a system	16:55:17
8	that uses more than one future minimum value?	16:55:21
9	MR. LENNIE: Objection. Form.	16:55:26
10	A The system must have a current minimum value	16:55:37
11	and it must be derived in accordance with a future	16:55:40
12	minimum value. So it must have a current minimum	16:55:43
13	value. There is a correspondence to the future	16:55:47
14	minimum value which is being used to derive the	16:55:50
15	current minimum value.	16:55:55
16	Q So claim 4 is limited to a system that has a	16:56:02
17	single current minimum value and a single future	16:56:07
18	minimum value?	16:56:10
19	A No --	16:56:12
20	MR. LENNIE: Objection. Form.	16:56:14
21	A -- no. Claim 4 describes a system where a	16:56:14
22	threshold detector of the system sets the threshold	16:56:24

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	for each frequency bin in accordance with a current	16:56:27
2	minimum value, said current minimum value being	16:56:30
3	derived in accordance with a future minimum value.	16:56:34
4	There is a correspondence between a current minimum	16:56:38
5	value and its corresponding future minimum value.	16:56:42
6	Q Claim 4 requires a single threshold, a	16:56:48
7	single current minimum value, and a single future	16:56:51
8	minimum value?	16:56:54
9	MR. LENNIE: Objection. Form.	16:56:55
10	A Again, there's no -- there's not a term here	16:56:58
11	that says "single" in the resulting claim, so I don't	16:57:01
12	understand why that particular word is being used	16:57:06
13	here.	16:57:08
14	Q The teachings of the '345 patent require	16:57:19
15	that the current minimum for each frequency bin be	16:57:23
16	derived in accordance with a single future minimum of	16:57:25
17	the corresponding frequency bin.	16:57:29
18	Do you agree with that statement?	16:57:32
19	MR. LENNIE: Objection. Form.	16:57:35
20	A The '345 patent describes an embodiment of	16:57:39
21	the invention in which there is a single future	16:57:43
22	minimum for a particular frequency bin being derived	16:57:49

1 in accordance with its corresponding future minimum 16:57:52
2 value. 16:57:55

3 Q Claim 1 of the '345 patent specifies 16:58:26
4 detecting the position of noise elements for each 16:58:29
5 frequency bin. 16:58:32

6 Is that element satisfied by detecting the 16:58:33
7 position of speech elements for each frequency bin? 16:58:36

8 MR. LENNIE: Objection. Form. 16:58:45

9 A Detecting speech and detecting noise are 16:58:52
10 different. A system that detects speech is not one 16:58:55
11 that then necessarily is detecting noise and vice 16:59:00
12 versa. 16:59:05

13 Q Detecting the position of speech elements is 16:59:06
14 different than detecting the position of noise 16:59:08
15 elements in your view? 16:59:10

16 A Generally, yes. It would depend on the 16:59:13
17 resulting system in terms of providing some additional 16:59:15
18 context in order to evaluate the statement. 16:59:22

19 Q The Hirsch article describes detecting the 16:59:45
20 onset of speech? 16:59:49

21 A Yes. 16:59:53

22 Q When Hirsch detects the onset of speech, is 16:59:56

1 that the same as detecting the position of noise? 16:59:59

2 A No, it's not. 17:00:04

3 Q Prior to when Hirsch detects the onset -- 17:00:11

4 strike that. 17:00:13

5 Does claim 1 of the '345 patent require 17:00:43

6 subtracting noise from each frequency bin? 17:00:47

7 MR. LENNIE: Objection. Form. 17:00:54

8 A Claim 1 requires an apparatus for canceling 17:01:01

9 noise, and the elements for the noise cancellation are 17:01:04

10 here indicated, as we've discussed. 17:01:09

11 Q So claim 1 specifies an input for inputting 17:01:14

12 an audio signal, correct? 17:01:19

13 A It does. 17:01:22

14 Q And it specifies a frequency spectrum 17:01:22

15 generator for turning the audio signal into a 17:01:26

16 frequency spectrum, correct? 17:01:30

17 A For generating the frequency spectrum of 17:01:31

18 said audio signal. 17:01:36

19 Q Then it specifies a threshold detector, 17:01:37

20 correct? 17:01:40

21 A "A threshold detector for setting a 17:01:40

22 threshold for each frequency bin using a noise 17:01:44

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 estimation process" and then continuing with respect 17:01:47
2 to the language of the claim. 17:01:48
3 Q So claim 1 requires detecting the position 17:01:51
4 of noise but it does not require removing the noise? 17:01:54
5 A It requires an apparatus for canceling 17:02:02
6 noise. 17:02:05
7 Q It is not necessary to remove noise from the 17:02:06
8 signal to practice claim 1 of the '345 patent? 17:02:13
9 MR. LENNIE: Objection. Form. 17:02:20
10 A I'm trying to understand what you mean by 17:02:21
11 "remove." Is there a context that you can give the 17:02:25
12 term in? Because it's an apparatus for canceling 17:02:28
13 noise. 17:02:31
14 Q Does claim 1 of the '345 patent require 17:02:41
15 canceling noise? 17:02:43
16 A It requires an apparatus for canceling 17:02:47
17 noise. 17:02:52
18 Q Do one of the three elements of claim 1 17:02:53
19 specify the step of canceling noise? 17:02:56
20 A Those three steps do not indicate how the 17:03:08
21 noise is canceled in the apparatus for canceling 17:03:15
22 noise. 17:03:20

1	Q	Practicing those three steps would not	17:03:20
2		result in canceling noise?	17:03:23
3	MR. LENNIE:	Objection. Form.	17:03:27
4	A	Those three steps could be used in an	17:03:29
5		apparatus for canceling noise, which is what the claim	17:03:32
6		is about.	17:03:35
7	Q	Claim 13 specifies the apparatus of claim 1,	17:03:36
8		further comprising a subtractor for subtracting the	17:03:38
9		noise elements, and it goes on.	17:03:42
10	A	Yes.	17:03:44
11	Q	Is that correct?	17:03:45
12	A	I see that.	17:03:45
13	Q	Claim 13 would result in canceling noise,	17:03:48
14		correct?	17:03:57
15	A	It is a system that would have a subtractor	17:03:58
16		for subtracting said noise elements estimated at said	17:04:02
17		positions determined by said threshold detector.	17:04:05
18	Q	Would it cancel noise?	17:04:09
19	MR. LENNIE:	Objection. Form.	17:04:10
20	A	Again, an apparatus for canceling noise	17:04:22
21		employing both the -- the limitations in claim 1 and	17:04:30
22		in claim 12 would operate on a signal to -- again,	17:04:35

1 cancel noise -- one would have to look at the 17:04:41
2 resulting system to decide. Again, it's a 17:04:45
3 hypothetical, I don't -- I don't have a system in 17:04:52
4 front of me to make a -- 17:04:53
5 Q Did you mean claim 13? 17:04:56
6 A I'm sorry, it's claim 13. I meant claim 13, 17:04:57
7 thank you. 17:05:00
8 Q Looking at the steps of claim 1 and claim 17:05:02
9 13, you cannot tell if practicing those steps would 17:05:05
10 result in canceling noise? 17:05:09
11 A Again, using both 1 and 13 in the design of 17:05:22
12 the system, it could result in a system that would 17:05:26
13 cancel noise, yes. It would depend upon the 17:05:28
14 implementation of the overall system. 17:05:33
15 Q A system that includes the limitations of 17:05:37
16 claims 1 and 13 does not necessarily cancel noise? 17:05:40
17 MR. LENNIE: Objection. Form. 17:05:46
18 A Yeah. I mean, a system which practices both 17:05:50
19 of these limitations is one that's oriented towards 17:05:55
20 canceling noise. But again, having the system in 17:05:58
21 front of me and with sufficient time, one could judge 17:06:03
22 basically the functionality of it. 17:06:08

1 This is somewhat of a hypothetical situation 17:06:11
2 is the reason why I'm -- I'm saying this. 17:06:13

3 Q So if a reference disclosed the three 17:06:20
4 elements of claim 1 and the subtractor of claim 13, 17:06:25
5 that reference would not necessarily disclose 17:06:29
6 canceling noise? 17:06:32

7 MR. LENNIE: Objection. Form. 17:06:35

8 A Again, a system that would have these 17:07:14
9 elements could be an apparatus for canceling noise and 17:07:16
10 would have the subtractor. It depends on the nature 17:07:19
11 of the overall system. 17:07:25

12 Again, it's a hypothetical. I'm trying -- 17:07:26
13 I'm trying to figure out, you know, the nature of the 17:07:28
14 question, that's why. 17:07:30

15 Q If Respondents showed that a reference 17:07:32
16 disclosed the three elements of claim 1, would they 17:07:35
17 have shown that that same reference discloses an 17:07:39
18 apparatus for canceling noise? 17:07:45

19 MR. LENNIE: Objection. Form. 17:07:48

20 A Again, it -- it depends on the overall 17:07:53
21 system. I -- I can't provide an opinion about this. 17:07:56
22 I would need to be able to see the resulting system. 17:08:00

1 But claim 1 describes an apparatus for canceling noise 17:08:03

2 that includes these particular elements. 17:08:08

3 Q Does claim 1 require an apparatus for 17:08:12

4 canceling noise? 17:08:14

5 MR. LENNIE: Objection. Form. 17:08:16

6 A Claim 1 discloses an apparatus for canceling 17:08:55

7 noise with these specific elements as part of that 17:08:59

8 apparatus. 17:09:02

9 Q Do you know what the preamble of claim 1 is? 17:09:05

10 A Yes, it's the apparatus for canceling noise. 17:09:08

11 Q Is the preamble of claim 1 limiting? 17:09:11

12 MR. LENNIE: Objection. Form. 17:09:13

13 A I'm not a lawyer, so I'm not exactly sure 17:09:17

14 how to apply the term. I don't want to necessarily 17:09:21

15 misspeak with respect to that. 17:09:26

16 Q You don't know whether practicing claim 1 17:09:32

17 requires an apparatus for canceling noise? 17:09:37

18 MR. LENNIE: Objection. Form. 17:09:39

19 A Claim 1 discloses an apparatus for canceling 17:09:50

20 noise with these particular elements in it. 17:09:53

21 Q Could I have an apparatus that detects noise 17:09:56

22 without canceling noise? 17:09:59

1 MR. LENNIE: Objection. Form. 17:10:01

2 A Yeah. I mean, hypothetically you could have 17:10:02

3 such a system. 17:10:05

4 Q Would claim 1 cover such a system? 17:10:07

5 MR. LENNIE: Objection. Form. 17:10:10

6 A I'm -- again, just a moment. 17:10:12

7 (Reviewing.) 17:10:27

8 Claim 1 discloses an apparatus for canceling 17:12:05

9 noise, and it comprises these three elements, as we've 17:12:08

10 described. The system, if designed for canceling 17:12:12

11 noise and has these three inputs, then that system 17:12:17

12 would be covered under claim 1. 17:12:21

13 Q When were you first hired by Andrea? 17:12:34

14 A For this matter? 17:12:38

15 Q No, first. Like, for example -- 17:12:40

16 A Yeah, I mean -- 17:12:44

17 Q -- you were retained by Andrea in the 17:12:45

18 previous '949 investigation? 17:12:47

19 A Yes. I'm -- I'm trying to remember. I 17:12:49

20 believe it was maybe about two years ago, I want to 17:12:51

21 say, something like this. 17:12:59

22 Q For the '949 investigation? 17:13:01

1	A	For the '949 investigation, yes.	17:13:02
2	Q	Prior to being retained by Andrea, had you	17:13:05
3		heard of the Hirsch article?	17:13:07
4	A	I was not aware of the Hirsch article prior	17:13:15
5		to my engagement with Andrea.	17:13:17
6	Q	Before you were retained by Andrea, were you	17:13:23
7		familiar with the Martin 1993 article?	17:13:29
8	A	I -- I don't believe so, but I'm -- again, I	17:13:34
9		mean, we're going pretty far back in time.	17:13:39
10	Q	Prior to being retained by Andrea, had you	17:13:44
11		heard of Rainer Martin?	17:13:46
12	A	Oh, yes, yes. I know Rainer Martin.	17:13:49
13	Q	Why do you know Rainer Martin?	17:13:52
14	A	He attends signal processing conferences as	17:13:54
15		I do.	17:13:57
16	Q	When did you first meet Rainer Martin?	17:13:58
17	A	It's -- again, I can't pull from memory	17:14:05
18		exactly when we first met. I'm sure -- I'm -- I'm	17:14:09
19		confident it was likely at an ICASSP conference.	17:14:12
20		Which is I-C-A-S-S-P.	17:14:14
21	Q	Ten years ago, five years ago, 20 years ago?	17:14:18
22		Just ballpark, not --	17:14:21

1 A Ballpark, it's -- it's probably closer to 17:14:22
2 20. What's interesting is when I came across the 17:14:24
3 article, I could picture his face. So I know I met 17:14:28
4 him. But, you know, I don't know if it was in an 17:14:31
5 elevator or exactly where. 17:14:35
6 Q Is Rainer Martin well known in his field? 17:14:43
7 A That's a hard judgment call for me to make. 17:14:55
8 There are many famous people in signal processing. I 17:15:02
9 certainly wouldn't want to be on the record to call 17:15:06
10 out one and not leave out others. 17:15:10
11 Q Were you familiar with Dr. Martin's work 17:15:15
12 prior to being retained by Andrea in this case? 17:15:19
13 A I recall that he did audio processing, but I 17:15:30
14 can't say -- and again, this is based off of memory -- 17:15:34
15 whether yes, I had this -- I had this work in mind. 17:15:37
16 But again, this is -- this is going back some time. 17:15:44
17 Q Was your deposition taken in the '949 17:16:13
18 investigation? 17:16:15
19 A Was it taken, did you say? 17:16:19
20 Q Yes. 17:16:21
21 A Yes, it was. There was a deposition taken. 17:16:21
22 Q Do you happen to have the transcript? 17:16:35

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

IPR No. 2017-00627

Apple Inc. v. Andrea Electronics Inc. - Ex. 1030, p. 231

1 A I don't. In fact, I have been asked this 17:16:36
2 question before, and I don't recall getting it. So I 17:16:42
3 can't say that I have even seen it. 17:16:47

4 Q Have you done expert reports on invalidity 17:16:52
5 or validity for anyone else aside from Andrea? 17:16:57

6 A Yes, I have. 17:17:02

7 Q Was it directed to patent validity or patent 17:17:04
8 invalidity? 17:17:07

9 A It was directed towards patent invalidity. 17:17:11
10 I've also done work related to interference. But I -- 17:17:14
11 I don't want to say too much about those cases because 17:17:20
12 they're -- they fall under Protective Order, so I 17:17:23
13 don't want to be describing features of those cases if 17:17:25
14 I can help it. 17:17:28

15 Q Approximately how long ago did you prepare a 17:17:30
16 report on patent invalidity? 17:17:32

17 A What do you mean? 17:17:37

18 Q Well, when did you prepare that expert 17:17:38
19 report, five years ago, two years ago? 17:17:40

20 A What -- what report are you talking about? 17:17:42
21 I mean, I guess I'm -- 17:17:47

22 Q Sorry. Earlier you said you had done an 17:17:49

1 expert report on invalidity for -- 17:17:51

2 A Yeah. 17:17:54

3 Q -- someone else? 17:17:54

4 A I'm -- I'm trying to remember. Well -- and 17:17:55

5 you're referring to the term "expert report." I've -- 17:18:15

6 I've done -- I've certainly done reports -- I mean 17:18:17

7 legal reports. I don't know if it was an expert 17:18:22

8 report. 17:18:25

9 Again, I'm -- I -- the legal term of what an 17:18:26

10 expert report is, I don't want to be in a position to 17:18:29

11 try to claim or declare. 17:18:32

12 Q You offered opinions about whether a patent 17:18:34

13 was invalid previously? 17:18:36

14 A Yes. 17:18:40

15 Q Approximately when did you offer those 17:18:41

16 opinions? 17:18:42

17 A (Reviewing.) 17:18:50

18 It would have been around the time 17:19:07

19 between -- sometime between August and December of 17:19:08

20 2015. 17:19:12

21 Q So some time ago? 17:19:20

22 A Uh-huh. 17:19:21

1 Q Do you know what a beamformer is? 17:19:36
2 A Are you speaking generally or -- 17:19:41
3 Q In general. 17:19:42
4 A Yes, I do. 17:19:44
5 Q In the context of like audio signal 17:19:49
6 processing for microphones, do you know what a 17:19:54
7 beamformer is? 17:19:56
8 A Yes, I mean, again in general, in terms 17:19:57
9 of ... 17:19:59
10 Q Would a beamformer require more than one 17:19:59
11 microphone? 17:20:02
12 MR. LENNIE: Objection. Form. 17:20:12
13 A Again, we're speaking hypothetically. It is 17:20:13
14 possible to have a system -- I mean, you say more than 17:20:16
15 one microphone. It gets into the structure of the 17:20:22
16 resulting acoustic device and that in addition to the 17:20:26
17 resulting processing that you might have. 17:20:31
18 Q Uh-huh. 17:20:34
19 A I mean, there could be multiple signals that 17:20:35
20 come off of the one device, there could be multiple 17:20:38
21 devices that produce multiple signals, there could be 17:20:42
22 systems that have multiple elements that combine 17:20:46

1 values to produce one signal. I mean, there's many 17:20:49
2 different ways to -- to calculate a beam. 17:20:52
3 Q Could a beamformer be an array of 17:20:57
4 microphones? 17:21:01
5 A Yes, it can. Or, sorry, it can -- one of 17:21:01
6 the elements of a beamformer could be an array of 17:21:06
7 microphones. 17:21:11
8 Q And an array of microphones is not 17:21:14
9 necessarily adaptive, correct? 17:21:16
10 MR. LENNIE: Objection. Form. 17:21:24
11 A Again, I'd have to look at the system to 17:21:28
12 decide whether a particular system with multiple 17:21:31
13 microphones is adaptive. 17:21:34
14 Q Earlier you mentioned an adaptive array 17:22:01
15 included coefficients. Does that sound familiar to 17:22:04
16 you? 17:22:07
17 A An adaptive array can include coefficients, 17:22:08
18 yes. 17:22:12
19 Q What would the coefficients of an adaptive 17:22:13
20 array be used for? 17:22:15
21 A A system having multiple sensors and 17:22:21
22 adaptive coefficients -- 17:22:24

1	Q	What --	17:22:27
2	A	-- would have -- I'm sorry, I'm	17:22:28
3		continuing -- would have some method of tuning the	17:22:29
4		coefficients in order to attain some useful outcome	17:22:33
5		with respect to the overall processing of the system.	17:22:37
6	Q	What is an example of a useful outcome with	17:22:41
7		respect to the overall processing of the system?	17:22:44
8	A	For example, one could use such a system to	17:22:47
9		steer a null in a particular direction to reduce the	17:22:52
10		gain associated with a particular interferer.	17:22:57
11	Q	The coefficients are used to steer the	17:23:07
12		adaptive array?	17:23:13
13	A	They are used to adjust the gain; i.e.,	17:23:17
14		what's so-called steer, and to create gain as a	17:23:21
15		function of a particular direction to allow the system	17:23:28
16		to, for example, emphasize certain directions or, as	17:23:33
17		another example, deemphasize certain directions in	17:23:39
18		terms of the overall gain.	17:23:43
19	Q	Is that what it means for the array to be	17:23:46
20		adaptive?	17:23:48
21	A	That's an example of a system that is an	17:23:49
22		adaptive array.	17:23:52

1	MR. BROUGHAN: Why don't we take a break	17:24:29
2	real quick. We might be close.	17:24:30
3	THE WITNESS: Okay.	17:24:30
4	THE VIDEOGRAPHER: Going off the record.	17:24:32
5	The time is 5:24.	17:24:32
6	(A recess was taken.)	17:36:39
7	THE VIDEOGRAPHER: Back on the record. The	17:36:56
8	time is 5:37.	17:36:56
9	BY MR. BROUGHAN:	17:37:00
10	Q Prior to analyzing whether the prior art	17:37:04
11	anticipated any of the claims, did you determine the	17:37:07
12	scope of the claim?	17:37:11
13	Strike that.	17:37:16
14	Prior to analyzing whether any of the prior	17:37:18
15	art references anticipated claim 1 of the '345 patent,	17:37:20
16	did you determine the scope of the '345 patent, claim	17:37:24
17	1?	17:37:28
18	A I read the '345 patent, particularly the	17:37:44
19	specification, to understand what the invention was	17:37:48
20	about.	17:37:51
21	Q Did you analyze each of the claims of the	17:37:54
22	'345 patent?	17:37:57

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 A I -- I have a question. I'm not sure if 17:38:44
2 you're talking about when I first examined the '345 17:38:46
3 patent and is it with respect to this matter or 17:38:50
4 previous matters? 17:38:53

5 Q Prior to analyzing Dr. Kyriakakis's report 17:38:55
6 and the prior art references he identified, did you 17:38:58
7 determine the scope of claim 1 of the '345 patent? 17:39:01

8 A The specification for the '345 patent 17:40:22
9 informed me as to the elements of the individual 17:40:24
10 claims with respect to the patent. 17:40:31

11 I didn't try to figure out all possible 17:40:42
12 systems that would be the systems that would 17:40:45
13 correspond to these -- to these particular claims. 17:40:52

14 Q So first you looked at the prior art that 17:40:55
15 Dr. Kyriakakis identified, then you determined the 17:40:58
16 scope of claim 1 of the '345 patent? 17:41:01

17 MR. LENNIE: Objection. Form. 17:41:04

18 A I looked at the patent to inform myself of 17:41:09
19 the technology that's contained within the patent to 17:41:12
20 understand the claims and their -- I mean, basically 17:41:15
21 the technology that those claims cover. That informed 17:41:22
22 me in my analysis of Dr. Kyriakakis's positions with 17:41:25

1 regard to those claims. 17:41:31

2 Q The art provided by Dr. Kyriakakis helped 17:41:53

3 you understand the scope of claim 1 of the '345 17:41:57

4 patent? 17:42:03

5 A No. The description that the inventors 17:42:16

6 described in their specification informed me of how 17:42:23

7 the system functions and, in particular, the various 17:42:27

8 different features and how they are implemented. 17:42:30

9 Q Did you analyze the scope of claim 1 in the 17:42:37

10 context of the '345 specification prior to reading 17:42:40

11 Dr. Kyriakakis's report? 17:42:46

12 A I read the patent; in particular, the 17:43:02

13 specification of the patent and the description of the 17:43:06

14 technology within it to understand the meaning of the 17:43:08

15 elements within the claims and this enabled me to 17:43:14

16 understand and to respond to, along with my own 17:43:18

17 experience and my own knowledge, the assertions made 17:43:23

18 by Dr. Kyriakakis in his report. 17:43:28

19 Q The '345 specification describes a threshold 17:43:47

20 detector that uses a single threshold; is that 17:43:52

21 accurate? 17:43:55

22 A The '345 specification includes an 17:44:04

1	embodiment which uses a threshold detector for setting	17:44:06
2	a threshold for each frequency bin using a noise	17:44:11
3	estimation process.	17:44:15
4	Q Ant it sets a single threshold for each	17:44:16
5	frequency bin using a noise estimation process,	17:44:19
6	correct?	17:44:19
7	A It uses a threshold detector for setting a	17:44:26
8	threshold for each frequency bin. There is a	17:44:31
9	threshold for each frequency bin.	17:44:34
10	Q There is --	17:44:36
11	A Threshold detector.	17:44:37
12	Q The '345 specification describes a threshold	17:44:38
13	detector that uses just one threshold for each	17:44:42
14	frequency bin?	17:44:46
15	A It uses a threshold detector and it sets a	17:45:00
16	single threshold for each frequency bin.	17:45:04
17	Q Claim 1 describes a system that includes a	17:45:21
18	threshold detector for setting a threshold for each	17:45:25
19	frequency bin using a noise estimation process,	17:45:29
20	correct?	17:45:33
21	A Yes.	17:45:34
22	Q The threshold detector of claim 1 requires	17:45:41

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 setting a single frequency -- strike that. 17:45:43

2 The threshold detector of claim 1 requires 17:45:48

3 setting a single threshold for each frequency bin? 17:45:51

4 MR. LENNIE: Objection. Form. 17:45:55

5 A It doesn't require setting a single 17:45:57

6 threshold. The '345 specification provides an example 17:46:00

7 embodiment which uses a single threshold. But there 17:46:04

8 is no limitation of that sort shown in claim 1. 17:46:08

9 Q The '345 specification described a process 17:46:35

10 for setting the threshold that uses a current minimum 17:46:39

11 value and a future minimum value for each frequency 17:46:45

12 bin, correct? 17:46:48

13 A It does, yes. 17:46:50

14 Q The process described in the specification 17:46:52

15 of the '345 patent uses a single future minimum and 17:46:54

16 single current minimum for each future -- each 17:46:57

17 frequency bin, correct? 17:47:02

18 A It has a current minimum and a corresponding 17:47:06

19 future minimum -- a current minimum value and 17:47:19

20 corresponding future minimum value as part of the 17:47:22

21 description of the specification, yes, in the 17:47:26

22 embodiment. 17:47:28

1	Q	Is claim 4 limited to that embodiment?	17:47:36
2	A	Claim 4 is not limited to that particular	17:47:40
3		embodiment.	17:47:42
4	Q	How did you apply the preamble of claim 1 in	17:48:06
5		the analysis in your report?	17:48:09
6	A	What do you mean by "apply the preamble of	17:48:19
7		claim 1"?	17:48:21
8	Q	In your report did you treat the preamble of	17:48:22
9		claim 1 as limiting?	17:48:26
10	A	I considered systems that are examples of an	17:48:44
11		apparatus for canceling noise.	17:48:50
12	Q	Did you consider challenging whether any	17:49:12
13		reference anticipated claim 1 because it did not	17:49:14
14		disclose an apparatus for canceling noise?	17:49:17
15	A	Again, just to be sure of clarity, can you	17:49:44
16		repeat the question?	17:49:47
17	Q	Did you consider challenging whether any	17:49:50
18		reference anticipated claim 1 because it did not	17:49:52
19		disclose an apparatus for canceling noise?	17:49:55
20	A	There were references that I considered	17:50:47
21		whose focus was on particular aspects of elements of	17:50:50
22		the '345 patent and in terms of an alleged relation	17:50:55

1 according to Dr. Kyriakakis's report. 17:51:03

2 I looked at those systems in combination 17:51:08

3 with other ones that were an apparatus for canceling 17:51:10

4 noise to consider how those systems might read on the 17:51:16

5 individual claims. 17:51:21

6 Q Claim 17 of the '345 patent is directed 17:51:27

7 towards the apparatus of claim 1 and 13 that also 17:51:30

8 includes a residual noise processor? 17:51:35

9 A I see that, yes. 17:51:41

10 Q If you look at claim 19, it specifies that 17:51:44

11 the residual noise processor includes a voice switch 17:51:51

12 for detecting non-speech segments? 17:51:55

13 A I see that, yes. 17:51:58

14 Q What is a voice switch for detecting 17:51:59

15 non-speech segments? 17:52:03

16 A Well, generally it's a system that is 17:52:05

17 designed to determine whether there is speech or noise 17:52:08

18 present within a particular segment of time. 17:52:12

19 Q For example, it would determine whether a 17:52:22

20 frame is a speech frame or a noise frame? 17:52:24

21 A It's actually looking for segments which are 17:52:39

22 non-speech segments. 17:52:48

1 Q So it determines whether a frame or series 17:53:04
2 of frames corresponds to noise only? 17:53:06

3 A Well, again, speaking generally and 17:53:21
4 hypothetically, without having the system in front of 17:53:23
5 me to analyze, it's a system for detecting non-speech 17:53:25
6 segments, which means segments which don't contain 17:53:34
7 speech. 17:53:38

8 Q Why do you need a system in front of you to 17:53:47
9 determine the scope of one of the claims of the 17:53:49
10 patent? 17:53:52

11 A I'm -- 17:53:56

12 MR. LENNIE: Objection. Form. 17:53:59

13 A So I've been asked to provide opinions as a 17:54:09
14 rebuttal to Dr. Kyriakakis's report. So I have 17:54:12
15 performed an analysis of the assertions made by the 17:54:17
16 other expert. I have been using those -- the 17:54:23
17 references mentioned by that other expert, or 17:54:28
18 indicated by the other expert, as part of my analysis. 17:54:31

19 Q You cannot determine the scope of this claim 17:54:35
20 without seeing a system to apply it to? 17:54:39

21 A In the process of doing this analysis, I 17:55:12
22 used the specification of the '345 patent as well as 17:55:15

1 my own experience to determine the understanding of 17:55:19
2 what these individual elements are and in the process 17:55:23
3 of providing my opinions, I've looked at the 17:55:27
4 references that have been provided by the other expert 17:55:30
5 in order to perform my analysis. 17:55:34

6 Q Were you able to come to an understanding of 17:55:44
7 what each claim of the '345 patent meant before 17:55:46
8 applying it to a system identified by Dr. Kyriakakis? 17:55:50

9 A I have an understanding of what these 17:56:04
10 individual elements and claims mean that has informed 17:56:06
11 to me from the specification as well as my own 17:56:10
12 experience and my knowledge and expertise in the area 17:56:13
13 that allows me to perform an analysis of the 17:56:16
14 assertions made by Dr. Kyriakakis in his report. 17:56:20

15 Q And what is that understanding for a 17:56:34
16 threshold detector for setting a threshold for each 17:56:42
17 frequency bin using a noise estimation process for 17:56:45
18 claim 1? 17:56:48

19 A It is an understanding as informed by the 17:56:54
20 embodiment, as an example, where the embodiment tells 17:56:56
21 me how one can implement a threshold detector for 17:57:01
22 setting a threshold for each frequency bin using a 17:57:06

1	noise estimation process as an example.	17:57:09
2	MR. BROUGHAN: Apple doesn't have anything	17:57:29
3	further.	17:57:30
4	MR. SWANSON: No further questions.	17:57:32
5	MR. WINSTON: I don't have any questions.	17:57:34
6	MR. LENNIE: I don't have any questions	17:57:35
7	either.	17:57:37
8	THE VIDEOGRAPHER: This marks end of the	17:57:39
9	deposition. We're going off the record at 5:57.	17:57:41
10	(Off the record at 5:57 p.m.)	17:57:42
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

ACKNOWLEDGMENT OF DEPONENT

I, Scott Clinton Douglas, Ph.D., do hereby
acknowledge that I have read and examined the
foregoing testimony, and the same is a true, correct
and complete transcription of the testimony given by
me, and any corrections appear on the attached Errata
sheet signed by me.

(DATE)



(SIGNATURE)

1 CERTIFICATE OF SHORTHAND REPORTER - NOTARY PUBLIC
2 I, Dawn M. Hart, the officer before whom the
3 foregoing deposition was taken, do hereby certify that
4 the foregoing transcript is a true and correct record
5 of the testimony given; that said testimony was taken
6 by me stenographically and thereafter reduced to
7 typewriting under my direction; that reading and
8 signing was requested; and that I am neither counsel
9 for, related to, nor employed by any of the parties to
10 this case and have no interest, financial or
11 otherwise, in its outcome.

12 IN WITNESS WHEREOF, I have set my hand and
13 affixed my notarial seal this 20th day of June 2017.

14 My Commission Expires:

15 July 14, 2020

16
17  
18 _____

19 NOTARY PUBLIC IN AND FOR THE
20 DISTRICT OF COLUMBIA

21
22

A			
ability	according	243:21	153:22, 169:19,
180:6	63:2, 70:7,	adaptive	169:22, 206:1,
able	71:15, 74:13,	11:15, 113:12,	222:17
15:2, 24:8,	75:14, 108:14,	114:2, 114:18,	address
25:5, 26:12,	136:5, 138:1,	115:12, 115:16,	24:9, 25:14,
40:16, 40:21,	213:8, 243:1	115:20, 116:1,	26:8, 26:17,
41:14, 41:15,	account	116:5, 121:15,	40:16, 40:21,
42:16, 45:17,	25:11	121:19, 122:6,	106:15, 117:20,
76:20, 89:11,	accumulation	122:13, 123:5,	151:16, 173:3,
92:16, 106:19,	208:11	123:15, 124:4,	173:11
117:16, 122:9,	accurate	124:8, 124:17,	addressed
156:19, 158:3,	23:11, 93:14,	124:20, 125:18,	119:12, 172:20
160:13, 160:15,	129:2, 160:9,	126:4, 126:11,	addressing
160:20, 161:18,	164:15, 239:21	126:13, 126:17,	24:7, 26:14
163:7, 183:9,	accurately	126:18, 126:21,	adds
187:8, 193:14,	199:4	127:1, 130:21,	43:20, 103:16,
216:22, 227:22,	achieved	196:14, 205:4,	105:12, 108:9,
245:6	193:12, 213:5,	205:12, 207:20,	113:11, 115:5
above	213:6	208:1, 208:2,	adjust
68:7, 100:2,	achieves	208:4, 235:9,	163:22, 236:13
176:12, 212:10	122:10	235:13, 235:14,	adjusting
abso	acknowledge	235:17, 235:19,	210:20
54:10	247:3	235:22, 236:12,	advantage
absolute	acknowledgment	236:20, 236:22	71:4
54:4, 54:7,	247:1	add	advantages
54:10, 54:15,	acoustic	128:2, 128:3,	50:13, 71:17
57:22, 59:18,	5:14, 16:2,	169:18	affect
59:19, 60:11,	16:13, 234:16	addition	140:11, 141:9
60:20, 76:14,	acronym	79:13, 91:12,	affirmed
101:4, 101:18	213:16	114:17, 115:19,	8:9
absolutely	across	115:21, 207:3,	affixed
125:8, 150:11	58:20, 149:13,	234:16	248:13
abstract	168:6, 174:4,	additional	after
184:2, 184:3,	198:21, 200:1,	45:2, 45:20,	53:16, 82:21,
185:6, 186:11,	200:2, 231:2	45:22, 63:12,	83:9, 84:1,
187:6, 187:13	active	68:5, 68:15,	84:5, 84:16,
access	11:15, 196:13	68:20, 69:8,	99:9, 214:11
100:22	actual	69:18, 79:6,	afternoon
accordance	118:13, 138:6,	80:8, 82:19,	116:18, 117:2,
165:5, 165:22,	208:8	94:1, 95:10,	117:3, 152:4,
166:17, 167:22,	actually	96:2, 96:9,	152:5
177:20, 179:7,	73:18, 88:3,	103:21, 104:20,	again
179:10, 182:7,	119:16, 123:20,	105:7, 105:19,	19:12, 24:13,
219:5, 220:11,	124:20, 124:22,	107:5, 107:21,	25:20, 26:3,
221:1, 221:3,	133:17, 141:9,	108:9, 108:21,	26:10, 34:11,
221:16, 222:1	143:20, 148:11,	110:13, 112:20,	35:5, 59:4,
	199:20, 213:17,	113:16, 124:8,	60:13, 65:15,
	213:21, 214:6,	128:15, 144:17,	69:11, 79:16,

<p>80:11, 85:10, 85:19, 86:16, 87:3, 88:16, 88:22, 89:6, 89:15, 90:5, 90:12, 91:11, 92:15, 95:13, 96:13, 103:10, 105:1, 108:3, 108:18, 109:3, 110:17, 113:2, 116:4, 119:1, 119:7, 120:7, 120:12, 120:19, 137:16, 138:16, 147:8, 156:18, 157:5, 157:16, 158:2, 174:3, 190:9, 191:12, 194:14, 198:12, 198:14, 201:21, 202:11, 204:2, 206:21, 214:18, 217:12, 217:21, 220:3, 221:10, 225:20, 225:22, 226:2, 226:11, 226:20, 227:8, 227:12, 227:20, 229:6, 230:8, 230:17, 231:14, 231:16, 233:9, 234:8, 234:13, 235:11, 242:15, 244:3 against 82:12 ago 110:2, 130:6, 150:9, 229:20, 230:21, 232:15, 232:19, 233:21 agree 13:4, 13:6, 13:10, 17:14, 20:11, 20:20, 21:6, 21:18, 22:12, 22:13,</p>	<p>23:1, 27:12, 39:22, 46:11, 57:6, 58:17, 72:9, 72:21, 99:22, 122:12, 123:11, 150:4, 150:13, 153:4, 154:4, 154:14, 166:3, 168:11, 184:11, 184:17, 184:20, 185:2, 192:13, 192:21, 196:2, 200:22, 201:3, 208:16, 219:21, 221:18 ah 199:6, 214:6 ahead 39:10, 102:18, 122:20, 148:11 al 7:5 algorithm 5:19, 21:1, 21:11, 21:15, 22:10, 22:13, 22:21, 23:17, 24:1, 25:5, 58:7, 121:22, 126:16, 126:19, 146:11, 152:20, 160:7, 160:14, 160:16, 160:17, 160:21, 161:5, 161:7, 161:9, 161:12, 161:14, 161:16, 161:19, 161:21, 163:3, 163:15, 163:17, 163:19, 163:22, 170:2, 170:13, 170:18, 170:20, 171:2, 171:6, 171:8, 171:10, 172:2, 172:14, 173:13, 184:5, 185:5, 186:13, 187:3, 214:15</p>	<p>algorithms 211:12, 212:15 all 14:17, 14:22, 15:18, 21:3, 25:3, 28:20, 29:2, 33:4, 33:16, 40:2, 40:7, 40:8, 41:9, 41:10, 45:21, 50:1, 65:6, 80:18, 100:22, 104:18, 107:1, 107:19, 108:6, 110:17, 111:3, 116:10, 119:19, 120:13, 123:22, 124:14, 127:18, 128:8, 131:14, 200:22, 201:11, 201:13, 212:3, 213:13, 238:11 alleged 130:12, 242:22 allow 68:11, 85:22, 87:6, 89:8, 93:13, 139:1, 154:8, 179:16, 189:19, 236:15 allowed 118:10, 127:15 allowing 91:13, 153:10 allows 62:18, 79:18, 106:18, 117:19, 124:1, 154:20, 245:13 almost 40:13 along 33:13, 36:5, 91:5, 117:18, 239:16 already 15:12, 114:16,</p>	<p>115:1 also 4:18, 7:16, 7:17, 8:3, 12:10, 44:19, 47:12, 77:4, 79:19, 92:10, 106:11, 117:20, 118:11, 120:16, 129:20, 134:11, 140:8, 153:22, 154:2, 169:17, 182:11, 196:13, 199:17, 207:5, 213:9, 232:10, 243:7 alternative 61:14, 72:4, 72:18 although 72:3, 76:19, 209:21, 213:9 always 141:14, 142:8, 143:1, 207:14 america 7:15 amount 40:19, 141:18 amplitude 98:22, 99:2, 99:7, 101:8, 101:11, 101:12, 101:17, 101:19 analysis 142:10, 142:12, 164:13, 173:17, 184:14, 218:16, 238:22, 242:5, 244:15, 244:18, 244:21, 245:5, 245:13 analyze 39:11, 40:4, 237:21, 239:9, 244:5 analyzing 237:10, 237:14,</p>
---	---	--	---

<p>238:5 andrea 8:4, 9:4, 39:4, 201:11, 201:19, 203:6, 229:13, 229:17, 230:2, 230:5, 230:6, 230:10, 231:12, 232:5 andrea_itc_ 129:13 another 99:17, 140:8, 158:8, 176:17, 236:17 answer 23:13, 29:5, 50:4, 88:19, 110:1, 149:9, 166:13, 170:6, 173:6, 193:14, 193:16 answered 22:6 answers 9:20, 30:7 ant 240:4 anticipate 67:18, 121:14, 132:2, 142:3, 201:1, 201:4, 202:12, 202:14, 202:16 anticipated 107:3, 107:20, 108:19, 110:12, 202:20, 237:11, 237:15, 242:13, 242:18 anticipates 38:11, 39:12, 40:5, 40:10, 42:5 any 21:21, 23:7, 23:19, 24:16, 25:12, 29:1,</p>	<p>32:1, 59:22, 60:7, 61:4, 75:8, 75:11, 77:22, 109:21, 126:10, 128:15, 128:19, 161:2, 168:15, 168:17, 180:20, 190:22, 191:9, 202:16, 202:20, 237:11, 237:14, 242:12, 242:17, 246:5, 246:6, 247:6, 248:9 anyone 232:5 anything 32:22, 33:18, 33:19, 34:8, 59:4, 60:12, 60:19, 75:5, 77:22, 85:7, 85:16, 86:4, 86:14, 90:8, 128:1, 128:3, 158:22, 159:9, 246:2 apologize 206:14 apparatus 10:21, 12:3, 15:10, 31:20, 32:8, 63:2, 107:3, 107:6, 107:10, 107:18, 108:1, 108:14, 108:18, 108:22, 110:11, 110:15, 114:10, 114:13, 114:20, 115:21, 131:4, 200:19, 200:22, 201:3, 201:8, 201:15, 217:17, 223:8, 224:5, 224:12, 224:16, 224:21, 225:5, 225:7, 225:20, 227:9,</p>	<p>227:18, 228:1, 228:3, 228:6, 228:8, 228:10, 228:17, 228:19, 228:21, 229:8, 242:11, 242:14, 242:19, 243:3, 243:7 appear 247:6 appears 129:17, 212:17 apple 4:2, 7:20, 152:2, 152:7, 246:2 application 29:14, 104:8, 111:15, 123:3, 156:21, 172:5, 191:6, 191:13, 192:20, 196:15, 198:14 applications 31:1, 73:6, 121:21, 125:12, 125:15, 192:19 applied 46:20, 72:4, 73:10, 82:12, 93:9, 159:12, 159:15, 170:2, 170:12, 170:18, 171:3, 171:5, 171:10, 172:2, 173:13, 191:7, 212:11 applies 30:13, 62:3, 142:11, 144:4, 159:13 apply 15:16, 22:4, 24:8, 81:2, 93:4, 122:1, 228:14, 242:4, 242:6, 244:20 applying 172:13, 245:8</p>	<p>approach 61:14, 61:19, 70:20, 72:3, 72:11, 72:14, 72:18, 156:3, 162:5, 172:5, 211:14, 211:15, 211:16, 211:19, 211:20 approaches 25:2, 205:11 appropriate 118:6, 142:12, 166:2, 166:7, 178:5 approximately 192:9, 232:15, 233:15 approximating 99:19, 102:5 approximation 62:5, 99:12, 102:1 aprive 158:4 area 10:18, 87:7, 87:10, 88:11, 245:12 aren't 61:5 argue 130:20 argued 131:2 argument 127:16, 150:8 arguments 127:16, 127:21, 150:14, 173:17 arise 24:16 around 105:3, 156:2, 233:18 array 113:12, 114:3, 114:18, 115:12,</p>
--	---	--	--

<p>115:16, 115:20, 116:1, 116:5, 121:15, 121:19, 122:6, 122:13, 123:8, 123:12, 123:15, 123:17, 123:18, 123:19, 123:21, 123:22, 124:1, 124:2, 124:4, 124:8, 124:17, 124:20, 125:18, 126:11, 126:13, 126:17, 126:18, 130:21, 165:1, 168:11, 168:14, 235:3, 235:6, 235:8, 235:14, 235:17, 235:20, 236:12, 236:19, 236:22 arrays 123:9, 126:21, 127:2, 196:13 arslan 78:8, 78:15, 78:17, 78:18 art 17:4, 27:13, 28:6, 28:11, 28:13, 28:15, 28:17, 28:18, 29:6, 29:10, 29:18, 30:3, 30:17, 31:11, 31:14, 33:9, 33:20, 34:9, 34:17, 35:19, 36:9, 37:9, 44:13, 44:15, 45:15, 46:1, 46:11, 47:17, 49:10, 49:19, 50:9, 50:19, 59:11, 59:13, 59:14, 62:11, 65:7, 68:14, 71:8, 73:5, 78:8, 81:1,</p>	<p>83:6, 100:12, 107:4, 107:20, 108:20, 110:12, 111:5, 111:9, 114:16, 115:19, 130:1, 162:10, 162:21, 185:3, 185:18, 190:5, 194:10, 194:19, 204:14, 237:10, 237:15, 238:6, 238:14, 239:2 article 155:1, 185:4, 185:5, 187:5, 189:3, 194:9, 194:11, 194:22, 195:12, 196:2, 196:8, 197:1, 197:8, 197:12, 198:5, 198:8, 205:4, 222:19, 230:3, 230:4, 230:7, 231:3 articles 187:2, 189:2, 195:14 artifacts 84:1, 84:7 aside 46:14, 54:10, 92:7, 174:8, 232:5 asked 33:11, 37:21, 38:22, 39:4, 39:22, 40:4, 40:6, 41:9, 41:12, 100:9, 106:20, 108:3, 113:2, 116:8, 171:19, 232:1, 244:13 asking 17:13, 22:17, 23:9, 23:10, 26:12, 48:6, 56:8, 56:12,</p>	<p>65:8, 68:3, 68:4, 81:13, 105:4, 113:22, 114:6, 114:9, 115:3, 141:22, 161:14, 173:5, 174:7, 174:8, 199:4 aspect 56:5, 63:19, 89:9, 91:3, 95:15, 104:9, 122:4, 178:14, 178:16 aspects 11:14, 11:16, 23:5, 33:3, 33:4, 40:7, 40:18, 40:21, 41:5, 41:10, 41:12, 41:20, 50:2, 50:12, 60:22, 63:22, 84:20, 85:6, 85:14, 87:20, 89:8, 90:5, 91:1, 91:2, 92:10, 110:17, 111:3, 117:12, 119:10, 120:16, 121:8, 128:13, 133:15, 160:1, 187:18, 242:21 assertion 151:13, 151:16 assertions 151:3, 173:10, 239:17, 244:15, 245:14 assigning 176:22 assignment 176:22, 177:8 assigns 177:9 assistance 119:8, 119:9, 119:22, 120:20,</p>	<p>121:5 assistants 117:15 associated 25:12, 80:11, 120:15, 144:10, 166:8, 166:15, 182:20, 183:8, 183:21, 196:1, 202:14, 236:10 assume 63:11, 66:14, 68:4, 68:13, 79:12, 80:7, 95:9, 104:19, 107:2, 107:15, 108:18, 110:11, 114:13, 115:18, 178:6, 178:8, 178:11, 178:16, 178:20, 185:10, 185:15, 185:17, 185:21 assumes 160:3, 160:11, 160:19, 164:15 assuming 63:12, 69:7, 93:19, 93:22, 96:8, 103:20, 105:18, 113:15, 185:20, 195:10 assumption 80:19 assumptions 199:18 attached 5:6, 6:2, 10:2, 19:17, 37:12, 51:11, 78:7, 116:20, 122:16, 129:10, 131:7, 148:14, 188:3, 205:9, 247:6 attain 236:4 attempt 98:10, 161:2,</p>
--	--	---	---

<p>218:12 attends 230:14 audio 1:5, 7:4, 44:1, 44:2, 45:5, 45:14, 46:7, 46:17, 47:3, 47:13, 47:15, 47:19, 47:22, 48:2, 62:7, 63:5, 64:4, 68:22, 83:2, 87:21, 96:5, 113:13, 114:19, 126:14, 196:12, 223:12, 223:15, 223:18, 231:13, 234:5 august 233:19 austin 4:5, 7:20 authored 17:18 authors 20:2 available 127:2, 203:3 average 211:16, 211:20 averaging 109:15, 109:16, 109:18, 109:21, 112:5, 112:16 avoid 97:16 aware 17:7, 18:17, 30:9, 102:11, 106:7, 128:19, 230:4 away 110:21, 111:3, 163:3</p> <hr/> <p style="text-align: center;">B</p> <hr/> <p>back 10:17, 26:19,</p>	<p>37:8, 43:9, 59:3, 61:21, 66:10, 74:8, 75:18, 77:6, 78:20, 78:21, 80:22, 93:16, 95:7, 102:9, 103:10, 104:18, 108:5, 110:1, 110:10, 116:22, 149:16, 151:22, 152:1, 155:2, 173:19, 200:12, 215:3, 230:9, 231:16, 237:7 background 191:19, 191:20, 193:12 bad 218:7 baik 4:4, 7:21, 64:21, 162:6 ballpark 230:22, 231:1 band 144:4, 169:21, 173:16, 174:4, 178:12 bandwidth 198:22 bank 155:7, 155:10, 155:17, 157:15, 157:20, 170:3, 170:8, 170:14, 170:16, 171:11, 172:14, 173:14 bar 147:5, 147:13, 147:14, 148:2 bars 54:1, 54:11, 54:15 based 6:6, 80:19, 88:10, 98:16, 116:6, 135:3,</p>	<p>135:6, 171:16, 187:5, 197:11, 213:12, 220:6, 231:14 bases 128:9 basic 118:5 basically 11:16, 19:1, 168:7, 226:22, 238:20 basis 143:19, 153:10 bates 51:22, 129:12, 129:13 bb 136:19, 137:1, 138:13 beam 235:2 beamformer 234:1, 234:7, 234:10, 235:3, 235:6 became 40:15, 41:18 because 20:8, 21:10, 21:19, 41:2, 44:16, 46:3, 51:6, 59:18, 65:6, 72:19, 93:12, 107:14, 117:11, 121:14, 122:8, 134:5, 142:4, 145:16, 148:1, 150:7, 153:20, 158:17, 162:19, 164:15, 165:11, 168:4, 171:3, 171:6, 176:11, 181:20, 186:3, 187:15, 194:7, 196:19, 204:18, 206:7, 211:10, 219:14,</p>	<p>224:12, 232:11, 242:13, 242:18 become 203:3 becomes 147:7 been 9:3, 9:6, 9:8, 9:10, 10:3, 11:11, 11:12, 11:18, 15:8, 15:15, 17:5, 24:20, 33:11, 46:4, 47:17, 50:19, 66:20, 73:14, 73:17, 73:22, 75:13, 76:14, 77:2, 77:3, 83:10, 83:20, 87:17, 87:19, 88:2, 88:3, 88:17, 89:1, 100:9, 100:18, 101:1, 106:20, 108:3, 113:2, 113:4, 116:8, 117:4, 124:22, 129:11, 129:18, 131:3, 171:19, 214:8, 232:1, 233:18, 244:13, 244:16, 245:4 before 2:18, 9:10, 10:6, 37:17, 55:2, 66:19, 78:13, 88:7, 100:19, 130:11, 188:9, 213:17, 214:2, 230:6, 232:2, 245:7, 248:2 begin 185:14 beginning 15:22, 16:8, 61:13, 72:2,</p>
---	--	--	--

<p>129:12 begins 7:2, 74:7, 116:21, 200:11 behalf 3:2, 3:11, 4:2, 4:10, 7:14, 7:20, 152:7 behind 14:20 being 8:9, 21:22, 24:1, 32:10, 45:17, 48:14, 48:18, 49:6, 50:13, 51:1, 51:6, 59:10, 79:17, 86:21, 103:6, 106:11, 122:3, 133:17, 137:3, 137:12, 138:4, 138:5, 139:16, 140:15, 144:7, 145:5, 145:6, 159:12, 159:15, 161:3, 165:22, 166:6, 166:21, 167:2, 167:16, 168:9, 179:10, 180:16, 199:17, 209:22, 210:6, 210:17, 210:18, 218:18, 218:19, 218:22, 219:5, 220:14, 221:2, 221:12, 221:22, 230:2, 230:10, 231:12 believe 9:13, 9:16, 10:17, 40:14, 42:10, 83:5, 129:1, 229:20, 230:8 below 138:7, 147:19, 174:22, 200:20, 212:19, 214:1</p>	<p>best 121:9 beta 208:6, 208:8, 208:15, 209:12, 209:15, 209:17, 209:20, 209:22, 210:2, 210:12, 210:15, 211:2 better 12:5, 12:6 between 30:5, 39:16, 56:13, 65:9, 134:6, 165:13, 183:19, 219:6, 219:12, 221:4, 233:19 beyond 68:7, 128:16 bias 52:4 binary 216:5, 216:10, 216:11, 216:13 bins 69:20, 79:9, 80:5, 96:4, 105:15, 105:16, 106:4, 111:14, 111:19, 144:9, 144:14, 153:20, 154:5, 154:11, 154:15, 167:10, 174:6, 174:7, 215:17 block 27:8, 27:15, 28:1, 28:2, 28:6, 30:4, 30:11, 30:12, 30:19, 31:2, 61:2, 72:19, 75:19, 146:17, 147:3 blocks 30:5, 49:1 bob 57:4</p>	<p>boll 5:13, 16:17, 17:9, 46:21, 47:4, 47:9, 51:12, 51:20, 54:3, 54:11, 54:17, 54:22, 55:15, 55:19, 56:16, 56:18, 57:6, 58:6, 59:1, 59:17, 60:8, 60:20, 77:6, 77:12, 83:11, 83:13, 84:20, 101:2, 101:4, 111:18, 111:22, 193:22, 194:5, 194:9, 194:11, 194:18, 194:22, 195:11, 195:16, 196:2, 196:8, 196:16, 196:18, 197:1, 197:12, 198:5, 198:8, 202:1, 202:3, 202:7, 202:9, 202:12, 202:14, 202:16, 202:20 boll's 17:5, 56:20 both 69:12, 86:9, 93:12, 138:12, 139:14, 140:3, 140:9, 192:1, 210:19, 212:10, 225:21, 226:11, 226:18 bottom 76:15, 175:2, 176:8, 192:7, 209:9 bound 132:19 box 53:19, 54:1, 97:6, 97:8,</p>	<p>175:1, 175:11, 175:17, 175:18, 175:20, 176:17, 176:21 brad 8:2 bradley 3:4 break 9:19, 73:19, 116:11, 116:14, 200:6, 200:7, 237:1 brief 156:4, 156:20 briefly 9:17, 183:22 bring 92:6 broad 201:14, 201:22 broadband 135:8, 135:19, 136:6, 139:15, 139:22, 152:15 broader 202:5 broughan 4:3, 5:4, 7:19, 152:3, 152:6, 187:22, 200:5, 200:13, 205:6, 218:7, 237:1, 237:9, 246:2 buffer 28:1 build 190:3, 198:16 burling 2:4, 3:16, 7:14, 7:18</p> <hr/> <p style="text-align: center;">C</p> <hr/> <p>calculate 92:4, 98:5, 101:2, 111:7, 180:6, 183:9, 183:11, 235:2</p>
---	--	--	---

<p>calculated 51:4, 77:2, 92:8, 139:2, 165:16, 169:3, 169:5, 169:12, 180:17, 181:6, 182:17, 214:4 calculates 214:16, 217:1, 217:2 calculating 97:11, 97:17, 98:14, 98:16, 102:2, 148:8 calculation 54:2, 59:20, 59:21, 60:5, 82:15, 92:8, 93:1, 97:20, 99:1, 99:4, 100:11, 101:6, 101:9, 101:12, 102:6, 138:5, 138:6, 138:7, 138:8, 145:9, 154:20, 154:21, 174:17, 175:1, 175:6, 175:8, 176:7, 177:3, 179:14, 179:16, 180:22, 181:10, 181:11, 181:22, 182:21, 183:21 calculations 60:9, 61:2, 100:15, 101:5, 106:8, 111:12, 169:1 call 165:13, 231:7, 231:9 called 155:2, 211:15 calls 208:1, 208:2 came 172:4, 231:2 can't 25:2, 25:14,</p>	<p>26:6, 42:21, 81:12, 106:15, 157:10, 157:19, 158:6, 158:8, 167:8, 178:11, 207:19, 217:13, 227:21, 230:17, 231:14, 232:3 cancel 12:7, 12:9, 201:13, 225:18, 226:1, 226:13, 226:16 canceled 224:21 canceled 10:22, 12:3, 15:10, 31:21, 32:9, 114:10, 200:19, 201:9, 201:10, 201:12, 223:8, 224:5, 224:12, 224:15, 224:16, 224:19, 224:21, 225:2, 225:5, 225:13, 225:20, 226:10, 226:20, 227:6, 227:9, 227:18, 228:1, 228:4, 228:6, 228:10, 228:17, 228:19, 228:22, 229:8, 229:10, 242:11, 242:14, 242:19, 243:3 cancellation 11:3, 11:22, 12:22, 13:1, 13:7, 13:12, 14:2, 45:10, 45:11, 87:11, 87:12, 87:15, 88:12, 223:9 cancel 201:15 cannot 179:22, 180:9,</p>	<p>220:7, 226:9, 244:19 capability 36:6 capable 47:17, 92:17, 184:5, 184:18 captioned 27:5 careful 21:16, 22:3, 23:18 carefully 21:20, 22:14 case 7:5, 9:4, 10:11, 23:20, 43:2, 66:13, 66:21, 67:14, 79:21, 80:18, 117:6, 127:5, 130:2, 130:19, 150:17, 167:14, 168:4, 195:6, 196:17, 204:8, 210:8, 231:12, 248:10 cases 127:10, 232:11, 232:13 catch 128:21 cau 39:9 cause 19:9 caused 19:4, 19:6, 19:7, 19:13 cease 161:4 centeno 4:19, 7:8 center 2:6, 3:18 certain 1:5, 7:4, 21:9, 21:11, 50:12,</p>	<p>129:17, 136:20, 137:8, 138:15, 138:22, 157:19, 236:16, 236:17 certainly 81:16, 92:6, 107:13, 121:8, 194:7, 196:17, 203:11, 203:18, 203:21, 205:1, 231:9, 233:6 certainty 136:15, 137:14, 137:22 certificate 248:1 certify 248:3 challenge 33:15 challenging 40:16, 40:17, 127:12, 242:12, 242:17 chance 112:19 change 54:14, 54:15, 150:22, 161:3, 161:6, 161:8, 161:14, 161:15, 161:18, 162:1, 163:3, 163:8, 163:10, 163:12, 163:13, 163:14, 163:15 changes 59:20, 147:14, 148:1 changing 133:14, 147:5 channel 171:11, 173:13, 193:11, 199:21 channels 155:7, 155:10, 155:13, 155:15, 155:16, 155:18,</p>
--	---	---	--

<p>157:15, 157:20, 157:22, 170:3, 170:9, 170:10, 170:13, 170:22, 171:3, 171:6, 200:2 character 54:22 characterizes 62:8 choice 29:13, 41:18, 160:18, 183:18, 186:21, 187:20, 195:18 choices 41:5, 60:21 choose 42:2, 195:11 chooses 139:4, 190:3 choosing 138:12 chose 41:22, 172:6 chosen 77:3, 137:11, 137:13, 138:21, 139:1, 140:3, 140:9 chris 37:14 cite 193:22, 195:11 cited 192:5 city 2:6, 3:18 claimed 152:22, 153:17 claiming 80:14 claims 33:7, 40:8, 41:10, 43:7, 43:13, 63:2, 64:14, 65:9, 66:5, 67:12,</p>	<p>69:12, 69:13, 78:21, 93:16, 103:10, 110:18, 110:19, 126:13, 146:4, 146:7, 146:9, 148:4, 148:7, 180:2, 180:10, 184:17, 184:20, 185:2, 202:20, 226:16, 237:11, 237:21, 238:10, 238:13, 238:20, 238:21, 239:1, 239:15, 243:5, 244:9, 245:10 clarification 9:19, 23:16 clarify 10:16 clarity 242:15 clean 210:8, 211:1 clear 23:16, 72:13, 78:4, 107:1, 133:8, 133:15, 156:6, 193:20, 197:5, 198:15, 204:18, 204:21, 209:21, 213:21, 214:18 clearer 143:20 clinton 1:10, 2:1, 5:2, 8:8, 8:18, 247:2 close 98:11, 237:2 closer 231:1 coefficient 53:4, 53:10, 57:10, 57:11, 57:18, 58:2, 135:16, 135:19, 140:19</p>	<p>coefficients 71:20, 124:12, 133:3, 135:7, 135:9, 136:6, 137:18, 139:6, 139:10, 139:16, 235:15, 235:17, 235:19, 235:22, 236:4, 236:11 collect 27:9, 28:8 collected 29:13 collecting 27:12 collection 167:7 columbia 2:20, 248:20 column 12:16, 15:21, 16:7, 52:2, 58:9, 61:12, 71:21, 77:11, 99:4, 100:12, 112:2, 112:4, 132:15, 135:14, 136:2, 136:3, 141:3, 188:11, 188:13, 192:7, 194:21, 205:10, 209:5, 209:10, 215:10 columns 189:9, 189:14, 189:18, 190:7, 190:21 combination 33:22, 34:2, 34:12, 35:6, 35:14, 36:13, 50:5, 62:18, 63:10, 89:7, 90:1, 90:2, 90:3, 91:4, 106:18, 124:11, 130:12, 189:9, 189:13, 189:20,</p>	<p>190:6, 191:3, 196:22, 217:7, 243:2 combinations 85:19, 86:19, 87:5 combine 27:15, 131:4, 234:22 combined 34:13, 34:16, 36:14, 46:4, 90:22, 91:3, 91:13, 122:9, 124:10, 212:15 combiner 28:3 combines 28:4, 90:6, 139:2 combining 190:1 come 98:11, 127:16, 234:20, 245:6 comes 25:20 commission 1:1, 4:12, 8:1, 248:14 common 14:16, 73:12, 192:1 commonly 20:16 communications 39:16, 39:20 compare 187:1 compares 184:6, 184:21, 186:14, 211:5 comparison 211:8 compensation 123:6 complainant 3:2</p>
--	---	---	--

<p>complete 123:22, 127:4, 127:18, 128:1, 128:5, 208:21, 247:5 completely 198:4 complex 62:6, 80:4, 81:3, 81:12, 81:17, 82:1, 82:5, 82:7, 82:10, 82:12, 98:21, 99:1, 99:2, 99:8, 100:15, 101:19, 101:20, 103:1, 103:8, 105:15, 106:3 complexity 20:9, 72:6, 72:10, 73:1, 73:2, 97:17, 99:11, 100:2, 100:5, 184:8, 185:1, 186:16 complying 27:1, 48:17, 51:21, 72:1, 97:4, 113:8, 130:10, 148:10, 149:20, 164:22, 188:12 component 123:21, 208:9 components 84:16, 102:7, 153:7, 153:9, 154:7, 154:19 comprise 85:4 comprises 109:12, 116:1, 229:9 comprising 108:15, 113:12, 114:18, 115:12, 126:13, 200:20,</p>	<p>225:8 computation 51:2, 51:3, 72:20, 91:12, 91:14, 92:1, 92:16 computational 20:9, 184:8, 184:22, 186:16 computations 90:14, 93:12 compute 49:6, 60:2, 76:20, 99:7, 124:12 computed 60:14, 76:2, 76:4, 78:3, 92:5, 98:20, 124:21, 133:2, 167:16, 168:9, 180:12, 182:12, 218:20 computes 76:12 computing 60:16, 134:7, 153:19 concept 15:11, 45:17, 73:9, 83:17, 83:19, 84:14, 93:3, 111:6, 138:1, 151:11, 201:19, 201:21, 202:4 concerns 119:12, 119:13 conclusions 42:20 conclusory 127:10, 151:13 conditions 20:17 conference 184:13, 230:19 conferences 230:14</p>	<p>confident 230:19 confidentiality 129:19 configuration 161:2, 173:12 configure 160:13, 160:15, 160:20 confused 64:8, 178:3 conjunction 89:9, 119:4, 119:22, 120:17 connected 133:16 connection 134:6, 165:12 conservative 21:1, 21:12, 21:19, 21:22, 22:11, 22:22, 24:1, 24:6, 24:11, 25:6, 25:15 consider 11:6, 23:5, 24:14, 26:4, 26:11, 33:17, 39:1, 40:6, 41:12, 69:12, 87:7, 87:10, 87:14, 108:3, 109:8, 110:3, 112:20, 113:2, 163:10, 163:12, 164:19, 171:19, 185:5, 185:12, 187:4, 187:8, 189:8, 189:12, 189:20, 190:5, 190:12, 190:13, 190:19, 191:1, 191:3, 197:1, 198:9, 198:11, 242:12, 242:17, 243:4 consideration 117:10</p>	<p>considerations 117:20 considered 14:8, 18:22, 69:14, 91:2, 96:13, 110:17, 158:13, 242:10, 242:20 considering 38:22, 66:20, 80:11, 103:5, 104:2, 108:4, 109:9, 110:4, 149:14, 183:8, 218:17 considers 58:20 consistent 102:11 constitute 149:2 constitutes 148:21 constraints 169:22 construct 127:13, 171:10 consult 192:4 contain 128:8, 138:19, 151:7, 167:9, 201:4, 244:6 contained 238:19 containing 1:7 contains 49:1, 65:5, 85:3, 85:19, 87:5, 128:10, 137:5, 139:17, 167:5, 169:15, 173:1, 173:9, 216:6 contemplate 163:2, 163:17, 166:13</p>
--	--	--	--

<p>contemplates 162:15</p> <p>content 119:16, 119:18, 119:20, 120:1, 120:3</p> <p>contentions 173:2, 173:18</p> <p>contents 122:8</p> <p>context 14:18, 17:11, 18:14, 28:17, 40:7, 48:3, 50:1, 66:21, 84:4, 100:17, 100:18, 104:17, 106:9, 106:10, 109:4, 109:8, 151:8, 167:11, 174:6, 179:15, 181:21, 185:7, 189:7, 189:11, 190:10, 193:19, 194:14, 194:16, 199:6, 199:14, 199:19, 201:14, 207:19, 217:12, 218:16, 218:18, 222:18, 224:11, 234:5, 239:10</p> <p>contexts 18:13, 19:13, 28:20, 28:21, 28:22, 29:1, 29:2, 98:21, 193:2</p> <p>continue 152:8</p> <p>continued 4:1, 6:1</p> <p>continuing 224:1, 236:3</p> <p>continuous 215:15</p> <p>contrast 168:4, 205:11</p> <p>control 11:15, 196:14</p>	<p>converts 180:8</p> <p>copy 10:4, 117:5, 123:1, 129:15</p> <p>corner 175:2</p> <p>correct 44:13, 47:13, 54:19, 56:11, 103:15, 129:4, 134:12, 135:5, 140:2, 141:12, 149:1, 154:12, 154:13, 155:8, 155:13, 155:16, 158:14, 159:1, 159:7, 160:5, 161:10, 165:7, 166:19, 166:20, 169:14, 170:14, 170:22, 172:21, 173:7, 175:19, 176:1, 176:5, 177:2, 177:6, 177:10, 177:14, 177:15, 180:20, 181:2, 181:8, 182:2, 182:14, 183:11, 184:18, 188:14, 188:18, 192:6, 193:9, 194:2, 197:9, 197:10, 198:2, 198:6, 200:18, 201:9, 201:12, 201:18, 202:2, 203:10, 203:20, 205:5, 207:21, 208:2, 208:6, 209:13, 209:20, 209:21, 211:3, 211:6, 211:13, 212:5, 212:13, 213:8, 218:15, 219:1, 223:12, 223:16, 223:20, 225:11, 225:14,</p>	<p>235:9, 240:6, 240:20, 241:12, 241:17, 247:4, 248:4</p> <p>corrections 129:5, 247:6</p> <p>correctly 206:15</p> <p>correspond 163:21, 238:13</p> <p>correspondence 219:6, 219:12, 219:14, 220:13, 221:4</p> <p>corresponding 32:17, 44:21, 91:20, 96:4, 166:6, 166:21, 166:22, 167:3, 167:15, 168:7, 179:9, 179:11, 180:13, 182:8, 182:11, 219:20, 221:5, 221:17, 222:1, 241:18, 241:20</p> <p>corresponds 118:16, 166:8, 244:2</p> <p>could 22:8, 30:1, 33:17, 46:20, 61:21, 65:15, 73:18, 81:5, 81:19, 100:21, 107:13, 127:3, 139:9, 152:9, 156:16, 157:1, 157:2, 163:22, 164:2, 183:13, 183:15, 183:16, 185:14, 187:3, 187:15, 187:19, 189:17, 193:20, 199:20, 204:14, 205:20, 206:8, 206:13, 215:19, 216:3, 216:22,</p>	<p>217:6, 225:4, 226:12, 226:21, 227:9, 228:21, 229:2, 231:3, 234:19, 234:20, 234:21, 235:3, 235:6, 236:8</p> <p>couldn't 176:11</p> <p>counsel 7:11, 8:12, 39:16, 152:2, 248:8</p> <p>course 43:1</p> <p>court 102:16, 102:18</p> <p>cover 202:7, 202:9, 202:11, 229:4, 238:21</p> <p>covered 114:14, 229:12</p> <p>covers 203:8, 219:22</p> <p>covington 2:4, 3:16, 7:14, 7:16, 7:18</p> <p>create 20:15, 20:21, 236:14</p> <p>created 160:17</p> <p>creating 27:19</p> <p>creation 171:20, 171:22</p> <p>criterion 70:7, 71:15, 74:13, 74:16, 74:22, 75:2, 75:3, 75:14, 75:17, 76:10</p> <p>crr 1:22, 2:19</p> <p>current 49:5, 72:16, 147:1, 147:2,</p>
--	--	--	--

<p>147:10, 147:12, 151:2, 165:5, 165:11, 165:14, 165:18, 165:21, 166:8, 166:17, 166:22, 167:3, 167:22, 169:11, 177:19, 177:22, 178:4, 178:6, 178:17, 179:8, 179:9, 180:15, 181:5, 182:7, 182:9, 182:15, 182:19, 183:2, 183:19, 219:4, 219:7, 219:13, 219:15, 219:19, 220:10, 220:12, 220:15, 220:17, 221:1, 221:2, 221:4, 221:7, 221:15, 241:10, 241:16, 241:18, 241:19 cut 190:16</p> <hr/> <p style="text-align: center;">D</p> <hr/> <p>d (k) 139:14, 140:4 d-i-e-t-h-o-r-n 38:9 dallas 9:2 data 27:9, 27:12, 62:6, 145:1, 160:11, 162:15, 166:7, 168:8, 168:19, 169:7, 169:10, 169:13, 170:19, 175:7, 176:4, 176:7, 177:2, 177:9, 177:12, 181:2, 181:22, 182:2, 212:1 date 7:6, 247:11</p>	<p>dawn 1:22, 2:18, 248:2 day 248:13 dc 2:7, 3:8, 3:19, 4:7, 4:15, 7:10 december 233:19 decide 63:17, 63:18, 69:13, 79:18, 138:18, 142:14, 181:12, 184:15, 204:4, 204:19, 217:13, 226:2, 235:12 decided 67:1, 67:2, 95:18, 95:20 decision 98:9, 137:3, 137:11, 137:17, 138:4, 138:16, 139:16, 146:11, 149:6, 216:6, 216:9, 216:10, 216:18, 216:21, 217:1, 217:22 declare 233:11 declared 136:14, 137:22 decomposed 160:12, 162:16 deemphasize 236:17 define 180:14, 213:17 defined 55:8, 214:6 definition 53:16 deflection 133:2, 133:3, 135:7, 135:9, 135:16, 135:19,</p>	<p>136:6, 136:14, 137:17, 137:22, 138:18, 139:3, 139:5, 139:10, 139:15, 139:21, 140:1, 140:19 delay 122:3, 122:4, 123:3, 123:6, 123:20 deleting 194:18 denominator 77:3 department 8:22 depend 29:14, 33:5, 51:1, 64:14, 66:5, 110:19, 133:3, 187:18, 222:16, 226:13 dependence 80:12 dependent 65:9, 65:12, 66:5 depending 81:10, 93:5, 147:5, 196:14, 217:5 depends 43:17, 50:21, 66:1, 68:17, 69:16, 79:3, 80:1, 80:12, 80:13, 82:17, 93:19, 95:21, 103:13, 104:8, 105:10, 106:10, 107:10, 108:7, 113:9, 139:13, 139:14, 143:4, 143:5, 192:19, 199:16, 200:3, 227:10, 227:20 depicts 164:5</p>	<p>deponent 247:1 depos 7:8 deposed 9:10 deposition 1:10, 2:1, 5:7, 6:3, 7:3, 7:9, 9:15, 57:5, 231:17, 231:21, 246:9, 248:3 depth 187:12 derive 44:2, 220:14 derived 57:20, 165:22, 179:10, 219:5, 220:11, 221:3, 221:16, 221:22 describe 18:2, 55:13, 122:6, 122:8, 123:13, 123:19, 124:7, 126:4, 162:12, 192:9 described 10:13, 14:13, 15:4, 15:9, 15:11, 16:1, 17:19, 36:6, 36:7, 46:10, 47:9, 47:12, 47:14, 48:4, 60:3, 83:14, 84:20, 86:21, 88:13, 90:9, 94:5, 94:12, 94:17, 110:6, 115:18, 126:20, 161:4, 170:16, 171:13, 188:18, 191:16, 192:4, 194:21, 216:1, 229:10, 239:6, 241:9, 241:14 describes 31:21, 46:21,</p>
---	---	---	--

<p>47:4, 48:11, 57:1, 86:8, 90:6, 97:8, 106:5, 109:5, 114:10, 121:21, 132:15, 144:2, 154:17, 155:6, 160:22, 163:19, 164:8, 173:15, 182:5, 185:6, 196:3, 202:1, 202:3, 205:4, 210:9, 211:12, 215:7, 220:21, 221:20, 222:19, 228:1, 239:19, 240:12, 240:17 describing 18:18, 27:19, 44:6, 44:10, 44:11, 44:15, 44:16, 62:12, 112:10, 122:1, 132:22, 157:1, 157:3, 161:5, 161:17, 163:16, 164:18, 172:13, 172:18, 184:12, 195:19, 199:4, 212:3, 212:22, 213:2, 213:4, 213:19, 232:13 description 48:13, 78:5, 118:18, 124:18, 125:2, 125:17, 126:8, 137:16, 156:1, 156:4, 156:13, 156:20, 158:9, 161:21, 163:4, 209:8, 214:18, 239:5, 239:13, 241:21 design 21:16, 21:20, 22:14, 22:15, 23:6, 71:16, 73:16, 75:17,</p>	<p>85:21, 160:18, 188:21, 226:11 designed 12:4, 13:22, 28:10, 47:7, 70:6, 71:14, 74:12, 75:14, 101:1, 101:9, 229:10, 243:17 designer 191:14 designers 22:3 designing 21:17, 24:14, 26:3 desirable 187:17 desire 203:4 detail 16:1, 151:15, 156:5, 156:18, 157:6, 157:12, 157:16, 171:13 detailed 48:13, 158:2 details 124:1, 124:2, 191:17 detect 134:20, 145:11, 145:13, 146:8, 216:16 detected 67:20, 90:18, 132:6, 132:14, 133:21, 134:15, 134:21, 141:15, 142:9, 143:2 detecting 32:12, 32:15, 32:17, 44:19, 44:21, 90:13, 91:18, 91:21, 94:8, 94:19, 134:7, 215:4, 215:20, 216:4,</p>	<p>222:4, 222:6, 222:9, 222:11, 222:13, 222:14, 222:19, 223:1, 224:3, 243:12, 243:14, 244:5 detection 32:10, 44:17, 91:5, 133:9, 133:18, 148:3, 148:6, 154:1, 208:10, 213:10, 213:12, 214:20, 214:22, 216:3 detector 44:1, 46:7, 83:2, 91:16, 94:5, 94:20, 144:8, 151:14, 179:6, 182:5, 203:11, 204:11, 204:12, 204:15, 204:21, 205:16, 205:18, 205:21, 205:22, 207:2, 215:13, 215:14, 216:9, 216:12, 216:13, 216:15, 216:16, 217:6, 217:8, 217:9, 217:10, 220:22, 223:19, 223:21, 225:17, 239:20, 240:1, 240:7, 240:11, 240:13, 240:15, 240:18, 240:22, 241:2, 245:16, 245:21 detects 134:1, 210:14, 215:14, 222:10, 222:22, 223:3, 228:21 determination 68:11, 85:13, 217:16 determine 85:10, 95:14,</p>	<p>96:17, 98:2, 113:3, 116:4, 124:21, 156:19, 157:7, 157:12, 157:17, 158:3, 164:14, 187:13, 188:20, 191:21, 206:22, 208:8, 208:10, 209:7, 210:11, 210:19, 237:11, 237:16, 238:7, 243:17, 243:19, 244:9, 244:19, 245:1 determined 43:22, 46:6, 83:1, 132:18, 135:6, 214:12, 225:17, 238:15 determines 133:7, 244:1 determining 215:12, 215:16 developed 117:12, 118:9 developer 26:7 developers 21:1, 21:11, 21:15, 22:10, 22:13, 22:21, 23:17, 24:1, 25:6, 25:14, 25:22, 26:3, 26:10 deviated 59:11 deviates 59:17, 59:22, 60:8 device 153:19, 234:16, 234:20 devices 234:21 diagram 27:2 dictate 128:11</p>
--	--	---	--

<p>diethorn 38:8, 39:8, 39:12, 40:4, 40:6, 40:8, 40:10, 41:2, 41:10, 42:4, 42:12, 42:18, 42:21, 43:2, 43:6, 67:3, 67:17, 68:2, 68:3, 131:9, 131:12, 132:1, 132:5, 132:7, 132:12, 132:15, 133:19, 135:13, 136:18, 137:2, 137:6, 139:10, 141:3, 142:3, 142:10, 142:11, 142:17 diethorn's 139:5, 142:13 difference 56:12, 65:8 differences 61:4 different 11:13, 11:14, 18:12, 18:13, 19:12, 24:21, 25:3, 30:22, 33:16, 34:13, 40:20, 40:21, 41:6, 41:19, 41:20, 45:19, 50:2, 55:11, 56:2, 58:19, 58:21, 60:9, 75:10, 81:10, 81:11, 81:20, 85:3, 85:20, 89:8, 91:2, 93:7, 100:3, 112:18, 116:2, 154:21, 172:19, 177:17, 193:2, 193:3, 200:1, 200:2, 200:20,</p>	<p>203:17, 214:8, 217:5, 222:10, 222:14, 235:2, 239:8 differentiates 75:15 differs 60:20 difficult 66:17 digital 195:15, 196:7 digitally 17:19 direct 12:16, 15:21, 43:9, 52:2, 134:6, 211:16 directed 232:7, 232:9, 243:6 direction 236:9, 236:15, 248:7 directions 236:16, 236:17 dis 39:5 disagree 38:17 disclose 78:17, 111:18, 121:15, 121:18, 123:16, 130:20, 204:14, 204:15, 205:16, 205:18, 205:21, 206:11, 206:18, 207:14, 227:5, 242:14, 242:19 disclosed 206:16, 227:3, 227:16 discloses 83:11, 124:4, 156:10, 227:17, 228:6, 228:19, 229:8</p>	<p>disclosure 171:9, 171:16 discovery 39:14 discussed 148:16, 223:10 discusses 199:3 discussing 130:16, 143:10, 150:1, 152:9, 155:20, 165:1 discussion 39:6, 156:2 discussions 39:15 dispute 67:2, 164:5 distinction 67:8 district 2:19, 248:20 divided 54:18, 135:16, 135:20, 140:4, 140:5, 140:21, 213:20, 214:3 document 19:18, 117:17, 118:2, 119:7, 119:10, 119:17, 119:19, 188:4, 188:9 doing 11:18, 30:8, 31:9, 36:1, 48:4, 147:9, 156:14, 157:5, 158:9, 171:14, 179:7, 181:21, 213:11, 244:21 domain 62:7, 103:5, 106:5, 152:15, 152:22, 153:5, 153:7, 153:8, 153:13, 153:17, 154:6, 154:18,</p>	<p>154:20, 155:21, 156:11, 156:16, 157:2, 157:4, 180:9 done 11:13, 28:12, 50:4, 55:13, 55:14, 59:10, 61:2, 84:17, 97:10, 103:6, 119:21, 121:6, 138:5, 169:1, 184:14, 196:13, 216:3, 217:3, 218:18, 232:4, 232:10, 232:22, 233:6 douglas 1:10, 2:1, 5:2, 5:7, 5:17, 5:22, 6:3, 7:3, 8:5, 8:8, 8:18, 8:19, 74:11, 152:4, 247:2 down 25:20, 61:14, 146:17 dr 7:3, 33:12, 37:22, 66:9, 67:2, 74:11, 85:12, 87:4, 88:17, 127:7, 127:9, 127:19, 128:14, 151:3, 151:12, 158:14, 158:22, 160:3, 160:9, 164:4, 164:15, 171:21, 172:9, 172:13, 172:18, 173:2, 173:10, 173:18, 231:11, 238:5, 238:15, 238:22, 239:2, 239:11, 239:18, 243:1, 244:14, 245:8, 245:14</p>
--	---	---	---

<p>drafting 121:6 drive 185:11 driven 190:2, 191:13, 198:13 drives 20:22, 22:10, 22:21, 25:5 due 129:18 duly 8:9 during 179:20</p> <hr/> <p style="text-align: center;">E</p> <hr/> <p>each 32:11, 32:12, 32:15, 32:18, 35:13, 44:17, 44:19, 55:20, 56:2, 56:6, 56:16, 56:18, 91:17, 91:18, 91:21, 94:7, 96:20, 103:17, 104:5, 104:7, 104:16, 105:13, 106:2, 108:10, 108:16, 109:6, 109:13, 136:7, 144:9, 155:13, 155:15, 157:14, 157:19, 157:22, 165:15, 168:20, 170:2, 170:9, 170:13, 170:21, 170:22, 171:3, 171:6, 171:11, 173:13, 179:6, 182:6, 203:12, 204:12, 206:2, 207:2, 207:11, 207:13, 207:21, 214:4, 215:5, 215:13, 215:21,</p>	<p>216:5, 216:17, 216:19, 217:18, 219:16, 219:19, 221:1, 221:15, 222:4, 222:7, 223:6, 223:22, 237:21, 240:2, 240:4, 240:8, 240:9, 240:13, 240:16, 240:18, 241:3, 241:11, 241:16, 245:7, 245:16, 245:22 earlier 42:8, 51:13, 66:11, 74:11, 77:9, 83:5, 154:10, 218:13, 232:22, 235:14 early 17:18 easily 193:20 effect 18:18, 18:21, 19:1, 19:3, 26:14, 62:3, 62:13 effectively 93:14 effects 21:21, 22:14, 23:7, 23:19, 24:15, 25:11, 26:4, 26:11, 26:18 efficient 5:18 effort 41:7 either 107:2, 107:3, 144:21, 147:4, 246:7 elaborate 128:11, 128:12 electrical 9:1</p>	<p>electronics 7:14, 7:15, 8:4, 9:4 element 62:17, 86:3, 103:1, 105:15, 108:9, 116:7, 148:3, 222:6 elements 32:18, 34:1, 34:12, 35:6, 35:8, 36:13, 43:21, 44:10, 44:22, 45:3, 45:5, 45:14, 46:6, 47:19, 47:22, 48:2, 48:9, 48:14, 62:18, 65:5, 65:7, 69:21, 79:9, 80:4, 81:3, 81:12, 81:18, 82:13, 82:22, 85:4, 85:13, 85:20, 89:7, 90:7, 90:14, 91:21, 94:8, 94:10, 94:19, 106:3, 113:5, 132:9, 145:12, 145:14, 146:8, 148:6, 215:5, 215:15, 215:17, 215:21, 216:5, 216:17, 222:4, 222:7, 222:13, 222:15, 223:9, 224:18, 225:9, 225:16, 227:4, 227:9, 227:16, 228:2, 228:7, 228:20, 229:9, 234:22, 235:6, 238:9, 239:15, 242:21, 245:2, 245:10 elevator 231:5</p>	<p>eliminate 159:5, 159:18 else 32:22, 60:12, 60:19, 75:5, 77:22, 90:3, 114:4, 169:6, 232:5, 233:3 elvis 4:19, 7:8 embodiment 48:12, 86:21, 90:6, 95:2, 95:6, 126:20, 215:9, 216:2, 221:20, 240:1, 241:7, 241:22, 242:1, 242:3, 245:20 embodiments 85:22 emphasize 236:16 employ 44:11, 49:16, 81:14 employed 8:19, 8:20, 8:21, 210:19, 248:9 employees 86:10 employing 73:17, 82:1, 82:5, 82:7, 82:10, 153:19, 225:21 employs 109:14, 153:6, 153:20, 154:11, 191:18 en 50:6 enabled 150:13, 188:21, 239:15 enables 209:7</p>
--	--	--	---

<p>enabling 50:6</p> <p>encompasses 220:7</p> <p>end 122:11, 212:9, 246:8</p> <p>ending 129:13</p> <p>ends 51:22</p> <p>energy 136:15, 137:5</p> <p>engagement 230:5</p> <p>engineering 9:1</p> <p>enhancement 5:11, 20:1, 20:8, 188:22, 192:14, 193:8, 193:11, 195:2</p> <p>enough 157:6, 157:12, 157:16, 158:3, 158:4, 171:4</p> <p>ensure 134:1, 134:3</p> <p>entire 106:21, 109:9, 110:4, 119:4, 149:14, 168:8, 169:3, 169:6, 169:10, 173:22, 174:13, 175:7, 177:1, 181:12, 181:14, 181:22</p> <p>entitled 39:21</p> <p>environments 20:15</p> <p>equal 132:19, 141:4, 147:1, 147:12, 147:21, 163:20, 164:9, 172:6, 175:3, 175:6, 175:20, 175:22,</p>	<p>176:19, 178:1, 200:1</p> <p>equals 53:12, 146:18, 175:19</p> <p>equation 57:21, 58:1, 100:14, 139:18, 213:22, 214:2, 214:9, 214:11</p> <p>errata 247:6</p> <p>error 75:4, 78:19</p> <p>errors 128:22, 129:1</p> <p>especially 183:7</p> <p>esquire 3:4, 3:12, 3:13, 3:14, 4:3, 4:4, 4:11</p> <p>essentially 14:14, 101:20, 154:7</p> <p>estimate 5:19, 15:1, 15:18, 46:15, 46:16, 52:8, 54:18, 54:20, 55:6, 55:9, 55:11, 55:12, 55:16, 55:20, 55:21, 56:9, 56:13, 56:15, 56:18, 56:20, 97:9, 98:10, 100:1, 108:10, 108:16, 109:6, 109:13, 112:16, 133:4, 133:10, 133:16, 145:22, 147:1, 147:2, 147:11, 147:20, 147:21, 208:5, 208:16, 210:7, 210:22, 214:16</p> <p>estimated 43:22, 46:6,</p>	<p>46:17, 55:19, 72:4, 72:15, 90:18, 99:11, 146:2, 155:12, 155:14, 170:9, 225:16</p> <p>estimates 47:3, 56:16, 76:19, 77:4, 105:13, 106:1, 111:14, 203:9, 204:5</p> <p>estimating 35:3, 36:22, 46:12, 47:10, 47:11, 76:7, 86:12, 86:16, 96:19, 97:14, 97:21, 98:1, 100:7, 102:15, 102:22, 103:17, 104:4, 104:7, 104:16, 144:3, 170:21, 211:13</p> <p>estimation 6:8, 18:4, 18:5, 32:12, 35:11, 36:20, 44:18, 49:5, 55:13, 89:16, 90:13, 91:4, 91:18, 92:19, 93:13, 93:14, 94:7, 100:4, 100:17, 100:19, 101:11, 121:22, 122:2, 122:3, 122:4, 123:4, 123:21, 144:6, 146:6, 154:2, 155:22, 170:12, 170:18, 173:16, 174:4, 203:13, 203:14, 203:16, 203:18, 204:10, 206:2, 206:4, 206:10, 206:17, 207:3, 207:10,</p>	<p>207:12, 207:15, 208:13, 208:17, 210:21, 211:11, 212:10, 213:7, 217:19, 218:4, 224:1, 240:3, 240:5, 240:19, 245:17, 246:1</p> <p>estimator 58:10, 86:11, 96:19, 99:12, 99:21, 103:17, 104:4, 104:15, 105:13, 204:9</p> <p>estimators 106:9</p> <p>et 7:5</p> <p>evaluate 187:12, 188:20, 207:19, 222:18</p> <p>evaluating 178:15, 186:8</p> <p>evaluation 186:18, 213:13</p> <p>evaluations 93:6</p> <p>even 67:1, 160:21, 162:12, 202:5, 215:15, 232:3</p> <p>evenly 198:21</p> <p>ever 100:22</p> <p>every 151:8, 203:6</p> <p>everything 90:3, 118:1, 120:2, 151:7</p> <p>ex 140:14</p> <p>exact 101:12, 102:6, 156:7</p> <p>exactly 81:13, 97:11, 98:5, 102:2,</p>
--	--	--	---

<p>104:9, 156:14, 156:19, 157:7, 157:13, 157:17, 171:14, 198:15, 228:13, 230:18, 231:5 examination 5:2, 8:12, 152:2 examine 206:22, 220:3 examined 8:11, 238:2, 247:3 example 62:5, 67:19, 75:3, 76:3, 76:5, 91:16, 91:22, 92:11, 92:21, 95:1, 95:5, 97:8, 98:3, 124:10, 124:13, 126:19, 179:21, 183:13, 183:14, 186:3, 197:6, 197:7, 216:2, 217:7, 229:15, 236:6, 236:8, 236:16, 236:17, 236:21, 241:6, 243:19, 245:20, 246:1 examples 75:8, 154:3, 242:10 exceeds 208:9 exciting 173:19 excuse 36:2, 189:3 exhibit 5:8, 5:9, 5:12, 5:13, 5:16, 5:17, 5:18, 5:21, 6:4, 6:5, 6:7, 6:8, 10:1, 10:4, 19:15,</p>	<p>19:16, 26:20, 37:11, 51:8, 51:10, 51:12, 78:6, 78:8, 78:20, 116:19, 117:5, 122:15, 129:8, 129:9, 129:12, 130:6, 131:6, 131:8, 148:13, 148:15, 149:18, 188:1, 188:2, 205:7, 205:8 exhibits 5:6, 5:7, 6:2, 6:3 exist 201:15 existed 201:9 existence 204:8 expect 119:13, 189:12, 189:16 expectation 55:14 expected 52:11, 55:3, 55:7, 55:10, 55:17, 55:22, 56:10, 56:13, 56:19, 57:1 experience 11:8, 11:10, 88:11, 239:17, 245:1, 245:12 experiments 155:7, 155:9, 155:12, 170:8, 212:4 expert 5:12, 5:17, 5:21, 9:4, 11:6, 37:13, 37:14, 63:18, 65:2, 67:22, 87:7, 87:10, 87:15,</p>	<p>89:2, 129:15, 232:4, 232:18, 233:1, 233:5, 233:7, 233:10, 244:16, 244:17, 244:18, 245:4 expertise 118:11, 162:22, 245:12 expires 248:14 explain 149:8 explained 25:9 explanation 183:1 exponent 99:6, 140:14, 140:16 expressed 37:22 expression 57:13, 57:14 extent 180:14, 183:8, 183:11, 183:12, 183:20</p> <hr/> <p style="text-align: center;">F</p> <hr/> <p>face 231:3 fact 46:21, 77:2, 83:11, 91:1, 165:17, 214:19, 232:1 factor 186:18, 208:6, 209:12, 209:14, 209:17 fail 121:18 fails 121:15, 130:20 fairly 201:22 fall 11:1, 232:12</p>	<p>falls 11:21, 13:19 familiar 10:8, 11:2, 11:4, 17:5, 19:18, 51:18, 78:15, 83:17, 83:19, 131:14, 148:18, 198:4, 230:7, 231:11, 235:15 famous 231:8 far 230:9 fast 30:18 fault 102:19 favorably 184:6, 184:21, 186:14 feature 34:7, 90:15, 104:10, 106:17, 107:5, 187:11, 187:16, 195:3, 205:3, 206:7 features 63:10, 89:17, 89:18, 91:5, 91:7, 94:9, 106:18, 116:3, 185:6, 186:8, 232:13, 239:8 february 15:13, 28:16, 30:10, 31:11 few 152:6 fft 30:13, 30:16, 30:18, 31:6, 31:7, 31:10, 31:12, 98:17, 98:20, 103:2, 103:4, 153:6, 179:20, 179:22,</p>
--	---	---	--

<p>180:5 field 11:1, 11:2, 11:4, 11:7, 11:9, 11:11, 11:22, 13:19, 16:21, 17:7, 17:16, 17:19, 71:8, 87:17, 87:19, 196:9, 231:6 fields 196:18 figure 26:22, 27:2, 27:8, 29:3, 29:7, 48:12, 48:16, 48:18, 48:21, 50:17, 59:4, 70:12, 70:16, 70:20, 75:18, 86:9, 86:10, 97:3, 97:5, 97:6, 97:8, 101:1, 132:16, 146:12, 146:17, 157:10, 158:5, 158:13, 158:16, 158:22, 159:9, 159:13, 159:16, 159:20, 160:3, 160:8, 164:4, 164:8, 164:12, 164:14, 174:20, 175:3, 176:8, 177:8, 195:7, 208:21, 209:3, 209:6, 210:1, 210:9, 212:20, 214:2, 227:13, 238:11 filed 88:8 filing 15:6 fill 191:17 filtering 49:21, 76:18,</p>	<p>76:22, 78:19, 84:17, 90:9 filters 11:15, 71:5, 71:18, 73:4, 73:5, 75:13, 76:18, 79:19, 81:21, 91:6, 196:14 financial 248:10 find 99:2 fine 74:3 finish 39:10 finished 181:11 first 8:9, 20:5, 20:6, 27:8, 38:7, 52:7, 53:11, 58:13, 116:7, 136:5, 140:7, 195:14, 196:6, 211:15, 211:19, 229:13, 229:15, 230:16, 230:18, 238:2, 238:14 five 183:13, 230:21, 232:19 fix 218:12 flip 212:19 flow 27:2 focus 45:1, 104:4, 174:10, 242:21 focused 155:22, 195:2 focusing 86:2 follow 214:11</p>	<p>followed 149:9 following 99:16, 130:15 follows 8:11 foregoing 247:4, 248:3, 248:4 formed 67:5 forming 120:20 formula 50:16, 50:21, 52:18, 52:20, 53:12, 53:19, 54:8, 54:13, 54:16, 55:2, 57:7, 59:6, 60:17, 61:15, 75:19, 76:21, 77:9, 77:12, 98:13, 98:15, 99:3, 99:5, 99:9, 99:12, 99:17, 99:19, 100:1, 100:18, 135:15, 140:7, 140:8, 146:18, 146:22, 147:9 formulas 52:16, 102:1, 136:5 formulate 75:10 forward 72:3 found 64:15, 66:2, 125:18, 127:12, 180:15, 191:5, 191:6 foundational 195:21, 196:3 four 163:20, 163:21, 177:12, 177:13,</p>	<p>177:16, 178:1 fourier 30:18 fourteenth 3:7 frame 27:20, 179:18, 179:19, 179:22, 180:7, 180:18, 180:20, 243:20, 244:1 frame-by-frame 153:9 frames 168:7, 244:2 frequencies 58:12, 200:3 friday 1:12 front 25:2, 125:12, 145:19, 185:22, 226:4, 226:21, 244:4, 244:8 full 144:4, 169:21, 173:16, 174:4, 178:12 full-wave 62:13, 92:10, 92:12 fully 209:6 function 62:4, 62:5, 62:13, 63:6, 64:4, 69:1, 69:19, 69:20, 76:2, 76:8, 76:12, 77:17, 79:7, 79:8, 80:5, 89:9, 92:4, 92:6, 102:22, 105:14, 106:2, 106:19, 132:17, 133:20, 135:3, 139:7, 141:5, 236:15</p>
--	--	--	---

<p>functionality 50:7, 94:11, 226:22 functioning 142:13 functions 173:18, 239:7 further 12:15, 84:15, 108:15, 136:2, 225:8, 246:3, 246:4 future 127:17, 165:6, 165:10, 165:11, 165:14, 165:18, 165:19, 165:22, 166:1, 166:4, 166:5, 166:10, 166:18, 166:20, 167:14, 167:19, 168:1, 168:11, 168:12, 169:2, 169:11, 173:20, 174:1, 174:10, 174:14, 175:9, 176:9, 177:17, 177:20, 178:1, 179:10, 181:5, 182:10, 182:16, 182:19, 183:2, 183:20, 218:14, 218:20, 218:21, 218:22, 219:5, 219:7, 219:10, 219:13, 219:15, 219:20, 219:22, 220:8, 220:11, 220:13, 220:17, 221:3, 221:5, 221:7, 221:16, 221:21, 222:1, 241:11, 241:15, 241:16, 241:19, 241:20</p>	<p>134:7 gain 67:19, 132:5, 132:13, 132:17, 133:14, 133:20, 134:1, 134:3, 134:8, 134:10, 134:14, 135:2, 136:20, 139:6, 139:7, 139:12, 139:13, 140:1, 140:11, 141:11, 141:14, 141:20, 142:4, 142:8, 143:1, 236:10, 236:13, 236:14, 236:18 gamma 136:19, 137:1, 138:9, 138:10, 138:13, 138:21, 140:4, 140:5, 140:21 gamma_bb 135:20, 136:8 gamma_nb 135:17, 136:7 general 22:16, 28:15, 35:21, 45:17, 59:14, 59:15, 76:19, 83:19, 97:20, 97:22, 98:1, 104:17, 111:6, 195:22, 234:3, 234:8 generally 13:16, 71:7, 71:8, 71:14, 73:7, 74:18, 76:1, 77:17, 81:9, 103:8, 133:11, 141:18, 199:12, 199:16, 199:21, 213:18, 216:9, 216:10, 222:16, 234:2, 243:16, 244:3</p>	<p>generate 154:18 generated 170:19 generates 153:7, 154:6 generating 223:17 generation 154:5 generator 207:8, 223:15 getting 56:5, 158:17, 232:2 give 9:20, 14:18, 17:11, 23:12, 25:2, 67:10, 84:3, 105:7, 201:13, 224:11 given 79:16, 79:20, 80:15, 117:10, 143:17, 144:20, 144:22, 145:1, 213:21, 247:5, 248:5 go 39:9, 66:10, 102:18, 122:20, 136:2, 148:11, 151:18, 162:6, 164:20, 173:19, 176:16, 185:11, 185:13, 191:16, 191:21, 191:22, 194:5, 194:11, 194:22, 195:6, 196:18, 197:12, 198:5, 198:10 goal 15:1, 35:21, 74:19 goes 19:14, 119:17, 155:11, 225:9 going 37:8, 39:9,</p>	<p>39:13, 50:22, 73:22, 74:4, 78:21, 95:7, 104:18, 110:1, 110:10, 116:15, 122:21, 151:19, 152:6, 157:8, 157:13, 158:5, 179:3, 197:1, 198:15, 200:8, 230:9, 231:16, 237:4, 246:9 gone 95:13, 96:15 good 7:19, 8:14, 8:15, 116:11, 116:13, 117:2, 117:3, 152:4, 152:5 gotten 42:20 greater 77:17, 210:12 greek 54:22 ground 9:15 guarantee 67:19, 132:5, 132:13, 142:4 guarantees 77:18 guess 70:15, 81:13, 88:4, 114:6, 135:14, 136:3, 232:21 guidance 21:7</p> <hr/> <p style="text-align: center;">H</p> <hr/> <p>h(k) 53:13 h(k) 53:16 h(n) 49:6, 76:12</p>
<p style="text-align: center;">G</p> <hr/> <p>g(k) 132:18, 132:19,</p>			

<p>half-wave 52:5, 62:14 hamilton 3:5, 3:6, 8:3 hand 117:13, 118:20, 120:5, 120:13, 122:21, 248:12 handed 10:3, 117:4, 148:15 hanning 29:15, 30:1, 30:2, 30:13 happen 79:19, 148:1, 179:16, 231:22 hard 104:9, 105:3, 166:13, 231:7 hardware 1:6, 7:4 hart 1:22, 2:18, 248:2 haslam 3:14, 7:17, 57:4, 74:9, 117:14, 161:20 hat 52:9, 53:12, 208:5, 208:7, 208:12, 208:13, 208:14, 209:16, 209:20, 209:22, 210:2, 210:8, 210:12, 210:15, 210:18, 211:2 head 105:3 heading 20:6, 52:4, 52:7, 58:8, 112:4, 130:11, 155:2, 211:22 hear 18:19 heard 19:12, 230:3,</p>	<p>230:11 hearing 19:1 held 2:2 help 117:10, 117:18, 232:14 helped 118:5, 239:2 helpful 158:19 here 7:2, 22:1, 22:9, 22:18, 23:16, 25:1, 25:4, 25:13, 26:6, 39:14, 42:3, 52:21, 52:22, 53:5, 58:19, 60:17, 61:3, 61:5, 62:10, 63:16, 63:18, 64:1, 64:13, 66:7, 67:6, 69:5, 74:7, 85:10, 87:3, 88:16, 88:22, 89:11, 105:6, 115:16, 116:21, 120:3, 128:12, 128:16, 129:3, 133:13, 137:3, 137:12, 138:4, 150:15, 156:4, 157:12, 165:1, 174:5, 175:11, 178:5, 186:20, 187:19, 191:1, 191:5, 194:21, 200:11, 205:12, 213:22, 214:10, 214:19, 215:2, 221:10, 221:13, 223:10 hereby 247:2, 248:3 hidden 212:7</p>	<p>high 20:14 hired 229:13 hirsch 6:8, 130:12, 205:4, 205:13, 205:15, 207:20, 208:1, 208:19, 209:5, 209:19, 211:2, 211:12, 212:3, 212:15, 212:22, 213:2, 213:12, 214:15, 222:19, 222:22, 223:3, 230:3, 230:4 histogram 211:20 history 27:16 hk 53:8 hmm 212:8 hope 125:7 hypothetical 79:17, 105:3, 159:4, 159:17, 161:15, 185:15, 185:16, 186:5, 186:7, 204:17, 206:21, 226:3, 227:1, 227:12 hypothetically 71:6, 198:14, 220:5, 229:2, 234:13, 244:4</p> <hr/> <p style="text-align: center;">I</p> <hr/> <p>i-c-a-s-s-p 230:20 icassp 230:19 idea 14:19, 15:4, 31:9, 45:5,</p>	<p>84:14, 202:4 ideas 150:10 identification 10:1, 19:16, 37:11, 51:10, 78:6, 116:19, 122:15, 129:9, 131:6, 148:13, 188:2, 205:8 identified 238:6, 238:15, 245:8 identify 7:11 iii 4:3 ill 23:7, 23:19, 26:11, 26:18 illustrated 208:20 imaginary 101:21 immediately 99:9 impart 118:11 implement 49:13, 81:20, 82:2, 82:6, 82:8, 185:8, 185:18, 186:13, 196:16, 245:21 implementation 23:8, 23:19, 24:15, 25:21, 26:5, 61:6, 196:6, 197:15, 197:17, 226:14 implemented 50:13, 62:19, 81:10, 154:7, 213:10, 239:8 implementer 190:2 implementing 23:6, 23:17,</p>
---	--	---	---

<p>25:10, 26:10, 47:18, 61:7, 83:21, 207:1 implements 78:4 implies 204:9 imply 81:17, 165:12 import 4:13 important 23:4, 23:9, 89:17, 90:15, 91:3, 117:21, 118:17, 118:18, 196:19, 214:22, 215:1 improve 74:19 improvements 212:22, 213:2, 213:4 in-phase 123:4 inaccuracy 159:3 inaccurate 159:1, 159:10, 164:17 inc 4:2, 7:15, 152:2 inclined 185:4 include 42:11, 85:20, 102:14, 102:21, 102:22, 200:22, 201:6, 201:7, 217:6, 235:17 included 235:15 includes 107:16, 113:5, 132:8, 226:15, 228:2, 239:22, 240:17, 243:8,</p>	<p>243:11 including 51:3, 73:8, 107:19, 110:18, 133:4 incorporate 186:9 incorporated 125:12, 125:19, 126:1, 126:9 incorporates 126:7 incorrect 159:10 increasing 168:5, 176:2, 176:12, 176:13 independent 64:13, 64:18, 65:9, 65:11, 65:13, 65:22, 66:1, 66:3, 66:6, 110:19 indexes 153:10 indicate 127:8, 136:14, 137:22, 178:22, 179:1, 224:20 indicated 57:12, 223:10, 244:18 indicates 39:15, 48:14, 170:17 indicating 175:12, 175:18 indicative 86:20 individual 34:2, 238:9, 243:5, 245:2, 245:10 individually 65:7 inform 238:18 information 124:9, 124:19,</p>	<p>129:18, 169:16, 171:4, 171:7, 188:21, 189:2, 190:15, 191:15, 191:19, 191:20, 191:21, 192:3, 193:18, 194:1, 194:4, 194:11, 195:12, 195:17, 198:6, 203:5 informed 209:2, 209:4, 238:9, 238:21, 239:6, 245:10, 245:19 initial 84:17 input 27:3, 27:9, 27:12, 28:2, 28:5, 29:22, 53:3, 53:5, 57:9, 57:19, 58:3, 60:14, 119:11, 119:14, 119:15, 144:21, 207:6, 215:17, 223:11 inputs 229:11 inputting 207:6, 223:11 insert 67:12 instantaneous 5:20, 144:3 instantiation 94:16 instead 97:10, 99:6, 99:13, 100:1, 199:14 institutions 11:13 instruct 39:11 instructed 39:7</p>	<p>integral 159:5, 159:18, 159:19, 159:21 integrated 214:15 integrating 213:6 intend 189:1 intention 189:19 interest 248:10 interested 187:3 interesting 231:2 interference 232:10 interferer 236:10 interject 39:13 intern 4:18 international 1:1, 4:12 interpret 218:9 interpretation 215:7 interruption 32:14 introduced 205:12 introduction 20:6, 211:18 introductory 195:20 invalid 63:11, 63:12, 64:6, 64:9, 64:14, 64:15, 65:12, 65:22, 66:2, 66:14, 66:21, 66:22, 67:3, 68:5, 68:13, 69:7,</p>
---	---	--	--

<p>69:13, 79:12, 79:17, 79:19, 80:7, 80:14, 93:22, 95:9, 95:15, 96:8, 103:20, 104:3, 104:11, 104:20, 105:18, 113:15, 233:13 invalidity 9:7, 37:15, 116:6, 232:4, 232:8, 232:9, 232:16, 233:1 invent 45:4, 73:4, 84:22, 100:6, 201:19, 203:6 invented 62:21, 63:8, 100:10 invention 10:13, 12:21, 13:6, 13:10, 33:22, 34:3, 34:4, 34:9, 34:11, 35:19, 36:8, 45:9, 48:12, 50:15, 62:3, 63:22, 87:6, 215:10, 221:21, 237:19 inventive 33:9, 33:20, 34:16, 35:14, 35:15 inventor 188:7 inventors 45:4, 73:3, 84:22, 91:2, 100:6, 239:5 investigated 36:1 investigation 1:5, 129:16, 129:20, 229:18, 229:22, 230:1,</p>	<p>231:18 investigations 4:13 investigative 4:10, 8:1 involve 137:16 involved 129:21, 169:22 involves 98:9, 199:21 involving 105:2, 212:4 isolated 30:11 isolation 108:4, 113:3 issue 21:19, 22:10, 36:3, 38:18, 39:1, 40:16, 63:19, 67:5, 67:14, 69:14, 89:4, 96:13, 105:5, 106:15, 106:22, 118:19, 120:1, 142:15, 174:9, 181:4 issued 125:16 issues 9:7, 9:8, 25:12, 38:22, 40:15, 106:21, 119:11 itc 4:10 items 41:19, 95:15 itself 35:18, 36:8, 50:9, 57:16, 60:5, 93:1, 125:21, 167:9</p> <hr/> <p style="text-align: center;">J</p> <hr/> <p>job 1:20, 181:11</p>	<p>joined 117:14, 161:20, 162:6 judge 111:2, 226:21 judgment 231:7 july 248:15 june 1:12, 7:6, 248:13</p> <hr/> <p style="text-align: center;">K</p> <hr/> <p>k 208:5, 208:7, 208:12, 208:14, 209:22, 210:2, 210:12 keep 56:9 kind 120:7 know 33:4, 34:1, 34:6, 50:2, 51:1, 61:9, 63:18, 64:2, 68:1, 73:21, 80:16, 84:15, 85:15, 86:20, 87:5, 87:21, 95:14, 100:21, 104:7, 104:9, 106:16, 106:20, 110:2, 116:5, 117:16, 119:8, 119:12, 120:3, 120:13, 124:19, 124:22, 133:14, 134:19, 137:17, 156:9, 157:14, 162:2, 178:19, 178:20, 184:2, 186:19, 187:19, 190:17, 193:4, 194:20, 198:18, 227:13, 228:9,</p>	<p>228:16, 230:12, 230:13, 231:3, 231:4, 233:7, 234:1, 234:6 knowledge 93:3, 196:15, 239:17, 245:12 known 13:16, 13:19, 15:5, 15:12, 17:16, 20:16, 27:13, 28:6, 28:9, 29:18, 30:3, 30:16, 31:10, 31:12, 45:14, 45:18, 46:18, 46:19, 49:7, 49:10, 49:18, 65:7, 80:22, 82:3, 82:8, 83:6, 84:18, 93:4, 100:12, 100:14, 102:1, 103:9, 126:21, 196:8, 231:6 kudzin 3:13, 7:17 kyriakakis 5:12, 33:12, 37:14, 43:11, 66:9, 85:12, 88:17, 127:7, 127:9, 151:12, 160:9, 171:21, 172:9, 172:13, 172:18, 238:15, 239:2, 239:18, 245:8, 245:14 kyriakakis's 37:22, 67:2, 87:4, 127:19, 128:14, 151:3, 158:14, 158:22, 160:3, 164:4, 164:15, 173:2, 173:10, 173:18, 238:5, 238:22,</p>
---	---	--	---

<p>239:11, 243:1, 244:14</p> <hr/> <p style="text-align: center;">L</p> <hr/> <p>labeled 31:3</p> <p>lack 162:22</p> <p>language 32:19, 48:6, 109:3, 118:13, 118:15, 118:21, 121:9, 165:4, 165:21, 166:16, 167:19, 167:21, 177:19, 178:21, 179:5, 181:18, 183:3, 199:1, 204:19, 220:6, 224:2</p> <p>large 139:14, 140:4</p> <p>largest 176:14</p> <p>last 176:3, 176:13, 212:9, 214:2</p> <p>later 57:5, 152:19</p> <p>law 2:2</p> <p>lawyer 65:4, 65:10, 65:15, 117:11, 120:19, 228:13</p> <p>lawyers 39:4, 39:11, 118:14, 119:5, 119:15, 120:11, 120:22, 121:5, 121:7</p> <p>lead 50:14</p> <p>least 28:21</p> <p>leave 57:5, 231:10</p> <p>left 54:1, 110:22,</p>	<p>146:18, 176:16</p> <p>left-hand 58:9, 146:16, 174:22</p> <p>legal 64:12, 65:22, 117:10, 117:15, 117:20, 119:9, 119:22, 120:20, 121:8, 206:14, 233:7, 233:9</p> <p>length 101:20, 149:14, 160:12, 162:16, 168:19, 168:21, 180:21</p> <p>lengths 60:21</p> <p>less 32:16, 44:20, 67:20, 91:20, 132:6, 132:13, 133:20, 134:1, 134:3, 134:10, 134:21, 136:21, 139:6, 139:7, 139:11, 139:12, 139:22, 140:1, 140:2, 140:5, 140:6, 140:21, 141:15, 142:5, 142:8, 143:1, 147:6, 147:10, 147:14, 148:2, 200:1</p> <p>let's 26:22, 46:14, 63:11, 74:2, 82:16, 96:18, 121:11, 143:7, 174:8, 185:8, 185:21, 199:11</p> <p>level 138:14, 199:22, 211:13</p> <p>levels 136:13, 137:21</p> <p>likely 230:19</p>	<p>limitation 43:20, 44:6, 45:20, 45:22, 63:13, 68:5, 68:15, 68:20, 69:8, 69:18, 79:6, 82:20, 94:2, 94:20, 95:10, 96:2, 96:9, 103:16, 103:21, 104:20, 105:12, 105:19, 107:21, 108:21, 110:13, 112:20, 113:11, 113:16, 115:7, 115:10, 151:14, 241:8</p> <p>limitations 107:16, 107:19, 200:18, 201:1, 201:4, 206:1, 207:4, 207:5, 225:21, 226:15, 226:19</p> <p>limited 7:15, 95:3, 95:5, 127:9, 165:9, 165:19, 218:14, 218:22, 219:9, 220:16, 242:1, 242:2</p> <p>limiting 228:11, 242:9</p> <p>line 7:17, 15:21, 15:22, 16:7, 16:8, 33:14, 61:13, 61:14, 71:21, 72:2, 99:4, 100:12, 132:16, 135:14, 136:3, 141:3, 215:10</p> <p>linear 141:5</p> <p>lines 12:17, 192:9</p> <p>list 25:3, 188:14,</p>	<p>188:16, 188:17</p> <p>listed 189:9, 189:13, 190:6, 191:1, 191:4, 191:8</p> <p>listing 189:18</p> <p>little 14:18, 84:3, 214:8</p> <p>llp 2:4, 3:5, 3:16, 4:5</p> <p>loads 30:6</p> <p>long 11:10, 40:13, 182:13, 232:15</p> <p>longer 161:16, 163:4, 163:15</p> <p>look 26:22, 41:9, 43:13, 58:8, 68:17, 69:15, 78:3, 79:22, 82:16, 96:18, 97:3, 101:20, 106:21, 125:4, 142:14, 174:20, 181:12, 183:22, 189:6, 191:22, 192:7, 194:5, 194:11, 194:22, 197:1, 197:12, 198:5, 200:16, 204:3, 205:10, 207:20, 215:3, 217:13, 217:21, 226:1, 235:11, 243:10</p> <p>looked 41:20, 43:2, 157:9, 238:14, 238:18, 243:2, 245:3</p> <p>looking 40:15, 41:19,</p>
---	--	---	---

<p>43:5, 59:4, 64:22, 65:1, 67:21, 77:9, 80:22, 95:17, 95:21, 102:8, 103:10, 104:3, 105:9, 113:4, 120:19, 122:1, 138:17, 150:2, 161:12, 166:14, 171:8, 185:8, 185:18, 187:2, 187:11, 190:21, 191:19, 209:3, 212:6, 226:8, 243:21 lost 102:16 low 20:9, 20:16 lowercase 135:11, 139:11 lunch 116:12</p> <hr/> <p style="text-align: center;">M</p> <hr/> <p>m 132:18, 132:19, 132:20, 133:1, 133:5, 133:7, 134:7, 139:1, 139:2, 139:4, 139:13, 139:14, 140:4 m) 133:1 m-i-n 165:2 made 41:5, 89:10, 133:18, 137:3, 137:12, 138:4, 139:16, 195:21, 213:1, 213:3, 239:17, 244:15, 245:14 magnitude 32:13, 32:16,</p>	<p>44:20, 49:4, 52:12, 55:4, 55:8, 55:10, 55:17, 55:22, 56:10, 56:14, 56:19, 57:2, 69:21, 72:15, 72:16, 76:14, 76:16, 79:9, 91:19, 96:19, 97:9, 97:11, 97:15, 97:17, 97:21, 98:2, 98:5, 98:7, 98:10, 98:14, 98:16, 99:1, 99:3, 99:11, 99:20, 99:21, 100:1, 100:7, 100:11, 101:2, 101:6, 101:9, 102:1, 102:2, 102:6, 102:22, 103:17, 104:4, 104:7, 104:16, 105:13, 106:1, 112:5, 112:17, 179:8, 179:11, 180:13, 182:8, 182:11, 208:14, 210:22, 211:3 magnitudes 105:2 main 169:20 make 12:4, 12:6, 16:6, 23:15, 63:13, 64:10, 68:11, 71:3, 81:6, 102:10, 104:21, 107:6, 129:5, 149:6, 150:9, 161:18, 163:7, 163:13, 163:14, 164:10, 165:13, 171:17, 175:14, 187:19,</p>	<p>194:7, 197:4, 202:18, 203:2, 206:15, 216:18, 216:21, 217:16, 217:22, 226:4, 231:7 makes 68:6, 69:9, 79:14, 80:9, 89:6, 94:2, 95:10, 96:10, 103:22, 105:20, 107:22, 108:21, 110:14, 112:21, 113:17, 151:13 making 137:17, 138:16, 146:11, 163:12, 199:22 manner 152:22 many 9:12, 19:6, 24:4, 24:17, 32:9, 33:3, 73:10, 85:3, 98:3, 112:16, 113:5, 116:2, 128:21, 154:19, 156:18, 193:2, 193:3, 203:17, 231:8, 235:1 mark 19:15, 51:8, 129:8, 148:12, 187:22, 205:6 marked 10:1, 10:3, 19:16, 37:11, 51:10, 78:6, 116:19, 117:4, 122:15, 123:1, 129:9, 129:11, 131:6, 148:13, 188:2, 193:12, 205:8 markov 212:7</p>	<p>marks 246:8 martin's 171:10, 231:11 mathematical 54:8, 54:13, 54:15, 98:13 matter 1:4, 7:4, 33:5, 64:13, 65:22, 117:13, 117:21, 118:12, 118:20, 150:8, 153:17, 229:14, 238:3 matters 120:4, 238:4 matthew 3:13, 7:17 max 144:21 maximization 75:7 maximum 103:1, 103:8, 105:14, 106:3, 106:8, 135:15, 140:6 maybe 229:20 mean 18:10, 24:7, 28:8, 28:9, 29:2, 31:18, 34:20, 41:20, 42:12, 45:17, 47:4, 47:21, 49:14, 49:16, 54:9, 54:21, 59:8, 60:9, 61:9, 71:13, 73:21, 75:3, 77:1, 78:19, 80:16, 81:9, 82:4, 82:11, 84:3, 85:15, 87:3, 90:2, 90:5, 91:14, 92:10, 98:1,</p>
---	---	--	---

<p>101:11, 101:14, 101:16, 106:16, 106:17, 107:1, 111:4, 111:6, 118:22, 121:4, 128:7, 128:10, 132:12, 134:18, 145:3, 149:8, 150:20, 167:4, 183:2, 190:16, 195:9, 202:11, 213:14, 215:4, 224:10, 226:5, 226:18, 229:2, 229:16, 230:9, 232:17, 232:21, 233:6, 234:8, 234:14, 234:19, 235:1, 238:20, 242:6, 245:10 meaning 12:6, 18:12, 18:14, 23:4, 23:10, 23:15, 144:1, 146:3, 146:7, 146:9, 148:4, 148:7, 199:9, 210:3, 211:9, 239:14 means 48:2, 137:20, 164:18, 165:18, 178:19, 204:20, 205:3, 212:8, 213:15, 213:18, 214:10, 215:22, 219:16, 236:19, 244:6 meant 180:1, 180:10, 209:7, 226:6, 245:7 measure 214:3 mechanism 86:16 mechanisms 86:17</p>	<p>meet 76:9, 230:16 meets 142:17 memory 230:17, 231:14 mention 135:2, 197:6 mentioned 47:2, 74:22, 76:10, 92:11, 212:10, 214:19, 235:14, 244:17 mentioning 158:18 mentions 122:2, 123:18 met 230:18, 231:3 meth 47:4 method 5:9, 10:21, 12:2, 12:15, 14:7, 15:9, 16:1, 19:22, 30:8, 31:20, 47:5, 71:19, 97:14, 124:11, 148:8, 161:3, 164:8, 197:16, 197:18, 210:21, 236:3 methodist 9:1 methodologies 44:12, 45:18, 46:10, 60:2, 89:22, 90:12, 91:11, 93:4 methodology 34:21, 62:12, 73:16 methods 14:6, 14:15, 15:14, 18:5, 24:7, 24:8, 24:10, 24:19,</p>	<p>24:21, 25:3, 36:1, 36:2, 36:3, 36:5, 36:18, 36:22, 37:3, 37:6, 46:4, 46:11, 46:19, 47:11, 50:5, 51:2, 86:8, 86:12, 91:13, 97:20, 98:10, 102:5, 102:12, 102:14, 102:21, 102:22, 106:7, 106:11, 111:11, 127:1, 154:21, 184:7, 184:22, 186:15, 188:19, 195:19, 196:6 michael 4:18 microphone 122:5, 122:6, 122:9, 123:5, 123:9, 123:12, 123:16, 123:18, 123:19, 126:11, 126:21, 127:2, 196:13, 198:21, 198:22, 234:11, 234:15 microphones 113:12, 114:18, 115:15, 115:20, 126:14, 234:6, 235:4, 235:7, 235:8, 235:13 middle 205:10 might 18:19, 21:21, 22:15, 24:16, 25:11, 25:22, 26:7, 124:10, 186:9, 186:18, 187:4, 192:4, 194:4, 194:5, 197:12, 198:5,</p>	<p>198:9, 198:11, 234:17, 237:2, 243:4 milliseconds 214:13, 214:17 min 146:18, 176:19, 177:4, 177:5 mind 135:1, 231:15 mine 121:2 minimizes 78:19 minimums 173:20 minus 57:21, 210:12 missed 129:1 missing 54:11 misspeak 228:15 mistake 99:5 mistakes 128:19 mitigate 21:21, 22:14, 23:7, 23:19, 24:4, 24:5, 24:18, 25:12 mitigated 23:22 models 212:7 modifications 152:21, 153:12, 153:14, 154:1, 213:11 modify 162:14, 162:22 moment 16:4, 110:2, 130:6, 229:6 monitor 7:7</p>
---	---	---	---

<p>monotonically 176:1, 176:12, 176:13</p> <p>more 12:22, 13:11, 14:18, 18:18, 20:16, 71:13, 73:6, 79:20, 80:16, 81:9, 84:3, 87:22, 88:4, 98:15, 109:22, 113:20, 122:5, 159:2, 160:22, 162:19, 168:10, 187:12, 189:2, 190:10, 190:15, 191:15, 191:21, 192:3, 194:4, 194:11, 194:20, 195:12, 195:16, 198:6, 198:21, 200:1, 203:4, 220:8, 234:10, 234:14</p> <p>morning 7:19, 8:14, 8:15, 131:12</p> <p>most 20:7, 120:1, 188:13</p> <p>motivated 131:3, 163:9, 163:12, 191:9</p> <p>motivation 191:12</p> <p>mu 54:22, 55:6, 55:7, 56:8, 57:21, 78:2</p> <p>much 11:10, 156:5, 156:18, 232:11</p> <p>multi 60:4</p> <p>multi-channel 5:10, 19:22</p> <p>multiple 144:22, 160:19,</p>	<p>161:13, 161:22, 167:10, 168:8, 179:14, 213:11, 218:19, 219:22, 234:19, 234:20, 234:21, 234:22, 235:12, 235:21</p> <p>multiplication 49:8, 49:12, 49:18, 49:22, 50:1, 50:9, 50:11, 52:19, 52:21, 53:1, 53:2, 53:3, 57:7, 59:5, 59:10, 61:19, 62:3, 62:10, 62:21, 63:5, 63:8, 64:3, 68:22, 70:12, 70:17, 70:20, 71:11, 80:4</p> <p>multiplied 62:6</p> <p>multiplier 29:21</p> <p>multiplies 29:21, 63:5, 64:3, 68:22, 80:4, 209:16</p> <p>multiply 29:15, 81:3</p> <p>multiplying 57:9, 57:18, 58:3, 82:12</p> <p>mun 57:1</p> <p>musical 18:7, 18:10, 19:10, 20:16, 21:19, 22:10, 22:20, 23:21, 24:8, 24:18, 25:5, 25:15, 26:8, 26:15</p> <p>must 23:5, 142:19, 180:22, 181:1,</p>	<p>181:11, 182:11, 182:14, 200:22, 217:14, 220:10, 220:11, 220:12</p> <p>mute 162:6</p> <p>myself 150:14, 238:18</p> <hr/> <p style="text-align: center;">N</p> <hr/> <p>n (k) 133:5</p> <p>n (n) 49:5, 60:1, 60:13</p> <p>name 8:16, 33:18</p> <p>named 188:6</p> <p>narrowband 135:8, 135:16, 136:6, 139:15, 139:21</p> <p>narrows 115:5</p> <p>natural 195:18</p> <p>nature 19:2, 34:11, 59:20, 142:14, 143:6, 190:10, 199:17, 217:15, 227:10, 227:13</p> <p>navigate 114:8</p> <p>nb 136:19, 137:1, 138:13, 140:21</p> <p>near 212:9</p> <p>necessarily 81:18, 196:18, 204:6, 219:18, 222:11, 226:16, 227:5, 228:14, 235:9</p> <p>necessary 198:10, 224:7</p>	<p>need 9:19, 80:16, 125:4, 141:18, 187:8, 187:9, 190:10, 191:13, 193:5, 195:3, 195:6, 198:12, 198:13, 215:11, 216:18, 227:22, 244:8</p> <p>needed 216:15</p> <p>needs 140:9, 180:14, 191:20, 214:20, 216:21</p> <p>negative 62:14, 99:6</p> <p>neither 148:21, 149:10, 248:8</p> <p>never 133:19, 135:1</p> <p>nevertheless 64:15</p> <p>new 27:15, 28:3, 61:19, 62:21, 63:8</p> <p>next 20:13, 20:22, 27:15, 30:12, 31:2, 113:6, 136:12, 187:22, 205:6, 210:1</p> <p>ni 208:5, 208:7, 208:12, 208:13, 208:14, 209:22, 210:2, 210:12, 210:15, 211:2</p> <p>noise-free 86:11</p> <p>noisex 212:1</p> <p>non 184:5</p> <p>non-discoverable 39:17</p>
--	--	---	---

<p>non-monetizing 168:5</p> <p>non-obviousness 66:7</p> <p>non-speech 96:4, 215:12, 243:12, 243:15, 243:22, 244:5</p> <p>non-stationary 20:17, 184:18, 186:13, 187:4</p> <p>non-trivial 152:21</p> <p>non-wiener 75:16</p> <p>noncausal 78:18</p> <p>none 218:20</p> <p>nonlinear 212:11</p> <p>nonobvious 64:17, 65:13, 66:2, 66:15, 67:8, 114:20, 115:1, 115:21</p> <p>nonsymmetric 123:10</p> <p>nontrivial 153:12</p> <p>normalized 133:2, 136:7</p> <p>northwest 2:5, 3:7, 3:17, 4:6, 7:10</p> <p>notarial 248:13</p> <p>notary 2:19, 248:1, 248:19</p> <p>notation 58:19, 58:20</p> <p>note 158:22, 159:9</p> <p>nothing 8:10, 77:18, 129:3, 180:4</p> <p>notice 2:18</p>	<p>noticeable 20:15, 20:21</p> <p>novel 32:2, 32:9, 32:22, 33:4, 33:19, 37:9, 42:9, 42:12, 42:17, 42:18, 46:8, 46:9, 62:10, 64:1, 64:4, 64:17, 65:13, 66:2, 66:15, 67:7, 85:7, 85:16, 86:4, 86:14, 86:22, 87:6, 88:14, 89:7, 89:13, 89:19, 90:8, 90:15, 91:12, 92:2, 92:9, 92:13, 92:17, 93:1, 114:19, 115:21</p> <p>novelties 90:21</p> <p>novelty 33:16, 34:1, 35:5, 36:12, 85:11, 90:17, 90:20, 91:3, 92:6</p> <p>null 236:9</p> <p>number 29:13, 51:22, 99:1, 99:2, 99:8, 101:19, 105:16, 106:4, 129:12, 129:13, 160:4, 160:8, 164:19, 166:7, 167:5, 167:16, 182:19</p> <p>numbers 103:8</p> <p>numerator 54:2, 77:2</p> <p>nxmax 214:12</p>	<p>nxmin 214:12</p> <p>nxrel 214:3, 214:10</p> <hr/> <p style="text-align: center;">O</p> <hr/> <p>object 179:3</p> <p>obtain 131:4, 213:10, 214:21, 215:1</p> <p>obtained 52:11</p> <p>obviates 215:11</p> <p>obvious 17:12, 19:7, 40:19, 65:6, 107:4, 107:20, 108:19, 110:12, 116:7, 156:13, 157:5, 186:20</p> <p>obviousness 66:6</p> <p>occur 21:21, 22:15, 111:13</p> <p>october 125:16</p> <p>offer 67:1, 71:17, 128:14, 233:15</p> <p>offered 10:10, 202:19, 233:12</p> <p>offering 142:16</p> <p>office 4:13</p> <p>officer 248:2</p> <p>offices 2:2</p> <p>oh 16:9, 52:22, 88:4, 122:19, 122:20, 122:22, 230:12</p>	<p>once 46:16</p> <p>one-half 99:6</p> <p>ones 28:8, 66:6, 67:12, 75:6, 118:9, 243:3</p> <p>only 26:13, 90:20, 110:22, 145:1, 244:2</p> <p>onset 210:14, 222:20, 222:22, 223:3</p> <p>open 152:9</p> <p>operate 152:21, 153:12, 153:16, 225:22</p> <p>operated 153:9</p> <p>operates 152:15</p> <p>operating 156:16</p> <p>operation 19:13, 36:15, 111:16, 132:16, 143:5, 180:8, 207:17, 220:4</p> <p>operations 153:5, 155:20, 156:10, 157:2, 157:3</p> <p>opine 116:8</p> <p>opinion 33:8, 37:9, 38:8, 38:17, 38:18, 39:8, 40:9, 41:2, 41:13, 41:14, 41:16, 41:22, 42:2, 42:4, 42:9, 42:14, 42:16, 42:18, 46:5, 63:20,</p>
---	---	--	--

<p>64:1, 64:5, 66:15, 67:2, 67:3, 67:5, 67:7, 67:13, 68:14, 69:6, 69:8, 79:13, 80:8, 88:12, 88:20, 89:3, 90:17, 94:1, 94:17, 95:8, 95:9, 96:9, 100:9, 103:21, 104:19, 105:5, 105:19, 106:13, 106:14, 107:4, 107:21, 108:20, 110:13, 113:16, 118:11, 118:16, 120:4, 141:13, 142:7, 142:16, 143:14, 144:11, 144:13, 152:20, 157:4, 163:11, 173:6, 202:13, 202:19, 205:15, 219:8, 227:21 opinions 9:6, 10:10, 33:11, 33:13, 37:22, 43:1, 43:6, 66:8, 66:12, 66:13, 80:18, 85:12, 87:4, 88:17, 88:22, 89:1, 117:12, 117:19, 118:8, 118:9, 118:22, 120:2, 120:20, 121:4, 127:5, 128:6, 128:9, 128:10, 128:16, 131:17, 173:9, 233:12, 233:16, 244:13, 245:3 opportunity 120:17 opposed 70:19, 71:3,</p>	<p>71:10, 181:2 opposite 134:22 order 23:15, 23:19, 69:13, 90:14, 99:10, 124:3, 124:18, 152:21, 153:16, 154:7, 161:13, 179:14, 180:14, 181:12, 195:7, 195:22, 196:16, 204:3, 217:16, 222:18, 232:12, 236:4, 245:5 ordinary 131:3, 162:9, 162:21, 185:3, 185:18, 190:4, 194:10, 194:19, 197:20 orient 150:14, 195:22 oriented 18:2, 144:4, 226:19 original 210:22 other 9:13, 30:1, 30:2, 33:7, 36:2, 36:3, 36:5, 43:7, 50:5, 51:1, 54:7, 59:22, 60:7, 60:14, 60:19, 60:22, 61:11, 62:18, 63:10, 67:12, 71:5, 71:10, 71:17, 75:6, 75:8, 77:22, 85:14, 89:1, 90:21, 91:5, 91:13, 106:18, 111:3, 119:13, 120:18, 126:7,</p>	<p>133:4, 139:4, 139:17, 142:11, 151:8, 158:6, 165:15, 184:15, 186:19, 186:22, 187:16, 187:17, 191:4, 202:16, 207:4, 207:5, 243:3, 244:16, 244:17, 244:18, 245:4 others 18:22, 75:10, 231:10 otherwise 62:14, 248:11 out 29:3, 41:3, 41:8, 67:12, 96:16, 101:1, 157:10, 158:5, 169:17, 195:7, 200:3, 200:14, 227:13, 231:10, 238:11 outcome 236:4, 236:6, 248:11 output 27:4, 30:12, 31:6, 31:7, 31:10, 86:11, 93:15, 98:16, 133:11, 133:13, 134:9, 172:14 outside 178:2 over 11:12, 11:19, 33:9, 33:20, 34:9, 34:16, 35:19, 36:8, 37:9, 42:12, 42:18, 57:22, 62:10, 63:13, 64:17, 65:13, 66:2, 66:15, 67:3, 67:8,</p>	<p>68:14, 69:6, 69:9, 70:12, 71:5, 71:17, 72:10, 94:3, 95:11, 96:10, 103:22, 104:21, 105:20, 107:4, 107:6, 107:20, 107:22, 108:19, 108:22, 109:16, 109:18, 109:19, 110:12, 110:14, 112:12, 112:16, 112:21, 113:17, 144:12, 166:1, 167:6, 168:7, 168:19, 168:20, 169:1, 169:3, 169:5, 169:11, 180:16, 180:17, 180:20, 180:22, 181:10, 181:21, 181:22, 182:9, 182:12, 199:20, 199:21, 212:19 overall 25:21, 26:4, 51:2, 51:3, 89:9, 94:11, 106:10, 117:17, 141:20, 143:5, 149:7, 156:6, 226:14, 227:11, 227:20, 236:5, 236:7, 236:18 overestimation 208:5, 209:12, 209:14, 209:17 own 35:13, 65:2, 91:7, 239:16, 239:17, 245:1, 245:11 <hr/>P<hr/>page 5:2, 5:7, 6:3, 20:5, 38:3,</p>
--	---	---	--

<p>51:20, 58:8, 77:7, 111:21, 113:7, 155:1, 156:22, 170:7, 171:9, 209:5, 209:9, 210:1, 211:22, 212:10, 212:19 pages 1:21, 40:13 paper 5:13, 5:18, 6:5, 6:8, 16:12, 16:17, 16:20, 17:5, 17:8, 17:9, 17:18, 19:21, 20:3, 22:9, 23:2, 51:12, 51:15, 51:18, 130:3, 130:5, 148:16, 148:18, 150:2, 160:22, 163:16, 184:13, 185:12, 185:14, 186:19, 186:21, 192:4, 192:5, 196:21, 197:3, 205:14, 209:8 papers 185:21, 186:19, 186:22, 187:16, 187:17, 192:2 paragraph 38:5, 38:8, 38:12, 121:11, 121:13, 130:9, 130:11, 131:20, 132:1, 132:4, 143:7, 143:10, 143:14, 148:9, 148:20, 149:19, 149:21, 150:1, 150:4, 152:12, 158:11, 158:19, 158:21, 164:20, 167:18, 172:15, 205:11, 208:22,</p>	<p>212:9 paragraphs 130:16 parameter 140:9 parameters 21:17, 137:11, 137:14, 163:20 part 28:9, 39:5, 53:12, 54:3, 61:6, 76:1, 86:1, 86:18, 111:9, 148:7, 162:4, 203:9, 208:21, 208:22, 228:7, 241:20, 244:18 partial 169:15, 181:10 particular 22:5, 30:11, 39:1, 47:5, 47:7, 47:8, 48:11, 50:15, 50:16, 50:21, 61:2, 76:12, 76:21, 85:11, 87:21, 97:19, 100:10, 104:8, 118:19, 119:11, 126:10, 126:18, 126:19, 128:4, 138:2, 138:17, 138:18, 156:20, 159:13, 159:16, 164:11, 172:5, 172:6, 180:20, 180:21, 183:10, 184:2, 185:12, 186:21, 191:7, 194:6, 194:7, 195:1, 195:3, 195:7, 195:9, 195:20, 198:16, 199:1, 204:3, 204:19, 205:3, 206:7, 207:14,</p>	<p>207:18, 215:8, 221:12, 221:22, 228:2, 228:20, 235:12, 236:9, 236:10, 236:15, 238:13, 239:7, 239:12, 242:2, 242:21, 243:18 particularly 186:21, 237:18 parties 248:9 parts 60:15, 116:2, 120:10, 120:11, 120:12, 120:22 passage 99:15, 155:19, 157:9 past 214:13, 214:16 patentability 111:2 patentable 63:13, 68:6, 69:9, 79:14, 80:9, 94:2, 95:11, 96:10, 103:22, 104:21, 105:20, 107:6, 107:14, 107:22, 108:22, 110:14, 112:21, 113:3, 113:17, 116:5 patents 192:1 pause 162:8 pauses 213:10, 214:20, 214:22, 215:1 pending 62:1, 81:8 people 15:15, 26:17, 28:10, 196:17, 231:8 pepper 3:5, 8:3</p>	<p>perform 29:11, 48:14, 51:7, 82:14, 92:16, 111:1, 111:4, 111:5, 141:18, 154:19, 154:21, 164:13, 179:14, 180:22, 245:5, 245:13 performance 21:8, 21:10, 184:8, 184:12, 184:14, 184:22, 186:15 performed 31:6, 77:5, 84:6, 155:20, 157:3, 182:9, 244:15 performing 31:22, 49:21, 50:1, 83:8, 83:12, 86:8, 86:17, 87:1, 90:12, 106:7, 127:1, 141:16, 141:17, 144:5, 153:5, 156:10, 157:1 performs 20:14, 54:2, 59:19, 63:4, 64:2, 68:21, 85:8, 85:17, 91:12, 133:8, 146:5 perhaps 202:5 permitted 168:10 person 17:4, 17:6, 47:17, 162:9, 162:21, 163:7, 163:13, 185:3, 185:17, 190:2, 190:4, 191:19, 194:10, 194:19,</p>
--	---	--	--

<p>197:20 peter 3:12, 7:13 ph 1:10, 2:2, 8:8, 247:2 phase 72:4, 98:22 phi 135:3, 135:6, 135:15, 138:7, 138:8 phi (k) 132:18, 132:20, 133:1, 133:7, 139:1, 139:2, 139:4, 139:13 pick 154:22, 186:20, 189:1, 190:22, 191:10, 191:12 picture 231:3 piece 118:19, 178:20 pile 185:21, 186:19, 187:16 place 7:9, 150:20, 150:21 places 73:10 planet 7:8 please 7:11, 8:6, 8:16, 9:18, 9:19, 9:20, 19:15, 23:12, 51:20, 77:6, 152:10, 155:1, 158:10, 162:7, 164:20, 178:9, 187:22, 188:11, 200:14, 205:6 plurality 113:12, 114:18,</p>	<p>115:13, 115:20, 126:14 pm 151:14, 165:2 pmact 148:21, 149:10, 151:13 pmin 148:21, 149:10, 177:1 pmmin 143:15, 143:22, 144:7, 144:11, 144:14, 144:19, 145:2, 145:3, 165:1, 168:12, 173:21, 174:12, 175:3, 175:7, 175:19, 175:21, 175:22, 176:3, 176:6, 176:7, 176:14, 176:19, 177:5, 177:7, 177:9, 177:13 pmmins 168:8 pn 145:21, 146:8, 146:22, 147:6, 147:7, 147:14, 147:22, 148:2 pn (i) 146:10, 146:18, 146:19, 147:4, 147:13, 148:8, 173:21, 174:12, 175:1, 175:3, 175:6, 175:18, 175:20, 175:22, 176:19, 177:1, 177:3, 177:9, 177:22, 178:6, 178:16 point 27:16, 30:13, 34:8, 39:1, 40:15, 41:13, 42:14, 68:12,</p>	<p>111:21, 138:5, 146:12, 147:14, 161:22, 162:1, 169:17, 172:15, 174:15, 174:17, 210:14 pointing 94:10, 99:15, 111:15, 199:2 points 28:2, 28:3, 28:5, 29:13, 29:22, 34:6, 112:18, 127:8 popular 20:7, 192:14, 192:18, 192:22, 193:8, 193:11, 193:16 populated 117:18 portion 80:15, 149:13, 159:6, 159:18, 159:19, 159:21, 195:20 portions 34:2, 41:6, 96:16, 117:18, 120:15, 120:17, 120:18, 163:5 position 91:21, 94:19, 123:10, 145:11, 145:13, 215:5, 215:21, 216:4, 216:17, 222:4, 222:7, 222:13, 222:14, 223:1, 224:3, 233:10 positions 32:17, 43:22, 44:22, 46:6, 82:22, 90:13, 94:4, 94:8, 215:14, 225:17, 238:22 possibilities 33:16</p>	<p>possibility 75:4, 186:6 possible 26:13, 26:14, 112:17, 128:22, 176:11, 186:12, 234:14, 238:11 potential 24:15 potentially 107:13 power 5:9, 19:21, 140:15, 145:22, 146:2, 146:22, 147:2, 147:10, 147:11, 147:12, 147:17, 147:18, 147:20, 147:21, 155:12, 155:14, 168:5, 170:9, 170:21, 176:2, 176:12, 176:13, 199:22 practice 166:11, 201:16, 203:19, 203:22, 206:4, 224:8 practices 204:6, 226:18 practicing 83:20, 203:15, 204:9, 225:1, 226:9, 228:16 preamble 228:9, 228:11, 242:4, 242:6, 242:8 precise 18:17, 98:15, 102:13, 125:5, 194:15 precisely 97:17, 215:11, 215:14 predated 36:17 predating 28:18</p>
---	--	--	---

<p>preferred 72:5, 215:9, 216:1</p> <p>prepare 232:15, 232:18</p> <p>prepared 117:8</p> <p>preparing 39:2</p> <p>preprocessing 212:12</p> <p>presence 216:14</p> <p>present 4:18, 12:21, 13:6, 13:10, 62:2, 133:12, 215:10, 243:18</p> <p>presented 150:14</p> <p>pretty 192:1, 201:14, 204:21, 230:9</p> <p>previous 28:4, 123:7, 208:14, 229:18, 238:4</p> <p>previously 233:13</p> <p>primary 156:1</p> <p>principle 22:16</p> <p>prior 15:16, 28:11, 28:13, 28:15, 28:17, 28:18, 29:6, 29:10, 30:21, 33:9, 33:20, 34:9, 34:17, 35:19, 36:9, 36:19, 36:21, 37:3, 37:6, 37:9, 44:13, 44:15, 46:1, 46:11, 50:19, 59:11, 59:14, 62:11,</p>	<p>65:7, 68:14, 73:15, 78:8, 82:3, 101:1, 107:4, 107:20, 108:19, 110:12, 111:5, 111:9, 114:16, 115:19, 126:22, 130:1, 201:8, 204:14, 223:3, 230:2, 230:4, 230:10, 231:12, 237:10, 237:14, 238:5, 238:6, 238:14, 239:10</p> <p>probably 231:1</p> <p>problem 18:7, 23:21, 25:15, 26:8, 47:8, 102:20, 116:4, 156:2, 190:9, 198:17, 212:6</p> <p>problems 22:19, 24:16, 80:11, 196:10</p> <p>procedure 124:11</p> <p>procedures 15:14</p> <p>proceedings 63:17, 74:9, 117:14, 161:20, 162:8</p> <p>process 9:14, 12:4, 15:2, 27:19, 30:5, 32:12, 38:21, 39:2, 44:6, 44:18, 47:9, 48:13, 48:20, 49:6, 49:7, 49:12, 49:21, 49:22, 50:8, 50:11, 61:6, 62:15, 67:11, 68:10,</p>	<p>72:22, 90:13, 91:18, 94:7, 95:14, 149:7, 154:3, 196:11, 203:13, 203:14, 203:16, 204:10, 206:2, 206:4, 206:10, 206:17, 207:3, 207:10, 207:12, 207:15, 208:17, 211:11, 217:19, 218:4, 224:1, 240:3, 240:5, 240:19, 241:9, 241:14, 244:21, 245:2, 245:17, 246:1</p> <p>processed 83:10, 124:9, 179:20, 199:17</p> <p>processes 21:16, 31:22, 32:1, 36:21, 69:11, 80:15, 89:16, 89:17, 96:15, 100:5, 126:4, 133:1, 133:4, 133:6, 217:15</p> <p>processor 30:18, 82:20, 88:3, 96:3, 243:8, 243:11</p> <p>produce 34:13, 35:6, 122:10, 133:9, 133:10, 139:3, 210:7, 234:21, 235:1</p> <p>produced 215:2</p> <p>producing 133:13</p> <p>products 1:7</p> <p>professor 8:22, 11:12, 88:2, 152:4</p>	<p>proposed 24:20</p> <p>protective 232:12</p> <p>provide 9:6, 28:5, 33:11, 36:5, 36:14, 66:7, 87:4, 88:16, 88:22, 119:19, 121:10, 123:22, 124:3, 124:8, 124:18, 156:5, 158:4, 171:4, 195:21, 227:21, 244:13</p> <p>provided 33:13, 38:18, 66:9, 88:17, 89:1, 92:17, 119:11, 119:14, 119:18, 119:22, 125:2, 127:9, 127:21, 131:17, 151:15, 157:6, 157:16, 160:9, 171:7, 173:16, 239:2, 245:4</p> <p>provides 28:4, 48:12, 52:16, 71:19, 125:18, 181:21, 191:18, 196:6, 241:6</p> <p>providing 23:16, 43:1, 119:15, 119:16, 126:8, 183:1, 189:6, 190:20, 222:17, 245:3</p> <p>public 2:19, 248:1, 248:19</p> <p>publication 17:1, 17:15</p> <p>published 195:15</p> <p>pull 230:17</p>
--	---	--	---

<p>pulling 158:16</p> <p>purport 63:7</p> <p>purports 100:16</p> <p>purpose 30:4, 58:4, 70:8, 70:9, 70:11, 83:22, 84:11, 84:13, 87:3, 97:16, 98:1</p> <p>purposes 178:15, 195:19</p> <p>pursuant 2:18</p> <p>put 43:11, 46:14, 85:4, 105:3, 117:19, 127:14, 131:5, 150:21, 168:2</p> <p>putting 54:10</p> <p>px 147:5, 147:7, 147:13, 147:14, 147:16, 148:1</p> <p>px (i 146:19, 147:6</p> <hr/> <p style="text-align: center;">Q</p> <p>quadrature 102:6</p> <p>quality 74:20</p> <p>quantities 50:22, 76:13, 76:20, 101:5, 111:11, 137:1, 137:4, 179:14</p> <p>quantity 52:21, 57:15, 57:17, 76:13, 76:15, 209:22</p> <p>ques 23:12</p>	<p>question 10:16, 17:12, 22:7, 22:8, 30:7, 33:15, 39:10, 48:7, 61:22, 62:1, 65:16, 65:19, 65:20, 66:6, 66:11, 66:17, 70:15, 71:2, 81:5, 81:8, 95:7, 101:15, 104:18, 107:2, 110:10, 114:7, 114:8, 134:19, 139:19, 143:20, 166:14, 170:6, 172:19, 179:4, 185:13, 189:17, 190:11, 193:14, 193:21, 204:17, 206:8, 206:13, 207:19, 218:6, 218:7, 227:14, 232:2, 238:1, 242:16</p> <p>questions 9:18, 152:7, 246:4, 246:5, 246:6</p> <p>quick 200:5, 237:2</p> <p>quote 22:21</p> <hr/> <p style="text-align: center;">R</p> <p>rainer 230:11, 230:12, 230:13, 230:16, 231:6</p> <p>raised 88:18, 119:13, 140:15</p> <p>ran 41:2</p> <p>range 179:15, 179:17</p> <p>rather 102:2, 127:13,</p>	<p>214:9</p> <p>ratio 76:13, 77:2, 213:20, 214:3</p> <p>ratios 136:14, 137:22, 138:18, 139:3</p> <p>rea 48:5</p> <p>read 45:21, 61:21, 62:1, 81:8, 188:9, 191:10, 214:1, 237:18, 239:12, 243:4, 247:3</p> <p>reader 189:1, 189:12, 189:16, 189:20, 194:4, 195:22</p> <p>reading 32:19, 63:3, 150:7, 185:4, 186:11, 190:5, 194:16, 197:20, 215:9, 239:10, 248:7</p> <p>reads 20:6, 38:8, 52:8, 72:2, 130:12</p> <p>real 101:21, 237:2</p> <p>realized 124:1, 125:1</p> <p>really 24:13, 158:4, 207:19</p> <p>reason 48:6, 77:22, 99:22, 144:17, 174:19, 187:10, 190:1, 190:18, 190:19, 190:22, 191:22, 194:10, 194:22, 227:2</p> <p>reasonable 184:16, 191:22</p>	<p>reasons 100:4, 128:6, 128:7, 128:8, 128:15, 144:16, 191:3</p> <p>rebut 127:15</p> <p>rebutts 85:12</p> <p>rebuttal 5:21, 40:22, 41:6, 65:1, 87:4, 88:16, 96:14, 127:7, 151:2, 173:1, 244:14</p> <p>recall 68:1, 75:6, 75:8, 150:8, 231:13, 232:2</p> <p>recalling 150:7</p> <p>received 119:8, 121:5</p> <p>receiving 113:13, 114:19, 126:14</p> <p>recess 74:6, 116:17, 151:21, 200:10, 237:6</p> <p>recited 107:5</p> <p>recites 80:3, 82:19, 200:18</p> <p>recognition 6:10, 212:1, 212:4, 212:7, 212:12, 212:16, 213:1, 213:3</p> <p>recognize 188:4</p> <p>recognizing 99:4</p> <p>recollection 172:17</p> <p>recommendations 189:5</p>
---	--	--	---

<p>record 8:17, 52:1, 64:21, 72:13, 74:4, 74:8, 78:11, 102:8, 116:15, 116:22, 151:18, 151:19, 151:22, 152:1, 200:8, 200:12, 231:9, 237:4, 237:7, 246:9, 246:10, 248:4 rectification 52:5, 62:13, 62:14, 92:11, 92:12 recursive 208:11 redacted 129:18 reduce 12:15, 17:20, 30:5, 70:6, 70:9, 71:14, 74:12, 74:19, 84:1, 84:12, 84:15, 87:13, 87:16, 100:5, 133:11, 134:8, 136:21, 236:9 reduced 76:15, 112:16, 120:9, 120:10, 120:11, 120:12, 248:6 reduces 75:11 reducing 25:10, 58:4, 82:20 reduction 12:22, 13:1, 13:7, 13:12, 13:15, 14:2, 18:20, 45:10, 45:11, 49:17, 73:17, 86:9, 87:1, 89:13,</p>	<p>89:15, 89:20, 141:17, 141:18, 141:20, 185:9, 185:19, 193:12, 196:22, 208:21 refer 172:8, 172:16, 179:17 reference 42:22, 43:2, 43:4, 78:8, 125:13, 125:19, 126:1, 126:8, 143:11, 152:8, 191:12, 194:18, 195:5, 195:7, 195:9, 195:16, 195:21, 196:3, 196:5, 197:5, 197:11, 202:9, 204:14, 204:19, 205:20, 206:9, 206:10, 206:16, 206:18, 206:22, 207:1, 227:3, 227:5, 227:15, 227:17, 242:13, 242:18 referenced 51:15, 188:18, 194:8, 194:9, 196:21, 197:3 references 41:21, 127:11, 130:1, 131:4, 188:14, 188:17, 189:9, 189:13, 189:18, 189:21, 190:1, 190:6, 191:1, 191:4, 191:9, 191:10, 191:17, 191:18, 191:22, 192:5, 218:17, 237:15, 238:6, 242:20, 244:17, 245:4 referred 137:2</p>	<p>referring 31:16, 35:2, 35:8, 56:6, 59:13, 72:19, 74:17, 91:15, 97:2, 97:5, 159:3, 159:19, 167:15, 169:21, 172:8, 175:11, 179:18, 233:5 refers 16:12, 72:14, 84:14, 94:4, 96:19, 112:17, 123:7, 123:12, 179:5, 179:15, 209:14, 211:19 reflect 183:3 reflects 179:19 regard 96:14, 100:15, 103:4, 118:8, 125:11, 129:2, 141:2, 154:1, 157:7, 173:9, 173:17, 183:20, 219:4, 239:1 regarding 33:12, 33:15, 42:20, 127:10 regards 137:4 rejoin 57:5 rejoined 74:9 relate 11:16, 12:10, 33:5, 33:12, 74:21, 76:22 related 11:15, 14:5, 14:7, 31:13, 44:16, 106:21, 117:13, 120:16, 125:12, 165:15,</p>	<p>232:10, 248:9 relates 10:18, 11:21, 12:21, 13:7, 13:11, 14:1, 16:20, 45:10, 76:18, 77:4, 164:12 relation 46:9, 181:4, 181:20, 183:19, 242:22 relationship 182:16 relative 20:9, 50:13, 74:20, 214:3 relatively 17:18 relevant 118:20 relying 39:20, 130:2 remain 84:1 remaining 82:21, 84:7, 84:9, 84:12 remember 9:20, 74:14, 229:19, 233:4 removal 52:4 remove 13:22, 15:3, 15:16, 15:19, 54:14, 163:5, 210:21, 224:7, 224:11 removes 211:2 removing 18:3, 224:4 render 39:7, 43:6, 64:1, 106:21 rendered 67:13</p>
---	--	--	--

<p>repeat 22:8, 65:18, 65:20, 81:5, 139:9, 185:16, 189:17, 206:8, 206:13, 242:16</p> <p>rephrase 64:11</p> <p>replaces 96:3</p> <p>report 5:12, 5:17, 5:21, 33:12, 37:13, 37:22, 38:3, 38:19, 38:21, 39:3, 40:13, 40:22, 41:6, 43:11, 65:2, 66:8, 67:22, 85:12, 87:5, 88:18, 89:2, 96:14, 102:9, 117:5, 117:8, 117:9, 117:22, 118:1, 118:6, 118:13, 120:21, 121:1, 121:2, 125:4, 127:4, 127:6, 127:7, 127:8, 127:19, 127:20, 128:2, 128:8, 128:14, 128:17, 128:20, 129:1, 129:4, 129:6, 129:15, 130:19, 131:18, 131:21, 143:8, 148:16, 148:20, 149:17, 150:17, 151:2, 151:12, 152:10, 158:10, 158:14, 158:21, 164:15, 164:21, 167:18, 171:21, 172:21, 173:1, 173:2, 173:4, 173:9, 173:11, 232:16,</p>	<p>232:19, 232:20, 233:1, 233:5, 233:8, 233:10, 238:5, 239:11, 239:18, 242:5, 242:8, 243:1, 244:14, 245:14</p> <p>reported 1:22</p> <p>reporter 8:6, 32:14, 102:16, 102:18, 248:1</p> <p>reports 151:8, 212:17, 232:4, 233:6, 233:7</p> <p>represent 7:12, 57:16, 58:1, 101:18, 114:19, 120:2, 120:4, 136:13, 137:21, 138:3</p> <p>representation 101:8, 101:10, 101:16</p> <p>represented 53:6, 61:1, 61:3, 61:5, 98:22, 135:10, 159:20, 189:5</p> <p>representing 7:8, 8:3, 31:5, 53:8</p> <p>represents 57:15, 58:2, 67:7, 68:6, 121:4, 127:6, 128:13, 144:20</p> <p>requested 248:8</p> <p>require 109:20, 110:8, 126:10, 126:16, 142:22, 152:20, 153:12, 153:18, 153:22, 179:13, 180:19, 196:15,</p>	<p>216:5, 217:11, 217:17, 218:1, 218:2, 221:14, 223:5, 224:4, 224:14, 228:3, 234:10, 241:5</p> <p>required 94:19, 95:1, 124:14, 126:19, 128:11, 153:15, 164:14, 180:5, 198:15</p> <p>requirement 80:9</p> <p>requirements 142:17</p> <p>requires 109:11, 110:6, 123:6, 124:18, 140:8, 141:13, 142:7, 142:20, 153:4, 154:4, 154:14, 161:22, 166:3, 166:5, 167:19, 169:3, 207:9, 207:11, 221:6, 223:8, 224:3, 224:5, 224:16, 228:17, 240:22, 241:2</p> <p>requiring 219:9</p> <p>research 196:10</p> <p>reserve 127:15</p> <p>residual 35:12, 35:18, 35:21, 36:4, 36:7, 36:16, 36:18, 82:20, 82:21, 83:5, 83:9, 83:12, 83:15, 83:16, 83:18, 83:22, 84:11, 84:13, 84:14, 84:22, 85:5, 85:8,</p>	<p>85:11, 85:17, 85:20, 85:22, 86:2, 86:5, 86:9, 87:1, 88:13, 89:12, 89:15, 89:20, 91:6, 96:3, 243:8, 243:11</p> <p>respect 40:8, 40:17, 41:5, 41:10, 42:21, 43:7, 50:12, 60:14, 66:8, 67:17, 111:14, 117:21, 118:17, 119:12, 120:20, 127:11, 137:3, 142:10, 142:12, 149:4, 149:6, 156:5, 158:8, 159:2, 164:4, 164:10, 170:1, 171:14, 183:1, 184:15, 188:22, 195:4, 196:12, 216:19, 224:1, 228:15, 236:5, 236:7, 238:3, 238:10</p> <p>respective 136:7, 136:13, 137:2</p> <p>respects 32:9</p> <p>respond 37:21, 127:12, 239:16</p> <p>respondent 4:2, 7:20, 8:12, 152:2</p> <p>respondents 3:11, 37:14, 130:2, 152:7, 227:15</p> <p>responding 151:11</p> <p>response 40:22, 96:14,</p>
---	---	--	--

<p>127:6, 127:13, 127:18, 127:20, 128:13, 151:3, 151:5 restate 65:16 restricted 216:11 restricts 180:4 result 21:2, 22:15, 23:7, 153:21, 154:11, 154:15, 176:22, 225:2, 225:13, 226:10, 226:12 resulting 15:2, 35:7, 36:15, 82:14, 84:15, 85:5, 90:6, 122:10, 134:9, 142:15, 143:6, 200:4, 210:21, 217:16, 221:11, 222:17, 226:2, 227:22, 234:16, 234:17 results 23:22, 62:15, 86:19, 177:3, 213:11, 214:21 retained 9:3, 9:6, 9:8, 229:17, 230:2, 230:6, 230:10, 231:12 review 120:17 reviewed 37:19, 120:2 reviewing 12:13, 24:22, 27:22, 29:20, 35:9, 36:11, 44:9, 45:8, 48:10, 49:3, 49:11, 61:20,</p>	<p>64:20, 65:3, 67:16, 72:12, 76:6, 76:11, 86:7, 90:11, 91:10, 96:12, 97:7, 97:13, 98:19, 102:4, 105:22, 109:2, 111:20, 112:14, 113:1, 113:19, 121:20, 122:14, 124:16, 125:10, 130:22, 144:18, 150:6, 150:12, 172:1, 179:2, 192:11, 215:6, 219:3, 229:7, 233:17 right-hand 52:2, 77:11, 112:2, 155:2, 175:2, 205:10, 209:10 rmr 1:22, 2:19 robert 3:14, 7:17 robust 6:9 role 146:10 rough 208:10 rpr 1:22, 2:19 rules 9:15 run 139:2, 160:7 running 41:8 rutigliano 4:18</p> <hr/> <p style="text-align: center;">S</p> <hr/> <p>s 173:12, 186:11, 197:11</p>	<p>said 11:18, 17:14, 22:19, 22:20, 24:17, 41:9, 42:8, 42:10, 43:21, 43:22, 44:1, 44:2, 45:2, 45:21, 46:5, 46:6, 46:7, 60:1, 63:3, 63:5, 64:2, 64:3, 64:9, 68:21, 68:22, 69:19, 69:20, 74:11, 75:13, 79:8, 79:9, 80:4, 80:5, 82:5, 82:21, 82:22, 83:1, 83:2, 83:5, 94:4, 95:20, 96:3, 96:5, 102:11, 105:12, 105:13, 105:15, 106:1, 106:3, 110:2, 113:13, 114:19, 118:2, 118:21, 128:17, 132:8, 134:22, 135:4, 142:3, 150:4, 150:22, 151:12, 165:21, 179:9, 196:5, 214:20, 221:2, 223:18, 225:16, 225:17, 232:22, 248:5 same 1:7, 13:21, 32:7, 53:19, 54:8, 54:13, 61:15, 64:19, 66:4, 130:5, 131:11, 140:22, 147:4, 147:13, 147:22, 150:1, 150:16, 150:19, 173:22, 174:13,</p>	<p>175:8, 176:8, 182:19, 206:12, 223:1, 227:17, 247:4 sample 57:9, 57:19, 58:3, 144:21 samples 27:3, 27:4, 28:2, 149:12, 149:13, 149:15, 166:7, 167:6, 167:8, 167:16, 181:12, 181:15, 182:10, 182:12, 182:20, 200:2 samsung 3:11, 7:14, 7:15, 8:12 satisfied 222:6 save 73:2, 99:10, 100:2 saves 72:5, 72:9, 72:20, 72:21, 72:22 say 25:5, 25:14, 26:6, 26:13, 34:22, 42:21, 61:18, 80:12, 89:12, 90:2, 100:4, 101:10, 117:22, 119:14, 121:13, 124:4, 132:1, 132:4, 133:8, 143:14, 148:21, 155:11, 157:19, 158:6, 158:8, 167:21, 170:15, 182:22, 183:17, 183:18, 185:8, 193:20, 195:6, 217:14, 220:7, 229:21, 231:14, 231:19,</p>
--	--	--	--

<p>232:3, 232:11, 234:14 saying 21:13, 21:15, 35:13, 41:1, 51:3, 56:9, 62:9, 92:12, 92:22, 104:12, 106:16, 110:5, 133:19, 133:22, 134:11, 135:1, 136:18, 137:6, 137:10, 138:20, 150:16, 150:17, 150:19, 178:4, 199:6, 227:2 says 12:21, 13:5, 20:13, 20:22, 27:9, 27:15, 29:15, 53:12, 55:3, 55:5, 58:13, 62:2, 79:11, 96:7, 99:10, 112:5, 123:9, 136:12, 138:14, 139:17, 141:3, 155:9, 155:14, 155:17, 162:19, 165:21, 175:20, 176:12, 205:13, 213:12, 215:9, 221:11 scenarios 21:3, 66:20, 104:2 scheme 208:22 scope 178:2, 237:12, 237:16, 238:7, 238:16, 239:3, 239:9, 244:9, 244:19 scott 1:10, 2:1, 5:2, 7:3, 8:5, 8:8, 8:18, 247:2</p>	<p>seal 248:13 second 52:18, 52:20, 56:5, 57:6, 137:19, 140:8, 143:13, 146:17, 152:14, 183:15, 211:14, 211:20, 217:7 seconds 183:13 section 38:12, 123:3, 155:6, 156:22, 211:18, 212:5 sections 200:20, 203:3 see 12:16, 12:19, 13:5, 16:10, 16:15, 16:19, 20:5, 20:18, 21:4, 21:5, 27:10, 27:17, 38:5, 38:15, 44:4, 52:14, 52:22, 53:17, 54:22, 55:5, 58:11, 58:15, 69:22, 72:7, 79:1, 83:3, 96:22, 99:14, 99:15, 99:17, 121:16, 123:8, 132:10, 136:10, 136:16, 146:16, 146:21, 152:17, 153:2, 155:4, 161:22, 163:9, 175:3, 176:11, 176:18, 178:5, 184:9, 187:10, 198:1, 204:18, 212:2, 212:14, 214:14, 217:14, 225:12, 227:22, 243:9, 243:13</p>	<p>seeing 244:20 seemed 195:17 seen 10:6, 37:17, 78:13, 232:3 segment 146:22, 243:18 segmented 177:12 segments 96:4, 214:13, 214:17, 215:12, 215:16, 243:12, 243:15, 243:21, 243:22, 244:6 selected 186:3 selecting 21:17, 195:16 sense 64:10, 71:3, 98:11, 164:10, 165:13, 194:7, 195:21 sensors 235:21 sentence 15:22, 16:7, 16:10, 16:12, 20:6, 20:13, 20:22, 21:13, 23:1, 23:5, 23:10, 23:11, 24:13, 38:7, 52:7, 55:2, 58:13, 61:13, 72:2, 123:7, 136:12, 136:18, 137:19, 143:13, 152:14, 168:3, 184:4, 184:11, 213:14, 214:2 sentences 152:19 separate 114:7, 215:12</p>	<p>sequence 172:3 series 212:3, 244:1 session 116:18 set 31:21, 133:19, 134:10, 135:3, 135:6, 136:19, 137:7, 137:9, 138:1, 145:6, 147:11, 149:14, 174:8, 175:3, 175:6, 176:21, 181:12, 181:14, 182:9, 182:12, 195:22, 204:10, 206:3, 206:9, 206:16, 207:9, 207:14, 208:4, 208:16, 211:10, 215:18, 215:19, 218:3, 248:12 sets 112:17, 138:10, 147:20, 207:20, 207:22, 220:22, 240:4, 240:15 setting 32:11, 44:17, 71:20, 91:17, 94:6, 94:18, 144:8, 145:5, 145:9, 149:5, 154:2, 175:22, 177:22, 179:6, 182:6, 203:12, 204:12, 206:1, 207:2, 207:11, 207:15, 207:17, 217:18, 223:21, 240:1, 240:7, 240:18, 241:1, 241:3, 241:5, 241:10, 245:16, 245:22 several 65:5, 168:5,</p>
---	--	--	--

<p>198:9 shading 29:22, 30:2 share 182:16 sheet 247:7 shell 117:16, 118:2 short 168:7 shorthand 248:1 should 63:9, 99:6, 100:4, 127:16, 133:8, 134:8, 137:6, 137:9, 138:21, 203:3 shoulders 81:11 show 49:2 showed 227:15 showing 52:18, 52:20, 53:1, 53:19, 54:17, 54:18, 57:7, 129:11 shown 48:18, 50:16, 61:8, 61:9, 70:12, 70:20, 97:5, 99:4, 100:11, 210:9, 227:17, 241:8 shows 48:19, 49:4, 61:15, 70:17, 210:1, 216:2 shrugging 81:11 side 30:6, 43:12, 131:5, 146:16, 155:2, 174:22 sidley 4:5, 7:20</p>	<p>signal 11:14, 15:2, 17:6, 19:14, 44:1, 44:2, 45:6, 45:14, 46:7, 46:18, 47:3, 47:13, 47:15, 47:19, 47:22, 48:2, 52:12, 53:3, 53:5, 54:18, 58:5, 62:7, 63:5, 64:4, 69:1, 74:20, 76:14, 76:15, 81:2, 82:13, 83:2, 84:7, 84:9, 84:16, 87:8, 87:20, 88:11, 96:5, 98:2, 98:7, 99:11, 101:4, 113:13, 114:19, 122:10, 124:21, 126:15, 132:17, 133:1, 133:11, 137:4, 137:8, 144:4, 147:1, 147:10, 147:12, 147:16, 147:18, 147:21, 152:16, 153:8, 155:13, 174:4, 174:11, 178:12, 180:8, 183:9, 198:21, 198:22, 199:19, 199:22, 207:7, 211:3, 211:13, 214:4, 214:7, 214:8, 215:17, 223:12, 223:15, 223:18, 224:8, 225:22, 230:14, 231:8, 234:5, 235:1 signal-to-noise-- ratio 20:14, 75:7,</p>	<p>121:22, 144:3, 144:5, 146:5, 156:1, 213:18, 213:19 signal-to-noise-- ratios 213:15 signals 5:20, 12:4, 14:1, 15:16, 15:19, 17:20, 29:12, 83:9, 87:21, 101:2, 122:9, 123:5, 124:9, 124:10, 133:6, 154:9, 184:6, 196:12, 199:17, 199:18, 217:16, 234:19, 234:21 signature 247:11 signature-t8eut 248:16 signed 247:7 significant 17:9, 159:5, 159:18, 159:19, 159:21, 163:5 significantly 161:3 signing 248:8 similar 72:19, 86:10, 187:16 simple 62:15, 192:14, 192:18, 193:11, 208:20 simplicity 20:9 simply 71:15, 106:16, 149:11, 217:14 since 72:5, 88:2,</p>	<p>88:3, 186:22 sing 216:14 single 160:16, 160:21, 161:7, 161:9, 162:13, 162:14, 163:1, 165:6, 165:10, 165:19, 166:3, 166:18, 167:19, 167:22, 168:2, 174:1, 174:14, 175:9, 176:9, 177:17, 177:20, 193:11, 216:11, 216:13, 217:11, 217:18, 218:1, 218:3, 218:14, 218:22, 219:9, 220:17, 221:6, 221:7, 221:11, 221:16, 221:21, 239:20, 240:4, 240:16, 241:1, 241:3, 241:5, 241:7, 241:15, 241:16 single-channel 192:14 sitting 25:1, 25:2, 25:4, 25:13, 26:6, 42:3, 67:6, 69:5, 89:11, 105:6, 128:15, 129:3, 186:20, 187:19 situation 93:6, 140:22, 187:18, 227:1 situations 21:9, 21:11, 22:5, 79:17, 93:5, 103:5, 127:13, 128:11 size 98:2, 98:7, 98:9, 164:16,</p>
--	---	---	---

<p>166:22, 167:2, 181:4 skill 17:4, 47:17, 131:3, 162:9, 162:21, 185:3, 185:18, 190:4, 194:10, 194:19, 197:20 slightly 58:19 small 139:14, 140:3, 146:17 smallest 144:21, 173:21, 174:12, 175:7, 176:6, 176:14, 177:1, 177:9, 177:13, 178:1 smooth 30:4, 111:11 smoothing 108:10, 108:15, 109:5, 109:8, 109:12, 109:14, 109:16, 109:18, 109:21, 110:3, 110:6, 110:9, 111:1, 111:4, 111:5, 111:6, 111:8, 111:13, 111:16, 111:18, 112:7, 112:8, 112:10, 112:12 smooths 108:10, 108:15, 109:5, 109:13, 111:13 snr 5:20, 20:17, 122:1, 170:18, 173:16, 174:3, 174:22, 213:17 snrs 213:13, 213:14 so-called 236:14</p>	<p>software 1:6, 7:5 solve 190:9, 198:16 some 17:11, 25:22, 26:1, 28:21, 40:14, 67:10, 71:10, 84:12, 98:11, 109:14, 110:6, 119:18, 121:6, 121:8, 122:10, 124:18, 127:9, 127:16, 128:22, 133:15, 141:18, 141:20, 148:8, 149:6, 150:8, 154:3, 161:2, 161:14, 164:8, 165:12, 167:5, 180:17, 182:16, 189:6, 193:18, 203:19, 203:21, 203:22, 204:2, 207:18, 217:12, 222:17, 231:16, 233:21, 236:3, 236:4 somebody 81:17, 205:2 somehow 79:18, 104:3 someone 18:19, 30:9, 81:14, 163:9, 163:11, 195:6, 233:3 something 18:18, 30:8, 30:19, 65:17, 66:7, 73:12, 82:8, 104:6, 114:4, 115:1, 116:8, 127:14, 162:4, 165:14, 173:3, 178:20, 198:13, 204:22, 217:13, 229:21</p>	<p>sometime 233:19 somewhat 227:1 sorry 16:7, 44:1, 72:22, 81:5, 83:1, 92:4, 95:19, 97:6, 102:16, 102:17, 122:19, 125:4, 133:10, 134:6, 139:9, 140:10, 150:7, 175:1, 175:14, 175:15, 189:17, 190:15, 199:15, 202:18, 204:11, 206:8, 206:13, 210:18, 216:13, 217:3, 217:4, 226:6, 232:22, 235:5, 236:2 sort 46:20, 63:19, 106:9, 161:2, 241:8 sorts 63:17, 153:14 sound 19:2, 235:15 sounds 19:1, 120:9, 173:6 southern 9:1 southwest 4:14 space 101:21 spatio-temporal 5:9, 19:21, 195:1 speaker 123:10 speaking 67:17, 71:6, 71:13, 81:9,</p>	<p>97:19, 97:20, 105:2, 111:13, 198:14, 206:21, 220:5, 234:2, 234:13, 244:3 speaks 58:6 specific 22:17, 31:17, 31:18, 34:7, 34:20, 50:14, 67:10, 70:7, 71:15, 74:13, 74:16, 74:22, 76:10, 79:21, 83:13, 84:21, 106:22, 109:21, 109:22, 110:6, 110:8, 113:20, 126:16, 159:2, 160:18, 166:14, 172:17, 173:3, 174:18, 203:4, 214:9, 228:7 specifically 13:1, 13:11, 43:15, 44:16, 68:4, 111:21, 149:19, 205:1 specification 48:11, 71:19, 94:13, 94:18, 125:20, 214:10, 215:8, 237:19, 238:8, 239:6, 239:10, 239:13, 239:19, 239:22, 240:12, 241:6, 241:9, 241:14, 241:21, 244:22, 245:11 specified 160:10 specifies 222:3, 223:11, 223:14, 223:19, 225:7, 243:10 specify 180:21, 181:1,</p>
---	--	---	--

<p>181:7, 182:2, 182:13, 183:10, 183:12, 224:19 spectral 5:15, 6:5, 12:10, 12:14, 13:2, 13:12, 13:17, 13:19, 14:3, 14:6, 14:7, 14:8, 14:14, 14:16, 14:17, 14:20, 15:8, 15:12, 16:2, 16:13, 16:21, 17:21, 18:1, 18:7, 18:15, 19:4, 19:7, 20:7, 22:18, 22:20, 23:20, 23:22, 27:5, 29:6, 29:7, 29:10, 44:7, 44:11, 45:11, 47:5, 49:14, 50:19, 52:8, 58:9, 58:14, 58:17, 58:22, 59:1, 71:9, 73:8, 73:13, 73:14, 84:1, 84:6, 112:15, 112:16, 141:16, 155:3, 156:22, 184:7, 184:21, 185:9, 185:19, 185:22, 186:4, 186:15, 192:10, 192:13, 192:21, 193:7, 193:10, 193:15, 194:1, 194:5, 194:12, 194:20, 195:2, 195:12, 195:14, 195:17, 196:1, 196:3, 196:19, 196:21, 197:4, 197:8, 197:11, 197:13,</p>	<p>198:1, 201:19, 201:21, 202:1, 202:3, 202:5, 208:9, 210:22, 212:11 spectrum 52:12, 52:13, 55:4, 55:8, 55:10, 55:18, 56:1, 56:10, 56:14, 56:19, 57:2, 198:20, 207:7, 215:16, 223:14, 223:16, 223:17 speech 5:11, 5:14, 5:20, 6:9, 16:2, 16:13, 19:22, 20:8, 136:15, 136:20, 137:5, 137:8, 137:15, 137:18, 138:1, 138:15, 138:19, 138:22, 139:17, 188:22, 192:14, 193:8, 193:11, 195:1, 208:10, 210:8, 210:14, 211:1, 212:4, 212:7, 212:16, 213:3, 213:10, 214:20, 214:22, 215:1, 215:15, 222:7, 222:9, 222:10, 222:13, 222:20, 222:22, 243:17, 243:20, 244:7 spend 41:7 spent 43:5 spread 198:20 square 3:6 squared 75:4, 78:19</p>	<p>staff 4:10, 8:1 stand-alone 58:6 standard 184:7, 184:21, 186:14 standpoint 41:19, 145:9, 156:14, 182:17, 182:20, 189:6, 216:12 start 69:6, 144:12 started 118:3 starting 61:13 starts 114:11 state 7:12, 8:16, 152:14, 152:19, 153:11, 159:4, 165:4, 166:16, 167:19, 186:17, 188:16, 193:7 stated 59:1, 154:10 statement 21:6, 22:17, 64:8, 72:13, 81:16, 116:6, 124:19, 127:4, 128:5, 139:9, 159:12, 159:13, 159:15, 187:13, 208:20, 215:8, 221:18, 222:18 statements 127:10, 127:14, 187:5 states 1:1, 4:12, 108:17, 132:17, 165:4, 166:16, 167:21, 170:7, 170:20, 171:1,</p>	<p>177:19, 193:10, 212:10, 212:14, 214:11 stating 137:9, 213:9 stationary 184:6 statistics 6:6 stay 209:9 staying 147:4 stays 147:13, 147:22 steer 236:9, 236:11, 236:14 stenographically 248:6 step 28:12, 29:11, 29:15, 29:18, 30:16, 45:2, 45:13, 46:16, 49:2, 49:4, 97:2, 212:12, 224:19 steps 48:21, 224:20, 225:1, 225:4, 226:8, 226:9 steve 7:21 steven 4:4, 16:17 stipulation 39:15 stopping 210:20 stops 208:10, 210:20 stores 28:1, 28:2, 168:5 straight 72:3 straightforward 72:11, 72:14</p>
--	---	---	--

<p>street 2:5, 3:7, 3:17, 4:6, 4:14, 7:9 strike 35:17, 36:2, 42:7, 61:11, 68:16, 134:19, 160:14, 161:7, 164:3, 172:11, 172:12, 202:8, 203:6, 213:1, 217:9, 218:8, 223:4, 237:13, 241:1 structure 117:17, 118:6, 119:7, 123:13, 125:18, 126:9, 156:7, 158:2, 158:4, 160:19, 166:14, 170:16, 234:15 studied 43:4 sub 161:7 subband 214:5 subbands 213:13 subject 38:12 substantially 44:2 subtract 47:12, 48:2, 210:6, 210:17, 210:18 subtracted 72:15, 209:22 subtracting 43:21, 45:2, 45:5, 45:13, 45:21, 46:5, 46:17, 47:3, 47:14, 47:18, 47:21, 48:8, 52:11, 223:6,</p>	<p>225:8, 225:16 subtractor 43:21, 63:4, 64:2, 68:21, 82:21, 132:8, 225:8, 225:15, 227:4, 227:10 subtracts 82:22, 132:8, 209:19 subwindow 144:22, 160:2, 160:11, 160:14, 160:16, 160:21, 161:1, 161:9, 162:13, 162:14, 163:1, 164:10, 164:16, 168:21, 169:7, 169:10, 169:15, 181:2, 181:8, 181:10, 181:14 subwindows 144:22, 145:1, 159:22, 160:4, 160:8, 160:19, 161:13, 161:22, 163:18, 163:21, 164:1, 164:19, 168:6, 169:1, 177:13, 181:22 sufficient 151:15, 157:6, 171:13, 226:21 suggest 171:21 summarize 9:17 summation 123:4 summer 4:18 support 128:16 supports 125:17 supposed 109:7, 110:3,</p>	<p>111:2 suppress 12:7 suppression 5:13, 10:19, 11:2, 11:5, 11:9, 11:11, 11:17, 11:22, 16:2, 17:7, 21:2, 21:12, 22:11, 22:22, 24:2, 24:6, 24:11, 25:7, 25:17, 31:13, 58:7, 71:9 sure 7:13, 16:6, 23:15, 43:10, 54:21, 65:17, 73:20, 74:3, 81:6, 102:10, 102:12, 102:20, 105:17, 119:2, 125:5, 125:6, 128:7, 149:9, 150:9, 164:11, 164:17, 170:6, 175:14, 178:3, 184:1, 185:11, 186:7, 187:15, 190:13, 197:4, 199:13, 200:7, 202:18, 203:2, 206:15, 214:21, 228:13, 230:18, 238:1, 242:15 swanson 3:12, 5:3, 7:13, 8:13, 19:15, 39:18, 39:21, 40:2, 40:3, 51:8, 73:20, 73:22, 74:3, 74:10, 102:17, 102:20, 117:1, 129:8, 148:11, 151:18, 246:4</p>	<p>swear 8:7 switch 215:11, 243:11, 243:14 sworn 8:9 sys 203:6 system's 96:16 systems 21:17, 21:20, 22:3, 22:4, 22:14, 23:6, 23:18, 24:14, 25:10, 25:11, 26:1, 26:4, 26:11, 28:8, 28:9, 28:11, 28:13, 29:6, 29:11, 29:12, 30:20, 30:22, 37:1, 37:4, 44:10, 49:13, 49:14, 49:15, 49:16, 50:13, 50:20, 61:1, 61:7, 79:18, 81:11, 83:21, 87:6, 87:13, 87:16, 100:22, 105:1, 111:1, 111:4, 111:5, 111:7, 122:2, 122:3, 184:15, 188:21, 189:2, 193:3, 193:19, 201:10, 201:11, 201:13, 203:14, 203:17, 203:19, 203:21, 203:22, 204:2, 204:3, 213:7, 234:22, 238:12, 242:10, 243:2, 243:4</p> <hr/> <p style="text-align: center;">T</p> <hr/> <p>ta 1:6, 7:5</p>
---	--	---	--

<p>take 25:10, 40:20, 73:18, 79:20, 96:16, 96:18, 110:21, 111:3, 117:16, 125:7, 167:7, 200:5, 200:7, 237:1 taken 74:6, 116:17, 151:21, 200:10, 231:17, 231:19, 231:21, 237:6, 248:3, 248:5 takes 28:3, 30:12 taking 7:9, 67:12, 135:15, 147:3, 199:21 talk 122:12, 123:4, 167:8, 169:19, 199:11, 215:19 talked 51:12 talking 22:18, 28:13, 54:4, 56:15, 60:16, 67:21, 92:19, 94:12, 104:10, 110:20, 112:7, 112:8, 112:12, 112:13, 113:21, 114:22, 123:20, 126:5, 130:5, 131:11, 167:6, 167:12, 167:13, 169:18, 174:5, 174:18, 178:13, 178:14, 178:21, 181:17, 181:18, 183:6, 197:5, 197:6, 197:15, 197:17, 232:20, 238:2 talks 78:18, 174:3</p>	<p>tape 7:2, 74:7, 116:21, 200:11 tasks 73:6 teach 132:7, 151:14 teachings 221:14 technical 38:22, 63:18, 117:12, 117:19, 118:8, 118:10, 118:16, 118:22, 119:8, 119:10, 150:9, 156:2, 162:22, 192:2 technique 12:14, 14:15, 15:1, 18:6, 20:13, 21:2, 21:8, 31:17, 31:19, 35:2, 35:22, 46:15, 46:20, 47:1, 47:2, 47:14, 47:18, 62:21, 63:8, 63:9, 83:11, 83:13, 86:5, 90:8, 100:7, 100:10, 104:6, 122:2, 126:17, 188:20, 188:22, 191:16, 192:4, 192:15, 192:18, 192:22, 193:5, 193:8, 193:12, 193:16, 194:6, 195:2, 196:22, 198:5, 202:3, 211:21 techniques 6:9, 14:5, 14:8, 14:11, 14:13, 15:8, 15:15, 15:18, 17:19, 17:21, 17:22, 18:2,</p>	<p>20:8, 26:17, 34:13, 34:15, 34:22, 35:1, 44:15, 45:19, 46:22, 47:8, 48:4, 48:8, 50:14, 51:6, 73:17, 83:8, 88:13, 89:13, 89:16, 89:19, 89:21, 92:15, 92:20, 94:5, 195:15, 196:1, 196:7, 212:11, 213:7 technologies 31:13, 188:17 technology 12:3, 12:8, 13:14, 13:16, 13:22, 117:13, 118:19, 120:16, 191:4, 191:7, 191:20, 238:19, 238:21, 239:14 teleconference 3:15 tell 226:9 tells 245:20 temporary 28:1 ten 230:21 tend 21:11, 21:18, 21:20 tends 20:15, 21:8 tenth 2:5, 3:17 term 18:1, 18:11, 18:12, 18:17, 84:14, 164:17, 178:4, 180:1, 180:9, 197:8,</p>	<p>199:8, 204:22, 218:21, 221:10, 224:12, 228:14, 233:5, 233:9 terminology 206:14 terms 21:7, 40:19, 48:5, 81:14, 83:21, 85:13, 104:10, 105:4, 113:21, 113:22, 117:16, 118:9, 120:3, 138:2, 165:16, 184:7, 184:22, 186:15, 196:11, 207:6, 222:17, 234:8, 236:18, 242:22 test 149:4, 176:12, 210:11, 210:13, 210:15 testified 8:11, 218:13 testify 8:9 testimony 42:8, 247:4, 247:5, 248:5 texas 9:2 text 146:17, 209:5, 210:9 th 125:16, 248:13 thank 16:9, 123:2, 125:9, 218:11, 226:7 themselves 7:11, 92:7 thereafter 248:6 thereby 32:17, 44:21, 91:20, 153:10,</p>
---	---	---	--

<p>210:20, 215:4 therefore 132:7, 144:14 thing 34:7, 119:4, 150:16, 150:19, 192:1, 198:10 things 11:14, 19:6, 19:9, 28:18, 40:20, 44:16, 77:16, 79:17, 80:14, 111:3, 111:12, 118:16, 121:9, 189:5, 196:11, 196:16 think 19:6, 22:6, 30:7, 33:16, 39:21, 40:18, 63:16, 66:18, 79:21, 80:17, 116:13, 124:3, 128:12, 134:21, 137:19, 165:9, 202:4, 202:19 third 152:14, 184:3 thomas 4:3 thought 66:19, 67:11, 68:8, 68:10, 69:11, 79:16, 79:20, 80:15, 80:17, 95:13, 96:15, 105:7, 118:18, 151:15 three 9:13, 200:18, 200:20, 201:1, 224:18, 224:20, 225:1, 225:4, 227:3, 227:16, 229:9, 229:11 thresh 205:16 thresholds 44:17, 86:12,</p>	<p>86:13, 86:17, 136:13, 137:2, 137:7, 137:10, 149:3, 217:5 through 12:17, 19:14, 61:14, 67:11, 68:8, 68:10, 69:11, 79:21, 80:17, 95:13, 96:15, 134:5, 187:2 time 7:6, 15:5, 27:13, 28:16, 40:14, 40:19, 40:20, 41:3, 41:8, 41:13, 43:5, 46:1, 46:18, 47:16, 49:19, 50:10, 68:12, 72:6, 72:10, 72:20, 72:21, 72:22, 73:2, 74:5, 79:21, 81:1, 83:6, 84:18, 89:19, 99:11, 100:2, 100:13, 101:22, 109:17, 109:18, 112:12, 112:18, 114:17, 116:11, 116:13, 116:16, 122:3, 122:4, 123:3, 123:6, 123:20, 125:7, 127:22, 128:2, 128:4, 128:14, 129:6, 150:9, 151:20, 152:15, 153:8, 153:10, 154:9, 156:16, 157:2, 168:7, 179:1, 179:16, 179:18, 180:14, 180:16, 180:18, 180:20, 180:22, 183:8,</p>	<p>183:10, 183:12, 183:20, 199:20, 200:9, 226:21, 230:9, 231:16, 233:18, 233:21, 237:5, 237:8, 243:18 time-domain 5:10, 19:22 times 9:12, 9:13, 53:13, 208:5, 208:7, 208:15, 209:20, 209:22, 210:2, 210:12, 210:15, 211:2 title 186:4 titled 16:13 today 7:7, 25:1, 25:4, 66:19, 67:6, 69:5, 89:11, 105:6, 128:16, 129:3, 186:20, 187:19 today's 7:6 together 85:5, 90:22, 119:5, 190:12, 190:14, 190:19 tom 7:19, 152:6 tonal 20:15, 20:21 tones 19:2, 19:3 took 40:14 top 76:13, 112:4, 136:3 topic 173:19 towards 18:2, 94:10,</p>	<p>144:5, 226:19, 232:9, 243:7 track 149:12, 184:5, 186:13, 187:4 tracked 180:16, 180:20 tracking 164:5, 184:18 trade 1:1, 4:12 transcript 5:6, 6:2, 10:2, 19:17, 37:12, 51:11, 78:7, 116:20, 122:16, 129:10, 131:7, 148:14, 188:3, 205:9, 231:22, 248:4 transcription 247:5 transform 30:18 transients 30:5 treat 242:8 treated 55:15, 55:17 tried 128:21 true 187:14, 247:4, 248:4 truth 8:10 try 12:7, 15:2, 63:17, 67:12, 69:13, 74:19, 85:10, 96:17, 98:2, 101:1, 127:13, 134:8, 147:8, 163:2, 163:6, 184:15, 217:22, 233:11, 238:11</p>
--	--	--	--

<p>trying 29:3, 29:4, 40:18, 66:11, 74:18, 95:14, 96:15, 114:7, 114:8, 115:2, 116:4, 119:1, 119:3, 120:6, 162:1, 198:16, 218:9, 224:10, 227:12, 227:13, 229:19, 233:4</p> <p>tuning 236:3</p> <p>turn 26:19, 38:3, 48:16, 51:20, 61:12, 71:21, 77:6, 121:11, 130:9, 131:20, 143:7, 148:9, 149:16, 155:1, 158:10, 188:11, 211:22</p> <p>turning 59:3, 75:18, 78:20, 93:16, 113:6, 223:15</p> <p>turns 200:3</p> <p>two 11:13, 30:5, 48:21, 49:1, 52:16, 54:1, 76:13, 122:2, 130:15, 135:7, 137:7, 140:6, 152:19, 162:19, 163:18, 164:1, 165:13, 166:10, 211:12, 229:20, 232:19</p> <p>type 71:10, 109:21, 110:6, 110:8, 111:15, 118:7, 120:13, 126:10, 193:3, 195:8</p>	<p>typed 120:15</p> <p>types 30:1, 30:3, 71:5, 71:17, 193:19, 196:11, 203:17</p> <p>typewriting 248:7</p> <p>typical 163:19</p> <p>typically 213:16</p> <p>typographical 128:21, 128:22</p> <hr/> <p style="text-align: center;">U</p> <hr/> <p>uh-huh 12:6, 12:18, 16:9, 21:18, 23:20, 24:5, 24:17, 25:4, 25:13, 26:21, 28:11, 31:4, 32:1, 33:6, 33:18, 36:16, 37:8, 38:14, 39:18, 41:1, 41:22, 43:14, 43:16, 45:13, 47:6, 50:8, 50:18, 52:3, 52:10, 53:5, 54:17, 58:16, 60:4, 60:6, 60:12, 60:18, 67:21, 69:2, 70:1, 71:21, 72:17, 73:11, 75:20, 76:21, 77:8, 78:22, 81:7, 81:22, 82:18, 85:15, 93:18, 95:3, 96:21, 98:8, 103:7, 103:12, 106:6, 112:1, 114:9, 118:4,</p>	<p>120:14, 123:14, 123:16, 125:14, 130:14, 132:21, 135:18, 135:21, 136:4, 136:9, 142:2, 143:9, 143:16, 143:21, 149:22, 150:3, 151:4, 151:6, 151:9, 152:11, 152:13, 153:11, 154:22, 158:12, 158:20, 160:3, 162:18, 170:11, 174:21, 175:5, 192:8, 192:12, 200:17, 209:1, 209:11, 212:21, 233:22, 234:18</p> <p>unable 42:17, 161:6, 161:8, 162:13, 163:13, 164:13, 171:9, 171:17</p> <p>unclear 170:17, 172:3, 220:4</p> <p>unconventional 59:5, 59:9</p> <p>under 20:5, 35:22, 52:4, 52:7, 58:8, 229:12, 232:12, 248:7</p> <p>under-performs 21:3</p> <p>underlying 199:18</p> <p>understand 9:14, 9:18, 9:21, 36:12, 39:14, 54:9, 59:8, 65:4, 65:18, 66:5, 66:11, 70:15, 71:2, 81:13, 101:14, 104:12, 104:15, 107:15,</p>	<p>109:7, 109:20, 111:2, 114:1, 114:2, 114:4, 115:2, 118:5, 119:1, 119:3, 120:6, 120:8, 171:2, 171:5, 172:11, 186:12, 186:17, 189:7, 190:10, 190:18, 207:17, 208:19, 209:6, 221:12, 224:10, 237:19, 238:20, 239:3, 239:14, 239:16</p> <p>understanding 11:8, 14:19, 14:22, 18:11, 48:1, 64:12, 65:10, 65:11, 65:21, 70:2, 76:17, 84:5, 109:10, 115:4, 115:9, 118:10, 124:17, 141:22, 142:19, 151:11, 183:5, 206:15, 215:20, 245:1, 245:6, 245:9, 245:15, 245:19</p> <p>understandings 182:18</p> <p>understood 18:22, 49:22, 50:9, 50:12, 73:5, 182:15</p> <p>undesirable 84:16</p> <p>unfair 4:13</p> <p>unique 89:10, 89:18, 93:5, 93:8</p> <p>unit 108:10, 108:15, 109:5, 109:8, 109:12, 110:3</p> <p>united 1:1, 4:12</p>
---	--	--	---

<p>unity 132:19, 141:4, 141:6 university 9:2 unless 39:19, 136:20, 147:13 update 145:2 updated 165:5, 166:17, 167:22, 177:20 upper 132:18 uppercase 135:11, 139:11 use 22:4, 26:17, 32:10, 50:14, 70:19, 71:10, 73:13, 79:19, 81:12, 89:16, 100:16, 102:1, 105:1, 145:8, 160:1, 160:14, 160:16, 160:19, 160:21, 161:7, 161:9, 162:14, 162:22, 164:1, 181:1, 193:4, 203:14, 203:17, 205:20, 213:17, 216:22, 217:11, 236:8 useful 122:11, 203:16, 236:4, 236:6 uses 14:5, 14:15, 23:18, 36:4, 56:18, 58:19, 76:19, 86:12, 101:4, 154:18, 161:13, 164:8, 173:15, 197:8, 203:11, 210:11, 210:13, 220:8,</p>	<p>239:20, 240:1, 240:7, 240:13, 240:15, 241:7, 241:10, 241:15 using 5:14, 13:2, 13:12, 14:3, 16:2, 16:13, 18:19, 30:2, 32:11, 44:18, 45:11, 47:8, 58:20, 59:2, 63:4, 64:3, 68:1, 68:21, 70:8, 70:11, 81:14, 81:17, 83:20, 91:17, 94:7, 98:22, 99:12, 100:1, 100:4, 103:7, 106:8, 115:16, 122:13, 124:12, 133:1, 138:9, 149:5, 154:2, 155:7, 156:8, 156:20, 157:18, 160:7, 160:22, 162:13, 162:15, 163:18, 174:1, 174:13, 175:8, 176:8, 177:16, 177:17, 195:15, 196:6, 203:12, 205:2, 206:2, 206:3, 206:9, 206:17, 207:3, 207:9, 207:12, 207:15, 208:16, 211:10, 212:7, 213:16, 215:12, 217:19, 218:3, 223:22, 226:11, 240:2, 240:5, 240:19, 244:16, 245:17, 245:22 usually 123:6</p> <hr/> <p style="text-align: center;">V</p> <hr/> <p>val 57:12</p>	<p>valid 64:6, 64:9, 69:14, 80:19, 104:10 validity 33:13, 96:17, 232:5, 232:7 valued 216:11 values 28:8, 54:5, 54:7, 58:20, 59:18, 60:20, 67:20, 92:3, 92:5, 98:11, 98:20, 98:21, 103:1, 103:8, 105:15, 106:3, 106:8, 132:6, 132:14, 133:9, 138:2, 138:9, 138:11, 138:17, 138:18, 138:20, 138:22, 141:14, 142:8, 149:2, 149:4, 149:6, 149:11, 164:6, 166:11, 167:12, 167:13, 168:6, 168:12, 168:14, 168:15, 168:17, 172:3, 173:21, 174:8, 174:12, 175:7, 176:20, 176:21, 177:1, 177:4, 177:5, 177:7, 177:14, 177:16, 178:1, 179:13, 179:16, 179:17, 179:19, 180:17, 180:19, 214:12, 218:19, 220:1, 235:1 variable 53:6, 145:21 variables 92:7 variance 112:15</p>	<p>variation 114:20, 115:1 various 11:14, 30:20, 30:22, 34:12, 35:6, 40:20, 40:21, 41:6, 41:12, 41:19, 45:19, 55:12, 73:6, 76:2, 87:20, 89:8, 91:2, 93:5, 110:18, 127:11, 188:17, 192:19, 196:10, 239:7 vary 26:18 vec 177:4, 177:5 vector 101:20, 176:20 verbal 9:20 versa 222:12 versions 100:14 versus 181:7 via 3:15, 31:12 vice 222:11 video 7:7, 7:8 videographer 4:19, 7:2, 7:7, 8:6, 74:4, 74:7, 116:15, 116:21, 151:19, 152:1, 200:8, 200:11, 237:4, 237:7, 246:8 videotaped 1:10, 2:1, 7:3 view 34:4, 34:6, 86:22, 165:17,</p>
---	---	--	---

<p>169:2, 169:8, 177:16, 181:15, 210:2, 219:16, 222:15 viewed 63:9 vis-à-vis 173:18 voice 215:11, 243:11, 243:14</p>	<p>60:13, 61:2, 71:15, 76:4, 76:7, 77:1, 77:3, 81:1, 81:19, 82:1, 82:6, 82:7, 82:14, 85:7, 85:16, 86:22, 90:18, 90:22, 91:1, 92:3, 92:5, 93:8, 98:4, 99:7, 101:18, 101:19, 139:3, 158:6, 158:8, 161:15, 164:5, 165:16, 186:12, 191:9, 205:1, 206:21</p>	<p>weren't 195:5 wherein 63:3 whereof 248:12 whether 23:10, 25:5, 25:14, 26:7, 26:13, 32:13, 32:15, 39:12, 40:4, 40:9, 42:4, 42:18, 63:18, 64:5, 67:7, 68:4, 68:5, 68:15, 69:8, 69:13, 79:13, 80:8, 88:12, 89:12, 91:19, 94:1, 95:10, 96:9, 100:10, 103:21, 104:19, 105:19, 107:5, 107:21, 108:20, 110:13, 112:20, 113:3, 113:16, 116:5, 116:7, 137:4, 137:18, 138:19, 142:16, 156:9, 157:14, 157:19, 157:22, 158:7, 164:14, 174:9, 174:10, 184:16, 185:13, 185:14, 187:13, 193:15, 204:4, 210:12, 210:20, 215:16, 216:6, 219:8, 220:7, 228:16, 231:15, 233:12, 235:12, 237:10, 237:14, 242:12, 242:17, 243:17, 243:19, 244:1</p>	<p>199:3, 199:5, 199:8, 199:11, 199:19 whitney 4:11, 7:22 who've 18:22 whoever 162:6 whole 8:10 wiener 62:4, 62:6, 69:19, 70:3, 70:5, 70:8, 70:9, 70:11, 70:19, 71:4, 71:10, 71:14, 72:5, 72:9, 72:18, 73:4, 73:5, 73:9, 74:11, 74:18, 75:11, 75:13, 75:15, 75:21, 76:5, 76:7, 76:17, 76:18, 76:22, 77:13, 77:16, 78:1, 78:17, 78:18, 79:7, 79:14, 79:19, 80:5, 81:2, 81:9, 81:15, 81:16, 90:9, 91:6, 92:1, 92:4, 92:5, 92:8, 92:22, 93:3</p>
<p style="text-align: center;">W</p>			
<p>want 16:6, 45:1, 65:18, 81:6, 129:3, 134:13, 134:20, 150:9, 152:8, 169:17, 173:3, 174:18, 175:14, 186:9, 190:13, 194:4, 194:14, 197:4, 200:5, 202:18, 205:2, 206:14, 217:21, 228:14, 229:20, 231:9, 232:11, 232:13, 233:10 wanted 102:10, 102:12, 150:20, 190:15, 191:15, 192:3, 194:20, 203:2 wanting 190:18 washington 1:2, 1:11, 2:7, 3:8, 3:19, 4:7, 4:15, 7:10 way 24:5, 25:14, 26:7, 26:13, 26:14, 34:16, 34:20, 36:14, 46:3, 49:13, 51:4, 59:11, 59:22, 60:7,</p>	<p>ways 24:4, 24:17, 50:14, 55:12, 75:10, 76:2, 76:3, 77:1, 77:21, 78:2, 81:10, 81:20, 84:21, 98:3, 100:3, 154:19, 235:2 we're 63:16, 74:8, 104:10, 110:20, 110:21, 116:22, 152:1, 158:17, 167:6, 167:11, 169:18, 174:4, 178:21, 181:17, 194:18, 197:5, 197:6, 200:12, 206:21, 230:9, 234:13, 246:9 we've 73:22, 194:8, 223:10, 229:9 weighted 211:15, 211:20 well-known 17:1, 17:15 went 119:19</p>	<p>white 199:16 whitening 198:18, 198:20,</p>	<p>29:16, 29:22, 30:13, 145:1, 149:13, 149:14, 160:11, 162:15, 163:19, 166:7, 166:8, 168:8, 168:19, 168:21, 169:4, 169:6, 169:8, 169:10, 169:13, 173:22,</p>

<p>174:13, 175:8, 176:4, 176:7, 177:2, 177:10, 177:12, 179:1, 181:2, 181:4, 181:7, 181:22, 182:2, 182:14 windows 30:1, 30:2, 30:3, 60:21, 160:12, 160:18, 162:16, 162:20 winston 4:11, 7:22, 246:5 wish 84:15, 129:5 within 11:1, 11:21, 12:8, 13:14, 13:19, 14:6, 14:15, 18:5, 31:13, 33:7, 44:12, 44:13, 48:5, 57:15, 73:12, 76:17, 84:16, 92:5, 92:15, 94:6, 94:10, 111:16, 126:1, 126:9, 127:8, 144:1, 144:7, 144:21, 146:3, 146:6, 146:7, 146:8, 146:11, 148:4, 148:7, 160:2, 173:3, 180:1, 180:10, 191:5, 191:6, 194:6, 210:3, 211:9, 215:15, 215:17, 238:19, 239:14, 239:15, 243:18 without 44:2, 106:16, 108:4, 109:9, 110:4, 166:14, 179:22, 180:7,</p>	<p>193:18, 203:15, 206:3, 206:9, 206:17, 207:18, 228:22, 244:4, 244:20 witness 3:3, 8:3, 8:5, 8:7, 9:4, 39:17, 39:19, 61:21, 64:22, 65:1, 73:18, 73:21, 74:2, 116:13, 200:7, 237:3, 248:12 wondering 110:5 word 168:2, 205:2, 221:12 wording 102:12 words 142:11, 170:15, 186:4, 197:3, 198:1 work 11:13, 76:18, 176:8, 196:10, 196:12, 196:13, 231:11, 231:15, 232:10 worked 87:12, 117:15 working 11:11, 17:6, 87:17, 87:19, 178:12, 196:18 works 123:19, 208:19, 209:2, 209:4 wouldn't 141:8, 173:22, 176:7, 191:16, 195:5, 198:9, 205:2, 231:9 write 118:1, 119:3 writing 38:21, 120:9,</p>	<p>120:10, 120:11, 120:12 written 109:4, 118:14, 118:15, 118:21, 119:4, 125:17, 126:3, 151:8, 151:10, 184:13 wrote 22:1, 22:9, 22:17, 23:2, 23:11, 117:9, 117:22, 120:22 <hr/>X<hr/>x 53:6 x(i 208:9, 210:7 x(k 53:1, 53:13, 57:22 xi 209:19, 211:3 xk 78:2 xrel 214:7 <hr/>Y<hr/>y(n 49:4, 51:4, 60:1, 60:13 yeah 58:6, 73:20, 74:1, 74:2, 81:7, 116:13, 119:2, 120:6, 120:8, 122:18, 123:18, 124:7, 125:9, 134:19, 138:16, 150:11, 158:17, 202:11, 218:9, 218:10, 226:18, 229:2, 229:16, 233:2 years 11:12, 11:19,</p>	<p>87:18, 87:22, 88:5, 88:10, 229:20, 230:21, 232:19 yep 16:5 yield 85:5, 86:19, 93:14, 94:11, 100:9 yourself 11:6, 87:7, 87:10, 87:15 <hr/>Z<hr/>zero 77:18, 141:19, 210:13, 211:5, 211:8, 211:10, 211:11 <hr/>'s 46:14, 180:2 <hr/>0<hr/>00215947 129:13 04 200:9 08 74:5 09 1:13, 7:7 <hr/>1<hr/>1 43:17, 116:22, 208:7, 208:12, 208:14, 209:22, 210:2, 210:12, 210:15, 211:3, 242:7 1.0 132:20 10 5:8, 6:5, 148:13, 148:15</p>
---	---	---	---

<p>100 164:20, 167:18 1026 1:6, 7:5, 129:13 104 27:8, 28:1 106 27:15, 28:2, 28:6, 28:12, 29:11 108 29:15, 29:21 1096 155:1, 157:1, 170:7, 171:9 11 6:7, 74:5, 74:8, 188:2 110 30:12, 30:16, 30:19 112 31:2, 38:12 114 58:8 116 5:17, 51:20, 77:7, 111:21 12 6:8, 116:16, 141:3, 205:8, 225:22 1200 3:9 122 5:18 129 5:21, 121:11, 121:13 13 43:15, 43:17, 43:20, 45:2, 45:20, 45:22, 63:3, 63:13, 66:15, 67:7, 67:18, 68:6, 68:13, 68:18,</p>	<p>69:7, 69:9, 69:13, 80:13, 82:17, 93:20, 93:22, 94:3, 95:9, 95:11, 132:2, 141:13, 142:1, 142:4, 142:7, 142:11, 225:7, 225:13, 226:5, 226:6, 226:9, 226:11, 226:16, 227:4, 243:7 131 6:4 14 63:3, 68:15, 68:17, 68:20, 69:9, 69:13, 69:16, 79:3, 79:7, 79:12, 80:13, 248:15 148 6:5 148105 1:20 15 69:15, 69:18, 79:1, 79:3, 79:6, 79:14, 80:1, 80:7, 80:13 1501 4:6, 7:9 152 5:4 153 209:5, 209:9 154 211:22, 212:10 155 212:19 16 1:12, 7:6, 79:22, 80:3, 80:9, 80:12, 80:22, 135:15 17 82:16, 82:19,</p>	<p>93:17, 93:19, 94:2, 94:4, 95:8, 95:10, 95:22, 96:8, 96:10, 243:6, 248:13 18 95:21, 96:2, 96:10 183 152:12 188 6:7 19 5:9, 12:17, 243:10 1992 88:3 1993 121:14, 121:15, 121:18, 121:21, 122:12, 123:1, 130:3, 143:11, 144:2, 144:10, 145:22, 152:15, 152:20, 155:22, 156:9, 157:1, 157:10, 159:6, 159:14, 159:20, 159:22, 160:2, 160:14, 160:16, 160:17, 160:21, 160:22, 161:4, 161:5, 161:7, 161:9, 161:12, 161:16, 162:5, 162:12, 162:15, 163:17, 163:22, 164:11, 164:12, 168:4, 169:18, 169:20, 171:16, 172:7, 173:12, 173:15, 174:3, 181:17, 181:20, 181:21, 230:7 1994 148:16, 150:2, 150:18, 151:1,</p>	<p>186:11, 187:5, 187:12, 197:7, 197:11 1998 88:2, 125:16 1999 15:13, 17:2, 17:4, 17:15, 28:16, 30:3, 30:10, 31:11, 31:15, 59:16</p> <hr/> <p style="text-align: center;">2</p> <hr/> <p>2 151:20, 151:22 20 125:16, 188:17, 189:18, 190:6, 191:1, 191:8, 191:17, 200:12, 230:21, 231:2, 248:13 20001 2:7, 3:19 20005 3:8, 4:7 2004 3:8 2015 233:20 2017 1:12, 7:6, 248:13 202 2:8, 3:9, 3:20, 4:8, 4:16 2020 248:15 204 97:6, 97:8 20436 4:15 205 4:16, 6:8 21 12:17, 74:8, 96:18, 96:19, 103:11, 103:13,</p>
---	--	--	--

<p>103:16, 103:22, 104:3, 104:13, 104:20, 104:21, 105:10, 105:18, 105:20, 107:2, 107:3, 107:7, 107:10, 107:16, 107:18, 108:1, 108:5, 108:7, 108:9, 108:14, 108:19, 108:22, 110:11, 110:15, 110:21, 112:21 213 38:3 216215 129:14 22 105:9, 105:12, 105:20, 107:6, 107:22, 108:4, 110:21 220 3:9 2221 4:16 23 108:6, 108:21, 109:10, 109:20, 110:4, 110:6, 110:14, 110:22, 112:21, 113:2 24 132:17, 237:5 245 130:9, 130:11, 130:16 246 130:16 248 1:21 25 11:12, 11:19, 87:17, 87:22, 88:4, 88:10, 113:6, 113:9, 113:11, 113:17, 113:21, 114:1,</p>	<p>114:5, 114:7, 115:4, 121:14, 124:14, 126:10, 130:15, 130:16 256 27:15, 27:16, 28:2, 28:3, 28:5, 155:7, 155:10, 155:13, 155:16, 155:17, 157:14, 157:20, 170:3, 170:8, 170:13, 173:13 28 215:10 <hr/>3 30 138:11, 138:12, 138:14, 139:6, 139:11, 139:22, 140:1 300 40:13 337 1:6, 7:5 36 116:22 37 5:12, 237:8 39 99:4, 100:12, 151:20 <hr/>4 4 200:9, 200:12 400 72:19 402 48:22, 49:2, 49:4, 50:16, 53:20, 54:1, 59:4, 60:17, 61:5, 61:8, 61:10, 61:15, 75:19, 158:19 404 48:22</p>	<p><hr/>5 <hr/>5 237:5, 237:8, 246:9, 246:10 5,706,395 5:16, 78:11 50 151:22 500 4:14 508 86:11 51 5:13 512 28:5, 30:13 52 71:21, 72:2, 116:16 566 149:19, 150:1, 150:5 56673 51:22 57 246:9, 246:10 5a 86:10 <hr/>6 <hr/>6,035,048 6:4, 131:8 6,363,345 5:8, 10:5 60 192:9 600 3:7, 214:13, 214:17 6000 2:8, 3:20 64 15:22, 16:8, 16:9 648 38:5 65 192:9</p>	<p>662 2:8, 3:20 <hr/>7 <hr/>736 4:8 741 131:20, 132:1 742 132:4 78 5:16 <hr/>8 <hr/>8,374,854 6:7 850 2:5, 3:17 8583 4:8 898 125:16, 125:19, 126:9, 126:20 <hr/>9 <hr/>9 1:13, 7:7 926 148:9, 148:20 93 130:13, 130:20, 143:7, 143:10, 143:14, 144:13, 145:19, 146:13, 155:1, 155:19, 170:7 94 183:22 949 129:16, 129:20, 130:19, 149:16, 229:18, 229:22, 230:1, 231:17 97 158:11, 158:21 99 145:21</p>
--	--	---	---