

1                                    DEFOREST MCDUFF, Ph.D.

2                    associated with that.

3                    Q.                What are those advantages?

4                    A.                The advantages with respect to  
5                    competition.    Customer recognition is a good  
6                    thing for competition in the market.

7                    Q.                So basically if you are the  
8                    first on the market, everyone knows about --  
9                    strike that.

10                                    If you are the first on the  
11                    market, you are the only drug that people know  
12                    about, and you have no competition, right, for  
13                    that specific treatment?

14                    A.                For the period of time where  
15                    you are the only product on the market.    Of  
16                    course, you would still call something a first  
17                    mover once additional competition comes on to  
18                    the market.

19                    Q.                Would you agree that a second  
20                    market entrant in the same market segment may  
21                    face a greater challenge to gain market share?

22                    A.                That may be true.    It depends  
23                    on the situation.    Sometimes a second mover can  
24                    have the advantage that a certain type of  
25                    therapy or practice has been established, and



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

focus on order of market entry between Tyvaso and Venativs. I don't view it as particularly impactful here.

Q. And you agree that Tyvaso has performed better over time than Venativs in terms of sales, correct?

A. It has had greater sales, yes.

Q. Now, moving to the other pulmonary hypertension drugs that are not inhaled that you included in your market definition, earlier we also discussed there are different stages of pulmonary arterial hypertension, correct?

A. Groups I think they are typically referred to. Is that what you mean?

Q. Yes, or -- well, actually let's just -- if you look at the Venativs label, for example, Exhibit 1160, under Indications and Usage, the last sentence says: "Studies establishing effectiveness included predominantly patients with NYHA Functional Class III to IV symptoms and etiologies of idiopathic or heritable pulmonary arterial hypertension or pulmonary arterial hypertension





1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

with the exhibits attached, and if you look at Attachment B-8 Competing PAH Therapies.

A. Yes.

Q. So, for example, the first drug Remodulin lists effectiveness for patients with Class II to IV symptoms.

Do you see that?

A. Yes.

Q. And Tyvaso is only listed for patients with Class III symptoms, correct?

A. Yes.

Q. Adcirca is for patients with Class II to III symptoms, correct?

A. I see that, yes.

Q. So without going through all of these, each of these drugs have their own uses in terms of patients with certain types of symptoms -- strike that.

Each of these drugs are used for patients with certain types of symptoms, correct?

A. Yes, the indications are not identical. They all relate to pulmonary arterial hypertension. Tyvaso has a narrower

1                   DEFOREST MCDUFF, Ph.D.

2           label. In other words, it's useful in fewer  
3           patients, and so it's a more limited commercial  
4           opportunity.

5                   Q.           Did you account for the fact  
6           that other pulmonary hypertension drugs were  
7           listed for patients with wider variety of  
8           symptoms?

9                   A.           Yes, that's reflected in the  
10          sales data where a product that has  
11          applicability to a wider set of patients  
12          because of a broader or narrower indication is  
13          able to achieve more sales. So comparison of  
14          sales is where that is manifested in the  
15          economic data.

16                  Q.           If a drug is only used to  
17          treat specific symptoms, isn't it fair to  
18          compare only other drugs that treat those same  
19          symptoms?

20                   MR. MATHAS: Object to the form.

21           BY THE WITNESS:

22                  A.           No, not in my opinion. That's  
23          not correct here.

24           BY MR. DELAFIELD:

25                  Q.           Why is that?

1                                    DEFOREST MCDUFF, Ph.D.

2                                    A.                    Because that's the incorrect  
3 way to think about competition here. They  
4 have -- there are a number of products  
5 competing for PAH sales, and they have  
6 different attributes and different coverage and  
7 different effectiveness, but it's the broader  
8 competition that tells you about the market  
9 opportunity for treating pulmonary arterial  
10 hypertension. Drug submarkets or segments with  
11 respect to symptoms is not something that's  
12 appropriate or consistent with what I have  
13 reviewed.

14                                   THE WITNESS:    And we have been  
15 going for a while. Maybe at some point we  
16 should break for lunch.

17                                   MR. DELAFIELD:    Do you have lunch  
18 here yet?

19                                   MR. MATHAS:    It should be here.

20                                   MR. DELAFIELD:    Yeah, we can take a  
21 break.

22                                   THE VIDEOGRAPHER:    The time is now  
23 12:35 p.m. This is the end of media 2. We are  
24 off the record.

25



1                   DEFOREST MCDUFF, Ph.D.

2                   (WHEREUPON, a recess was had at  
3                   12:35 p.m. until 1:26 p.m.)

4                   THE VIDEOGRAPHER: The time is now  
5                   1:26 p.m. This is the beginning of media 3.  
6                   We are back on the record.

7                   BY MR. DELAFIELD:

8                   Q.           Welcome back.

9                   A.           Thank you.

10                  Q.           I think when we left, we were  
11                  talking about your declaration Exhibit 1055 for  
12                  the '507 patent and Attachment B-8, and we were  
13                  discussing how these different drugs have  
14                  different indications depending on what  
15                  symptoms they treat, correct?

16                  A.           Yes, I recall that.

17                  Q.           If you look at page 10 at  
18                  Adempas, and in the -- under Indication the  
19                  first bullet point says: "Persistent/recurrent  
20                  Chronic Thromboembolic Pulmonary Hypertension  
21                  after surgical treatment or inoperable (CTEPH)  
22                  to improve exercise capacity and WHO functional  
23                  class."

24                                   Do you see that?

25                  A.           Yes.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

Q. And then separately it's also indicated for pulmonary arterial hypertension to improve exercise capacity, improve WHO functional class and to delay clinical worsening.

Do you see that?

A. Yes.

Q. So just as an example, Adempas is specifically prescribed for chronic thromboembolic pulmonary hypertension, correct?

MR. MATHAS: Object to the form.

BY THE WITNESS:

A. That's part of the indication.

BY MR. DELAFIELD:

Q. And Tyvaso is not prescribed for that purpose, correct?

A. It's not indicated for that, that's right.

Q. So at least for patients with chronic thromboembolic pulmonary hypertension, Tyvaso doesn't compete in the same market as Adempas for that condition, correct?

MR. MATHAS: Object to the form.



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

conditions, would you say it's fair to compare sales -- total sales of both drugs to each other?

A. It depends on one's purpose.

Q. What do you mean by that?

A. Perhaps it would be fair in some context but not fair in other context.

Q. Well, in this context there's no indication that Tyvaso is used to treat CTEPH, correct?

MR. MATHAS: Object to form.

BY THE WITNESS:

A. That's my understanding, yes.

BY MR. DELAFIELD:

Q. So sales of the Adempas to treat that form of pulmonary hypertension do not directly compete with sales of Tyvaso, correct?

A. Again, I haven't seen evidence that Tyvaso is prescribed for chronic thromboembolic pulmonary hypertension. Perhaps they don't compete for those prescriptions. Looking through the rest of B-8 and the other one, two, three -- 13 products here, I don't

1                                   DEFOREST MCDUFF, Ph.D.

2           see that as being a pervasive issue. It looks  
3           specific to Adempas but none of the other  
4           products on this list. So while that may be  
5           true for Adempas, I don't view this to be an  
6           impactful issue.

7                           Q.           Other than the separate  
8           indication, each drug does have slightly  
9           different functional class symptoms that they  
10          are designed to treat, correct?

11                           MR. MATHAS: Object to the form.

12          BY THE WITNESS:

13                           A.           Some may be the same, but  
14          there are frequently slight differences. Yes,  
15          I agree.

16          BY MR. DELAFIELD:

17                           Q.           Are you aware that patients  
18          taking -- strike that.

19   Are you familiar with  
20          Remodulin?

21                           A.           Yes.

22                           Q.           And Remodulin is taken via IV  
23          or subcutaneous, correct?

24                           A.           Yes.

25                           Q.           Do you understand that

1                   DEFOREST MCDUFF, Ph.D.

2           Remodulin is primarily reserved for patients  
3           with pulmonary hypertension that is an advanced  
4           stage of pulmonary hypertension?

5                   MR. MATHAS: Object to the form.

6           BY THE WITNESS:

7                   A.           I don't see that here in  
8           Attachment B-8. It appears to be approved for  
9           Classes II to IV symptoms. I don't recall  
10          whether it's reserved for advanced stage  
11          sitting here.

12          BY MR. DELAFIELD:

13                  Q.           Let me ask it another way.  
14          Can all patients with PAH use inhaled  
15          formulations?

16                  A.           It probably depends on the  
17          patient.

18                  Q.           Well, I am asking if a patient  
19          has pulmonary arterial hypertension, can they  
20          use Tyvaso to help alleviate their symptoms no  
21          matter what their symptoms are or how severe  
22          their pulmonary hypertension is?

23                  MR. MATHAS: Object to the form.

24          BY THE WITNESS:

25                  A.           They may be able to. There

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

may be varying effectiveness of certain medications on certain types of patients. Physicians evaluate that on a case-by-case basis. That's my understanding.

BY MR. DELAFIELD:

Q. Did you attempt to differentiate the different forms of the drugs in terms of when and how they are used with patients?

A. I am aware of the different forms. You can see that in the Form column on Attachment B-8. So I am aware of those differences across products.

Q. I guess I am trying to understand your basis for your opinion that all forms equally compete against Tyvaso for treatment of pulmonary arterial hypertension.

I guess my question is if a patient can't use a specific form of therapy, it's not a choice to use one pulmonary hypertension therapy over another, correct?

A. I am not sure I follow the question. Could you ask it again?

Q. So let's take, for example, a

1                                    DEFOREST MCDUFF, Ph.D.

2                    patient takes Orenitram which is an oral form  
3                    of treprostiniil.    Are with me?

4                    A.                    Okay.

5                    Q.                    And that pill doesn't work at  
6                    all for them, and then they take Tyvaso and it  
7                    does work.    Would you say that those two  
8                    products still compete with respect to that  
9                    patient specifically?

10                    A.                    Yes, I would.    I think that  
11                    example illustrates the point which is that  
12                    patients have different options across  
13                    different forms, and some options may be more  
14                    effective for certain patients in certain  
15                    circumstances, and that's the market in which  
16                    the products compete.    There are multiple  
17                    options, and what we examine in economic data  
18                    is which products are more successful within  
19                    that market.

20                    Q.                    But each indication of all the  
21                    drugs listed in B-8 specify specifically what  
22                    class of symptoms they are designed to treat,  
23                    right?

24                    A.                    Yes, but indications don't  
25                    need to be identical to be in the same relevant





1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

11 in your declaration and the last sentence starting at page 5 states: "For the treatment of PAH, in particular approved pharmaceuticals target one of three major biochemical pathways," and then it lists three pathways.

Do you see that?

A. Yes.

Q. Do you understand each of those pathways?

A. What do you mean by that?

Q. Well, can you explain to me what an endothelin receptor antagonist is?

A. Well, I am an economist, not a clinician, but my understanding is that it targets the endothelin receptors. It's a class of drugs that has that particular mechanism of action.

Q. And what's an endothelin receptor?

A. I don't recall specifically sitting here.

Q. And for this paragraph, you put footnote 3.

Do you see that?





1                                    DEFOREST MCDUFF, Ph.D.

2                    indication, correct?

3                    A.            Correct. I don't view that as  
4                    appropriate in light of the evidence I  
5                    reviewed.

6                    Q.            You also did not consider in  
7                    your analysis how long each of the products  
8                    were on the market before reaching their peak  
9                    sales, correct?

10                  A.            I don't agree with that.

11                  Q.            Well, let's turn to your  
12                  Exhibit -- or Attachment B-5.

13                  A.            Okay.

14                  Q.            And this is a comparison to  
15                  PAH drug revenues showing sales for peak years  
16                  for each of the drugs; is that correct?

17                  A.            Yes.

18                  Q.            And in this attachment you  
19                  don't specify when the drug was first launched,  
20                  correct?

21                  A.            Not in this attachment, but  
22                  one can see it in Attachment B-4 on the  
23                  previous page.

24                  Q.            But in your conclusions  
25                  regarding peak sales, you don't provide any

1                                    DEFOREST MCDUFF, Ph.D.

2                    analysis of what effect, if any, the year the  
3                    peak sales came about based on the year the  
4                    drug was launched, right?

5                    A.            I don't agree with that, and  
6                    in particular I would point you to paragraph 24  
7                    where I indicate what the impact of different  
8                    drugs being on the market for different lengths  
9                    of time has which is that we know Tyvaso has  
10                   already achieved peak sales because its sales  
11                   have already started declining from 2015 to  
12                   2016 and then from 2016 to 2017 based on the  
13                   most recent data reported by UTC. Whereas,  
14                   other drugs are continuing to increase. They  
15                   have not already hit peak sales.

16                                    So this comparison will look  
17                   even more favorable to the other drugs and less  
18                   favorable to Tyvaso into the future. So that's  
19                   the sense in which I am thinking about how long  
20                   the drugs have been on the market and whether  
21                   their sales will continue to increase.

22                                    Q.            So if a drug had a  
23                   particularly good year and had extremely high  
24                   peak sales one year and low sales before and  
25                   after that, do you still think that peak annual

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

sales are a relevant factor to consider?

A. That's not a typical situation that occurs in pharmaceuticals. Sales tend to be more similar from year to year or increasing or decreasing on a more regular basis. It's not frequently the case that sales vary wildly from one year to the next.

Q. Well, I am asking hypothetically if a product has one really good year for whatever reason and before and after have low sales, doesn't that mean that peak sales for that year are not really indicative of commercial success?

MR. MATHAS: Object to the form.

BY THE WITNESS:

A. That's a theoretical situation that could be true in some circumstances. It's not true generally in pharmaceuticals, and it's not true based on the evidence I have reviewed here in this case. I have reviewed sales across all years, and peak sales are the good summary statistic for comparing across products based on that analysis.

1                                    DEFOREST MCDUFF, Ph.D.

2                    BY MR. DELAFIELD:

3                    Q.            For your peak sale analysis,  
4                    did you -- strike that.

5                                    Your declaration does not  
6                    disclose any analysis for peak sales of the  
7                    various drugs in relation to factors that may  
8                    affect that year's sales that are unique to the  
9                    drug, correct?

10                                  MR. MATHAS: Object to the form.

11                    BY THE WITNESS:

12                    A.            I am not sure what you have in  
13                    mind. Could you explain?

14                    BY MR. DELAFIELD:

15                    Q.            So, for example, if a brand  
16                    drug comes on the market and for some reason  
17                    the very next year a generic comes on the  
18                    market, their peak sales might be the first  
19                    year.

20                                    Is that a fair assessment?

21                    Apologies.

22                    A.            That doesn't tend to happen  
23                    but it could.

24                    Q.            So external factors such as  
25                    the launch of a generic can affect sales for



1                   DEFOREST MCDUFF, Ph.D.

2           any given year, correct?

3                   A.           They can.   Sales can decline  
4           after generics come on the market.   That's  
5           frequently what happens.

6                   Q.           And, similarly, if a drug  
7           comes on the market with the same indication  
8           and is followed quickly by another drug with  
9           the exact same indication, their peak sale year  
10          may be different just based on the fact of the  
11          timing of the competition, correct?

12                   A.           It could be.   That's how  
13          competition works.

14                   Q.           So peak annual sales could be  
15          the result of external factors such as other  
16          drugs coming on or off the market or other  
17          drugs becoming genericized, correct?

18                   A.           Well, you described them as  
19          external factors, but they are relevant  
20          factors.   They are relevant factors for  
21          competition, and it's the set of factors -- the  
22          set of competitive factors that determines how  
23          well a products does.   So it's relevant to the  
24          analysis.

25                   Q.           But for commercial success,

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

there must be a nexus to the patented elements,  
correct?

A. Generally, yes, there must be  
a nexus.

Q. And so if sales are really  
good or really bad based on factors that are  
not related to the patent, then they would be  
external factors, correct?

A. You don't frequently hear that  
term, but I see what you are saying. That's  
not the way it's typically described.

Q. If you could turn to paragraph  
33 of your declaration.

A. I'm there.

Q. And the second sentence says:  
"For example, Tyvaso's designation as an orphan  
drug (reserved for products with low commercial  
opportunity and/or fewer than 200,000 U.S.  
patients) indicates limited economic  
opportunity."

Do you see that?

A. Yes.

Q. So you understand Tyvaso is an  
orphan drug, right?

1                                    DEFOREST MCDUFF, Ph.D.

2                                    A.                    Yes.

3                                    Q.                    And there are fewer than  
4                                    200,000 U.S. patients, correct, according to  
5                                    your definition here?

6                                    A.                    Well, orphan drug status can  
7                                    be granted based on a low commercial  
8                                    opportunity or fewer than 200,000 patients. I  
9                                    don't recall which prong was met for Tyvaso,  
10                                   and I don't recall whether the current count of  
11                                   PAH treatment is less than 200,000. I would  
12                                   have to go back and check.

13                                   Q.                    Do you know how many people in  
14                                   the U.S. approximately have pulmonary  
15                                   hypertension?

16                                   A.                    I believe it's in the hundreds  
17                                   of thousands, but I would have to go back and  
18                                   look to confirm.

19                                   Q.                    So it could be fewer than  
20                                   200,000, correct?

21                                   A.                    It could be, and I believe it  
22                                   was at one point in time.

23                                   Q.                    Orphan drug status is specific  
24                                   to number of patients because the FDA requires  
25                                   less to get their approval simply because





1                                    DEFOREST MCDUFF, Ph.D.

2                    Q.            And then you go on to analyze  
3 the first -- strike that.

4                                    You go on to analyze the top  
5 two decile percentages for drugs, correct?

6                    A.            As well as the averages, yes.

7                    Q.            Now, do you know how many  
8 drugs are in the top decile in terms of sales  
9 that are orphan drugs?

10                   A.            I don't know the number. I do  
11 know that orphan drugs can and do have sales at  
12 that magnitude.

13                   Q.            Other than pulmonary  
14 hypertension, can you think of any other  
15 indication that's an orphan -- orphan --  
16 treated with orphan drugs that is in the top  
17 decile?

18                   A.            There's a number of cancer  
19 drugs that are orphan drugs that have sales in  
20 the billions. There's various cancer  
21 indications that qualify.

22                   Q.            Do you know if the majority of  
23 the drugs in the top two deciles are prescribed  
24 for -- strike that.

25                                    Would you agree that the drugs

1                   DEFOREST MCDUFF, Ph.D.

2           in the top two deciles of sales are typically  
3           blockbuster drugs that have millions of  
4           patients?

5                   MR. MATHAS: Object to the form.

6           BY THE WITNESS:

7                   A.           I don't know that that's  
8           generally true.

9           BY MR. DELAFIELD:

10                  Q.           Well, isn't it relevant to  
11           know how many patients there are before you  
12           compare to the largest sales across all drugs?

13                  A.           One could look at that  
14           information, but it's not needed for my  
15           analysis here of putting Tyvaso sales into  
16           context relative to the industry.

17                  Q.           But you are putting orphan  
18           drug sales in the context of all drug sales,  
19           correct?

20                  A.           I wouldn't describe it that  
21           way. I agree with you that Tyvaso is an orphan  
22           drug, and in paragraph 23 I compare it to the  
23           range of sales in the industry, and then in  
24           paragraph 24 I compare it to other PAH drugs  
25           specifically.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

Q. And you didn't make any attempt to compare Tyvaso sales only to other orphan drugs, correct?

A. Not specifically to other orphan drugs. That is addressed inherently in paragraph 24 where all of the competing drugs in that paragraph are treatments for PAH. So that is an analysis that takes into account the patient population size, but paragraph 23 is independent of the patient population size.

Q. So just so I am clear, it is your opinion that an orphan drug with potentially less than 200,000 total patients making over 2.5 billion in net sales over a seven-year period is not a commercial success?

A. Could you repeat the question, please.

Q. Well, let me break it down. Earlier we looked at your Attachment B-4. If you can turn to that on page 38 of 45.

A. Okay.

Q. And for Tyvaso from 2009 to 2016 you totaled revenue at 2.515 billion for



1 DEFOREST MCDUFF, Ph.D.

2 Tyvaso, correct?

3 A. That's right --

4 Q. And --

5 A. -- over all years.

6 Q. Yes. And Tyvaso is an orphan  
7 drug meaning that it likely has less than  
8 200,000 patients, correct?

9 A. I don't know if that's true as  
10 of today. There may have been less than  
11 200,000 PAH patients in the U.S. at one point  
12 in time.

13 Q. So is it your opinion that  
14 \$2.5 billion in sales over a seven-year period  
15 for an orphan drug is not a commercial success?

16 A. It depends. It's a  
17 case-by-case analysis. So I couldn't give you  
18 an answer to that in a global way that would  
19 apply to every drug with that profile, but I  
20 have analyzed Tyvaso, and the magnitude of  
21 sales here do not demonstrate commercial  
22 success.

23 Q. So in your opinion an orphan  
24 drug with 2.5 billion in sales over seven years  
25 in this case is not a commercial success?

1                                    DEFOREST MCDUFF, Ph.D.

2                                    A.                    It sounds like the same  
3 question and the same answer.

4                                    Q.                    It's a yes or no question.

5                                    A.                    I've found that Tyvaso sales  
6 do not demonstrate commercial success here.

7                                    Q.                    So an orphan drug with 2.5  
8 billion in sales over a seven-year period is  
9 not a commercial success in this case, correct?

10                                   A.                    Maybe I am missing the  
11 distinction with the previous question, but it  
12 sounds like the same question to me.

13                                   Q.                    Do you agree with that  
14 statement?

15                                   A.                    Tyvaso sales, as I have  
16 analyzed them here, do not demonstrate  
17 commercial success.

18                                   Q.                    And those sales --

19                                   A.                    I agree with that as a summary  
20 opinion.

21                                   Q.                    And those sales were 2.5  
22 billion over a seven-year period, correct?

23                                   A.                    Yes.

24                                   Q.                    You provided no opinion  
25 regarding gross profits for Tyvaso, correct?

1                                    DEFOREST MCDUFF, Ph.D.

2                    A.            That's correct. I am not  
3 aware of that information being available.

4                    Q.            Did you try to find that  
5 information?

6                    A.            I don't believe so. I am not  
7 aware of it being available.

8                    Q.            Did you ask counsel for that  
9 information without disclosing any actual  
10 conversations with counsel?

11                   A.            I don't recall. It's not the  
12 kind of information that's typically available  
13 in IPRs in my experience.

14                   Q.            And you provided no opinion  
15 regarding gross margins for Tyvaso, correct?

16                   A.            Similar answers as before. I  
17 don't recall that information being available  
18 here, but I have not analyzed it as I am not  
19 aware of it being available.

20                   Q.            Did you attempt to find  
21 information about it?

22                   A.            I don't recall.

23                   Q.            So going back to the top two  
24 deciles, why did you consider just the top two  
25 deciles as being relevant benchmarks for





1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

being available.

Q. Well, you calculated the total revenue of drugs that treat pulmonary arterial hypertension and also reported the amount needed to reach the top decile, correct?

A. I performed both of those analyses, yes.

Q. And none of the drugs that treat pulmonary arterial hypertension are in the top decile, correct?

A. Well, comparing the graphs on page 16 and page 17 of my declaration, it appears that Tracleer is either first decile or second decile, and Letairis is possibly second decile, possibly not. I am not exactly sure where the cutoffs are that allow one to make that determination.

Q. Are you looking at paragraph 24?

A. Yes.

Q. Well, your previous chart compares the peak annual sales on page 16 shows first decile drugs 3.565 billion, correct?

A. Yes, as an average for first

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

decile drugs. Some are higher. Some are lower.

Q. But Tracleer is far below that number, correct?

A. Tracleer is between the averages of the first decile and the second decile. So it depends where the cutoff is. The cutoff between the first decile and the second decile is somewhere between 1.3 billion and 3.5 billion as are the sales of Tracleer. So without that additional information, we don't know whether Tracleer will be in the first decile or the second decile. It might be more likely to be in the second decile given that it's closer to the average for second decile, but I can't say for sure.

Q. And given that the average second decile according to your analysis is 1.3 billion, is it fair to say that the vast majority of the drugs you analyzed for pulmonary arterial hypertension do not meet the top two deciles?

A. I would say the majority do not. I think that's sensible in light of 12

1                   DEFOREST MCDUFF, Ph.D.

2           drugs being here on -- in paragraph 24 and two  
3           out of 12 being near first decile and second  
4           decile around 20 percent, 15 to 20 percent. I  
5           think that's consistent with the industry,  
6           maybe slightly lower.

7                   Q.           You did not provide an opinion  
8           on the profit obtained by UTC on Tyvaso,  
9           correct?

10                  A.           Not here. I am not aware of  
11           that information being available or provided by  
12           UTC.

13                  Q.           Did you look for it?

14                  A.           Not specifically, nor am I  
15           aware of that information being available here.  
16           It's typically not.

17                  Q.           Would you be surprised if UTC  
18           had a high profit margin on their 2.5 billion  
19           in net sales of Tyvaso from 2009 to 2016?

20                  A.           I don't know. I would  
21           evaluate that information if it were available.

22                  Q.           Do you consider profit margin  
23           to be an important factor in analyzing  
24           commercial success?

25                  A.           It depends on the situation.



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

Sometimes I analyze it if it's available.

Q. So going back to paragraph 23 and your analysis of peak annual sales of Tyvaso compared to first and second decile and average drugs, you rely on a 2002 article by Grabowski as part of your analysis, correct?

A. As part of my analysis as well as the actual Tyvaso sales.

(WHEREUPON, the document was tendered to the witness.)

BY MR. DELAFIELD:

Q. You have been handed what has been marked as Exhibit 1113 which is an article entitled Returns on Research and Development for 1990s New Drug Introductions, and Exhibit 1113 is the same for both cases.

Do you recognize this document?

A. Yes.

Q. And is this the document that you cite in footnote 12?

A. Yes, it is.

Q. Or one of the documents.

Now, this study analyzes drugs

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

launched between 1990 and 1994, correct?

A. Yes.

Q. And then also uses actual sales that those products made through 2000, correct?

A. That sounds right.

Q. So this means that the study only had between seven and 11 years of actual data from 16 years ago, correct?

A. I don't believe that's accurate. This is a paper that's based on a line of research that occurred in the 1970s, 1980s, 1990s, and then 2000s. They use some data on drugs that were launched from 1990 to 1994, and they combined that with older data it's my understanding to get the longer timeframe and project the full sales path.

Q. So instead of relying on actual data, you chose to rely purely on the projections made in the study or some combination of actual sales and projected sales to create your comparison, correct?

MR. MATHAS: Object to the form.

1                                    DEFOREST MCDUFF, Ph.D.

2                    BY THE WITNESS:

3                    A.            I wouldn't describe it that  
4                    way, no.

5                    BY MR. DELAFIELD:

6                    Q.            Well, let's look at the sales  
7                    page 17 which is one of the pages you cite,  
8                    page 7 of the exhibit, 17 internal page.

9                    A.            Okay.

10                  Q.            And at the top Figure 2 shows  
11                  worldwide sales profiles of 1990 to 1994 new  
12                  drug introductions.

13                                    Do you see that?

14                  A.            Yes.

15                  Q.            And it goes out to 20 years,  
16                  right?

17                  A.            Yes.

18                  Q.            But this paper was published  
19                  in 2002, and so many of those years are just  
20                  projections, correct?

21                  A.            The later years are  
22                  projections based on actual historical sales  
23                  data as I indicated in my previous response.

24                  Q.            But it says sales profiles of  
25                  1990 to 1994 new drug introductions, right?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

A. I am not sure I understand the question.

Q. Let me rephrase.

So we can agree some of the data in this chart represents actual sales of drugs launch between 1990 and 1994, correct?

A. Yes.

Q. And some of the data used to generate this are projections of where those sales would go after the time of this paper based on prior sales, correct?

A. I agree with that, yes.

Q. So your reliance on this is using both actual and projected sales, right?

A. It's a combination of those. That's the methodology that's described in this paper. This is among the most widely cited literature in pharmaceutical research and development.

Q. And you will notice it says worldwide sales, right?

A. Yes.

Q. Now, in evaluating commercial success of a U.S. patent, you would agree that

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

it's appropriate to look at only U.S. sales,  
correct?

A. I don't agree with that, no.

Q. Well, if it's not protected in  
other countries, then the commercial success  
isn't relevant because there's no patent  
protection, correct?

MR. MATHAS: Object to the form.

BY THE WITNESS:

A. I am not seeking to provide a  
legal conclusion on this issue of whether sales  
outside the U.S. are relevant from a legal  
perspective, but from an economic perspective,  
evaluating the commercial opportunity it's  
common to evaluate sales worldwide.

BY MR. DELAFIELD:

Q. So, for example, if someone  
patented a product and sold none of it in the  
United States with the patented -- strike that.

So if someone patented a  
product in the United States and there were no  
sales in the United States but they had a lot  
of sales where there was no patent protection,  
are you saying that those sales are relevant to



1                                    DEFOREST MCDUFF, Ph.D.

2                    necessary for the conclusions I am drawing.

3                    Q.            So you don't know how well  
4                    Tyvaso has performed in terms of U.S. sales  
5                    only, correct?

6                    A.            I know that their U.S. sales  
7                    are at least at or below their worldwide sales.  
8                    So I know the sales are if anything lower than  
9                    the sales I have analyzed in my report.

10                  Q.            I'm sorry. Could you repeat  
11                  that?

12                  A.            In other words, the U.S. sales  
13                  are certainly no greater than the worldwide  
14                  sales that I have analyzed. So if anything the  
15                  U.S. sales are lower than what I have analyzed.

16                  Q.            But likewise for every drug  
17                  and even the top decile drug, those would also  
18                  be lower, correct?

19                  A.            If limiting to U.S. sales  
20                  only, they could be, yes.

21                  Q.            So you don't know how much  
22                  lower either -- strike that.

23                                    You don't know how much lower  
24                                    each drug would sell in the U.S. compared to  
25                                    worldwide sales, correct?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

A. I haven't provided that specific breakdown in my declaration, nor do I view it as necessary. I think one would draw the same conclusions if one looked at U.S. data.

Q. You said one would draw the same conclusions if they looked at U.S. data; is that right?

A. It seems likely to me that one would, yes.

Q. But you didn't look at U.S. data. So how you would know that someone would draw the same conclusions?

A. In my experience doing many cases of this type, typically doing the analysis on a worldwide basis or a U.S. basis provides similar conclusions.

Q. But you don't provide any evidence of that, correct?

A. Again, that's not something I specifically sought to do in my declaration. I did not view it as necessary to draw the opinions or the conclusions that I am drawing here, but I think it's likely that if one did



1                   DEFOREST MCDUFF, Ph.D.

2           the analysis with U.S. sales, one would draw --  
3           or I would draw similar conclusions.

4                   Q.           So just to clarify, it is your  
5           opinion with respect to analyzing the  
6           commercial success of a U.S. patent, the sales  
7           in the U.S. are no more relevant than sales in  
8           Japan, correct?

9                   MR. MATHAS:   Object to the form.

10           BY THE WITNESS:

11                   A.           I wouldn't put it that way,  
12           no.

13           BY MR. DELAFIELD:

14                   Q.           Would you agree that sales in  
15           the U.S. are more relevant than sales in other  
16           countries with respect to analyzing the  
17           commercial success of a U.S. patent?

18                   A.           I don't think I have a global  
19           opinion or conclusion on that issue. I think  
20           what I have done here by comparing Tyvaso sales  
21           as publicly reported on a worldwide basis is  
22           sufficient for the opinions I have reached.

23                   Q.           Are you aware that UTC --  
24           strike that.

25                                   Are you aware that United

1                   DEFOREST MCDUFF, Ph.D.

2           Therapeutics holds patents in many different  
3           countries on Tyvaso and I believe all of their  
4           treprostinil products?

5                   MR. MATHAS: Object to the form.

6           BY THE WITNESS:

7                   A.           I am aware that they have some  
8           international patents.

9           BY MR. DELAFIELD:

10                   Q.           Did you analyze what patents  
11           are available in what countries with respect to  
12           Tyvaso?

13                   A.           I don't believe I did that  
14           specifically, no.

15                   Q.           So if Tyvaso is patented in  
16           the U.S. and Tyvaso is patented in England,  
17           would sales in England still be relevant to the  
18           commercial success of a U.S. patent or just the  
19           patent in England?

20                   MR. MATHAS: Object to the form.

21           BY THE WITNESS:

22                   A.           I don't have a global  
23           conclusion or opinion on that. I would  
24           evaluate it on a case-by-case basis. I think  
25           evaluating Tyvaso sales as I have done here is

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

appropriate and sufficient for the conclusions I have drawn.

BY MR. DELAFIELD:

Q. In your career have you ever evaluated commercial success of patents from different countries?

A. I don't believe so. I think given that most of my work occurs here in the U.S., all the litigations I have worked on have been for U.S. patents. Of course, sometimes worldwide patents are relevant to the evaluation, but the litigations are specifically about U.S. patents.

Q. And so just to clarify, it is your opinion that sales outside the U.S. are directly relevant to the commercial success of a U.S. patent, correct?

MR. MATHAS: Object to the form.

BY THE WITNESS:

A. They can be, yes, from an economic perspective. I understand that's consistent with guidance provided by the U.S. PTO, and it's consistent with what I have done with my work in the past and what other experts

1                                    DEFOREST MCDUFF, Ph.D.

2                    evaluating commercial success analyze, but it's  
3                    a case-by-case situation. It depends on what  
4                    conclusions one is reaching. The worldwide  
5                    sales that I have analyzed here are sufficient  
6                    for the conclusions I have drawn.

7                    BY MR. DELAFIELD:

8                    Q.                    You mentioned guidance from  
9                    the U.S. PTO. You don't cite any such guidance  
10                    in your declaration, correct?

11                    A.                    I don't recall doing so, no.

12                    Q.                    So looking back at the  
13                    Grabowski article Exhibit 1113, Figure 2, is --  
14                    this is one of the figures you used for your  
15                    calculations, correct?

16                    A.                    Which page are you on?

17                    Q.                    Page 7 of Exhibit 1113,  
18                    internal page 17.

19                    A.                    Yes.

20                    Q.                    Is that a, yes, that was one  
21                    of the figures you used to base your  
22                    calculations on?

23                    A.                    Yes, that's right.

24                    Q.                    And if you look at page 16 of  
25                    your report next to that Exhibit 1113, you

1                                    DEFOREST MCDUFF, Ph.D.

2                    report comparisons for first and second decile  
3                    and mean or average, correct?

4                    A.            Yes, that's right.

5                    Q.            And you don't report a  
6                    comparison of Tyvaso to the median sales of  
7                    pharmaceuticals, correct?

8                    A.            That's correct, because I  
9                    don't view them as a relevant benchmark for  
10                    commercially successful pharmaceutical  
11                    products.

12                    Q.            Why is the median not a  
13                    benchmark for commercially successful  
14                    pharmaceutical products?

15                    A.            As indicated in this  
16                    literature, median pharmaceutical products tend  
17                    to lose money. They tend to not be  
18                    economically profitable. So they are not a  
19                    benchmark or an example of a commercially  
20                    successful drug product.

21                    Q.            So you don't know whether  
22                    Tyvaso would be above the median sales because  
23                    you didn't do that analysis, correct?

24                    A.            I haven't calculated it here  
25                    for my declaration because I don't view it as



1                                    DEFOREST MCDUFF, Ph.D.

2                    occurred over the next seven to 11 years and  
3                    beyond.

4                    Q.            And the beyond we discussed  
5                    that those are all projections, correct?

6                    A.            Projections based on actual  
7                    data prior to that time period.

8                    Q.            So this paper the actual data  
9                    all occurred prior to 2000, correct?

10                   A.            I think it's through 2001  
11                   based on the launch dates and length of time  
12                   they appear to report data.

13                   Q.            And so haven't pharmaceuticals  
14                   changed since pharmaceuticals launched in 1990  
15                   and sales of those same products in 2001 since  
16                   that time?

17                   A.            Not to my knowledge, not in a  
18                   way that would make these results inapplicable.  
19                   I followed this literature over time, and there  
20                   have been more recent publications, but no  
21                   publications are as complete that provide the  
22                   kind of drug sales distribution information  
23                   that this paper provides.

24                                    For example, these authors who  
25                   are among the most widely cited authors in







1                                    DEFOREST MCDUFF, Ph.D.

2                    accepting of this literature and of this paper  
3                    specifically.

4                    Q.            Do you recall what those  
5                    criticisms were?

6                    A.            Not sitting here. I believe  
7                    they are methodological or data critiques that  
8                    people have articulated and have been evaluated  
9                    and discredited by the academic literature.

10                  Q.            When you say discredited by  
11                  the academic literature, have you seen academic  
12                  literature that specifically addresses the  
13                  criticisms of Grabowski and DiMasi?

14                  A.            I guess I would say that there  
15                  are a number of peer-reviewed publications that  
16                  have evaluated the methodologies in Grabowski  
17                  and DiMasi and have confirmed their  
18                  correctness. That's how I could describe that.

19                  Q.            But given the criticisms, it's  
20                  fair to say that not everyone agrees with the  
21                  analysis that Grabowski and DiMasi provide with  
22                  respect to trends in pharmaceutical sales,  
23                  correct?

24                                    MR. MATHAS: Object to the form.

25

1 DEFOREST MCDUFF, Ph.D.

2 BY THE WITNESS:

3 A. Everyone in the world?

4 BY MR. DELAFIELD:

5 Q. Well, is it fair to say that  
6 other economists disagree with the analysis  
7 provided by DiMasi and Grabowski?

8 A. There may be some who disagree  
9 with it, but as I indicated earlier, the  
10 majority of the peer-reviewed literature  
11 accepts this as the gold standard research on  
12 this topic.

13 Q. And you didn't cite any  
14 documents that cite it as the gold standard,  
15 correct?

16 A. Not here in this declaration,  
17 but I am aware of more than a dozen papers over  
18 the last decade that evaluate this topic and  
19 cite to this paper specifically as  
20 foundational, and this is among the most widely  
21 cited papers in pharmaceutical economics  
22 overall.

23 Q. But you don't cite any  
24 evidence of that either in your declaration,  
25 correct?

1                                    DEFOREST MCDUFF, Ph.D.

2                                    A.                    No, but it's true.

3                                    Q.                    How do you know it's the most  
4                                    cited? How would you determine that?

5                                    A.                    I have seen rank lists of  
6                                    paper citations that is something that's  
7                                    tracked in order to evaluate impact of papers,  
8                                    and this paper has shown up at the top of those  
9                                    lists.

10                                  Q.                    Would you agree that it is the  
11                                  overall context rather than the particular  
12                                  market share that defines whether market share  
13                                  are interpreted as persuasive evidence of  
14                                  commercial success?

15                                  A.                    I think that's a fair  
16                                  statement. I think context matters.

17                                  Q.                    You did not provide any  
18                                  opinion regarding Tyvaso's contribution to  
19                                  UTC's overall profitability, correct?

20                                  A.                    No, I don't believe so.

21                                  Q.                    Did you look into that?

22                                  A.                    No, I don't think so. I don't  
23                                  view it as particularly relevant.

24                                  Q.                    So if Tyvaso contributed  
25                                  significantly to UTC's overall profitability,



1                   DEFOREST MCDUFF, Ph.D.

2           Therapeutics has been recognized as a valuable  
3           and fast growing company since the time of  
4           Tyvaso's launch?

5                   MR. MATHAS: Object to the form.

6           BY THE WITNESS:

7                   A.           I don't know. Is that an  
8           excerpt you are reading from from one of the  
9           documents I have cited?

10           BY MR. DELAFIELD:

11                   Q.           I am just asking have you  
12           looked into the profitability or market share  
13           of United Therapeutics since Tyvaso's launch?

14                   MR. MATHAS: I am going to object  
15           to the form, and I think it would be fair to  
16           characterize the question as being in this  
17           proceeding because obviously Dr. McDuff has  
18           been involved in other proceedings related to  
19           Tyvaso.

20           BY MR. DELAFIELD:

21                   Q.           In this proceeding?

22                   A.           Would you mind just repeating  
23           the question.

24                                   (WHEREUPON, the record was read  
25                                   by the reporter.)



1                                    DEFOREST MCDUFF, Ph.D.

2                    A.            Sitting here, I don't see why  
3 it would be particularly relevant.

4                    Q.            So if United Therapeutics'  
5 market capitalization went up as Tyvaso sales  
6 went up, you don't think that would be a  
7 relevant factor to consider for commercial  
8 success?

9                    A.            It depends on what the  
10 information looked like. I didn't analyze that  
11 information here. I don't have a conclusion on  
12 it sitting here.

13                   Q.            Now, the DiMasi paper  
14 projected sales based on prior sales for I  
15 think 20 years. You did not project Tyvaso  
16 sales through the expiration date of the  
17 patents-in-suit, correct?

18                   A.            That's correct, because they  
19 have already started to decline. In other  
20 words, they have already reached their peak  
21 sales in 2015 and have declined in 2016 and  
22 2017.

23                   Q.            Even if there is a decline,  
24 isn't it possible that their sales could go  
25 back up?



1                                    DEFOREST MCDUFF, Ph.D.

2                    A.            It's possible but it's not  
3                    likely.

4                    Q.            So you don't know for sure  
5                    that they have already reached peak sales,  
6                    correct?

7                    A.            It's very likely that they  
8                    have. I have seen very few examples of drugs  
9                    that reach a peak sales, decline, and then grow  
10                   to beyond what they have already reached. In  
11                   light of the competition in this market, I  
12                   don't think it's likely that they will be  
13                   greater again. It's certainly possible just  
14                   not likely.

15                   Q.            So it is possible?

16                   A.            It's certainly possible, but I  
17                   don't view it as likely.

18                   Q.            In past cases where you have  
19                   offered an opinion on commercial success, did  
20                   you project sales through patent expiration?

21                   A.            It depends on the  
22                   circumstances. I have in some instances and  
23                   haven't in others.

24                   Q.            Why didn't you here -- strike  
25                   that.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

Is the fact that you believe Tyvaso has already reached peak sales the only reason you did not project sales through patent expiration in this case?

A. That's one reason. Another reason is that future sales are inherently less objective evidence of commercial success given that they haven't occurred yet. They may occur. They may not occur. We don't know. So I put less weight on them.

And I guess the third reason is I think that I didn't need to project future sales in order to draw the conclusions that I have drawn in this declaration.

Q. So you agree that projected sales have less weight than actual sales because you don't know if those would occur, correct?

A. I wouldn't describe it as a global conclusion as you have that would apply to every situation. I don't think it applies to every situation, but I think in terms of evaluating commercial success as a secondary consideration based on sales that have already



1 DEFOREST MCDUFF, Ph.D.

2 conclusion.

3 Q. Well, aren't all projected  
4 sales based on prior sales? That's how you do  
5 a projection?

6 A. Yes.

7 Q. And you didn't do that for  
8 Tyvaso here, correct?

9 A. I did not, that's correct.

10 Q. And you do not provide an  
11 opinion regarding the relationship between  
12 United Therapeutics' gross margin and Tyvaso's  
13 gross margin, correct?

14 A. I think that's true, yes. I  
15 am not aware of that information being provided  
16 by UTC.

17 Q. Did you check to see if it was  
18 available?

19 A. I don't recall. It's  
20 typically not available in IPRs in my  
21 experience.

22 Q. So you don't remember checking  
23 to see if it's available?

24 A. I don't recall one way or the  
25 other.

1                                    DEFOREST MCDUFF, Ph.D.

2                    Q.            You also did not provide an  
3                    opinion regarding the economic costs for  
4                    launching Tyvaso, correct?

5                    A.            Correct.

6                    Q.            But economic costs are an  
7                    important factor to consider for commercial  
8                    success, correct?

9                    A.            They can be. It depends on  
10                   the circumstance.

11                   Q.            You did not account for  
12                   preclinical expenses for Tyvaso, correct?

13                                   MR. MATHAS: Object to the form.

14                   BY THE WITNESS:

15                   A.            Not in this declaration, no.

16                   BY MR. DELAFIELD:

17                   Q.            Do you agree that a patented  
18                   invention should be considered a commercial  
19                   success if it can be shown to have earned or  
20                   can reasonably be expected to earn a positive  
21                   net return on invested capital after accounting  
22                   for all relevant costs associated with  
23                   development and commercialization?

24                   A.            I think that's one factor one  
25                   could analyze.

1                                    DEFOREST MCDUFF, Ph.D.

2                    Q.            Well, do you agree with that  
3 statement?

4                    A.            I don't agree that that's the  
5 only factor or that that's a sole  
6 consideration, no.

7                    Q.            But you agree that if a  
8 patented invention meets those criteria, it  
9 should be considered a commercial success?

10                   A.            It depends on the  
11 circumstance.

12                                    (WHEREUPON, a certain document  
13 was marked McDuff Deposition  
14 Exhibit No. 1, for  
15 identification, as of 4/6/18.)

16 BY MR. DELAFIELD:

17                    Q.            You have been handed what's  
18 been marked as McDuff Exhibit 1 which is a  
19 paper entitled Thinking Economically about  
20 Commercial Success.

21                                    Do you recognize this paper?

22                    A.            Yes.

23                    Q.            You are the primary author of  
24 this paper, right?

25                    A.            I am one of three authors.



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

a positive net return on invested capital after accounting for all relevant costs associated with development and commercialization."

Do you see that?

A. I do.

Q. Do you agree with that statement?

A. Well, those aren't my words. Those are their words. I think that there's some validity to what they are saying. I don't think it's the only thing one should examine in evaluating commercial success.

Q. Well, in your paper in your words where you cite that paper, you say: "This is, in essence, the fundamental purpose of commercial success analysis," and then you cite that quote, correct?

A. I think that's a mischaracterization of what I have written here. The full sentence is: "Other economists and scholars agree that this is, in essence, the fundamental purpose of commercial success analysis," and I am describing the previous sentence which are my words about material







1                   DEFOREST MCDUFF, Ph.D.

2           opportunity, and profit is, of course, an  
3           element of that. Does one have to analyze  
4           profit specifically? Sometimes, yes.  
5           Sometimes, no. It depends on the context, but  
6           fundamentally we are thinking about an economic  
7           incentive to bring a product to market.

8                   Q.           Have you ever provided an  
9           opinion that a patented product was a  
10          commercial success that was not profitable?

11                  A.           I don't recall.

12                  Q.           Do you recall providing any  
13          opinions on commercial success of a patented  
14          product that was not profitable as a factor of  
15          why it was not a commercial success?

16                  A.           Could you read that back or  
17          ask it again.

18                               (WHEREUPON, the record was read  
19                               by the reporter.)

20          BY THE WITNESS:

21                  A.           Yes, I think if a product is  
22          unprofitable, that weighs against commercial  
23          success.

24          BY MR. DELAFIELD:

25                  Q.           And in this case you didn't



1                                    DEFOREST MCDUFF, Ph.D.

2                    Tyvaso?

3                    A.            I don't know where else I  
4                    would look. That kind of information is  
5                    typically confidential and proprietary. UTC  
6                    would often have that information on a  
7                    confidential basis but would not make it  
8                    publicly available.

9                    Q.            Do you agree that premium  
10                   pricing can be an indicator of commercial  
11                   success?

12                   A.            It depends on the  
13                   circumstance.

14                   Q.            In this case if Tyvaso had  
15                   premium pricing, would that affect your opinion  
16                   regarding Tyvaso's commercial success?

17                   A.            I think having a greater price  
18                   can be one indicator of some product  
19                   differentiation, but I don't think it's the  
20                   only factor that is relevant for evaluation in  
21                   commercial success.

22                   Q.            But it's one factor, correct?

23                   A.            It could be.

24                   Q.            Have you used that before in  
25                   your analysis of commercial success of other



1                                    DEFOREST MCDUFF, Ph.D.

2            as necessary to do so.    IMS health data is  
3            expensive, and it didn't seem necessary given  
4            the conclusions that I am drawing based on what  
5            I have examined here.

6                            Q.            Well, it would be relevant if,  
7            for example, Tyvaso's price was much higher  
8            than competitor price and sold fewer units  
9            compared to sales of other -- strike that.

10                            For example, prescriptions may  
11            be relevant if they are not indicative of the  
12            sales compared to other drugs?

13                            A.            I am not sure what you mean by  
14            that.

15                            Q.            If more people were prescribed  
16            Tyvaso than other drugs, would that influence  
17            your decision on commercial success?

18                            A.            Sitting here, it doesn't seem  
19            likely that it would change my opinions.    I  
20            would be open to considering it if it were  
21            available, but it is not something that I have  
22            analyzed here, nor do I view it as necessary to  
23            have done so.

24                            Q.            But it is available.    You just  
25            didn't get it, right?







1                   DEFOREST MCDUFF, Ph.D.

2           sales data. If one drug is harder to obtain  
3           and another drug is easier to obtain, that will  
4           show up in sales, but it's not a factor that I  
5           focused on.

6                   Q.           So if a drug is harder to  
7           obtain, they might have less sales not because  
8           of any patented features, but just because of  
9           the availability of the drug, correct?

10                  A.           There could be lower sales due  
11           to lack of availability. I think that  
12           represents a smaller commercial opportunity.  
13           In other words, a less successful product.

14                  Q.           Well, you would have to  
15           consider it in light of the fact that it can  
16           only be provided by specialty pharmacies,  
17           correct?

18                  A.           I don't know what you mean by  
19           that.

20                  Q.           The commercial success of a  
21           product in relation to the patented features  
22           have nothing to do with availability, but the  
23           total sales could have something to do with  
24           availability, correct?

25                   MR. MATHAS: Object to the form.

1                    DEFOREST MCDUFF, Ph.D.

2                    BY THE WITNESS:

3                    A.                Well, there are various  
4                    attributes of a product. Some that may relate  
5                    to a patent and some may not relate to a patent  
6                    could contribute to its availability. All else  
7                    being equal, more effective drugs are more  
8                    available.

9                    BY MR. DELAFIELD:

10                  Q.                Did you look into what drugs  
11                  are only available at specialty pharmacies  
12                  other than Tyvaso in your list of drugs?

13                  A.                I don't think I performed that  
14                  specific analysis, no. I don't view it as  
15                  particularly relevant here.

16                  Q.                And you provided no other  
17                  opinions on any other secondary consideration  
18                  other than commercial success, correct?

19                  A.                That's correct in terms of my  
20                  analysis and conclusions. Although, my  
21                  declaration may be cited towards other  
22                  secondary considerations, but I did not draw  
23                  conclusions on other secondary considerations.

24                  Q.                So you were only asked to  
25                  provide opinions on commercial success,



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

documents. The first of which is Exhibit 1019 which is U.S. Patent 4,306,075, and it's Exhibit 1019 in both cases.

Do recognize that document?

A. Yes.

Q. The next exhibit is Exhibit 1025 which is the same exhibit number for both cases, and is a copy of U.S. Patent 5,153,222.

Do you recognize this document?

A. Yes.

Q. The third document is Exhibit 1018 which is labeled 1018 in both cases, and it is a copy of U.S. Patent 6,521,212.

Are you familiar with this document?

A. Yes.

Q. And then finally Exhibit 1057 which is the same exhibit number for both cases and is a copy of U.S. Patent 6,756,033.

Are you familiar with this document?

A. Yes.

Q. Have you reviewed all of these

1                                    DEFOREST MCDUFF, Ph.D.

2            documents in preparing your declaration?

3                    A.            Yes.

4                    Q.            So let's start with the '075  
5            patent which is Exhibit 1019.

6                    A.            Okay.

7                    Q.            Do you know if Watson sought  
8            to license this patent from United  
9            Therapeutics?

10                   A.            I don't know one way or the  
11            other.

12                   Q.            Or from the Upjohn Company,  
13            the original assignee?

14                   A.            Did you mean to ask about  
15            Watson?

16                   Q.            Yes.

17                   A.            I don't know.

18                   Q.            But it was available to be  
19            licensed, correct?

20                                   MR. MATHAS: Object to the form.

21            BY THE WITNESS:

22                    A.            I don't know what you mean by  
23            that, not as I think of it.

24            BY MR. DELAFIELD:

25                    Q.            Well, generally all patents



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

Q. So a blocking patent doesn't necessarily prevent practicing another patent?

A. A blocking patent doesn't present -- prevent practicing another patent? I am just not sure what you mean.

Q. So you have a blocking patent that according to your definition blocks others from making, using, or selling a product without the use of the invention claimed in that patent?

A. Yes.

Q. So if someone wants to patent something else using that product, would you agree a blocking patent prevents obtaining another patent that uses that technology?

MR. MATHAS: Object to the form.

BY THE WITNESS:

A. No, that's not how I think about it. A blocking patent blocks commercialization and sales activities, and it disincentivizes development of other technologies.

BY MR. DELAFIELD:

Q. You agree, though, a blocking







1                                    DEFOREST MCDUFF, Ph.D.

2                    patent, it was filed in 1980, correct?

3                    A.            Yes.

4                    Q.            Do you know when it expired?

5                    A.            I believe it was sometime in  
6                    the late 1990s or around 2000.

7                    Q.            And the '240 and '507 patents  
8                    weren't filed until 2006, correct?

9                    A.            Correct.

10                  Q.            So this patent had expired and  
11                  wasn't blocking anything as of 2006, correct?

12                  A.            In 2006 the '075 patent  
13                  wouldn't be a blocking patent, but in the  
14                  period of time leading up to 2006, it was a  
15                  blocking patent. Again, it's the collection of  
16                  patents here that provides the blocking  
17                  disincentive, not just the '075 patent.

18                  Q.            But right now I just talking  
19                  about the '075 patent.

20                                    You would agree that given the  
21                                    '075 patent had expired years before the '507  
22                                    or '240 patents had even been filed, that it  
23                                    was not a blocking patent for those patents,  
24                                    correct?

25                                    MR. MATHAS: Object to the form.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

BY THE WITNESS:

A. I don't agree with that. I agree that the '075 patent was not a blocking patent in 2006, but it was prior to 2006.

BY MR. DELAFIELD:

Q. Why does it matter prior to 2006?

A. Because we are contemplating the idea of an invention potentially being developed sooner in response to market forces had it been obvious, and so sooner means before when it was actually submitted. So prior to 2006.

Q. So this patent expired I believe in 1999. I could be wrong about that, but assuming it was 1999, it expired a full seven years before either the '240 or '507 patent had been filed, correct?

A. If it expired in 1999, that's correct.

Q. And that's before anybody had thought of -- strike that.

Do you know when research on the '240 and '507 patents began?

1                                    DEFOREST MCDUFF, Ph.D.

2                    A.            I expect it was the period  
3 leading up to the filing of the patent  
4 applications in 2006, around that time.

5                    Q.            So around 2006?

6                    A.            The period leading up to 2006.

7                    Q.            So to your knowledge, the '075  
8 patent is not a blocking patent with respect to  
9 the '240 or '507 patent given that it had  
10 expired several years before those patents had  
11 been filed, correct?

12                                    MR. MATHAS: Asked and answered.

13 BY THE WITNESS:

14                    A.            I don't agree with that as  
15 explained earlier.

16 BY MR. DELAFIELD:

17                    Q.            If a patent is expired, it's  
18 not blocking anyone, correct?

19                    A.            It depends what time period  
20 you are talking about.

21                    Q.            After expiration.

22                    A.            I agree that it's not a  
23 blocking patent after expiration, but it still  
24 can be relevant for thinking about whether an  
25 invention would have been developed sooner.

1 DEFOREST MCDUFF, Ph.D.

2 Q. So as of the expiration date  
3 of the '075 patent, it was not a blocking  
4 patent for the '240 or '507 patent, correct?

5 MR. MATHAS: Object to the form.

6 BY THE WITNESS:

7 A. Could you read the question  
8 back.

9 (WHEREUPON, the record was read  
10 by the reporter.)

11 BY THE WITNESS:

12 A. After the '075 patent expired,  
13 it would no longer be a blocking patent, but it  
14 was a blocking patent for the period up to  
15 expiration.

16 BY MR. DELAFIELD:

17 Q. So in your analysis of what is  
18 and isn't a blocking patent, did you consider  
19 whether the patent actually worked?

20 A. I don't know what you mean by  
21 that.

22 Q. Well, let's look at the '075  
23 patent.

24 Can you point out what  
25 specifically in the '075 patent you consider to

1                                    DEFOREST MCDUFF, Ph.D.

2                    be blocking with respect to Tyvaso?

3                    A.            My understanding is that the  
4                    '075 patent covers the compound treprostinil.

5                    Q.            Can you --

6                    A.            And that's why it's a blocking  
7                    patent.

8                    Q.            Can you point me to where it  
9                    discloses treprostinil?

10                   A.            I believe that's what the  
11                   patent is about. What are you looking for?

12                   Q.            I am just asking for you to  
13                   identify the treprostinil disclosure that you  
14                   are claiming the '075 patent discloses?

15                                    MR. MATHAS: Object to the form.

16                   BY THE WITNESS:

17                   A.            Well, I am not a chemist. So  
18                   I wouldn't purport to wade through all of the  
19                   chemistry explanation here, but my  
20                   understanding is that the '075 patent covers  
21                   the treprostinil compound.

22                   BY MR. DELAFIELD:

23                   Q.            Is that based solely on  
24                   Dr. Donovan's declaration?

25                   A.            I believe it is, yes.

1                                    DEFOREST MCDUFF, Ph.D.

2                    Q.            Have you ever identified the  
3 structure of treprostinil in the '075 patent?

4                    A.            What do you mean by that?

5                    Q.            Well, you are claiming it's a  
6 blocking patent because it discloses  
7 treprostinil, and I am just wondering have you  
8 ever satisfied yourself that treprostinil is,  
9 in fact, disclosed in the '075 patent?

10                  A.            That's my understanding based  
11 on information from Dr. Donovan. I don't have  
12 any reason to question that.

13                  Q.            Do you know if the process  
14 described in making treprostinil in this patent  
15 actually works?

16                  A.            What do you mean by actually  
17 works?

18                  Q.            Well, do you know whether  
19 someone following this patent could actually  
20 make treprostinil based on this patent?

21                  A.            I have not waded into these  
22 technical issues for the purposes of my  
23 declaration. My understanding is that this  
24 patent covers treprostinil. I understand that  
25 Dr. Donovan has provided that opinion.



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

Q. And so your opinion that this patent discloses treprostinil is solely based on the fact that Dr. Donovan said that it discloses treprostinil, correct?

A. I believe that's correct. It's based on my understanding and discussion with counsel as well.

Q. You would agree with me that the first commercially available form of treprostinil was Remodulin, correct?

A. I believe that's correct, yes.

Q. And that was launched in 2002; is that correct?

A. 2001 or 2002, around then.

Q. And so the '075 patent was filed in 1980. So for 22 years, nobody had commercialized the compound treprostinil, correct?

A. To the best of my recollection, that's true.

Q. But it is your opinion that it is the compound that is responsible for the commercial success or at least in part of Tyvaso -- strike that.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

It's your opinion that it is the compound treprostinil that is responsible for whatever success was obtained by Tyvaso?

MR. MATHAS: Object to the form.

BY THE WITNESS:

A. I would point you to paragraph 35 in my report. I think it is stated best there. I write quote: "The vast majority of the clinical benefit of Tyvaso comes from the treprostinil compound itself and the application of that compound to treating PAH" end quote.

My understanding is that that relates to the '075 patent and the '222 patent.

BY MR. DELAFIELD:

Q. So do you have any understanding as to why treprostinil was apparently known since 1980 and yet not commercially available until 2002 if the commercial success is due specifically to the drug itself?

MR. MATHAS: Object to the form.

BY THE WITNESS:

A. I think it's consistent with a

1                                    DEFOREST MCDUFF, Ph.D.

2                    limited market opportunity and a small patient  
3                    population and a lack of commercial incentives  
4                    for development.

5                    BY MR. DELAFIELD:

6                    Q.                    Because the '075 patent  
7                    expired before any commercial use of  
8                    treprostinil, it actually was not a blocking  
9                    patent during -- strike that.

10                                    It was not a blocking patent  
11                    at the time that treprostinil was first  
12                    commercially sold, correct?

13                                    MR. MATHAS:    Object to the form.

14                    BY THE WITNESS:

15                    A.                    If you are limiting to 2001  
16                    onward, which it sounds like you are, I agree  
17                    that the '075 patent was not a blocking patent  
18                    for that period of time after it expired.    It  
19                    was a blocking patent before expiration.

20                    BY MR. DELAFIELD:

21                    Q.                    So if you would look at  
22                    Exhibit 1025, and this is patent number  
23                    5,153,222.    Now, can you point out treprostinil  
24                    in this patent?

25                    A.                    Like with the '075 patent, I

1                                    DEFOREST MCDUFF, Ph.D.

2            don't have an independent interpretation of the  
3            chemistry here, but my understanding is that  
4            this patent covers method of treating pulmonary  
5            hypertension with treprostinil.

6                                    Q.            And so your understanding that  
7            this patent discloses treprostinil is based on  
8            Dr. Donovan's declaration that states that this  
9            discloses treprostinil, correct?

10                                  A.            Yes, as well as this patent  
11            being listed in the FDA Orange Book for Tyvaso  
12            which has treprostinil as the active  
13            ingredient.

14                                  Q.            So if you look on the first  
15            page of the '222 patent, you see that it was  
16            filed in 1991.

17    Do you see that?

18                                  A.            Yes.

19                                  Q.            And in references cited, it  
20            lists the '075 patent.

21    Do you see that?

22                                  A.            I do.

23                                  Q.            So if you also compare the  
24            '075 and the '222 patent, they are different  
25            inventors and different assignees, correct?

1                                    DEFOREST MCDUFF, Ph.D.

2                    A.            I see that, yes.

3                    Q.            So the '075 patent didn't  
4 block Burroughs Wellcome from developing the  
5 '222 patent, correct?

6                    A.            Well, as we discussed earlier,  
7 the notion of a blocking patent doesn't block  
8 someone from performing scientific research.  
9 Rather it reduces economic incentives for  
10 bringing products to market, but this did occur  
11 over the time period where the '075 patent had  
12 not yet expired.

13                    Q.            So even though the '075 patent  
14 had not expired, Burroughs Wellcome was able to  
15 patent the use of treprostinil for treating  
16 pulmonary hypertension, correct?

17                    A.            That's my understanding, yes.

18                    Q.            And so they were not blocked  
19 from making or using treprostinil that was  
20 disclosed in the '075 patent, correct?

21                    MR. MATHAS: Object to the form.

22 BY THE WITNESS:

23                    A.            Well, as we discussed earlier,  
24 the scientific research itself may not be  
25 blocked. Had Burroughs Wellcome brought a

1                   DEFOREST MCDUFF, Ph.D.

2           product to market, perhaps they would have been  
3           sued or prevented from doing so by the owner of  
4           the '075 patent.

5           BY MR. DELAFIELD:

6                   Q.           So you mentioned economic  
7           disincentives. It takes a good amount of money  
8           to get an issued patent, correct?

9                   MR. MATHAS: Object to the form.

10                  MR. DELAFIELD: We can ask Steve.

11           BY MR. DELAFIELD:

12                  Q.           Would you agree that it takes  
13           a lot of money to get an issued patent?

14                  MR. MATHAS: Same objection.

15           BY THE WITNESS:

16                  A.           I understand there's a range.  
17           Some patents are less expensive than others.

18           BY MR. DELAFIELD:

19                  Q.           But in order to obtain a  
20           patent, there must be some sort of economic  
21           incentive to do so, correct?

22                  A.           I would agree with that. It  
23           wouldn't necessarily be a big incentive or one  
24           that's shared with the broader market, but  
25           there may be some incentives for some

1                                    DEFOREST MCDUFF, Ph.D.

2                    scientific researchers.

3                    Q.            Now, you mentioned that for  
4                    scientific research that the inventors of the  
5                    '222 patent may not have been blocked from  
6                    doing research on treprostinil by the '075  
7                    patent.

8                                    Is that fair to say?

9                    A.            Not in terms of not being  
10                   permitted to perform the research.

11                   Q.            So I am not sure what you mean  
12                   by that.

13                                    Are you saying you agree that  
14                   the '075 patent didn't prevent Burroughs  
15                   Wellcome from performing research on  
16                   treprostinil?

17                   A.            That's my understanding. I am  
18                   not aware of any prevention or litigation that  
19                   occurred. That doesn't mean it didn't. Just  
20                   sitting here I am not aware of it.

21                   Q.            Are you familiar with the safe  
22                   harbor provision in the FDA?

23                   A.            I am, yes.

24                   Q.            And generally speaking, that  
25                   provision allows generics and other companies

1                                    DEFOREST MCDUFF, Ph.D.

2                    to make and use but not sell certain patented  
3                    inventions, correct?

4                    A.            It applies to certain types of  
5                    scientific research which are permitted even if  
6                    there's patent protection.

7                    Q.            So, for example, a generic  
8                    company can make and use and put into clinical  
9                    trials a patented drug. They just can't go  
10                    sell the drug, correct?

11                    A.            As one example, yes.

12                    Q.            And if they want to sell the  
13                    drug, then they can challenge the patent and  
14                    have a litigation, correct?

15                    A.            They can, yes.

16                    Q.            Do you know when the '222  
17                    patent expired?

18                    A.            I don't recall the exact year.  
19                    Although, the '222 patent was listed in the FDA  
20                    Orange Book for Tyvaso in 2009 or 2010 so after  
21                    that. Sometime in the early 2010s would be my  
22                    best guess sitting here.

23                    Q.            So both of these patents  
24                    despite the fact they may disclose  
25                    treprostiniil, a company could still have made



1                                    DEFOREST MCDUFF, Ph.D.

2                    and used treprostiniil and not have been blocked  
3                    from doing so, correct?

4                    A.                    Scientific research may have  
5                    been permitted under the safe harbor provision  
6                    as we discussed, but that misses the notion of  
7                    blocking patents. Blocking patent is about the  
8                    economic disincentive to perform that research  
9                    if one would be later prevented from  
10                    commercializing a product that resulted from  
11                    that research. So it's about the economic  
12                    incentive or disincentive to perform research  
13                    on which one can't later commercialize.

14                    Q.                    But in that analysis, wouldn't  
15                    part of the analysis be to look at the blocking  
16                    patents and whether they can be performed and  
17                    whether they are valid themselves?

18                    A.                    I am not sure what you mean.

19                    Q.                    Well, for the purposes of your  
20                    declaration, you are assuming that the '222  
21                    patent and the '075 patent where both valid and  
22                    enabled or worked for the purpose that it was  
23                    used, correct?

24                    A.                    I don't believe I have made  
25                    such an assumption.





1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

further confirmed by the '222 patent, '212 patent, and '033 patent being listed in the FDA Orange Book for Tyvaso.

Q. If you could look at Exhibit 1018 which is a copy of U.S. Patent 6,521,212, and it's the same exhibit number for both cases.

Are you familiar with this document?

A. Yes, this is the '212 patent referenced in my declaration.

Q. Now, this patent does not claim any device to administer treprostinil, correct?

A. That strikes me as a technical issue. I would defer to others on the exact scope. As a general matter, I understand this patent to be covering methods of treating PAH via inhaled treprostinil.

Q. But the patent doesn't cover any kit or use of a kit, correct?

MR. MATHAS: Object to the form.

BY THE WITNESS:

A. That strikes me as a technical

1                   DEFOREST MCDUFF, Ph.D.

2           issue. I would defer to others on that.

3           BY MR. DELAFIELD:

4                   Q.           So you don't know?

5                   MR. MATHAS: Object to the form.

6           BY THE WITNESS:

7                   A.           It is just not something I  
8           have evaluated in my role as an economist here.

9           BY MR. DELAFIELD:

10                  Q.           If you could look at Exhibit  
11           1057 which is U.S. Patent 6,756,033, and for  
12           both cases, it's Exhibit 1057.

13                               The same question. This  
14           patent also does not claim a kit or method of  
15           using a kit to administer treprostiniil,  
16           correct?

17                  A.           It strikes me as a technical  
18           issue and not one that I have drawn a  
19           conclusion on. At a general level, my  
20           understanding is that this provides methods for  
21           treating PAH via inhalation.

22                  Q.           But it doesn't specify the kit  
23           or technology used to administer, correct?

24                  A.           That's not something I have  
25           drawn a conclusion on or sought to.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

Q. Well, that's what the patents-in-suit cover, right?

A. Well, as we discussed, I provided a summary of the patents-in-suit. I wouldn't want to wade into technical issues of what's covered and what's not covered, but at a general level it describes methods and kits associated with nebulizer delivery with certain limitations and certain aspects and attributes.

Q. And -- strike that.

So in your investigation of blocking patents, did you look to see if others had patented treprostinil or processes of making treprostinil to see if people were actually being blocked by these patents?

A. I don't recall performing that analysis.

Q. For example, if several patents were out there to other companies other than United Therapeutics that claimed treprostinil or processes for making it or use of treprostinil with something else, wouldn't that indicate they were not blocked by the patents that you have identified?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

MR. MATHAS: Object to the form.

BY THE WITNESS:

A. It depends. I am not aware of any of those.

(WHEREUPON, a certain document was marked McDuff Deposition Exhibit No. 2, for identification, as of 4/6/18.)

BY MR. DELAFIELD:

Q. You have been handed what's been marked as Exhibit 2 which is a copy of U.S. Patent 9,550,716.

Have you seen this patent?

A. I don't believe so, no.

Q. If you will notice the title of the patent is Process For Treprostinal Salt Preparation.

Do you see that?

A. I do, yes.

Q. And the assignee is Eon Labs, correct?

A. I see that, yes.

Q. And this has an earliest priority date of 2010.

1                                   DEFOREST MCDUFF, Ph.D.

2                                   Do you see that?

3                   A.            I do, yes.

4                   Q.            And in the abstract it says:  
5                   "Disclosed is a process for preparing a  
6                   treprostiniil salt," correct?

7                   A.            Yes.

8                   Q.            So Eon Labs and the inventors  
9                   of the '716 patent were not blocked from  
10                   developing a patent that provides a way of  
11                   making a treprostiniil salt, correct?

12                                   MR. MATHAS: Object to the form.

13                   BY THE WITNESS:

14                   A.            Well, we have discussed the  
15                   safe harbor provision. So I wouldn't expect  
16                   any blocking of scientific research to have  
17                   occurred. I don't see any evidence sitting  
18                   here, although I have just taken a brief look,  
19                   of this being specific to treating pulmonary  
20                   arterial hypertension. So I don't know how  
21                   related it is to the other patents. It's just  
22                   related to the '075 patent and treprostiniil  
23                   compound that expired in 1999.

24                   BY MR. DELAFIELD:

25                   Q.            And the '222 patent expired



1                   DEFOREST MCDUFF, Ph.D.

2           after this was filed though, correct?

3                   A.           That's my understanding, yes.

4                   Q.           So this patent was filed  
5           before the '222 patent had expired. Yet it  
6           discloses a process for preparing a  
7           treprostiniil salt, correct?

8                   MR. MATHAS: Object to the form.

9           BY THE WITNESS:

10                   A.           I mean, I see that in the  
11           title. I wouldn't provide a technical  
12           interpretation of what this covers, but the  
13           title is Process For Treprostiniil Salt  
14           Preparation.

15           BY MR. DELAFIELD:

16                   Q.           Now, you mentioned scientific  
17           research. This is a patent, though, which is  
18           designed to prevent others from making or using  
19           the idea you came up with, correct?

20                   MR. MATHAS: Object to the form.

21           BY THE WITNESS:

22                   A.           It's a patent. It provides  
23           the right to exclude.

24           BY MR. DELAFIELD:

25                   Q.           And so Eon Labs had at least

1                   DEFOREST MCDUFF, Ph.D.

2           some commercial incentive to patent this  
3           technology, correct?

4                   MR. MATHAS: Object to the form.

5           BY THE WITNESS:

6                   A.           They had some reason for doing  
7           so. I don't know what commercial incentive  
8           that would be.

9           BY MR. DELAFIELD:

10                  Q.           Wasn't there at least some  
11           commercial incentive to obtaining a patent  
12           given the expense?

13                  MR. MATHAS: Object to the form.

14           BY THE WITNESS:

15                  A.           They must have had some reason  
16           for pursuing this. Again, I don't know what  
17           that would be. I have not evaluated this  
18           patent until just now.

19                               (WHEREUPON, a certain document  
20                               was marked McDuff Deposition  
21                               Exhibit No. 3, for  
22                               identification, as of 4/6/18.)

23           BY MR. DELAFIELD:

24                  Q.           So if you could also look at  
25           Exhibit 3 which is a copy of U.S. Patent No.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEFOREST MCDUFF, Ph.D.

8,410,121.

Have you seen this document?

A. I don't believe so, no.

Q. You see that it's assigned to  
Lexicon Pharmaceuticals, correct?

A. Yes.

Q. And the original -- strike  
that.

The earliest filing date is  
July 11, 2007.

Do you see that?

A. I do, yes.

Q. And it says Methods of  
Treating -- strike that.

If you look at the abstract on  
the first page, it says: "Methods of treating  
pulmonary hypertension are disclosed.  
Particular methods comprise the administration  
of a tryptophan hydroxylase inhibitor and a  
prostacyclin."

Do you see that?

A. Yes.

Q. And if you turn to the claims  
on the last page, for example, claim 12 it

1                                    DEFOREST MCDUFF, Ph.D.

2            says: "A method of treating pulmonary  
3            hypertension, which comprises administering to  
4            a patient in need thereof therapeutically  
5            effective amounts of a prostacyclin and" -- I  
6            won't read that long word -- "or a  
7            pharmaceutically acceptable salt thereof."

8                                    And then in claim 3 -- 13, it  
9            says: "The method of claim 12, wherein the  
10            prostacyclin is epoprostenol, iloprost or  
11            treprostinil."

12                                    Do you see that?

13                                    A.            Yes.

14                                    Q.            So this patent is claiming the  
15            use of treprostinil with another agent,  
16            correct?

17                                    MR. MATHAS: Object to the form.

18            BY THE WITNESS:

19                                    A.            I wouldn't purport to provide  
20            a technical interpretation of this, but I see  
21            what you are referring to here in claims 12 and  
22            13. It appears to indicate a prostacyclin and  
23            another agent.

24            BY MR. DELAFIELD:

25                                    Q.            And so claim 13 includes the

1                                    DEFOREST MCDUFF, Ph.D.

2                    use of treprostinil in this combination  
3                    therapy, correct?

4                                    MR. MATHAS:    Object to the form.

5                    BY THE WITNESS:

6                                    A.                    You know understanding that I  
7                    am an economist just reading this, I mean, I  
8                    see treprostinil here in claim 13 as one  
9                    potential option.

10                    BY MR. DELAFIELD:

11                                    Q.                    And that includes the use of  
12                    treprostinil for the treatment of pulmonary  
13                    hypertension, correct?

14                                    MR. MATHAS:    Same objection.

15                    BY THE WITNESS:

16                                    A.                    Just reading this as an  
17                    economist, I see that, yes.

18                    BY MR. DELAFIELD:

19                                    Q.                    And so Lexicon Pharmaceuticals  
20                    was able to file and obtain a patent starting  
21                    in 2007 on a way of using treprostinil to treat  
22                    pulmonary hypertension, correct?

23                                    MR. MATHAS:    Object to the form.

24                    BY THE WITNESS:

25                                    A.                    Well, this is some sort of

1                   DEFOREST MCDUFF, Ph.D.

2           combination of compounds. I would want to give  
3           this some more thought. This is the first time  
4           I have seen this patent.

5           BY MR. DELAFIELD:

6                   Q.           But given that the patent  
7           issued and the claims do specify the use of  
8           treprostinil for treatment of pulmonary  
9           hypertension, you would agree that at least  
10          Lexicon Pharmaceuticals was not blocked by any  
11          of the patents you have referenced in your  
12          declaration, correct?

13                   MR. MATHAS: Object to the form.

14          BY THE WITNESS:

15                   A.           Well, as we have discussed  
16          earlier, safe harbor provisions allow for  
17          scientific research to occur. You are not  
18          blocking them performing research. It's about  
19          commercialization that provides disincentives  
20          for development. So any alleged commercial  
21          success is less informative on market-wide  
22          incentives because of the presence of blocking  
23          patents.

24          BY MR. DELAFIELD:

25                   Q.           But you would agree that

1                   DEFOREST MCDUFF, Ph.D.

2           obtaining a patent in general has some economic  
3           incentive to it, correct?

4                   MR. MATHAS: Object to the form.

5           BY THE WITNESS:

6                   A.           Sometimes, yes; sometimes, no.  
7           There are reasons for developing it. Some of  
8           which can be to commercialize a product.

9           BY MR. DELAFIELD:

10                   Q.           So assuming the '121 patent  
11           and '716 patent inventors wanted to use their  
12           invention, why would they file a patent and  
13           have it issued knowing that they can't even use  
14           their own patent if it was blocked by other  
15           patents?

16                   MR. MATHAS: Object to the form.

17           BY THE WITNESS:

18                   A.           I don't know the specific  
19           motivations of these companies. I haven't  
20           analyzed them as part of my declaration in this  
21           case.

22                   MR. DELAFIELD: Can we take a short  
23           break?

24                   MR. MATHAS: Sure.

25                   THE VIDEOGRAPHER: The time is

1 DEFOREST MCDUFF, Ph.D.

2 4:01. We are off the record.

3 (WHEREUPON, a recess was had at  
4 4:01 p.m. until 4:10 p.m.)

5 THE VIDEOGRAPHER: The time is now  
6 4:10. We are back on the record.

7 MR. DELAFIELD: Welcome back. I  
8 have no further questions.

9 EXAMINATION

10 BY MR. MATHAS:

11 Q. Dr. McDuff, I have a couple of  
12 questions for you.

13 First of all, in performing  
14 your analysis in this case, did you have access  
15 to any internal United Therapeutics' financial  
16 information?

17 A. I did not, no.

18 Q. And you have had access to  
19 such information in connection with your work  
20 on the district court proceeding between the  
21 parties; is that correct?

22 A. Yes, that's right.

23 Q. And for purposes of your  
24 opinions in this case, you did not access any  
25 of that information in forming your opinions