

In The Matter Of:
Taiwan Semiconductor Manufacturing Co., Ltd. v.
Godo Kaisha IP Bridge 1

Stanley R. Shanfield, Ph.D.
July 25, 2018

68 Commercial Wharf • Boston, MA 02110
888.825.3376 - 617.399.0130
Global Coverage
court-reporting.com



Original File Stanley R. Shanfield, Ph.D. 7-25-18.txt
Min-U-Script® with Word Index

IP Bridge Exhibit 2026
TSMC v. Godo Kaisha IP Bridge 1

Page 1

1 UNITED STATES PATENT AND TRADEMARK OFFICE
 2 BEFORE THE PATENT TRIAL AND APPEAL BOARD
 3 Case Nos. IPR2017-01841, IPR2017-01843
 4 Patent 7,893,501
 5 ----- X
 6 TAIWAN SEMICONDUCTOR MANUFACTURING
 7 CO., LTD.,
 8 Petitioner,
 9 v.
 10 GODO KAISHA IP BRIDGE 1,
 11 Patent Owner.
 12 ----- X
 13 VOLUME I Pages 1-181
 14
 15 DEPOSITION OF STANLEY R. SHANFIELD, Ph.D.
 16 Wednesday, July 25, 2018, 9:03 a.m.
 17 Wilmer Cutler Pickering Hale and Dorr LLP
 18 60 State Street
 19 Boston, Massachusetts 02109
 20
 21
 22 --- Reporter: Kimberly A. Smith, CRR, CRC, RDR ---
 23 Realtime Systems Administrator
 24 O'Brien & Levine Court Reporting Solutions

Page 2

1 APPEARANCES:
 2
 3 Wilmer Cutler Pickering Hale and Dorr LLP
 4 By: Michael H. Smith, Esq.
 5 1875 Pennsylvania Avenue, N.W.
 6 Washington, D.C. 20006
 7 (202) 663-6000
 8 michaelh.smith@wilmerhale.com
 9 and
 10 Wilmer Cutler Pickering Hale and Dorr LLP
 11 By: Scott Bertulli, Esq.
 12 60 State Street
 13 Boston, MA 02109
 14 (617) 526-6000
 15 scott.bertulli@wilmerhale.com
 16 and
 17 Taiwan Semiconductor Manufacturing Co., Ltd.
 18 By: Willy Chang, Esq.*
 19 8, Li Hsin Road
 20 6 Hsinchu Science Park
 21 Hsinchu 30078, Taiwan
 22 for the Petitioner;
 23
 24 *See pages 23 and 139

Page 3

1 APPEARANCES: (continued)
 2
 3 Wolf, Greenfield & Sacks, P.C.
 4 By: Gerald B. Hrycyszyn, Esq.
 5 and Joshua J. Miller, Esq.
 6 600 Atlantic Avenue
 7 Boston, MA 02210-2206
 8 (617) 646-8000
 9 gerald.hrycyszyn@wolfgreenfield.com
 10 jmillers@wolfgreenfield.com
 11 for the Patent Owner.
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24

Page 4

1 I N D E X
 2
 3 WITNESS: Stanley R. Shanfield, Ph.D.
 4
 5 EXAMINATION Page
 6 Cross-Examination by Mr. Hrycyszyn 6
 7 AFTERNOON SESSION
 8 Cross-Examination by Mr. Hrycyszyn 59
 9 Redirect Examination by Mr. Smith 143
 10 Recross-Examination by Mr. Hrycyszyn 157
 11 Redirect Examination by Mr. Smith 167
 12 Recross-Examination by Mr. Hrycyszyn 173
 13
 14 EXHIBITS FOR IDENTIFICATION:
 15 Exhibit Description Page
 16 Exhibit 1002 Previously marked 91
 17 Exhibit 1025 Previously marked 136
 18 Exhibit 1026 Previously marked 139
 19 Exhibit 1027 Previously marked 82
 20 Exhibit 1201 Previously marked 9
 21 Exhibit 1202 Previously marked 35
 22 Exhibit 1204 Previously marked 36
 23 Exhibit 1231 Previously marked 81
 24 Exhibit 1232 Previously marked 11

Page 5

1	EXHIBITS FOR IDENTIFICATION: (continued)		
2	Exhibit	Description	Page
3	Exhibit 2005	Previously marked	127
4	Exhibit 2009	Previously marked	112
5	Exhibit 2010	Previously marked	120
6	<u>Exhibit 2023</u>	Annotated Figure 12 of	126
7		Igarashi (same as Ex. 2231)	
8	Exhibit 2202	Previously marked	68
9	<u>Exhibit 2231</u>	Annotated Figure 12 of	126
10		Igarashi (same as Ex. 2023)	
11			
12			
13			
14			
15			
16			
17			
18			
19			
20			
21			
22			
23	Original exhibit retained by reporter to be returned		
24	to Wolf, Greenfield & Sacks		

Page 7

1 **BY MR. HRYCYSZYN:**

2 Q. Do you recall providing declarations in

3 this IPR?

4 **A. Sure.**

5 Q. Do you remember opining on "film" in this

6 IPR?

7 **A. Yes.**

8 Q. So I'm asking you, what was your

9 understanding of that word "film" that you used in

10 your declarations?

11 **A. Well, let me take a look. Maybe the best**

12 **place to look is in the reply.**

13 **So, for example, in paragraph 18 on**

14 **page 11 of my reply declaration -- this is the**

15 **1843 -- give you a chance to find it -- Figure 5**

16 **shows a silicon nitride film 20 and that layer 20**

17 **is -- in this Misra reference, is one example of**

18 **"film." It's silicon nitride Si 3 and 4, some**

19 **hydrogen in there. And it's amorphous layer**

20 **material. It's been deposited in one manufacturing**

21 **step.**

22 **MR. HRYCYSZYN:** Object as nonresponsive.

23 **BY MR. HRYCYSZYN:**

24 Q. So my question is, what was your working

Page 6

1 STANLEY R. SHANFIELD, Ph.D.,

2 having been satisfactorily identified by the

3 production of his driver's license, and

4 duly sworn by the court reporter, was deposed

5 and testified as follows:

6 **CROSS-EXAMINATION**

7 **BY MR. HRYCYSZYN:**

8 Q. Good morning, Dr. Shanfield.

9 **A. Good morning.**

10 Q. What is a film in the context of the

11 '501 patent?

12 **A. So maybe in the '501 patent, you could show**

13 **me where that word is used and I could give you a**

14 **better answer.**

15 Q. So you don't recall in the context of the

16 '501 patent where "film" is used or where your

17 declarations in this IPR have been submitted and

18 focus on?

19 **MR. SMITH:** Objection.

20 **THE WITNESS:** So I think I can give you

21 a more accurate and more complete answer if I have

22 the specific, at least the paragraph or sentence

23 that refers to "film."

24

Page 8

1 understanding of the term "film" that you applied in

2 opining on the claims in this case?

3 **A. The answer I gave you is an example of that**

4 **and I defined -- or I understood layer 20 as shown**

5 **here is an example of a silicon nitride film. It's**

6 **a layer or layers of material, in this case, that**

7 **are silicon nitride or -- you know, that are on top**

8 **of each other or a single layer, silicon nitride**

9 **being silicon and nitrogen and some hydrogen.**

10 **And it's been deposited in one**

11 **deposition step. And generally film is a reference**

12 **to something -- or a layer that's fairly thin.**

13 Q. So your working understanding of a film is

14 it's a layer that is relatively thin, or fairly

15 thin; is that accurate?

16 **MR. SMITH:** Objection.

17 **THE WITNESS:** No.

18 **BY MR. HRYCYSZYN:**

19 Q. Then what is your working understanding of

20 the term "film" as it is used in the claims of the

21 '501 patent?

22 **A. Well, if you give me the '501 patent, I can**

23 **refer to a specific instance of that in the patent**

24 **itself. I'd appreciate that. I can't do it by**

Page 9

1 **memory.**
2 Q. So you have --
3 **A. I picked out something in my declaration**
4 **that is a film to give you a sense of my**
5 **understanding of that film.**
6 Q. So you can't provide me a definition of
7 "film" as you understand it and applied in your
8 opinions related to the '501 patent?
9 **MR. SMITH:** Objection.
10 **THE WITNESS:** No, that's not true. I --
11 **BY MR. HRYCYSZYN:**
12 Q. Well, that's what I'm asking you to
13 provide. But so far --
14 **A. I'm asking you for the patents so I can**
15 **show you clearly what I mean.**
16 Q. I'm introducing what has been previously
17 marked as Exhibit 1201. It is titled U.S. Patent
18 No. 7,893,501.
19 Do you recognize that document?
20 **A. Yes.**
21 Q. What is it?
22 **A. This is the '501 patent.**
23 Q. Do you remember opining on that patent in
24 this IPR?

Page 10

1 **A. Yes, of course.**
2 Q. Do you remember providing opinions related
3 to films in your opinion in this IPR?
4 **A. I think I answered that. Yes.**
5 Q. What was your working understanding of the
6 term "film" in providing those opinions?
7 **A. If you go to Claim 1 that's in the**
8 **'501 patent. And I'll start with "a gate electrode**
9 **formed on a [sic] gate insulating film." So in this**
10 **case -- and this is the reason I need a specific**
11 **reference -- a gate insulating film here is silicon**
12 **dioxide grown or some insulating film grown on**
13 **silicon, but it's typically silicon dioxide, and the**
14 **gate sits on top of that thin layer.**
15 **In this case, it's quite thin. It's a**
16 **nanometer scale. And it separates the gate because**
17 **it's insulating from the active region. So a gate**
18 **insulating film formed on the active region, and the**
19 **gate electrode formed on the gate insulating film.**
20 **In that context, the film is a silicon-**
21 **oxygen compound that's a few nanometers thick**
22 **deposited or grown in a single deposition step.**
23 **And that's my understanding in this case.**
24 Q. So that's the extent of the -- let's say,

Page 11

1 the working definition of "film" that you used in
2 this IPR?
3 **MR. SMITH:** Objection.
4 **THE WITNESS:** No.
5 **BY MR. HRYCYSZYN:**
6 Q. So then what is the extent of the working
7 definition you used of "film" in this case?
8 **A. So like I explained, in order to give you a**
9 **good answer, I want to talk about specific context.**
10 **In this case, I talked about the gate insulating**
11 **film. I can answer any question about, you know,**
12 **other film that is mentioned in the '501, explain my**
13 **working understanding of it.**
14 Q. So let me draw your attention to
15 paragraph 19 in your reply brief.
16 **A. Paragraph 19 in which?**
17 Q. I'm introducing what has been previously
18 marked as Exhibit 1232, "Reply declaration of
19 Stanley R. Shanfield, Ph.D.," in Case
20 No. IPR2017-1843.
21 **A. I have a copy.**
22 Q. Do you recognize that document?
23 **A. Yes.**
24 Q. What is it?

Page 12

1 **A. This is my reply declaration for Case**
2 **No. IPR2017-01843.**
3 Q. Let me draw your attention to paragraph 19.
4 Are you there?
5 **A. Um-hum, yes.**
6 Q. Have you had a chance to read that
7 paragraph?
8 **A. One moment. Yes.**
9 Q. So here you refer to two layers of a single
10 film, right?
11 **A. What I said was, "no person of ordinary**
12 **skill . . . would have considered silicon nitride**
13 **film 20 and spacers 23 to be two layers of a single**
14 **film."**
15 Q. Do you agree that two layers can form a
16 single film?
17 **A. The '501 says that a film -- in that case**
18 **it's internal stress film -- can include multiple**
19 **layers, as long as they apply stress to the**
20 **substrate as a whole. So there can be layers as**
21 **long as they apply stress to the whole.**
22 Q. So I'm asking about the definition of
23 "film" more generally. So can two layers make up a
24 film generally?

Page 13

1 **MR. SMITH:** Objection.
2 **THE WITNESS:** Well, in the sense that I
3 just described it, yes. The multiple -- they can
4 have multiple layers as long as each of the layers
5 applies a stress to the substrate.
6 **BY MR. HRYCYSZYN:**
7 Q. So are you indicating that films, as the
8 term "films" is used in the claims of the
9 '501 patent, is limited to stress films?
10 **MR. SMITH:** Objection.
11 **THE WITNESS:** No.
12 **BY MR. HRYCYSZYN:**
13 Q. So the films that are used in the context
14 of the '501 patent claims are broader than just
15 films that apply stress, correct?
16 **A. As I described earlier, the gate insulating**
17 **film is not a film intended to apply stress. It's**
18 **intended to put an insulator between the gate and**
19 **the substrate and reduce -- and keep the density of**
20 **charge states low in that interface between the film**
21 **and the active region. So clearly, no, that's not**
22 **the only function of a film.**
23 **There are many functions in general.**
24 **But for the specifics of the '501, that's an example**

Page 14

1 **of something that's not a stress film.**
2 Q. So then in the context of the '501 patent
3 claims, can films include more than one layer?
4 **A. I think I answered that. I said that the**
5 **internal stress films in the '501 don't have to be a**
6 **single layer. They can be multiple layers, but as**
7 **long as each of them applies stress to the substrate**
8 **as a whole.**
9 Q. So you answered the question specific to
10 stress films. But the claims aren't limited to
11 stress films. My question is, in general, do films
12 require -- or let me rephrase that.
13 So in the context of the '501 patent
14 claims, can films include more than one layer?
15 **A. As I mentioned, in the two places where**
16 **films are mentioned in the '501, in one case,**
17 **internal stress films, the '501 patent explicitly**
18 **says that a single -- that the films do not have to**
19 **be a single layer. They can be multiple layers.**
20 Q. So let me draw your attention back to
21 paragraph 19 in your 1843 reply declaration.
22 Do you see that paragraph?
23 **A. Yes.**
24 Q. So in that paragraph, you talk about three

Page 15

1 criteria -- what appear to be three criteria:
2 process steps, functions, and structures.
3 Do you see that?
4 **A. Those were -- that's a summary of some of**
5 **the reasons why, in my opinion, no one of ordinary**
6 **skill in the art would have thought of these films.**
7 **The film 20, the silicon nitride film and spacer, is**
8 **two layers of the same film.**
9 Q. So for two adjacent layers to be considered
10 the same film, is it your opinion that they must be
11 the same structure formed through the same process
12 and perform the same function?
13 **MR. SMITH:** Objection.
14 **THE WITNESS:** That's some of the reasons
15 why I think someone of ordinary skill would not view
16 two adjacent films to be two layers of a single
17 film.
18 **BY MR. HRYCYSZYN:**
19 Q. So in addition to those three criteria --
20 same process, same structure, same function -- are
21 there other criteria that you believe are required
22 for two adjacent layers to be considered the same
23 film?
24 **MR. SMITH:** Objection.

Page 16

1 **THE WITNESS:** I can think of other ones,
2 yes.
3 **BY MR. HRYCYSZYN:**
4 Q. What other ones would those be?
5 **A. For example, the film could be different**
6 **material.**
7 Q. Any other criteria that you think applies
8 in determining whether adjacent layers are the same
9 film?
10 **MR. SMITH:** Objection.
11 **THE WITNESS:** I -- at the moment --
12 I mean, I can talk about chemical composition.
13 So they may have the same description, but the
14 deposition method being different ends up producing
15 a film that is going to be different in the detailed
16 chemistry.
17 As an example, a film deposited with a
18 plasma-enhanced CVD system always has hydrogen as
19 one of the constituents in the amorphous structure.
20 And a high temperature CVD that's not using plasma
21 tends to have a much lower level of hydrogen. So I
22 think that would be yet another aspect that I'd
23 consider.
24 Once again, I think these are reasons,

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.