

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES DISTRICT COURT
SOUTHERN DISTRICT OF CALIFORNIA
BEFORE HONORABLE DANA M. SABRAW, JUDGE PRESIDING

-----)	
QUALCOMM INCORPORATED,)	
)	
	PLAINTIFF,)	CASE NO. 17CV1375-DMS
)	
	VS.)	
)	SAN DIEGO, CALIFORNIA
APPLE INC.,)	
)	
	DEFENDANT.)	MONDAY, MARCH 5, 2019
-----)	
AND RELATED COUNTERCLAIMS)	
-----)	

REPORTER'S TRANSCRIPT OF PROCEEDINGS
JURY TRIAL, DAY 2, VOLUME 2B
PAGES 218-

REPORTED BY STENOGRAPHY, TRANSCRIPT PRODUCED BY CAT SOFTWARE

MAURALEE RAMIREZ, RPR, CSR NO. 11674
FEDERAL OFFICIAL COURT REPORTER
ORDERTRANSCRIPT@GMAIL.COM

QUALCOMM EXHIBIT 2004

1 COUNSEL APPEARING:

2 FOR THE PLAINTIFF: QUINN EMANUEL URQHART & SULLIVAN, LLP
3 DAVID A. NELSON
4 500 WEST MADISON STREET, SUITE 2450
5 CHICAGO, ILLINOIS 60661

6 SEAN S. PAK
7 MICHELLE ANN CLARK
8 50 CALIFORNIA STREET, 22ND FLOOR
9 SAN FRANCISCO, CALIFORNIA 94111

10 VALERIE A. LOZANO
11 865 SOUTH FIGEUROA STREET, 10TH FLOOR
12 LOS ANGELES, CALIFORNIA 90017

13 FOR THE DEFENDANT: FISH & RICHARDSON
14 JUANITA R. BROOKS
15 12390 EL CAMINO REAL
16 SAN DIEGO, CALIFORNIA 92130

17 WILLIAM CUTLER PICKERING HALE & DORR LLP
18 JOSEPH J. MUELLER
19 SARAH FRAZIER
20 60 STATE STREET
21 BOSTON, MASSACHUSETTS 02109

22 NINA S. TALLON
23 1875 PENNSYLVANIA AVENUE NORTHWEST
24 WASHINGTON, DC 20006
25

1 SAN DIEGO, CALIFORNIA; TUESDAY, MARCH 5, 2019; 11:00 A.M.

2 (CALL TO ORDER THE COURT AT 11:00 A.M.)

3 THE COURT: WE'RE BACK ON THE RECORD WITH ALL PRESENT.
4 COUNSEL.

5 **DIRECT EXAMINATION RESUMED**

6 BY MR. HAMSTRA:

7 Q THANK YOU, YOUR HONOR.

8 MR. HAEHNICHEN, YOU CAN TAKE A SEAT. MR. HAEHNICHEN, CAN
9 YOU TURN BACK TO PX579. I WANT TO ASK YOU ANOTHER QUESTION
10 ABOUT THAT FEBRUARY 19 REQUIREMENTS DOCUMENT. AND SO,
11 MR. HAEHNICHEN, CAN YOU TURN TO PAGE 3 OF THAT DOCUMENT.

12 A PAGE 3, YES.

13 Q DO YOU SEE A SECTION ENTITLED SW DEVELOPMENT REQUIREMENTS?

14 A YES.

15 Q AND DO YOU SEE SOMETHING CALLED EOSBL THERE?

16 A I DO.

17 Q CAN YOU EXPLAIN TO THE JURY WHAT ROLE THAT PIECE OF
18 SOFTWARE HAS IN THE IMAGE DOWNLOAD PROCESS?

19 A EOSBL IS APPLE'S NAME FOR MODIFIED OS BOOT LOADER, SO
20 THEY'RE CALLING IT THE ENHANCED OS BOOT LOADER. IT LOADS THE
21 BULK OF THE IMAGE INTO MEMORY.

22 Q DOES IT THE LOAD THE AMSS IMAGE INTO MEMORY?

23 A IT DOES, YES.

24 Q AND WHAT DID THIS APPLE REQUIREMENT DOCUMENT ASSIGN
25 OWNERSHIP OF THAT COMPONENT TO?

1 A IT WAS ASSIGNED TO EUREKA. THEY WANTED TO USE THAT CODE
2 NAME FOR OUR COMPANY, QUALCOMM.

3 Q SO EUREKA HERE REFERS TO QUALCOMM?

4 A YES, IT DOES.

5 Q AND WHAT DOES NO. 3 IN THIS "SW CHANGES REQUIRED" SECTION
6 SAY?

7 A HERE THEY WERE EXPLAINING THAT WE HAD TO BRING IN THE FAST
8 NON-FRAMING STREAMING PROTOCOL. THE ONE USED BY GOBI.

9 Q WHY DID YOU UNDERSTAND THIS TO BE REFERRING TO THE
10 NON-FRAMING STREAMING PROTOCOL USED BY GOBI?

11 A WELL, IT'S WRITTEN HERE. THE MODIFICATIONS GOBI MADE TO
12 OUR BOOT LOADER TO LOAD FROM USB INSTEAD OF FLASH OR TO REMOVE
13 SOME OF THE FRAMING ON THE SLOWER PROTOCOL. SO THEY GOT A
14 LITTLE EXTRA SPEED FROM DOING THAT.

15 Q AND MR. HAEHNICHEN, I WANT TO BE CLEAR ON THIS, THE BOOT
16 APPROACH DESCRIBED IN THE SECOND REQUIREMENTS DOCUMENT HERE ON
17 FEBRUARY 19, IS THAT AN APPLE DEVELOPED APPROACH OR A QUALCOMM
18 DEVELOPED APPROACH?

19 A THIS IS GOBI. THIS IS THE QUALCOMM DEVELOPED PRODUCT,
20 YEAH.

21 Q SO, MR. HAEHNICHEN, TURNING BACK TO WHAT WE WERE DISCUSSING
22 BEFORE THE BREAK, I WANT TO TALK TO YOU BEFORE SOME MORE ABOUT
23 YOUR SAHARA IDEA AND DESIGN?

24 A OKAY.

25 Q SO, MR. HAEHNICHEN, CAN YOU JUST EXPLAIN AGAIN WHY SAHARA

1 WAS ABLE TO OBTAIN THE SPEEDS REQUIRED BY APPLE?

2 A TO OBTAIN THE SPEEDS BY AVOIDING THE COPIES THAT WE'VE
3 TALKED ABOUT. SO IF YOU LOOK AT GOBI, IT READS THINGS INTO
4 MEMORY, FIGURES OUT WHERE THEY ARE, FIGURES OUT WHAT THEY ARE,
5 WHERE THEY NEED TO GO, AND IT COPIES THEM THERE; WHEREAS, IN
6 SAHARA, WE WOULD RECEIVE THE DATA DIRECTLY FROM USB AND THE
7 ONLY PLACE IT WOULD GO IS RIGHT WHERE IT NEEDS TO BE. SO WE
8 COMPARED TO LIKE A NONSTOP FLIGHT. INSTEAD OF A FLIGHT WITH A
9 STOP IN THE MIDDLE AND A LONG LAYOVER, YOU JUST PUT IT RIGHT
10 WHERE IT NEEDS TO BE AT THE END.

11 Q HOW WERE YOU ABLE TO DESIGN YOUR SYSTEM SO THE HARDWARE
12 COULD PLACE THE DATA RIGHT WHERE IT NEEDED TO BE?

13 A THAT WAS PRETTY HARD. WE HAD TO BRING IN THE EXPERTS ON
14 THAT PIECE OF HARDWARE, WHICH IS THE USB RECEIVER, AND WE HAD
15 TO TELL THEM WHAT WE WERE TRYING TO DO, AND THEY TOLD US HOW
16 THE HARDWARE WORKS AND WHAT THE CONSTRAINTS ARE OF THAT
17 HARDWARE AND HOW WE WOULD HAVE TO ORGANIZE AND SET IT UP SO
18 THAT WE COULD RECEIVE IT FROM THE VERY FIRST BYTE INTO THE
19 ADDRESS IT NEEDS TO BE.

20 Q AND WHO ARE THE USB EXPERTS YOU JUST MENTIONED THERE?

21 A WELL, THAT WAS DANIEL KIM AND HUSLID (PHONETIC) IGOR.

22 Q AND ARE THOSE TWO INDIVIDUALS ALSO NAMED AS INVENTORS ON
23 THE '949 PATENT?

24 A YES, OF COURSE.

25 Q AND HOW WERE YOU ABLE TO PROGRAM THAT USB HARDWARE TO PUT

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.