


Date: April 16, 2019

Case: Certain Strontium-Rubidium Radioisotope Infusion Systems, and
Components Thereof Including Generators

**THIS DOCUMENT CONTAINS CONFIDENTIAL
INFORMATION**



Ace-Federal Reporters, Inc.
Phone: 202-347-3700
Fax: 202-737-3638
Email: info@acefederal.com
Internet: www.acefederal.com



1 UNITED STATES OF AMERICA
 2 BEFORE THE
 3 INTERNATIONAL TRADE COMMISSION
 4
 5 - - - - -X
 6 IN THE MATTER OF: : Investigation Number
 7 CERTAIN STRONTIUM-RUBIDIUM : 337-TA-1110
 8 RADIOISOTOPE INFUSION SYSTEMS AND :
 9 COMPONENTS THEREOF INCLUDING :
 10 GENERATORS :
 11 - - - - -X

12
 13 HEARING - VOLUME IV
 14
 15 April 16, 2019
 16 Courtroom C
 17 U.S. International Trade
 18 Commission
 19 500 E Street, S.W.
 20 Washington, D.C.
 21

22 The Hearing commenced, pursuant to notice of the Judge, at
 23 9:03 a.m., before the Honorable CLARK S. CHENEY,
 24 Administrative Law Judge for the United States
 25 International Trade Commission.

1 reporter. But I would like to move -- jointly move the
2 admission of the exhibits that we are sending to the court
3 reporter that were discussed -- discussed on the record
4 from April 15th, 2019.

5 JUDGE CHENEY: I presume counsel for complainant
6 and staff understand the list of exhibits that Mr. Hails
7 has represented and is there any objection to the admission
8 of those exhibits?

9 MR. DAVIS: No, Your Honor.

10 MR. KOO: No, Your Honor.

11 JUDGE CHENEY: The exhibits identified by
12 Mr. Hails will be admitted to the record.

13 MR. HOFFMAN: Your Honor, Mr. Hails is sitting
14 in front of me. I'm Mr. Hoffman.

15 JUDGE CHENEY: I'm sorry. Mr. Hoffman.

16 Any other housekeeping matters before we resume
17 with Dr. Stone? Okay. Hearing none, we'll ask Dr. Stone
18 to return to the stand. As you're coming to the stand,
19 Dr. Stone, I'll remind you you're still under the same
20 obligation to tell the truth under penalty of perjury.
21 Whereupon,

22 DR. ROBERT STONE,
23 was called as a witness by counsel for Respondents, and
24 having been previously duly sworn, was examined and
25 testified as follows:

1 THE WITNESS: Thank you, Your Honor.

2 JUDGE CHENEY: Mr. Hails, who has spent all
3 evening talking slowly and clearly in the mirror so that
4 today we'll just have a nice relaxed transcript.

5 MR. HAILS: We'll see. Yes, sir.

6 DIRECT EXAMINATION

7 BY MR. HAILS:

8 Q Dr. Stone, before we broke we were talking about
9 shielding design. Do you recall that?

10 A Yes.

11 Q Let's pull up RX-357, page 10, if you can. Do
12 you recognize this, Dr. Stone?

13 A Yes, I do.

14 Q Do you see any shielding compartments
15 illustrated in this photograph?

16 A Yes, I do.

17 Q First of all, what does this picture illustrate?

18 A This is a picture of the interior of the cabinet
19 of the CardioGen 82 or the Model 510 as it's called.

20 Q The Model 510. Okay. Do you see any shielding
21 compartments in this design?

22 A I do.

23 Q Would you point them out for us?

24 A In the back is a shielding compartment. I
25 believe that is for the waste container and here we have

1 the shielding compartment for the generator.

2 Q And Ricky, can we blow up that sticker in the
3 middle center on that first.

4 All right. Do you see the sticker for the
5 generator shield?

6 A I do.

7 Q Okay. You can back out of that. All right. So
8 which way do the openings face on the shielding?

9 A They face vertically upward.

10 Q Let's switch over to RX-103 at page 4. Can we
11 rotate that so the letters all line up. Thank you.

12 Do you recognize this as the Tate application
13 that you've been discussing?

14 A I do.

15 Q Okay. Do you see any shielding containers --
16 sorry, shielding compartments in this -- illustrated in
17 this figure?

18 A Yes, I do.

19 Q Can you point them out for us.

20 A Yes. Here we have, I believe it's a shielding
21 compartment for the source. Here we have the shielding
22 compartment for the onboard dose calibrator, and I believe
23 this is a shielding compartment for the waste bottle.

24 Q And just for the record, Dr. Stone pointed to
25 element 111 in this diagram, 121 in this diagram, and 127

1 in this diagram.

2 Dr. Stone, do you recognize this as a top view
3 of the Tate cart?

4 A Yes, I do.

5 Q And which way do the openings of these shielding
6 compartments face?

7 A They face vertically upward.

8 Q Can you explain why do people build shielding
9 compartments with openings that face vertically upward?

10 A Yes. As one is approaching a device, the
11 shielding can be complete and enclosed without access to
12 the device if the shielding compartments face vertically
13 upward. So the shielding is providing adequate protection
14 for the thorax of a person unless -- and the only way they
15 could get exposed to the thorax is if they leaned over that
16 source of radioactive material. So they don't have to
17 expose themselves to radiation as they approach the devices
18 because it's fully enclosed with openings facing vertically
19 upward and radiation only going upward even if the lid were
20 open.

21 Q Can we return to the PowerPoint.

22 Okay. Before we broke, we were talking about
23 this element shown here on slide 128 at the bottom, the
24 first door element. Do you see that?

25 A I do.

1 Q Okay. And this claim element says that the
2 first door is configured to provide access to the shielding
3 compartment and to close over that first opening.

4 Do you see that?

5 A I do.

6 Q Did you see any teachings of this subject matter
7 in the prior art?

8 A Yes. In Klein, the generator was placed in the
9 cart and surrounded by lead rings to provide maximum
10 radiation shielding. The opening faced vertically upward
11 in that configuration. Similarly in Tate, the radioactive
12 source was inserted into a well and had an opening which
13 faced vertically upward.

14 Q Can you show us the door in the Tate design?

15 A In Tate, we have a door which closes over the
16 source of radioisotope.

17 Q For the record, we're looking at figure 4A from
18 Tate. And did you point to element 684 in that drawing?

19 A I did.

20 Q Are doors conventional structures for shielding
21 design in the mid 2000?

22 A Doors are conventional structures for shielding
23 in my entire history of looking at radioisotopes and
24 radioactive sources. They are very common.

25 Q If someone applied a door to a shielding

1 structure, for example, in the Klein design, would it be
2 accessible via the opening through the exterior shell of
3 the compartment?

4 A Yes, it would.

5 Q Move forward, please.

6 JUDGE CHENEY: Mr. Hails, you tend to be doing
7 this with microphone. I need you to be doing this with
8 your microphone. There you go.

9 BY MR. HAILS:

10 Q Okay. We are at slide 129 in the presentation.
11 Talking about claim 1. And claim 1 refers to a second
12 shielding compartment. Do you see that in the slide?

13 A I do.

14 Q On the third line it talks about that the second
15 shielding compartment is for the waste bottle.

16 Do you see that in claim 1?

17 A Yes.

18 Q Does the prior art claim teach a second
19 shielding compartment for a waste bottle?

20 A Yes, it does.

21 Q Please explain.

22 A Klein had a waste bottle on the top shelf that
23 was in a shielded container and it had an opening facing
24 vertically upward.

25 Q And that opening, does Klein teach that that

1 opening is one through which a waste bottle can be inserted
2 into or removed from the shielding compartment?

3 A Yes, he does.

4 Q All right. Let's move forward, please. I
5 should note for the record that slide 129 has an excerpt
6 from figure 2-3 of the Klein thesis.

7 The second door -- I'm sorry, the next element
8 is a second door that is configured to provide access to
9 the second shielding compartment and to close over the
10 second opening. Did you see teachings of this subject
11 matter in the prior art?

12 A Yes.

13 Q Please explain.

14 A As Klein describes, a waste container was
15 mounted on the top shelf inside a lead container with a
16 lid. That lid door sliding whatever, those are very common
17 in the art.

18 Q Okay. The claim talks about that the second
19 door is accessible by the opening through the top surface
20 of the exterior shell of the cart. Did you see that
21 subject matter talked about in the prior art?

22 A Yes, I did.

23 Q Please explain.

24 A I'm sorry. We previously showed that there was
25 a door here that opened over this area and that's

1 accessible via the top shelf of the -- the top surface of
2 the cart.

3 Q Okay. All right. So the slide 129 also lists a
4 wherein clause from claim 1 saying that the first opening,
5 which is the one corresponding to the generator shield, is
6 located at a lower elevation than the second opening, which
7 is the one corresponding to the waste bottle.

8 Will you please remind the Court how does the
9 prior art teach that subject matter?

10 A The prior art teaches that subject in that,
11 first of all, it would be obvious to relocate the generator
12 and the shielding to a lower elevation for ergonomic
13 purposes as we've discussed as taught by Chaffin, which
14 would be well-known to a person who is designing medical
15 devices and designing any industrial device.

16 Q And Chaffin, for the record, is RX-96. Let's
17 move forward, please.

18 We are at slide 130 and the claim is talking
19 about a radioactivity detector. Does the prior art teach a
20 radioactivity detector?

21 A Yes, it does.

22 Q Please explain.

23 A We have a radioactivity connector at the
24 activity counter in the Klein thesis as well as in other
25 sources.

1 Q For the record, slide 130 shows an excerpt from
2 Klein figure 2-2 on page 29. Is the radioactivity detector
3 that's taught by the prior art, is it positioned to measure
4 radioactivity of a rubidium radioactive eluant that flows
5 through an eluant tubing line?

6 A Yes, it does. The tubing is positioned to go
7 under the radioactivity detector and it counts it while the
8 tubing -- the fluid is flowing through.

9 Q Is the eluate tubing line in fluid communication
10 with an outlet tubing port of the strontium-rubidium
11 radioisotope generator?

12 A Yes, it is. It's shown here this is the outlet
13 and it's in fluid communication.

14 Q Let's move forward, please. We are on slide 131
15 of the presentation.

16 Claim 1 talks about a shielded well on board the
17 cart configured to receive an eluate reservoir. Please
18 explain how does the prior art teach this subject matter?

19 A Well, the Klein thesis has indeed a shielded
20 well in the dose calibrator and it has the eluate reservoir
21 in the shielded well while a dose is pumped into it. He
22 doesn't have it on board the cart, but as we stated
23 previously, it is obvious to relocate the dose calibrator.
24 It was done by Tate. It's done by Medrad. There is
25 nothing inventive in that step.

1 Q Again for the record, slide 131 shows a split
2 screen, I guess, with both figure 2-3 and figure 2-2 from
3 the Klein thesis. Next part of this shielded well element
4 says that the eluate reservoir is configured to receive a
5 test sample. Do you see that?

6 A Yes.

7 Q Does the prior art teach that subject matter?

8 A Yes, it does. The computer configures the flow
9 path. So it goes out to the patient line, which itself is
10 now inserted into the eluate reservoir so that the eluate
11 reservoir can receive a test sample.

12 Q Let's move forward, please. Claim 1 also refers
13 to the computer of the system and lists a variety of
14 features. One is to provide a stop button on the
15 touchscreen display. Did you see a stop button taught by
16 the prior art?

17 A As we've seen before, the stop button is there
18 for all elutions.

19 Q For the record, slide 132 shows a screenshot
20 taken from page 64 of the Klein thesis.

21 Does the prior art teach that that stop button
22 aborts a function of the infusion system in response to a
23 user input that activates the stop button?

24 A That's correct.

25 Q Let's move forward, please. Claim 1 says that

1 the computer is configured to pump saline from a saline
2 reservoir. Does the prior art teach this subject matter?

3 A Yes, it does.

4 Q Please explain.

5 A A computer controls a peristaltic pump, which
6 takes saline from the saline reservoir.

7 Q All right. Please continue.

8 A It then pumps it through the generator valve
9 into the inlet port of the generator and the eluate is
10 pumped out of the outlet point -- sorry, through the outlet
11 tubing port of the generator.

12 Q Okay. So this -- for the record, slide 133
13 again shows an excerpt from figure 2-2 of the Klein thesis
14 and Dr. Stone was referring to the peristaltic pump that's
15 illustrated in that diagram.

16 Okay. The claim says that the saline reservoir
17 has to be positioned outside the interior space of the
18 cabinet structure. Does the prior art teach that subject
19 matter?

20 A Yes, it does.

21 Q Please show us.

22 A The peristaltic pump -- sorry, the saline
23 reservoir is here outside the cart.

24 Q You're pointing to our own screen. Why don't we
25 do it on the screen up here.

1 A Thank you. I'm sorry. Here we have the saline
2 reservoir outside the cart.

3 Q And for the record, Dr. Stone was pointing to
4 figure 2-3 from the Klein cart and the annotation that we
5 provided on slide 133 for the saline bag.

6 Okay. Does the pump -- sorry, does the computer
7 pump saline into the strontium-rubidium radioisotope
8 generator through an inlet tubing port?

9 A Yes, it does. Here is the inlet tubing port we
10 discussed previously.

11 Q And does that process generate the rubidium
12 radioactive eluate that is discharged through the outlet
13 tubing port?

14 A Yes, it does.

15 Q Please go back. Go back, please, one more.
16 Just show us the outlet tubing port on slide 133.

17 A I'm sorry. Here we have the outlet tubing port.

18 Q Very good. Let's move forward, please.

19 All right. We are on slide 134 and we have an
20 excerpt from figure 2-2 from the Klein thesis. The claim
21 says that the computer of the infusion system fills the
22 eluate reservoir in the shielded well on board the cart
23 with a test sample of the rubidium radioactive eluate.

24 Please remind the Court, how does the prior art
25 teach this subject matter?

1 A Again, this is a block diagram from the Klein
2 thesis. We have the eluate reservoir in the shielded well
3 with pumping and filling of that eluate reservoir is
4 controlled by the computer configuring the valves and the
5 pump in order to do that. And it doesn't to on board the
6 cart, but as we say, it's obvious to relocate the cart for
7 reasons we've discussed with regard to the weight of the
8 cart and the movement.

9 Q Okay. And on this drawing here, figure 2-2 from
10 the Klein thesis, you're pointing to the dose calibrator
11 color coded in blue; is that correct?

12 A That is correct.

13 Q All right. Do you see that box with the little
14 snowman-looking icon inside?

15 A Yes.

16 Q What is that?

17 A The box with the little snowman is the shielded
18 well with the eluate reservoir inside.

19 Q Move forward, please. Claim 1 says that the
20 computer is configured to determine a strontium
21 breakthrough test result on the test sample. Please remind
22 the Court, how does that process occur in the prior art?

23 A Well, we've discussed the Klein thesis that the
24 strontium breakthrough test is termed by first measuring
25 the activity when the sample is first placed in the well.

1 The computer then waits 30 minutes and takes another
2 reading and computes the strontium breakthrough from the
3 formula shown below.

4 Q And does that process operate on a test sample
5 that is filled into an eluate reservoir in a shielded well?

6 A Yes, it does.

7 Q We've discussed here on board the cart, off-cart
8 analysis already, correct?

9 A That's correct. All the system components were
10 there in Klein. We are just talking about the
11 rearrangement for a commercial product.

12 Q All right. Let's go through the while clause in
13 this element. Does that process occur while the eluate
14 reservoir remains in the shielded well?

15 A Yes, it does.

16 Q Let's move forward, please. Claim 1 further
17 states that the computer is configured to not allow a
18 patient infusion if the strontium breakthrough test result
19 is greater than or equal to an allowed limit.

20 Please remind the Court, how does the prior art
21 teach the subject matter?

22 A The Klein thesis states specifically that the
23 computer disables patient elution -- sorry, that the
24 computer does not enable patient elutions unless a
25 calibration run and a successful breakthrough measurement

1 are completed. That's done daily. The amount is
2 determined by the Health Canada guidelines for the
3 strontium breakthrough level that's part of the daily
4 protocol and the system software ensures that the protocol
5 is followed; that is, each run is enabled only after the
6 prerequisites have been completed successfully.

7 Q For the record, we are on slide 136 and the
8 excerpts shown are from pages 39, 43 and 54 of the Klein
9 thesis. Let's move forward, please.

10 Okay. Claim 1 talks about a shielding for a
11 generator. Claim 2 says that the infusion system further
12 comprises the strontium-rubidium radioisotope generator in
13 the first two compartments. Did you see a
14 strontium-rubidium radioisotope generator taught by the
15 prior art?

16 A Yes.

17 Q Can you point it out to us?

18 A Here we have the strontium-rubidium generator in
19 the interior space of the cabinet shown how we had proposed
20 that it was obvious to move it, but it was in the interior
21 previously.

22 Q All right. And so for the record, Dr. Stone is
23 pointing, again, I think it's to figure 2-3 of the Klein
24 thesis. So let's move forward, please. We are still on
25 slide 37.

1 Claim 2 says that there is an eluate reservoir
2 located in the shielded well on board the cart and in fluid
3 communication with the eluate tubing line. Did you see
4 that subject matter taught by the prior art?

5 A Yes.

6 Q Please explain.

7 A We've talked about the eluate reservoir in the
8 shielded well previously. The on board the cart we've also
9 talked about that it's obvious to relocate that as it's
10 done in the prior art and it's in fluid communication with
11 the eluate tubing line as shown in the hot pink tracing
12 that we have here.

13 Q For the record, we are on slide 137 of this
14 presentation and the hot pink he was referring to was taken
15 from slide -- I'm sorry, from figure 2-2 of the Klein
16 thesis.

17 All right. Let's move forward. So now we are
18 at claim 3. We are on slide 138. Claim 3 says that the
19 cabinet structure has a lowermost portion and the platform
20 has a lower surface. Did you see that subject matter
21 taught by the prior art?

22 A Yes.

23 Q Walk us through it, please.

24 A Well, we've talked about how it would be obvious
25 to relocate the generator and the shielding compartment to

1 a lower elevation. Ergonomics is taught by Chaffin. If I
2 look at this proposed concept here that would happen and
3 compare it with a standard laboratory countertop, which is
4 normally found at a height of 30 to 36 inches, so if I
5 compare that to the opening that we have here in the first
6 generator, that, by that comparison, my eye is easily
7 determined to be nominally between one and two feet.

8 Q Let me stop you right there. Right now we are
9 talking about part one of this claim and it says that the
10 cabinet structure has a lowermost portion.

11 Let's talk about the cabinet structure just to
12 make sure the record is clear. Do you see he a cabinet
13 structure in this picture here on -- I think this is figure
14 2-3?

15 A Yes, we have a cabinet structure as shown here.

16 Q Does it have a lowermost portion?

17 A It does.

18 Q Did you see a platform in this figure 2-3?

19 A There is a platform here at the base of the
20 cart.

21 Q And did that platform have a lower surface?

22 A Yes, it does.

23 Q All right. So now let's talk about the next
24 elements. You were talking about the shielding and let's
25 walk through the claim. The claim talks about the first

1 opening, which refers back to the shielding compartment of
2 the generator and that must be at a first elevation.

3 So with the modifications that you've identified
4 here using the Chaffin teachings, where is the -- what is
5 the elevation of the first opening?

6 A Here is the first opening. That's where it's
7 located.

8 Q Okay. So let's go to the next element. And
9 that refers to a second opening, which refers back to the
10 shielding compartment for the waste bottle. Applying these
11 teachings, do you see that the second opening for the waste
12 bottle would be at a second elevation?

13 A Yes. In the original location that's shown in
14 Klein's thesis, we had the waste container located there at
15 that second elevation.

16 Q All right. And then the next -- sorry, the next
17 element says that the first elevation, which again refers
18 to the shielding compartment, is between approximately one
19 foot and approximately two feet with respect to the
20 lowermost portion of the cabinet structure.

21 Okay. Please walk us through your analysis of
22 this element.

23 A All right. Thank you. Again, using the
24 standard height of a laboratory countertop positioned
25 nominally between 30 and 36 inches for ergonomics for a

1 workspace, using that as a reference of nominally 36
2 inches, this first opening is halfway through. That would
3 be between one and two feet.

4 Q And do you recall looking at the shielding
5 generator this morning for the CardioGen 510?

6 A I do.

7 Q Would you agree with me that the shielding
8 compartment of that structure also was at the bottom of
9 that cart?

10 A Yes, it is.

11 Q Let's move forward please to the next element.
12 So the next element says that the second elevation is
13 between approximately two feet and approximately three feet
14 with respect to the lower surface of the platform. Please
15 explain your analysis of this element.

16 A The Klein thesis had the shielded waste
17 container with its upwardly facing -- sorry, vertically
18 upward facing opening was on that shelf. And as you can
19 see in comparison with the countertop, that's between two
20 and three feet with respect to the lower surface of the
21 platform.

22 Q Let's move forward, please. We are at slide 139
23 and we are talking about claim 4 of the '869 patent.

24 Claim 4 says that the infusion system has a
25 wherein clause, wherein the first shielding compartment

1 comprises two tubing passageways formed in a perimeter
2 surface of the first opening.

3 Let's talk about tubing passageways first. In
4 the Klein thesis, how many tubing passageways go in and out
5 of the generator?

6 A Well, he has two tubing passageways because we
7 have to have saline going in. I'm sorry. The system has
8 saline going in and has the strontium-rubidium eluate
9 coming out.

10 Q Did you see teachings of a shielding compartment
11 with tubing passageways formed in a perimeter surface of an
12 opening of the shielding compartment?

13 A We saw that in the -- the tubing passageways
14 were formed in the perimeter surface on the Tate system.

15 Q We have an excerpt here from figure 6-E of Tate
16 on page 119. Show me the tubing passageway.

17 A We have a tubing passageway here formed in the
18 perimeter of the shielded well.

19 Q And the shielded well, is that element 111 in
20 this drawing?

21 A Yes.

22 Q Okay. There is only one in the Tate system.
23 But there are two tubing -- that's tubing in the Klein
24 system. Why don't you explain why is that -- why is it
25 obvious to put in two?

1 A Well, in the Tate system, using FDG, one merely
2 needs a single tube in order to withdraw eluate -- or in
3 order to withdraw the radioisotope source -- sample from
4 the source. In the strontium-rubidium isotope generator,
5 you need two tubes; one to go in with the saline and one to
6 bring the eluate out.

7 Q Do you believe it's obvious to apply the
8 teachings of Tate and to add a second tubing passageway,
9 one for the other tube?

10 A One would have tubing passageways for each of
11 the tubings. In order to do that, that would be obvious.
12 It would be the standard thing to do.

13 Q On this slide 139, you color coded a tube 210 in
14 pink; is that correct?

15 A Yes.

16 Q Okay. The opening in the perimeter surface of
17 this shielded well, does it pinch that tube?

18 A No. It certainly would not be obvious to have
19 anything that would pinch the tube if I need to have flow
20 through that tube.

21 Q Does that opening crush the tube?

22 A No, it does not.

23 Q And you have this element 684 with the red halo
24 around it on slide 139. Do you see that?

25 A I do.

1 Q And remind the Court, what is that?

2 A That is the source of rubidium-82 in a shielded
3 container.

4 Q I'm sorry. So 684 --

5 A Actually, not rubidium-82. Of FDG.

6 Q We have a shielded well, right, at 111? Please
7 look at the screen. And what is this guy?

8 A I'm sorry. That is a door over the -- over the
9 vial of FDG.

10 Q All right. For the record, I was pointing to
11 684 as "this guy." So that door is closed in the shielded
12 well. Would you agree?

13 A That's correct.

14 Q All right. Does Tate disclose that that door
15 crushes or pinches that tube when that door is closed?

16 A No.

17 Q Let's move forward, please. All right. We are
18 now on slide 140 and we are talking about claim 5 of the
19 '869 patent.

20 Claim 5 says the opening through the exterior
21 shell is configured to provide -- that is configured to
22 provide access to the strontium-rubidium radioisotope
23 generator within the interior space of the cabinet
24 structure is through the front side of the exterior shell.

25 Did you see teachings of that subject matter in

1 the prior art?

2 A I do.

3 Q Show me the front side.

4 A Here is the front side.

5 Q And do you see an opening in the front side?

6 A There is indeed an opening in the front side.

7 Q And would that front side provide access to the
8 generator?

9 A Yes, it does.

10 Q That was slide 140. Let's move to the next
11 slide, please. So now we are at slide 141 still talking
12 about the '869 patent. We are now at claim 8.

13 Claim 8 says wherein the infusion system is
14 configured to determine the strontium breakthrough test
15 result on the test sample at least once a day. Please
16 explain how does this prior art teach this subject matter?

17 A Klein clearly describes that his system ensures
18 compliance with the daily protocol prescribed in the
19 previous chapter, a flush followed by a calibration run and
20 successful breakthrough measurement must be completed in
21 order to enable patient elutions for the remainder of the
22 day. So at midnight, that daily protocol completed is
23 cleared and the daily protocol chart has to be completed in
24 order to enable patient elutions.

25 Q All right. The excerpt on this slide 141 is

1 taken from page 139 of the Klein thesis. We also have an
2 excerpt showing figure 2-1 from the Klein thesis. Where in
3 this daily protocol are the patient elution runs?

4 A Patient elution runs are here after the
5 completion of the calibration run.

6 Q I was going to ask where in the daily protocol
7 is the calibration run?

8 A It's after the daily flush.

9 Q And you said that the calibration run must
10 achieve a successful breakthrough measurement in order to
11 enable the patient elutions; is that correct?

12 A That's correct.

13 Q Let's move forward, please. So we are at slide
14 142. And we'll talk about claim 14 of the '869 patent.
15 Claim 14 talks about various functions of the computer of
16 the infusion system. The first one is to track a volume of
17 the saline remaining in the saline reservoir.

18 Please explain how does the prior art teach this
19 subject matter?

20 A Well, saline is a consumable for the system.
21 The international standard for user interfaces for medical
22 devices calls for the user to be aware of the use of the
23 correct consumable, the remaining amount of them, whether
24 accessories might be used with the medical device, how to
25 assemble them, how to check their correct functioning.

1 Saline is indeed a consumable. The user is made
2 aware of that through the user interface as described and
3 taught by this standard.

4 Q Okay. For the record, on this slide 141 we
5 haven't excerpt from RX-114 at page 63. All right. And do
6 you see -- did you see a description of tracking saline in
7 the Medrad documentation?

8 A Yes, we did.

9 Q We'll get to that in a moment. Did you see a
10 description of tracking saline in the Tate patent
11 application?

12 A Yes.

13 Q All right. Let's move forward. Claim 14 also
14 talks about that the computer is configured to alert the
15 user via the touchscreen display when the volume of the
16 saline remaining in the saline reservoir is below a
17 predetermined volume threshold. Why don't you walk us
18 through the subject matter.

19 A Yes. As we stated previously, I believe that's
20 covered by the international standard calling for the user
21 to be made aware of the correct usage and how to assemble
22 them, how to check their correct functioning. And it's
23 also provided to Medrad where they provide an alert when
24 the remaining saline is low.

25 Q We'll get to that in a moment. Let's move

1 forward, please. Can we move forward.

2 A Yes.

3 Q Okay. So now we are on slide 144. We were
4 talking about claim 24. Claim 24 first recites a hanger
5 configured to hold the saline reservoir at an elevation
6 above the top surface of the exterior shell.

7 Does the prior art teach this subject matter?

8 A Well, the first portion of this element, the
9 hanger that hangs the saline bag is taught both in Klein
10 and in the Tate patent application; however, it's clearly
11 disclosed in the CardioGen Model 510.

12 Q In your experience, is it common or is it an
13 uncommon configuration to hang saline at high elevations on
14 medical devices?

15 A It's hung at a high elevation. It makes it
16 clearly visible from across the room.

17 Q Let's move forward, please. The next element is
18 a handle that's configured for the user to grasp in order
19 to move the infusion system. Did you see teachings of
20 handles in the prior art?

21 A Yes. Handles for moving carts are quite a
22 standard configuration. We have handles on the Tate
23 cart -- I'm sorry, on the Klein cart, on the Tate cart, as
24 well as on the CardioGen Model 510. And we also had it on
25 Medrad.

1 Q All right. So for the record, we are on slide
2 145 and Dr. Stone was pointing out handles on page 34 of
3 the Klein reference, page 2 of the Tate reference, page 13
4 of the CardioGen reference. Actually, let me read the
5 exhibits in. 106 page 34, 103 page 2, RX-207 page 13.

6 Let's move forward. Next thing -- now we are at
7 slide 146. The next thing you've got to have is four
8 wheels mounted to an underside of the platform. Please
9 explain, how does the prior art teach this subject?

10 A I believe this is a very standard and obvious
11 feature. Klein had four wheels. The Tate disclosure had
12 four wheels. The CardioGen 510 had four wheels.

13 Q All right. And again, for the record, we are
14 pointing to pictures taken from page 34 of Klein RX-106,
15 page 2 of Tate RX-103, page 13 of the CardioGen manual,
16 RX-207.

17 A And just to be clear it was also on the Medrad
18 though I neglected it.

19 Q All right. Let's move forward, please. Slide
20 147. Now we have to have a power inlet port for connecting
21 the infusion system to a power source. Please explain, how
22 does the prior art teach this subject matter?

23 A All the devices that we've talked about were
24 powered by AC mains. Specifically, Klein states that the
25 system is plugged into a wall socket at all times and only

1 needs several minutes of battery power while being moved.

2 Q For the record, this is slide 48 discussing
3 claim 24 and the excerpt from which Dr. Stone read was page
4 46 of RX-106.

5 All right. And moving on. We have a printer
6 that's configured to print a document concerning a patient
7 infusion or a quality control test result generated by the
8 infusion system.

9 Please explain. Does the prior art teach this
10 subject matter?

11 A Yes, it does.

12 Q Can you walk us through it?

13 A Klein certainly has a printer as he displays
14 here in the picture from his cart. Printer and power
15 isolation transformers were mounted on the lower tray.
16 When one is producing a medical device, it would be obvious
17 to utilize that printer to print. What is done by Tate and
18 Medrad, they disclosed printers for printing infusion data.

19 Q Okay. Just for the record, you were referring
20 to an excerpt from Klein at page 35. You were referring
21 to -- what are the portions of Tate that you relied on?

22 A We relied on the Tate. The RX-103 page 93.

23 Q Okay. And you said also that you saw evidence
24 of that in Medrad; is that correct?

25 A Yes. That's RX-0200C page 91.

1 Q Let's move forward, please. Okay. We are at
2 slide 149 and we are talking about tubing passageways
3 again. The first shielding compartment comprising two
4 tubing passageways formed in a perimeter surface of the
5 first opening. Have we discussed this already earlier in
6 your testimony?

7 A Yes, we have.

8 Q All right. You have an excerpt taken from Tate
9 on page 119 figure 6E. Are you relying on the same
10 disclosure in Tate as before in that prior claim element?

11 A Yes.

12 Q All right. You believe -- so just point out,
13 please, where is the opening formed in the printer surface
14 of the shielding compartment?

15 A We have the opening formed in the perimeter of
16 the surface.

17 Q For the record, that's the opening through which
18 the tube 210 proceeds. The next portion of this claim is
19 that each of the two tubing passageways has a depth
20 configured to prevent pinching or crushing of a
21 corresponding tubing line routed there through when the
22 first door is closed thereover. Is the tubing passageway,
23 is it crushed or pinched in the Tate disclosure?

24 A No.

25 Q Is it crushed or pinched when that cap is closed

1 over the shielding well?

2 A No.

3 Q All right. Let's move to the next one. You
4 also have to have a first door that's mounted by a hinge.
5 How is this subject matter taught by the prior art?

6 A Well, we are showing the first door here and
7 while it's still being mounted on a post, mounting a door
8 on a hinge, a post, on rails, there is nothing inventive
9 about how a door is mounted.

10 Q Have you seen in your experience shielding
11 compartments with doors mounted by hinges before?

12 A Yes, indeed.

13 Q Let's move forward, please. Okay. Now we are
14 on slide 150. We are still talking about claim 24. It
15 says that access to an operation of the computer is
16 regulated through a user login credential.

17 Did you see this subject matter taught by the
18 prior art?

19 A Yes.

20 Q Please explain.

21 A In the Klein thesis, he states that the
22 generator information screen is displayed first, which
23 gives information as to the state of the generator activity
24 and history. Following is a prompt for a user ID code,
25 which is useful for avoiding tampering by unauthorized

1 personnel as well as enabling test runs, which were
2 commonly used during a development cycle, but have no
3 clinical application.

4 Q All right. And for the record, this excerpt is
5 taken from page 63 of RX-106. Let's move forward, please.
6 We are at slide 151 still talking about claim 24. It says
7 the strontium breakthrough test result is for at least one
8 of strontium-82 and strontium-85. How does the prior art
9 teach this subject matter?

10 A Klein discloses the formulas which are used by
11 the computer and he states the breakthrough of each
12 isotope, strontium-82 and strontium-85, is calculated as a
13 relative activity ratio of strontium activity to 82
14 rubidium activity delivered as demonstrated below.

15 Q For the record, this excerpt is taken from page
16 61 of Klein. Let's move forward, please. Slide 152. It
17 says the exterior shell further includes a saline tubing
18 opening configured for a saline tubing line to pass from
19 the reservoir outside the exterior shell to the interior
20 space of the cabinet structure.

21 Why don't you walk us through this subject
22 matter.

23 A We have a saline bag on the exterior of the
24 shell and it has a tubing line that passes through to the
25 interior of the shell.

1 Q For the record, slide 152 has an excerpt taken
2 from figure 2-4 of the Klein thesis. Dr. Stone was
3 pointing to the tubing line that we've color coded in pink
4 that terminates in the pointer for the generator.

5 A And to clarify, I said to the interior shell.
6 It's to the interior space.

7 Q Thank you. Let's move forward, please. Slide
8 153. The computer is configured to determine the strontium
9 breakthrough test result on the test sample at least once
10 per day. I think we've discussed this, but please just
11 refresh the Court, how does the prior art show this?

12 A This is a reminder at midnight the system is
13 reset such that a daily protocol must be completed prior to
14 enabling -- enabling patient run elutions for the remainder
15 of the day.

16 Q And for the record, this slide has an excerpt
17 from page 39 of the Klein thesis and also an excerpt
18 showing figure 2-1. Let's move forward, please.

19 All right. So staying with claim 24, we are at
20 slide 154, the computer is configured to pump saline
21 through the strontium-rubidium radioisotope generator at a
22 rate that's less than approximately 70 milliliters per
23 minute. Please explain how the prior art teaches this
24 subject matter.

25 A Well, Klein clearly states in his thesis that

1 the pump operates at less than 70 milliliters per minute.
2 For example, during a flush run, flushing of all the lines
3 in the system as well as 50 milliliters of flush of the
4 generator at 15 milliliters per minute. That's certainly
5 less than 70 milliliters per minute.

6 Q And for the record, that excerpt is taken from
7 page 53 of the Klein thesis. All righty. Let's keep
8 going. Slide 155. The computer is configured to track a
9 volume of the rubidium radioactive eluate discharged from
10 the generator to the waste bottle.

11 Does the computer track a volume of eluate
12 discharge from the generator to the waste bottle in the
13 prior art?

14 A Yes, it does.

15 Q Please explain.

16 A During a flush run, he flushes all of the lines
17 in the system as well as 50 milliliters of flush of the
18 generator at 15 milliliters per minute. That flush of the
19 generator goes to the waste bottles.

20 Q So what's the quantity that is tracked by the
21 computer?

22 A 50 milliliters.

23 Q 5-0; is that correct?

24 A 5-0.

25 Q And that excerpt is taken from page 53?

1 A I'm sorry. I did not hear that.

2 Q Just for the record, that excerpt is taken from
3 page 53. The claim also talks about the computer being
4 configured to control the touch screen display to display a
5 user screen guiding the user to empty the waste bottle.

6 How does the prior art teach this subject
7 matter?

8 A Again, Klein provides guidance to replace some
9 of the consumables to the generator, but he also provides
10 direct guidance to present reminders to empty the waste
11 bottle. If the level switch is tripped, the current
12 elution continues to completion, but a new run is not
13 permitted. If a new run is attempted without emptying the
14 waste container, an error is produced and the elution does
15 not proceed until the waste container is emptied and the
16 elution is restarted.

17 Q And that excerpt is taken from page 45 of the
18 Klein thesis. Let's move forward, please. Okay. Did you
19 also see teachings of this subject matter in other
20 references?

21 A Yes. As we read before, the user is -- has to
22 be aware of the current use of the correct consumable, the
23 remaining amount, whether accessories might be used with
24 the device, how to assemble them and how to check the
25 correct functioning.

1 Q And what about Medrad? Why do you have Medrad
2 listed here?

3 A Medrad specifically has a reminder to empty the
4 waste bag.

5 Q Let's move forward, please. The computer is
6 also configured to track a volume of the saline remaining
7 in the saline reservoir and to alert the user via the
8 touchscreen display when the volume of the saline remaining
9 in that reservoir is below a predetermined volume
10 threshold. Again, walk us through your analysis of this
11 subject matter, please.

12 A Again, the same international standard calls for
13 the remaining amount of consumables to be made known and
14 how the user is to use them. And Medrad tracks the volume
15 of saline remaining in the saline reservoir and provides
16 alerts to replace it.

17 Q And we don't have it here, but did you also see
18 teachings of that subject matter in the Tate reference?

19 A Yes.

20 Q Let's move forward, please. All right. So we
21 are done with claim 24 and now we are on to claim 27. We
22 are on slide 158 of your presentation. This claim calls
23 for a dose calibrator located in the shielded well on board
24 the cart and in communication with the computer. Where is
25 the dose calibrator in the Klein system?

1 A In the Klein system, the dose calibrator was off
2 the cart, but it was in communication with the computer.

3 Q Does it have a dose calibrator located in the
4 shielded well?

5 A Yes, it is.

6 Q The next part of this claim says wherein the
7 dose calibrator is configured to determine the strontium
8 breakthrough test result. Why don't you explain how does
9 the dose calibrator participate in strontium breakthrough
10 test results?

11 A The dose calibrator acts, as we've described
12 previously, a sample of eluate is pumped into the
13 reservoir. The radiation levels are taken by the computer.
14 The computer waits, takes another radiation level and
15 computes the strontium breakthrough level.

16 Q All right. For the record, Dr. Stone was
17 pointing earlier to the dose calibrator shown on figure 2-2
18 on page 29 of the Klein thesis.

19 Let's move forward, please. Okay. We are at
20 slide 159. We are still talking about claim 27. It says
21 wherein the opening through the exterior shell configured
22 to provide access to the strontium-rubidium radioisotope
23 generator within the interior of the cabinet structure is
24 through the front side of the exterior shell. Did you see
25 this subject matter taught by the prior art?

1 A Yes.

2 Q Show me the front side opening, please.

3 A We have the front side opening of the cart in
4 the Klein thesis.

5 Q And does it provide access to the generator?

6 A Yes, it does.

7 Q All right. And is that generator inside the
8 interior space of the cabinet structure?

9 A Yes, it is.

10 Q All right. For the record, we were pointing to
11 the picture from page 34 of the Klein thesis. Let's move
12 forward, please.

13 So now we are at slide 160. We have an excerpt
14 again, the block diagram taken from figure 2-2 of the Klein
15 thesis on page 29. And we are talking about claim 8 of the
16 '869 patent. All right. It says the infusion system
17 comprises the generator with the inlet tubing port
18 configured to receive saline. Did you see this subject
19 matter taught by the prior art?

20 A Yes.

21 Q Show me.

22 A Here we have the generator configured to
23 receive --

24 Q Does it receive saline?

25 A Yes. It receives saline.

1 Q All right. So that's the -- thank you. Just
2 for the record, that's the hot pink coded stuff here on
3 slide 160 that connects the saline IV through the pump to
4 the generator.

5 The second part of this element says the
6 generator also has the outlet tubing port configured to
7 discharge the rubidium radioactive eluate. Why don't you
8 point that out to the Court.

9 A Here we have a discharge -- sorry, an outlet
10 tubing port that discharges the rubidium radioactive
11 eluate.

12 Q And for the record, that's the pink color-coded
13 extension from the generator to the input of the activity
14 detector shown here on slide 160.

15 Let's move forward please. Claim 28 also says
16 that the system comprises the eluate reservoir located in
17 the shielded well on board the cart and in fluid
18 communication with the eluate tubing line. Will you point
19 out the eluate reservoir for us in this drawing?

20 A Once again, the eluate reservoir is here in blue
21 in the shielded well off cart, but we've discussed how it's
22 obvious to relocate the dose calibrator on the cart.

23 Q So for the record, Dr. Stone is pointing to the
24 blue color-coded assembly that we have shown here on slide
25 161 and specifically to the inside of the box, let's say,

1 in the center top. We talked about on board the cart, off
2 the cart. Why don't you explain, how is this eluate
3 reservoir in fluid communication with the eluate tubing
4 line?

5 A From the outlet port of the generator through
6 the patient valve on to the eluate reservoir we have fluid
7 communication.

8 Q And so for the record, that's the tubing
9 extensions that we color coded between the generator that
10 was shown in red and the dose calibrator that's coded in
11 blue. The extension is hot -- coded in hot pink.

12 Okay. Let's move forward. We are at slide 162.
13 We are still talking about claim 28. The next element says
14 there is a waste tubing line in fluid communication with
15 the eluate tubing line and the waste bottle. Why don't you
16 point out where is the waste bottle in Klein?

17 A The waste bottle is here shown in green.

18 Q All right. And where is the waste tubing line
19 that's in fluid communication with the eluate tubing line?

20 A We have the waste tubing line in fluid
21 communication with the eluate tubing line.

22 Q Okay. And again, then for the record, that's
23 the extension that is coded in pink from the generator,
24 through the activity detector, through the patient valve
25 and to the green coded waste container. Let's move

1 forward, please.

2 Claim 28 says you've got to have a valve
3 configured to control fluid flow between the eluate tubing
4 line and the waste bottle via the waste tubing line.
5 Please show us how does the prior art teach this valve?

6 A Here we have the patient valve, which controls
7 the fluid flow between the eluate tubing line and the waste
8 bottle being in the waste line.

9 Q For the record, we are on slide 163. Dr. Stone
10 was pointing to the patient valve assembly that we've color
11 coded in orange. Let's move forward, please. Can we move
12 forward.

13 Now we are at slide 164. And we've moved to
14 claim 29 of the '869 patent. This claim says that the
15 infusion system has a computer that's configured to measure
16 an activity of the test sample filled into the eluate
17 reservoir in the shielded well on board the cart. Which
18 component in the Klein system measures activity of the test
19 sample in the eluate reservoir?

20 A The computer measures the activity.

21 Q Okay. Where is the activity?

22 A The activity is in the sample vial that is in
23 the dose calibrator.

24 Q Okay. Does the computer measure this activity
25 while the eluate reservoir remains in the shielded well on

1 board the cart?

2 A Remains in the shielded well. He doesn't have
3 it on board the cart. We've talked about how it's obvious
4 to relocate it for ergonomic and utility reasons.

5 Q The wherein clause of this first element says
6 that the activity is measured with the dose calibrator in
7 the shielded well on board the cart. How does the prior
8 art teach this subject matter?

9 A It's measured in the shielded well in the dose
10 calibrator. It's not on board the cart, but it's obvious
11 to relocate that on board the cart.

12 Q Let's move forward, please. Okay. This new
13 element says that the computer calibrates the infusion
14 system based on the activity measured by the dose
15 calibrator. Will you walk us through the calibration
16 operation?

17 A The Klein thesis he describes the calibration
18 run as flushing of the generator at 15 milliliters per
19 minute over 60 seconds into the dose calibrator.
20 Obviously, that's into the sample vial in the dose
21 calibrator.

22 Q And what is being calibrated?

23 A What is being calibrated in this case is the
24 activity detector that's on board the cart.

25 Q Okay. And -- go ahead. I'm sorry.

1 A The integral activity is recorded from the dose
2 calibrator and it's used to calibrate the activity counter
3 and verify that the calibration constant is within
4 tolerance from previous records.

5 Q And do you recall how we color coded the
6 activity counter in prior drawings?

7 A I believe that was in purple.

8 Q All right. Let's move forward. Okay. So now
9 we are at slide 166 and on claim 30 of the '869 patent.
10 Have we seen this subject matter of claim 30 earlier in
11 your testimony?

12 A Indeed we saw this in claim 3, and my analysis
13 of these elements remain the same as it was in claim 3.

14 Q All right. So can we just walk through them.
15 The cabinet structure element from claim 30, is it the same
16 as claim 3?

17 A Yes.

18 Q Let's move forward, please. The first opening,
19 are they recited the same in claim 30 and in claim 3?

20 A Yes.

21 Q Move forward, please. The second opening, are
22 they recited the same in claim 30 and claim 3?

23 A Yes.

24 Q Next element, first elevation with one foot and
25 two feet. Is the recitation the same between claim 30 and

1 claim 3?

2 A My analysis remains the same.

3 Q All right. And let's move forward. In the last
4 element, is that the same between these two claims?

5 A Yes.

6 Q All right. So that was slide 166. Let's move
7 forward, please. All right. So now we are at the '870
8 patent at slide 167. And if you would advance, now we are
9 at slide 168.

10 We've got to start all over again. We've got
11 claim 1, which is a method of using an infusion system on
12 board a cart to deliver a rubidium radioactive eluate.
13 Does Klein disclose an infusion system on board a cart to
14 deliver a rubidium radioactive eluate?

15 A Yes, he does.

16 Q All right. The first element says you've got to
17 install a saline reservoir on the infusion system. Does
18 the prior art teach this subject matter?

19 A Yes.

20 Q Can you point out the saline reservoir?

21 A Here is the saline reservoir.

22 Q For the record, Dr. Stone was pointing to the
23 illustration taken from page 34 of the Klein thesis, the
24 markings we have on slide 168 for the saline bag. The
25 wherein clause of this element says that the infusion

1 system comprises a platform and an exterior shell extending
2 upwardly above the platform. Did you see this subject
3 matter taught by the prior art?

4 A Yes, I did.

5 Q All right. Why don't you advance. I think it
6 would be easier. Why don't you point out where is the
7 platform and where is the exterior shell?

8 A As previously discussed, here is the platform.
9 Here is an exterior shell.

10 Q So for the record, Dr. Stone was pointing to the
11 annotations provided in yellow on the illustration taken
12 from page 34. Why don't you advance.

13 Claim 1 says wherein the platform and the
14 exterior shell collectively define an interior space of the
15 cabinet structure. Did you see an interior space disclosed
16 by the prior art?

17 A As we see here labelled an interior space in the
18 Klein cart.

19 Q And again, for the record, Dr. Klein -- wow --
20 Dr. Stone was pointing to the yellow annotations for the
21 interior space that are labelled here on slide 168.

22 All right. Let's keep going, please. Claim 1
23 says you've got to place the saline reservoir in fluid
24 communication through a saline tubing line with an inlet
25 tubing port of a strontium-rubidium radioisotope generator.

1 Does the prior art teach this subject matter?

2 A Yes. We still have the saline reservoir placed
3 in communication with an inlet tubing line the inlet port
4 of the strontium-rubidium generator.

5 Q So we are on slide 169. And for the record,
6 Dr. Stone was pointing to figure 2-2, the block diagram,
7 pointing out the saline IV in brown, the generator in red
8 and the tubing lines in pink that extend between them.

9 All right. We are up to the generator. That
10 generator, the claim says, must be located in a first
11 shielding compartment in the interior space of the cabinet
12 structure. How does the prior art teach that subject
13 matter?

14 A We've already discussed the shielded rings that
15 Klein utilized and it's in the interior space of the
16 cabinet. When I say utilized, in order to place the
17 shielded generator.

18 Q All right. Is the generator inside the cart?

19 A Yes, it is.

20 Q Move forward, please. We are at slide 170.

21 There is a wherein clause. The strontium-rubidium
22 radioisotope generator further comprises an outlet tubing
23 port configured to discharge the rubidium radioactive
24 eluate. Do you see an outlet tubing port?

25 A I do. Here it is located and it discharges the

1 rubidium radioactive eluate.

2 Q For the record, we are on slide 170. Dr. Stone
3 pointed out the pink extension, let's say, on the far
4 right-hand side of the excerpt from figure 2-2 of the Klein
5 thesis on page 29. Let's move forward, please.

6 Claim 1 says the first shielding compartment has
7 to have a first opening facing vertically upwardly. Do you
8 see that subject matter taught by the prior art?

9 A Yes.

10 Q What's the structure?

11 A The structure was with Klein, the generator was
12 placed in the cart surrounded by lead rings to provide
13 maximum radiation shielding that still faces vertically
14 upward. The shielded wells were also taught by Tate and
15 Medrad with openings that faced vertically up.

16 Q So that's slide 171. Let's keep going. Woops.
17 Let's not do that. And we move to the next slide. We are
18 at slide 172. Talking about claim 1 of the '870 patent.
19 The claim says inserting a waste bottle into a second
20 shielding compartment on board the cart. Show us how the
21 prior art teaches this subject matter, please.

22 A I indeed had a shielding compartment for a waste
23 bottle that was on board the cart.

24 Q Okay. The second shielding compartment on board
25 the cart has to have a second opening facing vertically

1 upwardly. How does the prior art teach this subject
2 matter?

3 A Klein had a lead container for the waste bottle
4 with a lid that faced vertically upward.

5 Q Okay. And then the last piece of this claim
6 element says that the -- I think the waste bottle opening
7 must be at a higher elevation than the first opening, which
8 is the one corresponding to the generator. And remind the
9 Court, how is this subject matter taught by the prior art?

10 A It would be obvious for ergonomic reasons to
11 place the generator at the lower level of the cart.

12 Q Okay. Let's move forward, please. We are at
13 slide 173. We have excerpts taken from both pages 34 and
14 the block diagram on page 29 of the Klein thesis. We are
15 talking about claim 1 and the claim element refers to
16 placing the waste bottle in fluid communication with the
17 outlet tubing port of the strontium-rubidium radioisotope
18 generator through an eluate tubing line. Please walk us
19 through the subject matter.

20 A We have the outlet tubing port in hot pink here
21 from the strontium generator through the patient valve
22 directed to the waste container in fluid communication.

23 Q And for the record, we are on slide 173.
24 Dr. Stone was pointing to the pink coded tubing lines on
25 the slide and also to the waste container coded in pink --

1 sorry, in green. Let's move forward, please.

2 Still on slide 173. Wherein a computer on board
3 the cart is configured to control the fluid communication
4 between the waste bottle and the outlet tubing port. First
5 of all, why don't you call out the computer for us.

6 A The computer is shown here in light green.

7 Q All right. So that's the green-coded computer
8 on figure 2-2. Please explain how does that computer
9 control the fluid communication between the waste bottle
10 and the outlet tubing port of the generator?

11 A Klein's thesis discloses an interface board that
12 is utilized to control the patient valve, which in turn
13 controls the communication between the generator and the
14 waste bottle.

15 Q All right. And for the record, Dr. Stone was
16 pointing to the patient valve that is coded in orange on
17 slide 173. All right. Let's move forward, please. A
18 wherein clause. Wherein the computer has a touchscreen
19 display. Does Klein disclose a computer with a touchscreen
20 display?

21 A He does over here.

22 Q That's -- for the record, Dr. Stone is pointing
23 to the photograph on slide 173 taken from page 34. Is that
24 computer mounted on a vertical post with a top end
25 extending above the cabinet structure?

1 A It is. Here is the top of the cabinet structure
2 and here is the vertical post.

3 Q All right. And again, for the record, we are
4 pointing to the excerpt taken from page 34 of RX-106.
5 Let's move forward please.

6 Claim 1 says we are inserting an eluate
7 reservoir in a shielded well on board the cart. Please
8 explain, how does the prior art teach that subject matter?

9 A Klein has the eluate reservoir in the shielded
10 well of the dose calibrator and we've talked about how that
11 would be obvious to relocate the dose calibrator and the
12 eluate reservoir on board the cart as disclosed and taught
13 by Tate and Medrad.

14 Q So that's slide 174. Let's keep going. We are
15 still on slide 174. The next element is placing the eluate
16 reservoir in fluid communication with the eluate tubing
17 line. Please explain, how does that eluate reservoir get
18 put in communication with the eluate tubing line?

19 A In this case, the computer in green once again
20 controls the patient valve to direct the fluid to the
21 patient line which now is connected to the eluate reservoir
22 and as we've said, that would be obvious to place it on
23 board the cart.

24 Q And just to knock this out, the computer is
25 configured to control the fluid communication between the

1 eluate reservoir and the eluate tubing line. Remind us how
2 does the computer control this?

3 A The computer controls it with software through
4 this computer control -- through this interface port to the
5 valve.

6 Q All right. And for the record, Dr. Stone is
7 pointing to the patient valve color coded in orange on
8 slide 174.

9 Okay. Let's move forward. We are on to slide
10 175. Claim 1 says you're pumping a -- sorry, you're
11 pumping a sample of the rubidium radioactive eluate into
12 the eluate reservoir in the shielded well on board the
13 cart. Why don't you show us the pumping.

14 A So here we have the computer controlling the
15 peristaltic pump which in turn pumps saline into the
16 generator. The generator has now the rubidium radioactive
17 eluate coming out going through the patient valve into the
18 reservoir, and we've discussed the on board the cart fairly
19 extensively.

20 Q Okay. For the record, we are -- Dr. Stone is
21 referring to color codings on slide 175 extending from the
22 pump through to the dose calibrator. Let's move forward,
23 please.

24 Claim 1 says there is measuring a radioactivity
25 of the sample of the rubidium radioactive eluate flowing

1 through the eluate tubing line with a radioactivity
2 detector on board the cart. Did you see the subject matter
3 in the prior art?

4 A Yes.

5 Q Would you point out the radioactivity detector?

6 A Here we have the radioactivity detector, which
7 is measuring the activity while the eluate is flowing
8 through tubing on its way to the reservoir.

9 Q For the record, we are on slide 176 and
10 Dr. Stone has called out the activity counter color coded
11 in purple and also the tubing line color coded in pink that
12 extends from the generator to the dose calibrator. All
13 right. Is that radioactivity detector on board the cart?

14 A Yes, it is.

15 Q All right. And does that radioactivity detector
16 do its job while the sample of the rubidium radioactive
17 eluate is flowing through the eluate tubing line?

18 A Yes, it does.

19 Q Let's move forward, please. All right. We are
20 on slide 177 still talking about claim 1 of the '870
21 patent. This claim element says that we are measuring a
22 calibration radioactivity of the sample pumped into the
23 eluate reservoir, and let's stop there.

24 How does this -- how does the prior art teach
25 measuring a calibration radioactivity of the sample?

1 A Klein teaches measuring the radioactivity of
2 that sample when it is first pumped into the eluate
3 reservoir.

4 Q All right. So that sample is pumped into the
5 eluate reservoir; is that right?

6 A That's correct.

7 Q Is it in the shielded well?

8 A It is.

9 Q All right. It's not on board the cart, but
10 we've discussed that, right?

11 A No.

12 Q Does that measuring occur while the eluate
13 reservoir remains in the shielded well?

14 A Yes. That's the way the system works.

15 Q All right. Let's move forward, please. All
16 right. We are on slide 178. We've got a comparison step.
17 This one is kind of wordy. All right. Let's look four
18 lines from the bottom. Do you see this?

19 A Yes.

20 Q All right. I'm going to try to walk you through
21 you this claim element. First, we are comparing, one, the
22 radioactivity of the sample of the rubidium radioactive
23 eluate flowing through the eluate tubing line measured by
24 the radioactivity detector on board the cart while the
25 sample of the rubidium radioactive eluate is flowing

1 through the eluate tubing line. That's one thing that we
2 are -- we've taken.

3 And then second, the claim says we are comparing
4 that with the calibration radioactivity of the sample
5 pumped into the eluate reservoir in the shielded well on
6 board the cart. Why don't you explain this to the Court.
7 How does the prior art teach this subject matter?

8 A Well, Klein discloses that flushing of the
9 generator into the dose calibrator and the integral
10 activity recorded from the dose calibrator while that is
11 done as the integral activity while it's flowing through
12 this line is used to calibrate the activity counter --
13 sorry, the integral activity and then the dose calibrator's
14 reading here is used to calibrate that activity counter and
15 verify the calibration constant is within tolerance from
16 previous readings.

17 Q All right. For the record, this slide 178
18 refers to an activity counter color coded in purple and a
19 dose calibrator color coded in blue taken from this block
20 diagram on page 29 of the Klein thesis. So am I correct
21 that the activity counter measures one radioactivity?

22 A Yes.

23 Q And the dose calibrator measures a second
24 radioactivity?

25 A That's correct.

1 Q And are they for the same sample of rubidium
2 eluate?

3 A Yes, they are.

4 Q All right. And who does the comparison of those
5 readings taken from those two components?

6 A The computer.

7 Q All right. Let's move forward, please. We are
8 at slide 179 still talking about claim 1, and this element
9 refers to determining a strontium breakthrough test result.
10 You've got to determine the strontium breakthrough test
11 result on the sample pumped into the eluate reservoir in
12 the shielded well on board the cart.

13 Again, walk us through, how does the prior art
14 show this subject matter?

15 A Well, again, Klein has the sample pumped into
16 the reservoir and radioactivity readings taken at the
17 appropriate times does the computation. It doesn't do it
18 on board the cart, but we've shown that it's obvious to
19 place it on board the cart.

20 Q All right. This claim element says that that
21 process occurs while the eluate reservoir remains in the
22 shielded well on board the cart. We've talked about the on
23 board the cart piece. But the rest of it, does this
24 process occur while the eluate reservoir remains in the
25 shielded well?

1 A Radioactivity readings are taken while the
2 eluate reservoir is in the shielded well of the dose
3 calibrator.

4 Q All right. Claim 1 has -- this element has a
5 wherein clause. The computer of the infusion system is
6 further configured to not allow a patient infusion if the
7 strontium breakthrough test result is greater than or equal
8 to an allowed limit. Again, please walk us through this
9 subject matter.

10 A Enablement of patient elutions is reset to not
11 being enabled each night at midnight and a daily protocol
12 must be completed in order to -- with a successful
13 breakthrough run measurement as it states here -- must be
14 completed in order to enable patient elutions for the
15 remainder of the day. This is done by the system. The
16 amount is utilized compared to strict Health Canada
17 guidelines and the data protocol computes that strontium
18 breakthrough in accordance with the formulas as we've
19 discussed previously.

20 Q Okay. Thank you. So for the record, we are on
21 page 179 of the presentation. And the excerpts from which
22 Dr. Stone was referring are pages 39, 43 and, in this case,
23 28 of the Klein thesis. Let's move forward, please.

24 All right. We are at slide 180. And now we get
25 to talk about claim 2 of the '870 patent. You have to

1 place the eluate tubing line in fluid communication with a
2 patient. Does that happen in the prior art?

3 A Yes, it does.

4 Q Where does the patient go?

5 A The patient line is here at the imaging system.
6 The patient line is placed in eluate -- I'm sorry, the
7 eluate tubing line is placed in fluid communication with
8 the patient by the patient valve of the system and that's
9 done -- controlled by the computer through the interface
10 board.

11 Q For the record, we have an excerpt here on slide
12 180 from page 29 of the Klein thesis. When Dr. Stone was
13 referring to the patient, he was referring to the thing
14 color coded in yellow. What did you call it? It's not a
15 person. What is that?

16 A I'm sorry.

17 Q What is the thing color coded in yellow?

18 A In yellow, that's the imaging system with the
19 patient lying on the tray there.

20 Q And Dr. Stone also referred to the tubing that
21 connects the generator that's coded in pink to that imaging
22 system. All right. And then I'm not sure the record is
23 clear, so let's go back through this piece. There's a
24 wherein clause here in claim 2 that says the computer is
25 further configured to control the fluid communication

1 between the eluate tubing line and the patient. Again,
2 walk us through how -- what are we seeing here on slide 180
3 showing?

4 A The first portion here is the eluate tubing line
5 and the valve -- the patient valve controlled by the
6 computer controls the flow to the patient.

7 Q All right. So for the record, Dr. Stone is
8 pointing to the patient valve that's color coded in orange
9 on slide 180.

10 A And I should have said the fluid communication
11 rather than the flow.

12 Q Let's keep going. All right. We are at slide
13 181 still talking about claim 2. The method includes
14 pumping a dose of the rubidium radioactive eluate to the
15 patient. Does that occur in the prior art?

16 A Yes, it does.

17 Q Tell us.

18 A We'll start with the computer which controls the
19 peristaltic pump taking saline from the bag in hot pink
20 line that goes on through the generator valve to the inlet
21 port of the generator eluating now rubidium chloride
22 through the outlet port of the generator through the -- or
23 sorry, through the activity detector through the patient
24 valve to the patient and that's the pumping action all
25 controlled by the computer.

1 Q All right. And for the record, slide 181 has
2 the same excerpt taken from page 29 of the client thesis,
3 and Dr. Stone was walking us through those components.
4 Let's go forward.

5 All right. Claim 2 says there is flushing of
6 the rubidium radioactive eluate remaining in at least a
7 portion of the eluate tubing line into the patient. So how
8 does Klein teach flushing eluate that remains in a tubing
9 line into the patient?

10 A As shown here in the diagram, when the
11 appropriate dose has been measured here at the activity
12 counter, this valve is -- sorry, this valve shifts now to
13 pump saline from this location on and flushes any remaining
14 radioactive eluate into the patient.

15 Q Okay. All right. So the system decides that
16 the patient has had enough. Is that really where we are
17 at?

18 A That's where we are at.

19 Q All right. And when that decision is made,
20 where is the remaining radioactivity that's relevant under
21 this claim?

22 A The radioactivity here, which hasn't been
23 measured yet, but measured activity is from this point on
24 to the patient.

25 Q All right. For the record, Dr. Stone is

1 pointing to the activity counter on the slide 182, which
2 probably is the only component not color coded for us.

3 Okay. So that's where the radioactivity occurs.
4 How does the system push that radioactivity into the
5 patient?

6 A By switching the inlet -- sorry, the saline
7 that's being pumped from the generator input inlet through
8 the bypass line and forcing any fluid remaining from this
9 point on on into the patient.

10 Q All right. So let's walk through the rest of
11 this claim to make sure that we've got it captured. That
12 flushing must occur by pumping saline from the saline
13 reservoir to the eluate tubing line through a bypass line.
14 Show us the bypass line, please.

15 A Bypass line is shown here.

16 Q All right. So for the record, Dr. Stone is
17 pointing to a pink color coded line that extends through
18 the orange generator valve. It is the top of the two lines
19 extending into the generator valve let's say.

20 All right. That bypass line has to bypass the
21 strontium-rubidium radioisotope generator. Does that occur
22 in the prior art?

23 A It does. Here is the generator. There is no
24 longer flow in this line. Instead, it bypasses the
25 generator and goes to the junction point just before the

1 activity counter.

2 Q And then the claim also says that the computer
3 is configured to control fluid communication via the bypass
4 line. Just remind the Court, how does the computer control
5 all this?

6 A The computer controls that by controlling this
7 valve through the interface board that's shown in orange.

8 Q All right. Thank you. So let's move forward,
9 please. So now we are on slide 183. We get to talk about
10 claim 8 of the '870 patent. Claim 8 says that the computer
11 of the infusion system is configured to present on the
12 touchscreen display a screen for starting the patient
13 infusion by touching a button on the touchscreen display.

14 Does the prior art teach this subject matter?

15 A Yes. Klein displays a screen with a button to
16 start the patient infusion. They are asked if they want to
17 start the constant activity elution here in this sample
18 screen and the patient must push on the button outlined in
19 yellow here the yes button in order to start that constant
20 activity elution.

21 Q All right. So for the record, there is a
22 screenshot illustrated here on slide 183 that's taken from
23 page 64 of the Klein thesis. And Murphy's Law, there's
24 probably like eight of those screenshots, but this one is
25 the one that's entitled "Start constant activity elution"

1 question mark on the green gray screen.

2 Let's move forward, please. All right. So
3 claim 8 also says that the computer is configured to
4 present on the touchscreen display a screen reminding the
5 user to insert the eluate reservoir in the shielded well on
6 board the cart. Please explain your analysis of this
7 element.

8 A Well, here we have one of the differences
9 between a prototype and a device that's configured for
10 operations. The system provides reminders in Klein to
11 operating personnel, but not for an eluate reservoir. But
12 we have pointed out that the -- that he does have warnings
13 and he has -- and we have other evidence that shows that
14 that would be obvious to do.

15 Q Let's go on to slide 184. Why don't you
16 advance, please, and now we get to go to slide 185. You
17 have an excerpt from this international standard. Is that
18 the subject matter that you're referring to?

19 A Yes. This is where the international standard
20 teaches that the user has to be aware of the correct
21 consumable, how to assemble them and how to check the
22 correct functioning. That vial is a consumable and its use
23 must be made known to the user.

24 Q I don't think we've shown the Court this picture
25 shown here on the lower left-hand side of slide 185. What

1 is that?

2 A That's a diagram from the Klein thesis that
3 shows the vial in the dose calibrator, which is itself a
4 shielded well, but it's also had additional shielding
5 surrounding it, though he's not showing the additional
6 shielding. He's showing that ion chamber that is a
7 shielded well for measurements.

8 Q Okay. So we've been using the word "eluate
9 reservoir." What's the eluate reservoir in Klein's system?

10 A Klein calls it a vial.

11 Q Let's move forward, please. We are at slide
12 186. Claim 8 further refers to a computer that is
13 configured to present on the touchscreen display a screen
14 indicating that the patient infusion is in process. Does
15 the prior art teach this subject matter?

16 A It does.

17 Q Why don't you walk us through it, please.

18 A So Klein's thesis states that the realtime
19 graphics display must include a system diagram with updated
20 information about the state of the system. This includes
21 the current activity rate reading, the flow rate, the valve
22 status, expected accumulated activity at the patient
23 outlet. In addition, progress bars must be included for
24 each stage of the elution so as to facilitate monitoring of
25 the system. And an emergency stop button must be enabled

1 throughout the elution and take immediate effect to bring
2 the system to the safe mode.

3 Q Okay. So do you think this disclosure teaches a
4 display screen that indicates a patient infusion is in
5 process?

6 A Yes.

7 Q All right. And then the wherein clause of this
8 element says that the screen indicating that the patient
9 infusion is in process displays a stop button to abort the
10 patient infusion. How does the prior art teach this
11 subject matter?

12 A Indeed, Klein shows a stop button for one of the
13 steps that's taking place as shown here on the diagram in
14 yellow.

15 Q All right. For the record, Dr. Stone is
16 pointing to the screenshot excerpted from page 64, the stop
17 button that is coded in yellow on slide 186 of the
18 presentation. All right. Let's move forward, please.

19 Claim 8 says that the computer of the infusion
20 system is configured to present on the touchscreen display
21 the strontium breakthrough test result. Do you see this
22 subject matter taught by the prior art?

23 A Yes.

24 Q Why don't you explain it to us.

25 A First of all, he says that a record is kept of

1 all the completed elutions for analysis and filing. But he
2 also states at the end of an elution, reports must be
3 generated based on the type of elution and its mode of
4 completion. In addition, a separate window must list a
5 comprehensive display of all statistics in addition to
6 activity curves relating to the activity rate and the
7 integrated activity at the patient outlet.

8 Q Okay.

9 A I believe it's obvious that strontium
10 breakthrough test results are a statistic that is related
11 to the elution.

12 Q So you think a person of skill would think that
13 strontium breakthrough test results are a relevant
14 statistic to the calibration run?

15 A Yes.

16 Q All right. Let's move forward. All right. So
17 we are on slide 188 and we are talking about claim 9 and
18 there are four elements listed here. The first one says
19 that there is logging into the computer by entering a user
20 login credential on the touchscreen display.

21 Please explain how the prior art teaches this
22 subject matter.

23 A As we've seen before, that the generator
24 information screen is displayed first and following there's
25 a prompt for number one, a user ID code, which is useful

1 for avoiding tampering by unauthorized personnel as well as
2 enabling test runs.

3 Q All right. So that excerpt is taken from page
4 63 of RX-106. The next element of claim 9 is entering a
5 patient ID on the touchscreen display. How does prior art
6 teach this subject matter?

7 A Again, from the Klein thesis, depending on the
8 chosen run type, the user is prompted for additional
9 information such as the patient ID number, number two.

10 Q All right. And that excerpt is from page 65 of
11 the Klein thesis. Sub-element 3 of claim 9 is entering a
12 patient dose on the touchscreen display. Is a patient dose
13 taught by the prior art?

14 A Continuing from that same location in Klein, it
15 also displays that a person can enter the dose activity,
16 number three.

17 Q All right. And the fourth element here in claim
18 9 is entering a flow rate on the touchscreen display. Does
19 the prior art teach this subject matter?

20 A Again, continuing from the same location, that
21 flow rate and priming of the patient line, etc., number
22 four as in the Klein thesis.

23 Q All right. So again, for the record, Dr. Stone
24 is referring to an excerpt taken from page 64 of the Klein
25 thesis.

1 All right. Let's move forward, please. We are
2 at slide 192 and we are talking about claim 10 of the '870
3 patent. Claim 10 refers to tracking a volume of saline
4 remaining in the saline reservoir. Have we seen this
5 element before?

6 A We have. The '869 patent claim 24 and my
7 analysis remains the same.

8 Q All right. Let's cycle forward. Claim 10
9 refers to providing an alert via the touchscreen display
10 when the volume of saline remaining in the saline reservoir
11 is below a predetermined volume threshold.

12 And actually let's move forward, please. Claim
13 10 also refers to presenting on the touchscreen display a
14 screen reminding the user to empty the waste bottle. These
15 three elements that are shown here on slide 192 for claim
16 10, where have we seen these elements described?

17 A Those are in the '869 patent claim 4 and my
18 analysis remains identical.

19 Q All right. Let's move forward, please. We are
20 at slide 193 and we're talking about claim 11. It says
21 that the method further comprises initiating a generator
22 column wash through the touchscreen display. Please
23 explain what does -- how does Klein describe a generator
24 column wash?

25 A Klein refers to that as a flush run that's

1 initiated from the user interface, and we see on the screen
2 a sample screen from the Klein thesis that shows how a
3 flush run is initiated.

4 Q All right. For the record, Dr. Stone is
5 referring to page 64, another one of these screenshots
6 taken from the client thesis. All right. So the flush
7 run, is it initiated from the user interface?

8 A Yes.

9 Q All right. Let's move forward. Claim 11 says
10 that a predetermined amount of saline is pumped through the
11 strontium-rubidium radioisotope generator and directed to
12 the waste bottle during the generator column wash. How
13 does Klein describe operation of the generator column wash?

14 A From the Klein thesis, we have that the flush
15 run flushes all the lines in the system as well as a 50
16 milliliter flush of the generator at 15 milliliters per
17 minute.

18 Q All right. And this excerpt that you're
19 referring to is taken from page 53 of the Klein thesis; is
20 that correct?

21 A That's correct.

22 Q Let's move forward, please. All right. We are
23 at slide 194 and we are still talking about claim 11 from
24 the '870 patent. And this new element refers to initiating
25 a purging process through the touchscreen display to purge

1 a patient tubing line of air. How does Klein describe
2 purging patient tubing lines of air?

3 A Well, once again, the same citation from Klein
4 that the flush run flushing all of the lines in the system
5 as well as 50 milliliter flush of the generator at 15
6 milliliters per minute, which ensures flushing of air
7 bubbles in the saline and strontium breakthrough from the
8 generator.

9 Q And the claim also refers to the patient tubing
10 line being in fluid communication with the eluate tubing
11 line. Did you see that subject matter taught by the prior
12 art?

13 A Yes.

14 Q All right. Let's move forward. We are at claim
15 12 of the '870 patent on slide 196 of the presentation.
16 The first element says that the saline tubing line and the
17 eluate tubing line are routed through tubing passageways.

18 Let's move forward, please. Claim 12 also says
19 that the tubing passageways have depths configured to
20 prevent pinching or crushing of the tubing lines routed
21 there through. Have we seen this subject matter before in
22 your analysis?

23 A Yes. We saw those elements in claim 4 of the
24 '869 patent and my analysis remains the same.

25 Q Let's move forward, please. We are at slide 198

1 and we are talking about claim 13 of the '870 patent which
2 refers to a handle and also to four wheels. Have we seen
3 this subject matter in your analysis already?

4 A My analysis of handles and wheels remains the
5 same as in the '869 patent claim 4.

6 Q Claim?

7 A 24.

8 Q Claim 24. Thank you. Let's move forward,
9 please. We are at slide 200. Talking about claim 16 of
10 the '870 patent. The infusion system further comprises a
11 dose calibrator in the shielded well on board the cart and
12 in communication with the computer to determine the
13 strontium breakthrough test result. Have we seen this
14 subject matter before in your analysis?

15 A Yes, we have.

16 Q Where?

17 A It's in the '869 patent claim 27. My analysis
18 remains the same.

19 Q Your analysis at claim 16 is the same as claim
20 27; is that correct?

21 A That's correct.

22 Q Let's move forward, please. All right. Now we
23 are at slide 202. We are talking about claim 17 of the
24 '870 patent and you have a comparison here between claim 17
25 and claim 3 of the '869 patent. Have we seen the elements

1 of claim 17 already in your analysis?

2 A We have. These were in the '869 patent claim 3.

3 Q All right. So let's just move forward. Would
4 you cycle through this to show the Court how they
5 correspond.

6 All right. And how does your analysis of claim
7 17 of the '870 patent correspond to your analysis of claim
8 3 from the '869 patent?

9 A My analysis of the '869 patent claim 3 remains
10 the same and it's the same analysis for claim 17.

11 Q Let's move forward, please. All right. We are
12 at slide 203 talking about claim 27 of the '870 patent.
13 I'm not sure we've seen this before. This one says the
14 computer of the infusion system is configured to track time
15 passed from the completion of pumping the sample of
16 rubidium radioactive eluate into the eluate reservoir to
17 determining the strontium breakthrough test result.

18 Please explain, how does the prior art teach
19 this subject matter?

20 A In Klein, we see here that the activity of the
21 dose calibrator is registered 30 minutes after the end of
22 the elution to compute the strontium-82 and strontium-85
23 breakthrough activity.

24 Q All right. So this excerpt is taken from page
25 28 of the RX-106. How much time does the computer track?

1 A The computer tracks 30 minutes. The computer
2 does the measurements. It does the tracking of time.

3 Q All right. Let's move forward, please. All
4 right. So we are at slide 204 and we get to shift to the
5 '826 patent. All right. And we are at slide 205. Thank
6 you.

7 All right. So let's talk about claim 1. Claim
8 1 talks about a method of building an infusion system to
9 deliver a rubidium radioactive eluate. Does Klein teach
10 how to build an infusion system to develop rubidium
11 radioactive eluate?

12 A He does.

13 Q All right. So let's move into the elements.
14 The first thing you've got to do is install a first
15 shielding compartment, a second shielding compartment, and
16 a shielded well on a platform of a cart. Walk us through
17 where are the first, second shielding compartments and
18 where is the shielded well that's relevant to this element?

19 A Klein has first shield -- Klein has a first
20 shielding compartment, a second shielding compartment. We
21 talked about the obviousness of placing this shielded well
22 for the dose calibrator on board the cart.

23 Q And for the record, Dr. Stone is pointing to,
24 again, this photograph taken from page 34 of the Klein
25 thesis and to the red, green and blue annotation provided

1 on slide 205.

2 Okay. So claim 1 has a couple of wherein
3 clauses. The first wherein clause is that the first
4 shielding compartment has a first opening facing vertically
5 upwardly. Have we encountered this before?

6 A We have. And we've explained that Klein has his
7 shielding compartments with openings facing vertically up.

8 Q And we've also discussed that with respect to
9 CardioGen and Tate; is that correct?

10 A That is correct.

11 Q The next part of the wherein clause is that the
12 first opening is configured for a strontium-rubidium
13 radioisotope generator to be inserted into and removed from
14 the first shielding compartment. How does the prior art
15 teach this subject matter?

16 A As we spoke previously, the generator was placed
17 in the cart surrounded by lead rings to provide maximum
18 radiation shielding. They had an opening facing vertically
19 upward through which the strontium-rubidium generator could
20 be inserted into and removed from that compartment.

21 Q All right. And the other prior art references
22 that we've discussed, the Tate, the Medrad and CardioGen,
23 did they have radiopharmaceuticals placed?

24 A They had radiopharmaceuticals. The source was
25 placed in shielded wells with vertically opening -- with

1 vertical openings facing upward.

2 Q All right. Let's move forward, please. We are
3 at slide 206. We are talking about the second shielding
4 compartment which is color coded and was color coded in
5 green in the prior slide. This one says also that that
6 shielding compartment must have a second opening facing
7 vertically upwardly. Have we discussed this in your
8 analysis already?

9 A We have.

10 Q All right. Again, explain how does the prior
11 art show this subject matter?

12 A We had a waste container mounted on the top
13 shelf inside a lead container with a lid.

14 Q All right. Claim 1 also refers to the second
15 opening being configured for a waste bottle this time to be
16 inserted into and removed from the second shielding
17 compartment. How does the prior art teach this?

18 A Well, again, we spoke of the waste container
19 shielding compartment that Klein has.

20 Q And then, I guess, the last part of this wherein
21 clause is that the first opening, that's the one for the
22 generator shield, is located at a lower elevation than the
23 second opening, which is the one for the waste bottle
24 shield. Why is this subject matter obvious over the prior
25 art?

1 A As we've spoken before, it would be obvious to
2 take the heavier objects that have to be handled by the
3 user and place them at the lower elevation than that second
4 opening that we dealt with.

5 Q Let's move forward, please. Now we are at slide
6 207 still talking about claim 1. This one says that the
7 shielded well is configured to receive an eluate reservoir
8 that is configured to receive a sample of the rubidium
9 radioactive eluate. Again, walk us through your analysis
10 of this piece.

11 A Again, we have the calibrator chamber that's a
12 shielded chamber located in a shielded well and inside is
13 the vial, the eluate reservoir that is configured to
14 receive a sample of the radioactive rubidium.

15 Q So for the record, Dr. Stone is pointing to the
16 excerpt at page 57 that we have here on slide 207 and to
17 the vial coded in blue and to -- would you call it a
18 doughnut assembly, the doughnut cylinder illustrated as the
19 calibrator chamber. All right. Let's move forward,
20 please.

21 All right. We are on slide 208. We are talking
22 about claim 1. And claim 1 starts -- this excerpt starts
23 off with configuring a computer with a touchscreen display
24 for the infusion system to do a bunch of stuff. Again,
25 remind the Court, does the prior art teach a computer with

1 a touchscreen display?

2 A Yes. Klein's thesis described a computer with a
3 touchscreen display.

4 Q All right. The first thing that the computer
5 must configure the system to do is to fill the eluate
6 reservoir in the shielded well on board the cart with the
7 sample of rubidium radioactive eluate. Remind the Court,
8 how does this happen?

9 A We've discussed this previously. The computer
10 controls -- the computer controls the peristaltic pump
11 which pumps saline through the generator to the eluate
12 reservoir. The only difference here is that it's not on
13 board the cart. And we talked about how it's obvious to
14 relocate the dose calibrator and the eluate reservoir on
15 board the cart that is taught by Tate and Medrad.

16 Q So for the benefit of the record, Dr. Stone is
17 referring to the color-coded elements here in this excerpt
18 from page 29 of the Klein thesis.

19 All right. You've got to fill the eluate
20 reservoir by pumping saline from the saline reservoir into
21 the strontium-rubidium radioisotope generator via a saline
22 tubing line. So just to be absolutely clear, where is the
23 saline tubing line?

24 A We have saline tubing lines going from here
25 through the generator.

1 Q Dr. Stone is referring to the pink color-coded
2 lines extending from the saline IV to the generator.

3 A That's correct. And that's controlled by the
4 computer.

5 Q It's got to thereby generate the rubidium
6 radioactive eluate that is discharged through an eluate
7 tubing line. So where is the rubidium radioactive eluate
8 discharged from?

9 A It's discharged from the discharge port through
10 the eluate tubing line.

11 Q All right. So for the record, Dr. Stone is
12 pointing to the red generator and to the pink line that
13 exits that generator and goes into the activity counter.

14 All right. Let's move forward, please.
15 Configuring the computer for the infusion system to
16 determine a strontium breakthrough test result on the
17 sample of the rubidium radioactive eluate built into the
18 eluate reservoir in the shielded well on board the cart.
19 Remind us, how did that occur in the prior art?

20 A The only missing element here is on board the
21 cart. We have -- in Tate, we have readings from the dose
22 calibrator taken by the computer, which also computes the
23 time and ultimately calculates the strontium breakthrough
24 test result while the sample remains in the eluate
25 reservoir in the shielded well.

1 Q All right. So you stole a little bit of my
2 thunder. But I want to make sure we've knocked out this
3 while clause at the bottom. So it must occur while the
4 eluate reservoir remains in the shielded well on board the
5 cart. And again, tell me the while.

6 A The while is the sample readings are taken by a
7 dose calibrator while it's in the shielded well.

8 Q Okay. And again, for the benefit of the record,
9 we are on slide 209 and Dr. Stone is testifying about the
10 blue color coded dose calibrator and the green color coded
11 computer and the communication between them.

12 Let's move forward, please. All right. So now
13 we are at slide 210. Claim 1 says you've got to configure
14 the computer for the infusion system to not allow a patient
15 infusion if the strontium breakthrough test result is
16 greater than or equal to an allowed limit. Again, remind
17 us how does this occur?

18 A The system ensures compliance with the daily
19 protocol, which includes a calibration run and successful
20 breakthrough in order to enable patient elution runs. The
21 amount is based on the strontium breakthrough activity
22 limited to the Health Canada guidelines, and only after a
23 calibration run with low strontium breakthrough has been
24 successfully completed can patient elutions be carried out.

25 Q All right. Let's move forward, please. That

1 was slide 210. And now we are on slide 211 and we have
2 claim 2 of the '826 patent. So claim 2 says we are
3 configuring the computer to measure a radioactivity of the
4 sample of the rubidium radioactive eluate while the sample
5 is flowing through the eluate tubing line to the eluate
6 tubing -- to the eluate reservoir. Excuse me. How does
7 the computer measure this radioactivity?

8 A The computer measures it by being in
9 communication with the activity counter which measures the
10 activity while the eluate is flowing through the tubing
11 line to the eluate reservoir.

12 Q All right. So we are on slide 211. And for the
13 record, Dr. Stone is referring to the purple coded activity
14 counter and the pink coded tubing lines.

15 Let's move forward, please. We are at slide
16 212. This refers to configuring the computer to measure a
17 calibration radioactivity of the sample while the sample
18 remains in the eluate reservoir in the shielded well on
19 board the cart. How does the computer measure a calibrator
20 radioactivity?

21 A When the sample is in the eluate reservoir where
22 it's first pumped in, the computer measures the activity,
23 receives that activity from the dose calibrator. The only
24 thing missing is on board the cart and we've shown how
25 that's obvious to place that on board the cart.

1 Q Let's move forward, please. All right. Claim 2
2 further refers to configuring the computer to compare the
3 radioactivity of the sample measured while flowing through
4 the eluate tubing line and compare that value with the
5 calibration radioactivity of the sample measured in the
6 eluate reservoir in the shielded well on board the cart.

7 So please explain how does this comparison occur
8 in the prior art?

9 A As we've discussed before, the integral activity
10 is used to calibrate the activity counter and verify the
11 calibration constant is within tolerance from the previous
12 records. Those activities are taken while the sample is
13 flowing through the dose -- the activity counter and the
14 activity that's read when the sample vial is filled.

15 Q So that's slide 213 and the excerpt that you're
16 referring to is taken from page 53 of RX-106. Let's move
17 forward, please.

18 JUDGE CHENEY: That's probably a good place to
19 take our morning break. We'll take 15 minutes. We are off
20 the record.

21 (Recess.)

22 JUDGE CHENEY: Let's go back on the record. We
23 are on the record in the 1110 investigation. Before the
24 break we were in the direct examination of Dr. Stone the
25 respondents' expert on the issues of patent validity.

1 Please continue when you're ready Mr. Hails.

2 MR. HAILS: Thank you, Your Honor.

3 BY MR. HAILS:

4 Q Before we broke we were on slide 215 of your
5 presentation. This shows claim three of the '826 patent
6 and the claim element refers to installing a dose
7 calibrator in the shielded well on board the cart with the
8 dose calibrator in communication with the computer to
9 measure the strontium breakthrough test result. Have we
10 seen this part of this claim earlier in your analysis?

11 A Yes. We saw that in the '869 patent claim 27.

12 Q And has your analysis of claim three of the '862
13 patent correspond with claim 27 of the '869 patent?

14 A It remains the same.

15 Q There is a second piece of this claim that says
16 the dose calibrator is not only to measure the
17 strontium-rubidium test result. It is also to measure the
18 calibration radioactivity of the sample pumped into the
19 eluate reservoir. Please explain how does this process
20 occur in the prior art?

21 A As we've disclosed previously, the calibration
22 radioactivity at the sample pump into the eluate reservoir
23 is measure by the dose calibrator as soon as the saline has
24 been pumped into the dose reservoir and is used for that
25 calibration factor.

1 Q And, again, what is the system calibrating?

2 A It's calibrating the activity counter on board
3 the cart.

4 Q Let the record reflect Dr. Stone is pointing,
5 has pointed to the blue dose calibrator color coded on
6 slide 215 and the communication connectivity between that
7 element and the computer shown in green. All right. Let's
8 move forward please all right. We just talked about a dose
9 calibration radioactivity, so why don't we move on from
10 slide 216. All right. Now we are at slide 218. Talking
11 about claim five of the '826 patent. This claim says
12 configuring the computer to allow a user to initiate a
13 purging process through the touch screen display to purge a
14 patient tubing line of air and that the tubing line is in
15 fluid communication with the eluate tubing line. Have we
16 seen this subject matter before in your analysis?

17 A Yes. We described our coverage of that in claim
18 11 of the '870 patent. My analysis remains the same.

19 Q So your analysis of claim five is the same as
20 your analysis of claim 11 of the '870, is that correct?

21 A That's correct.

22 Q Let's move forward, please. All right. Now we
23 are on slide 225 and we are talking about claim nine of the
24 '826 patent and you have a comparison here between claim
25 nine and claim eight of the '870 patent. This is the one

1 that talks about starting the patient infusion by touching
2 a button on the touch screen display --

3 And why don't you cycle through these elements.

4 How do the --

5 Keep going, please. And one more.

6 So how do the elements of claim nine from the
7 '826 patent compare to the elements of claim eight from the
8 '870 patent?

9 A Those are the same elements and my analysis of
10 claim eight, or sorry, of claim nine of the '826 is
11 identical to my analysis of claim nine of the '870.

12 Q You said claim nine of the '870 but just for the
13 record...

14 A Sorry. Claim eight of the '870.

15 Q That's all right. This is a toughy for us both.
16 Let's keep going. We are on slide 227 talking about claim
17 10 from the '826 patent. Have we seen the subject matter
18 of claim 10 already in your analysis?

19 A We have. Cycling through each of these
20 elements, these elements are identical to the elements of
21 claim nine of the '870 patent so my analysis of claim 10 of
22 the '826 patent is identical to my analysis of claim nine
23 of the '870 patent.

24 Q Thank you. That was slide 227 of your
25 presentation.

1 Can we move forward, please. All right. We are
2 at claim 11 of the '826 patent on slide 228.

3 This says configuring the computer to track time
4 passed from completion of pumping the sample of the
5 rubidium radioactive eluate into the eluate reservoir to
6 measuring the strontium breakthrough test result. Remind
7 the court, how does the computer track time between these
8 events?

9 A Indeed, as Klein states, the activity in the
10 dose calibrator is registered 30 minutes after the end of
11 the elution to compute the breakthrough of strontium-82 and
12 strontium-85 activity. Those measurements are done by the
13 computer and the tracking of the time is done by the
14 computer.

15 Q Okay. And so this excerpt on slide 228 is taken
16 from page 28 of RX-106. Again, how much time is tracked by
17 the computer?

18 A 30 minutes is tracked by the computer.

19 Q Let's move forward, please.

20 Claim 11 also says you got to track a volume of
21 saline remaining in the saline reservoir --

22 And will you advance, please.

23 You also have to provide an alert via the touch
24 screen display when the volume of saline in the saline
25 reservoir is below a predetermined volume threshold. Have

1 we seen this subject matter before in your analysis?

2 A We have in the '869 patent at claim 14. Those
3 elements are identical. And so my analysis of claim 11 of
4 the '826 is identical to my analysis of those elements in
5 claim 14 of the '869 patent.

6 Q Or at least with respect to these two newly
7 added elements, correct?

8 A With those elements, yes.

9 Q Let's move forward.

10 All right. So that was slide 232 and now we are
11 at slide 233 talking about the final two elements of claim
12 11 tracking a volume of the rubidium radioactive eluate
13 discharge from the generator to the waste bottle. Thank
14 you. And presenting the touch screen, on the touch screen
15 display a screen reminding the user to empty the waste
16 bottle. Have we seen this subject matter before in your
17 analysis?

18 A Yes. My analysis of those elements in claim 24
19 of the '869 patent are identical to these elements in claim
20 11 of the '826 patent.

21 Q All right. So let's move forward, please.

22 All right. So now we are at slide 237. We are
23 talking about claim 12 from the '826 patent. Let's cycle
24 through the elements of claim 12. Have we seen the
25 elements of claim 12 already in your analysis?

1 A Yes. Those elements were present in claim 11 of
2 the '870 patent.

3 Q Okay. And how does your analysis of claim 12
4 for the '826 compare to your analysis from claim 11 of the
5 '870?

6 A My analysis of claim 12 of the '826 remain
7 identical to the analysis of those elements in claim 11 of
8 the '870 patent.

9 Q So that's slide 237. Let's move forward,
10 please.

11 Claim 13 of the '826. I think we are talking
12 about tubing passageways again and perimeter surfaces of
13 openings and pinching and crushing of tubing lines. Have
14 we seen this subject matter of claim 13 before in your
15 analysis?

16 A Yes. We saw those elements in claim 4 of the
17 '869 patent. My analysis of these elements in claim 13 of
18 the '826 remains identical to my analysis of those elements
19 in claim four of the '869 patent.

20 Q Okay. So that's slide 240. Why don't we move
21 forward. So now we are at slide 241 and we are going to
22 talk about claim 14 of the '826. The first element is an
23 exterior shell extending upwardly above the platform
24 wherein the platform and the exterior shell collectively
25 define an interior space of a cabinet structure. Why don't

1 you just walk us through this. Have we seen this subject
2 matter before?

3 A We have indeed. Here we have the Klein -- here
4 we have the Klein thesis with a platform and exterior shell
5 on the platform.

6 Q And an anterior space, do we have an anterior
7 space?

8 A We have an anterior space.

9 Q All right.

10 A Similarly we have those elements in the Tate
11 disclosure, as well as in the CardioGen Model 510.

12 Q Let the record reflect that with respect to his
13 analysis of Klein he was pointing to the structures
14 illustrated in this picture taken from page 34 of the Klein
15 reference. All right. Let's move forward.

16 Handles and wheels. Have we seen this subject
17 matter before in your analysis?

18 A We covered this subject matter in the '870
19 patent claim 13 and my analysis of claim 14 of the '826
20 where those elements remains identical.

21 Q All right. So that's slide 244. Let's move
22 forward, please.

23 So now we are at slide 246. Talking about claim
24 17 of the '826 patent which refers to a dose calibrator in
25 the shielded well on board the cart. That's in

1 communication with the computer to measure the strontium
2 breakthrough test result. Have we seen this subject matter
3 before in your analysis?

4 A We have indeed. We've analyzed that as claim 27
5 of the '869 patent and that element, my analysis remains
6 the same for that element of claim 17 of the '826 patent.

7 Q All right. You said of claim -- which -- your
8 analysis of claim 17 is identical to your analysis of which
9 claim for the '869 patent?

10 A Claim 27.

11 Q All right. Let's move forward, please.

12 That was slide 246 and now we are up to 248.
13 Claim 18. Have we seen the subject matter of claim 18
14 before in your analysis?

15 A Yes. Each of these elements we saw previously
16 in claim three of the '869 patent. Those elements in claim
17 18 are analyzed in my analysis remains identical to my
18 analysis of the claim three of the '869 patent.

19 Q So just to be clear, your analysis of claim 18
20 for the '826 is identical to the analysis of claim three of
21 the '869. Is that what you meant?

22 A That is correct.

23 Q Let's move forward, please.

24 All right. Now we are at slide 249. This claim
25 is claim 19 from the '826 patent. It requires configuring

1 the computer to do a bunch of things. The first thing is
2 to control a fluid communication between the
3 strontium-rubidium radioisotope generator and the saline
4 reservoir. Please walk us through how does the prior art
5 teach this subject matter?

6 A The computer on the Klein thesis controls the
7 peristaltic pump which controls fluid communication between
8 the saline reservoir. It also controls the generator valve
9 to control fluid communication to the inlet of the
10 strontium-rubidium generator.

11 Q All right. For the record, Dr. Stone is
12 pointing to this excerpt taken from page 29 of Klein
13 referring to the blue color-coded peristaltic pump, the
14 orange color-coded generator valve and the connections
15 between the saline IV and the strontium-rubidium
16 radioisotope in red. Let's move forward.

17 Claim 19 says you got to configure the computer
18 to control a fluid communication between the eluate tubing
19 line and the eluate reservoir. How does the computer do
20 this?

21 A Well, the hot pink eluate tubing line is
22 configured to the fluid communication with the eluate
23 reservoir by the patient valve which is controlled by the
24 computer.

25 Q And just for the record, Dr. Stone is pointing

1 to the picture taken from page 29, the orange color-coded
2 patient valve. All right. Let's move forward, please.

3 The next piece of claim 29 is the computer is
4 configured to control a fluid communication between the
5 eluate tubing line and the waste bottle. Let's talk about
6 how this occurs.

7 A The eluate tubing line shown in pink is in fluid
8 communication with the waste bottle by the positioning of
9 the patient valve controlled by the computer.

10 Q All right. Let's move forward, please.

11 All right. There is two elements in claim 29
12 that are compared to '870, placing the eluate tubing line
13 in fluid communication with a patient, pumping a dose of
14 the rubidium radioactive eluate to the patient. Have we
15 seen this subject matter before?

16 A We have. In the '870 patent claim two we saw
17 those two elements and my analysis for claim 19 of -- those
18 two elements of claim 19 of the '826 is identical to my
19 analysis of the -- those two elements in the '870 patent,
20 claim two.

21 Q All right. And then let's move forward, please.

22 Claim 19 also says you got to flush the rubidium
23 radioactive eluate remaining in a portion of the eluate
24 tubing line to the patient using this bypass line. Have we
25 seen this subject matter before?

1 A Yes. We saw that element in claim two of the
2 '870 patent and so my analysis of this element for claim 19
3 of the '826 is identical to my analysis for claim two of
4 that element. That element of claim two in the '870
5 patent.

6 Q Let's move forward, please.

7 All right. We are at slide 258 and we are
8 talking about claim 28 from the '826 patent. Talks about
9 initiating a column wash through the touch screen display.
10 Also talks about a predetermined amount of saline being
11 pumped through the generator and directed to the waste
12 bottle during the generator column wash. Have we seen this
13 subject matter before?

14 A We have. We covered those two elements in the
15 '870 patent claim 11 so my analysis of these two elements
16 in claim 28 of the '826 is identical to my analysis of
17 those elements in claim 11 of the '870 patent.

18 Q All right. Let's move forward, please.

19 All right, Your Honor, I'd like to run through
20 the Medrad documents, please.

21 JUDGE CHENEY: Okay. So there has been some
22 discussion about what is public about the Medrad prior art,
23 including dates of sale and things like that. What exactly
24 are you going to be getting into now that has a third-party
25 assertion of confidentiality?

1 MR. HAILS: So to my understanding, all of these
2 documents have third-party claims of confidentiality and I
3 recognize they are untested. We are going to go through
4 the sales documents and documents showing dates of
5 installation and location of installation and we are going
6 to go through user manuals to essentially confirm the
7 analysis that Dr. Stone has provided earlier, those yellow
8 redaction bubbles.

9 JUDGE CHENEY: Are you going to call any
10 witnesses about these documents?

11 MR. HAILS: We have a declaration from a Medrad,
12 I guess a Bayer person, attesting to these documents that's
13 included.

█ [REDACTED]

█ [REDACTED]

█ [REDACTED]

█ [REDACTED]

█ [REDACTED]

█ [REDACTED]

█ [REDACTED]

20
21
22
23
24
25

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED] Your Honor, we can go back
6 to the public record. Thank you.

7 JUDGE CHENEY: We are back on the public record.

8 (End of confidential session.)

9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 OPEN SESSION CONTINUED

2 BY MR. HAILS:

3 Q Okay. Now let's talk about your anticipation
4 opinions. Can we move forward, please. And let's start
5 with slide 69. So earlier, I had asked you if you believed
6 that the June 2009 date that you had used for priority for
7 your obvious analysis, whether that was the proper priority
8 date. Do you remember that?

9 A I do.

10 Q Okay. And what was your answer?

11 A I don't believe that that's the proper priority
12 date.

13 Q Okay. And what priority date do you believe is
14 proper under the circumstances of these patents?

15 A I believe no earlier than March of 2017 is the
16 proper priority date to use for these patents.

17 Q Okay. Let's say it was March 2017. What does
18 that mean for the status of the RUBY product, particularly
19 the user manual as against these claims?

20 A It means that the RUBY product was priority, is
21 prior art for those patents and invalidates them.

22 Q Okay. Why do you think that the priority date
23 does not extend backwards to June 2009?

24 A Because there is no evidence that those
25 inventors had possession of the claims that are in those

1 patents.

2 Q All right. Let's move forward, please.

3 We are jumping to slide 35 of your presentation.
4 And here you have another timeline. Will you walk us
5 through this material.

6 A All right. First of all, in 2008 we have four
7 patents that were filed by Bracco and its
8 contractor/employees. And in 2009 we have the June of 2009
9 we have the PCT application which enters the United States
10 which for the first time claims an on board -- sorry, it
11 doesn't claim, it discloses an on-board dose calibrator and
12 then we have the U.S. patent for that in 2010.

13 Q Okay. And so those are not the asserted
14 patents, is that correct?

15 A Those are not the asserted patents.

16 Q All right. So when were the asserted patents
17 filed?

18 A In 2016 after Bracco obtained the user manual
19 for the FDA approved RUBY-FILL product, the patents were --
20 the '869 was filed and then in 2017 the '826 and the '870
21 patents.

22 JUDGE CHENEY: I'm sorry to interrupt.
23 Dr. Stone, is your microphone on?

24 THE WITNESS: I'm sorry. Yes, Your Honor.

25 JUDGE CHENEY: You can move it like this.

1 THE WITNESS: I have some water here that I
2 spilled, Your Honor. I'm good. Thank you. Paper towels
3 would be nice.

4 JUDGE CHENEY: Will someone please assist
5 Dr. Stone. There is no need to suffer in silence. We have
6 so many resources available to us.

7 THE WITNESS: Thank you. I'm prepared, Your
8 Honor.

9 JUDGE CHENEY: Okay. Thanks.

10 BY MR. HAILS:

11 Q All right. So let's -- let's talk about
12 foundation. Do you have a binder that contains the
13 materials that form the foundation of your opinions on this
14 priority issue?

15 A Yes.

16 Q All right. Can you pull it out for me. All
17 right. Just administrative note, rather than provide the
18 entireties of prosecution histories we have excerpts for
19 certain exhibits but let me run through this list and see
20 in my list is the same as yours.

21 Ready?

22 A Yes.

23 Q First of all, JX-1, 2 and 3, those are the
24 asserted patents?

25 A Yes.

1 Q JX-4, pages 18 through 25?
2 A Yes.
3 Q JX-5 and JX-6?
4 A Yes.
5 Q All right. JX-26, pages 1535 through 1543?
6 A Yes.
7 Q JX-63, pages 2105 through 2110.
8 A Yes.
9 Q All right. And then a couple excerpts from
10 JX-64, page 2347. Also page 2, 351 through 2538.
11 A Yes.
12 Q All right. Two excerpts from JX-65, the first
13 one from 1498 through 1525.
14 A Yes.
15 Q The second one from pages 1738 through 1747.
16 A Yes.
17 Q I think this is three excerpts from CX-169.
18 Pages 21 and 24.
19 A Yes.
20 Q 2940?
21 A Yes.
22 Q 2948 through 2958?
23 A Yes.
24 Q All right. And RX-373?
25 A Yes.

1 Q All right. So those documents form the
2 foundation of your opinions, is that correct?

3 A Yes. They do.

4 Q All right. All right. So we've talked about
5 the filing of the asserted patents. The asserted patents
6 were filed in 2016 and 2017 respectively, is that right?

7 A That is correct.

8 Q All right. And what was the trigger event for
9 the filing of these applications?

10 A The triggering event was when Bracco obtained
11 the user manual for the RUBY-FILL Version 3.

12 Q All right. Let's move forward, please.

13 All right. And that is what you've shown here.
14 Let's move forward, please. Okay. So let's start back in
15 2008. Why don't you walk us through what's the same and
16 what's different about these 2008 filings.

17 A What's the same about these 2008 filings is the
18 disclosure. What is different are two factors. There are
19 different inventors for different claim elements that are
20 asserted. The tubing circuits we have one set of
21 inventors. The shielding assembly is still another set of
22 inventors, at least, we add two more inventors there. The
23 cabinet structure still a slightly different set of
24 inventors and then finally we have computer controls which
25 has a very different set of inventors.

1 Q Okay. So all of these patents were filed as
2 applications on the same day, is that correct?

3 A That's my understanding.

4 Q Okay. And did you say that they all had the
5 same disclosure?

6 A Yes.

7 Q Okay. But you said that the claims were
8 different. Again, just walk us through how are the claims
9 different among these four cases?

10 A The '534 relates to tubing circuits. The '674
11 relates to shielding assemblies. The '352 claims relate to
12 cabinet structure and the '053 patent relates to computer
13 controls of the process.

14 Q Okay. And the different patents have different
15 sets of inventors, is that correct?

16 A That is correct.

17 Q All right. Let's move forward, please.

18 Okay. So now we are on slide 52 and you have
19 the PCT application shown which was filed in 2009. Why
20 don't you explain to the Court what is the claim and who
21 are the named inventors on this?

22 A Well, this disclosure for the first time adds
23 computer controls. It's very similar disclosure with a
24 couple of lines added with regard to computer control and
25 it has the same inventors, most of the same inventors that

1 were on the '053 but it adds a couple.

2 Q All right. Let's move forward, please.

3 So now we are at slide 59. Here you have
4 comparison of the inventorship, is that correct?

5 A That's correct.

6 Q Why don't you just summarize for the Court when
7 you're talking about claims to computer controls, what kind
8 of subject matter do you see in these claims?

9 A Well, they claimed pumping an eluate through a
10 generator could generate an eluate. They claimed providing
11 indication that the elution is completed, providing
12 indication of time lapse since completion, since the
13 elution completion was completed. But there are no claim
14 elements that are drawn to shielding structures or cabinet
15 structures in either of those two patents.

16 Q All right. So just to make sure the record is
17 clear, the '053 element doesn't have any claim elements
18 drawn to shielding structures?

19 A No.

20 Q And does the '053 have any claims directed to
21 the elements of the cabinet structure?

22 A No.

23 Q The PCT application, when it was filed did it
24 have any claim elements drawn to shielding structures?

25 A No.

1 Q And did the PCT application when it was filed
2 have any claims directed to elements of a cabinet
3 structure?

4 A No. It did not.

5 Q All right. Let's move forward.

6 All right. Slide 53. You have the asserted
7 claims and we've been through them. What kinds of claim
8 elements do we see in the asserted claims?

9 A We see not only computer controls but now we see
10 also claim elements directed towards shielding assemblies
11 and to cart configurations.

12 Q All right. Let's move forward, please.

13 What do we see by way of inventorship for the
14 asserted patents?

15 A We see inventors claimed who were the inventors
16 for the PCT filing.

17 Q All right. Let's move forward, please.

18 So now we are at slide 54. And you have the
19 '674 patent shown here on the left. Why don't you give us
20 a sense of what kinds of claim elements do we see for the
21 shielding assembly claims from the '674 patent?

22 A Well, a couple of key elements are that they
23 talk about openings for a generator compartment and a waste
24 bottle compartment that are being oriented upward, openings
25 for a second waste bottle and a compartment at a second

1 elevation being greater than the first elevation of the
2 opening.

3 Q Okay. Let's move forward.

4 Okay. So the '674 patent when it was filed in
5 2008 and it had these claim elements, did it identify any
6 of the people who are identified as named inventors on the
7 asserted claims as inventors for the '674 application?

8 A Now, the inventors of those elements in the
9 asserted claims were -- the only ones that had those
10 elements previously were from the '674 patent and that was
11 Charles Quirico, Ernest Balestracii, Daniel Dorst, Eric
12 Krause, Vishal Lokhande, Jacob Childs, Peter Madson, Daniel
13 Clements. It does not include the -- none of those were
14 included in the '674 patent that are on the later patent,
15 Stephen Hidem, Aaron Fontaine, Janet Gelbach, Patrick
16 McDonald, Kathryn Hunter, Rolf Swenson, or Jules Szoda.

17 Q Let's move forward, please.

18 So now we are at slide 57. Do we see claim
19 elements that were filed in the patent in 2008 in the
20 asserted claims that were filed in the 2016 -2017 time
21 frame?

22 A Yes. We do. These new patents have some of the
23 same claim elements. They have a shielding compartment
24 that's opening facing vertically upwardly. Again, second
25 shielding compartment with an opening facing vertically

1 upward and different locations. The first opening is
2 located at a lower elevation than the second opening.

3 Q Okay. Let's move forward.

4 Again, any overlap between the inventorship
5 between those sets of patent filings?

6 A Between those claim elements, no.

7 Q Okay. Let's move forward, please.

8 So now we are at slide 62 of your analysis. In
9 this investigation was Bracco requested to provide
10 information on contribution of the various inventors of
11 these patent filings?

12 A Yes.

13 Q And what information do they provide on the
14 inventive contributions of the people who are identified as
15 inventors on the asserted patents?

16 A They had no information what these individuals
17 may have contributed to the claimed invention.

18 Q Okay. So did Bracco have any information on
19 what Mr. Hidem provided?

20 A No.

21 Q Did they have any information on what
22 Mr. Fontaine provided?

23 A No.

24 Q Mr. McDonald?

25 A No.

1 Q Ms. Hunter?

2 A No.

3 Q Any information on what Mr. Swenson may have
4 provided?

5 A No.

6 Q What about Dr. Zodda?

7 A None.

8 Q But you have an exception here for Janet
9 Gelbach. What information did Bracco provide on her
10 contributions?

11 A Mr. LaVanway thought she might have proposed the
12 idea of an on-board dose calibrator.

13 Q What is Mr. LaVanway?

14 A Mr. LaVanway is the patent attorney who wrote
15 these claims and filed the patent.

16 Q Let's move forward, please.

17 Okay. So now we are on slide 63. Was Bracco
18 asked to provide information on inventive contributions
19 that may have been made by the named inventors of the '674
20 patent?

21 A Yes. They were.

22 Q And what did they identify as the contributions
23 made by these individuals?

24 A They had no information on what they may have
25 contributed to the claimed invention.

1 Q So does Bracco have any information on what
2 Mr. Quirico may have done, Mr. Balestracii may have done,
3 Mr. Dorst may have done, what Mr. Krause may have done,
4 what Mr. Lokhande may have done, what Mr. Childs may have
5 done, what Mr. Madson may have done or what Mr. Clements
6 may have done?

7 A No.

8 Q Okay. Earlier you said that Bracco had gotten a
9 copy of JDI's product literature and drafted the asserted
10 claims. Are you familiar with that?

11 A Yes.

12 Q Okay. Was Bracco asked if they targeted JDI
13 specifically with those asserted claims?

14 A Yes.

15 Q And what did they say?

16 A Yes.

17 Q And who was the person who was testifying on
18 such issues?

19 A Mr. LaVanway.

20 Q Who was the person who drafted the asserted
21 claims for filing at the Patent Office?

22 A Mr. LaVanway.

23 Q Okay. And who actually filed them at the Patent
24 Office?

25 A It's my understanding it was Mr. LaVanway.

1 Q And who testified he had no information
2 regarding any inventive contributions made by the named
3 inventors on the asserted patents?

4 A Mr. LaVanway.

5 Q Let's move forward, please.

6 We are at slide 68. So let's say you file a
7 patent application and you claim priority back to a prior
8 application and you have no idea what the named inventors
9 on your new filing may have contributed to the subject
10 matter that you've claimed. Do you have an understanding
11 of what that affect is for priority date?

12 A It means you really can't claim that as a
13 priority date.

14 Q Okay. And so is priority date, are priority
15 date claims effective in that circumstance?

16 A No. They are not.

17 Q Okay. And if a priority date made by the
18 asserted patents in this case were ineffective then what is
19 the priority date that should be assigned to those patents?

20 A Priority date is when the claimed inventors
21 actually sign a declaration that they were in possession of
22 the invention.

23 Q Okay. The date that you've ascribed, does that
24 predate or does that postdate Bracco's acquisition of the
25 RUBY-FILL product materials that they removed?

1 A It postdates it.

2 Q And you're aware of the analysis that's been
3 performed in this investigation already, the final
4 determination that the asserted claims read on the RUBY
5 products?

6 A Yes.

7 Q Okay. So are you aware that it's been decided
8 already that there is element-for-element correspondence
9 between the asserted claims and the RUBY product?

10 A I have.

11 Q And if RUBY is prior art to the asserted claims,
12 what does that mean?

13 A It invalidates these patents.

14 Q Thank you. Let me --

15 MR. HAILS: Your Honor, thank you. We pass the
16 witness.

17 JUDGE CHENEY: Is there any cross-examination of
18 Dr. Stone?

19 MR. DAVIS: Yes, Your Honor. If we could take a
20 minute to pass out the binders.

21 JUDGE CHENEY: While the binders are being
22 passed out I'm concerned about completing this hearing on
23 time. So it seems like time estimates are getting blown
24 through.

25 MR. WALKER: Given where we are, I think I'd

1 probably go ahead and provide -- I think we are going to go
2 ahead and probably drop Dr. Clark. Mr. Clark. Forgive me.
3 So I just want to let the other side know that, given where
4 we are right now.

5 JUDGE CHENEY: Okay.

6 MR. DAVIS: Your Honor, we believe we can still
7 meet our time constraints.

8 JUDGE CHENEY: Okay. Please proceed when you're
9 ready, Mr. Davis.

10 CROSS-EXAMINATION

11 BY MR. DAVIS:

12 Q Thank you, Your Honor. Good morning still. I'm
13 Mark Davis. I'm one of the attorneys who represents the
14 complainant Bracco.

15 A Good morning.

16 Q Now, in opining that the RUBY-FILL Version 3
17 anticipates the claims of the asserted patents, you rely on
18 a priority date of no earlier than September 30th, 2016,
19 correct?

20 A That is correct.

21 Q Okay. But you can see that the specification of
22 the parent '031 application filed on June 11th, 2009,
23 discloses, among other things, a dose calibrator, an eluate
24 reservoir and shielded well, right?

25 A I concede that.

1 Q Okay. And the '031 application is a parent to
2 the asserted patent, correct?

3 A I'm sorry. You'll have to show me which one the
4 '031 is. I don't keep them all memorized.

5 Q Okay. So why don't we pull up JX-1 and the
6 related U.S. application data, page 2 of the patent. So do
7 you see here where it mentions the continuation of
8 application 12/808,467 filed as application number
9 PCT/US2009/047031 on June 11th, 2009, now patent number
10 9,607,722?

11 A Thank you. I recognize that now. Now what was
12 your question.

13 Q Yes. So that's the parent application to the
14 asserted patents, correct? That's a parent to not only
15 JX-1, but JX-2 and JX-3?

16 A The '031 and the '722. Yes.

17 Q Okay. And the disclosure of the parent '031
18 application, that disclosures are repeated in the
19 specification of the asserted patents, correct?

20 A Yes.

21 Q Okay. And you did not offer an opinion that any
22 of the asserted claims failed to satisfy the written
23 description requirement, correct?

24 A I did not offer such an opinion. That's
25 correct.

1 Q Okay. And you didn't offer an opinion that any
2 of the asserted claims are not enabled by the disclosure of
3 the '031 application?

4 A I did not discuss any of them.

5 Q And now in your initial report, you have a
6 section regarding the applicable law regarding written
7 description and priority dates, correct?

8 A I believe that's correct.

9 Q So that's page 21 of your initial report. And
10 at paragraph 51, you state I understand that all patent
11 applications must contain a written description of the
12 invention claimed by the application. The written
13 description requirement has two primary elements, the
14 specification must not only describe the subject matter
15 claimed by the patent, it must, it also must describe the
16 claimed subject matter in a manner that demonstrates the
17 attorneys had possession of the claimed subject matter.
18 Possession of the invention is demonstrated when the
19 subject matter of the invention is described in a manner
20 that conveys to the reader that the inventors recognized
21 the claimed subject matter of their invention. So that's
22 what you were instructed with regard to your written
23 description, correct?

24 A I see that.

25 Q Okay. Now let's go to paragraph 52. Now, in

1 523 of your report, you state that I understand that, to
2 benefit from priority of an earlier-filed application, a
3 patent must claim priority to the earlier-claimed
4 application and that earlier-filed application must satisfy
5 the written description requirement. Correct?

6 A I see that.

7 Q Okay. Now, you agree, we just showed that the
8 patents in suit all claim priority back to the '031
9 application, correct?

10 A I see that.

11 Q All right. And in your deposition, you admitted
12 that if priority of the claims is based on what is
13 disclosed in the specification then the priority date is no
14 earlier than 2009, as opposed to 2016, correct?

15 A I didn't say it was as opposed to. I believe I
16 said that it was no earlier than 2009.

17 Q Okay. So if you base priority on what's
18 disclosed in the specification then you have a 2009
19 priority date?

20 A If I'm basing my analysis on obviousness, I used
21 2009.

22 Q Okay. Well let's look at your deposition. This
23 is page 187, line 19 to 188, line 1. All right. And you
24 say based on inventorship and when it was filed, it can be
25 no earlier than 2007. Based on the disclosure when the

1 material was first added, it could be no earlier than 2009.

2 Correct?

3 A That's what I said.

4 Q Okay. So the written description requirement
5 and the priority -- I'm sorry. Let me restate that
6 question. So you indicated that your understanding of
7 priority law was that you needed to claim priority and you
8 needed to disclose the subject matter in the specification,
9 and you admit that the subject matter claimed in the
10 asserted patents is disclosed in the specification,
11 correct?

12 A Again, with regard to obviousness, I utilized
13 the date of June 2009. That's not the only thing with
14 regard to priority that I did an analysis on. I also did
15 an analysis based on inventorship.

16 Q Okay. So my question was, was the subject
17 matter of the claim disclosed in the specification?

18 A As we've acknowledged the subject matter, the
19 idea of the -- the idea was disclosed but not claimed
20 previously.

21 Q Okay. Let's turn to inventorship. You read the
22 transcript of Janet Gelbach's deposition, correct?

23 A I did.

24 Q And she testified that she has no reason to
25 believe the incorrect inventors are listed on the asserted

1 patents, correct?

2 A She may very well have.

3 Q Okay. Well, let's pull it up. It's JX-176 at
4 page 85. Now, Exhibits 4, 5, 6 and 7 we are talking about
5 the inventorship of various patents. Do you have any
6 reason to believe that the incorrect inventors are listed
7 on any of those?

8 A No.

9 Q So she didn't think there was a problem with
10 inventorship for the asserted patents, correct?

11 A She did not.

12 Q And you read her testimony that she was one of
13 the people who conceived of putting the dose calibrator on
14 board the cart, correct?

15 A I believe she said that it was a joint decision,
16 but she knew of a dose calibrator being on a cart at the
17 time of this disclosure.

18 Q Okay. And she said that she was part of the
19 team that contributed that idea and that contribution was
20 back at the time when she was in Bracco working on the new
21 design in this 2006 to 2009 time period, right?

22 A She indeed brought that idea to the design team
23 but at the time they didn't claim it as a patent and I
24 don't think they recognized it as such. I don't think they
25 -- it was already in commerce at that time.

1 Q Okay. Well, let's look at your deposition.
2 140, line 23 to 141, 25. I think admitted a little bit
3 more forcefully that Janet Gelbach contributed to the
4 on-board dose calibrator. Do you see where the question
5 is? So it seems as though we've established your opinion
6 that Ms. Gelbach contributed to the on-board dose
7 calibrator. She was named on the 2008 application, and
8 then also all the way through to the asserted patents which
9 claim the on-board dose calibrator, right? Answer. I
10 believe that is correct that she is the only one. Was that
11 your testimony?

12 A That was my testimony.

13 Q Do you still believe it to be true?

14 A She brought the idea. It was not recognized as
15 an invention until after Jubilant had their product on the
16 market. It was an idea. It was a part of a product, not a
17 claimed invention.

18 Q Right. And it was disclosed in the
19 specification in 2009, correct?

20 A The idea was indeed disclosed in the
21 specification.

22 Q And again, she got this idea while working at
23 Bracco before she went to JDI?

24 A She got this idea, as she stated, looking at and
25 having seen an on-board dose calibrator in commerce, that's

1 correct, that was while she was working at JDI -- I'm
2 sorry, Bracco.

3 Q Did you read her testimony also that the only
4 reason that the design team not to -- decided not to
5 incorporate the on-board dose calibrator was for
6 cost-saving purposes?

7 A I saw that.

8 Q Now, in forming your opinion regarding
9 inventorship, you did not make a determination of what each
10 inventor contributed, correct?

11 A No. I did not.

12 Q All right. And beyond the fact that Janet
13 Gelbach contributed the idea of the on-board dose
14 calibrator, you had no information that links various claim
15 elements to various inventors, correct?

16 A No. The only thing we could look at was what
17 had been disclosed and who were the claimed inventors of
18 those elements in the previous patents and those were not
19 the claimed inventors of the patents that are in suit now.

20 Q And just to be clear, in your deposition you
21 stated that your opinion was not that the named inventors
22 should not have been named, but rather you thought that
23 additional people should have been added as inventors,
24 correct?

25 A I think the correct inventors are not the ones

1 that were named.

2 Q Okay. But I just want to clarify for the record
3 who you think is wrongly named. At your deposition you
4 said you didn't think anybody listed on the patent should
5 not have been listed on the patent, correct?

6 A That's correct.

7 Q All right. So we are talking about people named
8 on other patents you think should have been added as
9 additional inventors?

10 A We are talking about people who actually claimed
11 that they invented certain claim elements that were left
12 off the patent.

13 Q And you haven't identified any motivation by
14 Bracco for purposely leaving any inventors off, correct?

15 A I would have to speculate with regard to any
16 motivations.

17 Q Right. And indeed on the named -- on the
18 asserted patents, there are named inventors who worked for
19 third-party vendors such as North Pole Engineering,
20 correct?

21 A That's correct.

22 Q Now, to your knowledge, did JDI depose any of
23 the people that you thought should have been named as
24 inventors to find out what they contributed?

25 A I have no knowledge of that.

1 Q Okay. Let's turn to prosecution laches. In
2 your presentation as RDX-2, page 35, you failed to address
3 multiple patent applications that relate to the patents in
4 suit that were being prosecuted in the relevant time
5 period, correct, and this continues on throughout your
6 slides?

7 A I did.

8 Q Right. And -- and at your deposition you
9 indicated that you purposely left off some of those
10 applications, correct?

11 A I don't believe I said I purposely left them
12 off.

13 Q Well, you knowingly left them off, correct?

14 A I don't think I tracked that through. I don't
15 think it was relevant to the analysis we were doing.

16 Q Okay. So you left them off despite knowing the
17 applications because you thought those other applications
18 being prosecuted were not relevant to the issue of
19 prosecution laches?

20 A I don't think they were relevant to these
21 particular patents.

22 Q Okay. So it's not that you opine that Bracco
23 wasn't actively prosecuting patent applications in this
24 patent family during the relevant time frame, correct?

25 A That would be likely a valid statement.

1 Q Okay. So your opinion is based purely on the
2 raw amount of time that passed between the priority filings
3 and the time that claims were written that correspond to
4 what are in the asserted patents?

5 A It's fundamentally based on the amount of time
6 that went by from disclosure of an idea which seems to be
7 germane to this topic before one files it and after one
8 sees another product on the market so converting an idea
9 into a claimed patent. I believe that's my analysis with
10 regard to prosecution laches.

11 Q Okay. Let's talk about obviousness. So you
12 don't have any experience working with elution infusion
13 systems, correct?

14 A I do not work with it. I'm not a medical
15 practitioner.

16 Q Okay. And your obviousness analysis is largely
17 based on four references, correct?

18 A That's correct.

19 Q And the sole primary reference upon which you
20 rely is the Klein thesis?

21 A I believe that the Klein thesis is a primary
22 reference. I don't use that term as sole because there
23 were other things that were brought in that are key to a
24 couple of the components there.

25 Q Okay. But you didn't use any of the other art

1 Q Okay. And so was Tate and the CardioGen,
2 correct?

3 A Yes.

4 Q And you clarified at your deposition that you
5 weren't relying on the Version 1 or the Version 0 or the
6 Version 2 or the RUBY-FILL as prior art. You were relying
7 on the Klein thesis, right?

8 A That is correct.

9 Q Now, so with Klein, CardioGen and Tate all of
10 record before the Patent Office, the Patent Office still
11 allowed the claims at issue in this case?

12 A I believe the Patent Office was looking mostly
13 at whether they were anticipated and I saw him referring
14 very often to deKemp and I saw very few references to
15 Klein.

16 Q Okay. But they were all of record before the
17 Patent Office?

18 A They were.

19 Q Okay. And yet the Patent Office still allowed
20 the claims.

21 A They did.

22 Q Okay. Now, Tate is an FDG system, right?

23 A It is.

24 [REDACTED]

25 A Yes.

1 not a variable source of background radiation, correct,
2 when it's sitting on the cart?

3 A When it's sitting on the cart, that's correct.

4 Q Okay. And so the amount of background radiation
5 that the dose calibrator is exposed to as a result is also
6 not variable.

7 A That is a true statement.

8 [REDACTED]

9 [REDACTED]

10 A No. Those systems do not include the necessary
11 plumbing for a rubidium generator.

12 Q And for the Tate and Medrad saline isn't used
13 for the same purpose as it is in Klein, correct?

14 A That's a partially correct statement but it's
15 not totally true.

16 Q Okay. So I mean you're certainly not using
17 saline with a generator, correct, to create the radio --
18 radiopharmaceutical on board the cart?

19 A Saline is not used to generate a rubidium
20 elution. It is instead used to push the sample of the
21 radioactive material into the patient just as it is in the
22 RUBY system.

23 Q Right, but in the claimed invention you use the
24 saline and it actually travels through the generator to get
25 the rubidium?

1 A It does indeed.

2 Q Okay. And that's not what's happening in Tate
3 or Medrad?

4 A No. That particular feature doesn't happen.

5 Q Okay. So let's go through and see if we can get
6 agreement on what the Klein thesis doesn't disclose. So
7 the Klein thesis does not disclose a first opening in the
8 first shielding compartment being at a lower elevation than
9 the second opening of the second shielding compartment,
10 correct?

11 A The Klein thesis does not disclose that.

12 Q Okay. And the Klein thesis also does not
13 disclose a shielded well configured to fill the eluate
14 reservoir in the shielded well on board the cart?

15 A No. As we stated, the on board the cart feature
16 is not there.

17 Q Okay. Klein thesis does not disclose a computer
18 configured to determine a strontium radioactive eluate
19 filled on board the cart while the eluate reservoir remains
20 in the shielded well on board the cart, correct?

21 A The on board the cart is missing from the Klein
22 thesis.

23 Q Okay. And the Klein thesis also does not
24 disclose a computer configured to measure a calibration
25 radioactivity of the sample while the sample remains in the

1 eluate reservoir in the shielded well on board the cart?

2 A As we stated, the Klein thesis does not include
3 the on board element.

4 Q Okay. And so, for example, the dose calibrator
5 is not in a shielded cart on board the cart in Klein?

6 A The system has all those components but it does
7 not do it on board the cart.

8 Q Okay. And Klein thesis does not disclose
9 configuring a computer to present on a touch screen display
10 a screen reminding the user to insert the eluate reservoir
11 in the shielded well on board the cart?

12 A The Klein thesis prototype does not indeed have
13 that particular reminder disclosed.

14 Q Okay. And the Klein thesis does not disclose a
15 specific elevations of the openings of the first and second
16 shielding compartments, correct?

17 A No. Those elevations -- as I've stated, those
18 are obvious configuration choices that one would utilize in
19 changing a prototype into a product.

20 Q Well, I think your testimony was that they are
21 just irrelevant. Is that what you stated on direct?

22 A I believe these are standard things that a
23 person would do when they are going from a prototype to a
24 product so the relative heights I believe aren't relevant.
25 That's correct.

1 Q Okay. And if they are irrelevant there is no
2 motivation to change them?

3 A I don't think there is anything inventive about
4 putting components at different heights.

5 Q Okay. But I mean, if people don't care about
6 it, there is no motivation to change those features, right?
7 Especially on a system as complicated as the RUBY?

8 A We spoke about putting heavy items where they
9 would not have to be lifted great distances for ergonomic
10 purposes which is what one would do going from a laboratory
11 prototype to a production device that would be used in an
12 occupational use.

13 Q Okay. Oh, I'm sorry. I thought you were done.
14 Klein thesis does not disclose tracking the
15 volume of saline remaining in the saline reservoir,
16 correct?

17 A The Klein thesis does not track what's remaining
18 in the reservoir. That is correct.

19 Q Okay. And the Klein thesis does not disclose
20 providing an alert on the touch screen display when the
21 volume of saline remaining in the reservoir is below a
22 predetermined volume?

23 A That's correct. That laboratory prototype did
24 not disclose that.

25 Q All right. Similarly, Klein thesis did not

1 disclose a first door accessible via the opening through
2 the exterior shell, the first door being configured to
3 provide access to the first shielding compartment and to
4 close over the first opening, correct?

5 A I'm sorry. If the first shielding compartment
6 -- again.

7 Q Sure. I'll just repeat it. So Klein thesis
8 does not disclose a first door accessible via the opening
9 through the exterior shell, the first door being configured
10 to provide access to the first shielding compartment and to
11 close over the first opening.

12 A The Klein thesis had the first shielding
13 compartment, which I believe was for the strontium-rubidium
14 generator, is that correct? So I can answer this
15 correctly.

16 Q So why don't we bring up your deposition at page
17 260, lines 6 through 21. So do you see the question?
18 Okay. And just going by the claim element to make sure
19 that we understand what your opinions are. All right. I'd
20 like to talk to you about the '869 patent for a moment,
21 specifically element 1.3, which is on page 413 that's of
22 your report, correct?

23 A I see that.

24 Q In the images here from the Klein thesis you've
25 not labelled the first door accessible via the opening

1 through the exterior shell, the first door being configured
2 to provide access to the first shielding compartment and to
3 close over the first opening. Right? And you answer, I
4 have not?

5 A That's what I said.

6 Q And the follow-up question was, well, is it
7 disclosed by Klein? And you said, no. Correct?

8 A That's what I said at this location. That's
9 correct.

10 Q Okay. And you still maintain that testimony,
11 correct? That was true then? It's true now?

12 A To the best of my memory.

13 Q Okay. And the Klein thesis does not disclose
14 that the infusion system is configured for the saline
15 tubing line and the eluate tubing line to be routed through
16 two tubing passageways formed in a perimeter surface of the
17 first opening wherein each of the two tubing passageways
18 has a depth configured to prevent pinching or crushing of
19 the corresponding tubing line, correct?

20 A No. We were not relying on the Klein thesis for
21 anticipation. We were relying on how it would be modified
22 for obviousness; and as I stated in my prior testimony, if
23 one is going to route those tubing lines through the
24 perimeter it would be obvious to anyone skilled in the art
25 not to configure those so that they would not be pinched or

1 crushed by a door. That is the only thing that makes
2 sense.

3 Q At this point I'm just trying to make sure we
4 have agreement on what Klein discloses and doesn't
5 disclose. We'll get to combinations a little bit later.
6 So do you agree that Klein didn't disclose that claim
7 element, correct?

8 A Klein did not disclose that claim element.

9 Q All right. And you didn't identify anything in
10 the Klein thesis indicating that the system disclosed
11 therein should be modified in any way, correct?

12 A I'm sorry.

13 Q Yeah. So in Klein itself you didn't point to a
14 passage in Klein that said, you know, you may want to
15 consider modifying this feature that I disclosed?

16 A No. I don't recall, although he does talk about
17 improvements that could be made in his system before he
18 closes things out.

19 Q Okay. But you haven't identified anything in
20 your direct with regard to a motivation from the Klein
21 thesis itself to make any of the modifications that you
22 propose?

23 A I did not identify anything directly in the
24 Klein thesis for those motivations. That's correct.

25 Q Okay. And so could you turn to page 417 of your

1 initial report. Or you can just read it on the screen if
2 that's easier. I just want to ask you about element 1.5.
3 So see here, a second door accessible via the opening
4 through the top surface of the exterior shell, the second
5 door being configured to provide access to the second
6 shielding compartment and to close over the second opening.

7 A I see that.

8 Q Okay. Now, let's turn now to RDX-2.196 and talk
9 about what you considered to be the door in Tate. So do
10 you identify on the record what you consider to be the door
11 shown here?

12 A I believe we've shown a door in Tate here. Or
13 down below. Can we back up.

14 Q Yes. Why don't we go to the one down below the
15 figure 4A.

16 A We talked about this door right here.

17 Q So this is the red highlight is what you
18 considered to be the door disclosed by Tate?

19 A That is the door disclosed by Tate.

20 Q All right. Now, Tate doesn't actually call it a
21 door, does it?

22 A I think he calls it a lid. Lids, door. Access
23 hatches. These are all things you would find together, I
24 believe, in any thesaurus.

25 Q Tate calls it a vial access system, correct?

1 A Vial access lid, I believe.

2 Q So why don't we pull up Tate RX-103, page 97,
3 paragraph 139. You have the vial access system, right? So
4 you've got it's a system. It's got the vertical support
5 arm that goes to the housing. You've got a cap member and
6 a handle member and they are all connected to an upper end
7 of the vertical support arm, correct?

8 A Yes. I believe he calls it the cap member.

9 Q Okay. And then what you do is you grab the
10 handle, you pull it up and you twist, correct?

11 A That's one of the things that you do. Yes.

12 Q All right. Let's go back to the RDX-2 at 196.
13 All right. Now, in Tate, this is designed to be used on
14 top of the cart, correct?

15 A It's designed to be accessed via the top of the
16 cart. That's correct.

17 Q Okay. And that access system is mounted via
18 that sliding arm, correct?

19 A That's correct.

20 Q Now, the claimed door that you're equating the
21 system in Tate to, that's the door for access to the
22 generator, correct, and that's why you colored it red?

23 A That's correct.

24 Q Okay. Now, let's look at RDX-2 at 166. So if
25 we can blow up the RX-106 at 34 portion. So here in your

1 modification of Klein the generator is on the bottom,
2 correct?

3 A That's correct.

4 Q And you've got the dose calibrator and the lead
5 shielding right above it?

6 A That's how it's shown configured. Yes.

7 Q Okay. So you couldn't actually use what you
8 describe as the door in Tate to obtain access to the
9 generator in this configuration, could you?

10 A No.

11 Q All right. So one wouldn't be motivated to use
12 the door in Tate to access the generator if the door in
13 Tate wouldn't work in the design?

14 A That particular configuration would not work.
15 It would be obvious to a person of skill in the art to
16 configure his door such that one could have access to the
17 generator as described in Klein.

18 Q Okay. But so the door in Tate as it's shown is
19 not used?

20 A I disagree. He has a door. He has it where it
21 provides access. The manner in which it provides access,
22 how it's attached, those are obvious design choices that a
23 person would make as one configures a commercial system.

24 Q So Tate, the door in Tate as shown -- well, the
25 vial access system -- when you -- to pull out the rod you

1 would just, your hand would just run into the dose
2 calibrator and lead shielding above before you could gain
3 access to the generator, correct?

4 A I'm sorry?

5 Q Sure. If you were using the vial access system
6 actually disclosed in Tate that you showed with the -- that
7 uses the slidable bar that you pull up on, if you were to
8 try to use that as the door to the generator, your hand
9 would just run into the dose calibrator and shielding or
10 whatever supporting that before you actually gained access
11 to the generator?

12 A I believe you were the one that said it was the
13 dose access system. I said it was the cap that was the
14 door and the attachment to the door I don't believe is an
15 inventive process.

16 JUDGE CHENEY: And with that, we will take our
17 lunch break. We'll see you in one hour.

18 MR. DAVIS: Thank you, Your Honor.

19

20 (Whereupon, at 12:33 p.m., the hearing in the
21 above-entitled matter was recessed, to reconvene at 1:33 p.m.)

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

AFTERNOON SESSION

(1:33 p.m.)

JUDGE CHENEY: We are back on the record in the 1110 investigation. Before our lunch break we were listening to the cross-examination by complainants of Dr. Stone, who has been called by respondents as an expert on issues relating to patent validity. Mr. Davis, you may resume.

MR. DAVIS: Thank you, Your Honor.

BY MR. DAVIS:

Q Dr. Stone, could you turn to RX-106 and page 34, the Klein thesis, and that photo. 34 of RX-106. That's 34 of the document. Sorry. There we go. So could we blow up the top photo. All right. Now, could you explain to me again what, in your opinion, is the front side of the exterior shell?

A Certainly. Front slide of the exterior shell is determined by these four corners on the front of the device of the cabinet.

Q Okay. So in your opinion, the front side of the exterior shell includes this opening, correct?

A That's correct.

Q All right. What's your understanding of the word shell?

A A shell is something that tends to surround

1 something. It has a cover, for example, a turtle has a
2 shell across its back and openings for its legs. A shell
3 is an enclosure.

4 Q Okay. So it's something that encloses or
5 protects. Is that fair?

6 A That's a fair assumption. Yes.

7 Q All right. Now, is there anything in the front
8 side enclosing or protecting the various filament that's
9 shown here?

10 A It doesn't say the front side has to do with the
11 completion of the enclosing and protecting, just that the
12 cabinet does that.

13 Q So but it is a four-sided exterior shell,
14 correct?

15 A It is a four-sided cart.

16 Q It's a four-sided shell.

17 A It's a four-sided cart enclosed on three sides,
18 top and bottom.

19 Q Okay. So, so your opinion that this meets the
20 shell is based on your understanding that the claims don't
21 call for a four-sided exterior shell?

22 A No. In fact the claims do call for two side
23 walls. It does not call for a front wall.

24 Q So let's -- all right. Could we go to JX-2 at
25 page 45, column 27, lines 47 to 50. So here we have a

1 limitation that the exterior shell further includes an
2 opening, correct?

3 A That's correct.

4 Q Now, so is it your opinion that the front of the
5 cart constitutes both the opening and part of the shell?

6 A The opening that it calls for here is for a
7 saline tubing line.

8 Q Let's go to the picture, page 34 of 106 again.
9 RX-106, page 34. All right. So where is the saline --
10 where is the opening in the exterior shell for the saline
11 tube?

12 A The opening for that, as we disclosed earlier,
13 is through the top surface here.

14 Q All right. So Ms. Gelbach considered FDG to be
15 a whole different product that does not do the same type of
16 study as a system like a CardioGen, correct?

17 A I believe she may have stated that. Yes.

18 Q Okay. And you have opined that it would have
19 been obvious for a person of ordinary skill to take the
20 on-board dose calibrator of Tate and incorporate it on
21 board into the Klein thesis, correct?

22 A I have.

23 Q All right. And you agree that the on-board dose
24 calibrator of Tate measures radioactivity that will be
25 delivered to the patient, right?

1 A That is correct.

2 Q All right. And the Klein thesis that already
3 disclosed a detector separate from the dose calibrator that
4 measures the radioactivity that's delivered to the patient,
5 right?

6 A Yes. After it's been calibrated by dose
7 calibrator.

8 Q Okay. So the Klein thesis doesn't need Tate's
9 dose calibrator to measure the radioactivity delivered to
10 the patient?

11 A No. Only to calibrate the detector that is
12 measuring that.

13 Q Now, Klein conducts breakthrough testing,
14 correct?

15 A He does.

16 Q And it does that with the off-board dose
17 calibrator, correct?

18 A He does.

19 Q And you've pointed to nothing in the Klein
20 thesis that indicates that Klein thought that the
21 breakthrough testing with an off-board dose calibrator
22 should be changed, correct?

23 A Klein includes an off-board calibrator as part
24 of his system. He doesn't say whether -- he doesn't teach
25 any changing in the Klein thesis.

1 Q All right. Let's go to 247 of RDX-2. All
2 right. So this shows your proposed placement of the dose
3 calibrator, the generator and the shielded waste container,
4 correct?

5 A That's correct.

6 Q All right. Now --

7 A Excuse me. That's what a person of the art
8 might do. It's not necessarily I'm proposing that. But it
9 would be obvious do that.

10 Q Okay. So this is, but this is the configuration
11 that you testified regarding?

12 A That's correct.

13 Q All right. Now, first of all, the front opening
14 is blacked out but there is pre-existing equipment on the
15 shells not shown in this picture, correct?

16 A Yes. He used pre-existing off-the-shelf
17 equipment to put together his laboratory prototype.

18 Q And to put the shielded waste container there
19 and the shielded generator and the dose calibrator in
20 shielding you'd have to move all that equipment that was
21 previously there. You'd have to find a new home for it?

22 A As I'm configuring a product I would probably
23 find new homes for that equipment. That's correct.

24 Q Okay. And you opine that one would have been
25 motivated to put the generator down low for ergonomic

1 purposes, correct?

2 A I do.

3 Q All right. Now, the premise of your ergonomic
4 analysis is that with a lower generator you're closer to
5 the floor, correct?

6 A You're closer to the level of a cart that would
7 be rolled up having the generator on it to transfer it into
8 the device and you're also dropping the center of gravity
9 making the cart more stable.

10 Q So I believe you're -- now, you did not actually
11 do any analysis of how users of the system actually handle
12 the generator, correct?

13 A I did not.

14 Q All right. And so if, for example, somebody was
15 motivated not to bend over to pick something up from down
16 low, but rather to keep it up high because it's heavy, the
17 ergonomics would be to keep the generator up high so that
18 you could move it to the shelf more easily, correct?

19 A If the device were there on the shelf. However,
20 I note that the CardioGen-82, the so-called Model 510,
21 already had the generator down low.

22 Q Okay. But I'm just asking about ergonomics.

23 JUDGE CHENEY: Mr. Davis, when you're away from
24 the mic then the court reporter doesn't hear you through
25 her headset.

1 MR. DAVIS: I apologize, Your Honor.

2 JUDGE CHENEY: Please stick close to the mic.

3 BY MR. DAVIS:

4 Q But you didn't do an ergonomic analysis of how
5 people actually handle the generator?

6 A I did not.

7 Q And the University of Ottawa -- I'm sorry.

8 A I am aware of how heavy objects are transported
9 and the types of carts that they are. It's very typical
10 for that to be a low, near-floor cart so a person of skill
11 in the art would know that.

12 Q You understand that as an expert you're supposed
13 to disclose your opinions in your report, correct?

14 A To the best of my knowledge. Yes.

15 Q Okay. So I just want to ask you about the
16 opinions you've already disclosed in your report and
17 testified today. I'm not, I'm not asking you to form any
18 new opinions.

19 A I'm sorry. You asked me about my analysis as to
20 whether I had done that. I formed my opinion based on what
21 I as a person of skill in the art knew already.

22 Q Are you an expert in ergonomics?

23 A I utilize ergonomics and have to review those
24 when I'm doing a product definition.

25 Q So now, the University of Ottawa's request for

1 information included in RX-144 touts the ergonomic design
2 of the existing design for the Version 1, correct?

3 A Yes.

4 Q All right. So that's the last bullet point on
5 page 10?

6 A Yes.

7 Q All right. So, in this version the generator
8 was up high, correct?

9 A What they are discussing is they are talking
10 about how the device can easily be used without powering
11 down minimizing the amount of motion that has to take
12 place, the amount of time. They are not discussing here
13 ergonomics with regard to the weight and the orientation of
14 the materials.

15 Q They are talking about an overall ergonomic
16 design, correct?

17 A No. They are talking about adding convenience
18 through ergonomic design. They are not talking about
19 necessarily what would be in a finished product.

20 Q So they are talking about this design being
21 ergonomic, correct?

22 A They are talking about they have added
23 convenience through some ergonomics.

24 Q All right. And that was with regard to the
25 existing configuration?

1 A With the existing configuration which is a
2 prototype ready to be productized.

3 Q All right. And there is also restrictions on
4 the placement of the dose calibrator on the cart due to
5 possible interference between the dose calibrator and the
6 generator, correct?

7 A That's correct. That's a matter of how the
8 shielding is set up and where the components are place.

9 Q So one of ordinary skill wouldn't want to place
10 the dose calibrator right above the generator, you'd want
11 some distance?

12 A Depending on the shielding, distance would be a
13 factor that they would consider.

14 Q Okay. But if you kept it close you'd have to
15 add additional shielding which would add to the weight of
16 the cart?

17 A Actually, I believe they actually measured this.
18 It's even reported, I believe, in one of our documents that
19 we referenced that they measured it and didn't have a
20 problem with the generator. They had a problem with
21 something else.

22 Q But in your deposition, you stated that there
23 are restrictions on the placement of the dose calibrator on
24 the cart due to possible interference between the dose
25 calibrator and the generator, correct?

1 A There could be possible interferences. That's
2 correct.

3 Q Right. One of ordinary skill would know that
4 and one of the ways to address that would be to separate
5 the two?

6 A That's correct.

7 Q You're also aware that when the University of
8 Ottawa met with JDI to discuss a possible modifications to
9 the Version 2, they suggested that the tubing be changed so
10 that the system could be used with a variety of existing
11 dose calibrators, right?

12 A That was a suggestion. That's correct.

13 Q Right. So that was in the 2008 time frame?

14 A I believe 2007, 2008 time frame.

15 Q And according to Mr. Donnelly, as of 2004,
16 the -- the Version 1 had already been used to perform
17 procedures on 667 patients, right?

18 A That's correct.

19 Q Right. So that number would be even larger by
20 the 2008 meeting?

21 A That's the assumption I would make. Yes.

22 Q Okay. So even after, and the first use by
23 University of Ottawa of a Rubidium Elution System was 1997,
24 right? The Version 0?

25 A The Version 0 -- by the University of Ottawa,

1 1997. I believe that's correct.

2 Q Right. So you remember --

3 A I'd have to refresh my memory on the document.
4 I don't recall that.

5 Q Okay. So even after 11 years approximately from
6 '97 to 2008, and somewhere, you know, somewhere north of
7 700 procedures, Dr. Klein and the University of Ottawa
8 still thought the dose generator should remain off the
9 cart, correct?

10 A I think you misstated that. Do you want to read
11 back your own question?

12 Q I'll just restate the question. So, so the
13 first -- Ottawa starts using the rubidium system '97 with
14 Version 0. They are talking to JDI in 2008 time frame. We
15 are talking about 11 years and we are talking about, you
16 know, nearly 700 procedures just using the Version 1 alone
17 by 2004. Even after all that experience and all that time,
18 University of Ottawa was still thinking and Dr. Klein was
19 still thinking when they are discussing options with JDI,
20 that the dose calibrator should remain off the cart and
21 that way the cart could be used with various existing dose
22 calibrators that the facilities already had?

23 A I don't think they necessarily think it should,
24 but it did.

25 Q But that was the, that was the design they were

1 proposing to JDI. They are saying, you know, a couple of
2 things we need to update. One of them is to change the
3 tubing so we can have it interchangeable with several
4 different dose calibrators rather than just the one that we
5 were using at Ottawa?

6 A I don't think the tubing is related to the dose
7 calibrator, is it?

8 Q Well, they were talking about changing the
9 tubing in order to make the cart compatible with multiple
10 dose calibrators. Why don't we bring that up. So day two,
11 page 340 and 41, Mr. Donnelly's testimony was that the
12 technology transfer happened and you'll need to reprove --
13 one of the things was to change the tubing so that the cart
14 would work with multiple types of dose calibrators because
15 there were multiple types of dose calibrators on the
16 market. Right. And Mr. Donnelly said correct. So --

17 A Okay. Sorry. Go ahead.

18 Q So as of this time period, Ottawa and Dr. Klein
19 were suggesting to JDI that one of the changes they should
20 make going forward is to make the cart compatible with
21 multiple dose calibrators because various facilities had
22 different dose calibrators?

23 A This seems to be discussing tubing and the
24 tubing goes and is connected to the vial. The dose
25 calibrator being off the cart. The only thing I can think

[REDACTED]

9 MR. DAVIS: Your Honor, I can switch to a
10 different line of questioning to give him time to review.

11 JUDGE CHENEY: Okay.

12 BY MR. DAVIS:

13 Q So before we address 413, could I ask you to
14 turn to JX-2 and specifically page 43 and I want to pull up
15 claim one and the claim relating to an exterior shell which
16 is the first main clause after the preamble. I'm going to
17 blow that up. Okay. So do you see the claim language
18 there where it talks about a shell that extends upwardly
19 above the platform and has a front side, a rear side, and
20 two side walls connecting the front side to the rear side?

21 A Yes.

22 Q Okay. So let's go back to RX-106, page 34, but
23 let's keep this as well. Sorry. So let's blow up that top
24 photo and blow up that one paragraph. Okay. So we've got
25 a shell and it extends upwardly above the platform and it's

1 got a front side, a rear side, and two side walls that
2 connect the front side to the rear side. You know, we've
3 established that, so where is the front side of the shell
4 in the Klein, in the device shown in the Klein thesis?

5 A The front side of the shell is this portion
6 right here with a large opening in the center of it.

7 Q Okay. So can you identify anything that's not
8 the opening that's the front side that would constitute the
9 shell?

10 A Certainly at least these folded-down edges are
11 part of the front side.

12 Q Okay. Those edges don't enclose the components
13 that are shown in the photo, correct?

14 A I'm not sure what you're trying to get at here.
15 These are a front side. These are part of the front side.
16 They are certainly not of the side walls. They are not at
17 the backside. They are not at the top side. They are part
18 of the front side and they have helped form the enclosure
19 of the entire system, interior of the shell.

20 Q Okay. So it's your position that the, those
21 narrow sides in the front that that encloses the, the
22 equipment that's shown in the photograph?

23 A I see nothing there that requires a front wall.
24 It says a front side. Sides don't necessarily make a total
25 enclosure.

1 Q Okay. But you would agree that the claim
2 language is an exterior shell that has a front side?

3 A It has a front side. It doesn't say it forms a
4 complete enclosure.

5 Q All right. So okay. Now, let's look at the
6 next element. So you've got an opening through the
7 exterior shell configured to provide access to the
8 generator. What part is the opening to provide access and
9 what part is the shell in the front?

10 A There is an opening right here. There is a
11 shell through, in the front that extends around from the
12 sides. I'm not sure where you're trying to go.

13 Q Do you recall Mr. Donnelly's testimony earlier,
14 that given the variety of things that can go wrong when you
15 implement design changes to a device like the RUBY-FILL
16 that you typically don't change a feature that's -- that --
17 let me repeat the question for you. You heard Mr. Donnelly
18 testify that given the variety of things that can go wrong
19 when you implement design changes to a device like the
20 RUBY-FILL, you typically don't change a feature that is
21 known to work?

22 A I think that's an incomplete opinion. You
23 wouldn't change a feature that's known to work where your
24 change could affect how it works. You might change a
25 feature if it would not affect how it works.

1 Q Okay. Well, let's look at his testimony at page
2 349 of the transcript, lines 7 through 19. To the
3 question, well, when you're designing, if you know
4 something works you're loathe to change it to something
5 else because you're going to have to go back and verify
6 that the -- that the new design works and there may be
7 unforeseen consequences like the tubing or the bending of
8 the cart so you try to avoid changing something that you
9 know works?

10 Answer, we would -- I think it's common in the
11 industry that you don't make changes if not necessary, but
12 of course there are changes that you have to make if there
13 are expected to be any issue with the design that you have
14 so we always make a change if we need to make a change. If
15 we don't need to make a change we would not make it.

16 A I see that he said that.

17 Q Do you agree with that principle?

18 A In general.

19 Q Okay. And at your deposition you admitted that
20 you did not identify in your report any market forces that
21 would prompt one of ordinary skill to make the various
22 changes to Klein that you opine would have been obvious,
23 correct?

24 A I may have said that in my deposition.

25 Q Okay. And the -- you still agree with that?

1 A The changes to Klein that would have been
2 obvious.

3 Q I'm sorry. Let me restate the question. You
4 have not identified any market forces, have you, that would
5 prompt one of ordinary skill in the art to make the various
6 changes in Klein that you opined would be obvious, correct?

7 A I believe I've identified what Miss Gelbach said
8 that market forces were saying that it would only make
9 sense to put the dose calibrator on board the cart.

10 Q So let's look at your deposition, page 340 --
11 354, lines 11 to 23. So -- I'm sorry. I'll get -- there
12 appears to be an error. The weight of the shielding can
13 also complicate placement of the dose calibrator on the
14 cart, correct?

15 A I would say it would complicate the design of
16 the frame of the cart.

17 Q Right. And as late as 2015, JDI was still
18 having issues with the cart bending and pieces not fitting
19 because of the weight putting the dose calibrator on board
20 the cart, correct?

21 A I think what they actually had was a problem
22 with the enclosure not closing correctly, having a little
23 bit of warp to it so when you refer to bending there was a
24 displacement that occurred that somehow they were trying to
25 track down exactly what prevented the enclosure of the

1 cart, the plastic panels from fitting correctly.

2 Q Right. Because of the weight of the dose
3 calibrator?

4 A That was theorized as the cause. That's
5 correct.

6 Q Okay. So multiple years into the design they
7 were still addressing issues that they thought related to
8 putting the dose calibrator on board?

9 A They discovered a minor issue and decided, and
10 determined how to correct it. The minor issue was they
11 hadn't quite handled the weight plus the strength of the
12 materials or how they were arranged so they did a finite
13 element, suggested doing a finite element analysis to
14 determine if that were the cause.

15 Q All right. And you also rely on what you
16 describe as the Medrad system, correct?

17 A Yes. We do.

18 Q All right. And the exhibit you use for your
19 analysis of that Medrad system is RX-200C.

20 Don't put it up.

21 Correct?

22 A You're asking me if I recall the exhibit number
23 yet you don't want to put it up?

24 Q Yes. Let's look at RDX-2.100. Right.

25 So I'm sorry. What?

█ [REDACTED]

█ [REDACTED]

3 JUDGE CHENEY: Okay. We are on the Medrad
4 confidential record. That means if you're not authorized
5 on either side to view Medrad confidential information, you
6 need to leave the hearing room now.

7 (Confidential session follows.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

[REDACTED]

4 JUDGE CHENEY: Back on the public record.

5 (End of confidential session.)

6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 OPEN SESSION CONTINUED

2 BY MR. DAVIS:

3 Q Is there any indication in the Klein thesis that
4 there was a problem with the user not keeping an eye on the
5 volume of saline which is in plain view of the user?

6 A No. There is no such indication in the Klein
7 thesis. That's not a product that's out for ordinary
8 users. We've talked about that being motivated instead by
9 the usability guide when a product is out for use.

10 Q So the only, the only prompts or alerts that you
11 point to in Klein are for the generator and waste bottles
12 which are in the shielding and out of your sight, correct?

13 A Those are the only prompts or alerts. There are
14 other warnings that he discusses.

15 Q Okay. Now, there were multiple versions of the
16 RUBY-FILL system, correct?

17 A That's my understanding.

18 Q Right. The -- so let's go to RDX-2C14. All
19 right. So here is your slide that talks about the
20 evolution of the elution system. We've got Version 0 in
21 '97, Version 1 in 2004, Version 2 in 2010 and Version 3 in
22 2015, correct?

23 A That's correct.

24 Q All right. Now, neither the Version 0 -- well,
25 Version 0, 1 and 2 never were approved for commercial sale

1 in the U.S., were they?

2 A In the United States. That's correct.

3 Q Okay. And in 2007, Ottawa licensed to JDI the
4 technology regarding the rubidium PET imaging technology
5 that they had developed, correct?

6 A That's correct.

7 Q All right. And JDI and Ottawa worked together
8 on Version 2 and 3, correct?

9 A That's my understanding.

10 Q And so, but prior to working on Version 3, so
11 Ottawa Heart and JDI had worked on a rubidium PET system
12 either together or on their own for approximately 13 years
13 without creating a system that was approved for commercial
14 sale in the U.S.?

15 A They began working together, it's my
16 understanding, in 2007. And they ended up with the device
17 release in 2016. That would be nine years.

18 Q So I was asking either together or alone. So
19 either Ottawa on its own or Ottawa and JDI were working
20 over this time period?

21 A Ottawa was not developing a product. Ottawa was
22 developing a technology, a prototype that would be licensed
23 for development into a product.

24 Q Okay. Now, and they started trying to get a
25 commercial partner to develop that at least as early as

1 MR. DAVIS: Your Honor, I pass the witness.

2 JUDGE CHENEY: Okay. Is there cross-examination
3 by the Commission investigative staff.

4 MR. KOO: Yes, Your Honor. If you would just
5 give me one minute to find a page number.

6 EXAMINATION BY ITC STAFF

7 BY MR. KOO:

8 Q Good afternoon, Dr. Stone.

9 A Good afternoon.

10 Q I just wanted to follow up on something, to
11 things that Mr. Davis discussed with you earlier today. If
12 we could turn to, I believe it's RX-106. And it's the page
13 that has figures 2-3. So you don't have to expand it or
14 anything. But looking at that top figure, Mr. Davis asked
15 you about the front view of that cart that has the opening
16 so that we can see the computer and the printer and the
17 other components inside.

18 A Yes.

19 Q Do you recall that discussion? Okay. And I
20 think you were trying to make the point that because it's
21 an opening, it's not a side. Would you agree with that
22 characterization?

23 A I believe that characterized what he was trying
24 to make. Yes.

25 Q All right. I think what puzzled me up to this

1 point is you'd agree since there is a shielded waste
2 container, a shielded generator inside the cart, you'd
3 agree with me that at least there are some, some amount of
4 tubing that goes inside the cart. Would you agree with me?

5 A Yes.

6 Q Okay. And the materials that are inside the
7 tubing will carry at least at some point some radioactive
8 materials?

9 A That's correct.

10 Q As a person of ordinary skill in the art, does
11 it make sense to you that this cart would only be shielded
12 on three sides?

13 A Absolutely not. If there is radioactive
14 material in open tubing, one would supply shielding in
15 order to reduce the exposures outside the cart.

16 Q Okay. And would you agree that that is what
17 they have done on the exposed tubing on the top of the cart
18 with the heavy-duty plastic shield that's shown, I believe,
19 in figure 2-4?

20 A Yes. I believe it's referred to as high density
21 polycarbonate shielding that's used to provide shielding
22 from the beta radiation.

23 Q Okay. Would it surprise you if they had a door
24 on a hinge that closed that opening on that cart there?

25 A Not in the least.

1 Q There has also been a lot of discussion over the
2 past two days, I believe, about the features that Ms. Janet
3 Gelbach had invented or is alleged to have invented as one
4 of the named inventors in the three asserted patents. Do
5 you recall that?

6 A Yes.

7 Q And I believe you testified that you agreed that
8 Miss Gelbach is alleged to have invented the aspect of
9 bringing the dose calibrator on board the cart?

10 A I believe I used the terminology she brought
11 that idea to the team.

12 Q Okay. You stated that you read her deposition
13 transcript?

14 A Yes.

15 Q Okay. Do you recall if, if -- do you recall if
16 she stated how she came up with that idea?

17 A Yes. She talked to the sites that were using
18 these devices and she said they were asking for it and said
19 it only made sense to put the dose calibrator on the cart.

20 Q If we could turn to JX-176C at page 101. Let's
21 start at page 100 at line 16 and go through 101, line 11.

22 Is this the -- I'll let you read this to
23 yourself, Dr. Stone, but is this the portion of the
24 transcript that you're referring to?

25 A Yes.

1 MR. KOO: Okay. I don't think I have anything
2 further at this time. Thank you.

3 JUDGE CHENEY: Okay. Dr. Stone, I have just a
4 couple of questions for you. If we could go back to what
5 Mr. Koo had on the screen, RX-106, page 34. If someone
6 among the trial wizards could help me with that.

7 Dr. Stone, have you seen this device represented
8 in this image in real life?

9 THE WITNESS: I'm not certain that I saw the
10 very one that was in the Klein thesis. I have seen
11 representations of the Version 1 which includes all of
12 those components arranged in exactly the same fashion.

13 JUDGE CHENEY: Do you see any Version 1 device
14 in this hearing room?

15 THE WITNESS: Yes, Your Honor.

16 JUDGE CHENEY: Will you identify it for me.

17 THE WITNESS: It's right there, this first shiny
18 cart.

19 JUDGE CHENEY: Will someone please identify for
20 the record the exhibit number that Dr. Stone has
21 identified.

22 MR. WALKER: Yes. It's RDX-12.

23 JUDGE CHENEY: Okay. Do you see any doors on
24 RDX-12?

25 THE WITNESS: Yes, Your Honor. It tried to make

1 itself shown as I moved it around. There is a, there are
2 hinges there for the door. It's not clear from the
3 photograph whether that door was on the cart that was
4 actually used by Mr. Klein as he did his work. But it's
5 certainly obvious to put one on it.

6 JUDGE CHENEY: So looking at the paper
7 documentation of Klein, you don't find express disclosure
8 of the door that we see in the courtroom on RDX-12, is that
9 right?

10 THE WITNESS: That's correct, Your Honor.

11 JUDGE CHENEY: Can we go back to the
12 demonstrative Exhibit RX -- RDX-2, which I believe are
13 slides illustrating your testimony. I'd like to look at
14 slide 52. Do you recall giving testimony illustrated by
15 this slide, Dr. Stone?

16 THE WITNESS: Yes.

17 JUDGE CHENEY: I seem to remember you making
18 some points about what was claimed in the PCT application
19 identified on this slide. Do I recall you making a point
20 about the claims of that PCT application correctly?

21 THE WITNESS: Yes. Those relate to computer
22 controls.

23 JUDGE CHENEY: And then I also recall, if we
24 could advance or go back one slide. Let's try slide 53.
25 I'm not, I'm not seeing -- did you have a slide where you

1 discussed the provisional application to which the patents
2 in suit claim priority?

3 THE WITNESS: The PCT application?

4 JUDGE CHENEY: Was there no provisional
5 application?

6 THE WITNESS: I don't recall, Your Honor.

7 MR. HAILS: Your Honor, there is some 2008
8 filings, those are all non-provisional filings filed in
9 parallel. Is that what you're asking about?

10 JUDGE CHENEY: Well, I guess what I'm hearing is
11 a clarification about my memory that there is no
12 provisional application to which priority is claimed, is
13 that right, Counsel?

14 MR. HAILS: Yes. That's correct.

15 JUDGE CHENEY: Okay. Returning to slide 52
16 where you talked about the PCT priority application. Why
17 was it important to you to evaluate what was claimed in the
18 PCT?

19 THE WITNESS: To determine whether there was a
20 claim of actually putting the dose calibrator on the cart
21 as a patentable feature as opposed to it was disclosed as
22 an idea that was incorporated but it was not claimed until
23 we get all the way down to 2016.

24 JUDGE CHENEY: Why is it important to you that
25 it -- well, let me, let me back up one step and say, did

1 you find that putting the dose calibrator on the cart was
2 disclosed in the PCT, but not claimed?

3 THE WITNESS: I did find that it was disclosed
4 but not claimed. There was a couple of lines that say you
5 could put the dose calibrator on the cart. Had that been
6 significant to me I think we would have claimed an
7 enablement issue but we did not because there was no claim
8 that that was a patent at that time.

9 JUDGE CHENEY: So in your mind, there is a legal
10 distinction between what was disclosed and what was claimed
11 on the issue of priority? That's how I understood this
12 testimony -- that's the context in which I understood this
13 testimony about slide 52. Am I misunderstanding?

14 THE WITNESS: I believe there is an issue there,
15 Your Honor.

16 JUDGE CHENEY: Okay. And how did you come to
17 this legal understanding about what is disclosed versus
18 what is claimed and its importance to priority?

19 THE WITNESS: It's a very, it's a broad issue.
20 I discussed it with the patent attorneys. Know that very
21 often things are disclosed in a patent that are not
22 patentable, they are not considered patentable and in this
23 case it looked like the, the complainants in this case
24 didn't consider it patentable until they saw it utilized in
25 someone else's device.

1 JUDGE CHENEY: So in some sense your opinion is
2 based on your understanding of the inventor's subjective
3 intent about what the invention is?

4 THE WITNESS: When they recognize that it is an
5 invention, Your Honor.

6 JUDGE CHENEY: Okay. Can we go to slide 143.
7 Same Exhibit, RDX-2. And Dr. Stone, do you recall giving
8 testimony about this international standard disclosed on
9 this slide?

10 THE WITNESS: Yes, Your Honor.

11 JUDGE CHENEY: I think you testified that the
12 international standard requires a user to track
13 consumables. Is that right?

14 THE WITNESS: Yes, Your Honor.

15 JUDGE CHENEY: Is there anything in the standard
16 that requires a user to use a computer to track
17 consumables?

18 THE WITNESS: The standard relates to a user
19 interface, Your Honor. If we go back to the first slide.
20 The first components there. It's referring to user
21 interface in order to do that.

22 JUDGE CHENEY: A computer user interface.

23 THE WITNESS: Not necessarily. Whatever
24 interface there is.

25 JUDGE CHENEY: Could it be a pad of paper with

1 some columns?

2 THE WITNESS: That's typically not considered a
3 user interface, Your Honor.

4 JUDGE CHENEY: So what is a noncomputerized user
5 interface?

6 THE WITNESS: I could have a digital system that
7 actually is, would be noncomputer. It might be something
8 called a programmable logic array. There are a number of
9 ways of coming up with a user interface that's a display
10 that the user, or controls that the user interacts with.

11 JUDGE CHENEY: Dr. Stone, did you offer any
12 opinion about the field of endeavor of the patented
13 invention?

14 THE WITNESS: I'm trying to recall from my
15 report, Your Honor. I believe I would have stated that we
16 are dealing with a device to administer
17 radiopharmaceutical, but I don't recall specifically.

18 JUDGE CHENEY: Okay. Those are all the
19 questions I have. Are there any more questions or redirect
20 for this witness. It looks like Mr. Hails has some
21 redirect.

22 MR. HAILS: Yes, sir, there is.

23 REDIRECT EXAMINATION

24 BY MR. HAILS:

25 Q Can we go to slide 52 of the presentation, 223.

1 I think there has been some confusion on the priority
2 analysis here. Do you recall your testimony talking about
3 slide 57?

4 A Yes.

5 Q What are the features that drove your priority
6 analysis for the asserted patents?

7 A The features are related to the openings for the
8 generator compartment and those openings for the generator
9 and the waste bottle being oriented upward and the section
10 elevation for the waste bottle, for example, being at a
11 greater elevation than the first elevation. Same thing in
12 the new patents. They have openings that face vertically
13 upward and they have a shielding compartment that has a
14 second opening facing vertically in a first opening located
15 at a lower elevation and the second opening.

16 Q So in 2008 when Bracco filed the first wave of
17 applications who did Bracco identify as the inventors of
18 these shielding assembly features?

19 A Mr. Quirico, Mr. Balestracii, Mr. Dorst,
20 Mr. Krause, Mr. Lokhande, Jacob Childs, Peter Madson, and
21 Daniel Clements.

22 Q Thank you.

23 Can we go to page 89, please. Can we put up
24 RX-103. Can you put up paragraph 2 which should be the
25 first major paragraph.

1 At first there was a lot of discussion on
2 cross-examination about the differences between FDG and
3 rubidium. Are you familiar with that discussion?

4 A Yes.

5 Q Are you suggesting to turn Klein's system into
6 an FDG system?

7 A Would you repeat that.

8 Q In your obvious analysis were you suggesting
9 that you're trying to change Klein's system from a rubidium
10 system into an FDG system?

11 A Klein? No.

12 Q Here, this is the background of the invention
13 talking about what Tate is directed to. Do you see the
14 reference to positron emission topography?

15 A Yes.

16 Q Are FDG and rubidium variants of positron
17 emission topography?

18 A Yes.

19 Q Can we go to paragraph 7, which is the second
20 column of the same document.

21 What kinds of radiopharmaceuticals or
22 radioisotopes does Tate discuss?

23 A He discussed a large number such as fluorine 18,
24 technetium 99, carbon 11, copper 64, gallium 64.

25 Q You don't have to read it. Do you see rubidium

1 in that list?

2 A He has rubidium-82 in there, Your Honor, as well
3 as others.

4 Q You had discussed about Tate's teachings for
5 monitoring saline for locations of dose calibrators and
6 other kinds of things. Do people run out of saline in both
7 an FDG system and rubidium system?

8 A They do.

9 Q Do people have to change waste bottles in both
10 systems?

11 A They do.

12 Q With respect to the dose calibrator that's
13 described in Tate, do you recall what kind of dose
14 calibrator Tate used?

15 A Yes. It's an ion chamber.

16 Q And do you recall what kind of design dose
17 calibrator Klein uses?

18 A Ion chamber with a well force sample.

19 Q With FDG positron for -- in that dose calibrator
20 in an FDG system, what kind of energy levels are being
21 measured from the photons?

22 A 511,000 electron volts.

23 Q And what kinds of energy levels are being
24 measured in a rubidium system using a dose calibrator?

25 A 511,000 electron volts.

1 MR. HAILS: Thank you. No further questions.

2 JUDGE CHENEY: Any other questions for this
3 witness?

4 MR. DAVIS: No, Your Honor.

5 MR. KOO: Nothing from the staff, Your Honor.

6 JUDGE CHENEY: Thank you, Dr. Stone. You may be
7 excused.

8 Respondents, call your next witness.

9 MR. BRANDYBERRY: Respondents call Dr. Thomas
10 Vander Veen.

11 JUDGE CHENEY: Let's go off the record for a
12 moment.

13 (Discussion off the record.)

14 JUDGE CHENEY: Let's go back on the record.

15 Dr. Vander Veen, please raise your right hand.

16 I will administer the oath.

17 Whereupon,

18 THOMAS V. VANDER VEEN,
19 was called as a witness, and having been duly sworn, was
20 examined and testified as follows:

21

22 JUDGE CHENEY: You may be seated.

23 Please proceed when you're ready,

24 Mr. Brandyberry.

25

DIRECT EXAMINATION