

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES PATENT AND TRADEMARK OFFICE

BEFORE THE PATENT TRIAL AND APPEAL BOARD

HTC CORPORATION, HTC AMERICA, INC.
and APPLE INC.,
Petitioners

v.

INVT SPE LLC,
Patent Owner.

Case Nos. IPR2018-0155 and IPR2018-01581
U.S. Patent No. 7,848,439

DEPOSITION OF

ZHI DING, PH.D.

Tuesday, December 3, 2019

REPORTED BY: Brandi N. Bigalke, RPR, RSA

1 The following is the deposition of ZHI
2 DING, PH.D., taken before Brandi N. Bigalke, RPR,
3 RSA pursuant to Notice of Taking Deposition, at
4 Best Western Plus Palm Court Hotel, 234 D Street,
5 Davis, California.

6

7 A P P E A R A N C E S

8

9 ON BEHALF OF HTC CORPORATION, HTC AMERICA, INC.,
10 and APPLE INC.:

11 Darren Franklin
12 SHEPPARD MULLIN RICHTER & HAMPTON LLP
13 333 South Hope Street
14 43rd Floor
15 Los Angeles, California 90071
16 dfranklin@sheppardmullin.com

17

18 ON BEHALF OF INVT SPE LLC:

19 Cyrus A. Morton
20 ROBINS KAPLAN, LLP
21 800 LaSalle Avenue
22 Suite 2800
23 Minneapolis, Minnesota 55402
24 cmorton@robinskaplan.com

25

26 AND

27 Li Zhu
28 ROBINS KAPLAN, LLP
29 2440 West El Camino Real
30 Suite 100
31 Mountain View, California 94040
32 lzhu@robinskaplan.com

33

34

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

EXAMINATION	PAGE
Mr. Morton.....	4

EXHIBIT INDEX

PREVIOUSLY-MARKED EXHIBITS	REFERRED PAGE
HTC EXHIBIT 1001.....	4
U.S. Patent 7,848,439	
HTC EXHIBIT 1003.....	45
U.S. Patent 6,904,283	
HTC EXHIBIT 1004.....	107
U.S. Patent 7,221,680	
HTC EXHIBIT 1005.....	141
U.S. Patent 6,721,569	
HTC EXHIBIT 1006.....	24
U.S. Patent 5,596,604	
HTC EXHIBIT 1007.....	109
Declaration of Zhi Ding, Ph.D. in Support of Petition for Inter Partes Review	
HTC EXHIBIT 1016.....	24
Declaration of Zhi Ding, Ph.D. in Support of Petitioners' Consolidated Reply to Patent Owner's Consolidated Response	

Zhi Ding, Ph.D. - 12/3/2019
HTC Corporation, HTC America, Inc. and Apple Inc. vs. INVT SPE LLC

<p>1 P R O C E E D I N G S 2 Whereupon, the deposition of ZHI DING, PH.D. 3 was commenced at 8:34 a.m. as follows: 4 - - - 5 ZHI DING, PH.D. 6 Called as a witness and having been first duly 7 sworn, testifies as follows: 8 EXAMINATION 9 BY MR. MORTON: 10 Q. Good morning, Dr. Ding. I assume 11 you've had your deposition taken before? 12 A. Yes. Good morning. Yes, I have 13 had depositions taken before. 14 Q. Okay. And you've had time to 15 prepare for this deposition with counsel? 16 A. Some time, yes. 17 Q. And is there any reason you can 18 think of why you cannot hear my questions, and 19 give full, true and honest answers here today? 20 A. No. 21 Q. Okay. Let's dive right in and talk 22 about the '439 patents. I have it there in front 23 of you. It's Exhibit 1001 to this IPR 24 proceeding. 25 Do you see that? Page 4</p>	<p>1 adjusted in accordance to the conditions. 2 Q. Right. 3 And what happens in general is you 4 have a pilot signal or something like that, user 5 equipment or wireless device, handheld device 6 can measure, right? 7 MR. FRANKLIN: Objection; vague. 8 THE WITNESS: Could you break down 9 the question a bit better? 10 BY MR. MORTON: 11 Q. Well, you're the expert. This is 12 adaptive modulation and coding. I thought this 13 was basic stuff. 14 So you describe to me how adaptive 15 modulation and coding works. 16 A. To make the adjustment of 17 modulation and coding, the transmitter needs to 18 be aware of the necessity to make adjustment on 19 the modulation and coding. And what conditions 20 necessitates that needs to be available to the 21 transmitter. 22 Q. Okay. And how do the conditions as 23 you call them, how are those made available to 24 the transmitter? 25 A. There is several ways that can -- Page 6</p>
<p>1 A. Yes. 2 Q. Okay. With reference to Column 2 3 of that patent, if you want to have that open for 4 your reference. 5 So Column 2 is some of the -- under 6 the section called The Background of the Art in 7 the '439 patent. 8 Do you see that? 9 A. Yes. 10 Q. Okay. And it talks about adaptive 11 modulation and coding based on subcarriers. 12 A. Which line are we referring to? 13 Q. If you look at Column 2, lines 4 14 through 8, it's introducing that concept. 15 A. Okay. I see Column 2, right. 16 Q. And Column 2 talks about -- you 17 understand what adaptive modulation and coding 18 is, right? 19 A. I understand that as a general 20 concept, yes. 21 Q. Okay. So just for the record then, 22 what is adaptive modulation and coding? 23 A. It's a mechanism where depending on 24 the conditions necessary, the modulation and 25 coding that is used to transmit data can be Page 5</p>	<p>1 that can be made aware to the transmitter. 2 Q. Okay. What are the ways? 3 A. For example, the transmitter may 4 simply be looking at the channel that the 5 transmitter is also receiving data on from the 6 mobile station, and based on the principal of 7 reciprocity, the transmitter can estimate the 8 conditions of the forward link channel, and in 9 accordance to that condition of the channel make 10 adjustments to the adaptive -- to the modulation 11 and coding scheme that the transmitter is about 12 to use. 13 Q. Okay. 14 A. That's one. 15 Q. What's another way? 16 A. Sorry? 17 Q. What's another way? 18 A. Another possibility is that the 19 transmitter simply does not have sufficient power 20 to transmit at -- you're running out of power or 21 battery, so you have to dial down the modulation 22 complexity simply because higher modulation 23 requires more power. 24 Q. Okay. 25 A. Yeah. Page 7</p>

Zhi Ding, Ph.D. - 12/3/2019
HTC Corporation, HTC America, Inc. and Apple Inc. vs. INVT SPE LLC

<p>1 Q. And another way?</p> <p>2 A. Other ways includes that the</p> <p>3 transmitter may perceive that there's a strong</p> <p>4 likelihood of having interference in -- for the</p> <p>5 channel that the transmission will take place.</p> <p>6 So again, in accordance you are likely to add</p> <p>7 additional redundancy to protect your --</p> <p>8 redundancy in the error -- forward error</p> <p>9 correction code to protect the data you're</p> <p>10 transmitting.</p> <p>11 Q. Okay. Is that all the ways?</p> <p>12 A. No.</p> <p>13 Q. What's another one?</p> <p>14 A. What's another one. Well,</p> <p>15 conditions generally includes the transmitter</p> <p>16 itself whether it have enough powers to do</p> <p>17 something, or the way to perceive the channel</p> <p>18 condition change, whether the forwarding channel</p> <p>19 has changed.</p> <p>20 So I've given you a list of ways</p> <p>21 that the transmitter can perceive that change.</p> <p>22 Q. Is there a reason that you're</p> <p>23 avoiding the situation of the user equipment</p> <p>24 making a measurement on the downlink on a pilot</p> <p>25 signal and then reporting something back up to</p> <p style="text-align: right;">Page 8</p>	<p>1 reporter.)</p> <p>2 THE WITNESS: On a per subcarrier</p> <p>3 basis. And same answer for AMC based on subband</p> <p>4 basis. Per subband basis.</p> <p>5 BY MR. MORTON:</p> <p>6 Q. So the '439 patent acknowledges</p> <p>7 that AMC based on subcarriers was known and that</p> <p>8 AMC based on subbands was known prior to the</p> <p>9 invention, right?</p> <p>10 A. Yes.</p> <p>11 Q. Okay. And when we're talking</p> <p>12 about -- what's the difference between a</p> <p>13 subcarrier and a subband?</p> <p>14 A. Okay. So in this context, in the</p> <p>15 context of '439, subbands contains one or more</p> <p>16 subcarriers.</p> <p>17 Q. Okay. And those are -- the</p> <p>18 subcarriers would be neighboring on the frequency</p> <p>19 domain, is that right, to make up a subband?</p> <p>20 A. Generally, no, but you certainly</p> <p>21 can make it contiguous. You can have contiguous</p> <p>22 subcarriers forming a subband, but it's also not</p> <p>23 necessary in general.</p> <p>24 Q. Okay. You actually put in your</p> <p>25 declaration and defined subbands as neighboring</p> <p style="text-align: right;">Page 10</p>
<p>1 the transmitter?</p> <p>2 MR. FRANKLIN: Objection;</p> <p>3 argumentative.</p> <p>4 THE WITNESS: No.</p> <p>5 BY MR. MORTON:</p> <p>6 Q. Okay. Well, let's talk about that</p> <p>7 since that's what's in Column 2 that I pointed</p> <p>8 to.</p> <p>9 Do you see where it says, "AMC in</p> <p>10 OFDM is divided into two, AMC based on</p> <p>11 subcarriers and AMC based on subbands."</p> <p>12 Do you see that?</p> <p>13 A. Okay. Yes.</p> <p>14 Q. Okay. So any of the things you</p> <p>15 describe, was any of that AMC based on</p> <p>16 subcarriers?</p> <p>17 A. Yeah. It can be done based on</p> <p>18 subcarriers.</p> <p>19 Q. Okay. What's AMC based on</p> <p>20 subcarriers?</p> <p>21 A. That's the granularity with which</p> <p>22 you are making adjustments of modulation.</p> <p>23 Therefore, the adjustment of different modulation</p> <p>24 is done on a per sip -- subcarrier basis.</p> <p>25 (Clarification by the court</p> <p style="text-align: right;">Page 9</p>	<p>1 subcarriers on a frequency domain, right?</p> <p>2 A. I may have.</p> <p>3 Which side?</p> <p>4 Q. What's that?</p> <p>5 A. Where is that in the declaration?</p> <p>6 Q. It would be in the claim</p> <p>7 construction portion of your opening declaration</p> <p>8 says that, and it may repeat it in your secondary</p> <p>9 declaration.</p> <p>10 A. I'm sorry, what was the question?</p> <p>11 Q. I'm just asking you to confirm that</p> <p>12 you actually defined subbands -- you said</p> <p>13 subbands don't have to have subcarriers</p> <p>14 neighboring on the frequency access?</p> <p>15 A. Right.</p> <p>16 Q. I'm asking you isn't it true that</p> <p>17 you actually defined subbands as having</p> <p>18 subcarriers neighboring on the frequency?</p> <p>19 A. I'm just asking you to refresh my</p> <p>20 memory. I don't remember where I wrote that, and</p> <p>21 I certainly would own up to it if it is written</p> <p>22 that -- if I said that subbands necessarily have</p> <p>23 to have subcarriers in a contiguous relationship.</p> <p>24 Q. It would be in your opening</p> <p>25 declaration which you have there.</p> <p style="text-align: right;">Page 11</p>

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.