

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

SNAP INC.,

Petitioner

-v-

IPR2019-00714

Patent No. 8,825,084

BLACKBERRY LIMITED,

IPR2019-00714

Patent Owner

Patent No. 8,326,327

_____ /

Pursuant to Notice, the deposition of SAMRAT BHATTACHARJEE, Ph.D., was taken remotely on Friday, April 10, 2020, commencing at 10:37 a.m., via Zoom, in Silver Spring, Maryland, before Jacqueline Kimball, a Registered Professional Reporter and Notary Public.

Veritext Legal Solutions

Mid-Atlantic Region

36 S. Charles Street, Suite 2002

Baltimore, MD 21201

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES

ON BEHALF OF THE PETITIONER:

CHAD J. PETERMAN, ESQ.,
Paul Hastings
200 Park Avenue
New York, NW 10166
(212)318-6797 chadpeterman@paulhastings.com

ON BEHALF OF THE PATENT OWNER:

NICHOLAS STEPHENS, ESQ.,
Fish & Richardson
60 South Sixth Street, Suite 3200
Minneapolis, MN 55402
(612)766-2018 nstephens@fr.com

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

WITNESS NAME: SAMRAT BHATTACHARJEE, PhD

EXAMINATION BY MR. STEPHENS

4

EXHIBITS:

(NONE)

1 SAMRAT BHATTACHARJEE, PHD,
2 Called for examination, having been duly sworn to
3 tell the truth, the whole truth and nothing but the
4 truth, testified remotely as follows:

5 PROCEEDINGS

6 MR. STEPHENS: Thank you for being here
7 today, Dr. Bhattacharjee. We met as you recall
8 back in November for your deposition in DC, so
9 good to see you again. I'd like to pause for a
10 moment, and ask Chad on the line to say your
11 name for the record so we have the record of
12 who's on the deposition.

13 MR. PETERMAN: Chad Peterman from Paul
14 Hastings on behalf Snap, Inc. and the witness.

15 MR. STEPHENS: Okay, thank you. We are
16 doing this deposition virtually remotely due to
17 the COVID 19 situation. The parties have
18 agreed to this. Does that sound good to you,
19 Mr. Peterman?

20 MR. PETERMAN: Yes.

21 EXAMINATION

22 BY MR. STEPHENS:

23 Q. If you're unable to hear me,
24 Dr. Bhattacharjee, or if there's any technical issues,
25 please just let me know, I'll try and correct it. And

1 I'll do the same, okay?

2 A. Yes.

3 Q. Also if you need to take a break don't
4 hesitate to let me know, we'll do so.

5 A. Thank you.

6 Q. Let's get started. Do you understand that
7 the parties have stipulated this deposition will be
8 applicable to IPR2019-00714, that's the IPR of the 084
9 patents and IPR2019-00715, that's the IPR on the 327
10 patent?

11 A. Yes, I believe those are the numbers. Yes,
12 I understand that.

13 Q. Okay. Do you understand that you are under
14 oath?

15 A. Yes.

16 Q. Is there any reason you cannot give truthful
17 and accurate testimony today?

18 A. No.

19 Q. For clarity I'd like to define some of the
20 terms that we'll be using during the questioning.
21 When I use the terms patent owner or BlackBerry I'm
22 referring to BlackBerry, Limited, you understand?

23 A. Yes.

24 Q. When I use the terms petitioner or Snap I'm
25 referring to Snap, Inc., okay?

1 A. Yeah.

2 Q. When I use the term 084 patent I'm referring
3 to U.S. patent 8825084 which is marked Exhibit 1001 in
4 the 084 IPR, okay?

5 A. Yeah, I don't know the full number myself
6 but okay.

7 Q. Yeah, when I use the term 327 patent we'll
8 be referring to U.S. patent 8326327, okay?

9 A. Yes.

10 Q. When we use the term Winkler I'll be
11 referring U.S. patent 8750906 which is marked as
12 Exhibit 1004 in both IPRs, you understand?

13 A. Yes.

14 Q. When I use the term Lemmela, I'll be
15 referring to U.S. patent application of 20080250337
16 which is marked as Exhibit 1005 in both IPRs, do you
17 understand?

18 A. Yeah.

19 Q. When I use the term Crowley we'll be
20 referring to U.S. patent number 7593740 which is
21 marked Exhibit 1008 in both IPRs, do you understand?

22 A. Yes.

23 Q. When I use the term Altman I'll be referring
24 to U.S. patent application publication 20070281716
25 which is marked Exhibit 1006 in both IPRs, you

1 understand?

2 A. Yes.

3 Q. Just a few more here. When I refer to your
4 first 084 declaration or the February 2019 084
5 declaration that's the declaration that was submitted
6 at Exhibit 1002 in the 084 IPR, okay?

7 A. Yeah, the initial 084 declaration, that's
8 fine.

9 Q. Correct.

10 A. I don't have the exhibit numbers but that's
11 fine.

12 Q. Sure. This will be, that will be the first,
13 your initial 084 declaration?

14 A. That's fine. If you would like me to call
15 it those I can, but I'm not looking at anything but
16 your video right now.

17 Q. Okay. When I refer to your first 327
18 declaration that's the initial declaration that you
19 submitted in February 2019 with the 327 IPR, okay?

20 A. Yes.

21 Q. And conversely your second 084 declaration,
22 that's Exhibit 1011 in the 084 IPR, okay? That's the
23 one you just submitted a month ago?

24 A. That's right, it's also called the rebuttal
25 of the fly declaration or something.

1 Q. That's right, we can refer to it as the
2 rebuttal declaration.

3 A. That's fine. Either way I think we're fine.

4 Q. The same with 327 rebuttal declaration I'll
5 be referring to that as -- which is Exhibit 1020 in
6 the 327 IPR, okay?

7 A. Okay, yeah.

8 Q. I think we may use the acronym POSITA, and
9 if I use that, I'll be referring to a person of
10 ordinary skill in the art at the time of the
11 invention, okay?

12 A. That's fine.

13 Q. Can you describe how you prepared for
14 today's deposition?

15 A. I read the materials in the IPR and I had a
16 few calls with attorneys from Snap.

17 Q. Okay. As part of your preparation
18 approximately how much time did you spend preparing
19 for the deposition?

20 A. I don't know, eight to 10 hours.

21 Q. Was there any documents that you consulted
22 that were, in your preparation for the deposition
23 which are not already at record in the IPR?

24 A. I don't know that -- I looked at the
25 documents for the IPR.

1 Q. Okay. Your review mostly focused on the
2 record?

3 A. That's correct.

4 Q. Are you aware of any errors that exist in
5 either the 084 rebuttal declaration or the 327
6 rebuttal declaration?

7 A. Not off the top of my head, no, sorry.

8 Q. For the next set of questions I plan to
9 refer to your rebuttal declaration from the 084 IPR,
10 okay?

11 A. Okay.

12 Q. Do you have an electronic version or a
13 physical copy available to access?

14 A. I have the files that were sent from
15 BlackBerry for this deposition, I can refer to them.

16 Q. Sure. This would be Exhibit 1011 in the 084
17 IPR.

18 A. Okay, would you like for me to open that?

19 Q. Yes, go ahead.

20 A. Okay.

21 Q. I'm doing the same.

22 A. I have a relatively small screen, so while
23 I'm looking at the document I probably won't be able
24 to see you, so if I miss something please restate it.

25 Q. Okay, understood. I'll be asking questions

1 referring to the 084 rebuttal declaration but just for
2 the record unless we indicate otherwise the questions
3 will apply for both the 084 and 327 proceedings. I
4 believe these issues overlap between the two IPRs,
5 okay?

6 A. Okay. If I am either confused or -- I'll
7 clarify with you if I think that they do not.

8 Q. Perfect, thank you. Referring to paragraph
9 16 of the 084 rebuttal declaration, you state, this
10 starts at the last line on that page, page 7 paragraph
11 16, I agree with the board's institution's decisions
12 determination that the claims action spot refers to a
13 location or event in which the activity is occurring
14 or has occurred, right?

15 A. I see that line, yeah.

16 Q. Okay. In paragraph 14 just above that in
17 the 084 rebuttal declaration you acknowledge that any
18 related district court proceeding involving the 084
19 and 327 patents, The parties agree to a construction
20 for the term action spot as a location or event where
21 at least one activity is occurring relative to the
22 current location of another mobile device. Do you see
23 that?

24 A. Oh, in paragraph 14?

25 Q. Paragraph 14.

1 A. Yes, I do see that.

2 Q. Does the agreed-upon construction or the
3 term action spot as you quoted in paragraph 14
4 actually use the two-word phrase has occurred?

5 A. The construction is there, I can read it out
6 to you if you wish.

7 Q. Sure, yeah, can you read that out?

8 A. The agreed, the construction for action spot
9 was a location or event where at least one activity is
10 occurring relative to the current location of another
11 mobile device.

12 Q. Does that construction include the phrase
13 has occurred?

14 A. No, I mean I just read it out to you, I
15 don't believe it does.

16 Q. In your view would it be impossible to use
17 data from other mobile devices that have engaged in
18 documenting actions to reach a determination that some
19 type of activity is occurring at a location or event?

20 MR. PETERMAN: Object to the form.

21 A. Yeah, could you repeat that question? I'm
22 sorry.

23 Q. Sure, would it be impossible for a system to
24 be designed that would use data from other mobile
25 devices that have engaged in documenting actions to

1 reach a determination that some type of activity is
2 occurring at a location or event?

3 MR. PETERMAN: Objection.

4 THE WITNESS: Go ahead, apologize.

5 MR. PETERMAN: I just made the same
6 objection to form.

7 A. Yeah, I think that's a very vague question
8 in the sense that I don't know when, if, if we relate
9 it back to the actual claim elements then I believe
10 that's impossible. But it just depends on how or what
11 the context is.

12 Q. Sure. Let's construct a hypothetical. If
13 you were leading a team of engineers and you were
14 trying to build a predictive model, for example, would
15 it be possible for those engineers in say the 2010
16 time frame to make a prediction about whether activity
17 is occurring based on indications of documenting the
18 actions that have occurred?

19 MR. PETERMAN: Object to form.

20 A. It depends on the context but usually no. I
21 don't think a predictive model to do 100 percent
22 correct -- I don't believe the predictive model would
23 be 100 percent correct.

24 Q. Is it a criteria of predictive models in
25 your experience to be 100 percent correct?

1 A. It is -- it depends upon what your baud is,
2 you know. I could predict that lots of people are
3 doing Zoom right now but would I swear to it, no.
4 But, again, it all depends upon the definition of
5 activity occurring and so on. I don't believe that
6 based upon past information one can assert that
7 something is occurring.

8 Q. If I received a text message from you that
9 said, or that included a video clip of a baseball
10 game, could a system reasonably infer that a baseball
11 game is occurring based on analysis of that message?

12 A. No, I mean it could be a video from a past
13 baseball game, baseball game could be over, I don't
14 think you could necessarily assert that.

15 Q. Not necessarily, I'm asking if it's a
16 possibility. If there were metadata, for example,
17 that indicated the current date and time would it be
18 possible?

19 MR. PETERMAN: Object to form.

20 A. Again, it entirely depends on what the
21 criteria is or is occurring and the activity. It
22 could have been the last pitch of the game, so I don't
23 know that I can say that you cannot -- you can assert
24 that something is occurring.

25 Q. Okay. Let's go to paragraph 12 of your 084

1 rebuttal declaration. There you indicated that you
2 reviewed a pair of documents from, related to the
3 district court proceeding, one being a corrected final
4 ruling on claim construction which is the marked in
5 order, marked Exhibit 2002 and the second document
6 being a final ruling on Defendant Snap's Motion for
7 Summary Judgment of Invalidity under section 101 which
8 is Exhibit 1012, do you see that?

9 A. Yes, I do.

10 Q. Do you understand that the summary judgment
11 order which is Exhibit 1012 in contrast to
12 Exhibit 2002 was not a Markman order that decided
13 claim construction issues?

14 A. I do, I think so, yeah. I'd have to take a
15 look at those just to make sure there wasn't any claim
16 construction in that order, but in its place I believe
17 that's correct.

18 Q. Is it your opinion that the portion of the
19 summary judgment order that you cited in paragraph 17
20 of the 084 rebuttal declaration altered the district
21 court's formal construction for actions brought from
22 the Markman order?

23 MR. PETERMAN: Object to form.

24 A. I need to take a look at paragraph 17 for
25 anything specific that you're asking me.

1 Q. Yeah, do you believe that the summary
2 judgment order altered the construction of the action
3 spot that was set forth in the Markman order?

4 MR. PETERMAN: Object to form.

5 A. I frankly don't recall the details
6 sufficient enough to give you a reasonable answer to
7 that.

8 Q. I'm going to refer to paragraph 21 of your
9 084 rebuttal declaration, and there you stated that,
10 you interpreted the claim phrase, determine at least
11 one action spot within a predetermined distance from
12 the current location of the first mobile device to
13 mean determine each action spot within a specific
14 distance from the current location of the first mobile
15 device, the specific distance being set prior to the
16 determining spot, do you see that?

17 A. Yes.

18 Q. I want to turn, go to your first 084
19 declaration, that's Exhibit 1002 in paragraph 35.
20 Just let me know when you're able to see that.

21 A. I see it.

22 Q. Okay. So in paragraph 35 you quoted the 084
23 patent and provided several examples of specific
24 distances such as five blocks, 10 blocks, 10 yards,
25 100 feet and other distances. Do you see that?

1 A. Yeah.

2 Q. Is it fair to say that you used the phrase
3 "specific distance from" as relating to some radius
4 that extends from the current location of the first
5 mobile device?

6 MR. PETERMAN: Object to form.

7 A. I wouldn't necessarily say radius. I think
8 the distances are, you know, 10 yards may be a radius
9 but 10 blocks may not be. You may not have a 10-block
10 radius if, you now, one side of it is in the ocean.

11 Q. Okay. A 10-block distance may not
12 necessarily be a radius but it's some distance that
13 extends from the current location of that first mobile
14 device?

15 A. That's correct, so I mean radius refers to a
16 circular measure which I don't think it necessarily
17 implies in what I quoted. Radius I believe is a
18 circular measure and I don't believe a circular
19 measure is necessarily implied in what I quoted.

20 Q. Let's turn to the Lemmela reference which is
21 Exhibit 1005.

22 A. Okay.

23 Q. The first thing I'd like to discuss is how
24 Lemmela's system attributes a location to messages
25 that are posted by mobile devices. This is described

1 around paragraph 33. So if you need to refresh and
2 take a look at paragraph 33 please do so. Then I'd
3 like to ask, can you explain how Lemmela's system
4 associates a location with a post?

5 MR. PETERMAN: Counsel, which exhibit in
6 paragraph 33 were you referring to?

7 MR. STEPHENS: Lemmela Exhibit 1005.

8 A. Okay.

9 Q. How does, based on paragraph 33 can you
10 explain how Lemmela's system associates a location
11 with a post from a mobile device?

12 A. I think, I mean I can read out paragraph 33
13 but Lemmela is pretty agnostic and very broad as to
14 how a location can be determined. As it says in
15 paragraph 33, Such location postings include an
16 indication of a location for the posting. In some
17 cases the user may manually enter the location using
18 coordinates, map sectors, street names, et cetera.
19 However, when the posting is created by a mobile
20 terminal the location posting may automatically
21 include the location of the mobile terminal at the
22 time the posting was created or posted. Such location
23 data can be obtained from GPS, Global Positioning
24 System device, although other means of automatically
25 determining location such as inertial centers,

1 LORAN --

2 MR. PETERMAN: Can you slow down a little
3 bit, Doctor.

4 A. Such location data would be obtained from a
5 GPS, (Global Positioning System) device, although
6 other means of automatically determining location such
7 as inertial centers, LORAN, L-O-R-A-N systems,
8 proximity detectors, et cetera, are also possible.
9 Let me just leave it at that, yeah.

10 Q. Last line of paragraph 33 refers to location
11 coordinates, are you familiar with how GPS formats
12 location coordinates?

13 A. It depends upon at what level you're asking
14 whether the GPS protocol itself, no. Commonly the
15 output of GPS is given in latitude/longitude -- and
16 also altitude. But internally I don't believe that's
17 necessarily how it is processed.

18 Q. The output of a GPS module or chip is
19 plotted in latitude/longitude coordinates?

20 A. That is my understanding. Now, there could
21 be software that's running between the GPS chip and
22 the user interface call that is translating the raw
23 output of the GPS, and making it into latitude,
24 longitude, altitude, which off the top of my head I
25 don't recall the GPS protocol sufficiently to give you

1 much more detail.

2 Q. Okay. So regardless of how the location
3 coordinates are determined or how they're formatted,
4 Lemmela's system associates the location coordinates
5 with posts made by user devices, is that right?

6 A. Location postings include an indication of
7 the location for the posting, correct.

8 Q. In paragraph 35 of Lemmela they describe a
9 clustering algorithm, and one example is the K-means
10 clustering algorithm. Can you describe how the
11 clustering algorithm works?

12 A. In general or the K-means?

13 Q. K-means would be fine.

14 A. Okay, so the easiest way to think about this
15 is suppose we have some form of a metric space where
16 metric space just means we have some notion of a
17 distance. I'm not being particularly technical here.
18 Then, and so what that means is if I have a set of
19 items then we can define a notion of distance or
20 similarity between those items over the space. And
21 you can think of the mean as the, outside of the
22 center of the space or the center of the item that
23 would be in a way represent the mean or average item
24 given all of the additions. And K-means will try to
25 output K of the items that are the K most, not quite

1 central but in terms of the distance measure, one way
2 to look at it would be these are the items such that
3 the maximum distance from any other item in the space
4 is minimized if I were to use these K items. I think
5 that's a reasonable high-level description.

6 Q. That was good, thank you. What is the
7 similarity measure or the distance measure that is
8 used among Lemmela's algorithm?

9 A. The, Lemmela I think is relatively broad in
10 terms of the measure that is being used. If we take a
11 look at paragraph 35 Lemmela says that we can use the
12 suitably defined similarity measure taking into
13 account both the similarity between the postings by
14 salient words or some other measure and the geographic
15 distance. Then it says and other embodiments, other
16 methods may utilize ontologies, common-sense knowledge
17 sources, calculating semantic distances between words
18 and phrases, or given sufficient amounts of data
19 unsupervised learning methods like self-organizing
20 maps. So Lemmela is pretty broad. It's talking about
21 semantic distance. There are very well known ways of
22 trying to find salient words in documents or something
23 like that. An early example which would have been
24 known at that time would be something called PFIDF,
25 something like that could be used. Ways of finding

1 salient words was known in that time frame. One
2 example might be something called PFIDF.

3 Q. In Lemmela's system a cluster of postings is
4 a set of postings that are related semantically and
5 geographically, for example?

6 A. Some embodiment, that would be correct.

7 Q. Okay, let's, I'd like to look at paragraphs
8 39 and 40 of Lemmela. Do you see that paragraph 39
9 identifies the Nokia 770 Internet tablet as one type
10 of mobile terminal that can be used in Lemmela's
11 system?

12 A. Yes.

13 Q. And then paragraph 40 identifies a number of
14 other devices besides the Nokia 770 tablet that could
15 alternatively be used in the system, right?

16 A. Among other things, yeah.

17 Q. Okay. Such as a cellular phone, a laptop
18 computer, PDA, GPS receiver or car navigation device?

19 A. I don't think you read them, maybe you
20 did --

21 Q. I may not have the right order --

22 A. -- in order, but I have found cellular
23 phone, a laptop computer or combination thereof, car
24 navigation device. Yeah, I see, I believe I see all
25 the examples you pointed to.

1 Q. The second to last sentence in paragraph 40
2 describes how Lemmela's mobile device can communicate
3 with a web server using either a wired connection or a
4 wireless connection, do you see that?

5 A. Yes, I see that it says, Mobile device 50
6 may also communicate with a web server one or more
7 ports not shown on the mobile device that may allow a
8 wired connection to the Internet, such as universal
9 serial bus, USB, connection and/or via the short-range
10 wireless connection not shown, such as the Bluetooth
11 link or a wireless connection to WLAN access point.
12 And I think we should keep in mind that that was not
13 the only way that Lemmela's mobile device could
14 communicate with a web server because in the very
15 previous line it talks about cellular communication as
16 other types of network that could be used.

17 Q. Sure. Paragraph 40 describes a number of
18 different examples that could each be used to
19 implement Lemmela's techniques, right?

20 A. That's correct.

21 Q. Were broadband Internet connections
22 available in the late 2000s via a wired connection to
23 a computing device?

24 A. You're asking me in the late 2000s could one
25 connect to the Internet using a broadband wired

1 connection?

2 Q. That's correct.

3 A. Yeah.

4 Q. (Inaudible) how would you connect to a
5 broadband wired connection?

6 MR. PETERMAN: Could you repeat the
7 question? I actually missed it. You were
8 talking over.

9 Q. Thank you. What were some examples of how a
10 user could connect his computing device with a wired
11 connection to obtain broadband Internet?

12 A. Okay, so this is a question which is
13 somewhat interesting because the term broadband itself
14 is not particularly well defined. But if we go with
15 the colloquial, let's say, definition then one might
16 have a cable modem connection or a DSL which may or
17 may not be broadband, or by the late 2000s I believe
18 we also have fiber connections to the home. Now, if
19 it was to a place of work then you could suddenly have
20 fiber or Ethernet or other types of connection that
21 would eventually be connected to a service provider
22 that was connected to the greater Internet. And then
23 within the premises you might run your own network
24 such as Ethernet. I don't know that anything that
25 was, that much was being used as a wired connection by

1 then. And one could connect using Ethernet, and in
2 some cases maybe Ethernet connected to USB or
3 something. Then back to the access connection you had
4 which would be cable modem, fiber, DSL and so on.

5 Q. So it would have been possible for Lemmela's
6 mobile device to use an Ethernet or fiberoptic
7 connection to obtain broadband Internet?

8 A. I actually have one of the Nokias except for
9 one after the 770. So I don't believe you can connect
10 an Ethernet to that. But some laptops had Ethernet
11 jacks at that time period so they could use a wired
12 connection.

13 Q. Look at figure 6 in Lemmela.

14 A. Okay.

15 Q. This is a block diagram of the mobile device
16 at the right?

17 A. That's correct.

18 Q. Shown in figure 6 there's a memory 56 where
19 map information 66 and posting information 68 and
20 processing instruction 70 is stored, do you see that?

21 A. I believe that's just the memory of the
22 device. And yeah, that information would be stored
23 there.

24 Q. Do the types of memory that are described in
25 paragraph 45 of Lemmela, are those the type of memory

1 that could used as memory 56?

2 A. Yeah, it's pretty comprehensive, so I think
3 56 would include RAM, probably not EEPROM or ROM,
4 maybe, maybe if the processing instructions were on
5 EEPROM or ROM --

6 MR. PETERMAN: He said EPROM, E-P-R-O-M,
7 all caps. And ROM, R-O-M, all caps.

8 THE WITNESS: I said EEPROM, E-E-P-R-O-M
9 and ROM. And I also said RAM, R-A-M.

10 Q. A CD-ROM and a DVD are two of the types of
11 memories listed in paragraph 45, correct?

12 A. That's correct. But the types of, at least
13 some of the types of mobile devices that have been
14 identified in the previous paragraph and in our prior
15 conversation don't easily connect to CD-ROM or DVD,
16 they don't have onboard CD-ROM or DVD.

17 Q. Do you know what the typical memory capacity
18 of a CD-ROM was in the late 2000s?

19 A. 700 megabytes give or take.

20 Q. Can you say that again? I didn't quite
21 catch it.

22 A. 700 megabytes give or take.

23 Q. For like an audio CD?

24 A. I mean all CDs I believe it's around 700
25 megabytes, six to 700 megabytes.

1 Q. Do you know what the typical memory capacity
2 of a DVD was?

3 A. 4.3 gigabytes. Again, off the top of my
4 head, I believe it's around 4.3 gigabytes.

5 Q. Okay. Let's look at paragraph 43 of Lemmela
6 which states, Memory 56 may also include posting
7 information 68 which may include raw location posting
8 information received from a network or any type of
9 processed information, correct?

10 A. Yeah, that's one of the lines in paragraph
11 43, correct.

12 Q. Would it have been impossible for Lemmela's
13 mobile device to predownload posting information 68
14 before the information was needed to be displayed?

15 A. To implement all of what Lemmela is talking
16 about it would have been impossible.

17 Q. What are some of the portions of Lemmela
18 that lead you to believe it would be impossible?

19 A. Well, Lemmela talks about dynamically
20 updating the location posting based upon the user
21 location and also time period, and there is nothing in
22 Lemmela that can change the time period to be in the
23 past. I think a Lemmela-contemplated system where
24 postings are downloaded as they are posted or as the
25 user queries for them based upon their location, and

1 that could not be done using an offline storage
2 system.

3 Q. Let's turn to figure 5 in Lemmela.

4 A. After we're done with the Lemmela line of
5 questioning if we could take a break that would be
6 helpful.

7 Q. No problem, that would be fine. Figure 5
8 shows a timeline 46?

9 A. That's correct.

10 Q. What does the timeline 46 allow the user to
11 do?

12 A. It allows the user to constrain the location
13 posting view using that timeline.

14 Q. Can you give an example of how a user might
15 interact with the user interface in figure 5 to change
16 the timing view?

17 A. Given that Nokia was a touch screen, though
18 it had a little stylus, I believe you could either
19 click on particular days or, you know, that's just a
20 sample interface, one could presumably get finer than
21 the days and weeks view shown there. You might have
22 been able to drag over that hand and presumably in a
23 path from now until whenever or even in the past.

24 Q. In the view in figure 5 do you see that at
25 the left-hand side of the timeline there's a label

1 that says week five?

2 A. Yes, I do see it.

3 Q. Goes all the way to 13 on the right-hand
4 side?

5 A. That's correct.

6 Q. Is there a way that a user could access
7 earlier postings from say weeks one through four?

8 A. Presumably there are little arrows there on
9 the two edges of the time line. My understanding is
10 if one were to click on those arrows it would go back
11 to the past.

12 Q. If you clicked on left arrows you could go
13 back in the past?

14 A. That is my understanding.

15 Q. Then on, I guess conversely on the
16 right-hand side you could maybe go forward to week 14
17 or 15?

18 A. Well, that's kind of interesting because I
19 believe this view is contemporaneous. Lemmela was,
20 Lemmela was filed on April 6, 2007, and if we take a
21 look at that view it in fact includes the present
22 which is I guess week 14, beginning of April 2007, I'm
23 not sure it could go very much farther to the right
24 including the present in there. But of course that's
25 a, it's a mockup, but it's kind of interesting that it

1 actually is including the date on which it was posted
2 or filed.

3 Q. Is the Nokia device that you personally have
4 a Nokia 770, is that what you said?

5 A. No, I have an 810. I can show it to you if
6 you like.

7 Q. You have it on your desk right now?

8 A. I'll get it for you. Here is the Nokia, and
9 over here is the stylus. One of these things pop out.
10 It's really cool, has a little keyboard that comes
11 out. Well, I haven't used this for years, decades,
12 but here is the little stylus. Oh, yeah, it has a
13 little slide-out keyboard. The 770 was very similar
14 if I remember. But I thought I had one but I had this
15 one instead, which was I guess one revision later.

16 Q. Okay, great. That's all the Lemmela
17 questions. I just have this one left, two questions
18 here. In your first declaration from the 084 IPR,
19 Exhibit 1002?

20 A. Yes.

21 Q. On pages 59 to 60 of the declaration there's
22 a cell where you analyze claim element 1B?

23 A. Yeah.

24 Q. One moment, I'm just turning to it myself.
25 In that cell for element 1B you cite paragraphs 38 and

1 42 of Lemmela, correct?

2 A. The specific citations are to 42 and 38,
3 that's correct.

4 Q. Are there any citations to Crowley in the
5 cell for element 1B?

6 A. The element 1B does not cite to Crowley.

7 MR. STEPHENS: That's all I have for
8 Lemmela, so if you'd like to take a 10-minute
9 break.

10 (Brief pause in the proceedings.)

11 BY MR. STEPHENS:

12 Q. Let's turn to the Winkler reference,
13 Exhibit 1004. Looking at figure 6A to 6C, can you
14 just describe what's shown here in this progression
15 from figure 6A to figure 6B to figure 6C? There's
16 some description in the text in column 11.

17 A. Right. As column 11 says, figure 6A depicts
18 a screen presenting a map element 610. Before the
19 occurrence of an event the map element may be a
20 certain color, size and/or design. After an event is
21 detected the system in figure 6B modifies the map
22 element. It indicates the occurrence of the event.
23 For example, the system may change the color of the
24 map element as shown in the figure when a friend
25 arrives at the location or adds a comment to the map

1 element. The system may also modify the map element
2 based on events that occur with the user's mobile
3 device. Figure 6C depicts the screen presenting a
4 different map element 620 sun when the system detects
5 the user's mobile device be approximate or at the
6 location of the map element.

7 Q. So in figure 6C when the user's mobile
8 device is proximate to or at the location of,
9 indicated by map element 610, then element 610 changes
10 appearance to the sun 620?

11 A. That's right. And as Winkler describes map
12 elements and the dynamic and that's one of the
13 examples of it changing, and can also be the location
14 of another user or another device.

15 Q. I'd like to turn to paragraph 75 of the 084
16 rebuttal declaration. Just let me know when you're
17 there.

18 A. Yes.

19 Q. And toward the middle of that paragraph,
20 paragraph 75, you cited Winkler's disclosure at
21 figure 5 in column 10, line 17 to 19 to support the
22 contention that Winkler discloses map elements that
23 correspond to the current location of the mobile
24 device, correct?

25 A. That's correct.

1 Q. Paragraph 75 includes no other citations for
2 the assertion that Winkler discloses map elements
3 corresponding to the current location of the mobile
4 device, correct?

5 A. I'd have to, it's a long paragraph but there
6 is certainly that disclosure in Winkler.

7 MR. STEPHENS: Okay. No further
8 questions.

9 MR. PETERMAN: Okay the deposition is
10 over. We would like to read the transcript.
11 Snap would like a copy of the deposition on the
12 same time frame as BlackBerry, although I don't
13 think we need a rough. We can just take the
14 final on Tuesday.

15 MADAM REPORTER: Rough on Monday and final
16 on Tuesday?

17 MR. STEPHENS: That's right.

18 (The deposition was concluded at 11:45 a.m.)
19
20
21
22
23
24
25

1 STATE OF MARYLAND

2 ANNE ARUNDEL COUNTY

3 I, Jacqueline Kimball, a Notary Public in
4 and for the State of Maryland, County of Anne Arundel,
5 do hereby certify that foregoing transcript is a true
6 and correct record of the testimony given; that said
7 testimony was taken by me stenographically and then
8 transcribed from my stenographic notes to the within
9 printed matter by means of computer-assisted
10 transcription in a true and accurate manner.

11 I further certify that I am not of counsel
12 to any of the parties, not an employee of counsel, nor
13 related to any of the parties, nor in any way
14 interested in the outcome of this action.

15 AS WITNESS my hand and Notarial Seal this
16 13th day of April, 2020, at Annapolis, Maryland.

17 

18 Jacqueline Kimball, Notary Public

19 My commission expires May 1, 2023.
20
21
22
23
24
25

Veritext Legal Solutions
1100 Superior Ave
Suite 1820
Cleveland, Ohio 44114
Phone: 216-523-1313

April 14, 2020

To: Chad J. Peterman, Esq.

Case Name: Snap Inc. v. Blackberry Limited

Veritext Reference Number: 4047296

Witness: Samrat Bhattacharjee, Ph.D. Deposition Date: 4/10/2020

Dear Sir/Madam:

Enclosed please find a deposition transcript. Please have the witness review the transcript and note any changes or corrections on the included errata sheet, indicating the page, line number, change, and the reason for the change. Have the witness' signature notarized and forward the completed page(s) back to us at the Production address shown above, or email to production-midwest@veritext.com.

If the errata is not returned within thirty days of your receipt of this letter, the reading and signing will be deemed waived.

Sincerely,
Production Department

NO NOTARY REQUIRED IN CA

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

DEPOSITION REVIEW
CERTIFICATION OF WITNESS

ASSIGNMENT REFERENCE NO: 4047296
CASE NAME: Snap Inc. v. Blackberry Limited
DATE OF DEPOSITION: 4/10/2020
WITNESS' NAME: Samrat Bhattacharjee, Ph.D.

In accordance with the Rules of Civil Procedure, I have read the entire transcript of my testimony or it has been read to me.

I have made no changes to the testimony as transcribed by the court reporter.

_____ Date _____ Samrat Bhattacharjee, Ph.D.

Sworn to and subscribed before me, a Notary Public in and for the State and County, the referenced witness did personally appear and acknowledge that:

They have read the transcript;
They signed the foregoing Sworn Statement; and
Their execution of this Statement is of their free act and deed.

I have affixed my name and official seal
this _____ day of _____, 20_____.

Notary Public

Commission Expiration Date

DEPOSITION REVIEW
CERTIFICATION OF WITNESS

ASSIGNMENT REFERENCE NO: 4047296
CASE NAME: Snap Inc. v. Blackberry Limited
DATE OF DEPOSITION: 4/10/2020
WITNESS' NAME: Samrat Bhattacharjee, Ph.D.

In accordance with the Rules of Civil Procedure, I have read the entire transcript of my testimony or it has been read to me.

I have listed my changes on the attached Errata Sheet, listing page and line numbers as well as the reason(s) for the change(s).

I request that these changes be entered as part of the record of my testimony.

I have executed the Errata Sheet, as well as this Certificate, and request and authorize that both be appended to the transcript of my testimony and be incorporated therein.

_____ Date _____ Samrat Bhattacharjee, Ph.D.

Sworn to and subscribed before me, a Notary Public in and for the State and County, the referenced witness did personally appear and acknowledge that:

They have read the transcript;
They have listed all of their corrections in the appended Errata Sheet;
They signed the foregoing Sworn Statement; and
Their execution of this Statement is of their free act and deed.

I have affixed my name and official seal this _____ day of _____, 20____.

Notary Public

Commission Expiration Date

&	15 28:17	4	770 21:9,14 24:9 29:4,13
& 2:10	16 10:9,11	4 3:3	8
0	17 14:19,24 31:21	4.3 26:3,4	8,326,327 1:8
084 5:8 6:2,4 7:4,4 7:6,7,13,21,22 9:5 9:9,16 10:1,3,9,17 10:18 13:25 14:20 15:9,18,22 29:18 31:15	18005 33:18	4/10/2020 34:8	8,825,084 1:6
	1820 34:2	35:3 36:3	810 29:5
	19 4:17 31:21	40 21:8,13 22:1,17	8326327 6:8
	1b 29:22,25 30:5,6	4047296 34:7 35:2	8750906 6:11
	2	36:2 37:2	8825084 6:3
	20 35:16 36:22	42 30:1,2	a
	37:22	43 26:5,11	a.m. 1:15 32:18
1	200 2:5	44114 34:2	able 9:23 15:20 27:22
1 33:20	2000s 22:22,24	45 24:25 25:11	access 9:13 22:11 24:3 28:6
10 1:15 8:20 15:24 15:24 16:8,9,9,11 30:8 31:21	23:17 25:18	46 27:8,10	account 20:13
100 12:21,23,25	2002 1:24 14:5,12	5	accurate 5:17 33:10
15:25	2007 28:20,22	5 27:3,7,15,24	acknowledge 10:17 35:11 36:16
1001 6:3	20070281716 6:24	31:21	acronym 8:8
1002 7:6 15:19	20080250337 6:15	50 22:5	act 35:14 36:20
29:19	2010 12:15	55402 2:12	action 10:12,20 11:3,8 15:2,11,13 33:14
1004 6:12 30:13	2019 7:4,19	56 24:18 25:1,3	actions 11:18,25 12:18 14:21
1005 6:16 16:21	2020 1:15 33:16	26:6	activity 10:13,21 11:9,19 12:1,16 13:5,21
17:7	34:4	59 29:21	actual 12:9
1006 6:25	2023 33:20	6	additions 19:24
1008 6:21	21 15:8	6 24:13,18 28:20	address 34:15
101 14:7	212 2:7	60 2:11 29:21	adds 30:25
1011 7:22 9:16	21201 1:25	610 30:18 31:9,9	affixed 35:15 36:21
1012 14:8,11	216-523-1313 34:3	612 2:13	agnostic 17:13
10166 2:6	3	620 31:4,10	ago 7:23
1020 8:5	318-6797 2:7	66 24:19	agree 10:11,19
10:37 1:15	3200 2:11	68 24:19 26:7,13	
11 30:16,17	327 5:9 6:7 7:17	6a 30:13,15,17	
1100 34:1	7:19 8:4,6 9:5	6b 30:15,21	
11:45 32:18	10:3,19	6c 30:13,15 31:3,7	
12 13:25	33 17:1,2,6,9,12,15	7	
13 28:3	18:10	7 10:10	
13th 33:16	35 15:19,22 19:8	70 24:20	
14 10:16,24,25	20:11	700 25:19,22,24,25	
11:3 28:16,22	36 1:24	75 31:15,20 32:1	
34:4	38 29:25 30:2	7593740 6:20	
	39 21:8,8	766-2018 2:13	

<p>agreed 4:18 11:2,8 ahead 9:19 12:4 algorithm 19:9,10 19:11 20:8 allow 22:7 27:10 allows 27:12 altered 14:20 15:2 alternatively 21:15 altitude 18:16,24 altman 6:23 amounts 20:18 analysis 13:11 analyze 29:22 annapolis 33:16 anne 33:2,4 answer 15:6 apologize 12:4 appeal 1:2 appear 35:11 36:15</p>	<p>assert 13:6,14,23 assertion 32:2 assignment 35:2 36:2 37:2 assisted 33:9 associates 17:4,10 19:4 atlantic 1:23 attached 36:7 attorneys 8:16 attributes 16:24 audio 25:23 authorize 36:11 automatically 17:20,24 18:6 available 9:13 22:22 ave 34:1 avenue 2:5 average 19:23 aware 9:4</p>	<p>bhattacharjee 1:14 3:2 4:1,7,24 34:8 35:4,9 36:4 36:13 37:20 bit 18:3 blackberry 1:7 5:21,22 9:15 32:12 34:6 35:3 36:3 block 16:9,11 24:15 blocks 15:24,24 16:9 bluetooth 22:10 board 1:2 board's 10:11 break 5:3 27:5 30:9 brief 30:10 broad 17:13 20:9 20:20</p>	<p>cases 17:17 24:2 catch 25:21 cd 25:10,15,16,18 25:23 cds 25:24 cell 29:22,25 30:5 cellular 21:17,22 22:15 center 19:22,22 centers 17:25 18:7 central 20:1 certain 30:20 certainly 32:6 certificate 36:11 certification 35:1 36:1 certify 33:5,11 cetera 17:18 18:8 chad 2:3 4:10,13 34:5 chadpeterman 2:7 change 26:22 27:15 30:23 34:13 34:14 36:8 37:3 changes 31:9 34:12 35:7 36:7,9 changing 31:13 charles 1:24 chip 18:18,21 circular 16:16,18 16:18 citations 30:2,4 32:1 cite 29:25 30:6 cited 14:19 31:20 civil 35:5 36:5 claim 12:9 14:4,13 14:15 15:10 29:22 claims 10:12 clarify 10:7</p>
<p>appearance 31:10 appearances 2:1 appended 36:11 36:18 applicable 5:8 application 6:15 6:24 apply 10:3 approximate 31:5 approximately 8:18 april 1:15 28:20 28:22 33:16 34:4 arrives 30:25 arrows 28:8,10,12 art 8:10 arundel 33:2,4 asking 9:25 13:15 14:25 18:13 22:24</p>	<p style="text-align: center;">b</p> <p>back 4:8 12:9 24:3 28:10,13 34:15 baltimore 1:25 baseball 13:9,10 13:13,13 based 12:17 13:6 13:11 17:9 26:20 26:25 31:2 baud 13:1 beginning 28:22 behalf 2:2,8 4:14 believe 5:11 10:4 11:15 12:9,22 13:5 14:16 15:1 16:17,18 18:16 21:24 23:17 24:9 24:21 25:24 26:4 26:18 27:18 28:19</p>	<p>broadband 22:21 22:25 23:5,11,13 23:17 24:7 brought 14:21 build 12:14 bus 22:9</p> <p style="text-align: center;">c</p> <p>ca 34:25 cable 23:16 24:4 calculating 20:17 call 7:14 18:22 called 4:2 7:24 20:24 21:2 calls 8:16 capacity 25:17 26:1 caps 25:7,7 car 21:18,23 case 34:6 35:3 36:3</p>	<p>change 26:22 27:15 30:23 34:13 34:14 36:8 37:3 changes 31:9 34:12 35:7 36:7,9 changing 31:13 charles 1:24 chip 18:18,21 circular 16:16,18 16:18 citations 30:2,4 32:1 cite 29:25 30:6 cited 14:19 31:20 civil 35:5 36:5 claim 12:9 14:4,13 14:15 15:10 29:22 claims 10:12 clarify 10:7</p>

<p>clarity 5:19 cleveland 34:2 click 27:19 28:10 clicked 28:12 clip 13:9 cluster 21:3 clustering 19:9,10 19:11 colloquial 23:15 color 30:20,23 column 30:16,17 31:21 combination 21:23 comes 29:10 commencing 1:15 comment 30:25 commission 33:20 35:19 36:25 37:25 common 20:16 commonly 18:14 communicate 22:2 22:6,14 communication 22:15 completed 34:15 comprehensive 25:2 computer 21:18 21:23 33:9 computing 22:23 23:10 concluded 32:18 confused 10:6 connect 22:25 23:4,10 24:1,9 25:15 connected 23:21 23:22 24:2 connection 22:3,4 22:8,9,10,11,22</p>	<p>23:1,5,11,16,20,25 24:3,7,12 connections 22:21 23:18 constrain 27:12 construct 12:12 construction 10:19 11:2,5,8,12 14:4,13,16,21 15:2 consulted 8:21 contemplated 26:23 contemporaneous 28:19 contention 31:22 context 12:11,20 contrast 14:11 conversation 25:15 conversely 7:21 28:15 cool 29:10 coordinates 17:18 18:11,12,19 19:3,4 copy 9:13 32:11 correct 4:25 7:9 9:3 12:22,23,25 14:17 16:15 19:7 21:6 22:20 23:2 24:17 25:11,12 26:9,11 27:9 28:5 30:1,3 31:24,25 32:4 33:6 corrected 14:3 corrections 34:12 36:17 correspond 31:23 corresponding 32:3 counsel 17:5 33:11 33:12</p>	<p>county 33:2,4 35:10 36:15 course 28:24 court 10:18 14:3 35:7 court's 14:21 covid 4:17 created 17:19,22 criteria 12:24 13:21 crowley 6:19 30:4 30:6 current 10:22 11:10 13:17 15:12 15:14 16:4,13 31:23 32:3</p> <p style="text-align: center;">d</p> <p>data 11:17,24 17:23 18:4 20:18 date 13:17 29:1 34:8 35:3,9,19 36:3,13,25 37:20 37:25 day 33:16 35:16 36:22 37:22 days 27:19,21 34:18 dc 4:8 dear 34:10 decades 29:11 decided 14:12 decisions 10:11 declaration 7:4,5 7:5,7,13,18,18,21 7:25 8:2,4 9:5,6,9 10:1,9,17 14:1,20 15:9,19 29:18,21 31:16 deed 35:14 36:20 deemed 34:19</p>	<p>defendant 14:6 define 5:19 19:19 defined 20:12 23:14 definition 13:4 23:15 department 34:22 depends 12:10,20 13:1,4,20 18:13 depicts 30:17 31:3 deposition 1:13 4:8,12,16 5:7 8:14 8:19,22 9:15 32:9 32:11,18 34:8,11 35:1,3 36:1,3 describe 8:13 19:8 19:10 30:14 described 16:25 24:24 describes 22:2,17 31:11 description 20:5 30:16 design 30:20 designed 11:24 desk 29:7 detail 19:1 details 15:5 detected 30:21 detectors 18:8 detects 31:4 determination 10:12 11:18 12:1 determine 15:10 15:13 determined 17:14 19:3 determining 15:16 17:25 18:6 device 10:22 11:11 15:12,15 16:5,14</p>
---	---	--	---

<p>17:11,24 18:5 21:18,24 22:2,5,7 22:13,23 23:10 24:6,15,22 26:13 29:3 31:3,5,8,14 31:24 32:4 devices 11:17,25 16:25 19:5 21:14 25:13 diagram 24:15 different 22:18 31:4 discloses 31:22 32:2 disclosure 31:20 32:6 discuss 16:23 displayed 26:14 distance 15:11,14 15:15 16:3,11,12 19:17,19 20:1,3,7 20:15,21 distances 15:24,25 16:8 20:17 district 10:18 14:3 14:20 doctor 18:3 document 9:23 14:5 documenting 11:18,25 12:17 documents 8:21 8:25 14:2 20:22 doing 4:16 9:21 13:3 downloaded 26:24 dr 4:7,24 drag 27:22 dsl 23:16 24:4 due 4:16</p>	<p>duly 4:2 dvd 25:10,15,16 26:2 dynamic 31:12 dynamically 26:19</p> <hr/> <p style="text-align: center;">e</p> <hr/> <p>e 25:6,8,8 earlier 28:7 early 20:23 easiest 19:14 easily 25:15 edges 28:9 eprom 25:3,5,8 eight 8:20 either 8:3 9:5 10:6 22:3 27:18 electronic 9:12 element 29:22,25 30:5,6,18,19,22,24 31:1,1,4,6,9,9 elements 12:9 31:12,22 32:2 email 34:17 embodiment 21:6 embodiments 20:15 employee 33:12 enclosed 34:11 engaged 11:17,25 engineers 12:13 12:15 enter 17:17 entered 36:9 entire 35:5 36:5 entirely 13:20 eprom 25:6 errata 34:13,18 36:7,10,18 37:1 errors 9:4 esq 2:3,9 34:5</p>	<p>et 17:18 18:8 ethernet 23:20,24 24:1,2,6,10,10 event 10:13,20 11:9,19 12:2 30:19,20,22 events 31:2 eventually 23:21 examination 3:3 4:2,21 example 12:14 13:16 19:9 20:23 21:2,5 27:14 30:23 examples 15:23 21:25 22:18 23:9 31:13 executed 36:10 execution 35:14 36:19 exhibit 6:3,12,16 6:21,25 7:6,10,22 8:5 9:16 14:5,8,11 14:12 15:19 16:21 17:5,7 29:19 30:13 exhibits 3:4 exist 9:4 experience 12:25 expiration 35:19 36:25 37:25 expires 33:20 explain 17:3,10 extends 16:4,13</p> <hr/> <p style="text-align: center;">f</p> <hr/> <p>fact 28:21 fair 16:2 familiar 18:11 farther 28:23 february 7:4,19</p>	<p>feet 15:25 fiber 23:18,20 24:4 fiberoptic 24:6 figure 24:13,18 27:3,7,15,24 30:13 30:15,15,15,17,21 30:24 31:3,7,21 filed 28:20 29:2 files 9:14 final 14:3,6 32:14 32:15 find 20:22 34:11 finding 20:25 fine 7:8,11,14 8:3 8:3,12 19:13 27:7 finer 27:20 first 7:4,12,17 15:12,14,18 16:4 16:13,23 29:18 fish 2:10 five 15:24 28:1 fly 7:25 focused 9:1 follows 4:4 foregoing 33:5 35:13 36:18 form 11:20 12:6 12:19 13:19 14:23 15:4 16:6 19:15 formal 14:21 formats 18:11 formatted 19:3 forth 15:3 forward 28:16 34:15 found 21:22 four 28:7 fr.com 2:13 frame 12:16 21:1 32:12</p>
--	---	---	---

<p>frankly 15:5 free 35:14 36:20 friday 1:14 friend 30:24 full 6:5 further 32:7 33:11</p>	<p>hear 4:23 helpful 27:6 hesitate 5:4 high 20:5 home 23:18 hours 8:20 hypothetical 12:12</p>	<p>infer 13:10 information 13:6 24:19,19,22 26:7,8 26:9,13,14 initial 7:7,13,18 institution's 10:11 instruction 24:20 instructions 25:4 interact 27:15 interested 33:14 interesting 23:13 28:18,25 interface 18:22 27:15,20 internally 18:16 internet 21:9 22:8 22:21,25 23:11,22 24:7 interpreted 15:10 invalidity 14:7 invention 8:11 involving 10:18 ipr 5:8,9 6:4 7:6 7:19,22 8:6,15,23 8:25 9:9,17 29:18 ipr2019-00714 1:5 1:7 5:8 ipr2019-00715 5:9 iprs 6:12,16,21,25 10:4 issues 4:24 10:4 14:13 item 19:22,23 20:3 items 19:19,20,25 20:2,4</p>	<p>judgment 14:7,10 14:19 15:2</p>
<p>g</p>	<p>i</p>	<p>j</p>	<p>k</p>
<p>game 13:10,11,13 13:13,22 general 19:12 geographic 20:14 geographically 21:5 gigabytes 26:3,4 give 5:16 15:6 18:25 25:19,22 27:14 given 18:15 19:24 20:18 27:17 33:6 global 17:23 18:5 go 9:19 12:4 13:25 15:18 23:14 28:10 28:12,16,23 goes 28:3 going 15:8 good 4:9,18 20:6 gps 17:23 18:5,11 18:14,15,18,21,23 18:25 21:18 great 29:16 greater 23:22 guess 28:15,22 29:15</p>	<p>identified 25:14 identifies 21:9,13 implement 22:19 26:15 implied 16:19 implies 16:17 impossible 11:16 11:23 12:10 26:12 26:16,18 inaudible 23:4 include 11:12 17:15,21 19:6 25:3 26:6,7 included 13:9 34:13 includes 28:21 32:1 including 28:24 29:1 incorporated 36:12 index 3:1 indicate 10:2 indicated 13:17 14:1 31:9 indicates 30:22 indicating 34:13 indication 17:16 19:6 indications 12:17 inertial 17:25 18:7</p>	<p>ipr2019-00714 1:5 1:7 5:8 ipr2019-00715 5:9 iprs 6:12,16,21,25 10:4 issues 4:24 10:4 14:13 item 19:22,23 20:3 items 19:19,20,25 20:2,4</p>	<p>k</p> <p>k 19:9,12,13,24,25 19:25 20:4 keep 22:12 keyboard 29:10 29:13 kimball 1:16 33:3 33:19 kind 28:18,25 know 4:25 5:4 6:5 8:20,24 12:8 13:2 13:23 15:20 16:8 23:24 25:17 26:1 27:19 31:16 knowledge 20:16 known 20:21,24 21:1</p>
<p>h</p>	<p>hand 27:22,25 28:3,16 33:15 hastings 2:4 4:14 head 9:7 18:24 26:4</p>	<p>j</p>	<p>l</p>
<p>hand 27:22,25 28:3,16 33:15 hastings 2:4 4:14 head 9:7 18:24 26:4</p>	<p>hand 27:22,25 28:3,16 33:15 hastings 2:4 4:14 head 9:7 18:24 26:4</p>	<p>j</p> <p>j 2:3 34:5 jacks 24:11 jacqueline 1:16 33:3,19</p>	<p>l</p> <p>l 18:7 label 27:25 laptop 21:17,23 laptops 24:10 late 22:22,24 23:17 25:18 latitude 18:15,19 18:23 lead 26:18 leading 12:13 learning 20:19 leave 18:9 left 27:25 28:12 29:17 legal 1:22 34:1 37:1 lemmela 6:14 16:20 17:7,13 19:8 20:9,11,20 21:8 24:13,25</p>

<p>26:5,15,17,19,22 26:23 27:3,4 28:19,20 29:16 30:1,8 lemmela's 16:24 17:3,10 19:4 20:8 21:3,10 22:2,13,19 24:5 26:12 letter 34:19 level 18:13 20:5 limited 1:7 5:22 34:6 35:3 36:3 line 4:10 10:10,15 18:10 22:15 27:4 28:9 31:21 34:13 36:7 37:3 lines 26:10 link 22:11 listed 25:11 36:7 36:17 listing 36:7 little 18:2 27:18 28:8 29:10,12,13 location 10:13,20 10:22 11:9,10,19 12:2 15:12,14 16:4,13,24 17:4,10 17:14,15,16,17,20 17:21,22,25 18:4,6 18:10,12 19:2,4,6 19:7 26:7,20,21,25 27:12 30:25 31:6 31:8,13,23 32:3 long 32:5 longitude 18:15,19 18:24 look 14:15,24 17:2 20:2,11 21:7 24:13 26:5 28:21 looked 8:24</p>	<p>looking 7:15 9:23 30:13 loran 18:1,7 lots 13:2</p> <hr/> <p style="text-align: center;">m</p> <hr/> <p>m 25:6,7,8,9 madam 32:15 34:10 making 18:23 manner 33:10 manually 17:17 map 17:18 24:19 30:18,19,21,24,25 31:1,4,6,9,11,22 32:2 maps 20:20 marked 6:3,11,16 6:21,25 14:4,5 markman 14:12 14:22 15:3 maryland 1:16 33:1,4,16 materials 8:15 matter 33:9 maximum 20:3 md 1:25 mean 11:14 13:12 15:13 16:15 17:12 19:21,23 25:24 means 17:24 18:6 19:9,12,13,16,18 19:24 33:9 measure 16:16,18 16:19 20:1,7,7,10 20:12,14 megabytes 25:19 25:22,25,25 memories 25:11 memory 24:18,21 24:24,25 25:1,17 26:1,6</p>	<p>message 13:8,11 messages 16:24 met 4:7 metadata 13:16 methods 20:16,19 metric 19:15,16 mid 1:23 middle 31:19 midwest 34:17 37:1 mind 22:12 minimized 20:4 minneapolis 2:12 minute 30:8 missed 23:7 mn 2:12 mobile 10:22 11:11,17,24 15:12 15:14 16:5,13,25 17:11,19,21 21:10 22:2,5,7,13 24:6 24:15 25:13 26:13 31:2,5,7,23 32:3 mockup 28:25 model 12:14,21,22 models 12:24 modem 23:16 24:4 modifies 30:21 modify 31:1 module 18:18 moment 4:10 29:24 monday 32:15 month 7:23 motion 14:6</p> <hr/> <p style="text-align: center;">n</p> <hr/> <p>n 18:7 name 3:2 4:11 34:6 35:3,4,15 36:3,4,21</p>	<p>names 17:18 navigation 21:18 21:24 necessarily 13:14 13:15 16:7,12,16 16:19 18:17 need 5:3 14:24 17:1 32:13 needed 26:14 network 22:16 23:23 26:8 new 2:6 nicholas 2:9 nokia 21:9,14 27:17 29:3,4,8 nokias 24:8 notarial 33:15 notarized 34:14 notary 1:17 33:3 33:19 34:25 35:10 35:18 36:15,23 37:23 note 34:12 notes 33:8 notice 1:13 notion 19:16,19 november 4:8 nstephens 2:13 number 6:5,20 21:13 22:17 34:7 34:13 numbers 5:11 7:10 36:7 nw 2:6</p> <hr/> <p style="text-align: center;">o</p> <hr/> <p>o 18:7 25:6,7,8 oath 5:14 object 11:20 12:19 13:19 14:23 15:4 16:6</p>
--	---	--	---

objection 12:3,6 obtain 23:11 24:7 obtained 17:23 18:4 occur 31:2 occurred 10:14 11:4,13 12:18 occurrence 30:19 30:22 occurring 10:13 10:21 11:10,19 12:2,17 13:5,7,11 13:21,24 ocean 16:10 office 1:1 official 35:15 36:21 offline 27:1 oh 10:24 29:12 ohio 34:2 okay 4:15 5:1,13 5:25 6:4,6,8 7:6 7:17,19,22 8:6,7 8:11,17 9:1,10,11 9:18,20,25 10:5,6 10:16 13:25 15:22 16:11,22 17:8 19:2,14 21:7,17 23:12 24:14 26:5 29:16 32:7,9 onboard 25:16 ontologies 20:16 open 9:18 opinion 14:18 order 14:5,11,12 14:16,19,22 15:2,3 21:21,22 ordinary 8:10 organizing 20:19 outcome 33:14	output 18:15,18 18:23 19:25 outside 19:21 overlap 10:4 owner 1:8 2:8 5:21 <hr/> <p style="text-align:center">p</p> <hr/> p 25:6,8 page 10:10,10 34:13,15 36:7 37:3 pages 29:21 pair 14:2 paragraph 10:8 10:10,16,24,25 11:3 13:25 14:19 14:24 15:8,19,22 17:1,2,6,9,12,15 18:10 19:8 20:11 21:8,13 22:1,17 24:25 25:11,14 26:5,10 31:15,19 31:20 32:1,5 paragraphs 21:7 29:25 park 2:5 part 8:17 36:9 particular 27:19 particularly 19:17 23:14 parties 4:17 5:7 10:19 33:12,13 patent 1:1,2,6,8,8 2:8 5:10,21 6:2,3 6:7,8,11,15,20,24 15:23 patents 5:9 10:19 path 27:23 paul 2:4 4:13 paulhastings.com 2:7	pause 4:9 30:10 pda 21:18 people 13:2 percent 12:21,23 12:25 perfect 10:8 period 24:11 26:21,22 person 8:9 personally 29:3 35:11 36:15 peterman 2:3 4:13 4:13,19,20 11:20 12:3,5,19 13:19 14:23 15:4 16:6 17:5 18:2 23:6 25:6 32:9 34:5 petitioner 1:4 2:2 5:24 pfidf 20:24 21:2 ph.d. 1:14 34:8 35:4,9 36:4,13 37:20 phd 3:2 4:1 phone 21:17,23 34:3 phrase 11:4,12 15:10 16:2 phrases 20:18 physical 9:13 pitch 13:22 place 14:16 23:19 plan 9:8 please 4:25 9:24 17:2 34:11,11 plotted 18:19 point 22:11 pointed 21:25 pop 29:9 portion 14:18	portions 26:17 ports 22:7 posita 8:8 positioning 17:23 18:5 possibility 13:16 possible 12:15 13:18 18:8 24:5 post 17:4,11 posted 16:25 17:22 26:24 29:1 posting 17:16,19 17:20,22 19:7 24:19 26:6,7,13,20 27:13 postings 17:15 19:6 20:13 21:3,4 26:24 28:7 posts 19:5 predetermined 15:11 predict 13:2 prediction 12:16 predictive 12:14 12:21,22,24 predownload 26:13 premises 23:23 preparation 8:17 8:22 prepared 8:13 preparing 8:18 present 28:21,24 presenting 30:18 31:3 presumably 27:22 28:8 presumedly 27:20 pretty 17:13 20:20 25:2
--	---	---	---

<p>previous 22:15 25:14 printed 33:9 prior 15:15 25:14 probably 9:23 25:3 problem 27:7 procedure 35:5 36:5 proceeding 10:18 14:3 proceedings 4:5 10:3 30:10 processed 18:17 26:9 processing 24:20 25:4 production 34:15 34:17,22 professional 1:17 progression 30:14 protocol 18:14,25 provided 15:23 provider 23:21 proximate 31:8 proximity 18:8 public 1:17 33:3 33:19 35:10,18 36:15,23 37:23 publication 6:24 pursuant 1:13</p>	<p>quite 19:25 25:20 quoted 11:3 15:22 16:17,19</p> <p style="text-align: center;">r</p> <p>r 18:7 25:6,7,8,9 radius 16:3,7,8,10 16:12,15,17 ram 25:3,9 range 22:9 raw 18:22 26:7 reach 11:18 12:1 read 8:15 11:5,7 11:14 17:12 21:19 32:10 35:5,6,12 36:5,6,17 reading 34:19 really 29:10 reason 5:16 34:14 36:8 37:3 reasonable 15:6 20:5 reasonably 13:10 rebuttal 7:24 8:2,4 9:5,6,9 10:1,9,17 14:1,20 15:9 31:16 recall 4:7 15:5 18:25 receipt 34:18 received 13:8 26:8 receiver 21:18 record 4:11,11 8:23 9:2 10:2 33:6 36:9 refer 7:3,17 8:1 9:9,15 15:8 reference 16:20 30:12 34:7 35:2 36:2 referenced 35:11 36:15</p>	<p>referring 5:22,25 6:2,8,11,15,20,23 8:5,9 10:1,8 17:6 refers 10:12 16:15 18:10 refresh 17:1 regardless 19:2 region 1:23 registered 1:17 relate 12:8 related 10:18 14:2 21:4 33:13 relating 16:3 relative 10:21 11:10 relatively 9:22 20:9 remember 29:14 remotely 1:14 4:4 4:16 repeat 11:21 23:6 reporter 1:17 32:15 35:7 represent 19:23 request 36:9,11 required 34:25 restate 9:24 returned 34:18 review 9:1 34:12 35:1 36:1 reviewed 14:2 revision 29:15 richardson 2:10 right 7:16,24 8:1 10:14 13:3 19:5 21:15,21 22:19 24:16 28:3,16,23 29:7 30:17 31:11 32:17 rom 25:3,5,7,9,10 25:15,16,18</p>	<p>rough 32:13,15 rules 35:5 36:5 ruling 14:4,6 run 23:23 running 18:21</p> <p style="text-align: center;">s</p> <p>s 1:24 34:15 36:8,8 37:3 salient 20:14,22 21:1 sample 27:20 samrat 1:13 3:2 4:1 34:8 35:4,9 36:4,13 37:20 says 17:14 20:11 20:15 22:5 28:1 30:17 screen 9:22 27:17 30:18 31:3 seal 33:15 35:15 36:21 second 7:21 14:5 22:1 section 14:7 sectors 17:18 see 4:9 9:24 10:15 10:22 11:1 14:8 15:16,20,21,25 21:8,24,24 22:4,5 24:20 27:24 28:2 self 20:19 semantic 20:17,21 semantically 21:4 sense 12:8 20:16 sent 9:14 sentence 22:1 serial 22:9 server 22:3,6,14 service 23:21 set 9:8 15:3,15 19:18 21:4</p>
q			
<p>queries 26:25 question 11:21 12:7 23:7,12 questioning 5:20 27:5 questions 9:8,25 10:2 29:17,17 32:8</p>			

<p>sheet 34:13 36:7 36:10,18 37:1</p> <p>short 22:9</p> <p>show 29:5</p> <p>shown 22:7,10 24:18 27:21 30:14 30:24 34:16</p> <p>shows 27:8</p> <p>side 16:10 27:25 28:4,16</p> <p>signature 33:18 34:14</p> <p>signed 35:13 36:18</p> <p>signing 34:19</p> <p>silver 1:16</p> <p>similar 29:13</p> <p>similarity 19:20 20:7,12,13</p> <p>sincerely 34:21</p> <p>sir 34:10</p> <p>situation 4:17</p> <p>six 25:25</p> <p>sixth 2:11</p> <p>size 30:20</p> <p>skill 8:10</p> <p>slide 29:13</p> <p>slow 18:2</p> <p>small 9:22</p> <p>snap 1:3 4:14 5:24 5:25 8:16 32:11 34:6 35:3 36:3</p> <p>snap's 14:6</p> <p>software 18:21</p> <p>solutions 1:22 34:1 37:1</p> <p>somewhat 23:13</p> <p>sorry 9:7 11:22</p> <p>sound 4:18</p> <p>sources 20:17</p> <p>south 2:11</p>	<p>space 19:15,16,20 19:22 20:3</p> <p>specific 14:25 15:13,15,23 16:3 30:2</p> <p>spend 8:18</p> <p>spot 10:12,20 11:3 11:8 15:3,11,13,16</p> <p>spring 1:16</p> <p>started 5:6</p> <p>starts 10:10</p> <p>state 10:9 33:1,4 35:10 36:15</p> <p>stated 15:9</p> <p>statement 35:13 35:14 36:19,19</p> <p>states 1:1 26:6</p> <p>stenographic 33:8</p> <p>stenographically 33:7</p> <p>stephens 2:9 3:3 4:6,15,22 17:7 30:7,11 32:7,17</p> <p>stipulated 5:7</p> <p>storage 27:1</p> <p>stored 24:20,22</p> <p>street 1:24 2:11 17:18</p> <p>stylus 27:18 29:9 29:12</p> <p>submitted 7:5,19 7:23</p> <p>subscribed 35:10 36:14 37:21</p> <p>suddenly 23:19</p> <p>sufficient 15:6 20:18</p> <p>sufficiently 18:25</p> <p>suitably 20:12</p> <p>suite 1:24 2:11 34:2</p>	<p>summary 14:7,10 14:19 15:1</p> <p>sun 31:4,10</p> <p>superior 34:1</p> <p>support 31:21</p> <p>suppose 19:15</p> <p>sure 7:12 9:16 11:7,23 12:12 14:15 22:17 28:23</p> <p>swear 13:3</p> <p>sworn 4:2 35:10 35:13 36:14,18 37:21</p> <p>system 11:23 13:10 16:24 17:3 17:10,24 18:5 19:4 21:3,11,15 26:23 27:2 30:21 30:23 31:1,4</p> <p>systems 18:7</p>	<p>terms 5:20,21,24 20:1,10</p> <p>testified 4:4</p> <p>testimony 5:17 33:6,7 35:6,7 36:6 36:9,12</p> <p>text 13:8 30:16</p> <p>thank 4:6,15 5:5 10:8 20:6 23:9</p> <p>thereof 21:23</p> <p>thing 16:23</p> <p>things 21:16 29:9</p> <p>think 8:3,8 10:7 12:7,21 13:14 14:14 16:7,16 17:12 19:14,21 20:4,9 21:19 22:12 25:2 26:23 32:13</p> <p>thirty 34:18</p> <p>thought 29:14</p> <p>time 8:10,18 12:16 13:17 17:22 20:24 21:1 24:11 26:21 26:22 28:9 32:12</p> <p>timeline 27:8,10 27:13,25</p> <p>timing 27:16</p> <p>today 4:7 5:17</p> <p>today's 8:14</p> <p>top 9:7 18:24 26:3</p> <p>touch 27:17</p> <p>trademark 1:1</p> <p>transcribed 33:8 35:7</p> <p>transcript 32:10 33:5 34:11,12 35:5,12 36:5,11,17</p> <p>transcription 33:10</p>
		t	
		<p>tablet 21:9,14</p> <p>take 5:3 14:14,24 17:2 20:10 25:19 25:22 27:5 28:20 30:8 32:13</p> <p>taken 1:14 33:7</p> <p>talking 20:20 23:8 26:15</p> <p>talks 22:15 26:19</p> <p>team 12:13</p> <p>technical 4:24 19:17</p> <p>techniques 22:19</p> <p>tell 4:3</p> <p>term 6:2,7,10,14 6:19,23 10:20 11:3 23:13</p> <p>terminal 17:20,21 21:10</p>	

<p>translating 18:22 trial 1:2 true 33:5,10 truth 4:3,3,4 truthful 5:16 try 4:25 19:24 trying 12:14 20:22 tuesday 32:14,16 turn 15:18 16:20 27:3 30:12 31:15 turning 29:24 two 10:4 11:4 25:10 28:9 29:17 type 11:19 12:1 21:9 24:25 26:8 types 22:16 23:20 24:24 25:10,12,13 typical 25:17 26:1</p>	<p>27:15 28:6 31:14 user's 31:2,5,7 usually 12:20 utilize 20:16</p>	<p>36:1,4,15 witness' 34:14 wlan 22:11 word 11:4 words 20:14,17,22 21:1 work 23:19 works 19:11</p>
	v	
	<p>v 1:5 34:6 35:3 36:3 vague 12:7 veritext 1:22 34:1 34:7 37:1 veritext.com. 34:17 version 9:12 video 7:16 13:9,12 view 11:16 27:13 27:16,21,24 28:19 28:21 virtually 4:16</p>	y
u	w	<p>yards 15:24 16:8 yeah 6:1,5,7,18 7:7 8:7 10:15 11:7 11:21 12:7 14:14 15:1 16:1 18:9 21:16,24 23:3 24:22 25:2 26:10 29:12,23 years 29:11 york 2:6</p>
<p>u.s. 6:3,8,11,15,20 6:24 unable 4:23 understand 5:6,12 5:13,22 6:12,17,21 7:1 14:10 understanding 18:20 28:9,14 understood 9:25 united 1:1 universal 22:8 unsupervised 20:19 updating 26:20 usb 22:9 24:2 use 5:21,24 6:2,7 6:10,14,19,23 8:8 8:9 11:4,16,24 20:4,11 24:6,11 user 17:17 18:22 19:5 23:10 26:20 26:25 27:10,12,14</p>	<p>waived 34:19 want 15:18 way 8:3 19:14,23 20:1 22:13 28:3,6 33:13 ways 20:21,25 web 22:3,6,14 week 28:1,16,22 weeks 27:21 28:7 winkler 6:10 30:12 31:11,22 32:2,6 winkler's 31:20 wired 22:3,8,22,25 23:5,10,25 24:11 wireless 22:4,10 22:11 wish 11:6 witness 3:2 4:14 12:4 25:8 33:15 34:8,11 35:1,4,11</p>	z
		<p>zoom 1:15 13:3</p>

Federal Rules of Civil Procedure

Rule 30

(e) Review By the Witness; Changes.

(1) Review; Statement of Changes. On request by the deponent or a party before the deposition is completed, the deponent must be allowed 30 days after being notified by the officer that the transcript or recording is available in which:

(A) to review the transcript or recording; and

(B) if there are changes in form or substance, to sign a statement listing the changes and the reasons for making them.

(2) Changes Indicated in the Officer's Certificate. The officer must note in the certificate prescribed by Rule 30(f)(1) whether a review was requested and, if so, must attach any changes the deponent makes during the 30-day period.

DISCLAIMER: THE FOREGOING FEDERAL PROCEDURE RULES ARE PROVIDED FOR INFORMATIONAL PURPOSES ONLY.

THE ABOVE RULES ARE CURRENT AS OF APRIL 1, 2019. PLEASE REFER TO THE APPLICABLE FEDERAL RULES OF CIVIL PROCEDURE FOR UP-TO-DATE INFORMATION.

VERITEXT LEGAL SOLUTIONS
COMPANY CERTIFICATE AND DISCLOSURE STATEMENT

Veritext Legal Solutions represents that the foregoing transcript is a true, correct and complete transcript of the colloquies, questions and answers as submitted by the court reporter. Veritext Legal Solutions further represents that the attached exhibits, if any, are true, correct and complete documents as submitted by the court reporter and/or attorneys in relation to this deposition and that the documents were processed in accordance with our litigation support and production standards.

Veritext Legal Solutions is committed to maintaining the confidentiality of client and witness information, in accordance with the regulations promulgated under the Health Insurance Portability and Accountability Act (HIPAA), as amended with respect to protected health information and the Gramm-Leach-Bliley Act, as amended, with respect to Personally Identifiable Information (PII). Physical transcripts and exhibits are managed under strict facility and personnel access controls. Electronic files of documents are stored in encrypted form and are transmitted in an encrypted fashion to authenticated parties who are permitted to access the material. Our data is hosted in a Tier 4 SSAE 16 certified facility.

Veritext Legal Solutions complies with all federal and State regulations with respect to the provision of court reporting services, and maintains its neutrality and independence regardless of relationship or the financial outcome of any litigation. Veritext requires adherence to the foregoing professional and ethical standards from all of its subcontractors in their independent contractor agreements.

Inquiries about Veritext Legal Solutions' confidentiality and security policies and practices should be directed to Veritext's Client Services Associates indicated on the cover of this document or at www.veritext.com.