

Page 1

IN THE UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

MYLAN PHARMACEUTICALS, INC.,)
Petitioner,) IPR 2020-00040
)
v.) U.S. Patent No.
) 7,326,708
MERCK SHARP & DOHME CORP,)
Patent Owner.)
_____)

DEPOSITION OF MUKUND CHORGHADE, PH.D.
APPEARING REMOTELY

August 6, 2020
9:32 a.m.

Reported by: Lori J. Goodin, RPR, CLR, CRR,
RSA, California CSR #13959

DIGITAL EVIDENCE GROUP
1730 M Street, NW, Suite 812
Washington, D.C. 20036
(202) 232-0646

Page 2

1 REMOTE APPEARANCES:
2 FOR MERCK:
3 WILLIAMS & CONNOLLY LLP
4 STANLEY E. FISHER, ESQUIRE
5 SHAUN P. MAHAFFY, ESQUIRE
6 ALEXANDER S. ZOLAN, ESQUIRE
7 ANTHONY SHEH, ESQUIRE
8 725 Twelfth Street, Northwest
9 Washington, D.C. 20005
10 202-434-5000
11 sfisher@wc.com
12 smahaffy@wc.com
13 azolan@wc.com
14 asheh@wc.com
15 -AND-
16 U.S. MERCK CORPORATE HEADQUARTERS
17 GERARD DEVLIN, IN-HOUSE COUNSEL
18 2000 Galloping Hill Road
19 Kenilworth, New Jersey 07033
20 gdevlin@merck.com
21
22

Page 3

1 REMOTE APPEARANCES (CONTINUED):
2 FOR MYLAN:
3 KATTEN MUCHIN ROSENMAN LLP
4 JITENDRA MALIK, PH.D., ESQUIRE
5 550 South Tryon Street, Suite 2900
6 Charlotte, North Carolina 28202
7 704-344-3185
8 jitty.malik@katten.com
9 -AND-
10 MYLAN, INC.
11 PRESTON IMPERATORE, IN-HOUSE COUNSEL
12 1000 Mylan Boulevard
13 Canonsburg, Pennsylvania 15317
14 preston.imperatore@mylan.com
15 -AND-
16 WINSTON & STRAWN, LLP
17 ZACHARY B. COHEN, ESQUIRE
18 1901 L Street, Northwest
19 Washington, D.C. 20036
20 202-282-5757
21 zcohen@winston.com
22

Page 4

1 REMOTE APPEARANCES (CONTINUED):
2
3 FOR TEVA/WATSON:
4 GOODWIN PROCTER LLP
5 EMILY L. RAPALINO, ESQUIRE
6 100 Northern Avenue
7 Boston, Massachusetts 02210
8 617-570-1938
9 erapalino@goodwinlaw.com
10
11 FOR DR. REDDY'S:
12 LERNER DAVID LITTENBERG
13
14 KRUMHOLZ & MENTLIK
15 TEDD W. VAN BUSKIRK, ESQUIRE
16 20 Commerce Drive
17 Cranford, New Jersey 07016
18 908-518-6341
19 tvanbuskirk@lerner david.com
20 ALSO PRESENT:
21 Daniel Holmstock, Videographer and
22 Document Technician

Page 5

1 INDEX TO EXAMINATION

2

3 WITNESS: MUKUND CHORGHADÉ, PH.D.

4

5 EXAMINATION BY	PAGE
6 MR. FISHER	8
7 DR. MALIK	291
8 MR. FISHER	312

9 * * *

10

11 INDEX TO EXHIBITS

12 MUKUND CHORGHADÉ, PH.D.

13 Mylan v. Merck

14 Tuesday, August 6, 2020

15 Lori J. Goodin, RPR, CLR, CRR,

16 RSA, California CSR #13959

17

18 MARKED	DESCRIPTION	PAGE
19 Exhibit 1001	U.S. patent 7,326,708	178
20 Exhibit 1002	Dr. Chorghade's declaration	12
21 Exhibit 1003	Dr. Chorghade's CV	25
22 Exhibit 1004	WO 03/004498 A1	55

Page 6

1 INDEX TO EXHIBITS

2 MUKUND CHORGHADÉ, PH.D.

3 Mylan v. Merck

4 Tuesday, August 6, 2020

5 Lori J. Goodin, RPR, CLR, CRR,

6 RSA, California CSR #13959

7

6 MARKED	DESCRIPTION	PAGE
7 Exhibit 1005	Brittain reference	201
8 Exhibit 1006	Bastin, et al. reference	190
9 Exhibit 1007	U.S. patent 6,999,871	292
10 Exhibit 2032	U.S. patent 8,309,724	96
11 Exhibit 2042	Chapter 6 of The Handbook of Pharmaceutical Salts	106
12 Exhibit 2043	U.S. patent 8,329,696	129
13 Exhibit 2044	WO 2012-166420 A1	170
14 Exhibit 2045	Drug Discovery and Development Volume II	220
15 Exhibit 2046	Crystalline Solids	233
16 Exhibit 2047	2003 article in Crystal Growth & Design, Vol. 3, Number 6	243
17 Exhibit 2048	U.S. patent 7,056,942	252
18 Exhibit 2049	Bernstein article	262
19 Exhibit 2050	Controlling the Polymorphic Form Obtained, Chapter 3	271

20

21 (Exhibits provided electronically

22 to the court reporter.)

Page 7

1 Thursday, August 6, 2020, 9:32 a.m.

2 PROCEEDINGS

3

4 THE VIDEOGRAPHER: We are now on the

5 record. This is Video Number 1 in the video

6 recorded deposition of Dr. Mukund Chorghade,

7 taken in the matter of Mylan Pharmaceuticals,

8 Inc., Petitioner, v. Merck Sharp & Dohme

9 Corp., Patent Owner.

10 Pending before the United States

11 Patent and Trademark Office before the Patent

12 and Trial Appeal Board, IPR 2020-00040 for

13 patent Number 7,326,708.

14 This deposition is being held by

15 Zoom video remote conferencing and the

16 physical recording is taking place in

17 Culpeper, Virginia.

18 Today's date is August 6, 2020, and

19 the time on the video screen is 9:32 a.m.

20 My name is Daniel Holmstock. I am

21 the legal videographer, from Digital Evidence

22 Group. The court reporter today is Lori

Page 8

1 Goodin, also in association with Digital

2 Evidence Group.

3 All parties to this deposition are

4 appearing remotely and have agreed to the

5 witness being sworn in remotely. And due to

6 the nature of remote reporting, please pause

7 briefly before speaking to ensure all parties

8 are heard completely.

9 Counsel your appearances will be

10 noted on the stenographic record.

11 At this point now, our court

12 reporter will now administer the oath.

13 * * *

14 Whereupon,

15 MUKUND CHORGHADÉ, PH.D.,

16 a witness called for examination, having been

17 first duly sworn, was examined and testified as

18 follows:

19 * * *

20 EXAMINATION

21 BY MR. FISHER:

22 Q. Good morning, Dr. Chorghade. Did I

Page 9

1 pronounce that correctly?

2 A. Yes, you did. Thank you.

3 Q. Okay, good morning.

4 Could you state your full name and

5 address for the record, sir.

6 A. Full name, Mukund Shanker Chorghade.

7 Address, 7 Jones Court, Hillsboro, New Jersey

8 08844.

9 Q. Is there any reason that you cannot

10 testify truthfully and accurately today?

11 A. There is no reason.

12 Q. Okay. Before today's deposition, I

13 corresponded with Merck -- Mylan's counsel,

14 Mr. -- or Dr. Malik, about shipping a box of

15 materials related to the deposition to you.

16 Do you have that box in your office?

17 A. Yes, I do.

18 Q. Okay. My understanding is that it

19 is not yet opened or has it been opened?

20 A. That is correct, yes.

21 Q. It has not yet been opened?

22 A. It has not been opened.

Page 10

1 Q. Okay.

2 A. No.

3 Q. If you have something to open it

4 with, would you go ahead and open the box?

5 DR. MALIK: Stan, may I do so, too?

6 MR. FISHER: Absolutely.

7 BY MR. FISHER:

8 Q. And so when you have it open, you

9 can take the binder that is in the box out but

10 leave the other tabbed folders in there.

11 A. Are you requesting that I pull out

12 this black binder?

13 Q. Yes, sir.

14 A. I have just done that.

15 Q. Excellent. So, what I have tried to

16 do and hopefully the shipping has worked out, is

17 put in a binder your declaration, in other words

18 your testimony that you have already submitted in

19 the matter, along with the exhibits that you

20 attached to your declaration.

21 So, that should be in the binder.

22 And you want to just flip through it and see if

Page 11

1 you see your declaration and your CV and some of

2 the other exhibits that you have submitted.

3 There should be about 16 tabs.

4 A. Yes. So, there is a United States

5 patent '708. There is my declaration. There is

6 a patent '498. There are some research papers.

7 Q. Okay. You don't -- so, what I have

8 done and I will represent to you and hopefully

9 the copying job made it there -- I haven't had

10 the opportunity to flip through that binder

11 myself given the COVID issues.

12 A. There are 16 tabs over here, yes.

13 Q. Right. And so, you can keep that

14 out in front of you. We may be discussing some

15 of the exhibits in that binder today.

16 I will tell you that what remains in

17 the box are a number of documents that we may or

18 may not discuss today.

19 And, what I have done is numbered

20 the documents in the box. They should be in

21 Redwelds, something like 1 to 30, or 1 to 32.

22 If we happen to discuss one of those

Page 12

1 documents, I will ask you at that time to pull

2 out, you know, Redweld Number 3 or whatever it

3 may be, and we can discuss the document at that

4 point.

5 We will also have the documents up

6 on the screen. I do know -- I'm, I'm old school.

7 I like to see the documents in paper. They will

8 be on the screen for you. It will be up to you

9 what you use. Okay?

10 A. Thank you. So, bear with me for

11 five seconds while I pull up a chair to rest this

12 on by my side.

13 MR. FISHER: I had to do the same

14 thing myself. No problem.

15 THE WITNESS: So, I have just pulled

16 up a chair to rest this binder and I can pull

17 out anything I want.

18 (Exhibit Number 1002

19 marked for identification.)

20 BY MR. FISHER:

21 Q. Okay. So, your declaration is

22 entered as Exhibit 1002. That should be in the

<p style="text-align: right;">Page 13</p> <p>1 binder you pulled out. 2 A. Yes, it is here. 3 Q. Okay, all right. So, that is there 4 for your reference to the extent you need it. 5 Sir, you provide background on 6 yourself in your declaration, right? 7 A. Correct. 8 Q. You are an expert in medicinal 9 chemistry; is that right? 10 A. Yes, I am. 11 Q. What is medicinal chemistry? 12 A. Medicinal chemistry is basically the 13 science of discovering new drugs through studying 14 their structure activity relationships. 15 And then developing the drug. 16 Q. And, structure activity 17 relationships, is that SAR? 18 A. Correct. 19 Q. Okay. You provide in your 20 declaration a fairly extensive background on 21 various medicinal chemistry related issues, 22 right?</p>	<p style="text-align: right;">Page 15</p> <p>1 A. At that point I was a supervisor. 2 Q. Okay. And what is a salt screen? 3 A. A salt screen is basically something 4 that is done when you screen a particular salt 5 and make -- screen a particular amino acid, and 6 test it against various salts. 7 And particular aspect of the testing 8 is whether these materials are going to be 9 crystalline, or whether they are going to be 10 easily hygroscopic or not. 11 So, you determine the most optimum 12 favorable salt for your applications. 13 Q. So, in the first instance, you are 14 checking to see whether, if it is a basic drug, 15 the acid counterion forms a salt, right? 16 A. This is correct. 17 Q. And then if it forms a salt, you are 18 evaluating the properties of the salt. Right? 19 A. This is correct. 20 Q. And so you indicated that you 21 performed many salt screens. 22 How frequently prior to 2014, the</p>
<p style="text-align: right;">Page 14</p> <p>1 A. Yes, I do. 2 Q. Now, I didn't see in the background 3 section on your experience a reference to salt 4 formation in your background. 5 Did I miss that? 6 A. That is not expertise that is 7 typically communicated. That comes under the 8 whole development experience scenario. 9 Q. Okay. So, you don't specifically 10 reference in your declaration salt formation, is 11 that right, as part of your background? 12 A. This is correct. 13 Q. Have you ever performed a salt 14 screen? 15 A. Many times. 16 Q. Okay. When was the last time you 17 performed a salt screen? 18 A. The last time would have been around 19 2014 or 2015. 20 Q. And was it you personally doing the 21 salt screen, or were you supervising others in 22 2014?</p>	<p style="text-align: right;">Page 16</p> <p>1 last time that you have done it, were you 2 performing salt screens? 3 A. There were always at least one per 4 drug that we worked on all through my career. 5 Q. Uh-huh. And so, would you be the 6 one performing the salt screens if you were 7 involved in the project in developing a drug? 8 Or would it be somebody else that 9 you worked with? 10 A. In the early part of my career, it 11 would have been me. In the later part when I 12 rose through the ranks in the company I was more 13 directional. I was more of a director than 14 handling it myself. 15 Q. Okay. When you or your colleagues 16 were performing the salt screens that you had 17 personal involvement in, what did you do if a 18 particular drug didn't form a salt with a 19 counterion? 20 A. Our basic job was to explore through 21 the screens the most optimal counterion. 22 And, there have been -- there is</p>

Page 17

1 knowledge of several bases which can form a
2 counterion with an acid. And several acids which
3 form a counterion with the base.

4 Q. Okay. So, if you were running a
5 screen and the drug in question didn't form a
6 salt with a counterion, would you move on to the
7 next counterion that -- to form a salt? Is that
8 the way it progressed?

9 DR. MALIK: Objection, foundation.

10 THE WITNESS: The way we progressed
11 is to look at the salt formation and see what
12 the nature of that salt is. And then move on
13 if necessary.

14 BY MR. FISHER:

15 Q. Okay. Now when you perform salt
16 screens, and I'm talking about you, being you,
17 your team on the various projects you worked on,
18 how many counterions did you typically evaluate
19 with a particular drug in question? Was it two,
20 three, a dozen?

21 Just give me some sense of how big
22 the screens were.

Page 19

1 Q. I see.

2 A. But, not at the same time.

3 Q. I see, okay.

4 So, if the drug was a basic drug,
5 you would look at the inorganic acids as the
6 potential counterion?

7 A. This is correct.

8 Q. And did you have situations in your
9 experience where you screened the eight to ten
10 inorganic acids and you didn't get salt formation
11 or one or more of the acids?

12 A. The typical five inorganic acids
13 would always give a salt formation.

14 And, we have never encountered a
15 case where there was no salt formation.

16 Q. Okay. And what were the --
17 Do you remember offhand what the
18 five inorganic acids that you would typically
19 screen were?

20 A. Yes, I do. It was hydrochloric
21 acid, sulfuric acid. Phosphoric acid was a
22 obvious one. At times it was nitric acid. And

Page 18

1 A. In the early part of my career, we
2 used to typically screen five inorganic acids.
3 We would screen typically about eight to 10
4 inorganic bases.

5 And that was always our first, first
6 shot at the problem.

7 Q. And, so just to make sure I have
8 that right, roughly five inorganic acids, and
9 eight to ten inorganic bases, on the one hand,
10 against whatever drug in question you were
11 looking to develop, on the other?

12 A. Yes, based on the structure.

13 Q. And, why would you screen both
14 inorganic acids and inorganic bases at the same
15 time?

16 A. It was not at the same time.

17 You would typically look at the
18 structure. If it is an organic amine, you would
19 look at the inorganic acids for forming a
20 counterion.

21 If it was an organic acid, then you
22 would look at the inorganic bases.

Page 20

1 then finally we always used to use something
2 typically like benzene sulfonic acid.

3 Q. Okay. Do you consider yourself an
4 expert in salt selection?

5 A. It is part of my general expertise
6 as in drug discovery and development, yes.

7 Q. So, that is a yes, you do consider
8 yourself an expert in salt selection?

9 A. Yes, I do.

10 Q. Now, when I reviewed the background
11 in your declaration, I noticed that you don't
12 reference polymorph characterization as part of
13 your background.

14 Did I miss that?

15 A. I have not referenced polymorph
16 formation.

17 Q. Do you consider yourself an expert
18 in polymorph characterization?

19 A. Yes.

20 Q. Have you run polymorph screens
21 previously?

22 A. Again, when I was a director, people

5 (Pages 17 to 20)

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.