

1 UNITED STATES PATENT AND TRADEMARK OFFICE
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD

3 MEDTRONIC, INC., and
4 MEDTRONIC VASCULAR, INC.,

5 Petitioners,

6 vs.

Case No. IPR2020-00126

U.S. Patent No. 8,048,032

7 TELEFLEX INNOVATIONS

8 S.A.R.L.,

Patent Owner.

9 IPR2020-00126 (Patent 8,048,032 B2)

10 IPR2020-00127 (Patent 8,048,032 B2)

11 IPR2020-00128 (Patent RE45,380 E)

12 IPR2020-00129 (Patent RE45,380 E)

13 IPR2020-00130 (Patent RE45,380 E)

14 IPR2020-00132 (Patent RE45,760 E)

IPR2020-00135 (Patent RE45,776 E)

IPR2020-00136 (Patent RE45,776 E)

IPR2020-00137 (Patent RE47,379 E)

IPR2020-00138 (Patent RE47,379 E)

15 VIDEOCONFERENCE VIDEOTAPED

16 DEPOSITION OF

17 CRAIG A. THOMPSON, M.D.

18 DATE: December 7, 2020

19 TIME: 8:00 a.m.

20 PLACE: New York, New York

21 (via videoconference)

22 JOB NO.: MW 4338343

23 REPORTED BY: Dawn Workman Bounds, CSR
24
25

Veritext Legal Solutions

www.veritext.com

888-391-3376

Page 2

1 A P P E A R A N C E S
 2 (ALL APPEARANCES VIA VIDEOCONFERENCE)
 3 ON BEHALF OF PETITIONERS:
 4 CYRUS A. MORTON, ESQ.
 WILL MANSKE, ESQ.
 5 ROBINS KAPLAN LLP
 2800 LaSalle Plaza
 6 800 LaSalle Ave
 Minneapolis, MN 55401
 7 612.349.8500
 camorton@rkmc.com
 8 wmanske@RobinsKaplan.com
 9
 10 ON BEHALF OF PATENT OWNER:
 11 ALEX S. RINN, ESQ.
 DEREK VANDENBURGH, ESQ.
 12 JOSEPH W. WINKELS, ESQ.
 CARLSON CASPERS VANDENBURGH & LINDQUIST, PA.
 13 Capella Tower, Suite 4200
 225 South Sixth Street
 14 Minneapolis, MN 55402
 612.436.9623
 15 arinn@carlsoncaspers.com
 dvandenburg@carlsoncaspers.com
 16 jwinkels@carlsoncaspers.com
 17
 ALSO PRESENT:
 18 Greg Smock, Teleflex
 19 Chris Buller, Teleflex
 20 Adam Wallin, Videographer
 21
 22
 23
 24
 25

Page 3

1	I N D E X	
2	WITNESS: CRAIG A. THOMPSON, M.D.	PAGE
3	EXAMINATION BY MR. MORTON.....	5
4	EXAMINATION BY MR. WINKELS.....	73
5	EXHIBITS PREVIOUSLY MARKED/REFERRED TO	
6	No. 2215: Declaration of Dr. Craig Thompson.....	6
7		
8		
9		
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

Page 4

1 P R O C E E D I N G S
 2 THE VIDEOGRAPHER: Good morning. We are
 3 going on the record at 8:00 a.m. Central Time, on
 4 December 7, 2020. This is media unit 1 of the
 5 video-recorded deposition of Dr. Craig A. Thompson being
 6 taken via Zoom, and taken by counsel for the Petitioner
 7 in the matter of Medtronic, Incorporated, and Medtronic
 8 Vascular, Incorporated, versus Teleflex Innovations
 9 S.A.R.L., in the United States Patent and Trademark
 10 Office before the Patent Trial and Appeal Board, Case
 11 Number IPR2020-00126.
 12 My name is Adam Wallin from the firm of
 13 Veritext, and I'm the videographer. The court reporter
 14 is Dawn Bounds from the firm Veritext.
 15 Will counsel please identify themselves
 16 for the record.
 17 MR. MORTON: This is Cyrus Morton of the
 18 Robins Kaplan firm on behalf of Petitioner Medtronic.
 19 With me also from Robins Kaplan is Will Manske.
 20 MR. WINKELS: And this is Joe Winkels on
 21 behalf of patent owner. With me with the -- and I'm with
 22 Carlson Caspers. With me is Derek Vandenburg and Alex
 23 Rinn from my firm, as well as Greg Smock and Chris Buller
 24 from Teleflex.
 25 THE VIDEOGRAPHER: Will the court reporter

Page 5

1 please swear in the witness.
 2 THE REPORTER: Due to the need for this
 3 deposition to take place remotely because of the
 4 government's order for physical distancing, the parties
 5 will stipulate that the court reporter may swear in the
 6 witness over the videoconference and the witness has
 7 verified that he is in fact Dr. Craig Thompson.
 8 Agreed, counsel?
 9 MR. MORTON: Agreed.
 10 MR. WINKELS: Agreed.
 11 CRAIG A. THOMPSON, M.D.,
 12 duly sworn via videoconference as stipulated by counsel
 13 was examined and testified as follows:
 14 EXAMINATION
 15 BY MR. MORTON:
 16 Q. Good morning, Dr. Thompson.
 17 A. Good morning, Mr. Morton.
 18 Q. Have you had your deposition taken before?
 19 A. Yes.
 20 Q. Okay. And did you have a chance to prepare for
 21 this deposition with counsel?
 22 A. Yes.
 23 Q. How much time would you say you spent preparing
 24 for this deposition?
 25 A. I've read by declaration, spoke with the

Page 6

1 attorneys. Couple of hours, maybe.
2 Q. All right. Do you have any trouble hearing my
3 questions today over Zoom?
4 A. No, sir, I hear you very well.
5 Q. Is there any reason why you can't give
6 complete, truthful, and accurate answers to my questions
7 today?
8 A. No.
9 Q. So let's take a look at your declaration,
10 starting paragraph 1.
11 You say you spent 17 years practicing; is
12 that right?
13 A. Correct.
14 Q. So that means you started in 2003?
15 A. Yes. That's my first independent job out of
16 training. My -- I started medical school in 1991.
17 Started my inter -- my residency training in 1995, my
18 cardiology in 1998, which is where I was really
19 clinically engaged in cardiovascular medicine primarily
20 and started catheterizing then.
21 Interventional cardiology and vascular
22 medicine in 2000 through effectively 2003. And then
23 first independent job out of training was in 2003, which
24 is where I arrived at that figure.
25 Q. Okay. I want to focus on the time period prior

Page 7

1 to 2006, May 2006, when the patents in this case were
2 filed. Okay?
3 A. Okay.
4 Q. How many interventional cardiology or PCI
5 procedures would you say you were involved in before
6 2006?
7 A. Oh, gosh. Let me think.
8 From 1998 to 2006, my -- probably my
9 average overall procedure rate for overall
10 catheterizations, including diagnostics, were about 500 a
11 year. So that would be three -- six years, several
12 thousand. And if we said 60 percent of those were PCIs,
13 then it would -- it would be somewhere between 1,500 and
14 2,000, I would suppose. Maybe more. The interventional
15 fellowship was a little bit more heavy on interventional
16 procedures. So let's say 2,000 as an estimate.
17 Q. Okay. And out of those, what -- how many or
18 what percentage were -- would you consider complex PCI
19 procedures?
20 A. 60 to 70 percent.
21 Q. Okay. And when you encountered a complex PCI
22 situation, how often did you try to -- let me just -- let
23 me start over.
24 When you have a complex PCI situation, you
25 might have the guide catheter back out of the ostium; is

Page 8

1 that fair?
2 A. Yes.
3 Q. Okay. And so how often did that happen when
4 you have these complex PCI procedures? Was that common?
5 Is that what defined it as complex to you? How would you
6 describe that?
7 A. I would say it happened commonly, but it does
8 not -- that in and of itself does not define complex.
9 Complexity can be the -- require different
10 devices for different aspects of coronary anatomy. So it
11 wasn't all about the guide support, but quite frequently
12 it travels in packs, so it's not mutually exclusive that
13 you have complex anatomy and have guide catheter
14 problems. They go hand-in-hand.
15 Q. So you have maybe 2,000 procedures.
16 And I think -- did you say 60 percent were
17 complex PCI?
18 And then how much of those did you have
19 issues with guide catheter back-out?
20 A. In complex -- at that point in time it was
21 2,000 procedures. Now it's a lot more higher, to be
22 clear. And I would say it would be the majority of the
23 cases that you have complexity. There are challenges
24 with guide catheter issues at that point in time.
25 Q. Okay. And I understand you've done more

Page 9

1 procedures post 2006. I'm just trying to kind of break
2 down your work prior to 2006.
3 A. Sure.
4 Q. So if you have a complex PCI procedure and if a
5 lot of the time you experience back-out, how often would
6 you try using a different guide catheter?
7 A. In this we're -- to clarify your question,
8 we're still speaking prior to 2006?
9 Q. Yep.
10 A. Frequently. Frequently that is -- one of the
11 countermeasures is either a larger or more supportive
12 guide catheter at that point in time, depending on the
13 circumstances. Oftentimes if a guide catheter is in
14 position, you try different countermeasures; but
15 oftentimes it's not as good as changing the guide
16 catheter at that point in time.
17 Q. Okay. When you say frequently, can you put any
18 more precise number on that?
19 A. Not really. I mean I would -- I would -- if I
20 had to guesstimate, I would put it roughly half the time
21 that it's a guide catheter issue.
22 Q. Okay.
23 A. And the issue would be guide catheter. The
24 challenge at that point in time would be if you didn't
25 have countermeasures once you had some of your equipment

3 (Pages 6 - 9)

Veritext Legal Solutions

www.veritext.com

888-391-3376



Find authenticated court documents without watermarks at docketalarm.com.

<p style="text-align: right;">Page 10</p> <p>1 in place. So switching out the guide catheter became a 2 major impediment, although oftentimes the primary barrier 3 probably two-thirds of the time would have been a guide 4 catheter problem. Wrong selection or just insufficient 5 guide support even with a good selection. 6 Q. Okay. And so when you did switch out and use a 7 different guide catheter, I assume you were able to have 8 successful procedures with that? 9 A. Much of the time, yes, with a different guide 10 catheter and countermeasures. But not all of the time, 11 no. 12 Q. All right. So same question. 13 You're doing complex PCI prior to 2006 and 14 you experience a back-out problem with the guide 15 catheter. 16 How often would you respond to that by 17 attempting to deep-seat the guide catheter? 18 A. Seating the guide catheter more aggressively 19 is -- which is deep-seating -- is one of the initial 20 countermeasures before you start doing others. 21 And I would say that trying to get the -- 22 that would be the first thing to do, to maybe try to seat 23 the guide a little bit more deeply, a little bit more 24 aggressively to see if that can help solve the problem. 25 So that would be the great majority of the</p>	<p style="text-align: right;">Page 12</p> <p>1 successful complex PCI procedures with the 2 mother-and-child technique? 3 A. Yes. 4 Q. And can you explain, where was that again? 5 A. Where? 6 Q. Yeah. 7 A. Largely at -- if we're speaking of pre-2006, it 8 would have been when I was an independent operator at 9 Dartmouth. We did not do this -- we did not utilize that 10 particular technique when I was at Harvard in training. 11 Q. And how many successful complex PCI procedures 12 with the mother-and-child technique would you say you 13 did? 14 A. Hundreds. Oh, with mother-and-child, no. I'm 15 sorry. Complex PCI would be hundreds. 16 With mother-and-child, maybe couple of 17 dozen, something along those lines, prior to 2006. We 18 just simply didn't have the technology. 19 Q. Okay. So then after -- how about after 2006, 20 did you do any additional mother-and-child procedures? 21 A. Yes. 22 Q. And can you tell me when -- when and where that 23 was and how many you did? 24 A. Dartmouth, Yale, as a traveling operator in 25 five continents, and ultimately at NYU; and thousands.</p>
<p style="text-align: right;">Page 11</p> <p>1 time. Once a wire is down the coronary artery, before 2 you -- because at that point it's very difficult to 3 switch the guide catheters without losing a position and 4 risking patient safety. 5 Q. All right. Same question. 6 How often would you use a buddy wire? 7 A. In positions where a guide catheter was backing 8 out, at that point in time, that would be a majority -- 9 after positioning the guide catheter more deeply, that 10 would be one of the earlier secondary maneuvers, so it 11 would be a large minority of the time. 12 And I would say if you polled people 13 worldwide, that would be the great majority of the time. 14 I'd just employ different countermeasures rather than 15 buddy wire at that stage in my career. 16 Q. All right. And then finally, same question, 17 that stage in your career, did you experience back-out of 18 the guide catheter. 19 How many times did you ever attempt the 20 mother-and-child technique? 21 A. Low single digits. And that would be after my 22 fellowship. I tried to experiment with modified 23 mother-and-child techniques, which was of a -- good idea 24 but of limited success. 25 Q. All right. Did you -- and did you perform</p>	<p style="text-align: right;">Page 13</p> <p>1 Q. Okay. And how many? 2 A. Thousands. 3 Q. You did thousands of mother-and-child 4 techniques? 5 A. I do it nearly every day that I'm in the cath 6 lab these days, yes. 7 Q. Okay. So when you say you did thousands, are 8 you equating using rapid exchange versions? 9 A. Yes. 10 Q. Of mother-and-child? 11 A. Yes. 12 Q. Okay. I guess I should clarify that then? 13 So when you did the two dozen at Dartmouth 14 prior to 2006, was that with a full-length child catheter 15 or with a rapid exchange version? 16 A. A full-length child catheter, and it was a 17 modification using a standard short guide catheter with a 18 long standard guide catheter, which aren't, you know, as 19 it turns out, aren't appropriately shaped to 20 atraumatically and successfully do this in the 21 coronaries. More in the peripheral vasculature. 22 But we're speaking about coronaries today, 23 and it was a -- it was a little bit of a boutique attempt 24 to try to counteract a very difficult problem that we had 25 at the time.</p>

4 (Pages 10 - 13)

Veritext Legal Solutions

www.veritext.com

888-391-3376



Find authenticated court documents without watermarks at docketalarm.com.

Page 14

1 Q. Okay. And other than those two dozen, have you
2 performed any other mother-and-child technique complex
3 PCI procedures with a full-length child catheter?
4 A. In the coronary circulation?
5 Q. Yeah.
6 A. Since -- what time frame are we speaking of
7 now?
8 Q. Any time. Setting aside those couple of dozen
9 you did at Dartmouth prior to '06, have you done any
10 other using a full-length child catheter?
11 A. Oh, no.
12 Q. Okay. And so all of the mother-and-child
13 techniques that you've done after that - I think you said
14 thousands - those were all with a rapid exchange version
15 of the child catheter?
16 A. That's correct.
17 Q. All right. Let's jump to paragraph 6 of your
18 declaration.
19 A. I'm sorry. Could you repeat that, please?
20 Q. Sure. Paragraph 6 of your declaration.
21 A. Okay. Got it. I'm there.
22 Q. So here you're talking about when you start a
23 procedure, you don't know if it's going to be --
24 necessarily if it's going to be complex at first, right?
25 A. Not entirely, no.

Page 15

1 Q. You don't know, for instance, if the guide
2 catheter is going to back out?
3 A. That's correct. Sometimes -- there are times
4 where you can anticipate this and prepare up front, and
5 there are times where it's unanticipated.
6 Q. Okay. So if you anticipated it, would you plan
7 from the get-go, if you will, to do something to address
8 that?
9 A. Are we talk -- are we speaking current --
10 current era?
11 Q. Sure. Just in general, in your practice,
12 trying to understand how these procedures go.
13 A. Yes. Yes, if I anticipated it being difficult,
14 I would start thinking about countermeasures proactively
15 rather than reactively.
16 Q. All right. So let's -- let's focus first on
17 the situation where you don't know if you're going to
18 have back-out, and you get into the procedure and you do
19 have a guide catheter back-out problem. Okay?
20 A. Yes.
21 Q. So if the guide catheter backs out of the
22 ostium, what's the -- what's the first thing you have to
23 do? Do you have to get it back in?
24 A. Yeah. Yes, if it starts backing out, you
25 naturally would re-engage the ostium of the coronary

Page 16

1 artery.
2 Q. Then how you would you decide if you need to --
3 I think the first thing you said you'd consider is -- is
4 whether you need to deep-seat the guide catheter?
5 A. Current era, I wouldn't deep-seat it down the
6 coronaries. I'd pull a guide extension.
7 Q. Okay. Well, maybe we should focus back to when
8 you would consider these options we talked about earlier,
9 then go through your list of options.
10 A. We're -- I just want to be clear. We're back
11 to pre-2006?
12 Q. Yes.
13 A. Okay.
14 Q. I think that will work better.
15 A. Yes. So in that circumstance I would reseat
16 the guide catheter, realize that we're going to have a
17 device delivery challenge, and consider what my next
18 options would be.
19 Q. Okay. And what would you do -- what would your
20 first option be?
21 A. From prior to 2003, it would be putting a
22 second wire in. It's called a buddy wire, but try to
23 put -- to essentially get more coaxial support down a
24 coronary artery, sometimes a third wire even.
25 After 2003, when I was a little bit more

Page 17

1 independent and more developed, it would be a second
2 guidewire, not down the vessel that we were going to
3 deliver the therapy, balloons and stents and so forth,
4 but into a branch, a different artery, and put a
5 balloon -- an angioplasty balloon in that artery. It's a
6 technique called an "anchoring" technique.
7 And when you inflate that particular
8 balloon, it gives security in the other branch of the
9 artery, but it keeps the guide more engaged and offers
10 more support at the coronary ostium for device delivery
11 down the index coronary artery, the one that you're
12 trying to deliver therapy to.
13 And there's various ways that you can do
14 this anchoring technique. There's side-branch anchoring,
15 so you're in a different part of the vessel altogether
16 with a balloon. And there were times in that era we were
17 also delivering big bulky stents, that you could do it
18 over a second wire, down the artery that you're
19 delivering treatment, and anchor in that particular
20 vessel with a balloon.
21 But the premise is essentially the same,
22 is you've got a second wire, you've got a second system
23 in, you're inflating a balloon, and this gives a little
24 bit of grip, if you will, within the coronary artery that
25 keeps the guide more supported to be able to deliver the

5 (Pages 14 - 17)

Veritext Legal Solutions

www.veritext.com

888-391-3376



Find authenticated court documents without watermarks at docketalarm.com.

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.