

UNITED STATES DISTRICT COURT
DISTRICT OF MINNESOTA

Vascular Solutions LLC,)	
Teleflex Innovations S.a.r.l.,)	File No. 19-CV-1760
Arrow International, Inc., and)	(PJS/TNL)
Teleflex LLC,)	
)	
Plaintiffs,)	Minneapolis, Minnesota
)	December 27, 2019
v.)	8:30 a.m.
)	
Medtronic, Inc., and Medtronic)	
Vascular, Inc.,)	
)	
Defendants.)	

BEFORE THE HONORABLE PATRICK J. SCHILTZ
UNITED STATES DISTRICT COURT JUDGE
(MOTIONS HEARING)

APPEARANCES

For the Plaintiffs: **CARLSON CASPERS VANDENBURGH &
LINDQUIST, P.A.
TARA NORGARD, ESQ.
MEGAN HINGTGEN, ESQ.
JOSEPH WINKELS, ESQ.
DEREK VANDENBURGH, ESQ.**
225 S. 6th St., #4200
Minneapolis, Minnesota 55402

**DORSEY & WHITNEY, LLP
KENNETH LEVITT, ESQ.**
50 S. 6th St., #1500
Minneapolis, Minnesota 55402

For the Defendants: **FREDRIKSON & BYRON, P.A.
KURT NIEDERLUECKE, ESQ.
LORA FRIEDEMANN, ESQ.**
200 S. 6th St., #4000
Minneapolis, Minnesota 55402

Court Reporter: DEBRA BEAUVAIS, RPR-CRR
300 S. 4th St., #1005
Minneapolis, Minnesota 55415

Proceedings recorded by mechanical stenography;
transcript produced by computer.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

IN OPEN COURT

THE LAW CLERK: All rise. United States District Court for the District of Minnesota is now in session, the Honorable Patrick J. Schiltz presiding.

THE COURT: Good morning. Please be seated.

We are here this morning on the case of Vascular Solutions, LLC, et al. v. Medtronic, Inc., et al. The case is Civil No. 19-1760.

If I could have the attorneys make their appearances beginning over here (indicating).

MR. VANDENBURGH: Good morning, Your Honor. Derek Vandenburg from the Carlson Caspers firm. I also have with me from my firm Tara Norgard, Joe Winkels, Megan Hingtgen; also Ken Levitt from the Dorsey firm. We also have some attorneys in the audience. We have two in-house lawyers from Teleflex and Vascular Solutions, Howard Cyr and Greg Smock. We also have some business people from the Vascular Inventions group who came to join us today. We also have Howard Root here, who's one of the inventors and founder of Vascular Solutions.

THE COURT: Okay. Welcome to all of you.

MR. NIEDERLUECKE: Your Honor, Kurt Niederluecke at Fredrikson & Byron on behalf of the Medtronic defendants. With me today is my partner, Lora Friedemann.

1 MS. FRIEDEMANN: Good morning.

2 MR. NIEDERLUECKE: Chad Hanson, who's in-house
3 counsel for Medtronic.

4 MR. HANSON: Good morning.

5 MR. NIEDERLUECKE: And George Mayleben, who is our
6 graphics person.

7 THE COURT: And good morning to all of you.

8 So before we get started, I think Beth came out
9 and told you how I want to do this today. As I know some of
10 the lawyers in this room know well, I struggle with patent
11 law. It's the area of law I know the least about and I have
12 kind of the least instincts in. So when I have arguments in
13 patent cases, whether it be *Markman* hearings or
14 summary-judgment hearings or preliminary-injunction
15 hearings, I like to break it up into bite-sized pieces, so
16 we'll be doing lots of bite-sized pieces today.

17 I brought Beth an index card where I jotted notes
18 as to how I wanted to do this, and I asked her to come out
19 and talk to you about it, and she thought it would be
20 helpful just to give you a copy of my notes. So I'm sorry
21 that you literally have photocopies of an index card on
22 which I jotted notes, especially given all the Power Point
23 presentations. If I knew how to use Power Point, I would've
24 done a Power Point presentation for you, but I only know how
25 to look at Power Point slides.

1 So that's how we're going to do it today. I think
2 there's nine, basically. We're going to break this up into
3 nine pieces, some which should just take a couple minutes.
4 Some will take a lot longer than that. But that's the way I
5 want to do it today.

6 Then before we start working our way through the
7 arguments, there's a pending request to supplement the
8 record with things. Those are all granted. You can
9 supplement the records. I think -- is it Medtronic that
10 still -- I think you asked for permission to supplement, but
11 you didn't actually supplement. You can send along whatever
12 you wanted to supplement.

13 All right. So why don't we start. I'd like to
14 start with infringement, working our way through each of the
15 asserted patents. Let's see. For this one -- it's going to
16 take me a minute to remember who is who. Mr. Niederluecke,
17 you've got Medtronic, right?

18 MR. NIEDERLUECKE: Yes.

19 THE COURT: So are you going to address this or
20 Ms. Friedemann?

21 MR. NIEDERLUECKE: I'll be addressing the
22 technical things.

23 THE COURT: Okay. Let me just have you at the
24 podium maybe just for a moment on the '776 patent.

25 MR. NIEDERLUECKE: Certainly, Your Honor.

1 THE COURT: So unless I missed it, I don't recall
2 you arguing for purposes of today that you don't infringe
3 the '776 patent. Did I misunderstand or miss that?

4 MR. NIEDERLUECKE: That's correct. Can I hand you
5 the presentations before I begin?

6 THE COURT: Sure.

7 MR. NIEDERLUECKE: If I could just go to slide 24
8 of our presentation.

9 Your Honor, this slide kind of summarizes our
10 substantial questions that Medtronic has raised. And I can
11 go through this later, but this kind of demonstrates where
12 we are and what questions we've asserted for purposes of
13 this motion. We're certainly not conceding anything.

14 There's two blanks you see there on the chart, but
15 basically we've raised the invalidity as you've got in your
16 outline.

17 THE COURT: Okay.

18 MR. NIEDERLUECKE: And for the '776 we have not,
19 for the purposes of today and the arguments of today, tried
20 to raise a substantial question as to infringement of that.

21 THE COURT: Okay. I just wanted to verify that.
22 I said that would be a really quick argument and it was.

23 All right. Let's turn, then, to the '379 patent,
24 which is a method patent. And let me horrify all the patent
25 lawyers in the room by asking what I'm sure to you is a very

1 simple question and it's always been a struggle for me. I
2 don't understand the difference between method patents and
3 system patents. I tried to Google it last night, and I
4 still don't understand the difference between system patents
5 and method patents.

6 I understand what an apparatus patent is. I mean,
7 here's the device. We hold it in our hands. We hold this
8 guide-extension catheter in our hands and it's an apparatus.
9 You can't make that apparatus. You can't sell it. You
10 can't use it, whatever.

11 The method patent, '379, seems to be a method for
12 making the apparatus.

13 MR. NIEDERLUECKE: Correct.

14 THE COURT: So my first question is since patent
15 law already makes it illegal for you to make a patented
16 apparatus, why do you need method claims that make it
17 illegal for you to make a patent apparatus? What's that
18 about?

19 MR. NIEDERLUECKE: Well, I think with regard to
20 method of manufacturing -- method of forming is what this
21 one is called -- I think sometimes there can be manufactured
22 means that go into how you form it, how you make a product
23 that can be different than the product itself. So the way
24 in which you make it can be unique. And I think that's
25 where at times people will try in their claim strategy --

1 THE COURT: Yeah, I have had cases with "real" --
2 I use "real" in quotations -- method claims. These method
3 claims just seem to basically take apparatus claims and turn
4 them into claims for making the thing that we already have a
5 patent on in the apparatus claim. Am I missing something?

6 MR. NIEDERLUECKE: You're not. In the context of
7 these, you're exactly right, Your Honor. It's the way to
8 try to put a method of forming claim in, which is actually
9 just an apparatus claim. When you start using words like
10 "provided," all you're saying is here's our apparatus, but
11 it's in the form of a method claim.

12 Method claims, as they differentiate between
13 system claims -- I mean, methods are the actions. So you
14 see a lot of i-n-gs in terms of the start of them, and here
15 the i-n-g is providing for a number of these.

16 THE COURT: Okay. The system claims seem to be a
17 system for making the apparatus. What does the system claim
18 add to the method claims? I know we're talking at a very
19 high level of generality here.

20 MR. NIEDERLUECKE: In this case, Your Honor, I
21 don't think the system claims are systems of making the
22 apparatus. They are the system of a multiple-component
23 apparatus. So it's like an apparatus claim.

24 THE COURT: When I read the system claims, they
25 seem to be describing the use of the patented apparatus made

1 through the patented method.

2 MR. NIEDERLUECKE: Yeah. It is still an
3 apparatus-type claim. So it still is a structural claim.
4 But it's a structural claim often with multiple components
5 that aren't necessarily connected together; like in this
6 case a guide-extension catheter and a guide catheter, those
7 together create a system.

8 So if you manufacture those two together, they
9 create a system. If you sell those two in a package, those
10 could be a system that you sell together.

11 THE COURT: Okay. Let's get back to the
12 infringement of the '379 patent. Your main argument here is
13 a *Cross Medical* argument. I think it was a *Cross Medical*
14 argument because it's the case I cited in my *QX Medical*
15 case.

16 So when I faced this in *QX Medical*, I don't think
17 I confronted this exact language here. Your view is that
18 the language at issue here in claim 25 and claim 38 of the
19 '379 patent basically recites structure, not capability, and
20 that your client doesn't infringe because as it rolls off
21 the assembly line the structure isn't there. That's a fair
22 summary?

23 MR. NIEDERLUECKE: That is a fair summary, Your
24 Honor.

25 THE COURT: Okay. Of course, Teleflex's response

1 is that this is basically reciting pure capability, not
2 structure. They point to this prospective-sounding language
3 here. What is it that you think most points me to your
4 argument, rather than Teleflex's argument?

5 MR. NIEDERLUECKE: Certainly, Your Honor.

6 If we can go to slide 91.

7 Your Honor, each of the claims in the '379 -- and,
8 as we discussed, you know, the '379 patent is a method of
9 forming. So what we're talking about is does Medtronic take
10 the steps to form a device that meets the limitations of the
11 claim.

12 As you noted, the limitations of the claim are
13 essentially structural limitations. While they're couched
14 in a providing action, they're essentially structural
15 limitations, Your Honor.

16 So what you have and I think what you --

17 THE COURT: But what I struggle with on this claim
18 -- I think your *Cross Medical* argument on the system claim
19 is really good because -- I don't even know if Teleflex
20 opposes it -- in the sense that the system claim reads as a
21 system comprising a guide catheter. If you don't have a
22 guide catheter, you don't have that system. So that's easy.

23 Where is the equivalent of a method
24 comprising/providing a guide catheter? They don't really
25 have that in the '379 patent.

1 MR. NIEDERLUECKE: But what they do have and they
2 have -- as you can see here and I think what Teleflex has
3 done is they've tried to focus Your Honor on the intended
4 use, the adapted for use in the preamble, which I think --

5 THE COURT: Well, this is definitely -- oops. I'm
6 sorry.

7 MR. NIEDERLUECKE: That portion I don't think we
8 have a disagreement about, that that's intended use. That's
9 not structural limitation.

10 Then when you read the claim of how to form it and
11 you walk through the steps and you see whether or not --
12 when you think about the Medtronic guide-extension catheter
13 coming off of the manufacturing plant in Ireland, you have a
14 device and you say could this infringe this claim?

15 You just formed it. Now let's look at the product
16 that's been formed by the method and let's look at what you
17 have done. Have you taken the steps that meet these claim
18 limitations?

19 So when you step away from the intended use in the
20 preamble and you get down -- and I've just highlighted,
21 obviously, on the next two slides for the '379, claim 25 --
22 it requires that that forming form a device length that is
23 longer than the predefined length of the continuous lumen of
24 the guide catheter.

25 So now you've taken a claim that was for a device

1 that was adapted for use with it and now you've actually put
2 on a structural limitation very similar to your one French
3 structural limitation, Your Honor, that you addressed in the
4 *QX Medical* case. You've got now a structural limitation
5 that requires you to evaluate the method of forming the
6 guide catheter along -- I'm sorry, the guide-extension
7 catheter along with the guide catheter.

8 So similar to it's a one French difference or it's
9 not --

10 THE COURT: Well, so your argument is that
11 essentially you can't know if it's longer than the
12 predefined length of the guide catheter without the guide
13 catheter, but the preamble says it's for use with a standard
14 guide catheter. And your opponent argues that everybody
15 knows how long the standard guide catheter is. It's
16 whatever it is, X centimeters long.

17 So that what we have here is -- the language is
18 you form a device -- forming a device length, and we're
19 talking about the length of the guide-extension catheter
20 that is longer than the predefined length of the continuous
21 lumen of the guide catheter.

22 If instead of the predefined length of the
23 continuous lumen of the guide catheter we had 100
24 centimeters, if that was -- what was the language -- forming
25 a device length that is longer than 100 centimeters wouldn't

1 suggest the presence of anything. It would just be the
2 measurement of the guide-extension catheter.

3 Essentially, your opponents say this should read
4 the same way. It's referring to a standard guide catheter.
5 There's a standard measurement of a standard guide catheter.
6 So it's the equivalent of putting in here whatever that
7 number is.

8 MR. NIEDERLUECKE: Well, Your Honor, I'd say,
9 number one, what a standard guide catheter is today,
10 tomorrow, and the next day can certainly change. So the
11 question is when I take my product and I have it here in a
12 package that I formed, am I going to end up a week from now,
13 a year from now, five years from now to find out that the
14 exact same thing I did in my exact same product may or may
15 not infringe depending on what a standard guide catheter
16 length is at that time. So it doesn't give a specific.
17 Certainly they should have done it that way. They should
18 have said 100 centimeters.

19 If we could turn to the next slide.

20 Your Honor, I think this even points it out more
21 to their claim 38. Claim 38 we have the same situation, the
22 intended for use. And now you see the language there says
23 when the flexible tip is extended distally in the guide
24 catheter, the proximal end of the substantially rigid
25 section extends proximally of a proximal end -- sorry for

1 all the patent language.

2 THE COURT: Me, too.

3 MR. NIEDERLUECKE: Your critique of patent lawyers
4 is fair here.

5 Proximal end of the guide catheter and the side
6 opening is positioned within the continuous lumen of the
7 guide catheter. So now you have a number of structural
8 limitations that you're trying to --

9 THE COURT: I'm sorry, you won't be the first one
10 I have to ask just to be quiet for a second. If you'd just
11 give me a second to focus on the language. It's like
12 reading mud, this stuff, and it just takes a minute.

13 Such that when the flexible tip segment is
14 extended distally of the guide catheter, the proximal end
15 portion of the substantially rigid segment extends
16 proximally of a proximal end of the guide catheter and the
17 side opening is positioned within the continuous lumen of
18 the guide catheter.

19 So what your opponent says about that, and I
20 thought there was something to this argument, it seems to be
21 talking about something that's going to happen in the
22 future. In other words, it's a method for forming a device
23 and the device is going to work this way in the future,
24 which almost sounds more like capability language than
25 structural language.

1 MR. NIEDERLUECKE: I don't think that can be read
2 as capability. When it's there, it's still talking about
3 the structural requirement between that guide-extension
4 catheter and the guide catheter itself. It's defining --
5 importantly, Your Honor, defining the structure that is
6 required within this method of forming.

7 Again, if you say prospective language, into the
8 future, the Court has to be able to, obviously, determine
9 when this thing comes off of the manufacturing line whether
10 or not it infringes. And the question of whether or not
11 when it comes off the manufacturing --

12 THE COURT: Yeah, that's true, but the argument is
13 that that doesn't require a guide catheter to come off the
14 assembly line with it. That if it comes off the assembly
15 line and you can pick it up and the question you're asking
16 is when this thing is later used with a guide catheter, is
17 it arranged in such a way that the flexible tip segment will
18 be extended distally of the guide catheter blah, blah, blah
19 in the future when it's used? I mean, you can pull
20 something off the assembly line. You can say when this is
21 used in such and such a way, will it behave in such and such
22 a way.

23 MR. NIEDERLUECKE: And that would be a method of
24 use, Your Honor, with due respect. When you're talking
25 about something like that, that's a method of use. We're

1 not talking about a method of use here. We're talking about
2 a unique method of forming.

3 All this activity has to happen when it's formed.
4 This isn't about a method of use or the use of a product.
5 This isn't about indirect infringement because, as they
6 admit, Medtronic is the only one that claims to take these
7 steps. So it's not like a method of use step where you say,
8 oh, is it infringing when it's used? Did you contribute to
9 someone else's infringement?

10 This is about a method of forming the device and,
11 therefore, all the method steps have to be complete once
12 that device is formed. And by putting in these structural
13 limitations that incorporate the physical characteristics of
14 the guide-extension catheter along with the guide catheter,
15 you create a structural limitation that has to be present at
16 the time that you completed your forming of this device.

17 THE COURT: Okay. So this would normally be
18 something I would -- or I guess this is something I
19 eventually will have to confront at a *Markman* hearing,
20 right?

21 MR. NIEDERLUECKE: Yes, Your Honor.

22 THE COURT: Okay. I mean, there's like three or
23 four things here that look to me like normally -- this is
24 the problem with PI motions, is you have to try to compress
25 an entire patent case, which is normally two or three years

1 of litigation, into a morning. It's a struggle.

2 Is there anything more you wanted to say about
3 infringement of the '379 patent?

4 MR. NIEDERLUECKE: No, Your Honor.

5 THE COURT: Okay. Let me have you be seated,
6 then. And then who is going to address it on Teleflex's
7 side? Mr. Vandenburg?

8 MR. VANDENBURGH: Yes.

9 THE COURT: Before you say anything, this
10 equipment in here is new and right now this (indicating) is
11 too thick.

12 MR. VANDENBURGH: Your Honor, if I could also
13 approach with slide binders?

14 THE COURT: All right.

15 (A brief discussion was held off the record.)

16 THE COURT: So, Mr. Vandenburg, you've heard my
17 concerns. Let me just have you --

18 MR. VANDENBURGH: If I could take 30 seconds just
19 to start with one point, and that's to look at these
20 particular two claims I put up on the screen. I am glad
21 Your Honor started with '776 and '379, because throughout
22 the discussion today we're going to be talking a fair amount
23 about these two dependent claims of the patents.

24 These are two dependent claims that are directed
25 to what we call the complex side openings -- not simply an

1 angled side opening where you just have a simple angled cut,
2 but you have a complex shape of some sort. And, you know,
3 it's important, one, because at the end of the day we only
4 have to show likelihood of success of one claim.

5 THE COURT: Yeah, well, you have three on
6 infringement.

7 MR. VANDENBURGH: I have three on '776. And that,
8 of course, makes this a pretty unusual case where you don't
9 even have somebody contesting infringement of one of the
10 patents.

11 These dependent claims are important. First of
12 all, if I can just jump back to slide 4. Medtronic has this
13 feature, and it's one of those that they took directly from
14 V3 of GuideLiner.

15 We emphasize in our materials our half-pipe
16 technology and how valuable that is in facilitating entry of
17 interventional cardiology devices like a stent.

18 THE COURT: What precisely do you mean by the
19 "half-pipe technology"? I tried to Google that too and I
20 got a bunch of ramps for skateboards.

21 MR. VANDENBURGH: Right. Right. I mean, it's a
22 marketing term by Vascular Solutions. But they use it to
23 refer to -- they have an extended side opening that has an
24 angled portion at the beginning of the side opening, an
25 angled portion at the end, and then a half pipe, a half

1 cylindrical portion in the middle. So they have adopted
2 that as part of their V3. It's a very important part of the
3 commercial success.

4 THE COURT: So you literally -- I assume that's
5 what you meant, literally you mean cutting the pipe in half?

6 MR. VANDENBURGH: Cutting it in half, but then
7 also the slants at either end.

8 Of course, Medtronic has what they call their
9 on-ramp. Their marketing term for the same concept is their
10 on-ramp. So they talk about the same benefit that we get
11 from our half pipe they advertise in their on-ramp.

12 I'll skip by that one.

13 It's interesting that in their brief they actually
14 claim that as a unique feature to Your Honor. They say the
15 Telescope has several unique features, including the polymer
16 coated on-ramp with two distinct tapers. So they recognize
17 there is benefit in that feature as well.

18 So when we get to these dependent claims of '776,
19 claim 36, no dispute as infringement. Dependent claim 44 of
20 '379 they don't dispute infringement of the dependent claim.
21 Their only argument is the one that Your Honor has already
22 had some discussion on relating to the independent claim,
23 which is 38.

24 So with that, if I could then jump ahead to slide
25 10. So this is claim 38 of the '379 patent. And there's

1 basically two limitations relating to the guide catheter.
2 One is this language of adapted for use with a standard
3 guide catheter.

4 I think what I heard from Your Honor -- it's
5 certainly consistent with what you said in the *QX Medical*
6 case -- is that "for use with" is a statement simply of --

7 THE COURT: Yeah, to me at least, it sounds
8 clearly to recite capability.

9 MR. VANDENBURGH: Right. Right. So it's really
10 the second set of language at the bottom.

11 Now, let me start with the first claim that
12 Mr. Niederluecke started with is claim 25 that has the
13 "formed for" language "for use with a standard guide
14 catheter." That's not in this claim.

15 The only language in this claim is this "such that
16 when" limitation. I guess I read it the same way it sounds
17 like your initial inclination is, which is that this is
18 talking about you know when it comes off the assembly line,
19 that when you put it into a guide catheter, certain things
20 -- let me rephrase that -- in a standard guide catheter,
21 certain things will happen.

22 THE COURT: What would you say if -- suppose the
23 word "standard" there didn't appear. So you had a method of
24 forming a device adapted for use with a guide catheter
25 having blah, blah, blah arranging such that when the

1 flexible tip -- you wouldn't be able to know when you
2 manufactured whether you were infringing or not, would you,
3 without the word "standard"?

4 MR. VANDENBURGH: The fact is this product is
5 longer than any guide catheter out there in the real world.
6 There's no limitation specific to a type of guide catheter.

7 Let's also keep in mind, practically speaking,
8 what is the language that we're talking about there? It's
9 basically a patent lawyer's complex way of saying that when
10 you stick this guide-extension catheter down a guide
11 catheter, one end will stick out the far end while the other
12 end remains out the proximal end. The device doesn't work
13 if you don't have that feature.

14 At the end of the day the purpose of this product
15 is to facilitate delivery of devices by allowing the doctor,
16 while they're sitting outside the patient's body, to push
17 this guide-extension catheter past the distal end of the
18 guide catheter.

19 So they've made a lot out of this limitation, but
20 it's simply a limitation that goes to the fundamental nature
21 of what these products do. A product would not work if it
22 didn't satisfy this limitation.

23 THE COURT: But you agree that when it comes --
24 well, I know we're talking about a method thing, but you
25 have to be able to know at the time you're -- whatever

1 you're doing, practicing the method -- whether you're
2 infringing it. So you have to know at the time -- this is a
3 method for essentially manufacturing the device. So you
4 have to know while you're manufacturing the device if you
5 are in fact manufacturing a device via a method that
6 comprises arranging blah, blah, blah such that when the
7 flexible -- you have to be able to know that at the time
8 you're manufacturing, right?

9 MR. VANDENBURGH: Correct.

10 THE COURT: And the way I know that is because
11 "standard guide catheter" means a specific distance or just
12 because this thing is so much longer than any guide catheter
13 that a person of ordinary skill would not have any trouble
14 knowing?

15 MR. VANDENBURGH: I lean more towards the latter,
16 Your Honor. If you look at this slide, this is just
17 confirming that the Telescope devices are 150 centimeters
18 long. They haven't put in any evidence of a guide catheter
19 longer than even 100 centimeters.

20 They make guide catheters. If there was a
21 practical argument to be made that there were guide
22 catheters out there that were longer than 150 centimeters
23 for which this device might be used with, they would be
24 talking about it.

25 Of course, if you look at their instructions for

1 use, they know how this device is used. They know that it's
2 going to be used with devices that are always less than 150
3 centimeters. So that's how it's adapted for use, is simply
4 by arranging it in a way that's it's called out for in the
5 claims at a length that's longer than a standard guide
6 catheter.

7 THE COURT: Okay. Can you go to the claim 25.

8 MR. VANDENBURGH: I actually don't have a slide
9 with claim 25.

10 If we could go to slide 91 in your presentation?

11 MR. NIEDERLUECKE: Yeah, I can get it for you.
12 It's 91.

13 MR. VANDENBURGH: Okay. So here we have the
14 additional language of forming a device length that is
15 longer than a predefined length of the continuous lumen of
16 the guide catheter. But, again, you know -- at the time you
17 make it, you know how long a guide catheter is. You know
18 the product has to work. And you're forming a device that
19 is longer than the continuous lumen of the standard guide
20 catheter.

21 THE COURT: You do not ascribe any significance to
22 the fact that this uses the definite article "the" rather
23 than the indefinite article "a"?

24 MR. VANDENBURGH: I don't, Your Honor. It's
25 really one of those patent practice things. The standard

1 practice examiners want this. Once you've introduced a
2 term, you change to the definite article, and the use of the
3 definite article actually tells you that you're referring
4 back to the thing that you talked about earlier. And in
5 this case, it's the standard guide catheter that's talked
6 about in the preamble.

7 THE COURT: Okay. I mean, I have to say my lean
8 is in your direction on these. It seems to me that they're
9 saying that you have to be able to look at the thing and
10 know that when it's put into operation in the future it will
11 perform -- it will stick out both ends essentially -- rather
12 than that there has to be a guide catheter packaged with it
13 or as part of it. It's seems to me different than, say, the
14 system language, which I think --

15 MR. VANDENBURGH: It would make no sense with just
16 the first five words of the claim. It's not a method of
17 forming if it's got to be in a package. So it just doesn't
18 make any sense.

19 Just on your comment on claim construction, of
20 course, one of the reasons that this case was filed in this
21 court, one of the reasons we think preliminary-injunction
22 relief is appropriate here is because the claim has been
23 subject -- or the claims of this patent family have been
24 subject to extensive litigation in a *Markman* proceeding.

25 I think we have perhaps, as you mentioned, three

1 or four claim construction issues that get raised in the
2 course of this motion, but that's a lot less than you would
3 usually see in patent litigation over five patents.

4 THE COURT: Well, this being one of them.

5 MR. VANDENBURGH: Yes. Thank you.

6 THE COURT: Thank you.

7 Mr. Niederluecke, anything more that you wanted to
8 say about that issue about that patent?

9 MR. NIEDERLUECKE: Your Honor, I think just again
10 briefly, number one, this is a product that's made in
11 Ireland, not in the U.S., and so this is about a method that
12 occurs outside the U.S. It has to be complete. If you sell
13 it in the U.S., it has to be the complete product.

14 The question -- as you say you're leaning towards
15 that, I think to the extent you're leaning towards this not
16 being a structural limitation, then I think what we're going
17 to find is we have just as serious an indefiniteness
18 question here because when that comes off in Ireland, off in
19 that manufacturing plant, the question of a standard guide
20 catheter -- I can tell you my brother unfortunately just had
21 to go through this procedure yesterday. They went in
22 through the arm. It's now a different length guide catheter
23 when they go through the arm and so it does change. It can
24 change all the time.

25 THE COURT: Do you disagree with what

1 Mr. Vandenburg said, which is this device when it comes off
2 the assembly line is much longer than any guide catheter in
3 existence?

4 MR. NIEDERLUECKE: I won't concede that, Your
5 Honor. There are different size guide catheters. When I've
6 Googled that question, it comes up with different guide
7 catheters, so I can't concede that as a matter of fact. But
8 certainly there are many guide catheters that are less than
9 150.

10 THE COURT: Okay. Well, patent litigation, it's
11 like whack-a-mole. You whack one and the other one pops up.
12 So your indefiniteness can be the next mole that I whack.

13 Is there anything more you wanted to say about
14 that patent before we turn to the '760 patent?

15 MR. NIEDERLUECKE: No, Your Honor.

16 THE COURT: Okay. You know, for the '760 patent I
17 think it might be best if I start with Mr. Vandenburg.

18 Mr. Vandenburg. So, Mr. Vandenburg, this is one
19 of these system patents. I couldn't tell whether you
20 disagreed with Medtronic's initial argument, which is the
21 *Cross Medical* argument, which is essentially this discloses
22 a system that includes a guide catheter, which seems clear
23 to me, and that for direct infringement you would need a
24 guide catheter and they don't have a guide catheter, so
25 there's no direct infringement of this claim.

1 MR. VANDENBURGH: Your Honor, certainly for the
2 purpose of today, we are not disagreeing that you require a
3 guide catheter, and we are not addressing asserting direct
4 infringement.

5 THE COURT: Okay. So really, only for purposes of
6 today, we're only talking about indirect infringement?

7 MR. VANDENBURGH: Correct, Your Honor.

8 THE COURT: Okay. So the first argument Medtronic
9 makes is they don't induce or contribute to any third
10 party's direct infringement because you haven't shown that
11 there is any third party that's directly infringing.

12 I'm inclined to agree with you that the evidence
13 in the record would be overwhelming that there are doctors
14 who've used the Telescope and you kind of have to use it
15 with the guide catheter. It seems just common sense that
16 there are third parties that infringe.

17 I mean, I know the record doesn't contain the name
18 of the doctor and the date that the doctor used it, but the
19 record does contain evidence that Telescope has been
20 purchased and has been used, and Medtronic has surveyed
21 those who have used it and so on. And I know there is a
22 footnote there that has a couple of those responses, so that
23 argument doesn't trouble me.

24 The argument that does trouble me is the not more
25 than one French size limitation. And, honestly, I just

1 can't follow your argument.

2 MR. VANDENBURGH: Okay.

3 THE COURT: Your -- not you the attorney, but your
4 client stood before me in *QX Medical* and told me one French
5 meant 0.0131 inches. There was no it means something in
6 some patents and it means something in other patents. Your
7 argument is essentially an inch doesn't mean an inch. I'm
8 not trying to be argumentative here. I honestly can't
9 follow the argument.

10 MR. VANDENBURGH: Well, then shame on us because
11 we should have done a better job, but let me try to explain.

12 Let me start with this was an issue that simply
13 was not contested, for whatever reason, in the *QX Medical*
14 case. It was just assumed that one French size meant the
15 same thing as one French, that those were treated as
16 synonymous. The point that is really raised by our brief is
17 that there is a difference.

18 We don't dispute that the word "one French" in
19 this industry means 0.0131 inches. But that some of the
20 claims have a different phrase, which is "one French size."
21 Then you change to a different thing in this industry.

22 People know, you know, there's this thing out
23 there called an eight French catheter. There's something
24 called a seven French. People know what it is. They call
25 it that and they don't necessarily -- what they know that by

1 is its outer diameter. They're sized by their outer
2 diameter.

3 The inner diameter of an eight French and the
4 inner diameter of a seven French is not necessarily 0.0131
5 inches different because the wall thicknesses are different.
6 And that's what creates the confusion here, and that's why
7 you need to look to the spec.

8 The point that we are making with respect to the
9 one French size limitation, in particular claim 25 of the
10 '760 patent, is this is one of those instances where the
11 patentee acted as their own lexicographer.

12 You go to the -- so we have the one French size
13 smaller. That's the claim language. You go to the
14 specification and it goes through in detail and it's
15 explaining really what people skilled in the art know, which
16 is that a six French guide catheter has a six French outer
17 diameter, but that then it has a certain inner diameter that
18 also takes into account the wall thickness.

19 And then it goes on to say what a five French,
20 what a six French, seven, and eight and ultimately says, the
21 last sentence, for a five French in a six French coaxial
22 guide catheter the internal diameter should be greater or
23 equal to 0.056, even though up above it says a six French
24 guide catheter has an internal diameter greater than or
25 equal to 0.070. Obviously, do the math. You've got a 0.014

1 difference in the inner diameter. The specification is
2 telling you that's a one French difference -- I'm sorry, one
3 French size difference, even though it's not exactly 0.0131
4 or less inches.

5 THE COURT: So you're saying that this language is
6 meant to define "one French" as 0.014?

7 MR. VANDENBURGH: In the real world of this
8 invention where you're putting one catheter inside another,
9 where inner diameters matter -- not outer diameters, but
10 inner diameters matter -- this is the language that's
11 defining a "one French size" difference for a
12 guide-extension catheter fitting inside a guide catheter.

13 THE COURT: You know, I have had patents where the
14 patentee acted as its own lexicographer. When that happens,
15 it almost always sounds like that. It sounds like for
16 purposes of this patent "substantially" means at least
17 two-thirds covered or something like that.

18 This doesn't sound like -- I mean, you have to
19 work really hard. You have to work really hard to come at
20 the conclusion you've just come at. Given that one French
21 is, from what I can tell from the *QX Medical* case, a term of
22 art -- I mean, it is in the manufacturing world like saying
23 one inch, one foot, one meter.

24 If you were going to literally say one French,
25 think one inch, doesn't mean one inch in our patent, it

1 means something different than one inch, wouldn't you call
2 that out more clearly than this?

3 MR. VANDENBURGH: I think the practical thing,
4 Your Honor, is that the inventors were designing this for
5 doctors. You know, the math you're talking about, that's an
6 engineer. An engineer thinks in terms of -- doctors don't
7 sit there and say, okay, I want a guide catheter that's
8 0.084 inches. Get me that, please, nurse.

9 THE COURT: Well, doctors don't read patents.

10 MR. VANDENBURGH: Yeah, but they say, Give me an
11 eight French catheter, Give me a seven French catheter.

12 An important part of this invention, it goes back
13 to the fact that real estate is critical to this invention.
14 You want to give up as little real estate as possible with
15 this guide-extension catheter so that you can get as big a
16 device down it. What this paragraph from the specification
17 is telling you is that we're giving up minimum real estate.
18 We're only giving up one French size in terms of the device
19 we can then ultimately put down the catheter.

20 Your Honor, there are cases that made clear that
21 you don't need a definitional-type statement to be a
22 lexicographer.

23 THE COURT: I know it's not necessary. I would
24 expect that especially if I was not defining a word like
25 "substantially," which sort of begs for a definition, but

1 rather defining a word like "inch," which the whole world
2 believes means something, and you're going to use it in a
3 different way in your patent, I would just expect that to be
4 called out more clearly.

5 Now, this is our second *Markman* term or whatever.
6 It will be for a future *Markman*, but --

7 MR. VANDENBURGH: It is.

8 THE COURT: I just have to say that I give you
9 kudos for your creativity, but I think that's a hard
10 argument. You're going to be walking uphill for me on that
11 argument.

12 MR. VANDENBURGH: All right, Your Honor. Well,
13 since we've got a lot of issues ahead of us and, as I said,
14 we only need to succeed on one patent, one claim today --

15 THE COURT: So if I disagree -- I'm trying to
16 think of what the one French thing matters with. So they
17 would be arguing -- so this would defeat your indirect -- I
18 know this is very simple to you, but for me I have to think
19 this through. If I didn't agree with your argument about
20 one French size, it would defeat your indirect infringement
21 argument with respect to the '760 patent, right?

22 MR. VANDENBURGH: That's correct. I would just --
23 we could take a quick look at this slide. So this is what
24 Medtronic says their device is. Their Telescope in their
25 six French size has exactly actually what's described in the

1 specification as a 0.056 inner diameter and needs a required
2 guide catheter of at least 0.070. You do the math and
3 that's 0.14. But this is --

4 THE COURT: Which is not less than 0.131. It
5 might be that come *Markman* time you'll convince me of this,
6 but this is a first look thing and it seems to me a hard
7 argument.

8 MR. VANDENBURGH: Thank you, Your Honor.

9 THE COURT: Thank you, Mr. Vandenberg.

10 Mr. Niederluecke, I'll give you a chance to snatch
11 defeat out of the jaws of victory on this if you want.

12 MR. NIEDERLUECKE: The only comment I'll have
13 here, Your Honor, is it was absolutely contested. Not just
14 "one French," but "one French size" was in the last case.
15 They were all together. They were all treated together.

16 THE COURT: Well, this argument just never came up
17 in the last case. We all agreed "one French" meant 0.131
18 inches.

19 MR. NIEDERLUECKE: Right. And the issue in the
20 earlier case was the difference between something that was
21 0.14 and something that was 0.13, and the question was
22 indirect infringement.

23 They had one example where it was used in this
24 case. It's never used -- by their own evidence that they
25 put in here, it's never used in a circumstance where it

1 would be one French size or less.

2 THE COURT: I would just think if you were going
3 to tell manufacturers and engineers and others that one inch
4 doesn't mean one inch in our patent, it actually means
5 something different than one inch, that would be something
6 you would call out very clearly, not in a description of one
7 embodiment and having people have to do the --

8 MR. NIEDERLUECKE: Absolutely, Your Honor.

9 Cognizant of not snatching defeat out of the jaws
10 of victory, all that's doing is saying if you put this size
11 in this size, this is the inner diameter you end up with to
12 use. That's all that says. It just walks through and says,
13 Here's three different sizes, and that's all it's telling
14 you. It's not trying to define it.

15 THE COURT: I think it's being descriptive rather
16 than --

17 MR. NIEDERLUECKE: And, Your Honor, just back to
18 your question --

19 If we can go to slide 24.

20 -- in terms of the impact of this one. Again, as
21 we mentioned -- and I do want to keep cognizant here, we're
22 certainly arguing this like it's a summary judgment or like
23 it's a trial, but certainly the real question here is have
24 we raised a substantial question. I don't want to lose
25 sight of that, Your Honor. We don't have to prove anything

1 today. We have to raise substantial questions that they
2 can't say lacks substantial merit.

3 So when we look at the '760 patent, there's four
4 different reasons why we believe we've raised substantial
5 questions. That no infringement one on the right, Judge, if
6 you are inclined to agree with us, what that means is the
7 '760 patent for purposes of this PI motion is gone. They
8 can't show infringement on that. So that whole line --
9 they've got to knock all of these out. Every one of these
10 -- for them to win, they've got to knock out every one of
11 these checks. For us to win on any given patent, we just
12 need to sustain one of those checks.

13 THE COURT: Right. I think at least at this point
14 I don't find their case for infringement of the '379 patent
15 to be very compelling.

16 MR. NIEDERLUECKE: Thank you, Your Honor.

17 THE COURT: Okay. Let's see. Mr. Niederluecke,
18 maybe while you're there -- it doesn't matter who goes
19 first. I want to turn to the '380 patent, then.

20 And this, again, is a system patent. And I assume
21 Mr. Vandenburg will make the same concession he'd made with
22 respect to the prior patent, that you don't have direct
23 infringement because you don't package this or manufacture
24 guide catheters and the system requires a guide catheter.

25 So we're only talking about -- well, there's also

1 a separate argument here about direct infringement regarding
2 the connection, right?

3 MR. NIEDERLUECKE: Yes, Your Honor.

4 THE COURT: Why do I need to reach that issue? I
5 guess it would be necessary because of the indirect
6 infringement arguments. Right?

7 MR. NIEDERLUECKE: Correct. Correct. It's a
8 question of indirect infringement and can they argue that
9 somehow we're indirectly infringing by providing this to
10 doctors for use.

11 THE COURT: So your argument is -- this is our
12 third *Markman* hearing, future issue for a *Markman* hearing.
13 So your argument is that "connected" can mean any kind of
14 connection, whether direct or indirect, right?

15 MR. NIEDERLUECKE: No.

16 THE COURT: Yours is the opposite.

17 MR. NIEDERLUECKE: Yes, Your Honor. Ours is that
18 the specification, reading it in light of the specification,
19 requires a direct connection both in terms of the way it's
20 used in the claims.

21 THE COURT: So you've read their argument back to
22 me that says that they've cited some cases in which both
23 direct and indirect connections were considered to have met
24 connection language, and what is your --

25 MR. NIEDERLUECKE: And I think they've cited a

1 case. And if you read that case, the specification -- I
2 mean, it's very important, Your Honor, as you know, and when
3 you do claim construction, you have to look to the
4 specification. You have to look to the claims themselves.
5 You have to look to the specification to try and interpret
6 what "connected to" means. It can certainly mean different
7 -- have different meanings in the context of different
8 patents.

9 They've cited you to a case that talked about a
10 patent where in the specification itself it contemplated
11 these indirect connections. In this case, when you read the
12 specification of the -- what are we on here -- the '380
13 patent, what you see is whenever they talk about any kind of
14 direction connection, they talk about a physical direct
15 connection, Your Honor. And what's important too --

16 THE COURT: I would be with you as a matter of
17 first impression. You know, the leg bone is connected to
18 the knee bone. The knee bone is connected to the thigh
19 bone. The leg bone isn't connected to the thigh bone.
20 Normally when we talk about connected, we mean direct
21 connection.

22 The one argument that they made that gave me pause
23 is they say if we construe this this way, then claim 12
24 wouldn't cover any of the embodiments of the patents. And,
25 of course, we try not to construe claims that don't cover

1 any of the embodiments. What is your response to that?

2 MR. NIEDERLUECKE: Your Honor, two things. First
3 of all, in terms of where you look at the claim language
4 within the claim itself, which you'll notice is the
5 reinforced portion that they talk about, all they say is
6 proximal to the flexible tip. So when you read through the
7 elements as you go down, it says a flexible tip, a
8 reinforced portion proximal to, and then they say a
9 substantially rigid portion proximal of -- and then they
10 comma -- connected to and more rigid along an axis than the
11 flexible tip.

12 So they literally stuck language in there to not
13 only say proximal, of which they could've left, but they
14 literally -- as opposed to the reinforced portion -- put in
15 the term "connected to" the flexible tip. So they chose to
16 do that --

17 THE COURT: I don't remember you making that
18 argument in the brief, but that's actually a good argument,
19 which is they're requiring not just that it be close to,
20 which proximal gets -- or the side of, but connected to. So
21 they must mean something by "connected to."

22 MR. NIEDERLUECKE: Right. And they use different
23 language for the two different elements. One they added in
24 the "connected to" where the other element they didn't. I
25 apologize if we didn't come clear. We tried to make that

1 point.

2 THE COURT: That's a good point. Otherwise,
3 everything in the guide-extension catheter is, in their
4 theory, connected to everything else.

5 MR. NIEDERLUECKE: Right, they would have to be.

6 THE COURT: What do I do about their argument if
7 we construe it the way I would be inclined to construe this,
8 which, as you would put it, requires a direct connection,
9 then the claim wouldn't cover any of the embodiments because
10 all the embodiments have this reinforced portion in between
11 the substantially rigid portion and the flexible tip?

12 MR. NIEDERLUECKE: Yes, Your Honor. And I would
13 say that while certainly the idea of covering the
14 embodiments is one of the canons that can be followed, when
15 you have explicit language, clear language that is written
16 in the claims, you can't just rewrite that language so that
17 you follow one of those canons.

18 They choose what they want to do. And as we've
19 seen in the last case and in this case, for instance, the
20 side opening. As Your Honor addressed in the last case, the
21 side opening was claimed while it's not disclosed anywhere
22 in the patent. The side opening is claimed in the lumen, in
23 a separate segment. That's what we have here as well.
24 We'll be addressing that argument. What the Court made
25 clear was that's what they claimed. And even though that

1 didn't cover any of those embodiments, that wasn't shown in
2 any of those embodiments, that requirement, this is what
3 they claimed.

4 So you can't vitiate their language just because
5 it doesn't fit within there when it's very clear that they
6 added "connected to" for a purpose in these claims.

7 Remember, these claims weren't original claims.
8 All of these claims we're dealing with are claims that are a
9 decade later. So they're creating claims to cover their
10 product. They're not creating claims to cover what is in
11 the patent, what was invented.

12 So they can do that if they want to try, but just
13 because they try to create claims that cover their product
14 and not what's disclosed in the patent doesn't mean you
15 rewrite those claims and redefine those claims. We have to
16 define them based on what's in the patent and what their
17 language is. And if it doesn't cover those embodiments but
18 it's clear -- and "connected to" is certainly clear -- then
19 the Court should define it as it is, and then we deal with
20 things like written description or other aspects.

21 Just like with the side opening, Your Honor, this
22 is the same circumstance. They've written claims to put a
23 certain limitation on there that they like for a purpose
24 perhaps other than what is actually within their
25 specification back from 2006.

1 THE COURT: Okay. You don't dispute the premise
2 of their argument, which is that construing the way you
3 would like, claim 12 would not cover any of the embodiments?
4 You agree that that's factually correct?

5 MR. NIEDERLUECKE: Certainly as they're applying
6 them to our product I would agree with that because in each
7 one they have that. I don't know if they would ever have a
8 different application where they would construe "flexible
9 tip" to be different portions, but certainly as they're
10 applying it to our product.

11 THE COURT: Okay. Thank you, Mr. Niederluecke.
12 Mr. Vandenburg.

13 MR. VANDENBURGH: Go to slide 46.

14 THE COURT: So my struggle here is between two
15 principles here. One is, as I read this language, I read
16 "connected to" to mean directly connected to. That's the
17 way "connected to" is normally used in the song, you know,
18 the leg bone is connected to the knee bone. The leg bone is
19 not connected to the jaw bone. It's all part of the same
20 body, but we don't talk about them being connected. The
21 United States is connected to Mexico and it's connected to
22 Canada. We wouldn't really say it's connected to Bolivia,
23 even though it's all part of the same land mass.

24 So normally I would say "connected" means
25 directly. And that would be bolstered by this claim

1 language, which first requires that the substantially rigid
2 portion be proximal of, meaning on a particular side of the
3 flexible tip portion. That in itself means they have to be
4 part of the same unit. So as you read "connected to," it
5 really doesn't have any purpose. It just emphasizes again
6 they have to be part of the same unit.

7 So I read the language as Medtronic does. At the
8 same time, I'm cognizant of the principle you should try to
9 avoid reading claims to not cover any of the disclosed
10 embodiments, which Medtronic concedes this would do, and
11 that's what I'm struggling with.

12 MR. VANDENBURGH: Okay. I appreciate that. So
13 let me start with the case law, of course. And it goes back
14 to your feeling that "connected to" ordinarily means
15 directly connected. The case law does say otherwise. They
16 say that, well, we only have one case. Your Honor, I
17 believe our first draft of our reply brief had four or five
18 cases. Your Honor may understand we were sorely in need of
19 words trying to stay within your word count. So if Your
20 Honor wants another four or five cases, we can easily give
21 them to you. It comes up a lot in patent cases.

22 THE COURT: I don't contest that "connected to"
23 can mean indirectly connected to. I realize it is used that
24 way. But, as I said, this language suggests it's not to me.

25 MR. VANDENBURGH: But that's really where I think

1 particularly Mr. Niederluecke's argument breaks down,
2 because that principle that we don't construe claims to
3 exclude all the embodiments of the patent, that's an
4 extremely strong principle. You know, we have all these
5 rules that we apply. That one is right on the edge of --
6 you know, you can count on one hand the cases where they
7 say, yeah, I know if we construe it this way, it doesn't
8 cover anything that's in the patent, but, you know, the
9 language is clear. Those few handful of cases it has to be
10 painfully clear that that's what the claim means.

11 When you have a half a dozen cases that say
12 "connected," ordinary meaning, can mean indirectly
13 connected, the argument immediately breaks down that this
14 claim language is unequivocally clear that it needs to be
15 that way.

16 THE COURT: Putting that principle aside, which
17 does give me pause, if we didn't have the embodiment
18 problem, what's the answer to the point that
19 Mr. Niederluecke made and I think is a good one, which is
20 when the claim already tells us the substantially rigid
21 portion is proximal of the flexible tip portion, it's
22 already telling us they're part of the same unit, they're
23 indirectly connected? To read "connected to" to just mean
24 part of the same unit, they're indirectly connected, that
25 renders "connected to" to be superfluous, and that's another

1 principle of patent law, you're not supposed to read terms
2 to be superfluous.

3 MR. VANDENBURGH: True. But, again, the reality
4 of that principle of claim construction is there are a lot
5 of claims where if you look at them hard, we didn't really
6 need that word, we didn't need that word.

7 The other thing to keep in mind, this is a system
8 claim. So it is talking about components that aren't
9 necessarily attached to one another. It starts out with a
10 guide catheter. We know it's not attached to the device.

11 Now, I know that we're talking about elements of
12 the device, but again given the fact that this is a system
13 claim, it's not unreasonable that somebody would want to,
14 perhaps in hindsight, be a little redundant but to make
15 clear that there is a connection between that substantially
16 rigid portion and the flexible tip portion.

17 The other point that I really want to make with
18 respect to this, because it's one that did not get into our
19 brief, is that the claim language -- there's other claim
20 language that also shows that "connected to" in this
21 instance means indirectly connected to. And it happens when
22 you dig into the remainder of that paragraph that we're
23 talking about right there, because basically what it shows
24 -- and I color-coded it to try to explain what we're
25 indicating there -- okay, it's proximally connected to the

1 flexible tip portion such that when the distal portion of
2 the flexible tip portion is extended distally of the distal
3 end of the guide catheter, in other words, it's at one end,
4 the blue then says, the reinforced portion is inside the
5 lumen of the guide catheter. Okay, it's in the middle. And
6 then the green says, the proximal portion of the
7 substantially rigid portion extends proximally out the
8 hemostatic valve, which is the proximal end of the guide
9 catheter. So that claim language is telling you the order
10 of the components.

11 To go to our specific embodiment of our patent,
12 it's what we know it is in all our embodiments, it's red tip
13 portion, blue in the middle stays inside the guide catheter,
14 rigid portion extends out the other end.

15 So yet another one of those principles of claim
16 construction in talking about what sort of connection we
17 have, it says that such that these three things happen and
18 it orders the components for us.

19 THE COURT: This is in claim 12.

20 MR. VANDENBURGH: This is the claim we've been
21 talking about.

22 THE COURT: I'm sorry, the same claim.

23 MR. VANDENBURGH: Correct.

24 THE COURT: There are so many numbers floating
25 around.

1 MR. VANDENBURGH: Yeah, I know. I know.

2 THE COURT: Okay. I mean, I think that's a good
3 argument, which is the same claim that has this language
4 also tells us that the reinforced portion is in between
5 these two. So it would self-destruct to read "connected to"
6 to mean a direct connection. Yeah, I understand.

7 MR. VANDENBURGH: Now, they do also make some of
8 these indirect infringement -- ultimately our case on claim
9 12 is an indirect infringement case.

10 THE COURT: I meant to make you -- not make you.
11 I meant to ask you -- I assume we're -- for the same reason
12 we're not talking about direct infringement?

13 MR. VANDENBURGH: Correct. If there is any
14 question in Your Honor's mind about the indirect
15 infringement issues here, as you said, there is evidence in
16 the record that doctors actually use this with a guide
17 catheter. And the law doesn't actually require that direct
18 evidence. And I think that's important to keep in mind
19 ultimately. We have it, but we don't need it. The average
20 case is not proved that way.

21 Let me go -- I've got a case law slide here. Just
22 to be clear, Medtronic says a guide catheter is required.
23 It's not optional. It's required. They specifically
24 instruct doctors how to use the guide-extension catheter
25 with a guide catheter. So this isn't one of those use it

1 one way -- this way if you want to use it another way.

2 THE COURT: I don't understand Medtronic to be
3 seriously arguing that this has never been used in the
4 system with a guide catheter by a surgeon in America.

5 MR. VANDENBURGH: Okay. And even if we didn't
6 have the direct evidence, the case law actually says in the
7 ordinary case you could infer the direct infringement from
8 the instructions.

9 THE COURT: And we're just here on a PI motion.
10 We're just talking about probabilities as it is.

11 MR. VANDENBURGH: I'll sit down, Your Honor.
12 Thank you.

13 THE COURT: I don't have any problem with that.
14 Okay.

15 Mr. Niederluecke, anything more you wanted to say
16 about infringement before we turn to validity?

17 MR. NIEDERLUECKE: No, Your Honor.

18 THE COURT: And, Mr. Vandenburg, is there
19 anything more you want to say about any of the infringement
20 issues before we turn to validity?

21 MR. VANDENBURGH: No, Your Honor.

22 THE COURT: Okay. You know, we've gone over an
23 hour now. This is a hard day for my court reporter because
24 we read things and she has to type really fast, so let's
25 take a short maybe 10-minute break. We'll come back at

1 quarter 'til and turn to the validity issues.

2 THE LAW CLERK: All rise.

3 (A brief recess was taken.)

4 THE LAW CLERK: This court is now in session.

5 THE COURT: Please be seated. I'm sorry the break
6 went extra long. My court reporter is under the weather
7 today, and we may have to take more breaks and longer breaks
8 than I normally would. Normally she's the iron maiden, but
9 she was with her grandchildren over Christmas. That's what
10 you get for being with small children.

11 So with respect to infringement, just to
12 summarize -- we'll, obviously, write this up in an order,
13 but as things stand, I do think that Teleflex has shown a
14 likelihood of success in showing infringement of the '776
15 patent, which isn't really contested; in showing
16 infringement of the '379 patent; and in showing infringement
17 of the '380 patent.

18 I don't think they've shown a likelihood of
19 success on showing infringement of the '760 patent. I think
20 I've made my views as to why I'm leaning that way pretty
21 clear. I reserve the right to change my mind when we
22 actually write up the order, but that's at least where I'm
23 leaning right now.

24 Okay. Let's turn, then, to the question of
25 validity. The first question that I want to address is

1 whether the Itou reference is or is not prior art, if that's
2 the way you say this. It makes no difference to me who goes
3 first. Mr. Vandenburg?

4 MR. VANDENBURGH: I leaned forward, so I guess
5 that means --

6 THE COURT: You leaned forward, so I'll have you
7 go first.

8 MR. VANDENBURGH: All right. Thank you, Your
9 Honor.

10 THE COURT: So, as I'm demonstrating, I have
11 trouble with all of patent law, but I've never faced this
12 issue before as to swearing behind the filing date of a
13 reference, and so this is a particularly awkward topic for
14 me.

15 Largely this seems to be a fight about when this
16 invention was reduced to practice. Right? Isn't that kind
17 of the focus of the disagreement?

18 MR. VANDENBURGH: I believe that's the true
19 dispute, if there is one. They did dispute conception in
20 their brief, but --

21 THE COURT: Well, I mean, they sort of mention the
22 conference, but their brief really focuses on reduction of
23 practice. Also on diligence, but I believe diligence
24 doesn't -- the little bit I was able to read of the law, I
25 think you're right that diligence doesn't matter if there

1 was reduction to practice prior to our September 23rd, 2005.

2 MR. VANDENBURGH: Just one point, though, I would
3 clarify is they have to show both. I wanted to make sure I
4 say that the right way. They have to show it wasn't reduced
5 to practice prior to September 23rd, 2005. And they have to
6 show there was no diligence. In other words,
7 Mr. Niederluecke did the, well, we've got to win on all
8 these things. They have to win on both of those things.
9 And we actually did put quite a bit of evidence in on
10 diligence.

11 So we think it's clear that this invention was
12 reduced to practice is in fact undisputed in the evidence at
13 this point. But if we want to get to conception, we
14 certainly have the evidence -- I'm sorry, we want to get to
15 diligence, we also have evidence on that as well.

16 THE COURT: Okay. Let me just start to ask you
17 just something really basic, but something that keeps
18 slipping from my grasp, which is explain to me exactly what
19 the invention was. What's the invented element here?

20 The briefs in this case, and this was true in *QX*
21 *Medical* as well, talk about mother and child catheters.
22 They talk about over-the-wire catheters. They talk about
23 rapid-exchange catheters. I'm not exactly sure what each of
24 those mean. They get used kind of sloppily sometimes. I'm
25 still not entirely clear exactly what is the -- what is it

1 that set the world on fire? What's the invention?

2 MR. VANDENBURGH: Right. The invention here, I
3 think it's fair to say, was a rapid-exchange guide-extension
4 catheter that, you know, in that rapid-exchange format so
5 you didn't have all sorts of problems with the doctor having
6 to handle a really long wire, they could use it via rapid
7 exchange, could be extended through a guide catheter into
8 sort of, you know, difficult shapes inside a coronary
9 artery.

10 THE COURT: And what exactly do you mean by "rapid
11 exchange"?

12 MR. VANDENBURGH: "Rapid exchange" is essentially
13 the idea where you have a push wire and just a smaller
14 tubular portion towards the end, and it allows a doctor to
15 exchange more simply.

16 THE COURT: So when we talk about a mother and
17 child catheter, we're generally talking about sort of one
18 long tube inside of another long tube, but both tubes are
19 sticking out the proximal end and, therefore, you need two
20 hemostatic valves to control it?

21 MR. VANDENBURGH: Correct. Correct. And I would
22 say, as I understand it, "over the wire" is essentially
23 synonymous with -- or mother and child would be a type of an
24 over-the-wire design, that being that the entire catheter is
25 running along a very long wire.

1 THE COURT: Okay. So those are synonymous as far
2 as you're concerned?

3 MR. VANDENBURGH: I think for the purpose of our
4 case. People in the art might draw distinctions or one is
5 broader than the other, encompasses the other. But for the
6 purpose of the evidence we're talking about, for example,
7 when we were talking about an over-the-wire version that was
8 in 2005 being developed by Vascular Solutions at the same
9 time, that was -- you could call that like the mother and
10 child.

11 THE COURT: So the rapid exchange is you take the
12 child catheter, you make it really short, and you stick it
13 on the end of a push rod so that the doctor can just quickly
14 get it in and out of the guide catheter? There's not a
15 second catheter to worry about?

16 MR. VANDENBURGH: Right.

17 THE COURT: Okay. Now, there were rapid-exchange
18 catheters in the prior art, though, right?

19 MR. VANDENBURGH: There were, Your Honor.

20 THE COURT: So what's our invention?

21 MR. VANDENBURGH: But nobody had done it as a
22 guide-extension catheter and there are reasons why that --
23 you know, that sounds like, well, isn't that just taking two
24 ideas. First of all, that's right before the Patent Office
25 in numerous examples, and it's not that simple.

1 THE COURT: This isn't a loaded question. I don't
2 know enough to make it loaded.

3 MR. VANDENBURGH: Right. So in a guide-extension
4 catheter something that was intended to be inserted -- as a
5 rapid exchange inserted into ultimately the tip end going
6 into the cardiac arteries and then being able to deliver
7 interventional cardiology devices -- balloon catheters,
8 stent-delivery catheters -- into that tube that's way down
9 inside the guide catheter.

10 THE COURT: What were the small tubes on the end
11 of big push rods used for in the prior art?

12 MR. VANDENBURGH: My understanding is that, for
13 example, some of the balloon catheters were rapid exchange.
14 So but what that would be, you would have a balloon
15 preloaded in this tube at the end of a long wire. You would
16 then put that on, send it down the guide catheter and again
17 into a cardiac artery.

18 THE COURT: Okay. So the device would be kind of
19 preloaded into the short tube?

20 MR. VANDENBURGH: That's my understanding, Your
21 Honor.

22 THE COURT: But the short tube didn't extend
23 beyond the end of the --

24 MR. VANDENBURGH: It would. It would. It would
25 still then extend out the far end of the guide catheter.

1 You'd end up pushing out the balloon catheter and expanding
2 it to -- that's what balloon catheters are designed -- you
3 put a balloon down that then is inflated. It opens up and
4 --

5 THE COURT: But that sounds very similar to the
6 invention here. What did this invention do to improve over
7 that?

8 MR. VANDENBURGH: See, this invention isn't about
9 the actual balloon catheter itself. This is about a device
10 that its purpose is to simply get you where you need to be,
11 get you past that difficult lesion so that you then can put
12 the next element down there.

13 THE COURT: Isn't that what the tube around the
14 balloon catheter did in the prior art, get you closer to
15 where you need to be with the balloon?

16 MR. VANDENBURGH: It did. But, again, this
17 guide-extension method was not used in the art. A lot of
18 inventions you look at them after the fact and say, boy, how
19 did I miss that.

20 One of the interesting things here is Mr. Itou,
21 the person we're talking about, is both, of course, an
22 inventor on the suction catheter we're going to talk about,
23 but also is an author of the paper cited in the patent
24 specification. Our specification talks about the mother and
25 child, describes it, acknowledges it as prior art. Mr. Itou

1 is an author of that article.

2 Mr. Itou, you know, there is no evidence he ever
3 considered doing mother and child in a rapid-exchange
4 version. He had a rapid-exchange version of a suction
5 catheter. He certainly knew of the mother and child.
6 There's no evidence he ever put it together.

7 So we shouldn't be -- so let's not be quick to
8 say, oh, this is just the obvious combination of taking
9 rapid exchange and taking mother and child and putting them
10 together.

11 THE COURT: What I'm struggling with is so when
12 this invention is being used, you have the guide catheter,
13 you push the child catheter essentially -- I know it's not
14 -- the short catheter you push through, and it gives you the
15 extension into where you need to place the balloon or the
16 stent, and then you push the balloon or the stent through.
17 That seems very close to putting the balloon inside the tube
18 and pushing them both together through there and then
19 pushing the balloon out the tube. It seems almost the same
20 thing.

21 MR. VANDENBURGH: Certainly a distinction is there
22 that you don't need to deep inside the body push something
23 inside a tube at the proximal end of the tube.

24 THE COURT: So the difference is instead of the
25 balloon catheter riding down the guide catheter inside the

1 tube, first the little tube goes through and then the
2 balloon follows later?

3 MR. VANDENBURGH: Correct.

4 THE COURT: That's the invention?

5 MR. VANDENBURGH: That is the -- one of the
6 advantages of the invention is, in essence, coming up with a
7 design that allows you to do that.

8 THE COURT: So this invention then -- so I have to
9 know when it was -- and I don't -- I will ask
10 Mr. Niederluecke about this. I didn't understand them to be
11 contesting that your inventors conceived of this before
12 whatever the 2005 date is, September 23rd, 2005.

13 The thrust of their argument mostly seems to be
14 they didn't reduce it to practice. I mean, I've read all
15 the stuff in the brief about -- I mean, my question is how
16 do I figure this out on a motion for preliminary injunction?
17 It seems that I have to basically put myself in the
18 inventor's workshop and follow what they were doing and at
19 some time declare that that has now been reduced to
20 practice. And you say it doesn't have to be in a human
21 being; it's okay if it's in a model as long as the model
22 closely resembles the human body. This all seems really,
23 really deep waters for a judge to swim in on a PI motion.

24 MR. VANDENBURGH: Let me try to give you some
25 guideposts --

1 THE COURT: Okay.

2 MR. VANDENBURGH: -- to go by. First, of course,
3 as I've got up on the stand, ultimately at trial Medtronic
4 is going to bear the burden on these issues. And I know
5 we're in a different situation here, but we need to keep
6 that in mind as we're viewing the evidence.

7 The other thing is let's talk about this issue of
8 corroboration. I believe, Your Honor, it was a different
9 circumstance, but back in the *Spectralytics* case we had one
10 of those issues, so Your Honor may have some familiarity
11 with the ideas of corroboration. It's been a long time.

12 THE COURT: Let's just pretend that His Honor
13 doesn't remember anything about that and barely remembers --
14 the *Spectralytics* was cutting the stents, right?

15 MR. VANDENBURGH: Correct. Correct. We're
16 actually going to see some invoices from them in a minute.

17 THE COURT: I've just exhausted my memory with
18 respect to *Spectralytics*.

19 MR. VANDENBURGH: So one of the things to keep in
20 mind on the case law -- we tend to think we need
21 corroboration of what the inventor says. You don't need to
22 actually corroborate the conclusions. The inventor's
23 testimony is what it is. It's evidence that can be given
24 weight to say, okay, is there evidence of reduction of
25 practice? The inventor says, I did this, I reduced it to

1 practice. The corroboration is simply is there documentary
2 or third-party evidence corroborating the inventor's
3 testimony.

4 And so when you look at it in that regard, what we
5 really have is undisputed evidence of both conception and
6 reduction to practice. We have Mr. Root's lengthy
7 declaration. And, again, keep in mind we were very squeezed
8 on pages in the brief. Mr. Root's declaration lays out in
9 many pages, many exhibits to corroborate his reduction, his
10 conception -- and I should say VSI's reduction to practice
11 -- in the first half of [REDACTED]. And so that's really the
12 evidence to focus on.

13 Now, you might say but I'm at a preliminary
14 injunction. Of course it's undisputed. Keep in mind
15 Medtronic was given an opportunity to do extensive
16 discovery: documents, interrogatories, depositions. They
17 took a couple of depositions. They had a 30(b)(6) category
18 on conception and reduction to practice. They voluntarily
19 withdrew it.

20 So if Your Honor is concerned about, well, maybe
21 we just haven't gotten all the evidence in yet, the reason
22 the evidence is one-sided, it's undisputed is because they
23 chose not to try to get in any evidence going the other way.

24 THE COURT: So I did not have time -- I mean,
25 there's so much in this case that I didn't have time.

1 MR. VANDENBURGH: Yeah, I've got summaries on some
2 of my slides. Perhaps I can highlight -- direct Your Honor
3 to the highlights.

4 THE COURT: So at some point I want you to address
5 -- I just have notes of what Medtronic said in the briefs.
6 They said that you didn't attempt to reduce to practice the
7 invention until well after, they say, May of 2006. And they
8 say that you previously admitted that you only
9 constructively reduced to practice -- are they talking about
10 in body and out of body?

11 MR. VANDENBURGH: No. Constructive reduction to
12 practice is what happens when you file a patent application.
13 So I will admit again, as the law defines constructive --
14 that was a little bit of a trick -- as the law defines
15 constructive reduction to practice, of course we didn't do
16 it until we filed the patent application. That doesn't mean
17 anything.

18 THE COURT: Oh, okay.

19 MR. VANDENBURGH: There wasn't a need to address
20 when did an actual reduction to practice occur.

21 THE COURT: Okay. So you're going to tell me how
22 I can find at this point a likelihood that you'll succeed in
23 showing actual reduction.

24 MR. VANDENBURGH: Right. So let's go through some
25 of the slides. First of all, as I said, we have Mr. Root

1 who says -- and, of course, it's all backed up by a lot of
2 detail, but we kind of put the most important paragraph
3 here -- prototypes of the rapid-exchange GuideLiner were
4 made and tested soon after the [REDACTED] laboratory
5 notebook drawings, talking about how the testing was
6 performed. It was in a model. He says it allowed him to
7 determine that the device would work for its intended
8 purpose; namely, the ability of a rapid-exchange catheter to
9 deliver interventional cardiology devices into challenging
10 coronary anatomy. So that's the evidence right there,
11 again, backed up by a lot of other details surrounding it.

12 Now let's talk about the fact that it's in a
13 model. First of all, we have Mr. Keith, and that's the
14 quote on the right. He's the third-party expert who says in
15 the catheter world that's how you do it. You don't reduce
16 things to practice inside a human being. And they don't
17 actually say otherwise.

18 They have an expert who purports to give an
19 opinion on reduction to practice. He's not working off any
20 evidence, just kind of saying things, and he hints around --
21 you know, has some words that when I first read it said, oh,
22 isn't he saying you have to have it in a body? But then I
23 re-read it. I was told to re-read it. And he doesn't
24 actually say it. It's not surprising because that's not how
25 people do it in this industry.

1 We also have on that point the *Mahurkar* case --
2 and I'll just jump ahead a couple of slides here -- which
3 was a catheter case where the invention was found reduced to
4 practice based on testing that the doctor did in his
5 kitchen, obviously not inside a human being.

6 So that issue, I think, is really a non-issue of
7 was the testing done inside or outside the body. Let's go
8 forward to then what's some of the corroborating evidence
9 for Mr. Root's testimony.

10 First, I said I'd show you some Spectralytics
11 documents. Here you go. It turns out that the initial
12 prototypes, including this what we believe is the first one,
13 and this is -- I think you heard from QXM the cut-down hypo
14 tube. This is a cut-down hypo tube that was laser cut by
15 Spectralytics. It was done in We have a
16 picture. There is -- one of these still exists. This was a
17 recently-taken picture of that first prototype. And so that
18 was done in May.

19 Now, the case law again recognizes that building
20 -- you know, evidence of purchase of components specific to
21 building and testing a prototype are corroborating evidence
22 of reduction to practice. I was going to make another point
23 on this, but I'm losing my train of thought on that one.

24 We have then further prototypes coming along.
25 There's actually -- there is a little bit of testimony, and

1 it's in what Medtronic submitted with their supplemental
2 submissions. There's an old deposition from Mr. Root, not
3 from this case, where he talks about the initial prototype
4 actually had leakage problems. It was leaking blood out the
5 back-end. And, first of all, I'm not sure that that would
6 avoid a reduction to practice. The device doesn't need to
7 work perfectly. And he said it wasn't a big deal. But he
8 also said, We immediately fixed it. And you can see again
9 the corroborating evidence here.

10 Here's the next prototype. This time we can tie
11 Spectralytics' invoice of 20 prototype components to a
12 specific drawing, and it's this one that is from the patent.
13 You probably recognize the drawings from the patent. And
14 it's the one that has a much flatter guide wire. Again, the
15 purpose of which is -- the problem was when you had a half
16 tube at the end, the hemostatic valve couldn't clamp down on
17 it very well and you were getting leakage, so you create a
18 flatter one. So this one was created and tested.

19 I remember the point I wanted to make on both of
20 these, which is that one of their arguments is that all the
21 work that was done prior to the critical date, that was all
22 on the mother and child. That's what their expert says. He
23 doesn't know. He wasn't there, but he says that. He says
24 all the work prior to the critical date, that was being done
25 on the mother and child full-length one. Well, you don't

1 make these parts for anything other than the rapid-exchange
2 version. This shows you that --

3 THE COURT: That hypo tube was the port into the
4 --

5 MR. VANDENBURGH: Right. Right. You don't have a
6 cut-down hypo tube with a mother-and-child version. So,
7 again, we know that we are working on the invention of these
8 patents. Again, this is dated from July.

9 On [REDACTED] we have yet another drawing, one you
10 recognize from the patents. It's of a complete device.
11 What Mr. Root says is, Look, we get to the point of this
12 sort of a detailed engineering drawing only once we've done
13 sufficient testing to know it will work. So this
14 corroborates the reduction to practice by [REDACTED]
15 [REDACTED].

16 And then I think this is probably the last
17 document I'll hit on. This is a product requirements
18 document dated [REDACTED] Now, "product
19 requirements" is a little bit of a misnomer. Let's not
20 think too much or draw conclusions from the title. It makes
21 it sound like we're just getting started. In fact, the
22 opposite is true.

23 What Mr. Root says in his declaration is that this
24 is the document that starts the quality process. I'm not
25 sure if Your Honor is familiar with medical products

1 companies and the whole idea of a quality process, but
2 obviously tracking and maintaining the quality of their
3 development in the ultimate product they're going to make is
4 critical. So when they start the quality process, what that
5 means is they have to track every little thing they do.

6 What Mr. Root says, and it makes sense, is that we
7 don't start the quality process through one of these
8 products requirements documents until we've done enough
9 testing that we're confident it's going to work for its
10 intended purpose.

11 Now, I think what Medtronic is going to come up
12 here and say is, well, but a lot of work continued after
13 that. First of all, the law doesn't require for reduction
14 to practice that you show it will work beyond a possibility
15 of failure. You merely -- and I think if I am getting it
16 right, the phrasing in the case law would be probability of
17 failure, that it's likely going to work. So the fact that
18 you may have problems down the road doesn't undo your
19 reduction to practice.

20 The other thing the case law says is, of course,
21 the work that you do to commercialize a product, to make it
22 commercially viable does not undo an earlier reduction to
23 practice. That's not relevant. And so the fact that there
24 were several years of fine-tuning this product, of getting
25 the right materials so they could be made safely,

1 consistently, effectively -- it's one thing to make one of
2 these. It's another thing to make 1,000 of these. So the
3 fact that it took another three years before the product was
4 commercially released doesn't disprove the evidence here on
5 reduction to practice.

6 If I could, I do want to just briefly run through
7 these slides. I have four slides listing all the documents
8 on diligence. So, again, they have to show two things.
9 They have to show that there was in fact a reduction to
10 practice prior to September 23rd. They also have to show
11 that there wasn't diligence between that date and, for
12 example, that constructive reduction to practice that
13 occurred in May of 2006 when the patent application was
14 filed.

15 This isn't the evidence -- this isn't all the
16 evidence. This is just a listing of the documents that
17 corroborate the evidence of diligence. And it goes on for
18 pages. I've highlighted the entries that, again, are
19 specific to the rapid-exchange version of the prototype.
20 But the work that was being done on both, I think, would
21 count towards diligence. We certainly have lots of
22 documents that were specific.

23 And then ultimately what are we doing with this
24 diligence analysis? This is a very recent case from the
25 Federal Circuit that emphasizes that all really diligence is

1 looking for is that you didn't abandon it, that you were
2 working reasonably continuously on it. This is not a
3 terrifically high standard.

4 So, again, Medtronic bears the burden. They've
5 got to show both there was no actual reduction to practice
6 and that there was not diligence even if there was no
7 reduction to practice. We submit they haven't presented a
8 substantial case on either.

9 THE COURT: All right. Thank you,
10 Mr. Vandenburg.

11 Mr. Niederluecke. Let me start by asking you -- I
12 can't tell if you're disputing conception or not disputing
13 conception.

14 MR. NIEDERLUECKE: For the purposes of today, Your
15 Honor, we're not going to dispute conception in and of
16 itself.

17 The thing we do raise in our papers and raise in
18 terms of both that and reduction to practice is each one of
19 those, as you have correctly started your question out, what
20 is the invention? Well, the invention is what is exactly
21 claimed in that patent. I agree with Your Honor, Medtronic
22 agrees with Your Honor, rapid-exchange devices have been out
23 there forever -- not forever, but --

24 THE COURT: Just so the record is clear, when I
25 was asking what the invention is, I know what's described in

1 the apparatus patents. I mean, I know what that is. I
2 meant what's the invented concept? What was the advance
3 here? I think Mr. Vandenburg understood me to be asking it
4 that way.

5 MR. NIEDERLUECKE: But in terms of this question
6 that we have here, when you look to when something was
7 reduced to practice, what you're looking at to start with in
8 the overarching is you look at a claim and you say here are
9 the limitations and can you demonstrate that the inventor at
10 a certain date reduced the invention that includes all of
11 those limitations in that claim to practice, meaning
12 constructed an embodiment of the device and tested that
13 device to ensure that it would work for its intended
14 purpose, both of those things.

15 So one of our points is they didn't even try to
16 say here's the limitations, here's how this prototype met
17 those limitations, and here's the testing that proves it met
18 those limitations. The reason is, Your Honor, which I'll
19 explain to you --

20 THE COURT: So that's what -- these are sincere
21 questions. If I did deal with this issue before, I don't
22 remember it. So you basically have to have patent
23 litigation about a prototype in order to establish -- I
24 mean, you've got to, like, have the prototype, have it
25 sufficiently described that you can take the eventual patent

1 and match the claims up term by term to the prototype for
2 each of the 50 or 60 or 70 claim terms?

3 MR. NIEDERLUECKE: Well, let's step back to start
4 with, which gets to --

5 If we can go to slide 26.

6 When you file your patent -- and I'll get to the
7 issue of constructive reduction to practice. You file a
8 patent and you get that date as your patent filing. Okay?
9 That's your date. That's presumed to be your date of
10 invention, unless you have evidence otherwise.

11 Now, if you want to say, yes, I filed my patent
12 application on that day, but I really invented it earlier, a
13 patentee has the right to try to do that, but that's when
14 it's got to show evidence that it in fact invented it
15 earlier.

16 So it's only in the circumstance where a patentee
17 is trying to what we call antedate, get behind another
18 reference, that this issue comes up. And in terms of the
19 burdens that are provided, we have the burden -- Medtronic
20 has the burden to show that the particular piece of prior
21 art antedates the patent. There's no dispute here that it
22 does. It's September 2005. The patent was filed in May of
23 2006. We've now met our burden.

24 Then it turns to Teleflex. Teleflex has a burden
25 of production. And I'll say, Your Honor, you should read

1 your opinion in *Spectralytics*. It was excellent because you
2 told the Federal Circuit to clean this up. I don't know if
3 they have or not.

4 THE COURT: This is all becoming vaguely familiar
5 because I couldn't believe how intricate and ridiculously
6 complicated they made it.

7 MR. NIEDERLUECKE: Yeah. So what happens is then
8 Teleflex at that point has the burden of production, coming
9 up with sufficient evidence to show that it actually
10 conceived and reduced to practice. And as part of that
11 burden of production -- that burden of production not only
12 of providing testimony from inventors or from people who
13 have a stake in the outcome, but also to provide the
14 corroboration to show, with documentation, that this in fact
15 happened. So that's where we get to --

16 THE COURT: Do you have the burden of persuasion
17 then if they meet their burden of production?

18 MR. NIEDERLUECKE: If they meet their burden of
19 production, which includes their burden to show
20 corroboration -- and that's where I think the Court
21 originally in your opinion the question of where that line
22 was was difficult to say what exactly is sufficient
23 corroboration -- then for the purposes of today, we just
24 have to say -- we just have to create substantial questions
25 for the Court to say --

1 THE COURT: But just for trial purposes, then you
2 would have the burden of persuasion at trial?

3 MR. NIEDERLUECKE: Correct. And we would have the
4 burden of persuasion to demonstrate that they, in fact, did
5 not. So as the Court has laid it out, it's kind of a three
6 prong.

7 THE COURT: There is a reason I repressed all of
8 this from my memory. All right. So I understand that.
9 We're on a PI context. Okay?

10 MR. NIEDERLUECKE: Right. So the standards are a
11 little different. Certainly, we don't have nearly the
12 burden that we will have at trial. We don't have to prove
13 invalidity of the case. We just have to show a substantial
14 question. And this is one of those things that all we have
15 to show is a substantial question as to whether or not they
16 can predate it.

17 I think the big picture here, before I get into
18 the evidence --

19 THE COURT: This predating, this only comes up --
20 I mean, it comes up with the patentee is trying to swear
21 behind prior art that would otherwise potentially invalidate
22 the patent? Is that the context in which it comes up?

23 MR. NIEDERLUECKE: Yes, because there's two types
24 of --

25 THE COURT: It doesn't mean that somebody could be

1 held liable for infringing a patent because, obviously, you
2 wouldn't have notice of the patent because the patent itself
3 didn't exist at this earlier date, right?

4 MR. NIEDERLUECKE: Right. Right.

5 THE COURT: This is only a concept that comes up
6 when you're trying to swear behind prior art references?

7 MR. NIEDERLUECKE: Yes. Yes.

8 So, you know, the main point here, and I want to
9 explain -- Mr. Vandenburg pointed out that we had an
10 opportunity for discovery, et cetera, and we'll get into
11 that, but I do want to point out that almost 40 of the 60
12 exhibits that they put in with Mr. Root's declaration they
13 literally served -- they produced those documents to us that
14 same day in November -- or, actually, was it even in
15 December. So we didn't even have any of that evidence.

16 What we had, as you'll see, is a document that
17 didn't even identify a reduction to practice date. We
18 didn't think they were really mounting one. And so that's
19 important to keep in mind.

20 I'm going to walk through some of that, Your
21 Honor, because the main point that you'll see is it was a
22 very well-worded, very well-worded declaration. And what
23 you'll see is the declaration speaks oftentimes about the
24 GuideLiner and all the work on the GuideLiner. Everywhere
25 it says, This proves we were working on the GuideLiner.

1 Every time they say that, unless they even try to allege
2 that it was a rapid-exchange GuideLiner, it was the
3 over-the-wire, full lumen guide wire because that is what
4 they were developing in [REDACTED]. Yes, on the horizon they had
5 this idea that they wanted to build a rapid-exchange version
6 and they played around with it a little bit.

7 THE COURT: Well, those things that Spectralytics
8 made for them, those clearly are components to a
9 rapid-exchange catheter, right?

10 MR. NIEDERLUECKE: And I will get to that, Your
11 Honor. Some of those are components. What they show you
12 and what they connect together, again, doesn't really hold
13 water when you look at it fully.

14 THE COURT: I'd just note again what I did at the
15 beginning. I don't know how a judge can possibly figure
16 this out on a PI motion. I mean, you literally have to be
17 in the workshop with these guys. I assume there's all kinds
18 of stuff they're working on and there's pieces of metal all
19 over. I mean, they're working on a whole bunch of different
20 things. How a judge can sit there and trace this all
21 through on a PI motion and -- you know, you had very limited
22 discovery on this. I assume at some point you're going to
23 take the inventors through very carefully. I just see a big
24 black hole right now. It's very hard for me to figure out
25 who's going to -- unlike the infringement cases where I sort

1 of feel like I have a lean and my lean will probably be my
2 final decision, here I just don't have any idea how I'm
3 supposed to figure this out. To put myself in the workshop
4 with these people and they're working on various concepts
5 and --

6 MR. NIEDERLUECKE: And, Your Honor, I think that's
7 the point. We certainly have met our initial burden of
8 providing the art with a specific date that predates by nine
9 months. So we've done that.

10 And I think the fact of Your Honor pointing this
11 out demonstrates that there is a substantial question here.
12 And I want to walk through --

13 THE COURT: But they produced evidence that --
14 they produced what they say is evidence of reduction to
15 practice. For me to weigh that evidence I have to carefully
16 pick through exactly what terms they're using when I don't
17 even know exactly what terms -- I mean, I don't -- I mean,
18 you're going to show me some of this, I realize. This is a
19 really hard thing to ask a judge to do on a PI motion.

20 MR. NIEDERLUECKE: I would agree, Your Honor. It
21 is very difficult. I apologize for the tediousness of it.

22 THE COURT: That's inherent in patent law.

23 MR. NIEDERLUECKE: Yes.

24 THE COURT: Okay. I'm sorry, I took you off here.
25 You were going to take me through some of this.

1 MR. NIEDERLUECKE: So the question is -- so we're
2 right now -- I'm going to address, now that we've met our
3 burden, their burden of production and go through some of
4 that evidence.

5 I don't think that they disagree -- I will tell
6 you, Your Honor, the issue of it needing to be in a human
7 body, tested in a human body, we're not contending it needs
8 to be tested in a human body, but it does need to be tested
9 in an environment that simulates that.

10 So what we need to look for is the invention, not
11 an over-the-wire design, but the invention that is both
12 built into a full prototype and then tested to see if it
13 works. What we're going to see, Your Honor, is they have a
14 lot of records. And I'll show you they have a lot of
15 records. But all their records go to the over the wire.

16 All the lab notebooks -- you haven't seen a lab
17 notebook showing this. They keep lab notebooks. Of course
18 they do, because they're a sophisticated medical company in
19 a highly-regulated industry. They have the lab notebooks
20 that describe it all, how they built it, all of that.

21 I shouldn't probably preview too much of this, but
22 you're going to see that they have all the evidence for over
23 the wire and they have nothing for the rapid exchange.

24 So if we walk through it, first of all, the
25 question was apparently what they felt was a game. Back in

1 the *Boston* case they were asked to identify the date on
2 which you reduced the claimed invention to practice. Now,
3 that wasn't asking for a constructive reduction to practice.
4 That was asking the date on which they reduced the claimed
5 invention to practice.

6 THE COURT: Yeah, it did, but they did say
7 constructively, which they left themselves the ability to
8 later answer that.

9 MR. NIEDERLUECKE: We'll go -- and now they're
10 saying actual reduction to practice. This was as of
11 November.

12 Prior to that, they had two earlier interrogatory
13 answers -- this is Exhibit Y -- where they didn't even lay
14 that out yet. So they just slowly built this up after they
15 filed their motion.

16 But if you look at the question and you look at
17 Interrogatory No. 1, it's identify the date on which you
18 contend that the claimed invention was conceived and the
19 date on which you allege that the claimed invention was
20 reduced to practice. So there's no game here. Their answer
21 was the claimed inventions were conceived at least as early
22 as [REDACTED] and constructively reduced to practice with the
23 filing of the first application May 3rd, 2006. That was
24 their answer back then. Now they say it's a year earlier.

25 I've already kind of covered this in my preface,

1 Your Honor, but this is explaining the law here, what they
2 have to show about the embodiment and evidence that it
3 worked for its intended purpose.

4 So we start off by saying they didn't even try to
5 do that comparison. I know it's hard, Your Honor, because
6 it can come down to even a claim-by-claim comparison, but
7 they didn't even attempt to do that with the prototype, and
8 the reason is they don't have a prototype. You never saw a
9 picture of a guide-extension catheter -- a rapid-exchange
10 guide-extension catheter actually made. They don't have
11 one, much less tested.

12 So let's walk through some of this evidence that
13 they presented. As we walk through this, what you're going
14 to see is this: You're going to see an over-the-wire
15 version, a rapid-exchange version. And we're going to go
16 through the types of records that you'd normally see. What
17 you're going to see is that for lab notebooks, they have lab
18 notebooks corroborating their work on the reducing to
19 practice an over-the-wire device.

20 THE COURT: Just so I'm clear, "over the wire" you
21 mean a traditional mother and child catheter?

22 MR. NIEDERLUECKE: Right, mother and child, full
23 lumen all the way back --

24 THE COURT: For both the mother and the child?

25 MR. NIEDERLUECKE: -- to the end requiring two

1 hemostatic valves, as you noted earlier.

2 Same thing, prototype build records. We have
3 build records for the over the wire. We have no build
4 records for the rapid exchange.

5 Sterilization records. We have those for over the
6 wire. We have nothing for rapid exchange.

7 Prototype photographs of the whole prototype. We
8 have it for over the wire. We have nothing for rapid
9 exchange.

10 Test results. We have test results for the over
11 the wire. We have no test results for rapid exchange.

12 Company reports documenting that exact prototype.
13 We have that for the over the wire. We have nothing for
14 rapid exchange.

15 What was going on here, Your Honor, was they were
16 working on the over the wire. They were working on making a
17 device that was just like the device they talk about in
18 their patent that Itou published in a mother-child system.
19 They were trying to copy that. We know that because their
20 testing actually was comparing it to the Terumo
21 over-the-wire device.

22 With regard to the corroboration, invoices for
23 component parts don't corroborate a reduction to practice.
24 That's what Mr. Root primarily relies upon. So, first of
25 all, they don't corroborate it.

1 Second of all, they're not tied to rapid-exchange
2 versions claimed in the patents in suit.

3 THE COURT: Well, like, why aren't those
4 Spectralytics parts --

5 MR. NIEDERLUECKE: Well, first of all, I'm going
6 to pull that one back up and --

7 THE COURT: I don't know here by "corroborate"
8 whether that means adequately corroborate or corroborate in
9 any way. I mean, it seems to me those Spectralytics parts
10 that he showed me are certainly evidence that they were at
11 least messing around with the concept of a rapid-exchange
12 catheter. Those are entry ports, is what I was looking at.

13 MR. NIEDERLUECKE: That was a portion of what
14 looks to be -- the picture you saw was a portion of what
15 looks to be a rigid push wire with an opening.

16 THE COURT: Yeah. Right. But you don't have an
17 opening unless it's a rapid-exchange catheter. If it's an
18 over-the-wire catheter, then the openings are both down
19 outside the --

20 MR. NIEDERLUECKE: I'm not arguing that that
21 picture doesn't show a portion of a component of that. The
22 issue is that picture, as Mr. Vandenburg noted, the only
23 date we have for that picture is 2019. They didn't come out
24 with a product until 2009.

25 THE COURT: Well, he showed me the bill that he

1 said was associated with the picture, that that was -- the
2 picture was 2019, but he said the bill was from [REDACTED] for it.

3 MR. NIEDERLUECKE: He showed you a bill. We can
4 pull those up and look at them in as much detail as you want
5 to go through, because they don't all tie together. He has
6 a lot of invoices, but those invoices don't necessarily
7 correlate to what they correlated them to.

8 THE COURT: I thought he represented to me that
9 the invoice he was showing me was for the device he was
10 showing me, the --

11 MR. NIEDERLUECKE: That may be what they're trying
12 to purport, Your Honor.

13 So the drawings themselves, again, aren't
14 sufficient to show that the embodiment was built. The
15 photo, which was dated November '19, only shows a part of a
16 component of an alleged prototype.

17 THE COURT: Sorry, I think slower than you talk.
18 So he showed me drawings that looked like a rapid-exchange
19 catheter -- it looked like an entry port to a rapid exchange
20 and said those were dated from [REDACTED]. You just don't believe
21 that?

22 MR. NIEDERLUECKE: No, I don't -- I'm not saying
23 that they weren't creating drawings.

24 THE COURT: I mean, there clearly was some kind of
25 work going on on a rapid-exchange catheter in [REDACTED], right?

1 You don't dispute that?

2 MR. NIEDERLUECKE: We don't dispute that there was
3 some work --

4 THE COURT: What you dispute is that it got to the
5 point where it was actually reduced to practice?

6 MR. NIEDERLUECKE: Exactly, Your Honor. Exactly.
7 Because what was happening was the over the wire is what
8 they were working on and admittedly -- it's in their
9 documents -- they had a plan to later on come out with a
10 rapid exchange. So while they are working on the over the
11 wire, they had in the background doing a little work here
12 and there, but it was more on the conception, the conceptual
13 designs, not actually reducing that to practice.

14 THE COURT: Okay.

15 MR. NIEDERLUECKE: Now, for example, they showed
16 you that design drawing in [REDACTED] They say it's a
17 full design drawing. What you see on that drawing,
18 though -- and this is [REDACTED] -- is these are
19 preliminary design assumptions. So these aren't actual
20 designs. These are assumptions. Here's what we assume we
21 could do. It's future looking. So it's not a design that,
22 hey, here's what we built. It's a design of here are some
23 concepts we can think about.

24 In fact, the drawing itself, if you look at it,
25 you have the bottom drawing which shows -- and these are

1 both from the patent. You have the bottom drawing which
2 shows that step down. The top drawing on the proximal end
3 actually shows a full-circumference lumen, and it's because
4 what I think this was was probably an over the wire that
5 they were playing around with saying, hey, what could we do?
6 That's a concept.

7 Similarly, as we talked about, Your Honor, they
8 have Exhibit 10. They show this picture. I think they
9 admit that this picture was taken in 2019. We have no
10 corroboration as to when that was made, when this exact --
11 when this design was made.

12 We don't have any design drawings that were sent
13 to or from the manufacturers. Obviously, if they were
14 manufacturing this, we would have evidence of exactly the
15 design, not just of the -- not just that it says step down
16 or something in the description of it. We would have
17 drawings, and we don't have any.

18 What's really interesting, Your Honor, is if we
19 look at the design history file -- as we said, these are
20 highly-regulated products; we have design history files that
21 companies keep to lay it all out -- and if we look at what
22 the evidence is, what the undisputed design history file
23 shows, we see September 23, 2005 is when the Itou patent was
24 filed in the U.S., that date that we get.

25 We have May 3rd, 2006, which is when we saw

1 Teleflex first filed its patent application, at least
2 earlier said that was the first reduction to practice.

3 [REDACTED] the design history file for this
4 product is the first date that's listed, the market
5 feasibility plan.

6 We go through this: [REDACTED] concept
7 drawings. [REDACTED] product specifications/user
8 requirements. [REDACTED] design verification testing.
9 November 9th, 510(k) clearance. These are all after 2008.
10 This product that they created that you've seen so many
11 pictures of was created well after they filed the patent
12 application.

13 Now, Your Honor, I'm just going to -- I don't know
14 how much tolerance you have to walk through some of the
15 evidence in particular, but I've certainly got a lot of it
16 that I'd like to quickly walk you through --

17 THE COURT: Okay.

18 MR. NIEDERLUECKE: -- if you have the tolerance
19 for it.

20 THE COURT: We'll find out, I guess.

21 MR. NIEDERLUECKE: Tell me to speed up if --

22 THE COURT: If I walk out of the room, you've
23 reached the tolerance.

24 MR. NIEDERLUECKE: Okay. Well, we'll start, as we
25 noted, with -- this is just the Takahashi and Itou

1 publication that's in the patent. This is what discloses --
2 you can see there this is the over-the-wire five and six
3 system that Itou disclosed and was published and is itself
4 prior art. It shows the five French guide catheter going
5 into a six French guiding catheter. And they did both human
6 and lab testing of that device. And that was the one that
7 -- that was then around the same time as the conference that
8 the inventor suggests was the genesis of their development.

9 The Itou patent itself was filed in September of
10 2004 in Japan. The reason we don't use that date is you
11 don't get credit for that date. Actually, Itou filed his
12 patent application in Japan in September of 2004. That
13 (indicating) just shows that date.

14 As we'll get to, Itou discloses the guide
15 catheter, guide extension suction catheter, and a protective
16 catheter, just like the patent application shows.

17 Then we get into the development. So they talked
18 about the beginning of [REDACTED] being the conception. And the
19 question really as we go through all this, we have to think
20 is it really a rapid exchange that they're working on or is
21 it an over the wire.

22 If we look at [REDACTED] we see that they lay
23 out in April that the general design will be a straight
24 catheter. It'll be a composite assembly consisting of
25 multiple durometers of Pebax, a TFE inner liner, solid

1 stainless steel coil and braid for stiffness, pushability
2 and to prevent kinking.

3 So a couple important points there as you look at
4 what they claim to be their corroboration. They point to a
5 lot of evidence of purchasing Pebax. Well, Pebax is used in
6 the over the wire all the time. That's a portion of it.
7 And as you see here, so is the stainless steel. So when
8 they talk about, well, that's used only for rapid exchange,
9 that's not true, Your Honor. In fact, Nitinol and stainless
10 steel can be used for over the wire as well.

11 Then we move to [REDACTED] And in [REDACTED]
12 they're talking about the GuideLiner being a new product
13 idea, of a liner to be delivered inside a standard guide
14 catheter. And what they say -- what they point out in here
15 when they're describing the liner -- and we just talked
16 about this -- is also the GuideLiner should include a
17 hemostatic valve to connect the guide catheter and lock the
18 GuideLiner in place.

19 So what we know as we read this, as we try to jump
20 into the lab, is that they're talking about an over the wire
21 there. They're not talking about a rapid-exchange
22 GuideLiner because it needs that second hemostatic valve.
23 So it's what the patent talks about getting rid of with the
24 rapid exchange.

25 Then in [REDACTED] they present to their sales

1 force the GuideLiner device, and they show it both in the
2 deployment and in actually bench testing. You can see a
3 picture down on the right. They've got a device they're
4 running it through to see how it works. So they're
5 presenting the GuideLiner, but it's the GuideLiner
6 over-the-wire system. And you can see in the top left that
7 picture with the green. That's a full lumen all the way
8 back to the adapter.

9 So you see in July they're working on over the
10 wire, they're presenting over the wire as their product.
11 You don't see this type of stuff for the rapid exchange.

12 Also in July in the R&D update, they explain that
13 the initial design is an over-the-wire configuration with a
14 rapid-exchange version to follow. Now, Mr. Root took this
15 document and told the Court this proves the prototypes of
16 the rapid exchange were made. But when you read the
17 documents, you can see that what they're talking about is
18 the initial design, which is an over-the-wire configuration,
19 that prototypes have been made and evaluated.

20 That's our concern, Your Honor, is this is the
21 type of document that Mr. Root would characterize as proving
22 that rapid exchange was built. When you look at the
23 document, it's clear that it's the over-the-wire version
24 that they're talking about.

25 THE COURT: It just doesn't tell us how far

1 developed this rapid-exchange version is?

2 MR. NIEDERLUECKE: Correct. It's to follow.

3 That's the question, is when we follow.

4 Now, what we see in [REDACTED] are the product
5 requirements for a GuideLiner. And, granted, it talks about
6 either version. And what you see is it pulls out user
7 requirements, performance requirements. What will this
8 thing have to do? These are actually instructive, Your
9 Honor, in terms of saying what does it take to demonstrate
10 that it worked for its intended purpose.

11 So these are some of the product requirements that
12 you'd say, okay, show me evidence that in fact your
13 rapid-exchange GuideLiner prototype that you allege to have
14 built would work for its intended purpose.

15 The other interesting thing about this document,
16 Your Honor, that they propound is that while there are user
17 requirements, the next column over is product
18 specifications. I'm sorry I don't have it -- I have it just
19 with this up here, but the next column is product
20 specifications. There are none. There's none listed in
21 this document. They don't have any product specifications
22 listed.

23 Now, September 23rd -- again, we'll go through
24 that quickly -- is the Itou. They say they already have
25 evidence, Your Honor, that they have built the prototype and

1 they've tested a prototype. There's nothing in the record
2 at all that will show you that they built a prototype,
3 including all the parts, meaning the substantially rigid
4 portion, the reinforced tubular portion, the tip portion.
5 What they show you is substantially rigid invoices.

6 What we go to in [REDACTED] we start
7 looking at testing. What we see is when they go to testing
8 -- these are lab notebooks, Your Honor, their own lab
9 notebooks; we're talking [REDACTED] -- and what we
10 see they're testing -- you can see it in the picture and
11 it's evidenced by the use of a 300-centimeter guide wire.
12 300-centimeter guide wires are used with over the wire
13 because you have to have a really long guide wire.

14 So what this shows you is they were doing this --
15 this isn't disputed -- they were doing testing in August and
16 September of an over-the-wire design in a model. The
17 problem is there's no evidence to show that they did any
18 testing for the rapid exchange. They have results of their
19 testing.

20 And here's the interesting thing. As you see
21 here, they're doing their own five and six over-the-wire
22 GuideLiner and they're comparing it to the heart rail.
23 That's the Itou reference. So they're basically building
24 something like Itou and saying let's compare it to how Itou
25 did and let's compare those in an over the wire.

1 Similarly, Your Honor, more lab notebooks. What
2 are they showing? They're showing drawings and they're
3 showing photographs of over-the-wire designs; nothing of
4 rapid-exchange designs in those lab notebooks.

5 In fact, by [REDACTED] what Teleflex says
6 is the over-the-wire version is expected to be launched in
7 April. The rapid-exchange version requires additional
8 engineering and is not included in our [REDACTED] forecasts. They
9 don't even have a plan to go for that one yet. They're
10 going to get that over the wire out and they need to do more
11 engineering. That certainly doesn't sound like something
12 that has been reduced to practice.

13 In fact, even with their over-the-wire design,
14 Your Honor, in [REDACTED] they started their design
15 verification testing and as of February they cancelled that,
16 too. They stopped the build.

17 The other interesting point about this document,
18 Your Honor, which is Exhibit RR of Friedemann, is this is a
19 build log. There's not one entry on that build log through
20 2006 for a rapid-exchange catheter. These build logs, I
21 don't think it's disputed, are all for the over the wire.

22 Now, here's what's interesting, Your Honor, and
23 I'll finish up with this, [REDACTED] so we're down the road
24 now a couple years after filing that patent application, and
25 you say, Well, why is this taking so long? Because they

1 didn't come out with this product until 2009.

2 What Mr. Welch, who was one of the inventors on
3 the patent, explained in [REDACTED] was that the
4 GuideLiner, now they are working on that. They're certainly
5 working on that, as we saw from the design file history. He
6 says, "Throughout this project, timelines have been pushed
7 out due to drastic design changes and resource constraints."
8 If something worked for its intended purpose, you would not
9 expect drastic design changes. And to date, as they note
10 right there, we have prototyped and tested a new design.

11 So, Your Honor -- in fact, let me do one other
12 thing. So that's kind of some of the evidence to
13 demonstrate. And they don't have any evidence that
14 perfectly correlates.

15 I want to pull up, if I can -- if we can switch
16 over. Joe, would you mind pulling up A63.

17 So A63, I think, was one that you were looking at.

18 THE COURT: Yes. This is showing the entry port
19 here (indicating).

20 MR. NIEDERLUECKE: Right. Right. And this
21 drawing exists, and we don't argue about the fact that that
22 drawing exists. But correlating it to this document on the
23 right of [REDACTED] has some problems. For instance, while
24 they don't have it on this drawing, this drawing is revision
25 X2. It's not on this drawing unfortunately, Your Honor,

1 because they cropped it in, but this is revision X2.

2 If you look here (indicating) -- I didn't do a
3 very good job there.

4 THE COURT: I see it says X1.

5 MR. NIEDERLUECKE: It's revision X1. If you read
6 what they were doing, Your Honor, they were laser cutting
7 customer supply tubes. "Spectralytics will machine the
8 step." Well, this isn't a step. They'll machine the step
9 in the outer diameter tubes. So that would be -- sounds
10 more like cutting down the outer diameter a little bit.
11 That's not correlating to what you see in that figure. You
12 go further and you say it's going to be at least 42 inches
13 long. Well, that device is 39 inches long, Your Honor.

14 So when you start to look at the evidence, you
15 realize that perhaps what they're attributing and trying to
16 combine together with invoices and such doesn't hold true
17 upon further investigation. Of course, as you noted, Your
18 Honor, this is for trial. This is for discovery. This is
19 for trial. At this stage, they certainly can't show that
20 they can prove without question that they built a prototype
21 and reduced it to practice. And so they both built it and
22 tested it.

23 What you didn't see here, Your Honor, was a
24 prototype. You didn't see a full prototype. They have no
25 evidence of a full prototype. They have not one speck of

1 evidence of testing of that prototype, whatever it would
2 have been. That's why they can't show you a claim to
3 prototype limitation by limitation, because they don't have
4 a prototype. Yes, did they do some testing? Did they make
5 some drawings with concepts on them? Absolutely, Your
6 Honor, they did. But they didn't reduce this to practice.

7 And then May came along. Everything was going
8 wrong. They had stopped their over-the-wire build. They
9 said -- well, I shouldn't -- this is speculation, but
10 perhaps they said let's just get something on file. Let's
11 file a rough draft of whatever we have, and we'll throw in
12 everything we've got at this point. But they certainly have
13 not shown anything that would suggest that they were
14 diligently reducing to practice an over-the-wire version or
15 that they ever did before they filed their patent
16 application.

17 THE COURT: All right. Thank you,
18 Mr. Niederluecke.

19 MR. NIEDERLUECKE: You're welcome.

20 THE COURT: Mr. Vandenburg.

21 MR. VANDENBURGH: So what we heard an awful lot of
22 was that during the same time this invention was being
23 developed, Vascular Solutions was also working on an
24 over-the-wire version; stipulated and irrelevant.

25 We saw a lot of evidence showing that testing and

1 work was continuing on both versions after September 23rd of
2 2005; again, stipulated; again, irrelevant.

3 THE COURT: Well, the point I think that -- and I
4 have no -- as I keep saying, it's just really hard for me to
5 understand this stuff now. But the main argument
6 Mr. Niederluecke was making was sort of a
7 dog-that-didn't-bark argument. He concedes that you were
8 messing around with a rapid-exchange catheter at the same
9 time, but he's saying look at this pile of evidence of the
10 work being done on the over the wire and look at this tiny,
11 little pile of evidence with the work being done on the
12 rapid exchange. At least as he presents it, and I have
13 absolutely no way of knowing if this is representative or
14 not, it seems like there's disproportional evidence of the
15 over-the-wire work versus the rapid-exchange work.

16 MR. VANDENBURGH: But that maybe gets to the
17 point. There's this much (indicating) evidence on the
18 over-the-wire version, and there's this much (indicating)
19 evidence on the rapid-exchange version. It doesn't matter
20 how big this is. The fact that this may have been the focus
21 doesn't matter. The question is whether this work, whatever
22 it is, was enough to be reduction to practice.

23 THE COURT: Well, it matters in the sense that --
24 I think the point is that if you actually had developed --
25 successfully developed the rapid-exchange version and

1 reduced it to practice, one would expect to see this much
2 evidence, because that's what you see when products are
3 successfully launched. And you wouldn't expect a four-year
4 gap between the reduction to practice and the actual
5 marketing. You wouldn't expect to see some of the
6 references in some of the documents, which I admit they're
7 ambiguous. Some of them are capable of being read --

8 MR. VANDENBURGH: I think part of this is just
9 overstating what is necessary to be reduced to practice. It
10 comes up again and again in the case law that people argue
11 that, well, work continued afterwards. Again and again the
12 case law says, yeah, but that's commercialization. It's
13 really just that threshold level of will it work probably
14 for its intended purpose. That's the standard that's
15 necessary.

16 Again, what we look for is whether there was
17 corroborating evidence of the inventor's testimony that,
18 yes, I did it, I tested it, I determined it would work for
19 its intended purpose.

20 I'm going to start by putting up, if I could, a
21 blurb from case law, a case that we did not -- I will
22 apologize in advance, Your Honor. We have a number of
23 documents or cases that were not in the briefs. This is one
24 of them. This is *Loral Fairchild* from the Federal Circuit
25 in 2001 where they reversed a judge's conclusion that there

1 was no reduction to practice and basically found that they'd
2 set the standard too high on the corroborating evidence.

3 I'd start at the bottom of the second paragraph
4 here: Dr. Amelio's alleged reduction to practice, to be
5 sure, is not corroborated by documentary evidence of test
6 results confirming process performance. It is, however,
7 corroborated by the testimony of somebody else the delivery
8 date of masks necessary to practice the invention and the
9 Air Force proposal. Analogizing those second to ours, we
10 have unquestionably evidence of prototypes of parts being
11 made for rapid-exchange prototypes prior to the critical
12 date.

13 Now, again, let's recall, ultimately, we review
14 corroboration for rule of reason. You don't go to
15 Spectralytics and say cut me eight of these prototypes to
16 just get them and look at them and see if they're pretty
17 looking. You get them so that you can test them. And it's
18 a simple matter of -- again, we also saw that there's
19 evidence of the tubing, and the tubing isn't specific
20 perhaps to the rapid-exchange version, but we know that the
21 Spectralytics cut-down hypo tube is.

22 It is completely reasonable under a rule of reason
23 to conclude, to corroborate an inventor's statement that
24 when we got these cut-down hypo tubes from Spectralytics, we
25 didn't just look at them. We did something with them. We

1 built them. We tested them.

2 Going back to the point that we're overstating the
3 level of testing necessary for reduction to practice, we
4 cited in our brief the case law pointing out that many
5 inventions you don't even need to do testing; use the word
6 non-complex inventions can be reduced to practice simply by
7 building it.

8 Here we do have evidence beyond that. We have
9 Mr. Root's declaration corroborated by the creation of parts
10 for the prototype indicating that they had indeed done
11 testing, certainly not to the point of proving that you put
12 this thing inside a human being and it would work perfectly,
13 but enough to show the inventive concept here of the ability
14 to deliver a rapid-exchange guide-extension catheter through
15 a guide catheter into tortuous anatomy and then deliver an
16 interventional cardiology device through it.

17 Again, Your Honor, what I ask that you do is focus
18 not on all of the evidence that they point to of other
19 things that were happening. We'd know a lot more about that
20 if they had taken a deposition and put those documents in
21 front of witnesses and they could explain what we're looking
22 at. Instead, they want to throw lots of documents from
23 other time frames on other products and say, well, that
24 shows that Mr. Root is not being truthful when he said we
25 were testing the rapid-exchange version as well.

1 THE COURT: But remember what Mr. Niederluecke
2 started with was that chart which had all the green check
3 marks and all the red Xs. You're right that the green check
4 marks don't really prove much. It's the red Xs that are my
5 concern. I mean, maybe it's -- one of the things that's
6 hampering me here is I don't -- there's some gap here in the
7 law, and I don't know what that gap is, which is I have no
8 doubt that your folks were working on a rapid-exchange
9 catheter in 2005, and I have no doubt that as part of their
10 work they ordered parts. And so if it's simply enough for
11 an inventor to say I swear I reduced this to practice and if
12 it's sufficient to corroborate that to say here's some parts
13 that I ordered and here's the invoice for those parts, if
14 that's enough, you have that, I agree. But if you need more
15 than that -- if you need the prototype, the photo of the
16 prototype, the lab notes of the prototype, something like
17 that -- I haven't seen that. I haven't seen that.

18 MR. VANDENBURGH: And that's what the *Loral*
19 *Fairchild* case really says you don't need to have.

20 THE COURT: If literally all you need is the
21 inventor says I made this thing and it has 5,000 parts in it
22 and here's an invoice for one of the parts, I'm done. I
23 realize that's not our case. I understand. I'm not
24 suggesting that. But I just don't know -- the word you
25 had -- it's gone now, but there was a sentence at the bottom

1 of your -- right, "sufficiently corroborated," so that's the
2 keyword. I don't know what the sufficiency is.

3 MR. VANDENBURGH: Right. Right. So let's go back
4 to -- let me start with -- there was -- I want to
5 distinguish because I believe Mr. Niederluecke put up a case
6 that purported to be contrary to this, that just ordering
7 parts that would be for the product isn't enough. He talked
8 about how they were put together. My guess is that might
9 have been a more complex invention where just because I
10 ordered a part doesn't mean you know what you're going to do
11 with it.

12 Here we don't have that. Here this is more what
13 it looks like the *Loral Fairchild* case is, which is once
14 you're ordering that cut-down hypo tube from Spectralytics,
15 you know what you're going to do with it. It's shown in our
16 design drawings. You're going to attach a tubular portion
17 to the end of it and you're going to test it.

18 The other thing that we do have, and it goes back
19 to the over the wire, is we know testing was being done on
20 -- we have a picture of the over-the-wire version being
21 tested in I think the slides from June, so we know it's from
22 before that.

23 Mr. Root explained why it was that they're showing
24 sales people at that time the over-the-wire version. Again,
25 no question, that product was at that point intended for the

1 market first. But it goes back to the rule of reason. We
2 know we were ordering prototypes, easy to assemble -- or
3 parts easy to assemble into a product. You don't just look
4 at it, especially if you have a test set up there.

5 We know that at the same time we have
6 corroborating evidence of doing tests on the over-the-wire
7 version for the purpose of the rule of reason; it is a
8 completely reasonable jump to say they didn't just look at
9 that over-the-wire prototype that they got with the parts
10 from Spectralytics. They actually put it in that same model
11 that we have a picture of and did the same sort of analysis
12 to make sure that it would work as well.

13 THE COURT: Okay. Anything more?

14 MR. VANDENBURGH: Thank you, Your Honor.

15 THE COURT: Mr. Niederluecke, you look like you
16 wanted to say something more.

17 MR. NIEDERLUECKE: Yeah, I would just, if I may --

18 THE COURT: Just briefly. Then I want to give my
19 court reporter a break.

20 MR. NIEDERLUECKE: -- a very recent case, Your
21 Honor, with regard to that question of invoices for
22 component parts. We've got it on page 34. That's a case
23 really recent, from September of 2019, from the Federal
24 Circuit that found exactly that, that an invoice for a
25 component part doesn't demonstrate and corroborate a

1 reduction to practice.

2 THE COURT: This sounds very Federal Circuitry,
3 this law. As I've heard you out, it seems to me the issue
4 is what is sufficient corroboration. I'm just going to have
5 to read the cases on that. It sounds like the cases need
6 some close attention to try to figure out where that line
7 is.

8 I feel like I have a sense of what this is like
9 from 30,000 feet, the evidence on this, which is, on the one
10 hand, they were definitely working on a rapid-exchange
11 version and they went so far as to order component parts to
12 a rapid-exchange version. But, on the other hand, there
13 does seem to be some lack of evidence that they actually got
14 that into a working prototype. I realize this evidence
15 could change a lot between now and summary judgment or now
16 and trial. That's where we seem to be right now. I just
17 have to figure out where the case law puts that sufficient
18 line.

19 MR. NIEDERLUECKE: It's very important too, Your
20 Honor, as you noted, where we are right now. They put in
21 Mr. Root's declaration with a reply brief. We never had a
22 chance to depose Mr. Root. There's certainly a lot of
23 evidence out there we still have to get given what we have
24 recently learned is their position. So we have to
25 understand where we are in the context.

1 This is a preliminary injunction. They've got to
2 show clearly that they will succeed on this. They have to
3 be likely to succeed. If there's a question, if the record
4 isn't sufficiently built, then they're not meeting their
5 burden.

6 We certainly have met our burden. We certainly
7 attacked and showed the Court the gaps, the Xs. This isn't
8 a case where somebody comes in and says, gosh, we didn't
9 keep our records very well; you know, we don't have a lot of
10 records. This is exactly the opposite. It is we keep
11 records very well and we have them for the over-the-wire
12 device, and we just don't have them for this. Instead, we
13 put in a declaration. It is a rule of reason, but it has to
14 be independently corroborated.

15 THE COURT: Okay.

16 MR. NIEDERLUECKE: Thank you, Your Honor.

17 THE COURT: All right. We'll take a break now.
18 When we come back, we'll turn to the issue of whether Itou
19 actually does anticipate or at least in combination with the
20 other references make obvious I guess this would be the '379
21 and the '760 patent, and then we'll turn to the Kontos and
22 Ressemann references.

23 Let me just tell you, I don't have a lot of
24 questions about this because I really couldn't follow it
25 from the brief. There just wasn't enough. And I know you

1 were working under severe page limitations. I think it
2 would probably make sense for you, Mr. Niederluecke, to go
3 first, or Ms. Friedemann, whoever is going to take this.
4 I'm just going to need a nice, clear explanation of what the
5 Itou invention was and what the Kontos and Ressemann
6 inventions were when we get to them and then claim by claim
7 how it meets that.

8 It was just very hard for me to -- you put
9 drawings in the briefs and things, and I just couldn't
10 understand what these inventions were. And I didn't have
11 time to go and try to read the patents myself. That might
12 not have done any good anyway. So that's where I'd like to
13 start when we get back out. We'll be back out in about 10
14 or 15 minutes.

15 THE LAW CLERK: All rise.

16 (A brief recess was taken.)

17 THE COURT: All rise. This court is now in
18 session.

19 Before we begin, when I started work on the *QX*
20 *Medical* case a long time ago, the parties referred me to a
21 video I think that was on YouTube that showed how it works,
22 how the invention works. I have a new law clerk working.
23 It's actually my career law clerk. She's now the third law
24 clerk to work on the *VSI* litigation. She'll be the last
25 because she's my career law clerk. But I'd like to be able

1 to have her watch that video, and if somebody could just
2 email me that video. I'm also certain it was in the *QX*
3 *Medical* case that I saw the video. But it shows the surgeon
4 actually doing it.

5 MR. VANDENBURGH: Your Honor, Mr. Root gave me a
6 little nod like this (indicating), so --

7 THE COURT: So he knows. Obviously, copy
8 Mr. Niederluecke on it, but send me an email or send our
9 chambers an email with the link so we can look at it,
10 because I was trying to explain to her the way this works.

11 Now, the one thing I can't remember is does the
12 guide wire go in first or does the -- guide wire goes in the
13 body first or the guide catheter goes in the body first?
14 You can ask Mr. Root if you want.

15 MR. VANDENBURGH: Guide wire goes first.

16 THE COURT: Guide wire first. Guide catheter
17 second. Then the guide-extension catheter is thread over
18 the guide wire and pushed through, and then put -- the
19 balloon is put on the guide wire and pushed down the guide
20 catheter through the side opening and out the
21 guide-extension catheter, right?

22 MR. VANDENBURGH: I believe so, Your Honor.

23 THE COURT: So there's three wires sticking out of
24 the patient, one catheter and three wires: the guide wire,
25 the push wire for the guide-extension catheter, and the push

1 wire for the balloon or the stent, right?

2 MR. VANDENBURGH: Generally, yes.

3 THE COURT: Close enough. Okay. That's what I
4 want.

5 All right. Mr. Niederluecke, on we go with
6 anticipation.

7 MR. NIEDERLUECKE: Let's go to page 24. So just
8 to center ourselves again, Your Honor, what we're talking
9 about here is this first column, which is whether or not
10 Itou makes all of the claims in each of these patents
11 invalid, at least all of the asserted claims for the
12 purposes of this preliminary injunction.

13 So in the context if, in fact, the Court finds
14 that there is a substantial question as to Itou being prior
15 art and then finds that there is a substantial question that
16 it invalidates -- that it either anticipates or makes
17 obvious the other pieces, this would essentially take out
18 this entire column and, therefore, the preliminary
19 injunction wouldn't be granted because all of these patents
20 would be potentially invalid.

21 THE COURT: Okay.

22 MR. NIEDERLUECKE: So that's what we're talking
23 about.

24 THE COURT: To be more specific, you have
25 "invalid" on the chart here, but you say Itou anticipates

1 all the claims except two; is that right?

2 MR. NIEDERLUECKE: Yes, Your Honor.

3 THE COURT: And that it makes obvious all of the
4 claims, period, right?

5 MR. NIEDERLUECKE: Correct, Your Honor.

6 THE COURT: When combined with Ressemann and
7 Katashai.

8 MR. NIEDERLUECKE: Yes. Yes. So that's what
9 we're looking at here, Your Honor.

10 And I guess if we can go to 74. And I'm happy --
11 don't know how far you want me to step back, Your Honor, but
12 I think the question you raised earlier was about -- I
13 pronounce it Itou. I'm not sure how it's pronounced.

14 THE COURT: Like Judge Itou. Either way. I'm not
15 trying to rush you, but at some point I would like to have
16 somebody show me Itou and explain to me how Itou works.

17 MR. NIEDERLUECKE: Let me do that. We probably
18 dived too quickly and too deeply. I will switch over to the
19 document camera.

20 So Itou is for a guide-extension suction catheter,
21 meaning that it is typically used to extend through a guide
22 catheter and out a guide catheter and can be used to suck in
23 material that is deep into the coronary arteries.

24 THE COURT: Is it like some kind of mini vacuum
25 source of suction?

1 MR. NIEDERLUECKE: Yes. And rather than just do
2 it with the guide catheter, this device allows you to extend
3 deeper into the coronary arteries to be able to get further
4 in to do the suction further in.

5 THE COURT: Okay. But there isn't another device
6 that goes through Itou?

7 MR. NIEDERLUECKE: Well, in the disclosure they
8 don't disclose putting another device through, but it is
9 absolutely sized so that that can occur, so that you could
10 have it in there, you could suck this out, and then you
11 could put in the stent or a balloon.

12 THE COURT: What does the guide-extension catheter
13 look like on Itou? Is it a little hole?

14 MR. NIEDERLUECKE: Your Honor, it's literally
15 identical to the patent and what we're looking at here. So
16 what you have in this picture, the top is a guide catheter.
17 The second figure, figure 1b, is the guide-extension
18 catheter. As you can see there, it has a long push wire.
19 Then it has a side opening for that push wire. It has a
20 tubular section. And then it has a tip section.

21 THE COURT: But why -- this is what I'm not
22 getting, is if this is basically like a little vacuum
23 cleaner at the end here, why is this open? Because nothing
24 is going through that, so why is that open?

25 MR. NIEDERLUECKE: Material goes back and forth

1 through that, Your Honor. So this is just like this device.
2 It's a tube, a full diameter tube.

3 THE COURT: So is there another device that's the
4 vacuum that's going to go through that tube?

5 MR. NIEDERLUECKE: Well, it absolutely can, Your
6 Honor. A surgeon could put anything through that that he
7 wanted to. You can use a stent. You can go and put the
8 vacuum in and vacuum out stuff, and then you could stick a
9 stent, for instance, through that suction catheter and
10 deliver a stent with that extended --

11 THE COURT: I'm sorry, I'm just missing something
12 here. If Itou works by itself as a suction catheter, then
13 this thing has to be sucking material in, right?

14 MR. NIEDERLUECKE: Correct.

15 THE COURT: How is it sucking material in if
16 there's a hole here?

17 MR. NIEDERLUECKE: Because when you think about it
18 in the context of where it goes into the top catheter -- so
19 you have a top catheter, which is a full lumen, and then you
20 have your rapid-exchange guide-extension catheter that goes
21 inside of it and sticks out.

22 THE COURT: Okay. So now I have -- okay. So I
23 have like my -- I have the Teleflex guide-extension catheter
24 sticking out, but it's not suctioning anything. It's just a
25 tube. It's just waiting for balloons and stents.

1 Now, how is this suctioning something if it's just
2 a tube at the end of this?

3 MR. NIEDERLUECKE: And, in fact, Your Honor,
4 interestingly enough, the VSI device can be used and has
5 been used as a suction device.

6 THE COURT: What creates the suction?

7 MR. NIEDERLUECKE: It's on the right end of the
8 guide. So on the outside of the body -- you know, you've
9 seen those Y adapters in some of the pictures?

10 THE COURT: Yep.

11 MR. NIEDERLUECKE: On the outside of the body you
12 can connect up a suction device that will suck, will create
13 a pressure to pull.

14 THE COURT: Oh, is it sucking on the guide
15 catheter?

16 MR. NIEDERLUECKE: Yes.

17 THE COURT: Oh, I see.

18 MR. NIEDERLUECKE: So, basically, you've got this
19 whole device. You've got the guide catheter, and you've got
20 the guide-extension catheter extending into the coronary
21 artery. And you can then hook up to this device, to the VSI
22 device, to any of these devices. You could then hook up a
23 suction.

24 THE COURT: Then this would have to have a very
25 tight fit, then, between the outside of the extension

1 catheter and the inside of the guide catheter, right?

2 MR. NIEDERLUECKE: It would have to have not a
3 tight fit because it has literally got to be pushed in and
4 through.

5 THE COURT: If you apply suction to the guide
6 catheter outside the body, it's essentially -- so if this
7 thing is -- sorry, I've got to clear this. Here's
8 (indicating) the guide catheter. Okay? And this thing is
9 sticking out the end of the guide catheter. For you to
10 apply suction to the guide catheter outside the body and it
11 to create suction here (indicating), there would have to be
12 a fairly tight seal there (indicating). It can't be too
13 loose there.

14 MR. NIEDERLUECKE: The only issue is you could
15 still have suction, small amounts. And the patent talks
16 about this coming between those two. But you don't want it
17 too large because you want to try to bring it through this
18 tube. It doesn't hurt bringing it through if there's a very
19 small gap there.

20 But Itou does talk about, just like theirs -- the
21 VSI one talks about keeping that -- you know, you have to be
22 able to deliver it, but you want to keep it -- keep it wide,
23 which actually goes to demonstrate that this absolutely is
24 -- the structure of it is set up so you could deliver either
25 a balloon catheter, a guide wire certainly or a stent

1 through this device.

2 THE COURT: So this is like some vacuum cleaners,
3 canister vacuum cleaners, they have sort of a collapsing
4 telescope wand, and this is a little bit like that, sort of
5 like a littler wand sticking out of a bigger wand with the
6 suction being applied to the bigger wand down here
7 (indicating). I just couldn't picture what was going on. I
8 was thinking that only this (indicating) was suctioning, and
9 I couldn't figure out how that would be.

10 MR. NIEDERLUECKE: Does that make sense?

11 THE COURT: It does make sense.

12 MR. NIEDERLUECKE: Beyond extending beyond the
13 main guide catheter.

14 THE COURT: Even though this isn't built to take
15 balloons through, it's -- essentially they could. The guide
16 wire is running through here and all they would have to do
17 is stick a balloon on the guide wire and they could run it
18 right up?

19 MR. NIEDERLUECKE: Absolutely, Your Honor.
20 Absolutely.

21 THE COURT: This probably isn't a great entry port
22 because it's not really built to be an entry port.

23 MR. NIEDERLUECKE: Actually, it is a great entry
24 port. In fact, structurally, Your Honor, that's what a lot
25 of the claims they go to is. The point of developing these

1 entry and exit points in this are developed to increase the
2 surface area of those entry points.

3 THE COURT: But nothing is entering. This wasn't
4 designed to be an entry point because nothing is entering
5 there, right?

6 MR. NIEDERLUECKE: In its use as disclosed in the
7 patent, it is designed to have the materials come from -- be
8 suctioned from the front through to the back.

9 THE COURT: Right. Like this (indicating), right?

10 MR. NIEDERLUECKE: But as a part of that, these
11 entry and exit points that are here are designed with
12 inclines to increase the ability to suction and allow larger
13 items to get through. So it increases the efficiency of it.

14 So, in fact, similar to this, those angles that
15 are presented there are in fact angled in that manner to
16 allow a better entry and exit of materials to come through
17 it.

18 THE COURT: Okay. To be clear, this is the better
19 entry and this is the better exit (indicating)?

20 MR. NIEDERLUECKE: In terms of what it's being
21 used for in a suction environment, yes.

22 THE COURT: The Itou patent doesn't discuss or
23 envision somebody sending a stent, a balloon or something
24 else this way (indicating) through that extension thing,
25 right?

1 MR. NIEDERLUECKE: It doesn't discuss it, no.

2 THE COURT: Okay. What it's discussing is
3 material being suctioned this way (indicating) through it,
4 right?

5 MR. NIEDERLUECKE: That's correct. But what's
6 important as we get into this, Your Honor, is the intended
7 use of this device isn't part of the analysis.

8 THE COURT: Yeah, I'm not saying it is.

9 MR. NIEDERLUECKE: I want to make sure, because
10 the idea that this was used as a suction catheter, if it has
11 the same structural components, anticipates the Teleflex
12 patents no matter what it's used for. A new use for the
13 same structural device is not patentable.

14 THE COURT: Okay. I didn't mean to even -- that's
15 a different argument. I simply want to know how this thing
16 works and that's how the thing works. Okay.

17 MR. NIEDERLUECKE: And so, Your Honor, what you
18 see there -- you see in figure 1b -- you see that that's the
19 guide-extension catheter.

20 I'm going to put up a picture here where you can
21 then see from figure 5 -- what you see here are all the
22 elements together. You have a guide catheter. You have the
23 guide-extension suction catheter. You have a guide wire
24 going through it. That's number 6. And so you have the
25 little wires -- as you were pointing out, the little wires

1 with this single hemostatic -- actually, this has two
2 hemostatic valves, but the single -- the rapid-exchange push
3 wire coming out of it on the right. So that's very similar
4 to the figure in the Teleflex patents.

5 THE COURT: Okay. Is 5 the extension or is 2 the
6 extension?

7 MR. NIEDERLUECKE: 2, I believe, Your Honor.

8 THE COURT: What's 5? It looks like something
9 sticking out of 2.

10 MR. NIEDERLUECKE: Let me make sure. The suction
11 catheter is 2.

12 THE COURT: Is this the guide catheter here
13 (indicating), I assume?

14 MR. NIEDERLUECKE: Yes.

15 THE COURT: So I just was curious as to what's the
16 difference between 2 and 5?

17 MR. NIEDERLUECKE: I'm sorry, Your Honor. 5 is
18 the protective catheter, and I'll explain that. If you
19 remember, this is also in the patent figures. You can use
20 this. Figure 1e is a protective catheter, is what it's
21 called. And you can put that into the guide-extension
22 catheter as you deliver it to ensure you don't damage the
23 vessels as you're delivering it. So it provides a
24 protective device.

25 That's similar -- if you look at some of the

1 figures in the Teleflex patent, they have a similar -- what
2 they call a "navigation" catheter that just goes inside of
3 it. That's what you're seeing if I put it back up.

4 THE COURT: Well, is this (indicating) withdrawn
5 then? Does it have its own push wire? Is it withdrawn then
6 before the suction is applied?

7 MR. NIEDERLUECKE: Yes.

8 THE COURT: All right.

9 MR. NIEDERLUECKE: Yeah, so that wouldn't be there
10 as it's functioning. That would be then pulled out.

11 THE COURT: Okay. So it is almost like a balloon
12 or a stent only it's a different device. And it sounds like
13 it's not later sent down the line, but it's sent down with
14 the guide-extension catheter to kind of pave the way for the
15 guide-extension catheter.

16 MR. NIEDERLUECKE: Right. It's sent down along
17 with it, yes. That's retracted, and then you have plenty of
18 space to deliver any other stents or devices through that
19 suction catheter if you so chose.

20 THE COURT: Okay.

21 MR. NIEDERLUECKE: So then if we look at -- just
22 to now get into kind of the detail of the guide-extension
23 catheter. And what you can see here, Your Honor, is 25,
24 which is the rigid push wire. You have 23 there, which
25 includes the side opening. You have 21, which is a

1 reinforced tubular section. And you have 22, which is a
2 flexible tip. All those are fully described increasing the
3 rigidity, as many of the claims discuss, as you move towards
4 the proximal end, Your Honor.

5 So literally as you look at this device, other
6 than -- we can argue about, well, what some people call the
7 double skive or the specific pattern that's on that proximal
8 incline, Itou discloses every limitation to every claim
9 they've asserted in this preliminary injunction motion. It
10 literally anticipates every claim.

11 THE COURT: So my question was -- it wasn't really
12 addressed in the briefs, but you addressed this a minute
13 ago. Teleflex mentions that this is not -- you know, it's
14 not a catheter that has stuff go through it, balloons and
15 stents and so on. The Federal Circuit case law says that
16 doesn't matter. It's entirely different. If all the
17 elements are there, then all the elements are there.

18 MR. NIEDERLUECKE: Yes.

19 And if we can go to 75.

20 Yeah. On page 75 we've got a couple cases,
21 Federal Circuit cases, one which was one of the seminal
22 cases explaining that. The Federal Circuit said, It is well
23 settled that the recitation of a new intended use for an old
24 product does not make a claim to that old product
25 patentable. And we just have another case there that's a

1 more recent case.

2 THE COURT: Okay.

3 MR. NIEDERLUECKE: So the purpose of it doesn't
4 matter. It's what is structurally shown there. There's
5 really not a dispute, in fact.

6 If we can go back -- I have it here. I can do it.

7 If we look at page 74 then, as you saw from their
8 reply brief, Teleflex doesn't even try to support
9 patentability --

10 THE COURT: Right, this is the only three they
11 call specifically as not being anticipated.

12 MR. NIEDERLUECKE: Right. Right. They didn't
13 even address the '380. Just like in our infringement issue
14 for the invalidity, they don't even try to address the '380.

15 The only claims they call out with regard to
16 trying to survive Itou are these three dependent claims all
17 dealing with a specific design of that side opening portion
18 or section, which is interesting to note, that in terms of
19 what we were looking at earlier, in terms of the reduction
20 to practice.

21 So for these claims they would have to show that
22 in fact these claims -- 36, 32, and 44 of these different
23 patents -- they'd have to show that whatever prototype they
24 had made, which we never saw, met those limitations, had
25 those specific designs in them, so not just any.

1 So with regard to Itou -- and we can walk through
2 -- just I will do it fairly quickly, Your Honor, but happy
3 to expound --

4 THE COURT: Well, I think the focus -- when you
5 don't brief something on a PI motion, you waive it for
6 purposes of the PI motion, not for the rest of the
7 litigation. But those are the only three claims they called
8 out as not -- their first argument is that -- obviously,
9 that they swear behind Itou. But if they lose that
10 argument, the only three they called out were those three
11 claims.

12 MR. NIEDERLUECKE: Right. So I'll walk through
13 those.

14 THE COURT: It was because of the double ramp
15 basically, the double angles with the half pipe in between.

16 Can you put back up the prior slide that had the
17 three. I can't remember the numbers. In terms of
18 infringement, I think they're likely to succeed on three of
19 the patents, but not on the fourth. Which one did I say
20 they are not likely to succeed on?

21 MR. NIEDERLUECKE: '760, I believe, Your Honor.

22 THE COURT: '760. So then if I stick with that,
23 you wouldn't have to worry about that.

24 So it would really be these two that would be in
25 play.

1 MR. NIEDERLUECKE: Right, the '776 and the '379,
2 Your Honor.

3 THE COURT: Okay. The problem with the '776 is
4 the at least one incline region, the half pipe design. And
5 the problem with the '379 is it has two inclines, whereas
6 Itou only shows one, right?

7 MR. NIEDERLUECKE: Right. That's the issue
8 they've raised.

9 THE COURT: I was just trying to center myself.
10 You can go ahead and argue away.

11 MR. NIEDERLUECKE: Okay. So with regard to the
12 '776 patent, claim 36, we know that we have to step back
13 because it's a dependent claim. So we know that claim 25
14 discloses a rapid-exchange catheter with a substantially
15 rigid section, a tubular structure defining a lumen, and a
16 section defining the partially cylindrical opening. And you
17 can see those sections here right in Itou. You have the
18 substantially rigid section, the partially cylindrical
19 opening, and the tubular structure. And they're not
20 disputing this portion of it. Just trying to set our
21 bearings for the dependent claim.

22 So what we have is it talks about that segment
23 defining a partially cylindrical opening. And so claim 25,
24 the one we were just looking at, has a guide-extension
25 catheter comprising a segment defining a partially

1 cylindrical opening having an angled proximal end.

2 Claim 36 then adds to it that that segment has to
3 include at least one inclined region that tapers into a
4 non-inclined region.

5 Now, one of the important little details here is
6 that what we're talking about defining is the segment that
7 has the angled proximal end in it. It's that segment. They
8 didn't define it as the angled proximal end has this. It's
9 the segment that has it. So what we're looking at is the
10 segment that defines a partially cylindrical opening that,
11 if you recall, is between the rigid push wire and the lumen.

12 So when we look at that and we pull this out
13 (indicating) -- and these are from the Itou patent -- what
14 you have in the figures that I showed earlier is an inclined
15 region, and then there's a flat, non-inclined region before
16 the push wire starts.

17 And I didn't put it up previously, but there's a
18 more blown-up picture of that section on the right here in
19 figure 4 of the drawing in Itou that shows how the
20 non-inclined region -- a little more detail how they have
21 the inclined region and non-inclined region and then go on
22 to the rigid push wire. So Itou itself has that structural
23 disclosure.

24 THE COURT: And I think you mentioned this
25 already, but to be clear, this isn't just the way that the

1 drawing was drawn? The specification actually calls out the
2 angle and describes the purpose of the angle?

3 MR. NIEDERLUECKE: It talks about -- yes, it talks
4 about that angle and talks about having that inclined angle.

5 THE COURT: Okay. Sometimes in patent litigation
6 the parties will grab a tiny part of a drawing and they will
7 blow it up and show it to me, but it's nowhere even
8 mentioned in the specification. Itou is intending there to
9 be an angle here because it increases the ability of things
10 to go in and out of that tube?

11 MR. NIEDERLUECKE: Right. It's specifically
12 increasing the opening area of both ends of the tube.

13 THE COURT: Okay.

14 MR. NIEDERLUECKE: So unless you have questions, I
15 mean, it's that simple. It's that simple with regard to
16 that one.

17 With regard to the other claims that require two
18 inclines, now we're not arguing in this -- certainly in this
19 defense we're not arguing that Itou itself has that. It
20 shows that single incline to a flat. And so with regard to
21 that, we have -- we're looking at again the independent
22 claims of the -- the '760, I think if you agree, is gone.
23 We're looking at the '379 requiring a substantially rigid
24 segment, side opening portion, and flexible tip. I don't
25 think there's any -- Mr. Vandenburg can stand up, but I

1 don't think there's any dispute that those are present.

2 If we focus on the '379, claim 38, that
3 independent claim, it's a method claim. It defines -- part
4 of the method is defining the side opening. And then claim
5 44 is the dependent claim on that. It claims defining the
6 side opening portion includes forming a first inclined
7 sidewall, forming a second inclined sidewall, and separating
8 the first inclined sidewall and the second inclined sidewall
9 by a non-inclined region.

10 THE COURT: Okay.

11 MR. NIEDERLUECKE: Okay? So what we look at are
12 two other types of devices. We have Kataishi and Ressemann.
13 These are all interventional cardiology devices that are
14 made to convey or suck. In fact, Kataishi is another
15 suction catheter, guide-extension catheter. So it actually
16 goes in and, again, has a type of opening that increases the
17 surface area. This is on the distal end.

18 THE COURT: Which one is Kataishi?

19 MR. NIEDERLUECKE: Kataishi is on the left. I'm
20 sorry.

21 THE COURT: Okay.

22 MR. NIEDERLUECKE: Kataishi is on the left.

23 What you see there, the two pictures on the left

24 --

25 THE COURT: Is this the distal end here

1 (indicating)?

2 MR. NIEDERLUECKE: Yes, that's the distal end. In
3 both of these, these are the distal ends. The purpose of
4 the opening to increase the surface area will allow more
5 easily objects to enter or exit the device.

6 So here you see Kataishi having what is almost
7 identical to what you see in the Teleflex patent.

8 THE COURT: What you characterize as the double
9 angle, is that called out in the specification or does it
10 just appear in the drawing?

11 MR. NIEDERLUECKE: I believe it -- no, in Kataishi
12 it calls out and discusses that again with the ability to
13 utilize that to be able to go forward and then suck out
14 materials.

15 THE COURT: But does Kataishi either in a claim or
16 somewhere in the specification say the opening is comprised
17 of two angles, similar to the way that the Teleflex patents
18 do?

19 MR. NIEDERLUECKE: Well, first of all, Your Honor,
20 I don't believe the Teleflex patents ever describe anywhere
21 the double skive. The Teleflex patents --

22 THE COURT: You just showed me language that
23 talked about two inclines.

24 MR. NIEDERLUECKE: The claim has it. In the
25 Teleflex patent the only idea that you have more than one

1 angle is just in pictures. They never discuss that at all
2 in terms of the description -- in terms of what the device
3 is. So Teleflex has a picture that they attribute.

4 THE COURT: You just showed me claim language that
5 said two inclines. So they do have it other than the
6 pictures.

7 MR. NIEDERLUECKE: They have it in the claims. It
8 wasn't in the original specification and claims.

9 THE COURT: Right. But what I asked about is in
10 either the claims of Kataishi or in the specification of
11 Kataishi do they describe two angles or does it only appear
12 in the drawing?

13 MR. NIEDERLUECKE: I would have to confirm that,
14 Your Honor. I'm happy to do that when I sit down.

15 THE COURT: Okay. Take a look at that, if you
16 would, and just let me know.

17 MR. NIEDERLUECKE: I will.

18 And, similarly, you have on the right is the
19 Ressemann reference, and that is a reference that is
20 actually on the proximal end of the tubular portion that
21 shows an entry port design that has --

22 THE COURT: What does Ressemann do? Is it also a
23 suction catheter?

24 MR. NIEDERLUECKE: No. Ressemann can actually
25 have devices go through it. So Ressemann allows other

1 devices to go through and extend out and then have another
2 device go through it.

3 THE COURT: Okay. So this is a guide-extension
4 catheter, and balloons and stents are coming into it through
5 here (indicating)?

6 MR. NIEDERLUECKE: Yes. I think they are
7 balloons. I don't know if it's used for stents, but I
8 believe balloons come in through there.

9 THE COURT: Okay. And you see -- again, you say
10 there's two angles here. I don't know if Ressemann -- I
11 mean, this seems -- if I just looked at this, I wouldn't say
12 this is two angles. Does Ressemann itself call out two
13 angles in either the specification or the claims?

14 MR. NIEDERLUECKE: Again, for this particular
15 part, I don't know if they specifically call that out, but I
16 can confirm that, Your Honor.

17 THE COURT: Okay.

18 MR. NIEDERLUECKE: So those are the two devices.
19 And, of course, you know, understanding your question,
20 there's no requirement that it call it out in writing, as
21 opposed to --

22 THE COURT: No, it's just that patent lawyers
23 sometimes look really, really hard at drawings and find
24 things that I sometimes suspect the inventor never realized
25 he had even done. It's just the way the particular drawer

1 drew something. I don't know whether, say, Ressemann would
2 know that he had invented something with two inclines or
3 not. As I said, this looks to me more like a concave lip
4 here than it looks like two angles to me, but it's just hard
5 to tell from the drawing.

6 MR. NIEDERLUECKE: I would suggest it looks like
7 the Medtronic where you bring it down and --

8 THE COURT: This looks kind of like an angle and a
9 curve on a sleigh almost, but that's why I'm wondering if
10 there is anything other than the drawings that talk about
11 what I'm looking at there.

12 MR. NIEDERLUECKE: Again, when we look at these in
13 terms of determining whether or not there is the combination
14 of Itou in view of either of these references, and they can
15 each independently be there, you don't need the combination,
16 but we're showing two examples. It's about one of ordinary
17 skill in the art, if they looked at these patents, would
18 recognize. So the idea is would one of ordinary skill in
19 the art look at this and see that angle design and say, hey,
20 that would be a good design to combine with Itou, to add
21 those -- the multiple incline.

22 THE COURT: So how do I know that? Having no
23 mechanical ability -- so this (indicating) is the inflow to
24 a suction catheter. I don't understand why what you call a
25 two-incline device would work better than, say, just -- I

1 understand why by cutting it at a slant you're increasing
2 the volume. But the two-incline device seems to, in the
3 Teleflex patents, be aimed towards specifically making it
4 easier for an object, a balloon or stent, to nestle in here.

5 I don't know, why would -- who -- is there an
6 affidavit? What is the evidence -- it just doesn't seem to
7 me to be apparent that somebody would look at this and say,
8 well, boy, it would be great for us -- it's an obvious idea
9 then to when we flip around a rapid-exchange catheter to
10 have two angles there to make it easier to get the balloon
11 or the stent through. It doesn't seem obvious to me. Who
12 says that's obvious?

13 MR. NIEDERLUECKE: Well, first of all, our experts
14 say it's obvious, Your Honor. And we've submitted,
15 unfortunately, extensive evidence, more evidence than the
16 Court probably wanted, but go through and walk through both
17 the motivation to combine and explaining why someone of
18 ordinary skill in the art would understand it.

19 I think we have to step back because I think there
20 is an idea that somehow these claims -- again, it gets back
21 to the claim language, Your Honor. Most of these claims
22 don't ever talk about bringing a stent through or bringing a
23 catheter through. They describe structure. So we've got to
24 look at what the claim is and what's in the prior art.

25 So, again, the intended use, the idea of whether

1 or not --

2 THE COURT: Yeah, but the motivation to combine
3 the two you'd have to ask what the thing would be used for.
4 I mean, that's what creates the motivation to do something
5 better than it's been done before.

6 MR. NIEDERLUECKE: Yes. Yes. And we discuss and
7 our experts discuss that, Your Honor, in terms of why you
8 would combine and add to that. And it is about the surface
9 area.

10 And this (indicating), of course, shows the
11 combination of these. If you put them together, it shows
12 what you would come up with if you took those multi-inclined
13 devices and modified slightly the Itou reference to get
14 those.

15 THE COURT: Okay. Anything more you want to say
16 about Itou? I want to talk to Mr. Vandenburg about it.

17 MR. NIEDERLUECKE: Here's the point you were just
18 raising on the next slide about why you would look to
19 combine them. I don't have on this slide, other than a
20 larger surface area, the exact structure of the --

21 THE COURT: Yeah, I mean, I get the larger area.
22 I get cutting at an incline creates a larger area. But the
23 double step, I'm having a hard time understanding why a
24 POSITA would look at Kataishi or Ressemann and think that
25 you should combine it with the rest of Itou. I haven't read

1 the affidavits. I mean, I --

2 MR. NIEDERLUECKE: Well, and -- we can't -- yes,
3 and I think that's important, Your Honor. Certainly, again,
4 with the limitations we had in the briefing, we have a more
5 fulsome explanation of that, but --

6 THE COURT: Okay.

7 MR. NIEDERLUECKE: -- as you said, potentially in
8 terms of trying to create a device that would allow that,
9 the idea that you see this design and that someone of
10 ordinary skill in the art would understand that -- create a
11 design that's longer, that has those multiple inclines would
12 more readily accept that device.

13 I would also say in the patent itself I don't
14 believe there's any description of that multiple incline and
15 its function. Indeed, I think the function of that multiple
16 incline in the patent is to hold that navigation catheter
17 that goes through, not for an entry of a stent or device.

18 So I think it's interesting that if you read the
19 patent itself, you won't understand that that was created
20 for the purpose of facilitating -- further facilitating a
21 stent or a balloon catheter.

22 THE COURT: All right. Thank you,
23 Mr. Niederluecke.

24 MR. NIEDERLUECKE: You're welcome. Thank you.

25 THE COURT: Mr. Vandenburg.

1 MR. VANDENBURGH: Thank you, Your Honor.

2 With your permission, I would like to go back to
3 quickly two issues from before our last break. First is
4 simply I was putting up the *Loral Fairchild* case. I
5 mentioned it wasn't in our briefs. Can I hand up a couple
6 of copies? I happen to have copies.

7 THE COURT: Yeah. My law clerk was unable to take
8 down the cite, which she was grumbling about on our way out.
9 I know she would welcome a copy. I meant to ask you to give
10 me the cite to the case and you've done even better. Thank
11 you.

12 MR. VANDENBURGH: The other thing I want to do is
13 just to make sure my words don't come back to be used
14 against us in some later proceeding here or elsewhere.

15 THE COURT: Unimaginable.

16 MR. VANDENBURGH: I think what I said was strictly
17 correct, but I want to make sure I put it in context
18 relative to reduction to practice saying that we didn't need
19 to show that it could be put in a human and work perfectly.
20 That is certainly true from a couple of perspectives. One
21 is that it doesn't need to work perfectly. The other is
22 just reinforcing this point that the testing doesn't need to
23 be in a human. It doesn't need to be in its intended
24 environment. And it doesn't need to actually use the
25 materials that ultimately are workable. That's the *Mahurkar*

1 case we talked about, that the inventor admitted he used in
2 his testing in his kitchen a different material than you
3 would actually use in the real world. That didn't avoid
4 reduction to practice. So I just want to make sure, again,
5 my words don't get used against me.

6 The other thing before I jump into the specific
7 issue is to talk a little bit about this substantial
8 question of validity standard that keeps coming up.

9 And, Joe, if we could jump to slide 90.

10 Your Honor, I don't know if you recall this, but
11 you had a preliminary injunction case seven or eight years
12 ago where you questioned whether the Federal Circuit was
13 maybe messed up with this substantial question standard
14 because it doesn't really comport with the Supreme Court's
15 preliminary injunction standard, which is simply likelihood
16 of success on the merits. It just sounds like a much lower,
17 easier standard to meet that the Federal Circuit is giving
18 us.

19 THE COURT: I don't remember the case, but I do
20 remember having the thought.

21 MR. VANDENBURGH: Yeah. So this case, at least in
22 parts, explains why there hasn't been the Supreme Court or
23 an en banc Federal Circuit decision straightening that out
24 because what they explain is that really, okay, we're using
25 this term "substantial question," but it's really intended

1 to deal with the fact that we are at the preliminary
2 injunction phase on an issue like validity where the
3 defendant ultimately bears the burden of proof. And it
4 becomes difficult to put words around what actually that
5 means. And they've chosen the "substantial question"
6 language, but as you see from the bottom part, what they're
7 really saying that means is at the end of the day it's
8 whether -- it is at this stage more likely than not the
9 challenger will be able to prove at trial by clear and
10 convincing evidence that the patent is invalid.

11 THE COURT: Yep.

12 MR. VANDENBURGH: Now, again, one more thing
13 before I jump into what Itou shows. We heard a little bit,
14 well, we just need to show a substantial question on Itou
15 being prior art, and then we need to show a substantial
16 question on Itou invalidating claims. I submit you
17 shouldn't take it step by step. Ultimately, they want --
18 what they need to show is a substantial question of
19 validity, that it's more likely than not that the claims are
20 invalid, and that requires you to do a little bit of math.

21 And maybe this is -- I don't want to put too much
22 of that into this, but we talked a lot about reduction to
23 practice. Let's just say Your Honor concludes that's a
24 50/50 call. Okay. As I mentioned, they also have the
25 burden of showing that there wasn't diligence, there wasn't

1 abandonment of the rapid-exchange idea between September of
2 2005 and --

3 THE COURT: We didn't really address that. So
4 then you seem to disagree on the law. I thought they told
5 me that if they reduced to practice before Itou's date --
6 September whatever it was, 23rd of 2005 -- that they don't
7 have to show diligence. You say they do have to show
8 diligence?

9 MR. VANDENBURGH: What I'm saying is they have to
10 show both. And, again, talking about burdens, if it's
11 reduced to practice before September 23rd of 2005, diligence
12 is irrelevant. So if you agree with us, that's the first
13 one. If it's reduced to practice prior to that date, don't
14 worry about diligence. Itou isn't prior art.

15 But even if you were to conclude, well, you know,
16 that's 50/50, that's a close call, maybe it wasn't reduced
17 to practice, they then also have the burden of showing that
18 there wasn't diligence between September 23rd of 2005 and --

19 THE COURT: Because it's undoubtedly reduced to
20 practice constructively when you apply for your patent.

21 MR. VANDENBURGH: Exactly, Your Honor.

22 THE COURT: So they have to show a lack of --
23 okay. So they have to show a lack of diligence between
24 Itou's date and your date, right?

25 MR. VANDENBURGH: Correct, Your Honor. And,

1 again, I showed you those long slides with all those
2 actions. Again, what we heard was, well, you were working a
3 lot on the mother-and-child version, the over-the-wire
4 version, but that doesn't mean that there wasn't also work
5 going on; that, in fact, our evidence showing work on that
6 specific embodiment, the rapid exchange, I think is
7 undisputed. The standard we're working with is essentially
8 showing continuous work, not abandonment.

9 So, again, I think it's actually higher than 50/50
10 for us on that. But if we give that one 50/50, you do a
11 little math and say they've got to win on both of those.
12 That's only a 25 percent chance. So even before we get to
13 the question of does Itou actually, if it is prior art,
14 invalidate the claims, we're already down to a 25 percent
15 chance that it's even prior art.

16 Now we go from that to what I'm going to talk
17 about and is the point of this part of our discussion, which
18 is even if it is prior art, does it invalidate.

19 Could I start with slide 16.

20 So it is true that for the purpose of today and
21 today only, we are only going to focus on the two complex
22 side opening claims that I talked about at the outset --
23 claim 36 of the '776 patent, claim 44 of the '379 patent --
24 the ones that have that complex side opening, because we
25 think that's where they're particularly weak and, again, we

1 only have to show a likelihood of success on one claim.

2 We heard it confirmed today they're not
3 contending, at least for today, that Itou meets the claim 44
4 limitation that has two angles and a non-inclined middle.
5 What they do say is that this little area right here
6 (indicating) meets the language of claim 36 of the '776
7 patent. What they're missing is the claim requires an
8 inclined region and a non-inclined region that are part of a
9 partially cylindrical opening. That is really simply an
10 area where the end of the push wire is flattened as it
11 attaches to the side opening. There's nothing cylindrical
12 about it, and it's not part of the opening.

13 THE COURT: Other than looking at the drawing, do
14 I have any way to know whether it's part of the opening or
15 not? I mean, they say it is. It's, basically, like a lip
16 off of the opening. You say it's actually the end of the
17 wire. I agree that this draw drawing here (indicating)
18 seems more consistent with your version than theirs, but do
19 I have anything other than the drawing?

20 MR. VANDENBURGH: This is perhaps where the
21 silence of the specification becomes relevant. Again, the
22 specification talks about an angled opening. It does say,
23 yeah, you've got an angled opening. But it just describes
24 it as a simple angle. It's clear that they're talking about
25 that angled surface. They're ascribing no importance to

1 that little, whatever, connection point, if you want to call
2 it that.

3 THE COURT: Other than the drawing, there isn't
4 any hint in either the claims or the specification that
5 there's supposed to be two angles?

6 MR. VANDENBURGH: Correct. One hundred percent,
7 Your Honor.

8 So, again, we start from the viewpoint that for
9 both of these claims they've got to show obviousness for
10 Itou. They don't have an anticipation case for it.

11 So then let's go on to the other prior art. I
12 want to start with Ressemann. Ressemann, you asked what it
13 is. It is a form of a suction device. It's actually an
14 embolic protection device.

15 THE COURT: A what?

16 MR. VANDENBURGH: Embolic protection. It's trying
17 to prevent embolisms from flowing into the heart and causing
18 problems.

19 THE COURT: Okay.

20 MR. VANDENBURGH: And in order to do that, what it
21 has is -- this structure that we're talking about has two
22 inflatable balloons at the end that you put it down past --
23 well, put the end part past the end of the catheter and then
24 you blow fluid or draw fluid into the balloons to blow them
25 up to create a seal, one inside the catheter, one outside

1 the guide catheter. And what that means is that we have --
2 the push rod actually has lumens built into it. They're
3 delivering the fluid from the portion outside the body to
4 those distal balloons. And because of that -- and I'll get
5 to explaining exactly why that is -- the opening in the
6 device itself is a simple angled opening. There's nothing
7 complex. It doesn't have those two angled surfaces, and it
8 doesn't have two angles with a flat in between. The reason
9 is because the part they're pointing to -- that's 16-J on
10 the left -- that's showing the collar by itself. It's not
11 showing the collar as it's ultimately built into the
12 surrounding device.

13 And just to be clear, the other picture of this
14 part that Medtronic keeps showing -- they showed it today;
15 they show it in their brief -- that's their own computer
16 rendering. That's not from the patent. This is the drawing
17 of the collar from the patent.

18 But the important part -- and I'm going to show it
19 in two ways; first in 16-D here on the right -- what you see
20 is that collar [2141], is built into the device such that, I
21 guess, the main angle -- this part here (indicating) -- is
22 part of the full round. It's back here (indicating). But
23 then this piece (indicating) is ultimately right on the
24 bottom. You can see the arrows -- 2141, that's that part --
25 pointing to those darker lines. It's at the very bottom of

1 the device, and you've got structure on top of it. What's
2 that structure on top of it? Well, first, there's a wire
3 that runs through there and, secondly, there's those tubes
4 with the lumens in them running through there.

5 So when you look at the device itself as
6 manufactured --

7 THE COURT: Is that what this is here
8 (indicating)?

9 MR. VANDENBURGH: That, I believe, is the wire, if
10 I'm correct. Kind of back further -- and it's cut away in
11 different parts. I've got to say this is a bad drawing
12 where it just didn't photocopy well. I think what they
13 meant to -- if it was a better drawing, you would see the
14 angle drawing. My hand is going to shake as I do this.
15 Kind of that's the angled drawing right there (indicating)
16 and it disappeared a little bit in the photocopy.

17 So the important point, you know, we don't think
18 we need to get to the question of does that collar before
19 it's built into the device, does it have two inclines, does
20 it meet the claim language. It doesn't matter because in
21 the ultimate finished device the device only has a single
22 angled opening. The structure they're pointing to to get to
23 the second one is buried deep underneath other structure.

24 I said I'd show it in two ways. You can also get
25 it from this figure 16e and some language from the

1 specification. It talks about the location of that support
2 collar. Take the top and the bottom together. Essentially
3 what they say is it's located between encapsulation material
4 [2133] and the exterior walls of multi-lumen tube 2138.

5 THE COURT: Okay. So this bottom line is there's
6 clearly material over the top of this (indicating)?

7 MR. VANDENBURGH: Right. There's material --
8 exactly.

9 THE COURT: This thing which Medtronic says is the
10 first of the two angles is actually buried somewhere into
11 the -- nobody ever sees it?

12 MR. VANDENBURGH: Exactly. Exactly. So that's
13 our point. You get it from this figure as well.
14 Ultimately, you take those two things together and what you
15 know is that that collar is located between those two
16 elements [2138], [2133]. It also means that you are
17 below -- and you see it here in this drawing. Those are the
18 two lumens [2142],[2143], the little ones. Those are the
19 little holes. One is for a wire that goes through. The
20 other is for the fluid that's going to blow up those
21 balloons that are located at the distal end.

22 So our point on Ressemann is simply the device
23 doesn't have that complex side opening, so it doesn't help
24 you one way or the other of modifying Itou to get where
25 Medtronic needs to get.

1 THE COURT: Okay.

2 MR. VANDENBURGH: So then let's talk about --
3 well, I included Kontos. It doesn't have the side opening
4 at all. We'll get to that later. I don't think they're
5 relying on that at this point at all.

6 Let's talk about Kataishi. Again, no question.
7 As we all know, we're talking about an opening shape that's
8 at the distal end of the suction tube, not at the proximal
9 end where Medtronic needs it to invalidate our patent.

10 And you asked about what does the specification
11 specifically say about that opening. Well, here's
12 (indicating) where we have that. I have the highlighted
13 language. It talks about the concave portion [161]. And if
14 you look at the top of figure 1, that seems to be part of
15 the opening. It says it's a means for improving flexibility
16 of the catheter at the distal end and enabling that cut
17 surface to absorb an expanded atheroma. The atheroma is the
18 thing you're trying to suck out of there. You see it at the
19 bottom on figure 10.

20 So what they're doing is trying to get something
21 that's going to kind of -- I view it as sort of collapse or
22 over that atheroma to really create suction right there so
23 you can grab that thing and pull it out of there. That
24 really then begs the question -- they want flexibility in
25 order to grab onto an atheroma. Why are they saying let's

1 take that and modify the proximal end of Itou?

2 This is that classic hindsight. You know what the
3 invention is. You're trying to find prior art that's going
4 to get you there, but you just can't come up with a
5 reasonable motivation to do it. Federal Circuit cases as
6 recently as two weeks ago reversing the Patent Office Board
7 because they're ignoring the need for that motivation to
8 combine. They just don't have a reason why you would do
9 Kataishi's opening on the other end of Itou.

10 The only other thing -- I don't know if at some
11 point Your Honor wants to talk about the IPRs and if this is
12 a good time to do that or a later time?

13 THE COURT: I'm sorry, I'm not ignoring you. I
14 was just trying to -- the thing I'm hung up on is this
15 language here (indicating). So the concave portion, which
16 is 161 -- so this is 161 here (indicating), right?

17 MR. VANDENBURGH: I guess as I look at figure 1,
18 161 is here (indicating). It's just that curved part at the
19 end.

20 THE COURT: This part.

21 MR. VANDENBURGH: It's consistent with them
22 calling it concave.

23 THE COURT: A means for improving flexibility of
24 the distal end, and that seems to be what they're trying to
25 illustrate here (indicating), is it makes it more flexible,

1 easier to shove in there.

2 This (indicating), I assume, is the blockage in
3 the artery?

4 MR. VANDENBURGH: Correct. Correct.

5 THE COURT: And enabling the cut surface, which
6 sounds like it's this (indicating) here because -- no, 16 --

7 MR. VANDENBURGH: That's the whole thing at that
8 point.

9 THE COURT: So it doesn't seem to be saying that
10 the increase in absorbency is because of the angle of the
11 cut. It seems to be saying by making this more flexible,
12 more stuff will get in here.

13 MR. VANDENBURGH: I guess as I -- certainly one
14 aspect is flexibility. I view it as more so that it can
15 actually sort of flex down towards -- as the suction comes
16 in, it will sort of flex down towards that atheroma, the
17 thing you're trying to suction out of there. But the bottom
18 line, again, it has nothing to do --

19 THE COURT: Well, the more it's about this
20 (indicating), the less it has to do with -- I mean the less
21 obvious it would be to combine it with Itou and your
22 invention.

23 MR. VANDENBURGH: Right. Right. Certainly -- we
24 haven't talked about this too much. Itou does disclose at
25 its proximal end it has a metal collar. Why exactly that

1 is, it's not clear. But one thing that a metal collar is
2 going to do is decrease flexibility. It looks like Itou is
3 not interested in creating flexibility.

4 THE COURT: He doesn't explain? Why would he have
5 a -- since nothing goes in there, why would he have a metal
6 collar there?

7 MR. VANDENBURGH: It, of course, does have
8 material that's going back out the other way. Plus you have
9 the issue of you have to attach it to the push wire. It
10 creates an attachment point. But it also does keep it open
11 so that when material is flowing out through it --

12 THE COURT: I suppose, yeah. There's suction
13 there, so you're trying to brace it against the suction.
14 Yeah. I see.

15 MR. VANDENBURGH: But the bottom line is, again,
16 there's no reason to combine these two references other than
17 an infringer who's trying to come up with a reason why they
18 don't infringe valid claims of a patent.

19 THE COURT: This is something that might be
20 disclosed in the patents, and I missed it if it was: How
21 does the two-angle design make it easier -- I assume the
22 purpose -- well, I guess I shouldn't assume that because
23 Mr. Niederluecke, like he said, he doesn't think it's a --
24 what's the purpose of two angles on the entry point?

25 MR. VANDENBURGH: Right. It is correct that I

1 think at the time perhaps the inventors didn't fully
2 appreciate or make it into the patent application all the
3 advantages of having that design.

4 In the real world part of what that does is it
5 helps the device orient inside the heart and basically
6 creates a curve that allows the stent or the balloon
7 catheter to essentially slowly enter the full tubular
8 portion and smoothly guide it into it, sort of grabs on the
9 side of it and helps to push it in.

10 THE COURT: Can you put a picture of the two
11 angles up?

12 MR. VANDENBURGH: In the patent?

13 I believe that's about slide 4 [sic], Joe -- 7.

14 THE COURT: In the patent, yeah.

15 This is the two angles here (indicating)?

16 MR. VANDENBURGH: Over on this end (indicating).

17 THE COURT: So I get why this first angle helps.
18 The balloon is coming down. And I get why this angle helps
19 to channel into this half pipe.

20 MR. VANDENBURGH: Right.

21 THE COURT: How does this second angle --

22 MR. VANDENBURGH: I guess the bottom line is the
23 half pipe grabs on, stabilizes the thing that you're pushing
24 and keeps it from moving as it's pushing into that full
25 surround. You've got to have a transition from the half

1 that's holding it and guiding it to the full. And, again,
2 having that happen gradually --

3 THE COURT: I see.

4 MR. VANDENBURGH: -- is important.

5 THE COURT: If the transition was a right angle
6 here (indicating), that just might --

7 MR. VANDENBURGH: It might be more likely to
8 catch, hang up on that wall.

9 THE COURT: Yeah, I see.

10 MR. VANDENBURGH: I don't want to digress too far.
11 I think I've made my points enough here. I'm not going to
12 go back on the other ones.

13 THE COURT: All right.

14 MR. VANDENBURGH: Thank you, Your Honor.

15 THE COURT: Thank you, Mr. Vandenburg.

16 Mr. Niederluecke, did you want to say anything?

17 MR. NIEDERLUECKE: Your Honor, I think in --

18 Can I have you guys pull that slide you just had
19 up? I think it's slide A23.

20 So Mr. Vandenburg answered one of the questions
21 in terms of the description, and the description actually
22 starts up ahead.

23 I'd like to discuss this idea of the concave
24 portion and the flexibility to be able to be flexible
25 around. I think we need to keep this in context of what

1 does the patent say about it? The patent says nothing. The
2 patent doesn't describe that. The inventors might not have
3 appreciated all of the benefits. The inventors didn't
4 appreciate anything. When you look at the patents, the
5 specification, Your Honor, it doesn't describe this
6 configuration or this double incline at all. These are
7 patent attorneys a decade later that are saying, hey, look
8 at that figure; let's create a claim that it has a double
9 incline because we can point to the figure. To be clear,
10 there's nothing in the patent about the purpose of that.

11 You can get that, to see it's part of the
12 navigation catheter, by reading the whole patent and
13 understanding what they're really trying to invent with this
14 navigation catheter going through and being held by the
15 suction catheter. You can imply that. But there is
16 certainly not a discussion that this was here in any way for
17 the purpose of better allowing the stent or catheter to
18 enter the tubular section.

19 Now, when you look at this language, though, it's
20 important to know when he talks about the real world, one of
21 the real world important features of these devices is that
22 they can go around various anatomical features and they have
23 to be able to be flexible around those. So when you have
24 the side opening, you want your side opening to be flexible
25 so that when you do bend around things, you're able to do so

1 and increase that area at the same time.

2 So the improved flexibility, I would disagree that
3 that says it teaches away. It actually would suggest to one
4 of ordinary skill in the art that what you're doing is
5 you're making sure that as you go around those curved
6 surfaces you keep the opening, the cut surface, large enough
7 so you could absorb an expanded atheroma AT by suction. So,
8 in fact, what it's really saying is you want to be flexible
9 so that when you go around these areas, the hole stays open
10 and wide. That's what that's telling us.

11 THE COURT: I see what you're saying, but what
12 it's talking about is improving the flexibility of the
13 catheter's distal end. This (indicating) is the distal end.
14 It's not talking about flexibility in curvation. It's
15 talking about flexibility in the tip, the thing that's going
16 to be poking in the area of the occlusion.

17 MR. NIEDERLUECKE: Right, but someone of ordinary
18 skill in the art, Your Honor, can look at an opening, the
19 design of that that increases the flexibility and keeps the
20 opening larger, and can say I know that, you know -- as I'm
21 trying to develop a device, I can look at this and say, you
22 know, that creates a larger opening and that multi-angled
23 design could be used on the proximal end to ensure that it's
24 flexible and it keeps the opening larger. Certainly our
25 experts have addressed that in the papers, Your Honor.

1 That's my best explanation aside from reciting just their
2 statements.

3 THE COURT: Okay.

4 MR. NIEDERLUECKE: The other thing I did want to
5 point out --

6 If we can go back to ours and if we can go --
7 excuse me -- if we can go to 76 [sic].

8 One thing I didn't -- in both of these for the 76
9 [sic] -- for the '776, excuse me, certainly the Ressemann
10 and Kataishi could be applied to claim 36. We didn't do it
11 in our presentation. I didn't walk through that. But,
12 obviously, those could be as equally applied to have the
13 angle and the flat section as claim 36 claims.

14 So if I show this (indicating), those other ones
15 could be -- we didn't do it here because we're just showing
16 the anticipation, but certainly those other ones, if it's
17 not Itou alone, could be applied to that. I just want to
18 make sure the Court doesn't think that if we don't get
19 anticipation here we don't have any other arguments. We
20 certainly do in terms of that.

21 The other thing I'd like to say about 36, there's
22 a big difference here between how we're interpreting it. We
23 talked about the cylindrical opening having to have certain
24 features. What they're ignoring is that if you look at the
25 sentence structure of claim 36, it's talking about the

1 *segment* defining that proximal end of the proximal
2 cylindrical opening. So we're talking about the *segment*
3 that includes this has to include one inclined region that
4 tapers into a non-inclined region.

5 So it's not talking about the partial cylindrical
6 opening includes that. It's the segment defining the angled
7 proximal end of the partial cylindrical opening that
8 includes it. So it's the segment. It's that opening
9 segment. So it could be larger than just strictly the
10 opening as the claim is held out.

11 They're pointing you -- they're highlighting other
12 portions, but when you read grammatically the claim, it's
13 the segment that has to include that.

14 I think, unless you have any other questions on
15 those, I'll stop there.

16 THE COURT: Okay. I don't. Let me just take a
17 break here for a second.

18 (A brief discussion was held off the record.)

19 THE COURT: Okay. I think I'd like to take a
20 lunch break now and come back. If we could get this done in
21 another 20 minutes or so, I would keep going, but I think
22 it's going to be longer than that. So let's take a lunch
23 break. We'll resume at 1:30 here. So please be back in the
24 courtroom at 1:30, and we'll turn to Kontos and Ressemann at
25 that point.

1 THE LAW CLERK: All rise.

2 (A lunch recess was taken.)

3 THE LAW CLERK: All rise. This court is now in
4 session.

5 THE COURT: Welcome back. Please be seated.

6 All right. We are now going to turn to the
7 Medtronic argument that the '380 and '776 patents are
8 invalid in light of Kontos, in view of Adams or Ressemann.

9 Let's see. Mr. Niederluecke. As I said this
10 morning, I'm sort of a clean slate on this. I just couldn't
11 tell much from the briefs, and so I just need you to walk me
12 through the argument.

13 MR. NIEDERLUECKE: Sure, Your Honor. And, Your
14 Honor, I'm going to kind of focus on -- kind of get down to
15 the meat of things and focus on the '776, claim 36 that we
16 were talking about with the double skive, but I'll walk
17 through a little of both.

18 Kontos --

19 If we can pull up Niederluecke Exhibit 5 at page
20 4. Flip that.

21 So Kontos is a guide-extension catheter that can
22 be used to both extend out of a main guide catheter and
23 allow an intercardiology device to be extended through it to
24 fix the various stenosis.

25 So here (indicating) you have the device itself

1 that extends out of the main guide catheter. You have the
2 lumen. The only thing that you're missing from here is the
3 guide extension -- or the side opening itself.

4 THE COURT: This has an end opening?

5 MR. NIEDERLUECKE: Yes. As I understand, the
6 Court defined it before as a straight opening there on the
7 end. So if we --

8 THE COURT: So, in your view -- this is Kontos
9 that we're talking about here?

10 MR. NIEDERLUECKE: Yes.

11 THE COURT: Kontos would anticipate were it not
12 for the lack of an angled -- or a side opening? It's an end
13 opening, instead of a side opening?

14 MR. NIEDERLUECKE: In terms of the '379 for the
15 side opening, yes. Yes. It has all those others.

16 If we can turn to Exhibit 26.

17 In terms of Kontos, Your Honor, just for the
18 record in case the Court wants to go back, I'd refer Your
19 Honor to Exhibits 26 and 27, which are the IPR and our
20 expert declaration explaining it in various detail.

21 But we'll pull up, if I can, page 23. And what
22 you're going to see on page 23 is -- there we go.

23 Actually, go to page -- this will work. This will
24 work.

25 So what you can see there -- this is just blown up

1 from the patent -- and you see the substantially rigid
2 portion and the proximal. You see the blue, tubular
3 reinforced portion. You have a pink, flexible tip on there.
4 So in terms of any of the claims that don't need that side
5 opening, it would anticipate or make obvious those claims.
6 But as we're focusing on the '379 and the side opening, we
7 have to look to a combination of art and so that's where
8 Ressemann comes in.

9 If we look at page 28 of Exhibit 26 and if you see
10 the Ressemann -- and this is the -- the collar on the right
11 is the one we were speaking of earlier. Ressemann is a
12 suction catheter, Your Honor. One of the embodiments of
13 Ressemann you can see on the left. And that shows you,
14 again, a suction catheter with a tubular portion, a side
15 opening on the proximal end similar to Itou and some of the
16 other ones we've looked at.

17 THE COURT: Okay. Just to remind myself,
18 Ressemann is a suction catheter, but the -- this
19 (indicating) is the distal end here, right? This
20 (indicating) is the proximal end?

21 MR. NIEDERLUECKE: Correct. So on the left -- if
22 you're looking at the figure in 1408, Exhibit 1408, Exhibit
23 1A on the left of this picture, you see you have an angled
24 end on the proximal end. Okay? And exhibit -- so that's
25 one. So any of the claims that go to just a side opening,

1 the first embodiment shows a side opening clearly.

2 THE COURT: Right.

3 MR. NIEDERLUECKE: When you go to then another
4 embodiment, the 2100 embodiment that we've been discussing,
5 it shows the multi-contoured end that would go onto the
6 proximal end. It's a support collar. And it's described as
7 providing -- just for the record, in column 24 through
8 column 25 there's a description of figure 16j and the
9 embodiment shown there. It's described as a support column
10 to prevent against deforming forces, torsional forces that
11 are created as you are rotating and maneuvering this through
12 the body. So that is an optional collar that you can put on
13 there.

14 I think earlier we were talking about -- Teleflex
15 made the point about, well, this optional collar in its --
16 in one of the embodiments has other lumens and other
17 materials that may be sitting on its surface.

18 But the important thing here is we're not trying
19 to take all of Ressemann. What we're looking at is would
20 someone of ordinary skill in the art look at Ressemann, look
21 at this support collar and say, wow, that's a support collar
22 that's described as providing torsional support to keep that
23 opening open, and could I use that with another piece of
24 art, such as Kontos or earlier, as we discussed, such as
25 Itou to provide that. And our experts say certainly you

1 would. They're right in the same art. They're providing
2 the benefit of keeping that open so that devices can be put
3 through there.

4 THE COURT: I'm sorry, this is a basic question.
5 So these are both Ressemann here?

6 MR. NIEDERLUECKE: Yes. These are -- this
7 Ressemann has a lot of embodiments that it shows, a lot of
8 variations that you could use. And so the left is the first
9 one it shows, which is a suction catheter having an inclined
10 surface on the proximal end. And the right is a collar that
11 it describes that could be used on that proximal end as an
12 alternative design for the collar.

13 THE COURT: Okay. And so this thing would
14 actually be here (indicating)?

15 MR. NIEDERLUECKE: Correct. You would put that --
16 in this design, that goes right there (indicating).

17 THE COURT: Okay. It's just a little confusing
18 because this doesn't look like this (indicating) and this
19 does look like this (indicating).

20 MR. NIEDERLUECKE: Yeah. But you're right, the
21 2100 embodiment goes on the proximal end to provide that
22 various incline shape to it.

23 So this is Ressemann, and Ressemann is describing
24 the use of this collar or in claims that don't require
25 anything more than a side opening certainly shows here.

1 THE COURT: Let me just try to follow you here.
2 So in your view, Kontos -- we're talking about which patent
3 now?

4 MR. NIEDERLUECKE: We're talking about the '776.

5 THE COURT: The '776 patent.

6 So Kontos gives you everything except the side
7 opening?

8 MR. NIEDERLUECKE: Yes.

9 THE COURT: And then Ressemann gives you the side
10 opening?

11 MR. NIEDERLUECKE: Correct. So using either,
12 depending on what claim you're looking at, either the
13 straight embodiment -- 100 as it's described here -- or the
14 multi-contoured embodiment in 2100 you could put on there.

15 If we pull up page 29 of Exhibit 26.

16 Then we've created what would be shown if you took
17 that collar, applied it to Kontos here where you have a
18 guide-extension catheter, and rather than have an end
19 opening, you would then simply have the contoured opening
20 that Ressemann suggests.

21 Again, you're taking the collar and you're
22 bringing the collar over. So the idea that somehow it was
23 covered up in Ressemann with any other parts doesn't matter
24 because what you're doing is looking at that collar and what
25 that structure can provide.

1 THE COURT: Well, the covering up mattered in the
2 last argument because we were talking about the two
3 inclines. If one of the inclines is covered, it's not an
4 incline. It's a different issue in this one.

5 MR. NIEDERLUECKE: Well, I don't think it is
6 because the question was would somebody look at that support
7 collar that has two inclines in Ressemann and say I could
8 put that support collar on Itou that in fact doesn't have
9 any multiple lumens going through it, but has -- but I could
10 apply it to Itou; and if I apply it to Itou, there is
11 nothing else over that collar. That collar connects up with
12 the rigid section on the right here (indicating).

13 THE COURT: I don't want to re-argue that, but --
14 so I just want to -- so for the '776 claims your view is
15 Kontos gives you everything except the side opening,
16 Ressemann gives you the side opening, and a person of
17 ordinary skill would have the motivation to combine the two?

18 MR. NIEDERLUECKE: Correct, Your Honor. And that
19 certainly is laid out in detail in the exhibits I mentioned.

20 I will keep it brief for now, unless you want to
21 hear more, but that's the basics of --

22 THE COURT: With respect to the '380 claims,
23 you're just not pursuing those?

24 MR. NIEDERLUECKE: No, I think, Your Honor, we'll
25 -- we have them in there. I guess from our conversation

1 Kontos, again, provides -- basically they're obvious. The
2 reason I'm not going into them is we're kind of focusing on
3 the Itou. At least for the presentation we focused on the
4 Itou. I could try to run through that.

5 They've identified three infringement claims, Your
6 Honor, and the '380 wasn't included in those in terms of
7 what they responded to and, as you mentioned, what they
8 focused on in terms of saying there's infringement.

9 THE COURT: Now I'm confused. We talked about the
10 '380 claims and, in fact, I thought I found that they were
11 likely to succeed in finding infringement under the '380
12 claims.

13 MR. NIEDERLUECKE: Okay. I'm talking about the
14 invalidity side, that they're not -- if Itou --

15 THE COURT: You're the one that makes invalidity
16 arguments, not them.

17 MR. NIEDERLUECKE: Right.

18 THE COURT: And if you're making an invalidity
19 challenge to the '380 patent, then you have to explain it to
20 me because I didn't pick it up from the briefs.

21 MR. NIEDERLUECKE: Okay. Okay.

22 THE COURT: I know you're doing Kontos in view of
23 Adams. I've seen Kontos. What does Kontos not -- what does
24 it not give you on the '380 patent so it doesn't anticipate,
25 and what does Adams add?

1 MR. NIEDERLUECKE: Give me one second here to
2 just --

3 THE COURT: Sure.

4 MR. NIEDERLUECKE: As we just described -- and if
5 we go back to page 24, that figure, we show Kontos. And so
6 Adams --

7 THE COURT: So what does Kontos not have that the
8 '380 patent does have and that Adams supplies the missing
9 ingredient?

10 MR. NIEDERLUECKE: Your Honor, I apologize. I
11 wasn't prepared to argue this particular one, other than the
12 papers. I'm happy to come back up.

13 THE COURT: Well, the papers don't get it done
14 because I didn't understand the argument from the papers.
15 That's fine.

16 Let me hear from Mr. Vandenburg then on Kontos
17 and the '776 patent, and then I'll have you back up on
18 Ressemann and the other two patents.

19 MR. NIEDERLUECKE: Okay.

20 MR. VANDENBURGH: All right. I was getting a
21 little confused. We'll stick with '776 and Kontos.

22 THE COURT: Yeah, '776. So the '776, Kontos, in
23 your opponent's view, gives them everything they need for
24 anticipation except the side opening, so they don't have
25 anticipation. Ressemann gives them the side opening, and

1 Ressemann plus Kontos gives you obviousness.

2 MR. VANDENBURGH: 21, Joe.

3 So I'm going to go ahead and start with Ressemann
4 because it's really -- the point we already made relative to
5 the obviousness combination is clearly when we're talking
6 about '776 claim 36, we have that what we call the complex
7 side opening. Ressemann doesn't have it because what they
8 keep showing us in 16j is not the device. It's a collar
9 that gets embedded in the device. And once it's embedded --

10 THE COURT: Does the '776 patent have any claims
11 that don't have the complex side opening, that just have a
12 --

13 MR. VANDENBURGH: Independent claim 25, I believe,
14 simply recites an angled side opening. And we would not
15 dispute that that limitation is met in the ultimate
16 Ressemann device, that it has an angled side opening. But
17 it does not have the complex side opening of claim 36.

18 I believe there are some other limitations that I
19 could go to in claim 25, but, again, I think for the purpose
20 of today we're really fine focusing on that dependent claim,
21 because that's really where their case --

22 THE COURT: On claim 36.

23 MR. VANDENBURGH: -- falls apart for that patent
24 and no contesting infringement.

25 THE COURT: Part of this is just me. There's so

1 much going on here it's hard for me to follow.

2 So with respect to the '776 patent, the claim that
3 matters is claim 36, which we, for today's purposes, have a
4 concession of infringement.

5 MR. VANDENBURGH: Right.

6 THE COURT: I heard the Itou argument on
7 invalidity. And this is basically -- since you need the
8 complex opening, it's basically the same argument as far as
9 Ressemann is concerned?

10 MR. VANDENBURGH: I believe so. Correct.

11 THE COURT: Okay. I see. I just didn't put that
12 together. So you don't have anything you have to tell me in
13 addition?

14 MR. VANDENBURGH: I don't have anything
15 additional. I think it's clear. In fact, when we were
16 talking before lunch, I don't think Mr. Niederluecke came up
17 and tried to defend that in the final finished device
18 Ressemann has the complex side opening. I think he
19 recognizes that the structure is buried and it's not there
20 in the final device.

21 THE COURT: Okay.

22 MR. VANDENBURGH: So then flipping to Kontos. Of
23 course, Kontos doesn't have a side opening at all, so it
24 really comes down to, well, why would we add not just any
25 old side opening, but in fact one of these complex side

1 openings? The first thing that we get is to --

2 THE COURT: Were there side openings in the prior
3 art?

4 MR. VANDENBURGH: Well, there's a limited number
5 of them; for example, Ressemann. We're not disputing
6 Ressemann. There was one that was of record in, I believe,
7 all of the patents and it's come up in the prior QXM
8 litigation, which is the Adams '280 patent. I don't know if
9 Your Honor recalls that.

10 THE COURT: I remember one of the Adams patents
11 had an end opening. Right?

12 MR. VANDENBURGH: There was an Adams '292 and then
13 Adams '280. Adams '292 has an end opening. Adams '280 has
14 an end opening. They really exist. It really just becomes
15 that classic question of obviousness.

16 This is so much -- I wrote down -- where did I
17 write it down? We're not taking all of Ressemann. We're
18 just taking part of it. We're taking the part that we like
19 that gets to your invention. And here what they say is,
20 well, we want to take this collar of Ressemann that's buried
21 inside the device. It's got holes in it so that the polymer
22 will flow through it. And they want to say, well, just take
23 that, even though it's designed to be sort of encapsulated
24 inside something else, let's just take it and I'm just going
25 to take my computer animated -- I've got a computer person

1 here who is going to just put it right in there. Well, why
2 are we doing that? Why are we keeping that little nose
3 portion when we bring it over? Why do we want a side
4 opening at all in Kontos?

5 Can we go to slide 85.

6 You know, this is one of the -- this is similar to
7 what we addressed when we were talking -- well, "we", when
8 VSI's counsel was talking about Adams '292 in the QXM
9 litigation of why would you add a side opening to Adams
10 '292? The same thing applies here to Kontos.

11 The tube itself is smaller than the walls, and
12 that's intentional. That's not accidental. So as soon as
13 you're cutting a side opening into the thing, you end up
14 with a device that's smaller than the guide catheter it's
15 going into. That's a bad design. There's no reason to do
16 it. But there's a reason not to do it, which is a guide
17 wire is going to get jammed in there between the tube and
18 the wall.

19 THE COURT: I'm just not following your
20 explanation.

21 MR. VANDENBURGH: Yeah, and I'm -- so the yellow
22 in that drawing is a guide wire.

23 THE COURT: Why is the guide wire not going
24 through the --

25 MR. VANDENBURGH: The thing is in the Kontos

1 device as it exists, before they modify it, it has a funnel,
2 and one of the things that funnel does is it helps make sure
3 that a guide wire goes into -- the smaller tube, the red
4 tube, doesn't go around it. As soon as you cut a side
5 opening into it, you lose the funnel. You lose part of the
6 funnel.

7 THE COURT: Oh, you've illustrated here that you
8 lost your guide wire. I see.

9 MR. VANDENBURGH: Correct. Exactly. That's what
10 we're trying to show. So the white portion would be the
11 part we removed to create our angled side opening, and
12 suddenly there's nothing to stop that yellow guide wire from
13 not going where it needs to go, where it should go, and
14 instead it sneaks around the outside of the red catheter.

15 So, again --

16 THE COURT: But the guide wire is -- it's in place
17 before the red catheter is pushed into the guide catheter,
18 right?

19 MR. VANDENBURGH: Your Honor, it can be, but it's
20 also important that you be able to pull a guide wire out and
21 reinsert it. Of course, you know, our claims are ultimately
22 about putting something into a catheter that's down inside a
23 larger guide catheter.

24 So the idea that you would -- all right. Excuse
25 me, Your Honor. I'm getting a note from my colleague. So

1 this is the --

2 THE COURT: Just take a moment and go over and
3 talk to him and come back. It's no problem at all.

4 (A brief discussion was held off the record.)

5 MR. VANDENBURGH: I think I understand the point
6 now. So one of the things that Kontos is for is for putting
7 ultimately down a balloon catheter down through this device.
8 And one way that you do that is with a fixed wire catheter
9 where you put the wire down first, so you have to get a wire
10 down before you put the balloon catheter in there. So
11 you're definitely going to have the circumstance where once
12 it's in there, you're trying to feed a guide wire down into
13 it.

14 But, again, I almost hesitate to get -- it's
15 always great if you can say there's a reason you wouldn't
16 make the modification, but really the stronger point here is
17 why are you making the modification to start with? And why
18 are you, particularly for claim 36, you know, creating this
19 complex side opening by just taking out this part from
20 Ressemann and just taking it, complete with the little holes
21 sitting in the outside of that collar, and just gluing it
22 onto the end of it? It just doesn't make any sense, Your
23 Honor.

24 THE COURT: Okay.

25 MR. NIEDERLUECKE: Okay. I'm happy to talk about

1 '380 relative to Ressemann and Kontos because that is,
2 again, one Your Honor found likely to be infringed.

3 THE COURT: Well, I don't know what to do about
4 '380. Mr. Niederluecke didn't argue it today. I don't
5 understand the argument from the briefs. So I'm obviously
6 not going to -- I think by you addressing it, the only thing
7 you're likely to do is make their argument for them.

8 MR. VANDENBURGH: Thank you very much, Your Honor.
9 I'll sit down. Thank you.

10 THE COURT: Mr. Niederluecke, let's talk about
11 Ressemann and why it makes the -- this would be the '379 and
12 '760 patents obvious. So Ressemann, I know what that is.

13 MR. NIEDERLUECKE: Right. And Ressemann, in fact,
14 for the '379 has all of the elements except for, again, as
15 we're talking about --

16 THE COURT: I guess we only have to address the
17 '379 because I don't think there's a likelihood of success
18 on infringement on the '760.

19 So the question would just be with respect to the
20 '379 patent, how does Ressemann, in view of Takahashi, make
21 that obvious or anticipate?

22 MR. NIEDERLUECKE: Well, Ressemann anticipates
23 directly a number of the claims of the '379 method of
24 forming. Let me grab my Ressemann notes here.

25 THE COURT: Okay.

1 MR. NIEDERLUECKE: So as we described with
2 Ressemann, what we have --

3 And let's pull up again Exhibit 26, page 28
4 showing those collars again.

5 You can just look to Ressemann for the method of
6 forming claims for the anticipation of a number of those
7 once he pulls it up. Oh, I have to switch over. That's the
8 problem, Your Honor. I apologize.

9 So we see that Ressemann in and of itself
10 describes both the simple angled and the multi-inclined end
11 of the support collar. So Ressemann has all of the elements
12 of 25, 38, and 44, Your Honor.

13 THE COURT: You know, so I understand why I'm
14 putting you in a difficult -- they've already conceded that
15 Itou wipes out everything except the three patents, so there
16 would be no reason you'd have to argue. This would just be
17 piling on.

18 MR. NIEDERLUECKE: I apologize, Your Honor.

19 THE COURT: You shouldn't apologize. It's my
20 slowness. Yeah, so you have no reason to argue these
21 because they've already conceded for today's purposes that
22 Itou wipes out everything except '379, claim 44; '760, claim
23 32; and '776, claim 36. So whether the '379 patent is also
24 obvious in light of Ressemann, that just doesn't matter. So
25 I'm sorry, I'm asking you to argue things that you for good

1 reason --

2 MR. NIEDERLUECKE: And I apologize. Again, I
3 understand --

4 THE COURT: Completely my fault. Why don't we
5 pivot here.

6 MR. NIEDERLUECKE: Can I just for the record add
7 one more thing?

8 THE COURT: Sure. Of course.

9 MR. NIEDERLUECKE: In terms of your earlier
10 question of Adams, Adams adds two things to Kontos that it
11 didn't already have. They're very simple things.

12 One is the hemostatic valve. It just doesn't
13 technically disclose the hemostatic valve in the end, which
14 somebody of ordinary skill in the art knows. And it does
15 have a side incline. Those are the two things that got
16 added. They're simple things.

17 THE COURT: I'm sorry for putting you in a
18 difficult position like that. That was entirely my lack --

19 Why don't we pivot to the written description
20 argument, if you can grab those.

21 MR. NIEDERLUECKE: Absolutely. You want us to go
22 first?

23 THE COURT: I guess it's your burden. Ultimately
24 at trial it would be your burden?

25 MR. NIEDERLUECKE: Yes.