

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

-----x

VOLKSWAGON GROUP OF AMERICA, INC.,
Petitioner,

v.

STRATOSAUDIO, INC.,
Patent Owner.

-----x

IPR2021-00720
U.S. Patent No. 9,355,405

-----x

January 6, 2022
12:03 p.m.

VIRTUAL DEPOSITION of TIM A. WILLIAMS,
PhD, taken by counsel for Patent Owner,
via Zoom, before Amy Klein Campion, a
Shorthand Reporter and Notary Public
within and for the State of New York.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

-----x
VOLKSWAGON GROUP OF AMERICA, INC.,
Petitioner,

v.

STRATOSAUDIO, INC.,
Patent Owner.

-----x

IPR2021-00721
U.S. Patent No. 8,166,081

-----x

January 6, 2022
12:03 p.m.

VIRTUAL DEPOSITION of TIM A. WILLIAMS,
PhD, taken by counsel for Patent Owner,
via Zoom, before Amy Klein Campion, a
Shorthand Reporter and Notary Public
within and for the State of New York.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A P P E A R A N C E S :

FOR THE PETITIONER:

SHEARMAN & STERLING LLP

599 Lexington Avenue
New York, New York 10022

BY: ERIC LUCAS, ESQ.
Eric.Lucas@Shearman.com

FOR THE PATENT OWNER:

WHITE & CASE LLP

3000 El Camino Real, 9th Floor
2 Palo Alto Square
Palo Alto, California 94306

BY: HALLIE KIERNAN, ESQ.
Hallie.Kiernan@whitecase.com

WHITE & CASE LLP

1221 Avenue of the Americas
New York, New York 10020-1095

BY: JOHN SCHEIBELER, ESQ.
John.Scheibeler@whitecase.com

TIMOTHY KEEGAN, ESQ.
Timothy.Keegan@whitecase.com

1 - T.A. Williams -
2 T I M A R T H U R
3 W I L L I A M S, P h D.,
4 having been first duly sworn via Zoom by
5 the Notary Public (Amy Klein Campion), was
6 examined and testified as follows:
7 EXAMINATION BY
8 MS. KIERNAN:
9 Q. Good morning, Dr. Williams. How
10 are you?
11 A. Good morning.
12 Q. Have you been deposed before?
13 A. Yes.
14 Q. Roughly, how many times would
15 say you have been deposed?
16 A. Somewhere around 200 times.
17 Q. Okay. So you're quite familiar
18 with it then?
19 A. Yes.
20 Q. Have you been deposed by Zoom?
21 A. Yes.
22 Q. And so you're familiar with how
23 the deposition will proceed via Zoom
24 today?
25 A. I assume so.

1 - T.A. Williams -

2 Q. And if you have any difficulties
3 or technology difficulties that may arise
4 during the proceedings, please be sure to
5 let us know as soon as possible.

6 A. Yes. Can I ask that you speak
7 up a little bit? It's kind of hard to
8 hear you.

9 Q. I apologize. Hopefully that's
10 better.

11 A. Okay.

12 Q. I'll ask that you speak clearly
13 and slowly, especially as you see right
14 now, there could be some issues with
15 sounds, for the reporter to hear as well,
16 and for you to understand a question.

17 Does that seem fair?

18 A. Yes.

19 Q. And we'll try to each give the
20 other an opportunity to finish their
21 statement before answering and asking
22 another question, does that seem fair?

23 A. Yes.

24 Q. And if you have any difficulty
25 hearing my question or I become muffled,

1 - T.A. Williams -
2 again, please let me know as soon as you
3 realize it and I will make a correction as
4 I've already done.

5 If you don't understand a
6 question, please ask for a clarification.

7 If you don't ask for
8 clarification, I will assume that you
9 understood the question.

10 Does that seem fair to you?

11 A. Yes.

12 Q. And unless counsel instructs you
13 not to answer, you should answer despite
14 an objection.

15 Do you understand that?

16 A. Yes.

17 Q. I'll do my best to take periodic
18 breaks throughout the day but for any
19 reason you need to take a break, please
20 let me know. All I ask is that we don't
21 take a break while a question is pending.
22 Please complete the question and answer
23 before we take a break.

24 Does that seem fair to you?

25 A. Yes.

1 - T.A. Williams -

2 Q. Wonderful, thank you. Did you
3 prepare for this deposition today?

4 A. Yes.

5 Q. For how long did you prepare?

6 A. Ten hours and -- with the
7 attorneys.

8 Q. With the attorneys, thank you.

9 Was anyone besides attorneys
10 present at your preparation session?

11 A. No.

12 Q. Did you review any documents in
13 preparing for today's deposition?

14 A. Yes.

15 Q. What documents did you review?

16 A. In general, the documents
17 associated with the case.

18 Q. And by that you mean the
19 exhibits and your declaration and
20 petition?

21 A. Yes.

22 (720 IPR Exhibit 1003 previously
23 marked for identification,
24 multiple-page document, titled,
25 "DECLARATION OF TIM A. WILLIAMS, PhD,

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

IN SUPPORT OF PETITION FOR INTER
PARTES REVIEW OF U.S. PATENT NO.
9,355,405.")

BY MS. KIERNAN:

Q. I am going to start by sharing
an exhibit that was previously marked in
these proceedings. It is the 720 IPR
Exhibit 1003.

You should be able to see that
in your Exhibit Share folder.

Please let me know if you do not
see it.

(The witness reviewing computer
screen.)

A. So I need to go somewhere else
for the Exhibit Share. Hold on.

Q. Yes.

A. Is that right?

Q. Yes. There should be a separate
Exhibit Share link.

A. Let me find it. Hang on.

(The witness reviewing computer
screen.)

A. It would have come from

1 - T.A. Williams -

2 Veritext.

3 Q. Yes.

4 A. Exhibit Share instructions.

5 (The witness reviewing computer
6 screen.)

7 A. Exhibit Share.

8 (The witness reviewing computer
9 screen.)

10 A. Sorry about this. I didn't know
11 there was a separate page. Usually people
12 just put them in the Chat.

13 Q. I apologize.

14 (The witness reviewing computer
15 screen.)

16 A. It's loading...

17 (The witness reviewing computer
18 screen.)

19 A. Okay. I'm in.

20 Q. Wonderful. Are you in the
21 document?

22 A. I see the document.

23 (The witness reviewing computer
24 screen.)

25 A. Okay.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

Q. Great. Apologies for not making sure you had that ready.

So can you please go to paragraph 15 -- before we do that. Have you seen this document before?

A. Stand by.

The question is, have I seen this document before? Yes, I have seen this document before.

Q. Great. And this document is "DECLARATION OF TIM A. WILLIAMS PH.D., IN SUPPORT OF PETITION FOR INTER PARTES REVIEW U.S. PATENT 9,355,405"; is that correct? Is that what you see?

A. Yes.

Q. And this is Case Number IPR2021-00720.

A. It is.

Q. So if I were to refer to IPR2021-00720 as the 720 IPR moving forward, Dr. Williams, would you understand that I am referring to IPR2021-00720?

A. Yes.

1 - T.A. Williams -

2 Q. And that's the IPR related to
3 the Patent Number 9,355,405, correct?

4 A. Yes.

5 Q. And would you similarly
6 understand that when we're referring to
7 the '405 Patent, that that is the
8 shorthand for that patent number I just
9 read to you?

10 A. Yes.

11 Q. So could you please turn to
12 paragraph 15 of this exhibit which is on
13 page 7.

14 A. I'm sorry, you said 16?

15 Q. 15.

16 A. One-six?

17 Q. One-five.

18 A. Okay, one-five. Okay, good.

19 Q. It said you considered the '405
20 Patent and its prosecution history, the
21 exhibits listed in the Exhibit List files
22 with the petition as well as any materials
23 referenced in this declaration when
24 forming your opinions; is that correct?

25 A. Yes, that's correct.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

Q. And, to your knowledge, did you consider any other material that is not included in those documents?

A. Not that I recall.

Q. Thank you. How many hours did you spend preparing this declaration?

A. I don't recall.

Q. Did you speak with anyone other than the attorneys in this matter when preparing this declaration?

A. No.

Q. I'm now going to share with you a second exhibit which is the 721 IPR Exhibit 1003. It will similarly appear in your Exhibit Share folder. When you have it, please let me know.

(721 IPR Exhibit 1003 marked for identification, "DECLARATION OF TIM A. WILLIAMS, PhD, IN SUPPORT OF PETITION FOR INTER PARTES REVIEW OF U.S. PATENT NO. 8,166,081.")

BY MS. KIERNAN:

Q. You may need to refresh your Exhibit Share for it to show up. I just

1 - T.A. Williams -

2 had that issue.

3 A. I have it. I see the document.
4 I'm downloading it now.

5 (The witness reviewing computer
6 screen.)

7 A. I have the document.

8 Q. Wonderful. And this document is
9 titled, "DECLARATION OF TIM A. WILLIAMS
10 Ph.D. IN SUPPORT OF PETITION FOR INTER
11 PARTES REVIEW OF U.S. PATENT NUMBER
12 8,126,081," correct?

13 A. Yes.

14 Q. And this is in case number
15 IPR2021-00721, correct?

16 A. Yes.

17 Q. And if I refer to the 721 IPR
18 moving forward, you will understand that
19 I'm referring to this case number; is that
20 okay with you?

21 A. Yes.

22 Q. And similarly with Patent Number
23 8,166,081, if I refer to it as the '081
24 Patent, will you understand that?

25 A. Yes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

Q. Thank you. How many hours did you spend preparing this declaration?

A. I don't recall.

Q. And did you speak with anyone other than attorneys in preparing this declaration?

A. No.

Q. Thank you. What is your experience with respect to transmission of information on subcarrier signals using methods known as radio broadcast data systems or RBDS, Dr. Williams?

A. In the late eighties I worked at Motorola and we designed radio systems for Bosch, and that radio system included reception of radio data signals in Europe. Our RBDS is the U.S. version of that standard.

Q. What was the standard called in Europe?

A. RBDS.

Q. So have you ever designed a system or components thereof that utilize RBDS?

1 - T.A. Williams -

2 A. No.

3 Q. But you did design a system or
4 component that utilized RBDS?

5 A. Correct.

6 Q. Before this case, did you have
7 any knowledge of the National Radio
8 Systems Committee?

9 A. Don't recall.

10 Q. Did you have any knowledge of
11 the National Association of Broadcasters
12 before this case?

13 A. Yes.

14 Q. What was your knowledge of the
15 National Association of Broadcasters
16 before this case?

17 A. That they existed; that they
18 were an industry group; that they were
19 concerned with broadcast information.

20 I think you have to be more
21 specific if you want more specific
22 answers.

23 Q. Thank you. I think that's
24 helpful. Before this case, did you have
25 any knowledge of the United States RBDS

1 - T.A. Williams -

2 Standard?

3 A. I knew it existed.

4 Q. And beyond knowing that it
5 existed, you didn't have any knowledge of
6 any specific standards?

7 A. I'm sorry this is a specific
8 standard. So I don't understand the
9 question.

10 Q. Beyond knowing that the RBDS
11 Standard existed, did you have any
12 specific knowledge of its contents?

13 MR. LUCAS: Objection; vague.

14 A. To the extent that it's -- it's
15 a modification of the RDS from Europe,
16 yes.

17 (720 IPR Exhibit 1001 previously
18 marked for identification, 35-page
19 document, United States Patent, Patent
20 No. 9,355,405 B2.)

21 BY MS. KIERNAN:

22 Q. Okay. Thank you.

23 I'd like to show you now a
24 document that was previously marked in the
25 720 IPR proceeding as Exhibit 1001. It

1 - T.A. Williams -
2 should be -- should appear in your Exhibit
3 Share after refreshing.

4 (The witness reviewing computer
5 screen.)

6 A. Still refreshing.

7 (The witness reviewing computer
8 screen.)

9 A. Okay. Exhibit 1001, right?

10 Q. Correct. Right. Do you
11 recognize this document?

12 (The witness reviewing computer
13 screen.)

14 A. Yes.

15 Q. This is a copy of the '405
16 Patent, correct?

17 A. It is.

18 Q. Could you please turn to page 35
19 of the exhibit number that's shown on the
20 bottom right-hand corner. Let me know
21 when you're there.

22 A. I'm there.

23 Q. Do you see claim 12?

24 A. Yes.

25 Q. Do you recognize claim 12?

1 - T.A. Williams -

2 A. Yes.

3 Q. It is in fact one of the
4 challenged claims, correct?

5 A. Yes.

6 Q. I am looking at the beginning of
7 claim 12, "A method for combining multiple
8 media obtained from a broadcast stream."

9 What is your understanding of a
10 "broadcast stream"?

11 (The witness reviewing computer
12 screen.)

13 A. Broadcasts are in the -- are
14 signals that are transmitted from one
15 location -- typically one location to
16 multiple locations, so one to many type of
17 transmissions.

18 Q. And is there anything different
19 from a broadcast stream?

20 A. The stream can be either
21 analogue or digital information so it's
22 just the -- the continuing set of
23 information that's being broadcast.

24 Q. Were you reviewing something
25 just now on your computer as you were

1 - T.A. Williams -

2 thinking about the question?

3 A. Exhibit 1.

4 Q. And only Exhibit 1001?

5 A. Correct. No. Sorry.

6 Exhibit 1, not Exhibit 1001. My '405
7 report.

8 Q. You're referring to Exhibit 1003
9 from the first document we looked at
10 today, right?

11 A. Yes, Exhibit 1 to this
12 deposition.

13 Q. Okay. Thank you for that
14 clarification. And what in Exhibit 1003
15 were you look at exactly?

16 (The witness reviewing computer
17 screen.)

18 A. Paragraph 27.

19 Q. What particularly in
20 paragraph 27 were you looking at?

21 A. The broadcast, page 11, second
22 line, fourth word.

23 Q. Beginning with, "In one example,
24 'a radio station transmits' "?

25 A. No. Fourth word, "broadcast."

1 - T.A. Williams -

2 Q. Okay. Thank you.

3 How did that word help you to
4 recall what a "broadcast stream" is?

5 A. It didn't.

6 Q. So your answer with respect to
7 "broadcast stream" was your own
8 recollection?

9 A. It's my opinion based on
10 industry experience.

11 Q. Thank you.

12 Turning back to Exhibit 1001,
13 page 35, that same line -- let me know
14 when you're there, please.

15 A. Yes.

16 Q. It says, "multiple media." What
17 is your understanding of "media" in the
18 claim?

19 A. "Media" is any -- "media" would
20 be content to be consumed by the user.

21 Q. Thank you. On that same page,
22 if you could look to the next line that
23 begins "receiving." "...receiving, using
24 an electronic receiving device."

25 A. Yes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

Q. What is your understanding of an "electronic receiving device"?

A. Electronic receiving device is a device capable of bringing information that's been modulated onto a carrier in -- down into a form that's presentable to a human for any system that needs to interpret that information.

Q. And in the '081 Patent, what would be an example of an "electronic receiving device," in your opinion?

MR. LUCAS: Objection. Which patent are we talking about here?

MS. KIERNAN: The '405 Patent.

MR. LUCAS: So is your question with regard to the '405 or the '081 Patent? Because you said the '081 Patent.

MS. KIERNAN: I apologize. I'll rephrase the question for the witness.

BY MS. KIERNAN:

Q. With respect to the '405 Patent, what would be an example of an "electronic receiving device"?

1 - T.A. Williams -

2 A. You're asking about as disclosed
3 within the...

4 Q. Yes.

5 A. ...the '405 Patent; is that
6 correct?

7 Q. Yes.

8 (The witness reviewing computer
9 screen.)

10 MR. LUCAS: Maybe you can point
11 to at paragraph in his declaration
12 about this.

13 A. Can I have my question again,
14 please?

15 Q. With respect to the '405 Patent,
16 what would be an example of an "electronic
17 receiving device"?

18 A. So that would be computer system
19 400 is one form of that and then also in
20 figure -- Figure 2, the receiving device
21 would be -- those elements within 400 and
22 those elements within the -- within the
23 label for the entire device. Those
24 elements within the cellphone on the left
25 that provide the functionality that I

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

described earlier of a receiver.

Q. Just for --

A. Figure 3 shows itself -- I guess it's labeled "4," element "4" in the drawing.

Q. Thank you. Turning back to page 35, do you see the next line that begins with "receiving, using the electronic receiving device, at least a second media content"?

A. Sorry, you're breaking up there. You were asking about the next element of claim 12?

Q. Yes, correct.

(The witness reviewing computer screen.)

A. Yes, I see it. I see the element.

Q. And at the end of that element it says, "the second media content received discretely from the first media content." Correct?

A. Yes.

Q. What is your understanding of

1 - T.A. Williams -

2 "received discretely" as used in the claim
3 there?

4 A. It's my understanding that
5 "received discretely" means that the
6 receiver is using resources that are not
7 used by the first receiver. So that could
8 be different antennas physically, it could
9 be different RF front ends physically or
10 it could just be different software
11 modules within the -- within the computer
12 itself to perform the reception.

13 So, for example, if the media 1
14 were modulated in one particular fashion
15 and media 2 were modulated in a different
16 fashion, those would be discrete receivers
17 because the processing of those received
18 streams would be discretely different.

19 Q. Thank you. Just to clarify, you
20 stated at the beginning that the receiver
21 is using resources that are not used by
22 the first receiver. Is that correct?

23 A. Not entirely, yes.

24 Q. What did you mean when you said,
25 "first receiver"?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

A. The receiver that is receiving the first media stream, first media content.

Q. And that is a different receiver than that receiving the second media content, in your opinion?

A. In this claim, the second media content received discretely from the first media content would require that different resources be applied to the reception of the second media content than the first media content and those resources can be hardware and/or software.

Q. And those hardware and/or software resources could be different receivers as well?

MR. LUCAS: Objection; form.

A. I don't understand the question.

Q. Well, you said that those resources that are used could be different hardware and/or software. What is an example of different hardware used for those resources?

A. I just gave some examples in my

1 - T.A. Williams -

2 previous answer.

3 Q. I appreciate that. Could you
4 give me another?

5 A. A different antenna, for
6 example, it would be a different hardware
7 resource.

8 Q. Turning back to the "received
9 discretely," would a signal, a subcarrier
10 signal be considered to be "received
11 discretely" from a carrier signal?

12 A. Yes, there -- different
13 modulations there are different resources
14 used in the demodulating of that
15 information and presenting it onto
16 whatever system is going to interpret it,
17 including a human.

18 Q. Maybe you can explain that a
19 little bit more. What is your
20 understanding of a "subcarrier signal"?

21 A. It's a signal that's broadcast
22 in association with a main carrier signal.

23 Q. Are those signals combined?

24 A. Well, I don't -- I think your
25 terminology is imprecise. There is a

1 - T.A. Williams -

2 piece of information that is placed onto
3 the main carrier, for example, a song, and
4 there's a piece of information that's
5 placed onto the -- the subcarrier, for
6 example, the title of the song. And both
7 of those pieces of information are
8 modulated in different ways onto carriers
9 that are RF carriers that are broadcast
10 over the air and then the receiver
11 receives that electromagnetic radiation
12 and converts that electromagnetic
13 radiation into electronic signals.

14 Those electronic signals are
15 demodulated so the information is taken
16 off a carrier wave in two different
17 aspects, one being the song and the other
18 being the song title. And that process of
19 removing the information that's been
20 modulated onto the RF carrier is different
21 in these two cases.

22 Q. Okay, thank you. Just for
23 clarification, you said that the carrier
24 signal and the subcarrier signal are sent
25 over R [reporter's error] carriers. Are

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

they sent in the same carrier or separate ones?

A. R carriers?

MR. LUCAS: Objection to form.

A. I don't understand your question.

Q. I was trying to use the real-time. You had mentioned that the carrier and the subcarrier are then both sent to the receiver as an electronic signal, correct?

A. As an electronic magnetic signal.

Q. Okay, thank you. Is it one electromagnetic signal or two?

MR. LUCAS: Objection to form.

A. At what point? At the point of transmission?

Q. Yes. Let's start there. At the point of transmission is the subcarrier and carrier signals one electromagnetic signal?

A. Hmm, depends on your philosophy, I guess.

1 - T.A. Williams -

2 Q. Okay --

3 A. It depends on your philosophy.
4 I would think one POSITA would say it's a
5 single signal and another POSITA would say
6 it's multiple signals. The RF
7 electrodynamic radiation itself is being
8 transmitted from the transmitter, I would
9 say -- I would say, it just depends on how
10 you want to view that.

11 Q. And how do you view that,
12 Dr. Williams?

13 A. It could be either way. It
14 depends on what we're discussing. Again,
15 I -- I think a POSITA could look at it
16 either as a single signal or multiple
17 signals.

18 Q. Okay. Going back to the '405
19 Patent, page 35, the next line in the
20 claim 12 is "determining uniquely
21 identifying data."

22 Let me know when you're there.

23 A. I'm there.

24 Q. What is "uniquely identifying
25 data"?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

(The witness reviewing computer screen.)

MR. LUCAS: Objection to form.

A. Sorry, can I have the question again, please?

Q. Yes. The claim states, "determining uniquely identifying data."

What is "uniquely identifying data"?

A. The patent -- the '405 Patent discusses this in column 7 starting at line 51, "The terms 'unique event identifier' and 'unique identifier' as used herein are broad terms that refer to any means for identifying a specific instance of a broadcast stream transmission and/or media signal."

Q. And is that what you were reviewing while determining your answer?

A. Yes.

Q. And it's your understanding that "unique event identifier" or "unique identifier" is the same as "uniquely identifying data" in the patent?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

MR. LUCAS: Objection, form;
misstates testimony.

A. Well, this entire paragraph in
the spec discusses unique identifiers.

Q. And so it is your opinion that
this paragraph discusses "uniquely
identifying data" as well?

MR. LUCAS: Objection to form.

A. I'm sorry, I didn't hear the
whole question.

Q. I will restate the question. Is
it your opinion that the paragraph in the
'405 Patent in column 7 beginning around
line 51 also describes "uniquely
identifying data"?

(The witness reviewing computer
screen.)

A. Yes.

Q. What would be an example in your
opinion of "uniquely identifying data" as
used in this claim?

A. Well, the patent discusses at
column 7, line 63 an advertise --
"advertisement, related media, associated

1 - T.A. Williams -
2 media, device, language user of a device
3 and/or first media signals."

4 Q. And it is your opinion that
5 those are examples of "uniquely
6 identifying data" in the patent?

7 A. Yes.

8 Q. Would a URL constitute "uniquely
9 identifying data" described in this claim?

10 (The witness reviewing computer
11 screen.)

12 A. Yes.

13 Q. And you were reviewing the
14 patent on the screen as you were
15 determining that answer, correct?

16 A. I was.

17 Q. Were you looking at any other
18 documents?

19 A. No.

20 Q. Thank you.

21 Similarly, would an IP address
22 be an example of "uniquely identifying
23 data" under this claim?

24 A. It could.

25 Q. Would a user identification

1 - T.A. Williams -
2 number be an example of "uniquely
3 identifying data" under this claim?

4 A. Yes.

5 Q. Would a phone number be
6 considered a "uniquely identifying data"
7 under this claim?

8 A. Yes.

9 Q. Turning back to the '405 Patent,
10 claim 12, moving to the element beginning
11 with "presenting concurrently," can you
12 let me know when you're there?

13 A. Yes. I'm there.

14 Q. Thank you. What is your
15 understanding of "presenting concurrently"
16 as used in claim 12 of the '405 Patent?

17 A. That the first media content and
18 the second media content be presented to
19 the user using an electronic output
20 device.

21 Q. So if you present the first
22 media signal for the user using the
23 electronic device and then wait five
24 minutes and then present the second media
25 content for the user in that electronic

1 - T.A. Williams -

2 device, would that meet this claim
3 element, in your opinion?

4 A. Depends on the length of the
5 first media content.

6 Q. So if the length of the first
7 media content was 20 seconds, and there
8 was still a delay by five minutes in
9 presenting the second media content on the
10 same user device, would that meet the
11 claim, in your opinion?

12 A. I have not opined on that
13 particular situation.

14 Q. But sitting here today, would
15 you say that that's the case -- or no?

16 A. Depends on the time resolution
17 of concurrently.

18 Q. What do you mean by that?

19 A. Well, if your time resolution is
20 a century, the First World War and the
21 Second World War occurred concurrently.

22 If your time resolution is
23 nanoseconds, the first bit of a data
24 stream and a second bit of a data stream
25 are not concurrent.

1 - T.A. Williams -

2 Q. Did the '405 Patent talk about
3 time resolution in your understanding?

4 A. I'm sorry, could I have the
5 question again, please?

6 Q. Yes. Does the '405 Patent talk
7 about time resolution as you understand
8 it?

9 A. I think that -- not
10 specifically. I think --

11 Q. And -- go ahead.

12 A. I think that a receiver
13 receiving the '405 would interpret that
14 concurrently in terms of the human scale
15 of time perception.

16 Q. What's "the human scale of time
17 perception"?

18 A. Depends on what you're doing.

19 Q. So if you were writing a paper
20 in college, what would be the "human scale
21 of time perception" there?

22 MR. LUCAS: Objection to form.

23 A. I don't understand the question.

24 Q. I am going to be honest, I'm not
25 familiar with the "human scale of time

1 - T.A. Williams -

2 perception," so I'm trying to understand
3 your use of it.

4 A. Well -- well, a human can't
5 distinguish microseconds as being not
6 concurrent. But a human can distinguish
7 centuries as being concurrent.

8 Q. Okay. And could a human
9 distinguish minutes as being concurrent?

10 A. Yes. For example, this year I'm
11 both 67 and 68 years old. That's
12 concurrent. Concurrently this year I'm
13 both ages.

14 Q. And so when the '405 Patent
15 refers to "presenting concurrently," it's
16 referring to the human scale of time
17 perception and a person's ability to
18 perceive the concurrent events; would that
19 be a fair statement?

20 A. Yes.

21 Q. In the '405 Patent, can you
22 please turn to column 3, line 42.

23 (The witness complies.)

24 A. I'm there.

25 Q. Okay. And the line begins, "As

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

the user enabled-device is playing a song" -- it goes on to say -- "a song obtained from the first media signal, the user enabled-device displays the media content in the second media signal."

Do you see that?

A. Yes. This would be one embodiment of concurrent as described in the claims.

Q. And that's because the user enabled-device displays the second media signal as the user enabled-device is playing the song, correct?

A. That's one embodiment, yes.

Q. And that's because it's the same time or at least an overlap of time?

(The witness reviewing computer screen.)

A. I would read that sentence that way, yes.

Q. Thank you.

Going back to page 35, the claim language for claim 12 --

A. Yes.

1 - T.A. Williams -

2 Q. -- the last element talks about
3 "transmitting electronically to a computer
4 server a response message."

5 Do you see that element?

6 (The witness reviewing computer
7 screen.)

8 A. Yes.

9 Q. What is your understanding of a
10 "response message" as used in claim 12 of
11 the '405 Patent?

12 (The witness reviewing computer
13 screen.)

14 A. It's a piece of information that
15 is to be transmitted and that piece of
16 information has to at least include
17 uniquely identifying data specific to the
18 second media content -- to at least the
19 second media content and -- as well as the
20 location of the electronic device.

21 Q. So that would mean that -- going
22 back to one of our earlier examples of a
23 user identification number -- the response
24 message would need to contain that user
25 identification number, correct?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

A. In your hypothetical example,
yes.

Q. Thank you.

A. Well, unless there were so many
other uniquely identifying data but that
would be an example of "uniquely
identifying data."

Q. Okay. So if in the example,
"uniquely identifying data" is the user
identification number, the response
message in claim 12 would need to include
that user identification number, correct?

A. Yes. Or some other form of
uniquely identifying data.

Q. Okay.

MS. KIERNAN: We have been going
for about an hour and I'm going to
turn to the '081 Patent next. Would
you like to take a break now? It
might be beneficial -- or we can keep
going. Whatever you prefer,
Dr. Williams.

THE WITNESS: Let's keep going.

(721 IPR Exhibit 1001 previously

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

marked for identification, 35-page document, United States Patent, Patent No. 8,166,081 B2.)

BY MS. KIERNAN:

Q. Okay. I would like to show you a document that was previously marked in the 721 IPR, Exhibit 1001.

Let me know when it appears for you, please.

(The witness reviewing computer screen.)

A. So this is 1001 U.S. Patent '081?

Q. Correct.

A. Okay, I have it.

Q. Do you recognize this document?

A. Yes.

Q. And you reviewed this document in forming your opinions in the 721 IPR?

A. Yes.

Q. Can you please go to page 34 as marked on the bottom right-hand corner.

(The witness complies.)

A. Yes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

Q. And turning to claim 9, do you recognize this claim?

A. I do.

Q. And this is one of the claims that you opined on?

A. It is.

Q. Thank you.

And turning to the second line of the claim beginning with "a first receiver module configured to receive," do you see that line?

A. I do.

Q. And that line continues, "at least a first media content and data enabling the identification of a specific instance of the first media content," correct?

A. You read that correctly.

Q. Thank you.

What is your understanding of "data enabling identification of a specific instance" as used in claim 9 of the '081 Patent?

(The witness reviewing computer

1 - T.A. Williams -

2 screen.)

3 A. Can I have the question again,
4 please?

5 Q. Yes. What is your understanding
6 of "data enabling identification of a
7 specific instance," as used in claim 9 of
8 the '081 Patent?

9 A. I believe a POSITA would read
10 that disclosing information such as the --
11 the specific item number of the broadcast,
12 the catalogue number, for example, of the
13 song, the type of song, jazz, reggae,
14 rock, that sort of thing.

15 Q. To clarify your response a
16 little, would you say that each one of
17 those items you listed is data enabling a
18 specific instance or all of those items
19 together is data enabling a specific
20 instance?

21 A. Each individual.

22 Q. How would the catalogue number,
23 for instance, identify a specific instance
24 of the first media content?

25 A. It would identify that this

1 - T.A. Williams -
2 broadcaster at this particular time
3 broadcast catalogue number 1234.

4 Q. Okay. Is a "catalogue number" a
5 term of art?

6 A. It is in my experience. You
7 know, there are catalogue numbers of
8 songs.

9 Q. So that would be a term used in
10 the broadcasting industry, to your
11 knowledge?

12 A. I would believe so, yes.

13 Q. Thank you. If you could look at
14 the claim 9 of the '081 Patent again,
15 looking at the next line it says, "a
16 second receiver module configured to
17 receive at least a second media signal
18 content and uniquely identifying data
19 specific to at least the second media
20 content," do you see that?

21 A. Yes.

22 Q. What's the difference between
23 the data enabling identification of a
24 specific instance in the previous
25 limitation in claim 9 than uniquely

1 - T.A. Williams -
2 identifying data specific to the media
3 content in this limitation, in your
4 opinion?

5 A. The two types of data relate to
6 different media streams. That's the
7 difference.

8 Q. So the only difference in your
9 opinion is that one data relates to the
10 first media content and one data relates
11 to the second media content?

12 A. (No response.)

13 Q. Is that correct?

14 MR. LUCAS: I'm going to object
15 to form and also say that when you
16 read the claim language you excluded
17 the word "signal" after "media." In
18 other words, "signal" is deleted from
19 Claim 9, so I object to the form.

20 A. Can I have the question again,
21 please?

22 Q. Absolutely. So in your answer,
23 the only difference is that one data
24 refers to the first media content and the
25 other data refers to the second media

1 - T.A. Williams -

2 content. Am I understanding that
3 correctly?

4 A. In the claim, that's the only
5 distinction.

6 Q. Okay. So in claim 9 of the '081
7 Patent, the only distinction between "data
8 enabling identification of a specific
9 instance" and "uniquely identifying data
10 specific to" is the media that it's
11 referring to, in your opinion?

12 A. And that the second identifying
13 data be media content that's discretely
14 received from the first media content.

15 So given the two media contents
16 are discretely received, the difference
17 between the uniquely identifying data is
18 which data stream -- which media content
19 that uniquely identifying data relates to.

20 Q. Okay. So turning back to the
21 "data enabling identification of a
22 specific instance," and your example of a
23 catalogue number, if the same song is
24 played on the same station, would it have
25 a different catalogue number for each

1 - T.A. Williams -

2 occurrence of the song?

3 MR. LUCAS: Objection to form.

4 A. No, but that -- that same song
5 would have different data associated with
6 it in terms of its time of broadcast.

7 Q. Okay. And so you would be able
8 to differentiate between the same song
9 being played -- the different occurrences
10 because they are played at different
11 times; is that correct?

12 A. You said, "you." I don't
13 understand the question.

14 Q. Sorry. A person would be able
15 to differentiate between playing one song
16 and a second occurrence of that same song
17 because the time data would be different,
18 correct?

19 A. Yes. And there could be other
20 indications such as the song that occurred
21 before or the song that occurs after or
22 the advertisement that occurs before and
23 the advertisement that occurs after.

24 Q. Okay.

25 A. There would be several factors

1 - T.A. Williams -

2 indicating the context in which that song
3 was received.

4 Q. So one example would be that the
5 different songs that are played before and
6 after; is that correct?

7 MR. LUCAS: Objection to form.

8 A. What I'm saying, any context in
9 which the user would perceive the second
10 playing of the same song would be a
11 different instance than the first song,
12 would be indicative of a unique
13 occurrence.

14 Q. Okay. Thank you.

15 The term, "specific instance,"
16 also appears in claim 13 of the '405
17 Patent.

18 Do you understand that to have a
19 different meaning in the '405 Patent?

20 (The witness reviewing computer
21 screen.)

22 A. No. Same meaning.

23 Q. Thank you. So going back to the
24 second limitation, "a second receiver
25 module configured to receive at least a

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

second media content" --

A. We're back on the '081?

Q. Yes, I apologize.

A. Yes, go ahead, please.

Q. Thank you.

-- (continued) is that second receiver module distinct from the first receiver module in claim 9 of the '081 Patent?

MR. LUCAS: Calls for a legal opinion.

A. Claim 9 says that the second media content received discretely from the first media content and I testified earlier as to what "discretely" means. So I would think the same testimony applies here.

Q. And by that you mean that if it's received over different modulators or through a separate antenna, for example, is that the testimony you're referring to?

MR. LUCAS: Objection to form; misstates the testimony.

A. Yes, you misstated my testimony.

1 - T.A. Williams -

2 You don't receive with a modulator.

3 Q. Okay. But that is the testimony
4 that that -- earlier testimony that you're
5 referring to when we discussed modulators
6 and antennas.

7 MR. LUCAS: Objection to form.

8 A. And software and hardware
9 processes to perform the process of
10 receiving -- of taking that information
11 that's been placed under the part of
12 carrier and presenting it onto the user.

13 Q. Thank you.

14 Claim 12 of the '405 Patent
15 similarly uses the term "uniquely
16 identifying data specific to."

17 Do you understand that to mean
18 the same thing as "uniquely identifying
19 data specific" in claim 9 of the '081
20 Patent?

21 A. Yes.

22 Q. Thank you. I think you already
23 said this, but just to clarify, you also
24 understand "received discretely" in claim
25 9 of the '081 Patent to be the same thing

1 - T.A. Williams -
2 as "received discretely" in claim 12 of
3 the '405 Patent, correct?
4 (The witness reviewing computer
5 screen.)
6 A. Yes.
7 Q. Thank you. Looking at the next,
8 beginning with "an output system
9 configured to present concurrently" -- and
10 this is in claim 9 of the '081 Patent --
11 let me know when you're there.
12 A. I'm there.
13 Q. -- (continued) you also
14 understand "present concurrently" to be
15 the same as used in claim 12 of the '405
16 Patent?
17 A. That's my understanding.
18 Q. Okay. Thank you.
19 What do you understand an
20 "output of the receiver module" to mean in
21 claim 9 of the '081 Patent?
22 A. The information that was -- was
23 communicated to either the first receiver
24 module or the second receiver module.
25 Q. And by, "information," you mean

1 - T.A. Williams -

2 the type of media content?

3 MR. LUCAS: Objection to form.

4 A. Information is information. So
5 a song is information and an address of a
6 restaurant is information. A catalogue
7 number is information. An advertisement
8 is information.

9 Q. Okay. So one example of "an
10 output of a receiver module" would be a
11 song, in your opinion?

12 A. Yes.

13 Q. And another example, like you
14 said, would be an advertisement; is that
15 correct?

16 A. Yes. Regardless of form.

17 Q. Turning back to the language of
18 the claim it says, "presenting
19 concurrently the first media content and
20 the second media content."

21 Did I read that correctly?

22 A. It does.

23 MR. LUCAS: What claim are we
24 talking about here and what patent?

25 MS. KIERNAN: Claim 9 of the

1 - T.A. Williams -

2 '081 Patent.

3 A. It does.

4 Q. Thank you. And so that means
5 that, as we discussed earlier, presenting
6 both the first media content and the
7 second media content, correct?

8 A. Yes.

9 Q. And it's presenting it
10 concurrently in a way that a human could
11 understand that it's being presented,
12 correct?

13 A. That's one way to present, yes.
14 I don't think that's a restriction of the
15 claim.

16 Q. And why wouldn't it be a
17 restriction of the claim?

18 A. I don't see a human in the
19 claim.

20 Q. Well, when we previously
21 discussed "presenting concurrently," we
22 discussed it in terms of "the human scale
23 of time perception"; is that correct?

24 A. Yes.

25 Q. Okay. So it being presented in

1 - T.A. Williams -
2 such a way that under the human scale of
3 time perception it's presented
4 concurrently; would that be fair to say?

5 A. That's my opinion.

6 Q. And both the first media content
7 and second media content are being
8 presented on an output on the first
9 receiver module or the second receiver
10 module under claim 9 of the '081 Patent;
11 isn't that correct?

12 A. You read that correctly.

13 Q. So there must be an output of
14 the first receiver module or an output of
15 the second receiver module; would that be
16 your understanding?

17 A. So your question is, is this
18 "or" or "and/or"?

19 Q. Yes.

20 MR. LUCAS: Objection to form.

21 A. I think that's a legal question.

22 Q. Well, when you're reading this,
23 do you understand it to allow for
24 presentation of both the first media
25 content and second media content on an

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

output of the first receiver module?

(The witness reviewing computer screen.)

A. I believe the claim construction is a legal question.

Q. I'm not asking for a claim construction. I'm asking for your understanding.

Do you believe that claim 9 allows for presentation of the first media content and the second media content on an output of the first receiver module?

MR. LUCAS: Objection to form.

A. I interpret this to be "and/or." The -- for example, the output of the first receiver module and the output of the second receiver module could be the same physical device to present information to the human.

Q. And by saying, "physical device," you mean what? Can you please give me an example?

A. For example, a computer display. Display screen.

1 - T.A. Williams -

2 Q. So the display screen could
3 present both the first media content and
4 the second media content?

5 A. Yes. A physical device, the
6 output of the first receiver module could
7 be a portion of that screen and the output
8 of the second receiver module could be
9 another portion of that screen.

10 Q. Going back to the claim language
11 of claim 9 of the '081 Patent, the last
12 element says, "a transmitting module
13 configured to transmit a response
14 message." Correct?

15 A. You read that correctly.

16 Q. Thank you. And do you
17 understand "response message" to have the
18 same meaning in claim 9 of the '081 Patent
19 as we discussed claim 12 of the '405
20 Patent?

21 A. Yes.

22 Q. Thank you.

23 Turning to claim 10 of the '081
24 Patent --

25 A. Yes?

1 - T.A. Williams -

2 Q. -- it reads: "The system of
3 claim 9 further comprising an output
4 selection module configured to limit the
5 output of the first and second media
6 content based on a criterion."

7 Did I read that correctly?

8 A. You did.

9 Q. What is an "output of the first
10 and second media content," as you
11 understand it in claim 10 of the '081
12 Patent?

13 (The witness reviewing computer
14 screen.)

15 A. It's the information that is --
16 the information that is contained in the
17 first and second media.

18 Q. Okay. So, for example, if the
19 first media content was a song, an output
20 of the first media content would be that
21 song?

22 A. For example, yes.

23 Q. What is the difference in your
24 opinion between "output of the first and
25 second media content" in claim 10 of the

1 - T.A. Williams -

2 '081 Patent and an "output of the first
3 receiver module or the second receiver
4 module" in claim 9 of the '081 Patent?

5 MR. LUCAS: Objection to form.

6 A. I don't understand the question.

7 Q. So we discussed in claim 10 that
8 the output of a first media content could,
9 for example, be a song. That's one
10 example, correct?

11 A. Yes.

12 Q. Okay. And correct me if I am
13 wrong, but we also said when talking about
14 the output of a first receiver module that
15 is -- an example could be a song; is that
16 correct?

17 MR. LUCAS: Objection to form;
18 misstates the testimony.

19 A. Well, I'll let you go on without
20 affirming that. Please complete your
21 question.

22 Q. Well, my question is: What's
23 the difference between the output of a
24 receiver module and an output of a media
25 content as you understand it in the claim

1 - T.A. Williams -

2 of the '081 Patent?

3 MR. LUCAS: Objection to form.

4 A. Well, they -- output of the
5 receiver module can be manipulated for a
6 presentation to the user to create the
7 media content.

8 Q. And what do you mean by the
9 "output of the receiver module can be
10 manipulated"?

11 A. Exactly that.

12 Q. So what would be another way of
13 saying that, the output of the receiver
14 module can be altered and changed in some
15 way to allow for presentation?

16 A. Yes, for an example which would
17 be equalization of the audio.

18 Q. Whereas, an output of a first
19 media content cannot be manipulated, would
20 that be correct?

21 MR. LUCAS: Objection to form.

22 A. Could I have that question
23 again?

24 Q. Yes. Whereas, an output of a
25 first media content cannot be manipulated;

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

would that be correct?

MR. LUCAS: Same objection.

A. Well, the -- the output of the first receiver module can be further processed to create the media content for presentation to the user. An example of which would be equalization. An example of which would be a first receiver module produces a digital data stream and a presentation to the user is via analogue wave forms presented to the speaker, so there would be some processing performed.

Q. Would there be any processing performed on the output of the first media content?

MR. LUCAS: Objection to form.

A. Depends on the -- at what point you would like to define "media content."

Q. How do you define "media content"?

A. Depends on at what point in the receiver in presentation of that content that -- would you like to discuss.

Q. Well, we're discussing the

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

output of the first media content.

So at the time of output of first media content, how would you define a "first media content"?

MR. LUCAS: Maybe point him to the paragraphs in his declaration where he opines about the definition of separation.

A. Can I have the question again, please?

Q. Yes. In discussing the output of the first media content to the output of first media content, how would you define "first media content"?

A. Well, that's discussed in paragraphs 38 and 39 of my report which is Exhibit 2 to this deposition.

Q. Well, you told me that you could define "media content" depending at what part of the process we were talking about, correct?

A. Yes.

Q. Okay. So is there a different understanding of "media content" at the

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

output of the media content as compared to paragraph 38 and 39 of your report?

A. Well, for example, paragraph 39 talks about sounds. I think that's an easy -- songs -- that would be the easy example.

So a song as it's presented to the user by a speaker is the output of -- of the media --

Q. And --

A. Let me finish.

-- (continued) is the output of the first media. For example, the -- that song may have been manipulated by the -- by the device that's presenting it from its received form by the receiver module, an example of which would be equalization, an example of which would be interpolation, an example of which would be conversion from digital to analogue.

So if we're talking about the media at various points in that processing before it's presented to the user, that media still exists. That media would be

1 - T.A. Williams -

2 in different forms.

3 Q. Okay --

4 A. Certainly the output -- that the
5 output as it's presented to the user,
6 there is only one form.

7 Q. And what would be that form?
8 For the song, for example?

9 A. The final -- the final form as
10 it's presented to the speaker over the
11 headset.

12 Q. So an example of the final form
13 of a song would be the audio; is that fair
14 to say?

15 A. No, I think that's imprecise.

16 Q. What would be more precise?

17 A. It would be the song as it's
18 been processed.

19 Q. And so when the song is output
20 on a speaker, for example, what would you
21 consider that output of the song to be?

22 A. In terms of the claim terms?

23 Q. Just in your general
24 understanding.

25 A. Music.

1 - T.A. Williams -

2 Q. So it would be the music or the
3 audio on the speaker that you would
4 understand that song's output?

5 A. Yes. My brain would interpret
6 that as music, yes.

7 Q. Okay. Would you consider the
8 speaker, then, to be the output device for
9 that song?

10 A. The speaker is part of the
11 output process, yes.

12 Q. Okay, thank you.

13 MS. KIERNAN: I think this is a
14 good time for a break, Dr. Williams.

15 MR. LUCAS: Sure.

16 MS. KIERNAN: Would 15 minutes
17 be enough for you? Would you like
18 less, more...?

19 THE WITNESS: If we could start
20 around 11 that would be good.

21 MS. KIERNAN: 11, all right.

22 Thank you.

23 (A recess was taken.)

24 BY MS. KIERNAN:

25 Q. Dr. Williams, I just wanted to

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

go back to claim 9 of the '081 Patent for a minute and looking at the "data enabling identification of a specific instance" content. We had talked about that data may include a catalogue number, correct?

A. Yes.

Q. And when identifying a specific instance, you would include that catalogue number and some other context of when the song was played. Would that be a correct statement?

A. Maybe it would, maybe it wouldn't.

Q. Okay. When wouldn't you include the context?

A. When you didn't care about the context.

Q. Okay. So in the instance of the claim, claim 9 of the '081 Patent, when you're seeking "data enabling identification of a specific instance," would it be fair to say we care about the context in order to identify different instances of the same media?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

MR. LUCAS: Objection to form.

A. I don't see that in the claim.

Q. Correct, it's not. But I guess -- I'm trying to understand your interpretation of what a "specific instance" is based on your understanding of the '081 Patent. And so when looking at "data enabling identification of a specific instance," we talked about a few examples. One being catalogue number, the broadcast identification -- is that correct?

A. I believe you said that correctly.

Q. Okay. And when we talked about a catalogue number, we also talked about how to differentiate between different occurrences of the same song being played, for example. Is that correct?

A. We did.

Q. And when we talked about data identifying different occurrences of the same song, you mentioned that you could take the catalogue number and some other

1 - T.A. Williams -

2 data such as time or the context in which
3 the song is played; is that correct?

4 A. Yes.

5 Q. So in that instance, then, the
6 data enabling and identification of a
7 specific instance would be the catalogue
8 number and that additional context data;
9 is that fair to say?

10 A. It could be. Depends on what
11 you were doing with it.

12 Q. Okay. Well, in the instance of
13 claim 9 of the '081 Patent, it would be
14 the catalogue number and that additional
15 context number; is that fair to say?

16 A. In this patent, possibly.
17 Depends on what you mean by "specific
18 instance."

19 Q. Well, what's your understanding
20 of a "specific instance"?

21 A. Depends on what you mean by
22 that.

23 Q. When looking at claim 9 of the
24 '081 Patent, it says, "data enabling the
25 identification of a specific instance."

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

What is your understanding of a "specific instance" in claim 9 of the '081 Patent?

A. So "specific instance" means that this song was played at this particular time. Then that's what you would need to design the system to understand if "specific instance" just means this song is played, then you wouldn't need to consider the time or the -- or the channel in which that song was played. So it kind -- it kind of depends on your design goals for that implementation.

So the claim itself doesn't restrict "specific instance" to a resolution -- I guess is the best way --

Q. What do you mean by "resolution"?

A. Time of day is an example of "resolution."

Q. What other examples would there be of "resolution"?

A. Day of the month.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

Q. But you do agree that "data enabling the identification of a specific instance of a first media content" has to be able to differentiate between two occurrences of the exact same media content, correct?

MR. LUCAS: Objection to form.

A. Depends on the system you're designing. Depends on the system you're looking at.

If the system doesn't care whether the song was played twice in a day, then you wouldn't care -- you wouldn't need to resolve the time of broadcast.

If the system cared about the time of broadcast, then you would need to record that information -- or that information may be transmitted as part of the identification information so this catalogue number at this time on this radio station could be data enabling the identification.

Q. Of a specific instance?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

A. Yes.

Q. In claim 9 of the '081 Patent, is it your opinion that the system does care about identifying between two different occurrences?

A. I don't see that in the claim. I think the claim is broader to include both aspects.

Q. So would you agree that "specific instance" in the '081 Patent, claim 9, means a "specific occurrence"?

A. It could.

Q. And would you also then agree that "specific instance" in the '405 Patent means "specific occurrence"?

A. Sorry, ask that question again?

Q. Yes. Would you then also agree that "specific instance" in the '405 Patent claim means "specific occurrence"?

A. It could or it could not, just like the other patent.

Q. What do you mean by "it could not"?

A. On what we just discussed.

1 - T.A. Williams -
2 If the system under
3 consideration doesn't necessarily need to
4 resolve the time of broadcast of a
5 particular song, then there's no need to
6 include that information either in the --
7 in the identifying information that was
8 broadcast or the context in which that
9 song was received.

10 Q. And that's relevant just to the
11 time of broadcast?

12 A. I'm sorry, you faded out there.

13 Q. Sorry. I keep moving from the
14 mike. That's relevant just to time of
15 broadcast; is that what you're saying?

16 MR. LUCAS: I'm just going to
17 object to the form of the question.

18 A. That's a hypothetical that we're
19 discussing.

20 Q. So if we were, then, to look at
21 the context of the song, for example, the
22 song that came before and after it, as we
23 spoke about earlier, it would also be your
24 opinion that that doesn't matter unless
25 the system specifically is designed to

1 - T.A. Williams -

2 look for that information?

3 MR. LUCAS: Objection to form.

4 A. My testimony is that that is a
5 mechanism to identify a specific instance
6 to a greater resolution than just
7 catalogue number in this hypothetical.

8 Q. So you would agree, then, that
9 both catalogue number and the context of
10 the song would be sufficient for "data
11 enabling the identification of a specific
12 instance" under claim 9 of the '081
13 Patent?

14 A. Are you asking if there's a
15 system that includes the context
16 information log with the catalogue number,
17 would that system be infringing the use of
18 claim 9, is that your question?

19 Q. No. I'm asking if you have a
20 catalogue number and the context of the
21 song as your other example, is that
22 sufficient in your opinion to be "data
23 enabling the identification of a specific
24 instance"?

25 A. In -- in an accused infringing

1 - T.A. Williams -

2 device?

3 Q. In general. Just looking
4 specifically at "data enabling the
5 identification of a specific instance."

6 A. Well, again, it depends on the
7 resolution of a specific instance that is
8 required by the system.

9 Q. "Specific instance" means
10 "specific occurrence" in the context of
11 the '081 and '405 Patents, correct?

12 MR. LUCAS: Objection to form.

13 A. Where do you see that? In my
14 opinion?

15 Q. I'm asking for your opinion,
16 Dr. Williams.

17 A. Where do you see that in my
18 report?

19 Q. I'm asking for your opinion now,
20 Dr. Williams.

21 A. I have not expressed that
22 opinion.

23 Q. Okay. Then, in your opinion,
24 the term "specific instance" means
25 "specific occurrence" in the context of

1 - T.A. Williams -

2 the '081 or '405 Patent?

3 MR. LUCAS: Objection to form;
4 misstates testimony.

5 A. I have not expressed that
6 opinion.

7 Q. Is it your opinion that the term
8 "specific instance" means "specific
9 occurrence" in the context of the '081 and
10 '405 Patent?

11 A. I have not reached a conclusion
12 on that subject.

13 Q. Okay. So you are unable to
14 identify an opinion today of whether
15 "specific instance" means "specific
16 occurrence" in the context of the '081 or
17 '405 Patent?

18 MR. LUCAS: Objection to form.

19 A. I've not expressed that opinion.

20 Q. What else can "instance" mean?

21 MR. LUCAS: Objection to form.

22 A. I don't understand the question.

23 Q. Well, what does "instance" mean
24 in your opinion?

25 MR. LUCAS: Objection; vague.

1 - T.A. Williams -

2 A. Well, we could bring out the
3 dictionaries.

4 Q. I'm asking for your opinion,
5 Dr. Williams.

6 MR. LUCAS: Same objection.

7 A. I have not expressed an opinion
8 on that.

9 Q. So you have no opinion sitting
10 here as to what "instance" means in the
11 context of the '081 and '405 Patent?

12 A. I've not expressed a particular
13 definition of the word "instance."

14 (721 IPR Exhibit 1004 previously
15 marked for identification, 28-page
16 document, titled, "United States
17 Patent, Patent No. 6,349,329 B1.")

18 BY MS. KIERNAN:

19 Q. Okay. I would like to show you
20 another document that is 721 IPR
21 Exhibit 1004.

22 Please let me know when you see
23 that in your Exhibit Share.

24 A. Say again, which one is it? Oh,
25 1004?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

Q. Yes.

(The witness reviewing computer screen.)

A. I have it.

Q. Do you recognize this document?

A. I do.

Q. And you reviewed this document in forming your opinions in your declaration for the '081 Patent, correct?

A. I did.

Q. And looking at -- well, let's just confirm. So in "identifying data enabling a specific instance" in this reference you identified the "cut code" and "station ID." Is that correct?

A. Yes.

Q. What is the "cut code"?

A. That would be the -- which -- it would be similar to a catalogue number. It would be the -- my understanding, it would be the -- whatever cut they played over the broadcast system.

Q. And what would be your understanding of a "cut"?

1 - T.A. Williams -

2 A. Sorry, say again.

3 Q. What would be your understanding
4 of a "cut"?

5 A. A portion of a video or a
6 portion of an audio that was presented to
7 the user.

8 Q. Okay. Thank you.
9 What are the "broadcast
10 segments"?

11 MR. LUCAS: Objection to form.

12 A. Don't understand the question.

13 Q. Well, I would just like to know
14 what your understanding of a "broadcast
15 segment" is.

16 A. Well, Mackintosh discusses this
17 at column line 57, 62, in column 10
18 line 32, 33 and column 22, 55 and 60 when
19 he said "supplemental materials can also
20 include advertising information that's
21 provided to the user during particular
22 segments of the broadcast material."

23 Q. So what is a "broadcast segment"
24 then?

25 A. Well, we could look at column 2,

1 - T.A. Williams -

2 line 43 to 56 --

3 (The witness reviewing computer
4 screen.)

5 A. -- where Mackintosh describes
6 segments as, for example, track or tracks.

7 Q. Okay. So your understanding of
8 "broadcast segment," then, is consistent
9 with the section in Mackintosh that you're
10 pointing me to?

11 MR. LUCAS: Objection; lack of
12 evidence.

13 A. That's an example of a
14 "segment."

15 Q. Okay. What other examples of
16 "segment" are you aware of?

17 MR. LUCAS: Objection; vague.

18 A. Well, Mackintosh says,
19 "according to one embodiment, the
20 broadcast materials delivered to the user
21 in segments such as, for example, tracks
22 of music, advertisements and promotional
23 materials in a radio broadcast."

24 Q. So in your opinion, a "segment"
25 would be a track of music in one example?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

MR. LUCAS: Objection to form.

A. That's what Mackintosh points to, yes.

Q. And do you agree with that statement in Mackintosh?

MR. LUCAS: Objection to form.

(The witness reviewing computer screen.)

A. Yes.

Q. And so what would be the difference, then, between a "cut" and a "segment"?

MR. LUCAS: Objection to form.

What's the relevance of broadcast segment to this IPR?

MS. KIERNAN: I'm sorry, is that a question for me, Eric?

MR. LUCAS: It is.

MS. KIERNAN: Well, we're talking about "broadcast" and Mackintosh uses the word "segment," so we're trying to determine the significance of broadcast segment.

MR. LUCAS: Why are we trying to

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

do that? Is that a claim term in the patent?

MS. KIERNAN: We don't have to explain this to you, Eric, in this point and time.

MR. LUCAS: If you're going to go beyond the scope of this IPR, I'm going to shut down the deposition.

If you can point me to the patent claims that we're challenging here then we can proceed.

MS. KIERNAN: You're telling me I cannot question him about broadcast segment in an IPR dealing with broadcasting?

MR. LUCAS: If you can't explain what the relevant term of broadcast segment is.

MS. KIERNAN: I'm going to continue, but if you would like to instruct him not to answer based on your relevance objection, you are welcome to do that, though.

MR. LUCAS: Also scope

1 - T.A. Williams -
2 objection.
3 MS. KIERNAN: Okay. That's
4 fine.
5 A. Is there a question pending?
6 Q. I don't believe there was.
7 A. Can I have the question, please?
8 Q. What would be the difference
9 between a "cut" and a "segment"?
10 MR. LUCAS: Objection to form.
11 A. I don't believe I have ever
12 opined on this subject.
13 Q. Okay. Sitting here today, what
14 would be your opinion of the difference
15 between "cut" and a "segment"?
16 MR. LUCAS: Objection to form.
17 A. I've not expressed that opinion
18 on that.
19 Q. So you're unable to express an
20 opinion sitting here today on the
21 difference between a "cut" and a
22 "segment"?
23 MR. LUCAS: Objection to form.
24 A. Well, I will note that
25 Mackintosh in column 9, line 12 to 14

1 - T.A. Williams -

2 talks about "cuts" or "segments" in the
3 same context.

4 Q. And what is your understanding
5 of using "cuts" and "segments" in the same
6 context in Mackintosh?

7 A. I've not used that in the
8 formation of my opinion.

9 Q. Okay. Well, sitting here today,
10 since you pointed me to it, what is your
11 understanding of "cuts" and "segments" as
12 used in column 9, line 14 in Mackintosh?

13 A. I have not expressed an opinion
14 on that.

15 Q. What is your opinion of "cuts"
16 or "segments" as used in column 9, line 14
17 of Mackintosh?

18 MR. LUCAS: Objection to form.

19 A. I don't have one at this time.

20 (721 IPR Exhibit 1003 previously
21 marked for identification,
22 multiple-page document, titled,
23 "DECLARATION OF TIM A. WILLIAMS, PhD
24 IN SUPPORT OF PETITION FOR INTER
25 PARTES REVIEW OF U.S. PATENT NO.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

8,166,081.")

BY MS. KIERNAN:

Q. Okay. Could you look at paragraph 68 of Exhibit 1003 in the 721 IPR, please. And let me know when you're there.

A. Paragraph number six-three.

Q. Six-eight.

A. Sixty -- I'm there.

Q. On page 30 is identified at the bottom of the page --

A. Wait. Page 30?

Q. Yes. -- paragraph 68.

A. Oh, 68.

Q. Yes.

(The witness complies.)

A. Okay. I'm there.

Q. Okay. And there is a line item that begins with "Mackintosh explains," do you see that?

(The witness reviewing computer screen.)

A. (No response.)

Q. It's about the fourth one down.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

A. On page 30?

Q. Yes.

A. Yes, I see it.

Q. Okay. And it says, "Mackintosh explains that the 'cut number can be a numeric or alphanumeric identification (ID) that identifies the particular cut,' and that each 'cut code correspond[s] to and uniquely identif[ies] a segment from the standpoint of the radio station.'"

Did I read that correctly?

A. You did.

Q. And you pointed me to column 9, line 14 of Mackintosh that used "cuts" and "segments" in the same instance previously, correct?

A. I did.

Q. But you did not form an opinion as the difference between "cut" and "segment" when identifying this particular quote in paragraph 68 of your declaration, correct?

MR. LUCAS: Objection to form.

A. That's correct. I didn't need

1 - T.A. Williams -

2 to.

3 Q. And why didn't you need to?

4 A. I didn't need to.

5 Q. Why not?

6 A. It doesn't -- it doesn't affect
7 my opinion one way or the other.

8 Q. What does it mean to "identify a
9 segment from the standpoint of a radio
10 station," in your opinion?

11 (The witness reviewing computer
12 screen.)

13 A. That the segment is identified
14 as it relates to the radio station.

15 Q. Okay. And what does it mean to
16 identify a segment as it related to a
17 radio station?

18 A. Exactly that.

19 Q. Can you explain it to me,
20 please. I'm missing the point.

21 A. I don't think I can explain it
22 any clearer.

23 Q. Okay. Well, so is it
24 identifying a segment of a specific radio
25 station?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

MR. LUCAS: Objection to form.

A. Well, in this sentence, the "radio station" would be -- would be a specific radio station.

Q. Okay. So you stated that it would mean identifying -- "segment is identified as it relates to the radio station," correct?

A. Yes.

Q. And so the segment is identified as to a specific radio station?

A. That's my interpretation of this sentence, yes.

Q. So we were talking about what a segment was earlier and one example you gave was a track of music in Mackintosh; is that fair to say?

A. My example that Mackintosh points to is "(e.g., tracks)," column 2.

Q. Okay. You agree that that is an example of a segment?

A. That's my understanding from reading Mackintosh.

Q. So can more than one song be

1 - T.A. Williams -

2 played in a segment?

3 (The witness reviewing computer
4 screen.)

5 A. I've not opined on this. I have
6 not opined on this.

7 Q. But sitting here today you
8 wouldn't be able to say whether more than
9 one song can be included in a segment?

10 A. How does this relate to my
11 declarations?

12 Q. That's not the question,
13 Dr. Williams. I'm asking you if sitting
14 here today you would be able to say more
15 than one song is included in a segment.

16 A. What part of my declarations
17 would you like clarification on?

18 Q. I would like clarification on
19 whether you believe that more than one
20 song can be included in a segment.

21 A. And where do you see that in my
22 declaration?

23 Q. It's irrelevant. The word
24 "segment" appears in your declaration and
25 I would like to know whether you believe

1 - T.A. Williams -
2 that more than one song can be included in
3 a segment.
4 A. And where do you see the
5 expression of that opinion in my
6 declarations?
7 Q. I'm asking for your opinion
8 today whether more than one song can be
9 included in a segment.
10 A. I've not expressed an opinion on
11 that.
12 Q. You're unable to express an
13 opinion sitting here today as to whether
14 one song can be included in a segment?
15 A. I've not expressed an opinion on
16 that subject.
17 Q. So, just to clarify, you are
18 unable to express an opinion sitting here
19 today as to whether more than one song can
20 be included in a segment?
21 A. I've not expressed an opinion on
22 that subject.
23 Q. Okay. How would Mackintosh's
24 cut code differentiate between a first and
25 second occurrence of a song?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

MR. LUCAS: Objection; vague.

(The witness reviewing computer screen.)

A. Can I have the question again, please?

Q. Yes. How would Mackintosh's cut code differentiate between a first and second occurrence in a song?

MR. LUCAS: Same objection.

A. As discussed previously, I don't believe that's a particular requirement of the claim. However, Mackintosh discusses other information that can be included in the communications along with cut numbers. So a -- context information as we have been discussing would uniquely identify the time of occurrence of the broadcast of a particular song.

Q. Can you point me to the section in Mackintosh that you're referring to?

A. Column 9 and 10.

Q. What specifically in column 9?

A. The entire column.

Q. So you're saying the entire

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

column 9 of Mackintosh explains additional information that's provided with the cut code?

A. Yes.

Q. And what specific additional information provided with the cut code would identify the first and second occurrence of the song in column 9?

MR. LUCAS: Objection to form.

A. Well, again, I don't think that's a requirement of the claim. But the information about the -- the -- the cut can be included in the broadcast.

Q. And what information about the cut can be included in the broadcast?

A. The information discussed in column 9 and 10.

Q. What information discussed in column 9 and 10 differentiates between a first and second occurrence of the song?

MR. LUCAS: Objection to Form.

A. Again, I don't believe that's a requirement in the claim so I've not expressed an opinion on that.

1 - T.A. Williams -

2 Q. Well, you pointed me to column 9
3 and 10. Where do column 9 and 10 describe
4 the timing of when a song is played?

5 (The witness reviewing computer
6 screen.)

7 A. What I said was, column 9 and 10
8 include descriptions of other information
9 that can be transmitted along with the cut
10 number in the broadcast.

11 Q. Okay. So what information and
12 description that can be included along
13 with the cut number and the broadcast
14 would identify the difference between a
15 first and second occurrence of the song?

16 A. Well, as we discussed many
17 times, I don't believe that's a
18 requirement in the claim and also we
19 discussed the context in which the design
20 would implement -- the infringing design
21 would implement the claims if that -- if
22 that resolution of the first and second
23 occurrence of the song were necessary.

24 The POSITA would understand that
25 the time and day would be an easy

1 - T.A. Williams -

2 differentiation between the first and
3 second occurrence of the song.

4 Q. Where does time and day occur in
5 columns 9 and 10 in Mackintosh?

6 A. It would occur in the
7 understanding of the POSITA reading
8 Mackintosh.

9 Q. So time and day does not appear
10 in columns 9 and 10 of Mackintosh as you
11 understand it?

12 A. The POSITA would understand that
13 the knowledge of the time and day would be
14 one piece of information that would allow
15 them to implement a system that
16 potentially would infringe claim 9 and
17 that would allow for the differentiation
18 of the first and second occurrence of a
19 song.

20 Q. I understand that's your
21 exception of what a POSITA would say. I
22 would like to know where in columns 9 and
23 10 that appears.

24 A. I don't understand the question.

25 Q. Well, Dr. Williams, you pointed

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

me to columns 9 and 10 as identifying information that can be provided with the cut code to identify the difference between a first and second occurrence of a song.

You've now said that one way to do so would be if the system could be implemented and distinguished between it would be time and day.

I would like to know where in Mackintosh columns 9 and 10 time and day appears.

A. I have not told you the time and day. Time and day occurs in column 9 and 10 in Mackintosh so I don't understand your question.

Q. Does time and day appear in columns 9 and 10 of Mackintosh, to your knowledge?

(The witness reviews computer screen.)

A. Well, the columns say what they say.

Q. Okay. So going back to my

1 - T.A. Williams -
2 earlier question, then, when you pointed
3 me to columns 9 and 10 as identifying
4 additional information that could be
5 included in with the cut code to
6 differentiate between a first and second
7 occurrence of a song, what specific
8 information in columns 9 and 10 are you
9 referring to?

10 (The witness reviewing computer
11 screen.)

12 A. Well, removing time and day,
13 column 9, lines 9 to 11, "the cut number
14 can include number or other alpha-numeric
15 designations assigned by the radio station
16 for recorded components that air on the
17 station."

18 So a station could increment the
19 cut number between the occurrence of the
20 first and second transmission of the song.

21 Q. What do you mean "a station
22 could implement a cut number"?

23 A. "Could increment the cut
24 number."

25 Q. So what do you mean by "a

1 - T.A. Williams -

2 station could increment the cut number
3 between the occurrence of the first and
4 second transmission of a song"?

5 A. Just that.

6 Q. Where does that appear in
7 Mackintosh?

8 A. This would be understood by
9 POSITA.

10 Q. So, to your knowledge, that does
11 not appear that you can increment a cut
12 number to differentiate between a first
13 and second occurrence in Mackintosh?

14 A. Mackintosh specifically adds
15 that "the cut number can include a number
16 or other alpha-numeric designation
17 assigned by the radio station for recorded
18 components that air on" that station --
19 "on their station."

20 So they can easily include a cut
21 number differentiation value in their
22 transmissions if they cared to.

23 Q. So you'd agree to distinguishing
24 two occurrences of the same song, you
25 would have to include different cut

1 - T.A. Williams -

2 numbers or a differentiation value of the
3 cut number?

4 A. Well, something would have to be
5 different. If you're strictly looking at
6 the cut number, something would have to be
7 different between the two occurrences of
8 the cut number to find a differentiation.
9 I think that's pretty clear.

10 Q. Okay. I'd like to move on to
11 paragraph 104 of your expert declaration
12 in 721 IPR. Would you let me know when
13 you're there.

14 (The witness complies.)

15 A. 124?

16 Q. 104.

17 A. One-zero-four, I'm there.

18 Q. Thank you. So 104,
19 paragraph 104 is discussing claim 10 of
20 the '081 Patent; is that correct?

21 (The witness reviewing computer
22 screen.)

23 Q. Or let me say that differently.
24 It's affecting Mackintosh in relation to
25 the claimed patent of the '081 Patent?

1 - T.A. Williams -

2 A. It is.

3 Q. And claim 10 of the '081 Patent
4 requires to limit the output of the first
5 and second media content based on a
6 criterion; is that correct?

7 A. It does.

8 Q. And you've identified
9 Mackintosh's "tuner button or knob" as the
10 output selection module; is that correct?

11 (The witness reviewing computer
12 screen.)

13 A. Yes.

14 Q. Okay. And in your opinion, that
15 tuner button or knob limits the output of
16 both the first and second media content;
17 is that correct?

18 A. Yes.

19 Q. What criteria does the tuner
20 button or knob change?

21 A. It changes the station that it's
22 being tuned to.

23 Q. And when it changes the station,
24 it then changes what media content is
25 output, correct?

1 - T.A. Williams -

2 A. Yes.

3 Q. So as an example in Mackintosh,
4 if you're listening to channel A and you
5 change the channel to channel B, it would
6 change both the song or advertisement
7 being played as well as the buy now ticket
8 sales or other secondary content, correct?

9 A. That's my understanding.

10 Q. Okay. Thank you.

11 The device that you've
12 identified in Mackintosh is the, quote,
13 "computer," which I understand you to mean
14 the user terminal as well as "computer
15 system 702"; is that correct?

16 A. What part are you asking that?

17 Q. I'm sorry, I saw it as a
18 footnote in the petition but give me a
19 moment and I will find it for you.

20 It's paragraph 61 of your
21 declaration.

22 A. Six-one?

23 Q. Yes.

24 (The witness complies.)

25 A. Yes, I'm on 61.

1 - T.A. Williams -

2 Q. So just to clarify, when you're
3 referring to computer in your declaration
4 in relation to Mackintosh, you're
5 referring to both the "user terminal 212"
6 and the "computer system 702," correct?

7 A. Yes.

8 Q. So in Mackintosh, if the
9 computer is not capable of receiving
10 and/or presenting one of the media
11 contents, there's no other device that
12 could be used to then receive and/or
13 present that media content, correct?

14 MR. LUCAS: Objection to form.

15 A. In the hypothetical infringing
16 device or in Mackintosh's disclosure?

17 Q. In Mackintosh's disclosure.

18 (The witness reviewing computer
19 screen.)

20 A. So you're saying we are going to
21 remove capabilities that are disclosed by
22 Mackintosh?

23 Q. No.

24 A. Disable capabilities that are
25 described by Mackintosh, is it still

1 - T.A. Williams -

2 capable of performing its tasks? I don't
3 understand the question.

4 Q. So I'm asking in the instance
5 where Mackintosh is not capable for
6 bandwidth reasons or location reasons of
7 presenting a second media content or first
8 media content, there is no other device in
9 Mackintosh that could be used to present
10 either one of those contents, correct?

11 MR. LUCAS: Objection to form.

12 A. I've not considered that
13 question.

14 Q. So sitting here today, you're
15 not able to identify whether or not
16 Mackintosh has a second device that could
17 be used to present media content if the
18 computer in Mackintosh was incapable of
19 presenting or receiving it?

20 A. My guess is way outside the
21 scope of what I testified as to.

22 Q. Well, I'm asking today, sitting
23 here today, whether or not you could
24 identify a second device in Mackintosh
25 that is capable of presenting or receiving

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

media content when the computer in
Mackintosh is incapable of doing so?

MR. LUCAS: Objection to form.

A. I understand -- I understand
what you're asking. Again, I haven't
expressed an opinion on that.

(721 IPR Exhibit 1005 previously
marked for identification, 49-page
document, titled, "United States
Patent Application Publication," dated
November 24, 2005.)

BY MS. KIERNAN:

Q. I am going to move on to another
document. I'd like to show you
Exhibit 1005 from the 721 IPR. Can you
let me know when you receive it and have
it downloaded?

(The witness complies.)

A. (Laughter.) Logged me out.
Okay. It's surging.

Q. Thank you.

A. Okay. Which document again?

Q. It should say 721 IPR
Exhibit 1005.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

A. DeWeese?

Q. Yes.

A. Okay, I have it.

Q. Do you recognize this document?

A. I do.

Q. And you reviewed this document
in forming your opinions about the '081
Patent?

A. I did.

Q. Claim 9 of the '081 Patent, as
we've discussed, requires receiving the
second media content discretely from the
first media content; is that correct?

(The witness reviewing computer
screen.)

A. I believe you read that
correctly.

Q. Okay, thank you.

And you've identified and
believe the television programming as the
first media content; is that correct?

(The witness reviewing computer
screen.)

A. Yes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

Q. And, similarly, you've identified the real-time communication and chat request in DeWeese as the second media content; is that correct?

A. Yes.

Q. Can you turn to paragraph 133 of your declaration for the 721 IPR, please.

A. I'm there.

Q. Okay. And the paragraph starts with, "In my opinion a POSITA would have understood that 'DOCSIS'" -- D-O-C-S-I-S -- "refers to the 'Data-Over-Cable-Service-Interface-Specification' and that the DOCSIS modem receives television and radio broadcasts over the same physical transmission medium with Internet Protocol ('IP'), data traffic, such as real-time communication."

Do you see that?

A. I do.

Q. Did I read that correctly?

A. You did.

Q. So am I correct in understanding that you're stating here that the DOCSIS

1 - T.A. Williams -

2 modem received the television and radio
3 broadcasts?

4 A. That's part of the receiver,
5 yes.

6 Q. But the DOCSIS modem, as part of
7 the receiver, receives the television and
8 radio broadcasts?

9 A. The reception process is not
10 complete at the output of the DOCSIS
11 modem, if that's your implication.

12 Q. No. I think my question is a
13 little bit simpler.

14 The DOCSIS modem receives the
15 television and radio broadcasting; is that
16 correct?

17 A. The DOCSIS modem is a part of
18 the receiver that is receiving media over
19 the same physical transmission medium.

20 Q. Okay. So what would be the
21 larger receiver if the DOCSIS modem is a
22 part of?

23 A. The last we discussed earlier
24 today, the receiver is the thing --
25 hardware and software that takes

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

information that's been modulated onto a carrier or a medium and allows that to be -- that information to be presented to the user. So the entire process of taking information off of that, in this case the cable, and demodulating it, depacketizing it, putting it into a format that can be used by the user, that's the receiver's tasks.

Those tasks are performed with the use of the DOCSIS modem and other hardware and software.

Q. So what in DeWeese would be the receiver that the DOCSIS modem is a part of?

(The witness reviewing computer screen.)

A. The set-top box 26 -- element 26.

Q. So this top box 26 is the receiver that receives the television and radio broadcasts in DeWeese?

(The witness reviewing computer screen.)

1 - T.A. Williams -

2 A. Can I have the question again,
3 please?

4 Q. Yes. So this top box 26 is the
5 receiver that received the television and
6 radio broadcasts in DeWeese, correct?

7 A. Yes.

8 Q. Turning back to paragraph 133,
9 as you mentioned, and as I read, "The
10 'DOCSIS modem' receives television and
11 radio broadcasts over the same physical
12 transmission medium with Internet
13 Protocols, data traffic, such as real-time
14 communications."

15 Does the DOCSIS modem also
16 receive real-time communications?

17 (The witness reviewing computer
18 screen.)

19 A. Yes.

20 Q. And it receives the real-time
21 communication as a part of the larger
22 receiver at top box 26?

23 (The witness reviewing computer
24 screen.)

25 A. Yes.

1 - T.A. Williams -

2 Q. So is it fair to say, then, that
3 the receiver that receives real-time
4 communication is that top box 26?

5 MR. LUCAS: Objection to form.

6 A. It's those elements within
7 set-top box 26 that caused the -- the
8 information that's been modulated onto the
9 cable to be demodulated and presented to
10 the user. So hardware and software
11 elements.

12 Q. So it's the hardware and
13 software within that top box 26 that
14 received the television programming and
15 the real-time communication; is that fair
16 to say?

17 A. Yes.

18 Q. Turning back to paragraph 133 in
19 this declaration, in the declaration, you
20 talk about the "DOCSIS standard requires
21 that each packet include a 'header.'"

22 What is a "header"?

23 A. A header is well-understood in
24 the art. It's -- it's a piece of address
25 information -- is the easiest way to think

1 - T.A. Williams -
2 about it -- it can include other things
3 but typically it's -- it's -- you can
4 think about it in terms of the -- the
5 address that I put on the outside of an
6 envelope; it includes where the
7 information is going and includes the
8 sender information.

9 Q. Okay. So the header, in a very
10 generic sense, identifies where the
11 information is going within the receiver
12 or the device?

13 A. So they -- the received header
14 includes the source of the information,
15 includes the intended designation of the
16 information and includes the type of
17 payload, so that's the differential
18 between -- as I show at the top of
19 page 69 -- a designation when the payload
20 is video or IPD information.

21 Q. So the header includes where it
22 came from, where it's going and the
23 payload?

24 A. Yes, the payload category, yes.

25 Q. Okay.

1 - T.A. Williams -

2 A. The payload is included in the
3 body but the payload category would be in
4 the header.

5 Q. Okay. Thank you for that
6 clarification.

7 Can you turn to paragraph 152 of
8 your declaration, please. One-five-two.

9 A. I have it.

10 Q. At paragraph 152, you say that
11 "a POSITA would have understood that
12 DeWeese's 'set-top box' includes a channel
13 tuner, which corresponds to the claimed
14 'output selection module.'"

15 Did I read that correctly?

16 A. You did.

17 Q. So it's your opinion that the
18 channel tuner for set-top box 26 in
19 DeWeese would be the output selection
20 module?

21 A. It is.

22 Q. Okay. And going back to our
23 discussion of claim 10 with Mackintosh, we
24 discussed that claim 10 requires to limit
25 the output of the first and second media

1 - T.A. Williams -

2 content, correct?

3 A. Yes.

4 Q. How would the channel tuner
5 limit the output of the first and second
6 media content?

7 A. I discuss that in paragraph 151.
8 If the set-top box is changed to
9 a particular channel, the user would
10 experience the video that's provided on
11 that channel as well as the chat
12 associated with that video.

13 Q. And so the criteria that the
14 channel tuner changes would be the
15 channel; is that correct?

16 (The witness reviews computer
17 screen.)

18 A. Yes. In this case -- example.

19 Q. Thank you. I'm sorry,
20 Dr. Williams.

21 So similar to Mackintosh then,
22 when DeWeese changes its channel, it is
23 changing what media content is presented,
24 correct?

25 A. Yes. As well as the chat

1 - T.A. Williams -

2 information.

3 Q. So it's changing both the
4 television programming, what television is
5 presented and what chat is available?

6 A. You said "what television is
7 presented." So what video is presented,
8 yes.

9 Q. Yes, okay. Thank you.

10 So if the television in DeWeese
11 is not capable of presenting either the
12 television program or the real-time
13 communication and chat requests, there is
14 no other device in DeWeese capable of
15 presenting that media content, correct?

16 MR. LUCAS: Objection to form.

17 (The witness reviewing computer
18 screen.)

19 A. I've not expressed that opinion.

20 Q. So sitting here today, you're
21 not able to offer an opinion as to whether
22 there is a second device in DeWeese that
23 would be capable of presenting media
24 content that the television device in
25 DeWeese is incapable of presenting?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

MR. LUCAS: Objection to form.

A. I've not opined on that question.

Q. Okay. I'd like to go back to the claim of the '081 Patent.

If you could pull that up onto the screen.

A. Yes.

Q. Okay. So claim 9 requires a first receiver module in the first element and the second element lists a second receiver module; is that correct?

A. It does.

Q. Is it your opinion that those receiver modules are different modules?

MR. LUCAS: Objection to form.

A. Don't understand the question.

Q. Okay. Is the first receiver module in claim 9 the same as the second receiver module in claim 9 of the '081 Patent?

MR. LUCAS: Objection to form.

A. You mean, physically?

Q. Yes.

1 - T.A. Williams -

2 A. No.

3 Q. And so then they are separate
4 devices physically?

5 MR. LUCAS: Objection to form.

6 A. No.

7 Q. Why not?

8 A. To the extent that you're
9 thinking of separate metal boxes, not
10 necessarily.

11 So the way to look at this is
12 that first receiver module has a specific
13 task to do which is to -- to receive --
14 which we talked about before which is
15 bringing the -- the information that's
16 been modulated down to the presentation
17 level to the user of the first media
18 content. And the second receiver has a
19 job to do, which is bringing that
20 information of the second media down to
21 the point where it is presented to the
22 user and involves -- both of these tasks
23 involve hardware and software.

24 The hardware will be -- the
25 hardware and software will be unique in --

1 - T.A. Williams -
2 in these receivers. So different
3 resources would be applied to -- to each
4 receiver typically.

5 Q. Well, if you look at claim 9,
6 the limitation beginning with an output
7 system, "the output system is configured
8 to present concurrently the first media
9 content and the second media content on an
10 output of the first receiver module or the
11 second receiver module."

12 Doesn't that mean that the
13 receiver module has to be separate
14 devices?

15 MR. LUCAS: Objection to form.

16 A. No.

17 Q. Why not?

18 A. Because as we discussed before,
19 a single display could display the output
20 of a first receiver module and the second
21 receiver module and physically be the same
22 display but in terms of software the --
23 there's a distinction -- the software,
24 there's a distinction between the regions
25 of the display.

1 - T.A. Williams -

2 Q. But the language uses "output of
3 the first receiver module or the second
4 receiver module," correct?

5 A. Yes.

6 Q. So it's differentiating between
7 the output of the first receiver module
8 and the output of the second receiver
9 module?

10 A. Well, again -- I interpret that
11 as "and/or," but even in the "or" case
12 the -- the second receiver module could
13 include a display and the first and second
14 media content could be presented on that
15 display to the user.

16 Q. And why do you interpret this as
17 an "and/or"?

18 A. Because in the instance of
19 playing a song over the speakers and
20 viewing an advertisement on the display of
21 a radio, those would be concurrently
22 occurring and they would be on the outputs
23 of both modules.

24 The media would be presented
25 concurrently on the outputs that either

1 - T.A. Williams -
2 module -- but both modules at the same
3 time.

4 But if you want to limit the
5 claim to 4, then the straightforward
6 example is that the second receiver
7 includes a display in which both the first
8 media content and the second media content
9 are displayed.

10 Q. Does your report anywhere say
11 that, that you're using "and/or" in this
12 limitation of claim 9 of the '081 Patent?

13 A. Not that I recall. But I
14 believe it's -- I believe I expressed my
15 opinions regarding even -- even the
16 situation in which is only "or."

17 Q. And so just to clarify, in the
18 situation in which it's only "or," the
19 second receiver module could have its own
20 display that displays both the first and
21 second media content, correct?

22 A. That's an example, yes.

23 Q. Another example would be that
24 the second receiver module has its own
25 display and speakers?

1 - T.A. Williams -

2 A. Sorry, you faded.

3 Q. Another example would be that
4 the second receiver module could have its
5 own display and speakers, correct?

6 A. Yes.

7 Q. And the '081 Patent actually
8 contemplates a second device with its own
9 display and speakers, correct?

10 A. What are you referring to?

11 Q. The '081 Patent, column 14,
12 beginning at line 27.

13 (The witness reviewing computer
14 screen.)

15 Q. Let me know when you're there,
16 please.

17 A. I'm there.

18 I'm there. Could I have the
19 question?

20 Q. Yes. I might have given you the
21 wrong numbers, just a second -- oh, no.

22 What does -- does your 27 say,
23 "Validation is confirmed"?

24 A. 26 says, "Validation is
25 confirmed."

1 - T.A. Williams -

2 Q. So at the end of 27 beginning
3 with the word "next" --

4 A. Yes.

5 Q. -- the '081 Patent reads, "Next,
6 the primary device 4 and/or ancillary
7 device 5 present the advertisement signal
8 media signal 113 at the same time or
9 nearly the same time as the first media
10 signal 111 is presented to the user of
11 primary device 4 and/or ancillary device
12 5."

13 Do you see that?

14 A. I do.

15 Q. So there's three ways in which a
16 presentation can occur under this
17 sentence. The first would be that the
18 primary device 4 presents both media
19 content, do you agree with that?

20 MR. LUCAS: Objection to form.

21 (The witness reviewing computer
22 screen.)

23 A. Yes.

24 Q. Okay. And the second way to
25 read that would be that the primary device

1 - T.A. Williams -
2 receives one of the signals and presents
3 it and the ancillary device presents the
4 other content; is that correct?
5 (The witness reviewing computer
6 screen.)
7 MR. LUCAS: Objection to form.
8 A. Well, it doesn't talk about
9 perception. We're looking at the
10 presentation here. So could you clarify
11 your question, please?
12 Q. Yes, absolutely.
13 So the second reading of this
14 sentence would be that the primary device
15 presents one media signal and the
16 ancillary device presents the other media
17 signal, correct?
18 (The witness reviewing computer
19 screen.)
20 A. That's the way I read the
21 sentence, yes.
22 Q. Okay. But the third way of
23 reading the sentence as well is that the
24 ancillary device presents both the
25 advertisement media signal and the first

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -
media signal, correct?
A. That's the way I read the sentence.
Q. Okay.
A. So this is an embodiment described in the spec.
Q. So the '081 Patent does contemplate a second device, the ancillary device, capable of presenting both the first and second media content, correct?
A. It discusses an embodiment in which that would be true, yes.
MS. KIERNAN: Eric, I'm going to be moving on to the '405 Patent. It sounds like this is a good stopping point for you and Dr. Williams.
MR. LUCAS: Okay. Sounds good.
THE WITNESS: Good time for lunch.
(Discussion off the record.)
MS. KIERNAN: We will come back at --
THE WITNESS: 1:15.
MS. KIERNAN: -- 1:15. Thank

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -
you, Dr. Williams.
(Time noted: 3:33 p.m.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

A F T E R N O O N S E S S I O N
4:19 p.m.

T I M A R T H U R
W I L L I A M S, P h D.,
resumed, having been previously duly
sworn, was examined and testified further
as follows:

(720 IPR Exhibit 1003 previously
marked for identification,
"DECLARATION OF TIM A. WILLIAMS, PhD,
IN SUPPORT OF PETITION FOR INTER
PARTES REVIEW OF U.S. PATENT NO.
9,355,405.")

CONTINUED EXAMINATION
BY MS. KIERNAN:

Q. Dr. Williams, can I have you
open the 721 IPR Exhibit 1003 -- I'm
sorry, 720 IPR your declaration, please.

A. Yes.

Q. And if you could turn to
paragraph nine-six.

A. Yes.

Q. I would just like to clarify

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

what you consider to be the second media content.

So paragraph 96 says, "In my opinion, a POSITA would have understood that the advertisement-related data sent by 'Internet gateway 30' represented by 'action button labels' and/or 'advertising logos' on the display of Lee's 'multimedia device 20' - correspond to the second media content."

Did I read that correctly?

A. You did.

Q. So I read this as having two kinds of options. The first would be the advertising logos; is that correct?

A. And/or, yes.

Q. And the second is the data represented by the "action button labels"; is that correct?

A. Yes.

Q. How is the data that represents the action button labels received in Lee?

A. So, for example, a POSITA would understand that you would receive a URL,

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

for example, for a purchase indication and the software would -- in Lee's device would recognize that as a purchase process and put up the text on the display of "BUY" next to a button.

Q. And you said a POSITA would understand that. Where in Lee would indicate that to a POSITA?

A. Well, the display, for example, at 174 in Figure 2 would not have enough text capability to display an entire URL to the user. That URL would be confusing. But the text of "BUY," as I illustrate on page 40, would be more user-friendly. So that's a representative of the information that was received over the second media channel.

Q. And where is the second media channel, in your opinion?

(The witness reviewing computer screen.)

A. So the second media channel of the second media content is discussed in paragraph 97 of my report.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

Q. Where do you see it say, "second media channel" in paragraph 97 of your report?

A. The second media content would be communicated to the receiver over the second media channel.

Q. Okay. But "second media channel" does not itself verbatim appear in that paragraph, correct?

A. Correct. Correct.

Q. Okay.

A. But POSITA discuss channels.

Q. What is the second receiver channel, then, since it's not stated here in paragraph 97? Is there a portion of Lee that would identify that?

A. No. I use the word "channel" as a POSITA -- as a mechanism that caused the information of the second media content to be conveyed to the receiver -- to the user.

Q. Thank you for that clarification.

So what would be the mechanism

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

in Lee that causes the data or the action button label to be conveyed to the user?

A. It is the transmission of that second media content and the reception of that second media content into the multimedia device 20 of Lee and the subsequent processing of that device to prepare for its presentation to the user which in my example on page 40 includes the word "BUY" next to a button on the display.

Q. Can you turn to paragraph 93 for me, please.

(The witness complies.)

A. Yes?

Q. And you see there that it says, beginning on the second line, "it is my opinion that a POSITA would have understood that data are sent by Lee's 'Internet gateway 30.'"

Did I read that correctly?

A. You did.

Q. So are you saying here that Lee's Internet gateway 30 is the mechanism

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

that causes the multimedia device 20 to receive the data for the action button?

A. Yes.

(720 IPR Exhibit 1004 previously marked for identification, 15-page document, titled, "United States Patent, Patent No. 6,374,177 B1.")

BY MS. KIERNAN:

Q. I'd like to show you a document that was previously marked in these proceedings which is going to be the 720 IPR Exhibit 1004.

Will you please let me know when you receive that in your Exhibit Share folder.

(The witness reviewing computer screen.)

A. 720, Exhibit 1004 is Lee. Okay.

Q. Do you have that document opened, Doctor?

A. I do.

Q. Do you recognize this document?

A. I do.

Q. Did you review this document in

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

forming your opinion related to the '405 Patent?

A. I did.

Q. Can you please go to column 6 at line 9.

A. Yes.

Q. The paragraph starts, "The Internet gateway network 30 is designed to transmit and receive critical information to and from a multimedia device 20"; is that correct?

A. In the vehicle 184, Figure 3, yes.

Q. And then following that it explains a list of what the information preferably includes. Is that correct?

(The witness reviewing computer screen.)

A. Yes.

Q. And so data for illuminating the "BUY" and "INFO" button appear in this information preferably included on the Internet gateway network 30?

MR. LUCAS: Objection to form.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

A. These are just examples of information that can be provided by the gateway.

Q. Okay. But in these examples, data for illuminating the "BUY" and "INFO" button does not appear, correct?

A. It's not typed in that paragraph, no.

Q. Can you point me to somewhere in Lee that explains that the data for illuminating the "BUY" and "INFO" button is transmitted over the Internet gateway network 30?

A. That's not my opinion.

Q. I'm sorry, Dr. Williams, but in paragraph 93 you say that it's your opinion that a POSITA would have understood that data are sent by Lee's Internet gateway 30.

Can you point me to where in Lee it would suggest to a POSITA that a date for illuminating the "BUY" and "INFO" button is sent by Lee's Internet gateway 30?

1 - T.A. Williams -

2 A. Well, I discuss that in the rest
3 of this paragraph so I'm not sure what
4 your confusion is.

5 Q. Well, I would like you to point
6 it out to me in Lee sitting here today,
7 please.

8 A. In Exhibit 1004, column 11,
9 line 16 to 33.

10 Q. And what in column 11, line 16
11 to 33 suggests to a POSITA that the data
12 for illuminating the "BUY" and "INFO"
13 button is sent over Internet gateway 30?

14 A. Well, again, you're
15 misrepresenting my opinion.

16 Q. And what is your opinion,
17 Dr. Williams?

18 A. That the "BUY" and "INFO"
19 buttons aren't -- the "BUY" text isn't
20 necessarily transmitted from Internet
21 gateway 30.

22 Q. Correct. It's the data for
23 illuminating the "BUY" and "INFO" button
24 that is transmitted over Internet gateway
25 30, correct?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

(The witness reviewing computer screen.)

A. Lee had contended in lines 20 to 30 -- it says, "action button labels and purpose may change from program to program." Above it indicates that its current function -- some examples of action buttons would include "INFO" or "BUY" to purchase an item being advertised.

Lee doesn't restrict where that text of "BUY" or "INFO" comes from so your questions imply that the text "BUY" and text "INFO" have to be projected by the Internet gateway 30 is not necessarily a restriction.

Q. So there's no restriction in Lee that the data for illuminating the "BUY" and "INFO" buttons come over or be sent by the Internet gateway 30, in your opinion?

MR. LUCAS: Objection to form.

(The witness reviewing computer screen.)

A. Can I have the question again,

1 - T.A. Williams -

2 please?

3 Q. So there's no restriction in Lee
4 that the data for illuminating the "BUY"
5 and "INFO" button be sent by the Internet
6 gateway 30, in your opinion?

7 MR. LUCAS: Objection to form.

8 A. That's not my opinion.

9 Q. Okay. Well, you pointed me to
10 column 10 of Lee and stated that column 10
11 doesn't restrict how the data for the
12 "INFO" and "BUY" button is received. Did
13 I misunderstand that?

14 A. I don't believe so.

15 Q. So if -- if Lee does not
16 restrict how the data illuminating the
17 "INFO" and "BUY" button is received, then
18 it does not have to come over Internet
19 gateway 30; isn't that correct?

20 MR. LUCAS: Objection to form.

21 A. That's not my opinion. You
22 could say data illuminating and what I'm
23 saying is the text, B-U-Y, doesn't
24 necessarily have to be transmitted from
25 the Internet gateway 30 for each and every

1 - T.A. Williams -

2 occurrence of a purchase --
3 purchase-capable action.

4 Q. Okay. So, then, where does the
5 data that illuminates the "BUY" and "INFO"
6 button come from in Lee?

7 A. You keep using the word
8 "illuminate." What do you mean by
9 "illuminate"?

10 Q. I meant the data that would make
11 it possible for the "BUY" and "INFO"
12 button to appear, but I will amend that to
13 say the data represented by action button
14 labels come from.

15 A. Can I have a clean question,
16 please?

17 Q. Where does the data represented
18 by the action button labels come from in
19 Lee?

20 A. It is transmitted by the gateway
21 network 30.

22 Q. And what in Lee suggests that it
23 is transmitted by the gateway 30?

24 (The witness reviewing computer
25 screen.)

1 - T.A. Williams -

2 A. In paragraph 90 of my report I
3 quote Lee saying at the very bottom of
4 page 38, "gateway 30 is designed to
5 provide wireless Internet access to
6 multimedia device 20 in the vehicle,
7 enhance regular audio broadcast with
8 extended information, and provide
9 personalized broadcast information and
10 applications to the vehicle."

11 This explains that the
12 information -- Internet gateway is the
13 thing that's sending information to
14 multimedia device 20.

15 Q. Doesn't multimedia device 20
16 also receive AM/FM and TV audio broadcast?

17 A. Yes.

18 Q. Is that similarly received over
19 the Internet gateway network 30?

20 A. In Lee?

21 Q. Yes, in Lee.

22 A. Lee talks about -- if you scroll
23 up to the top of page 38 -- Lee talks
24 about AM and FM and TV, audio broadcasts
25 and digital audio broadcasts in the Band

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

III, L-Band and the S-Band in element 42.
And those are received by the different
processes than would be received by --
than would be received by an IP-capable
receiver.

Q. Would it have been possible to
send the advertising data on a subcarrier
signal as the broadcast signal?

MR. LUCAS: Objection to form.

A. Well, subcarrier signals are
very bandwidth limited so it depends on
what type of information we're talking
about. The characteristics of rich
experience advertisement data would be
difficult to provide over a subband
carrier.

Q. Why do you say it's difficult to
provide the rich experience advertisement
data over a subband carrier? It's not
impossible, is it?

MR. LUCAS: Objection to form.

A. Well, exactly what are we
talking about in terms of advertising
data?

1 - T.A. Williams -

2 Q. Well, in the context of your
3 declaration, you have identified the data
4 that is represented by the "BUY" and
5 "INFO" buttons.

6 Would you agree that the data
7 causing the device's computer program to
8 create or show the "BUY" or "INFO" button
9 could be tasked to the device subcarrier
10 signal?

11 MR. LUCAS: Objection to form.

12 (The witness reviewing computer
13 screen.)

14 A. Well, the -- the subcarrier
15 channel is capable of conveying
16 information to the extent that the
17 information received over that channel
18 meets your criteria for your question.
19 That information could be used in a
20 variety of ways.

21 Q. And by the "information that
22 meets the criteria" in my question, you
23 mean the information or data that would
24 cause the device to display or show the
25 "BUY" or "INFO" button?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

(The witness reviewing computer screen.)

A. If I understand your hypothetical, that -- that could possibly occur.

Q. Okay.

A. But it's one example.

Q. So one example of data that could be carried over the subcarrier would be data causing the device's computer to display the "BUY" or "INFO" button, correct?

MR. LUCAS: Objection to form.

(The witness reviewing computer screen.)

A. If you build a system such that the uniquely identifying data were carried over the RBDS channel and would qualify a second media content, then you could build a system in which that second media channel caused the display of the "BUY" or "INFO" buttons on the display.

Q. Well, looking at the Lee system, there is -- there's nothing in Lee that

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

says that the data that is represented by the "BUY" and "INFO" button could not be sent by the subcarrier signal, correct?

MR. LUCAS: Objection to form.

(The witness reviewing computer screen.)

A. Well, testifying as to what's not there is difficult. So I'm not sure I understand your question.

Q. To your knowledge, is there anything in Lee that says that the data that is represented by the "BUY" and "INFO" button could not be sent by the subcarrier signal?

MR. LUCAS: Objection to form.

A. So in your hypothetical how would the response transmission be correlated to the RBDS transmission?

Q. I'm not asking about the response transmission. I'm asking about the multimedia device receiving the data that causes the "BUY" or "INFO" button to be displayed. And I would like to know if there is anything in Lee, to your

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

knowledge, that says that the data that is represented by the "BUY" and "INFO" button could not be sent by the subcarrier signal?

MR. LUCAS: Objection to form.

A. And my clarifying question is, it seems to me like your hypothetical system would not operate correctly because there would have to be a correlation between the transmission of the action button indication to the RBDS transmission. And I'm -- I'm not sure how that would occur. So I think your system would not perform correctly in your hypothetical.

Q. But just to clarify, Lee does not explicitly state that the data represented by the "BUY" and "INFO" button is sent by the Internet gateway 30, correct?

MR. LUCAS: Objection to form.

A. What part of Lee are you asking about?

Q. I'm asking about your opinion of

1 - T.A. Williams -

2 Lee in general.

3 A. Well, saying what's not
4 described in Lee is very difficult. But I
5 consider in your hypothetical the -- I
6 would say a POSITA would not seek to
7 operate the system the way you're
8 describing because I don't think it would
9 work.

10 Q. Well, I'm not referring to my
11 hypothetical. I'm referring to your
12 opinion that the data represented by the
13 "BUY" and "INFO" button is sent to the
14 multimedia device by the Internet gateway.
15 And I would like to ask you, does Lee
16 explicitly state that that data is sent by
17 the Internet gateway 30?

18 MR. LUCAS: Objection to form.

19 (The witness reviewing computer
20 screen.)

21 A. I discuss this in paragraphs 90
22 and 91 of my report.

23 Q. Okay. And in paragraph 90 and
24 91 in your report, just to be clear, there
25 is no language from Lee that states that

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

the data represented by the "BUY" and "INFO" button is sent by Internet gateway network 30, correct?

MR. LUCAS: Objection; misstates the declaration.

A. Well, I have two answers, that one is in the Lee's disclosure that way, the other thing in my paragraph 91, I point out that Lee talks about the Internet gateway is sending data that would cause the display of info buttons, call buttons, snap buttons and NAV buttons. So the only -- the only communication record that is described in Lee which connects to Internet data is the gateway communication unit of device 20.

Q. And in paragraph 91 you cite to Lee at column 10, line 20 to 30, correct?

A. Right.

Q. And column 10, lines 20 to 30 of Lee do not include the words "Internet gateway 30" in that order, correct?

A. It's not typed there, no.

Q. Thank you. Could you please

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

turn to paragraph one-zero-eight of your
declaration.

(The witness complies.)

A. Yes.

Q. And so in 108 you opine that "a
POSITA would have understood that 'the
date and time of the button press,' and
'the channel selected' correspond to the
claimed 'uniquely identifying data
specific to at least the second media
content.'"

Did I read that correctly?

A. You did.

Q. Okay. And so I would just like
to understand how this works in your
opinion.

So in Lee there is "BUY" and
"INFO" buttons displayed during an
advertisement when it receives the kind of
data to do so; is that correct?

A. I didn't hear the last part of
that question but I believe that's
correct.

Q. Okay. And when the user presses

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

the button, Lee captures the date and time
the button is pressed, correct?

(The witness reviewing computer
screen.)

A. It can.

Q. And it can also record or
somehow keep track of the channel that was
selected when the button was pressed,
correct?

A. Lee's device 20 has that
information available to it, yes.

Q. So if I were to push the "BUY"
button right now at 1:58 p.m. Pacific time
for you, 1:58 p.m. Pacific time would be
the date and time of the button press,
more or less, correct?

MR. LUCAS: Objection to form.

A. It would be the time, yes.

Q. Okay. If instead of pushing the
"BUY" button I pushed the "INFO" button at
1:58 p.m. Pacific time, would 1:58 p.m.
Pacific time also be the time of the
button press?

MR. LUCAS: Objection to form.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

A. You're asking if you pushed two buttons at the same time?

Q. No, if I had instead pressed the "INFO" button.

A. Hmm...I guess. I don't completely understand your question.

Q. Okay. So let's do it differently. So if I press the "BUY" button at 2:00 p.m., 2:00 p.m. is the time that will be recorded, correct?

A. It can be, yes.

Q. Okay.

A. That information -- that information is available to Lee's device 20.

Q. Okay. And if instead of pressing the "BUY" button at 2:00 p.m., I press the "INFO" button at 2:00 p.m., just the "INFO" button, 2:00 p.m. would be the time recorded for the "INFO" button press, correct?

A. It could be.

Q. Would the channel be the same if I pressed the "BUY" button and the "INFO"

1 - T.A. Williams -

2 button?

3 MR. LUCAS: Objection to form.

4 A. The channel? I don't understand
5 your question.

6 Q. So you state here that "the
7 'channel selected' is one of the 'uniquely
8 identifying data specific to at least the
9 second media content,'" correct?

10 A. Yes.

11 Q. So when you press the button,
12 the channel selected is available for the
13 multimedia device 20, correct?

14 A. That knowledge is present in
15 device 20, yes.

16 Q. And if you press the "BUY"
17 button during advertisement A, you're
18 going to get the channel selected that
19 advertisement A is being broadcast on,
20 correct?

21 A. I don't know what you mean,
22 "you're going to get," but the
23 information in the device 20 understands
24 the channel that's being provided, it
25 understands that -- a date and time and it

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

understands the press of the button. So these three pieces of information are -- can be used to uniquely identify the data specific to at least the second media content.

Q. What is the "time and date" and "channel" selected used for?

A. Where?

Q. In Lee.

A. It's disclosed. These pieces of information are disclosed in Lee and they -- these pieces of information can be used to uniquely identify data specific to at least the second media content to whatever given resolution you would like to consider.

Q. Okay. Going back to the button press itself, you say in paragraph 108 that "'the date and time of the button press,' and the 'channel selected' is the 'uniquely identifying data specific to at least the second media content,'" correct?

A. They correspond to it, yes.

Q. Okay. How would the "time and

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

date" of the button press and the "channel selected" differ if the user pressed the "BUY" button versus pushing the "INFO" button?

MR. LUCAS: Objection to form.

A. The button press information would be different.

Q. And where does it say that the button press information is part of the "uniquely identifying data specific to at least the second media content" in your declaration?

A. Right there in 108. "The date and time of the button press." So if you pressed button A, you have got the information that button A was pressed at 2:00 p.m., and if you pressed button B, you have the information that button B was pressed at 2:00 p.m.

Q. So you understand the term "the date and time of the button press" to mean that the multimedia device 20 is provided with the date, time and the button that is pressed?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

A. Of course.

Q. Why "of course"?

A. Because it's obvious.

Q. What information shows that the user pressed the "BUY" button versus the "INFO" button?

MR. LUCAS: Objection to form.

A. Where?

Q. Well, you've identified "button press information." So what information identifies that a user pressed the "BUY" button versus the "INFO" button?

MR. LUCAS: Same objection.

Q. In Lee.

A. It's -- it's the indication of the user whether we press button A or button B. In other words --

Q. Go ahead.

A. In other words, device 20 knows which button was pressed and it knows the date and time of the pressing of that button and it knows the channel selected.

These are obvious pieces of information that device 20 can manipulate

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

and process to accomplish its goal.

Q. Where in Lee does it say that the button press information is known?

MR. LUCAS: Objection to form.

A. If I ask you, do you want ice cream or a cookie, and you say a cookie, I know that you selected the cookie rather than the ice cream. So that's obvious to the operation of device 20 that button A was pressed as opposed to button B.

Q. But we're talking about the device in Lee. And you didn't include "button press information" in your paragraph 108 that identifies "data corresponding to the claims uniquely identifying data specific to at least the second media content," correct?

MR. LUCAS: Object to form.

A. Incorrect.

Q. Where are the words "button press information" in paragraph 108 of your declaration?

A. The fourth and fifth words on the second line of paragraph 108.

1 - T.A. Williams -

2 Q. So you say that "button press"
3 means "button press information"?

4 A. Yes. But for the pressing of a
5 button, there would be no date and time
6 information in the button press.

7 Q. But the date and time of the
8 button press would be the same regardless
9 of what button you pressed, correct?

10 A. Incorrect.

11 Q. How so?

12 A. Again, this is obvious; that if
13 you press button A, you get the
14 information that button A was pressed at
15 2:00 p.m. If you pushed the button B, you
16 get the information that button B was
17 pressed at 2:00 p.m.

18 In that last response, I mean
19 the device 20 of Lee.

20 Q. Thank you for that
21 clarification.

22 Can you please go to column 11,
23 line 16 of Lee. And let me know when
24 you're there.

25 (The witness complies.)

1 - T.A. Williams -

2 A. I'm there.

3 Q. Okay. This line 16 begins with
4 "Advertising database 196," correct?

5 A. Yes.

6 Q. So at line around 23, the
7 numbers are a little off but it says, "the
8 user can press a 'BUY' or 'INFO' button,"
9 do you see that?

10 A. Yes.

11 Q. And it talks about pressing a
12 button which "transmits to the gateway
13 network 30, the location and the vehicle,
14 184 (GPS derived) the date and time of the
15 button press, and the channel selected,"
16 correct?

17 A. You read that correctly.

18 Q. In the next sentence it says,
19 "The advertised item is then looked up in
20 the database 196," correct?

21 A. You read that correctly.

22 Q. So the date and time of the
23 button press and the channel located is
24 used to look up the advertisement items;
25 is that correct?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

(The witness reviewing computer screen.)

A. The information that is used to create that query into the database would include the user's action, yes.

Q. And by that you mean, it would include the date and time and the radio channel that was generated when the user pressed the button?

MR. LUCAS: Objection to form.

A. You weren't specific in your question but that information generates the query into the database 196.

Q. And database 196 looks up the advertised item, correct?

A. That's what this says, yes.

(720 IPR Exhibit 1005 previously marked for identification, 58-page document, titled, "United States Patent Application Publication," dated November 27, 2003.)

BY MS. KIERNAN:

Q. I'd like to show you another document that was previously marked in

1 - T.A. Williams -
2 this proceeding, 720 IPR Exhibit 1005.
3 Let me know when you see that in
4 your Exhibit Share.
5 A. I have it.
6 Q. Okay. When you have it opened,
7 can you let me know when you're at
8 paragraph 65? When you have it open just
9 let me know.
10 A. We're open.
11 Q. You recognize this document?
12 A. I do.
13 Q. And did you review this document
14 in forming your opinions about the '405
15 Patent claims?
16 A. I did.
17 Q. Can you turn to paragraph 65 for
18 me, please.
19 (The witness complies.)
20 A. Of...?
21 Q. Of the exhibit that you opened.
22 A. Yes, I'm there.
23 Q. The paragraph starts with "Thus,
24 the contemporaneous wireless communication
25 link," is that correct?

1 - T.A. Williams -

2 A. Yes.

3 Q. So it continues, "the
4 contemporaneous wireless communication
5 link of the present invention permits the
6 user to participate in a conversation via
7 a voice communication link (e.g. via a 3G,
8 2.5G, or 2G network) while simultaneously
9 using a data communication link (e.g. via
10 WLAN, PAN 3G, 2.5G or 2G network) to
11 receive or send e-mail, transmit and
12 receive data via the Internet."

13 Do you see that?

14 A. I do.

15 Q. Did I read that correctly?

16 A. Yes.

17 Q. So this sentence is discussing
18 contemporaneously participating in a
19 conversation via one communication link
20 while simultaneously using the other data
21 communication link to send or receive
22 e-mail, correct?

23 A. Yes.

24 Q. So going on to the next sentence
25 it says, "Thus, the user can also receive

1 - T.A. Williams -
2 and transmit live audio/visual data - such
3 as live video transmissions" -- I'm going
4 to skip the parenthetical there -- "and
5 live audio transmissions" -- skipping the
6 parenthetical again -- "while also
7 transmitting and receiving computer data
8 such as e-mails," correct?

9 A. Yes. So --

10 Q. So -- go ahead.

11 A. So you should include "and data
12 to remote computing systems" --

13 Q. Okay.

14 A. -- in your reading.

15 Q. "And data to remote computer
16 system," thank you.

17 So this is talking about the
18 contemporaneous receipt and transmission
19 of data in this sentence, correct?

20 MR. LUCAS: Objection to form.

21 A. Contemporaneous receipt of what?

22 Q. Well, it says here that the user
23 can receive and transmit while also
24 transmitting and receiving different types
25 of data; is that correct?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

A. That's correct.

Q. Okay.

A. Actually 2G was not capable of that. 2.5G was barely capable of that. 3G only later was capable of that. So this is somewhat aggressive in terms of 2G.

Q. Were you familiar with this reference in front of you before this case?

A. I don't recall.

Q. You don't recall if you had seen Barnes' before being hired in this matter, correct?

A. Yes, correct.

Q. Going on to that last sentence in the paragraph, it starts with, "While the actual reception and transmission of the bits comprising the multiple transmission may not occur 'simultaneously' from a technical perspective" -- I'm just going to pause there.

The beginning of this sentence

1 - T.A. Williams -
2 is talking about the reception and
3 transmission of bits, correct?

4 A. Those are the words used there,
5 yes.

6 Q. It goes on to state in the rest
7 of the sentence, "the data from the
8 multiple transmissions is presented to the
9 user and received from the user in the
10 same time periods (or overlapping time
11 periods), which is herein referred to as
12 contemporaneous transmission and/or
13 reception."

14 Did I read that correctly?

15 A. You did.

16 Q. So this sentence is talking
17 about the contemporaneous transmission
18 and/or reception of bits, correct?

19 A. You can characterize it that
20 way. You can also characterize it as he's
21 resolving his time -- time accuracy --
22 like we discussed this morning -- the time
23 that you would consider things to be
24 considered contemporaneous.

25 Q. Okay. But it's talking about

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

the resolution of the time for the transmission and reception, right?

A. Hmm...

MR. LUCAS: Objection to form.

A. I think he's just defining his contemporaneous transmission statements or reception statements to just kind of define the time span that he considers to be contemporaneous -- like we discussed this morning -- but if your time span is a century, the First and Second World War occurred contemporaneously.

Q. So this is essentially defining what he considers to be a contemporaneous transmission and/or reception?

A. Yes. You can characterize it that way.

MS. KIERNAN: Eric, that's it for us. I will wait to see if you have any questions.

MR. LUCAS: Would you mind if I look over my notes and see if I have to ask anything?

MS. KIERNAN: No, not at all.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

(A recess was taken.)

MR. LUCAS: Just a couple of
redirect questions from me,
Dr. Williams, if that's okay.

THE WITNESS: Sure.

EXAMINATION BY

MR. LUCAS:

Q. Do you recall when counsel was
asking you questions about Mackintosh's
first and second receiver modules and how
the first and second media content are
received discretely?

A. Yes.

Q. I'd like to direct your
attention to page 40 of your '081 Patent
declaration and specifically that's
related to limitation 9[c].

A. Yes.

Q. With reference to this
section 9[c], other preceding sections or
anywhere else, what constitutes the first
receiver module in Mackintosh, in your
opinion?

(The witness reviewing computer

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

screen.)

A. Sorry, I'm in the wrong file here. Hang on a second.

(The witness reviewing computer screen.)

Q. Would you like me to repeat the question, Dr. Williams?

A. I'm sorry, I'm just dealing with a slow computer here. Can I have a second, please?

Q. Sure.

A. So can I have the question again, please?

Q. Yes. I was directing your attention to page 40 of your '081 declaration with respect to limitation 9[c]. Are you there?

A. Yes.

Q. With reference to this section, and any of the preceding sections, what constitutes in your opinion the first receiver module in Mackintosh?

(The witness reviewing computer screen.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -

A. So as I discussed in paragraph 74 of my '081 report, the first receiver module would correspond to the communications interface and that's configured to receive broadcast material that corresponds to the first media content. And program materials corresponds to the claim data enabling the identification of a specific instance of the first media content from a first broadcast medium.

So, again, as we've discussed throughout the day, the receiver is the -- it is the hardware and software that takes the information off of the modulated signal that was used to communicate to that -- that receiver and presents that information to the user. So it's the entire process.

Q. And then what constitutes the second receiver module in Mackintosh?

(The witness reviewing computer screen.)

A. So the communications interface

1 - T.A. Williams -
2 of Mackintosh's computer corresponds to
3 the claimed second receiver module which
4 has -- as I explained previously --
5 include all the hardware and software
6 required to perform the task of taking
7 that information from the modulated stream
8 and presenting it to the user. So it's
9 all the way through the -- the receiving
10 process.

11 And as I pointed out in 9[c],
12 the -- it includes elements of the
13 computer in Mackintosh.

14 Q. Are you referring to
15 paragraph 90?

16 A. I am. I am.

17 Q. And so what do you mean by,
18 "whereas it receives the 'supplemental
19 materials'" in paragraph 90? Can you
20 explain that a little bit?

21 (The witness reviewing computer
22 screen.)

23 A. It means the computer of
24 Mackintosh when it uses its hardware and
25 software elements to derive the

1 - T.A. Williams -
2 information that arrives via the RF medium
3 into a set of media that can be
4 interpreted by the user.

5 Q. Can you explain how the
6 reception of the two media content in
7 Mackintosh are discrete?

8 A. They use different -- each uses
9 different hardware and/or software in
10 order to accomplish the goal of taking
11 that -- that information and presenting it
12 to the user.

13 Q. Okay, let's switch quickly to
14 the '405 Patent. So if you could pull up
15 your declaration and that IPR and I'd like
16 to ask you first, do you recall when
17 counsel was asking you questions about Lee
18 and second media content and whether it
19 was possible to send the
20 advertisement-related data over a
21 subcarrier channel?

22 A. Yes.

23 Q. Setting aside whether something
24 is possible in the world, what's your
25 opinion with respect to where the

1 - T.A. Williams -
2 advertisement-related data comes from in
3 Lee?
4 A. Lee describes -- Lee teaches
5 that the advertisement data comes via the
6 gateway communication unit in devise 20.
7 Q. Do you recall being asked
8 questions about the simultaneous reception
9 of data with respect to Barnes?
10 A. Yes.
11 Q. Can you explain your opinion as
12 to why a POSITA would have understood
13 radio transmission data and inter-related
14 data being received simultaneously in
15 Barnes?
16 A. Don't understand the question.
17 MR. LUCAS: Withdrawn. I have
18 no further questions.
19 MS. KIERNAN: Nothing further
20 for me, either. Thank you
21 Dr. Williams.
22 MR. LUCAS: Thank you
23 Dr. Williams.
24 THE WITNESS: All the best.
25 MS. KIERNAN: Thank you, Eric.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- T.A. Williams -
MR. LUCAS: Good night.
(Time noted: 5:43 p.m.)

TIM ARTHUR WILLIAMS, PhD.

Subscribed and sworn to before me
this ____ day of _____, 2022.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T E
STATE OF NEW YORK)

: ss.

COUNTY OF NEW YORK)

I, AMY KLEIN CAMPION, a
Shorthand Reporter and Notary Public
within and for the State of New York,
do hereby certify:

That TIM ARTHUR WILLIAMS,
PhD., the witness whose deposition is
hereinbefore set forth, was duly sworn
by me and that such deposition is a
true record of the testimony given by
the witness.

I further certify that I am
not related to any of the parties to
this action by blood or marriage, and
that I am in no way interested in the
outcome of this matter.

IN WITNESS WHEREOF, I have
hereunto set my hand this 8th day of
January, 2022.



AMY KLEIN CAMPION

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

----- I N D E X-----

WITNESS	EXAMINATION BY	PAGE
T.A. Williams	Ms. Kiernan	4
	Mr. Lucas	158

-----EXHIBITS-----

EXHIBIT	DESCRIPTION	PAGE
720 IPR Exhibit 1003 previously marked for identification, multiple-page document, titled, "DECLARATION OF TIM A. WILLIAMS, PhD, IN SUPPORT OF PETITION FOR INTER PARTES REVIEW OF U.S. PATENT NO. 9,355,405."		7
721 IPR Exhibit 1003 marked for identification, document titled, "DECLARATION OF TIM A. WILLIAMS, PhD, IN SUPPORT OF PETITION FOR INTER PARTES REVIEW OF U.S. PATENT NO. 8,166,081."		12

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

EXHIBITS CONTINUED

720 IPR Exhibit 1001 previously 16
marked for identification,
35-page document, United States
Patent, Patent No. 9,355,405 B2.

721 IPR Exhibit 1001 previously 39
marked for identification,
35-page document, United States
Patent, Patent No. 8,166,081 B2.

721 IPR Exhibit 1004 previously 74
marked for identification,
28-page document, titled,
"United States Patent, Patent
No. 6,349,329 B1."

721 IPR Exhibit 1003 previously 81
marked for identification,
multiple-page document, titled,
"DECLARATION OF TIM A. WILLIAMS,
PhD, IN SUPPORT OF PETITION FOR
INTER PARTES REVIEW OF U.S.
PATENT NO. 8,166,081."

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

EXHIBITS CONTINUED

721 IPR Exhibit 1005 previously 100
marked for identification,
49-page document, titled,
"United States Patent
Application Publication," dated
November 24, 2005.

720 IPR Exhibit 1003 previously 121
marked for identification,
document titled, "DECLARATION OF
TIM A. WILLIAMS, PhD, IN SUPPORT
OF PETITION FOR INTER PARTES
REVIEW OF U.S. PATENT NO.
9,355,405."

721 IPR Exhibit 1004 previously 126
marked for identification,
15-page document, titled,
"United States Patent, Patent
No. 6,374,177 B1."

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

EXHIBITS CONTINUED

720 IPR Exhibit 1005 previously 151
marked for identification,
58-page document, titled,
"United States Patent
Application Publication," dated
November 27, 2003.

&	10022 3:6	151 109:7 169:3	116:24
& 3:4,11,17	1003 7:22 8:9 12:15,18 19:8,14 81:20 82:5 121:10	152 108:7,10 158 166:5	27 19:18,20 116:12 116:22 117:2 151:22 169:8
0	121:19 166:9,16 167:16 168:9	16 11:14 129:9,10 149:23 150:3 167:3	28 74:15 167:13
081 13:23 21:10,17 21:18 39:19 40:14 41:24 42:8 43:14 45:6 48:3,9 49:19 49:25 50:10,21 52:2 53:10 55:11 55:18,23 56:11 57:2,4 58:2 64:2 64:20 65:8 66:13 66:24 67:3 69:3 69:11 71:12 72:11 73:2,9,16 74:11 75:10 95:20,25 96:3 101:8,11 111:6,21 115:12 116:7,11 117:5 119:8 158:16 159:16 160:3	1004 74:14,21,25 126:5,13,19 129:8 167:11 168:16	174 123:11 184 127:13 150:14 196 150:4,20 151:14,15	2:00 143:10,10,18 143:19,20 146:18 146:20 149:15,17
of 55:23	1005 100:8,16,25 151:18 152:2 168:3 169:3	1:15 119:24,25 1:58 142:14,15,22 142:22	2g 153:8,10 155:4 155:8
1	104 95:11,16,18,19 108 141:6 145:19 146:14 148:15,22 148:25	2	3
1 19:3,6,11 24:13 1/6/2022 170:3	11 19:21 63:20,21 93:13 129:8,10 149:22	2 3:13 22:20 24:15 60:18 76:25 85:20 123:11	3 23:4 36:22 127:13
10 55:23 56:11,25 57:7 76:17 88:22 89:18,20 90:3,3,7 91:5,10,23 92:2,12 92:16,19 93:3,8 95:19 96:3 108:23 108:24 131:10,10 140:19,21	111 117:10 113 117:8	2.5g 153:8,10 155:5	30 82:11,13 83:2 122:7 125:21,25 127:9,24 128:14 128:20,25 129:13 129:21,25 130:5 130:16,21 131:6 131:19,25 132:21 132:23 133:4,19 138:20 139:17 140:4,19,21,23 150:13
100 168:3	12 17:23,25 18:7 23:14 29:20 33:10 33:16 37:24 38:10 39:12 49:14 50:2 50:15 55:19 80:25 166:16	20 34:7 122:10 125:7 126:2 127:11 130:4 133:6,14,15 140:17,19,21 142:11 143:16 144:13,15,23 146:23 147:20,25 148:10 149:19 163:6 170:22	3000 3:12
1001 16:17,25 17:9 19:4,6 20:12 39:25 40:8,13 167:3,7	121 168:9 1221 3:18 1234 43:3 124 95:15 126 168:16 12:03 1:15 2:15	200 4:16 2003 151:22 169:8 2005 100:12 168:8 2022 1:14 2:14 164:11 165:23	32 76:18 33 76:18 129:9,11 34 40:22 35 16:18 17:18 20:13 23:8 29:19 37:23 40:2 167:5 167:9
10020-1095 3:19	13 47:16 133 102:7 105:8 106:18	202 98:5 22 76:18 23 150:6 24 100:12 168:8 26 104:19,20,21 105:4,22 106:4,7 106:13 108:18	38 60:17 61:3 133:4,23 39 60:17 61:3,4 167:7 3:33 120:3 3g 153:7,10 155:6

4	61 97:20,25 62 76:17 63 31:24 65 152:8,17 67 36:11 68 36:11 82:5,14 82:15 83:22 69 107:19	51:25 53:10 54:10 55:11,18 56:3 57:4 64:2,20 66:13,23 67:3 69:3,12 71:12,18 80:25 81:12,16 83:14 88:22,23 89:2,9,18,20 90:2 90:3,7 91:5,10,16 91:22 92:2,12,15 92:19 93:3,8,13,13 101:11 111:10,20 111:21 113:5 115:12 127:6 158:18,21 159:18 161:11 9,355,405 1:12 8:4 10:14 11:3 16:20 121:15 166:15 167:6 168:15 90 133:2 139:21,23 161:15,19 91 139:22,24 140:9 140:18 93 125:13 128:17 94306 3:14 96 122:4 97 123:25 124:3,16 9th 3:12	accused 71:25 action 122:8,19,23 125:2 126:3 130:5 130:9 132:3,13,18 138:11 151:6 165:18 actual 155:19 additional 66:8,14 89:2,6 93:4 address 32:21 51:5 106:24 107:5 adds 94:14 advertise 31:24 advertised 130:11 150:19 151:16 advertisement 31:25 46:22,23 51:7,14 97:6 114:20 117:7 118:25 122:6 134:15,19 141:20 144:17,19 150:24 162:20 163:2,5 advertisements 77:22 advertising 76:20 122:8,16 134:8,24 150:4 affect 84:6 affirming 57:20 ages 36:13 aggressive 155:7 agree 68:2 69:10 69:14,18 71:8 78:5 85:21 94:23 117:19 135:6 ahead 35:11 48:5 147:19 154:10 air 27:10 93:16 94:18
	7		
	7 11:13 30:12 31:14,24 166:9 702 97:15 98:6 720 7:22 8:8 10:21 16:17,25 121:10 121:20 126:5,12 126:19 151:18 152:2 166:9 167:3 168:9 169:3 721 12:14,18 13:17 39:25 40:8,20 74:14,20 81:20 82:5 95:12 100:8 100:16,24 102:8 121:19 166:16 167:7,11,16 168:3 168:16 74 160:3 167:11		
	8		
	8,126,081 13:12 8,166,081 2:12 12:22 13:23 40:4 82:2 166:21 167:10,22 81 167:16 8th 165:22	a	
	9	ability 36:17 able 8:10 46:7,14 68:5 86:8,14 99:15 110:21 absolutely 44:22 118:12 access 133:5 accomplish 148:2 162:10 accuracy 156:21	
4 23:5,5 115:5 117:6,11,18 166:4 40 123:15 125:10 158:16 159:16 400 22:19,21 405 11:7,19 17:15 19:6 21:15,17,23 22:5,15 29:18 30:11 31:14 33:9 33:16 35:2,6,13 36:14,21 38:11 47:16,19 49:14 50:3,15 55:19 69:15,19 72:11 73:2,10,17 74:11 119:15 127:2 152:14 162:14 42 36:22 134:2 43 77:2 49 100:9 168:5 4:19 121:3			
5			
5 117:7,12 51 30:13 31:15 55 76:18 5561 165:24 56 77:2 57 76:17 58 151:19 169:5 599 3:5 5:43 164:3			
6			
6 1:14 2:14 127:5 6,349,329 74:17 167:15 6,374,177 126:8 168:20 60 76:18			

allow 53:23 58:15 91:14,17 allows 54:11 104:3 alpha 93:14 94:16 alphanumeric 83:7 altered 58:14 alto 3:13,14 amend 132:12 america 1:5 2:5 170:2 americas 3:18 amy 1:19 2:19 4:5 165:6,25 analogue 18:21 59:11 61:21 ancillary 117:6,11 118:3,16,24 119:9 answer 6:13,13,22 20:6 26:2 30:20 32:15 44:22 79:22 answering 5:21 answers 15:22 140:7 antenna 26:5 48:21 antennas 24:8 49:6 apologies 10:2 apologize 5:9 9:13 21:20 48:4 appeal 1:3 2:3 appear 12:15 17:2 91:9 92:18 94:6 94:11 124:9 127:22 128:7 132:12 appears 40:9 47:16 86:24 91:23 92:13	application 100:11 151:21 168:7 169:7 applications 133:10 applied 25:11 113:3 applies 48:17 appreciate 26:3 arrives 162:2 art 43:5 106:24 arthur 164:8 165:10 aside 162:23 asked 163:7 asking 5:21 22:2 23:13 54:7,8 71:14,19 72:15,19 74:4 86:13 87:7 97:16 99:4,22 100:6 137:20,21 138:23,25 143:2 158:10 162:17 aspects 27:17 69:9 assigned 93:15 94:17 associated 7:17 31:25 46:5 109:12 association 15:11 15:15 26:22 assume 4:25 6:8 attention 158:16 159:16 attorneys 7:7,8,9 12:10 14:6 audio 58:17 62:13 63:3 76:6 133:7 133:16,24,25 154:2,5 available 110:5 142:12 143:15	144:12 avenue 3:5,18 aware 77:16 b b 97:5 131:23 146:18,19 147:18 148:11 149:15,16 b1 74:17 126:8 167:15 168:20 b2 16:20 40:4 167:6,10 back 20:12 23:7 26:8 29:18 33:9 37:23 38:22 45:20 47:23 48:3 51:17 55:10 64:2 92:25 105:8 106:18 108:22 111:5 119:22 145:18 band 133:25 134:2 134:2 bandwidth 99:6 134:12 barely 155:5 barnes 155:14 163:9,15 based 20:9 56:6 65:7 79:22 96:5 beginning 18:6 19:23 24:20 31:14 33:10 41:10 50:8 113:6 116:12 117:2 125:18 155:25 begins 20:23 23:9 36:25 82:20 150:3 believe 42:9 43:12 54:5,10 65:14 80:6,11 86:19,25 88:12 89:23 90:17 101:17,21 115:14	115:14 131:14 141:23 beneficial 39:21 best 6:17 67:18 163:24 better 5:10 beyond 16:4,10 79:8 bit 5:7 26:19 34:23 34:24 103:13 161:20 bits 155:20 156:3 156:18 blood 165:18 board 1:3 2:3 body 108:3 bosch 14:16 bottom 17:20 40:23 82:12 133:3 box 104:19,21 105:4,22 106:4,7 106:13 108:12,18 109:8 boxes 112:9 brain 63:5 break 6:19,21,23 39:20 63:14 breaking 23:12 breaks 6:18 bring 74:2 bringing 21:5 112:15,19 broad 30:15 broadcast 14:12 15:19 18:8,10,19 18:23 19:21,25 20:4,7 26:21 27:9 30:17 42:11 43:3 46:6 65:12 68:16 68:18 70:4,8,11,15 75:23 76:9,14,22
---	--	---	---

76:23 77:8,20,23 78:16,21,24 79:14 79:18 88:18 89:14 89:16 90:10,13 133:7,9,16 134:9 144:19 160:6,12 broadcaster 43:2 broadcasters 15:11,15 broadcasting 43:10 79:16 103:15 broadcasts 18:13 102:16 103:3,8 104:23 105:6,11 133:24,25 broader 69:8 build 136:17,20 button 96:9,15,20 122:8,19,23 123:6 125:3,11 126:3 127:22 128:7,12 128:24 129:13,23 130:5 131:5,12,17 132:6,12,13,18 135:8,25 136:12 137:3,14,23 138:3 138:12,19 139:13 140:3 141:8 142:2 142:3,9,14,16,21 142:21,24 143:5 143:10,18,19,20 143:21,25 144:2 144:11,17 145:2 145:18,20 146:2,4 146:5,7,10,15,16 146:17,18,19,22 146:24 147:6,7,10 147:13,13,17,18 147:21,23 148:4 148:10,11,14,21	149:2,3,5,6,8,9,13 149:14,15,16 150:8,12,15,23 151:10 buttons 129:19 130:9,20 135:5 136:23 140:12,13 140:13,14 141:19 143:3 buy 97:7 123:6,14 125:11 127:22 128:6,12,23 129:12,18,19,23 130:10,13,14,19 131:4,12,17 132:5 132:11 135:4,8,25 136:12,22 137:3 137:13,23 138:3 138:19 139:13 140:2 141:18 142:13,21 143:9 143:18,25 144:16 146:4 147:6,12 150:8	capable 21:5 98:9 99:2,5,25 110:11 110:14,23 119:10 132:3 134:5 135:15 155:4,5,6 captures 142:2 care 64:17,23 68:12,14 69:5 cared 68:17 94:22 carried 136:10,18 carrier 21:6 26:11 26:22 27:3,16,20 27:23 28:2,10,22 49:12 104:3 134:17,20 carriers 27:8,9,25 28:4 case 3:11,17 7:17 10:17 13:14,19 15:6,12,16,24 34:15 104:6 109:18 114:11 155:11 170:2 cases 27:21 catalogue 42:12 42:22 43:3,4,7 45:23,25 51:6 64:6,9 65:11,17,25 66:7,14 68:22 71:7,9,16,20 75:20 category 107:24 108:3 cause 135:24 140:12 caused 106:7 124:19 136:22 causes 125:2 126:2 137:23 causing 135:7 136:11	cellphone 22:24 centuries 36:7 century 34:20 157:12 certainly 62:4 certify 165:9,16 challenged 18:4 challenging 79:11 change 96:20 97:5 97:6 130:6 170:5 changed 58:14 109:8 changes 96:21,23 96:24 109:14,22 changing 109:23 110:3 channel 67:12 97:4,5,5 108:12,18 109:4,9,11,14,15 109:22 123:18,20 123:23 124:3,7,9 124:15,18 135:15 135:17 136:19,22 141:9 142:8 143:24 144:4,7,12 144:18,24 145:8 145:21 146:2 147:23 150:15,23 151:9 162:21 channels 124:13 characteristics 134:14 characterize 156:19,20 157:17 chat 9:12 102:4 109:11,25 110:5 110:13 cite 140:18 claim 17:23,25 18:7 20:18 23:14 24:2 25:8 29:20
	c		
	c 3:2 102:13 158:18,21 159:18 161:11 165:2,2 cable 102:14 104:7 106:9 california 3:14 call 140:13 called 14:20 calls 48:11 camino 3:12 champion 1:19 2:19 4:5 165:6,25 capabilities 98:21 98:24 capability 123:12		

<p>30:7 31:22 32:9 32:23 33:3,7,10,16 34:2,11 37:23,24 38:10 39:12 41:2 41:3,10,23 42:7 43:14,25 44:16,19 45:4,6 47:16 48:9 48:13 49:14,19,24 50:2,10,15,21 51:18,23,25 52:15 52:17,19 53:10 54:5,7,10 55:10,11 55:18,19,23 56:3 56:11,25 57:4,7,25 62:22 64:2,20,20 65:3 66:13,23 67:3,16 69:3,7,8 69:12,20 71:12,18 79:2 88:13 89:12 89:24 90:18 91:16 95:19 96:3 101:11 108:23,24 111:6 111:10,20,21 113:5 115:5,12 160:9 claimed 95:25 108:13 141:10 161:3 claims 18:4 37:10 41:5 79:11 90:21 148:16 152:15 clarification 6:6,8 19:14 27:23 86:17 86:18 108:6 124:24 149:21 clarify 24:19 42:15 49:23 87:17 98:2 115:17 118:10 121:25 138:17</p>	<p>clarifying 138:7 clean 132:15 clear 95:9 139:24 clearer 84:22 clearly 5:12 code 75:15,18 83:9 87:24 88:8 89:4,7 92:4 93:5 college 35:20 column 30:12 31:14,24 36:22 76:17,17,18,25 80:25 81:12,16 83:14 85:20 88:22 88:23,24 89:2,9,18 89:20 90:2,3,7 92:15 93:13 116:11 127:5 129:8,10 131:10 131:10 140:19,21 149:22 columns 91:5,10 91:22 92:2,12,19 92:23 93:3,8 combined 26:23 combining 18:7 come 8:25 119:22 130:20 131:18 132:6,14,18 comes 130:13 163:2,5 commission 170:25 committee 15:8 communicate 160:17 communicated 50:23 124:6 communication 102:3,19 105:21 106:4,15 110:13</p>	<p>140:15,17 152:24 153:4,7,9,19,21 163:6 communications 88:15 105:14,16 160:5,25 compared 61:2 complete 6:22 57:20 103:10 completely 143:7 complies 36:23 40:24 82:17 95:14 97:24 100:19 125:15 141:4 149:25 152:19 component 15:4 components 14:24 93:16 94:18 comprising 56:3 155:20 computer 8:14,23 9:5,8,14,17,23 13:5 17:4,7,12 18:11,25 19:16 22:8,18 23:16 24:11 30:2 31:17 32:10 37:18 38:3 38:6,12 40:11 41:25 47:20 50:4 54:3,24 56:13 75:3 77:3 78:8 82:22 84:11 86:3 88:3 90:5 92:21 93:10 95:21 96:11 97:13,14 98:3,6,9 98:18 99:18 100:2 101:15,23 104:17 104:24 105:17,23 109:16 110:17 116:13 117:21 118:5,18 123:21</p>	<p>126:17 127:18 130:2,23 132:24 135:7,12 136:2,11 136:15 137:6 139:19 142:4 151:2 154:7,15 158:25 159:5,10 159:24 160:23 161:2,13,21,23 computing 154:12 concerned 15:19 conclusion 73:11 concurrent 34:25 36:6,7,9,12,18 37:9 concurrently 33:11,15 34:17,21 35:14 36:12,15 50:9,14 51:19 52:10,21 53:4 113:8 114:21,25 configured 41:11 43:16 47:25 50:9 55:13 56:4 113:7 160:6 confirm 75:13 confirmed 116:23 116:25 confusing 123:13 confusion 129:4 connects 140:16 consider 12:3 62:21 63:7 67:11 122:2 139:5 145:17 156:23 consideration 70:3 considered 11:19 26:10 33:6 99:12 156:24 considers 157:9,15</p>
--	--	---	--

<p>consistent 77:8 constitute 32:8 constitutes 158:22 159:22 160:21 construction 54:5 54:8 consumed 20:20 contain 38:24 contained 56:16 contemplate 119:9 contemplates 116:8 contemporaneous 152:24 153:4 154:18,21 156:12 156:17,24 157:7 157:10,15 contemporaneou... 153:18 157:13 contended 130:4 content 20:20 23:11,21,23 25:4,7 25:9,10,12,13 33:17,18,25 34:5,7 34:9 37:6 38:18 38:19 41:15,17 42:24 43:18,20 44:3,10,11,24 45:2 45:13,14,18 48:2 48:14,15 51:2,19 51:20 52:6,7 53:6 53:7,25,25 54:12 54:12 55:3,4 56:6 56:10,19,20,25 57:8,25 58:7,19,25 59:6,16,19,21,23 60:2,4,5,13,14,15 60:20,25 61:2 64:5 68:4,7 96:5 96:16,24 97:8 98:13 99:7,8,17</p>	<p>100:2 101:13,14 101:22 102:5 109:2,6,23 110:15 110:24 112:18 113:9,9 114:14 115:8,8,21 117:19 118:4 119:11 122:3,11 123:24 124:5,20 125:5,6 136:20 141:12 144:9 145:6,15,23 146:12 148:18 158:12 160:8,11 162:6,18 contents 16:12 45:15 98:11 99:10 context 47:2,8 64:10,16,18,24 66:2,8,15 70:8,21 71:9,15,20 72:10 72:25 73:9,16 74:11 81:3,6 88:16 90:19 135:2 continue 79:21 continued 48:7 50:13 61:13 121:16 167:2 168:2 169:2 continues 41:14 153:3 continuing 18:22 conversation 153:6,19 conversion 61:21 converts 27:12 conveyed 124:21 125:3 conveying 135:15 cookie 148:7,7,8 copy 17:15</p>	<p>corner 17:20 40:23 correct 10:15 11:3 11:24,25 13:12,15 15:5 17:10,16 18:4 19:5 22:6 23:15,23 24:22 28:12 32:15 37:14 38:25 39:13 40:15 41:18 44:13 46:11 46:18 47:6 50:3 51:15 52:7,12,23 53:11 55:14 57:10 57:12,16 58:20 59:2 60:22 64:6 64:11 65:4,13,20 66:3 68:7 72:11 75:10,16 83:17,23 83:25 85:9 95:20 96:6,10,17,25 97:8 97:15 98:6,13 99:10 101:14,22 102:5,24 103:16 105:6 109:2,15,24 110:15 111:13 114:4 115:21 116:5,9 118:4,17 119:2,11 122:16 122:20 124:10,11 124:11 127:12,17 128:7 129:22,25 131:19 136:13 137:4 138:21 140:4,19,23 141:21,24 142:3 142:10,17 143:11 143:22 144:9,13 144:20 145:23 148:18 149:9 150:4,16,20,25 151:16 152:25</p>	<p>153:22 154:8,19 154:25 155:2,15 155:16 156:3,18 correction 6:3 correctly 41:19 45:3 51:21 53:12 55:15 56:7 65:15 83:12 101:18 102:22 108:15 122:12 125:22 138:9,15 141:13 150:17,21 153:15 156:14 correlated 137:19 correlation 138:10 correspond 83:9 122:10 141:9 145:24 160:4 corresponding 148:16 corresponds 108:13 160:7,9 161:2 counsel 1:18 2:18 6:12 158:9 162:17 county 165:5 couple 158:3 course 147:2,3 cream 148:7,9 create 58:6 59:6 135:8 151:5 criteria 96:19 109:13 135:18,22 criterion 56:6 96:6 critical 127:10 current 130:8 cut 75:15,18,22,25 76:4 78:12 80:9 80:15,21 83:6,8,9 83:20 87:24 88:7 88:15 89:3,7,14,16</p>
---	---	---	--

90:9,13 92:4 93:5 93:13,19,22,23 94:2,11,15,20,25 95:3,6,8 cuts 81:2,5,11,15 83:15	153:9,12,20 154:2 154:7,11,15,19,25 156:7 160:9 162:20 163:2,5,9 163:13,14 database 150:4,20 151:5,14,15 date 128:22 141:8 142:2,16 144:25 145:7,20 146:2,14 146:22,24 147:22 149:5,7 150:14,22 151:8 170:3 dated 100:11 151:21 168:7 169:7 day 6:18 67:21,25 68:14 90:25 91:4 91:9,13 92:10,12 92:15,15,18 93:12 160:14 164:11 165:22 170:22 dealing 79:15 159:9 declaration 7:19 7:25 10:12 11:23 12:7,11,19 13:9 14:3,7 22:11 60:7 75:10 81:23 83:22 86:22,24 95:11 97:21 98:3 102:8 106:19,19 108:8 121:12,20 135:3 140:6 141:3 146:13 148:23 158:17 159:17 162:15 166:12,18 167:19 168:11 declarations 86:11 86:16 87:6	define 59:19,20 60:4,15,20 157:9 defining 157:6,14 definition 60:8 74:13 delay 34:8 deleted 44:18 delivered 77:20 demodulated 27:15 106:9 demodulating 26:14 104:7 depacketizing 104:7 depending 60:20 depends 28:24 29:3,9,14 34:4,16 35:18 59:18,22 66:10,17,21 67:14 68:9,10 72:6 134:12 deposed 4:12,15 4:20 deposition 1:17 2:17 4:23 7:3,13 19:12 60:18 79:9 165:11,13 170:3 derive 161:25 derived 150:14 describe 90:3 described 23:2 32:9 37:9 98:25 119:7 139:4 140:15 describes 31:15 77:5 163:4 describing 139:8 description 90:12 166:7 descriptions 90:8	design 15:3 67:8 67:14 90:19,20 designation 94:16 107:15,19 designations 93:15 designed 14:15,23 70:25 127:9 133:4 designing 68:10 despite 6:13 determine 78:23 determining 29:20 30:8,20 32:15 device 20:24 21:3 21:4,5,12,25 22:17 22:20,23 23:10 32:2,2 33:20,23 34:2 37:2,5,12,13 38:20 54:19,22 55:5 61:16 63:8 72:2 97:11 98:11 98:16 99:8,16,24 107:12 110:14,22 110:24 116:8 117:6,7,11,11,18 117:25 118:3,14 118:16,24 119:9 119:10 122:10 123:3 125:7,8 126:2 127:11 133:6,14,15 135:9 135:24 137:22 139:14 140:17 142:11 143:15 144:13,15,23 146:23 147:20,25 148:10,13 149:19 device's 135:7 136:11 devices 112:4 113:14
d			
d 4:3 102:13 121:6 166:2 data 14:12,17 29:21,25 30:8,10 30:25 31:8,16,21 32:6,9,23 33:3,6 34:23,24 38:17 39:6,8,10,15 41:15 41:22 42:6,17,19 43:18,23 44:2,5,9 44:10,23,25 45:7,9 45:13,17,18,19,21 46:5,17 49:16,19 59:10 64:3,5,21 65:9,22 66:2,6,8 66:24 68:2,23 71:10,22 72:4 75:13 102:14,18 105:13 122:6,18 122:22 125:2,20 126:3 127:21 128:6,11,19 129:11,22 130:19 131:4,11,16,22 132:5,10,13,17 134:8,15,20,25 135:3,6,23 136:9 136:11,18 137:2 137:12,22 138:2 138:18 139:12,16 140:2,11,16 141:10,21 144:8 145:4,14,22 146:11 148:15,17			

devise 34:10 163:6 deweese 101:2 102:4 104:14,23 105:6 108:19 109:22 110:10,14 110:22,25 deweese's 108:12 dictionaries 74:3 differ 146:3 difference 43:22 44:7,8,23 45:16 56:23 57:23 78:12 80:8,14,21 83:20 90:14 92:4 different 18:18 24:8,9,10,15,18 25:5,10,16,21,23 26:5,6,12,13 27:8 27:16,20 44:6 45:25 46:5,9,10,17 47:5,11,19 48:20 60:24 62:2 64:24 65:18,23 69:6 94:25 95:5,7 111:16 113:2 134:3 146:8 154:24 162:8,9 differential 107:17 differentiate 46:8 46:15 65:18 68:5 87:24 88:8 93:6 94:12 differentiates 89:20 differentiating 114:6 differentiation 91:2,17 94:21 95:2,8 differently 95:23 143:9	difficult 134:16,18 137:9 139:4 difficulties 5:2,3 difficulty 5:24 digital 18:21 59:10 61:21 133:25 direct 158:15 directing 159:15 disable 98:24 disclosed 22:2 98:21 145:11,12 disclosing 42:10 disclosure 98:16 98:17 140:8 discrete 24:16 162:7 discretely 23:22 24:2,5,18 25:9 26:9,11 45:13,16 48:14,16 49:24 50:2 101:13 158:13 discuss 59:24 109:7 124:13 129:2 139:21 discussed 49:5 52:5,21,22 55:19 57:7 60:16 69:25 88:11 89:17,19 90:16,19 101:12 103:23 108:24 113:18 123:24 156:22 157:10 160:2,13 discusses 30:12 31:5,7,23 76:16 88:13 119:12 discussing 29:14 59:25 60:12 70:19 88:17 95:19 153:17	discussion 108:23 119:21 display 54:24,25 55:2 113:19,19,22 113:25 114:13,15 114:20 115:7,20 115:25 116:5,9 122:9 123:5,10,12 125:12 135:24 136:12,22,23 140:12 displayed 115:9 137:24 141:19 displays 37:5,12 115:20 distinct 48:8 distinction 45:5,7 113:23,24 distinguish 36:5,6 36:9 distinguished 92:9 distinguishing 94:23 docsis 102:12,15 102:25 103:6,10 103:14,17,21 104:12,15 105:10 105:15 106:20 doctor 126:21 document 7:24 9:21,22 10:6,9,10 10:11 13:3,7,8 16:19,24 17:11 19:9 40:3,7,17,19 74:16,20 75:6,8 81:22 100:10,15 100:23 101:5,7 126:7,10,20,23,25 151:20,25 152:11 152:13 166:11,17 167:5,9,13,18	168:5,11,18 169:5 documents 7:12 7:15,16 12:4 32:18 doing 35:18 66:11 100:3 downloaded 100:18 downloading 13:4 dr 4:9 10:22 14:13 29:12 39:23 63:14 63:25 72:16,20 74:5 86:13 91:25 109:20 119:17 120:2 121:18 128:16 129:17 158:5 159:8 163:21,23 drawing 23:6 duly 4:4 121:7 165:12
e			
e 3:2,2 121:2,2 153:11,22 154:8 165:2,2 166:2 e.g. 85:20 153:7,9 earlier 23:2 38:22 48:16 49:4 52:5 70:23 85:16 93:2 103:23 easiest 106:25 easily 94:20 easy 61:6,6 90:25 eight 82:9 141:2 eighties 14:14 either 18:20 29:13 29:16 50:23 70:6 99:10 110:11 114:25 163:20 el 3:12			

electrodynamic 29:7 electromagnetic 27:11,12 28:16,22 electronic 20:24 21:3,4,11,24 22:16 23:10 27:13,14 28:11,13 33:19,23 33:25 38:20 electronically 38:3 element 23:5,14 23:19,20 33:10 34:3 38:2,5 55:12 104:19 111:11,12 134:2 elements 22:21,22 22:24 106:6,11 161:12,25 embodiment 37:9 37:15 77:19 119:6 119:12 enabled 37:2,5,12 37:13 enabling 41:16,22 42:6,17,19 43:23 45:8,21 64:3,21 65:9 66:6,24 68:3 68:23 71:11,23 72:4 75:14 160:9 ends 24:9 enhance 133:7 entire 22:23 31:4 88:24,25 104:5 123:12 160:20 entirely 24:23 envelope 107:6 equalization 58:17 59:8 61:18 eric 3:7 78:18 79:5 119:14 157:19 163:25	eric.lucas 3:7 errata 170:1 error 27:25 especially 5:13 esq 3:7,15,20,21 essentially 157:14 europe 14:17,21 16:15 event 30:13,23 events 36:18 evidence 77:12 exact 68:6 exactly 19:15 58:11 84:18 134:23 examination 4:7 121:16 158:7 166:3 examined 4:6 121:8 example 19:23 21:11,24 22:16 24:13 25:23 26:6 27:3,6 31:20 32:22 33:2 36:10 39:2,7,9 42:12 45:22 47:4 48:21 51:9,13 54:16,23 54:24 56:18,22 57:9,10,15 58:16 59:7,8 61:4,7,14 61:18,19,20 62:8 62:12,20 65:20 67:21 70:21 71:21 77:6,13,21,25 85:16,19,22 97:3 109:18 115:6,22 115:23 116:3 122:24 123:2,10 125:10 136:8,9	examples 25:25 32:5 38:22 65:11 67:23 77:15 128:2 128:5 130:8 exception 91:21 excluded 44:16 exhibit 7:22 8:7,9 8:11,17,21 9:4,7 11:12,21 12:14,15 12:16,18,25 16:17 16:25 17:2,9,19 19:3,4,6,6,8,11,14 20:12 39:25 40:8 60:18 74:14,21,23 81:20 82:5 100:8 100:16,25 121:10 121:19 126:5,13 126:15,19 129:8 151:18 152:2,4,21 166:7,9,16 167:3,7 167:11,16 168:3,9 168:16 169:3 exhibits 7:19 11:21 166:6 167:2 168:2 169:2 existed 15:17 16:3 16:5,11 exists 61:25 experience 14:10 20:10 43:6 109:10 134:15,19 expert 95:11 expires 170:25 explain 26:18 79:5 79:17 84:19,21 161:20 162:5 163:11 explained 161:4 explains 82:20 83:6 89:2 127:16 128:11 133:11	explicitly 138:18 139:16 express 80:19 87:12,18 expressed 72:21 73:5,19 74:7,12 80:17 81:13 87:10 87:15,21 89:25 100:7 110:19 115:14 expression 87:5 extended 133:8 extent 16:14 112:8 135:16
f			
f 121:2 165:2 fact 18:3 factors 46:25 faded 70:12 116:2 fair 5:17,22 6:10 6:24 36:19 53:4 62:13 64:23 66:9 66:15 85:18 106:2 106:15 familiar 4:17,22 35:25 155:9 fashion 24:14,16 fifth 148:24 figure 22:20,20 23:4 123:11 127:13 file 159:3 files 11:21 final 62:9,9,12 find 8:22 95:8 97:19 fine 80:4 finish 5:20 61:12 first 4:4 19:9 23:22 24:7,22,25 25:3,3,9,12 32:3			

<p>33:17,21 34:5,6,20 34:23 37:4 41:10 41:15,17 42:24 44:10,24 45:14 47:11 48:8,15 50:23 51:19 52:6 53:6,8,14,24 54:2 54:11,13,17 55:3,6 56:5,9,17,19,20,24 57:2,8,14 58:18,25 59:5,9,15 60:2,4,5 60:13,14,15 61:14 68:4 87:24 88:8 89:8,21 90:15,22 91:2,18 92:5 93:6 93:20 94:3,12 96:4,16 99:7 101:14,22 108:25 109:5 111:11,11 111:19 112:12,17 113:8,10,20 114:3 114:7,13 115:7,20 117:9,17 118:25 119:11 122:15 157:12 158:11,12 158:22 159:22 160:3,7,11,11 162:16 five 11:17,18 33:23 34:8 108:8 floor 3:12 fm 133:16,24 folder 8:11 12:16 126:16 following 127:15 follows 4:6 121:9 footnote 97:18 form 21:7 22:19 25:18 28:5,17 30:4 31:2,9 35:22 39:14 44:15,19</p>	<p>46:3 47:7 48:23 49:7 51:3,16 53:20 54:14 57:5 57:17 58:3,21 59:17 61:17 62:6 62:7,9,12 65:2 68:8 70:17 71:3 72:12 73:3,18,21 76:11 78:2,7,14 80:10,16,23 81:18 83:19,24 85:2 89:10,22 98:14 99:11 100:4 106:5 110:16 111:2,17 111:23 112:5 113:15 117:20 118:7 127:25 130:22 131:7,20 134:10,22 135:11 136:14 137:5,16 138:6,22 139:18 142:18,25 144:3 146:6 147:8 148:5 148:19 151:11 154:20 157:5 format 104:8 formation 81:8 forming 11:24 40:20 75:9 101:8 127:2 152:14 forms 59:12 62:2 forth 165:12 forward 10:22 13:18 four 95:17 fourth 19:22,25 82:25 148:24 friendly 123:15 front 24:9 155:10 function 130:8</p>	<p>functionality 22:25 further 56:3 59:5 121:8 163:18,19 165:16</p> <p>g</p> <p>gateway 122:7 125:21,25 127:9 127:24 128:4,13 128:20,24 129:13 129:21,24 130:16 130:21 131:6,19 131:25 132:20,23 133:4,12,19 138:20 139:14,17 140:3,11,17,23 150:12 163:6 general 7:16 62:23 72:3 139:2 generated 151:9 generates 151:13 generic 107:10 give 5:19 26:4 54:23 97:18 given 45:15 116:20 145:16 165:14 go 8:16 10:4 35:11 40:22 48:5 57:19 64:2 79:8 111:5 127:5 147:19 149:22 154:10 goal 148:2 162:10 goals 67:14 goes 37:3 156:6 going 8:6 12:13 26:16 29:18 35:24 37:23 38:21 39:17 39:18,22,24 44:14 47:23 55:10 70:16 79:7,9,20 92:25</p>	<p>98:20 100:14 107:7,11,22 108:22 119:14 126:12 144:18,22 145:18 153:24 154:3 155:17,23 good 4:9,11 11:18 63:14,20 119:16 119:18,19 164:2 gps 150:14 great 10:2,11 greater 71:6 group 1:5 2:5 15:18 170:2 guess 23:4 28:25 65:5 67:18 99:20 143:6</p> <p>h</p> <p>h 4:2,3 121:5,6 hallie 3:15 hallie.kiernan 3:15 hand 17:20 40:23 165:22 hang 8:22 159:4 hard 5:7 hardware 25:14 25:15,22,23 26:6 49:8 103:25 104:13 106:10,12 112:23,24,25 160:15 161:5,24 162:9 header 106:21,22 106:23 107:9,13 107:21 108:4 headset 62:11 hear 5:8,15 31:10 141:22 hearing 5:25</p>
--	--	---	---

help 20:3 helpful 15:24 hereinbefore 165:12 hereunto 165:22 hired 155:14 history 11:20 hmmm 28:24 143:6 157:4 hold 8:17 honest 35:24 hopefully 5:9 hour 39:18 hours 7:6 12:6 14:2 human 21:8 26:17 35:14,16,20,25 36:4,6,8,16 52:10 52:18,22 53:2 54:20 hypothetical 39:2 70:18 71:7 98:15 136:5 137:17 138:8,16 139:5,11	168:10,17 169:4 identified 75:15 82:11 84:13 85:8 85:11 96:8 97:12 101:20 102:3 135:3 147:10 identifier 30:14,14 30:23,24 identifiers 31:5 identifies 83:8 107:10 147:12 148:15 identify 42:23,25 64:24 71:5 73:14 84:8,16 88:17 89:8 90:14 92:4 99:15,24 124:17 145:4,14 identifying 29:21 29:24 30:8,9,16,25 31:8,16,21 32:6,9 32:22 33:3,6 38:17 39:6,8,10,15 43:18 44:2 45:9 45:12,17,19 49:16 49:18 64:8 65:23 69:5 70:7 75:13 83:21 84:24 85:7 92:2 93:3 136:18 141:10 144:8 145:22 146:11 148:17 ies 83:10 iii 134:2 illuminate 132:8,9 illuminates 132:5 illuminating 127:21 128:6,12 128:23 129:12,23 130:19 131:4,16 131:22	illustrate 123:14 implement 90:20 90:21 91:15 93:22 implementation 67:15 implemented 92:9 implication 103:11 imply 130:14 impossible 134:21 imprecise 26:25 62:15 incapable 99:18 100:3 110:25 include 38:16 39:12 64:6,9,15 69:9 70:6 76:20 90:8 93:14 94:15 94:20,25 106:21 107:2 114:13 130:9 140:22 148:13 151:6,8 154:11 161:5 included 12:4 14:16 86:9,15,20 87:2,9,14,20 88:14 89:14,16 90:12 93:5 108:2 127:23 includes 71:15 107:6,7,14,15,16 107:21 108:12 115:7 125:10 127:17 161:12 including 26:17 incorrect 148:20 149:10 increment 93:18 93:23 94:2,11 indicate 123:9 indicates 130:7	indicating 47:2 indication 123:2 138:12 147:16 indications 46:20 indicative 47:12 individual 42:21 industry 15:18 20:10 43:10 info 127:22 128:6 128:12,23 129:12 129:18,23 130:9 130:13,15,20 131:5,12,17 132:5 132:11 135:5,8,25 136:12,23 137:3 137:14,23 138:3 138:19 139:13 140:3,12 141:19 142:21 143:5,19 143:20,21,25 146:4 147:7,13 150:8 information 14:11 15:19 18:21,23 21:5,9 26:15 27:2 27:4,7,15,19 38:14 38:16 42:10 49:10 50:22,25 51:4,4,5 51:6,7,8 54:20 56:15,16 68:19,20 68:21 70:6,7 71:2 71:16 76:20 88:14 88:16 89:3,7,13,15 89:17,19 90:8,11 91:14 92:3 93:4,8 104:2,4,6 106:8,25 107:7,8,11,14,16 107:20 110:2 112:15,20 123:16 124:20 127:10,16 127:23 128:3
i			
ice 148:6,9 identif 83:10 identification 7:23 12:19 16:18 32:25 38:23,25 39:11,13 40:2 41:16,22 42:6 43:23 45:8 45:21 64:4,22 65:9,12 66:6,25 68:3,21,24 71:11 71:23 72:5 74:15 81:21 83:7 100:9 121:11 126:6 151:19 160:10 166:10,17 167:4,8 167:12,17 168:4			

<p>133:8,9,12,13 134:13 135:16,17 135:19,21,23 142:12 143:14,15 144:23 145:3,12 145:13 146:7,10 146:17,19 147:5 147:11,11,25 148:4,14,22 149:3 149:6,14,16 151:4 151:13 160:16,19 161:7 162:2,11</p> <p>infringe 91:16 infringing 71:17 71:25 90:20 98:15 instance 30:17 41:17,23 42:7,18 42:20,23,23 43:24 45:9,22 47:11,15 64:4,9,19,22 65:7 65:10 66:5,7,12,18 66:20,25 67:3,5,9 67:17 68:4,25 69:11,15,19 71:5 71:12,24 72:5,7,9 72:24 73:8,15,20 73:23 74:10,13 75:14 83:16 99:4 114:18 160:10</p> <p>instances 64:25 instruct 79:22 instructions 9:4 instructs 6:12 intended 107:15 inter 8:2 10:13 12:21 13:10 81:24 121:13 163:13 166:14,20 167:21 168:13 interested 165:19</p>	<p>interface 102:14 160:5,25 internet 102:18 105:12 122:7 125:21,25 127:9 127:24 128:13,20 128:24 129:13,20 129:24 130:16,21 131:5,18,25 133:5 133:12,19 138:20 139:14,17 140:3 140:11,16,22 153:12</p> <p>interpolation 61:20 interpret 21:9 26:16 35:13 54:15 63:5 114:10,16 interpretation 65:6 85:13 interpreted 162:4 invention 153:5 involve 112:23 involves 112:22 ip 32:21 102:18 134:5 ipd 107:20 ipr 7:22 8:8 10:21 11:2 12:14,18 13:17 16:17,25 39:25 40:8,20 74:14,20 78:16 79:8,15 81:20 82:6 95:12 100:8 100:16,24 102:8 121:10,19,20 126:5,13 151:18 152:2 162:15 166:9,16 167:3,7 167:11,16 168:3,9 168:16 169:3</p>	<p>ipr2021-00720 1:11 10:18,21,24 ipr2021-00721 2:11 13:15 irrelevant 86:23 issue 13:2 issues 5:14 item 42:11 82:19 130:10 150:19 151:16 items 42:17,18 150:24</p> <p style="text-align: center;">j</p> <p>january 1:14 2:14 165:23 jazz 42:13 job 112:19 john 3:20 john.scheibeler 3:20</p> <p style="text-align: center;">k</p> <p>keegan 3:21 keep 39:21,24 70:13 132:7 142:8 kiernan 3:15 4:8 8:5 12:23 16:21 21:15,20,22 39:17 40:5 51:25 63:13 63:16,21,24 74:18 78:17,20 79:4,13 79:20 80:3 82:3 100:13 119:14,22 119:25 121:17 126:9 151:23 157:19,25 163:19 163:25 166:4 kind 5:7 67:13,13 141:20 157:8 kinds 122:15</p>	<p>klein 1:19 2:19 4:5 165:6,25 knew 16:3 knob 96:9,15,20 know 5:5 6:2,20 8:12 9:10 12:17 17:20 20:13 29:22 33:12 40:9 43:7 50:11 74:22 76:13 82:6 86:25 91:22 92:11 95:12 100:17 116:15 126:14 137:24 144:21 148:8 149:23 152:3,7,9 knowing 16:4,10 knowledge 12:2 15:7,10,14,25 16:5 16:12 43:11 91:13 92:20 94:10 137:11 138:2 144:14 known 14:12 148:4 knows 147:20,21 147:23</p> <p style="text-align: center;">l</p> <p>l 4:3,3 121:6,6 134:2 label 22:23 125:3 labeled 23:5 labels 122:8,19,23 130:5 132:14,18 lack 77:11 language 32:2 37:24 44:16 51:17 55:10 114:2 139:25 larger 103:21 105:21</p>
---	--	--	--

<p>late 14:14 laughter 100:20 lee 122:23 123:8 124:17 125:2,7 126:19 128:11,21 129:6 130:4,12,18 131:3,10,15 132:6 132:19,22 133:3 133:20,21,22,23 136:24,25 137:12 137:25 138:17,23 139:2,4,15,25 140:10,16,19,22 141:18 142:2 145:10,12 147:15 148:3,13 149:19 149:23 162:17 163:3,4,4 lee's 122:9 123:3 125:20,25 128:19 128:24 140:8 142:11 143:15 left 22:24 legal 48:11 53:21 54:6 length 34:4,6 level 112:17 lexington 3:5 limit 56:4 96:4 108:24 109:5 115:4 limitation 43:25 44:3 47:24 113:6 115:12 158:18 159:17 limited 134:12 limits 96:15 line 19:22 20:13 20:22 23:8 29:19 30:13 31:15,24 36:22,25 41:9,12</p>	<p>41:14 43:15 76:17 76:18 77:2 80:25 81:12,16 82:19 83:15 116:12 125:18 127:6 129:9,10 140:19 148:25 149:23 150:3,6 170:5 lines 93:13 130:4 140:21 link 8:21 152:25 153:5,7,9,19,21 list 11:21 127:16 listed 11:21 42:17 listening 97:4 lists 111:12 little 5:7 26:19 42:16 103:13 150:7 161:20 live 154:2,3,5 llc 170:1 llp 3:4,11,17 loading 9:16 located 150:23 location 18:15,15 38:20 99:6 150:13 locations 18:16 log 71:16 logged 100:20 logos 122:9,16 long 7:5 look 19:15 20:22 29:15 43:13 70:20 71:2 76:25 82:4 112:11 113:5 150:24 157:23 looked 19:9 150:19 looking 18:6 19:20 32:17 43:15 50:7 64:3 65:8 66:23</p>	<p>68:11 72:3 75:12 95:5 118:9 136:24 looks 151:15 lucas 3:7 16:13 21:13,16 22:10 25:18 28:5,17 30:4 31:2,9 35:22 44:14 46:3 47:7 48:11,23 49:7 51:3,23 53:20 54:14 57:5,17 58:3,21 59:3,17 60:6 63:15 65:2 68:8 70:16 71:3 72:12 73:3,18,21 73:25 74:6 76:11 77:11,17 78:2,7,14 78:19,25 79:7,17 79:25 80:10,16,23 81:18 83:24 85:2 88:2,10 89:10,22 98:14 99:11 100:4 106:5 110:16 111:2,17,23 112:5 113:15 117:20 118:7 119:18 127:25 130:22 131:7,20 134:10 134:22 135:11 136:14 137:5,16 138:6,22 139:18 140:5 142:18,25 144:3 146:6 147:8 147:14 148:5,19 151:11 154:20 157:5,22 158:3,8 163:17,22 164:2 166:5 lunch 119:20</p>	<p>m m 4:2,3 121:5,6 m.d. 170:3,21 mackintosh 76:16 77:5,9,18 78:3,6 78:22 80:25 81:6 81:12,17 82:20 83:5,15 85:17,19 85:24 88:13,21 89:2 91:5,8,10 92:12,16,19 94:7 94:13,14 95:24 97:3,12 98:4,8,22 98:25 99:5,9,16,18 99:24 100:3 108:23 109:21 158:23 159:23 160:22 161:13,24 162:7 mackintosh's 87:23 88:7 96:9 98:16,17 158:10 161:2 magnetic 28:13 mail 153:11,22 mails 154:8 main 26:22 27:3 making 10:2 manipulate 147:25 manipulated 58:5 58:10,19,25 61:15 marked 7:23 8:7 12:18 16:18,24 40:2,7,23 74:15 81:21 100:9 121:11 126:6,11 151:19,25 166:10 166:16 167:4,8,12 167:17 168:4,10 168:17 169:4</p>
---	---	--	---

marriage 165:18 material 12:3 76:22 160:6 materials 11:22 76:19 77:20,23 160:8 161:19 matter 12:10 70:24 155:14 165:20 mean 7:18 24:24 34:18 38:21 48:19 49:17 50:20,25 54:22 58:8 66:17 66:21 67:19 69:23 73:20,23 84:8,15 85:7 93:21,25 97:13 111:24 113:12 132:8 135:23 144:21 146:22 149:18 151:7 161:17 meaning 47:19,22 55:18 means 24:5 30:16 48:16 52:4 67:5 67:10 69:12,16,20 72:9,24 73:8,15 74:10 149:3 161:23 meant 132:10 mechanism 71:5 124:19,25 125:25 media 18:8 20:16 20:17,19,19 23:11 23:21,22 24:13,15 25:3,3,6,8,10,12 25:13 30:18 31:25 32:2,3 33:17,18,22 33:24 34:5,7,9 37:4,5,6,12 38:18 38:19 41:15,17	42:24 43:17,19 44:2,6,10,11,17,24 44:25 45:10,13,14 45:15,18 48:2,14 48:15 51:2,19,20 52:6,7 53:6,7,24 53:25 54:11,12 55:3,4 56:5,10,17 56:19,20,25 57:8 57:24 58:7,19,25 59:6,15,19,20 60:2 60:4,5,13,14,15,20 60:25 61:2,10,14 61:23,25,25 64:25 68:4,6 96:5,16,24 98:10,13 99:7,8,17 100:2 101:13,14 101:22 102:5 103:18 108:25 109:6,23 110:15 110:23 112:17,20 113:8,9 114:14,24 115:8,8,21 117:8,9 117:18 118:15,16 118:25 119:2,11 122:2,11 123:17 123:19,23,24 124:3,5,7,8,20 125:5,6 136:20,21 141:11 144:9 145:5,15,23 146:12 148:18 158:12 160:7,11 162:3,6,18 medium 102:17 103:19 104:3 105:12 160:12 162:2 meet 34:2,10 meets 135:18,22	mentioned 28:9 65:24 105:9 message 38:4,10 38:24 39:12 55:14 55:17 metal 112:9 method 18:7 methods 14:12 microseconds 36:5 mike 70:14 mind 157:22 minute 64:3 minutes 33:24 34:8 36:9 63:16 misrepresenting 129:15 missing 84:20 misstated 48:25 misstates 31:3 48:24 57:18 73:4 140:5 misunderstand 131:13 modem 102:15 103:2,6,11,14,17 103:21 104:12,15 105:10,15 modification 16:15 modulated 21:6 24:14,15 27:8,20 104:2 106:8 112:16 160:16 161:7 modulations 26:13 modulator 49:2 modulators 48:20 49:5 module 41:11 43:16 47:25 48:8 48:9 50:20,24,24	51:10 53:9,10,14 53:15 54:2,13,17 54:18 55:6,8,12 56:4 57:3,4,14,24 58:5,9,14 59:5,9 61:17 96:10 108:14,20 111:11 111:13,20,21 112:12 113:10,11 113:13,20,21 114:3,4,7,9,12 115:2,19,24 116:4 158:23 159:23 160:4,22 161:3 modules 24:11 111:16,16 114:23 115:2 158:11 moment 97:19 month 67:25 morning 4:9,11 156:22 157:11 motorola 14:15 move 95:10 100:14 moving 10:21 13:18 33:10 70:13 119:15 muffled 5:25 multimedia 122:9 125:7 126:2 127:11 133:6,14 133:15 137:22 139:14 144:13 146:23 multiple 7:24 18:7 18:16 20:16 29:6 29:16 81:22 155:20 156:8 166:11 167:18 music 62:25 63:2,6 77:22,25 85:17
---	---	---	--

n	39:11,13 42:11,12 42:22 43:3,4 45:23,25 51:7 64:6,10 65:11,17 65:25 66:8,14,15 68:22 71:7,9,16,20 75:20 82:8 83:6 90:10,13 93:13,14 93:19,22,24 94:2 94:12,15,15,21 95:3,6,8	134:10,22 135:11 136:14 137:5,16 138:6,22 139:18 140:5 142:18,25 144:3 146:6 147:8 147:14 148:5 151:11 154:20 157:5	45:20 46:7,24 47:14 49:3 50:18 51:9 52:25 56:18 57:12 60:24 62:3 63:7,12 64:15,19 65:16 66:12 72:23 73:13 74:19 76:8 77:7,15 80:3,13 81:9 82:4,18,19 83:5 84:15,23 85:6,21 87:23 90:11 92:25 95:10 96:14 97:10 100:21,23 101:4 101:19 102:10 103:20 107:9,25 108:5,22 110:9 111:5,10,19 117:24 118:22 119:5,18 124:8,12 126:19 128:5 131:9 132:4 136:7 139:23 141:15,25 142:20 143:8,13 143:17 145:18,25 150:3 152:6 154:13 155:3 156:25 158:5 162:13
n 3:2 121:2,2,2 166:2 name 170:2,3 nanoseconds 34:23 national 15:7,11 15:15 nav 140:13 nearly 117:9 necessarily 70:3 112:10 129:20 130:16 131:24 necessary 90:23 need 6:19 8:16 12:24 38:24 39:12 67:8,11 68:15,18 70:3,5 83:25 84:3 84:4 needs 21:8 network 127:9,24 128:14 132:21 133:19 140:4 150:13 153:8,10 new 1:21 2:21 3:6 3:6,19,19 165:3,5 165:8 170:1 night 164:2 nine 121:23 notary 1:20 2:20 4:5 165:7 170:25 note 80:24 noted 120:3 164:3 notes 157:23 november 100:12 151:22 168:8 169:8 number 10:17 11:3,8 13:11,14,19 13:22 17:19 33:2 33:5 38:23,25	numbers 43:7 88:15 95:2 116:21 150:7 numeric 83:7 93:14 94:16	obtained 18:8 37:4 obvious 147:4,24 148:9 149:12 occur 91:4,6 117:16 136:6 138:14 155:21 occurred 34:21 46:20 157:13 occurrence 46:2 46:16 47:13 69:12 69:16,20 72:10,25 73:9,16 87:25 88:9,18 89:9,21 90:15,23 91:3,18 92:5 93:7,19 94:3 94:13 132:2 occurrences 46:9 65:19,23 68:6 69:6 94:24 95:7 occurring 114:22 occurs 46:21,22,23 92:15 offer 110:21 office 1:2 2:2 oh 74:24 82:15 116:21 okay 4:17 5:11 9:19,25 11:18,18 13:20 16:22 17:9 19:13 20:2 27:22 28:15 29:2,18 36:8,25 39:9,16 40:6,16 43:4 45:6	old 36:11 ones 28:3 open 121:19 152:8 152:10 opened 126:21 152:6,21 operate 138:9 139:7 operation 148:10 opine 141:6 opined 34:12 41:6 80:12 86:5,6
	o		
	o 102:13 121:2,2,2 object 44:14,19 70:17 148:19 objection 6:14 16:13 21:13 25:18 28:5,17 30:4 31:2 31:9 35:22 46:3 47:7 48:23 49:7 51:3 53:20 54:14 57:5,17 58:3,21 59:3,17 65:2 68:8 71:3 72:12 73:3 73:18,21,25 74:6 76:11 77:11,17 78:2,7,14 79:23 80:2,10,16,23 81:18 83:24 85:2 88:2,10 89:10,22 98:14 99:11 100:4 106:5 110:16 111:2,17,23 112:5 113:15 117:20 118:7 127:25 130:22 131:7,20		

<p>111:3 opines 60:8 opinion 20:9 21:12 25:7 31:6,13,21 32:4 34:3,11 44:4 44:9 45:11 48:12 51:11 53:5 56:24 69:4 70:24 71:22 72:14,15,19,22,23 73:6,7,14,19,24 74:4,7,9 77:24 80:14,17,20 81:8 81:13,15 83:19 84:7,10 87:5,7,10 87:13,15,18,21 89:25 96:14 100:7 102:11 108:17 110:19,21 111:15 122:5 123:20 125:19 127:2 128:15,18 129:15 129:16 130:21 131:6,8,21 138:25 139:12 141:17 158:24 159:22 162:25 163:11 opinions 11:24 40:20 75:9 101:8 115:15 152:14 opportunity 5:20 opposed 148:11 options 122:15 order 64:24 140:23 162:10 outcome 165:20 output 33:19 50:8 50:20 51:10 53:8 53:13,14 54:2,13 54:16,17 55:6,7 56:3,5,9,19,24 57:2,8,14,23,24</p>	<p>58:4,9,13,18,24 59:4,15 60:2,3,12 60:13 61:2,9,13 62:4,5,19,21 63:4 63:8,11 96:4,10,15 96:25 103:10 108:14,19,25 109:5 113:6,7,10 113:19 114:2,7,8 outputs 114:22,25 outside 99:20 107:5 overlap 37:17 overlapping 156:10 owner 1:9,18 2:9 2:18 3:10</p> <hr/> <p style="text-align: center;">p</p> <hr/> <p>p 3:2,2 4:3 121:6 p.m. 1:15 2:15 120:3 121:3 142:14,15,22,22 143:10,10,18,19 143:20 146:18,20 149:15,17 164:3 pacific 142:14,15 142:22,23 packet 106:21 page 7:24 9:11 11:13 16:18 17:18 19:21 20:13,21 23:8 29:19 37:23 40:2,22 74:15 81:22 82:11,12,13 83:2 100:9 107:19 123:15 125:10 126:6 133:4,23 151:19 158:16 159:16 166:3,7,11 167:5,9,13,18 168:5,18 169:5</p>	<p>170:5 palo 3:13,14 pan 153:10 paper 35:19 paragraph 10:5 11:12 19:18,20 22:11 31:4,7,13 61:3,4 82:5,8,14 83:22 95:11,19 97:20 102:7,10 105:8 106:18 108:7,10 109:7 121:23 122:4 123:25 124:3,10 124:16 125:13 127:8 128:9,17 129:3 133:2 139:23 140:9,18 141:2 145:19 148:15,22,25 152:8,17,23 155:18 160:3 161:15,19 paragraphs 60:7 60:17 139:21 parenthetical 154:4,6 part 49:11 60:21 63:10 68:20 86:16 97:16 103:4,6,17 103:22 104:15 105:21 138:23 141:22 146:10 partes 8:3 10:13 12:21 13:11 81:25 121:14 166:14,20 167:21 168:13 participate 153:6 participating 153:18</p>	<p>particular 24:14 34:13 43:2 67:7 70:5 74:12 76:21 83:8,21 88:12,19 109:9 particularly 19:19 parties 165:17 patent 1:2,3,9,12 1:18 2:2,3,9,12,18 3:10 8:3 10:14 11:3,7,8,20 12:21 13:11,22,24 16:19 16:19 17:16 21:10 21:14,15,18,19,23 22:5,15 29:19 30:11,11,25 31:14 31:23 32:6,14 33:9,16 35:2,6 36:14,21 38:11 39:19 40:3,3,13 41:24 42:8 43:14 45:7 47:17,19 48:10 49:14,20,25 50:3,10,16,21 51:24 52:2 53:10 55:11,18,20,24 56:12 57:2,4 58:2 64:2,20 65:8 66:13,16,24 67:4 69:3,11,16,20,22 71:13 73:2,10,17 74:11,17,17 75:10 79:3,11 81:25 95:20,25,25 96:3 100:11 101:9,11 111:6,22 115:12 116:7,11 117:5 119:8,15 121:14 126:8,8 127:3 151:21 152:15 158:16 162:14</p>
--	---	---	--

<p>166:15,21 167:6,6 167:10,10,14,14 167:22 168:6,14 168:19,19 169:6 patents 72:11 pause 155:23 payload 107:17,19 107:23,24 108:2,3 pending 6:21 80:5 people 9:11 perceive 36:18 47:9 perception 35:15 35:17,21 36:2,17 52:23 53:3 118:9 perform 24:12 49:9 138:15 161:6 performed 59:13 59:15 104:11 performing 99:2 periodic 6:17 periods 156:10,11 permits 153:5 person 46:14 person's 36:17 personalized 133:9 perspective 155:23 petition 7:20 8:2 10:13 11:22 12:20 13:10 81:24 97:18 121:13 166:13,19 167:20 168:13 petitioner 1:6 2:6 3:3 ph.d. 10:12 13:10 phd 1:18 2:18 7:25 12:20 81:23 121:12 164:8 165:11 166:13,19</p>	<p>167:20 168:12 philosophy 28:25 29:3 phone 33:5 physical 54:19,21 55:5 102:17 103:19 105:11 physically 24:8,9 111:24 112:4 113:21 piece 27:2,4 38:14 38:15 91:14 106:24 pieces 27:7 145:3 145:11,13 147:24 placed 27:2,5 49:11 played 45:24 46:9 46:10 47:5 64:11 65:19 66:3 67:6 67:10,13 68:13 75:22 86:2 90:4 97:7 playing 37:2,14 46:15 47:10 114:19 please 5:4 6:2,6,19 6:22 8:12 10:4 11:11 12:17 17:18 20:14 22:14 30:6 35:5 36:22 40:10 40:22 42:4 44:21 48:5 54:22 57:20 60:11 74:22 80:7 82:6 84:20 88:6 102:8 105:3 108:8 116:16 118:11 121:20 125:14 126:14 127:5 129:7 131:2 132:16 140:25</p>	<p>149:22 152:18 159:11,14 point 22:10 28:18 28:18,21 59:18,22 60:6 79:6,10 84:20 88:20 112:21 119:17 128:10,21 129:5 140:10 pointed 81:10 83:14 90:2 91:25 93:2 131:9 161:11 pointing 77:10 points 61:23 78:3 85:20 portion 55:7,9 76:5,6 124:16 posita 29:4,5,15 42:9 90:24 91:7 91:12,21 94:9 102:11 108:11 122:5,24 123:7,9 124:13,19 125:19 128:18,22 129:11 139:6 141:7 163:12 possible 5:5 132:11 134:7 162:19,24 possibly 66:16 136:5 potentially 91:16 preceding 158:21 159:21 precise 62:16 prefer 39:22 preferably 127:17 127:23 preparation 7:10 prepare 7:3,5 125:9</p>	<p>preparing 7:13 12:7,11 14:3,6 present 7:10 33:21 33:24 50:9,14 52:13 54:19 55:3 98:13 99:9,17 113:8 117:7 144:14 153:5 presentable 21:7 presentation 53:24 54:11 58:6 58:15 59:7,11,23 112:16 117:16 118:10 125:9 presented 33:18 52:11,25 53:3,8 59:12 61:8,24 62:5,10 76:6 104:4 106:9 109:23 110:5,7,7 112:21 114:14,24 117:10 156:8 presenting 26:15 33:11,15 34:9 36:15 49:12 51:18 52:5,9,21 61:16 98:10 99:7,19,25 110:11,15,23,25 119:10 161:8 162:11 presents 117:18 118:2,3,15,16,24 160:18 press 141:8 142:16 142:24 143:9,19 143:21 144:11,16 145:2,19,21 146:2 146:7,10,15,22 147:11,17 148:4 148:14,22 149:2,3 149:6,8,13 150:8</p>
---	---	---	--

150:15,23 pressed 142:3,9 143:4,25 146:3,16 146:17,18,20,25 147:6,12,21 148:11 149:9,14 149:17 151:10 presses 141:25 pressing 143:18 147:22 149:4 150:11 pretty 95:9 previous 26:2 43:24 previously 7:22 8:7 16:17,24 39:25 40:7 52:20 74:14 81:20 83:17 88:11 100:8 121:7 121:10 126:5,11 151:18,25 161:4 166:9 167:3,7,11 167:16 168:3,9,16 169:3 primary 117:6,11 117:18,25 118:14 proceed 4:23 79:12 proceeding 16:25 152:2 proceedings 5:4 8:8 126:12 process 27:18 49:9 60:21 63:11 103:9 104:5 123:4 148:2 160:20 161:10 processed 59:6 62:18 processes 49:9 134:4	processing 24:17 59:13,14 61:23 125:8 produces 59:10 program 110:12 130:6,7 135:7 160:8 programming 101:21 106:14 110:4 projected 130:15 promotional 77:22 prosecution 11:20 protocol 102:18 protocols 105:13 provide 22:25 133:5,8 134:16,19 provided 76:21 89:3,7 92:3 109:10 128:3 144:24 146:23 public 1:20 2:20 4:5 165:7 170:25 publication 100:11 151:21 168:7 169:7 pull 111:7 162:14 purchase 123:2,4 130:10 132:2,3 purpose 130:6 push 142:13 pushed 142:21 143:2 149:15 pushing 142:20 146:4 put 9:12 107:5 123:5 putting 104:8	q qualify 136:19 query 151:5,14 question 5:16,22 5:25 6:6,9,21,22 10:8 16:9 19:2 21:16,21 22:13 25:19 28:7 30:5 31:11,12 35:5,23 42:3 44:20 46:13 53:17,21 54:6 57:6,21,22 58:22 60:10 69:17 70:17 71:18 73:22 76:12 78:18 79:14 80:5 80:7 86:12 88:5 91:24 92:17 93:2 99:3,13 103:12 105:2 111:4,18 116:19 118:11 130:25 132:15 135:18,22 137:10 138:7 141:23 143:7 144:5 151:13 159:8,13 163:16 questions 130:14 157:21 158:4,10 162:17 163:8,18 quickly 162:13 quite 4:17 quote 83:22 97:12 133:3	radio 14:12,15,16 14:17 15:7 19:24 68:23 77:23 83:11 84:9,14,17,24 85:4 85:5,8,12 93:15 94:17 102:16 103:2,8,15 104:23 105:6,11 114:21 151:8 163:13 rbds 14:13,18,22 14:25 15:4,25 16:10 136:19 137:19 138:12 rds 16:15 reached 73:11 read 11:9 37:20 41:19 42:9 44:16 51:21 53:12 55:15 56:7 83:12 101:17 102:22 105:9 108:15 117:25 118:20 119:3 122:12,14 125:22 141:13 150:17,21 153:15 156:14 reading 53:22 85:24 91:7 118:13 118:23 154:14 reads 56:2 117:5 ready 10:3 real 3:12 28:9 102:3,19 105:13 105:16,20 106:3 106:15 110:12 realize 6:3 reason 6:19 170:5 reasons 99:6,6 recall 12:5,8 14:4 15:9 20:4 115:13 155:12,13 158:9 162:16 163:7
		r r 3:2 4:2,2 27:25 28:4 121:2,5,5 165:2 radiation 27:11,13 29:7	

receipt 154:18,21 receive 41:11 43:17 47:25 49:2 98:12 100:17 105:16 112:13 122:25 126:3,15 127:10 133:16 153:11,12,21,25 154:23 160:6 received 23:22 24:2,5,17 25:9 26:8,10 45:14,16 47:3 48:14,20 49:24 50:2 61:17 70:9 103:2 105:5 106:14 107:13 122:23 123:17 131:12,17 133:18 134:3,4,5 135:17 156:9 158:13 163:14 receiver 23:2 24:6 24:7,20,22,25 25:2 25:5 27:10 28:11 35:12 41:11 43:16 47:24 48:8,9 50:20,23,24 51:10 53:9,9,14,15 54:2 54:13,17,18 55:6,8 57:3,3,14,24 58:5 58:9,13 59:5,9,23 61:17 103:4,7,18 103:21,24 104:15 104:22 105:5,22 106:3 107:11 111:11,13,16,19 111:21 112:12,18 113:4,10,11,13,20 113:21 114:3,4,7,8 114:12 115:6,19 115:24 116:4	124:6,14,21 134:6 158:11,23 159:23 160:4,14,18,22 161:3 receiver's 104:9 receivers 24:16 25:17 113:2 receives 27:11 102:16 103:7,14 104:22 105:10,20 106:3 118:2 141:20 161:18 receiving 20:23,23 20:24 21:3,4,12,25 22:17,20 23:9,10 25:2,6 35:13 49:10 98:9 99:19 99:25 101:12 103:18 137:22 154:7,24 161:9 reception 14:17 24:12 25:11 103:9 125:5 155:19 156:2,13,18 157:3 157:8,16 162:6 163:8 recess 63:23 158:2 recognize 17:11 17:25 40:17 41:3 75:6 101:5 123:4 126:23 152:11 recollection 20:8 record 68:19 119:21 140:15 142:7 165:14 recorded 93:16 94:17 143:11,21 redirect 158:4 refer 10:20 13:17 13:23 30:15	reference 75:15 155:10 158:20 159:20 referenced 11:23 referred 156:11 referring 10:23 11:6 13:19 19:8 36:16 45:11 48:22 49:5 88:21 93:9 98:3,5 116:10 139:10,11 161:14 refers 36:15 44:24 44:25 102:13 refresh 12:24 refreshing 17:3,6 regard 21:17 regarding 115:15 regardless 51:16 149:8 reggae 42:13 regions 113:24 regular 133:7 relate 44:5 86:10 related 11:2 31:25 84:16 122:6 127:2 158:18 162:20 163:2,13 165:17 relates 44:9,10 45:19 84:14 85:8 relation 95:24 98:4 relevance 78:15 79:23 relevant 70:10,14 79:18 remote 154:12,15 remove 98:21 removing 27:19 93:12 repeat 159:7	rephrase 21:21 report 19:7 60:17 61:3 72:18 115:10 123:25 124:4 133:2 139:22,24 160:3 reporter 1:20 2:20 5:15 165:7 reporter's 27:25 reporting 170:1 representative 123:16 represented 122:7 122:19 132:13,17 135:4 137:2,13 138:3,19 139:12 140:2 represents 122:22 request 102:4 requests 110:13 require 25:10 required 72:8 161:6 requirement 88:12 89:12,24 90:18 requires 96:4 101:12 106:20 108:24 111:10 resolution 34:16 34:19,22 35:3,7 67:18,20,22,24 71:6 72:7 90:22 145:16 157:2 resolve 68:15 70:4 resolving 156:21 resource 26:7 resources 24:6,21 25:11,13,16,21,24 26:13 113:3
--	---	---	--

respect 14:10 20:6 21:23 22:15 159:17 162:25 163:9 response 38:4,10 38:23 39:11 42:15 44:12 55:13,17 82:24 137:18,21 149:18 rest 129:2 156:6 restate 31:12 restaurant 51:6 restrict 67:17 130:12 131:11,16 restriction 52:14 52:17 130:17,18 131:3 resumed 121:7 review 7:12,15 8:3 10:14 12:21 13:11 81:25 121:14 126:25 152:13 166:14,20 167:21 168:14 reviewed 40:19 75:8 101:7 reviewing 8:14,23 9:5,8,14,17,23 13:5 17:4,7,12 18:11,24 19:16 22:8 23:16 30:2 30:20 31:17 32:10 32:13 37:18 38:6 38:12 40:11 41:25 47:20 50:4 54:3 56:13 75:3 77:3 78:8 82:22 84:11 86:3 88:3 90:5 93:10 95:21 96:11 98:18 101:15,23 104:17,24 105:17	105:23 110:17 116:13 117:21 118:5,18 123:21 126:17 127:18 130:2,23 132:24 135:12 136:2,15 137:6 139:19 142:4 151:2 158:25 159:5,24 160:23 161:21 reviews 92:21 109:16 rf 24:9 27:9,20 29:6 162:2 rich 134:14,19 right 5:13 8:19 17:9,10,20 19:10 40:23 63:21 140:20 142:14 146:14 157:3 rock 42:14 roughly 4:14	153:25 154:22 scale 35:14,16,20 35:25 36:16 52:22 53:2 scheibeler 3:20 scope 79:8,25 99:21 screen 8:15,24 9:6 9:9,15,18,24 13:6 17:5,8,13 18:12 19:17 22:9 23:17 30:3 31:18 32:11 32:14 37:19 38:7 38:13 40:12 42:2 47:21 50:5 54:4 54:25 55:2,7,9 56:14 75:4 77:4 78:9 82:23 84:12 86:4 88:4 90:6 92:22 93:11 95:22 96:12 98:19 101:16,24 104:18 104:25 105:18,24 109:17 110:18 111:8 116:14 117:22 118:6,19 123:22 126:18 127:19 130:3,24 132:25 135:13 136:3,16 137:7 139:20 142:5 151:3 159:2,6,25 160:24 161:22 scroll 133:22 second 12:14 19:21 23:11,21 25:6,8,12 33:18,24 34:9,21,24 37:6,12 38:18,19 41:9 43:16,17,19 44:11 44:25 45:12 46:16	47:9,24,24 48:2,7 48:13 50:24 51:20 52:7 53:7,9,15,25 54:12,18 55:4,8 56:5,10,17,25 57:3 87:25 88:9 89:8 89:21 90:15,22 91:3,18 92:5 93:6 93:20 94:4,13 96:5,16 99:7,16,24 101:13 102:4 108:25 109:5 110:22 111:12,12 111:20 112:18,20 113:9,11,20 114:3 114:8,12,13 115:6 115:8,19,21,24 116:4,8,21 117:24 118:13 119:9,11 122:2,10,18 123:17,19,23,24 124:2,5,7,8,14,20 125:5,6,18 136:20 136:21 141:11 144:9 145:5,15,23 146:12 148:18,25 157:12 158:11,12 159:4,11 160:22 161:3 162:18 secondary 97:8 seconds 34:7 section 77:9 88:20 158:21 159:20 sections 158:21 159:21 see 5:13 8:10,13 9:22 10:15 13:3 17:23 23:8,18,18 37:7 38:5 41:12 43:20 52:18 65:3 69:7 72:13,17
	s		
	s 3:2 4:3 83:9 102:13,13 121:2,2 121:2,6 134:2 170:5 sales 97:8 saw 97:17 saying 47:8 54:21 58:13 70:15 88:25 98:20 125:24 131:23 133:3 139:3 says 20:16 23:21 43:15 48:13 51:18 55:12 66:24 77:18 83:5 116:24 122:4 125:17 130:5 137:2,12 138:2 150:7,18 151:17		

74:22 82:21 83:4 86:21 87:4 102:20 117:13 124:2 125:17 150:9 152:3 153:13 157:20,23 seek 139:6 seeking 64:21 seen 10:6,8,9 155:13 segment 76:15,23 77:8,14,16,24 78:13,16,22,24 79:15,19 80:9,15 80:22 83:10,21 84:9,13,16,24 85:7 85:11,16,22 86:2,9 86:15,20,24 87:3,9 87:14,20 segments 76:10,22 77:6,21 81:2,5,11 81:16 83:16 selected 141:9 142:9 144:7,12,18 145:8,21 146:3 147:23 148:8 150:15 selection 56:4 96:10 108:14,19 send 134:8 153:11 153:21 162:19 sender 107:8 sending 133:13 140:11 sense 107:10 sent 27:24 28:2,11 122:6 125:20 128:19,24 129:13 130:20 131:5 137:4,14 138:4,20 139:13,16 140:3	sentence 37:20 85:3,14 117:17 118:14,21,23 119:4 150:18 153:17,24 154:19 155:17,25 156:7 156:16 separate 8:20 9:11 28:2 48:21 112:3 112:9 113:13 separation 60:9 server 38:4 service 102:14 session 7:10 set 18:22 104:19 106:7 108:12,18 109:8 162:3 165:12,22 setting 162:23 share 8:11,17,21 9:4,7 12:13,16,25 17:3 74:23 126:15 152:4 sharing 8:6 shearman 3:4 shearman.com 3:7 sheet 170:1 shorthand 1:20 2:20 11:8 165:7 show 12:25 16:23 40:6 74:19 100:15 107:18 126:10 135:8,24 151:24 shown 17:19 shows 23:4 147:5 shut 79:9 signal 26:9,10,11 26:20,21,22 27:24 27:24 28:12,14,16 28:23 29:5,16 30:18 33:22 37:4	37:6,13 43:17 44:17,18 117:7,8 117:10 118:15,17 118:25 119:2 134:9,9 135:10 137:4,15 138:5 160:17 signals 14:11,17 18:14 26:23 27:13 27:14 28:22 29:6 29:17 32:3 118:2 134:11 signature 165:24 significance 78:24 similar 75:20 109:21 similarly 11:5 12:15 13:22 32:21 49:15 102:2 133:18 simpler 103:13 simultaneous 163:8 simultaneously 153:8,20 155:22 163:14 single 29:5,16 113:19 sitting 34:14 74:9 80:13,20 81:9 86:7,13 87:13,18 99:14,22 110:20 129:6 situation 34:13 115:16,18 six 11:16 82:8,9 97:22 121:23 sixty 82:10 skip 154:4 skipping 154:5	slow 159:10 slowly 5:13 snap 140:13 software 24:10 25:14,16,22 49:8 103:25 104:13 106:10,13 112:23 112:25 113:22,23 123:3 160:15 161:5,25 162:9 somewhat 155:7 song 27:3,6,17,18 37:3,3,14 42:13,13 45:23 46:2,4,8,15 46:16,20,21 47:2 47:10,11 51:5,11 56:19,21 57:9,15 61:8,15 62:8,13,17 62:19,21 63:9 64:11 65:19,24 66:3 67:6,10,12 68:13 70:5,9,21,22 71:10,21 85:25 86:9,15,20 87:2,8 87:14,19,25 88:9 88:19 89:9,21 90:4,15,23 91:3,19 92:6 93:7,20 94:4 94:24 97:6 114:19 song's 63:4 songs 43:8 47:5 61:6 soon 5:5 6:2 sorry 9:10 11:14 16:7 19:5 23:12 30:5 31:10 35:4 46:14 69:17 70:12 70:13 76:2 78:17 97:17 109:19 116:2 121:20 128:16 159:3,9
--	--	--	---

sort 42:14 sounds 5:15 61:5 119:16,18 source 107:14 span 157:9,11 speak 5:6,12 12:9 14:5 speaker 59:12 61:9 62:10,20 63:3,8,10 speakers 114:19 115:25 116:5,9 spec 31:5 119:7 specific 15:21,21 16:6,7,12 30:16 38:17 41:16,23 42:7,11,18,19,23 43:19,24 44:2 45:8,10,22 47:15 49:16,19 64:4,8,22 65:6,10 66:7,17,20 66:25 67:3,5,9,17 68:3,25 69:11,12 69:15,16,19,20 71:5,11,23 72:5,7 72:9,10,24,25 73:8 73:8,15,15 75:14 84:24 85:5,12 89:6 93:7 112:12 141:11 144:8 145:5,14,22 146:11 148:17 151:12 160:10 specifically 35:10 70:25 72:4 88:23 94:14 158:17 specification 102:15 spend 12:7 14:3 spoke 70:23	square 3:13 ss 165:4 stand 10:7 standard 14:19,20 16:2,8,11 106:20 standards 16:6 standpoint 83:11 84:9 start 8:6 28:20 63:19 starting 30:12 starts 102:10 127:8 152:23 155:18 state 1:21 2:21 138:18 139:16 144:6 156:6 165:3 165:8 stated 24:20 85:6 124:15 131:10 statement 5:21 36:19 64:12 78:6 statements 157:7 157:8 states 1:2 2:2 15:25 16:19 30:7 40:3 74:16 100:10 126:7 139:25 151:20 167:5,9,14 168:6,19 169:6 stating 102:25 station 19:24 45:24 68:23 75:16 83:11 84:10,14,17 84:25 85:4,5,9,12 93:15,17,18,21 94:2,17,18,19 96:21,23 sterling 3:4 stopping 119:16	straightforward 115:5 stratosaudio 1:8 2:8 170:2 stream 18:8,10,19 18:20 20:4,7 25:3 30:17 34:24,24 45:18 59:10 161:7 streams 24:18 44:6 strictly 95:5 subband 134:16 134:20 subcarrier 14:11 26:9,20 27:5,24 28:10,21 134:8,11 135:9,14 136:10 137:4,15 138:4 162:21 subject 73:12 80:12 87:16,22 subscribed 164:10 170:22 subsequent 125:8 sufficient 71:10,22 suggest 128:22 suggests 129:11 132:22 supplemental 76:19 161:18 support 8:2 10:13 12:20 13:10 81:24 121:13 166:13,19 167:20 168:12 sure 5:4 10:3 63:15 129:3 137:9 138:13 158:6 159:12 surging 100:21 switch 162:13	sworn 4:4 121:8 164:10 165:12 170:22 system 14:16,24 15:3 21:8 22:18 26:16 50:8 56:2 67:8 68:9,10,12,17 69:4 70:2,25 71:15,17 72:8 75:23 91:15 92:8 97:15 98:6 113:7 113:7 136:17,21 136:24 138:9,14 139:7 154:16 systems 14:13,15 15:8 154:12 t t 4:2,2 121:2,5,5 165:2,2 t.a. 4:1 5:1 6:1 7:1 8:1 9:1 10:1 11:1 12:1 13:1 14:1 15:1 16:1 17:1 18:1 19:1 20:1 21:1 22:1 23:1 24:1 25:1 26:1 27:1 28:1 29:1 30:1 31:1 32:1 33:1 34:1 35:1 36:1 37:1 38:1 39:1 40:1 41:1 42:1 43:1 44:1 45:1 46:1 47:1 48:1 49:1 50:1 51:1 52:1 53:1 54:1 55:1 56:1 57:1 58:1 59:1 60:1 61:1 62:1 63:1 64:1 65:1 66:1 67:1 68:1 69:1 70:1 71:1
--	--	---	---

72:1 73:1 74:1 75:1 76:1 77:1 78:1 79:1 80:1 81:1 82:1 83:1 84:1 85:1 86:1 87:1 88:1 89:1 90:1 91:1 92:1 93:1 94:1 95:1 96:1 97:1 98:1 99:1 100:1 101:1 102:1 103:1 104:1 105:1 106:1 107:1 108:1 109:1 110:1 111:1 112:1 113:1 114:1 115:1 116:1 117:1 118:1 119:1 120:1 121:1 122:1 123:1 124:1 125:1 126:1 127:1 128:1 129:1 130:1 131:1 132:1 133:1 134:1 135:1 136:1 137:1 138:1 139:1 140:1 141:1 142:1 143:1 144:1 145:1 146:1 147:1 148:1 149:1 150:1 151:1 152:1 153:1 154:1 155:1 156:1 157:1 158:1 159:1 160:1 161:1 162:1 163:1 164:1 166:4 take 6:17,19,21,23 39:20 65:25 taken 1:18 2:18 27:15 63:23 158:2 takes 103:25 160:15 talk 35:2,6 106:20 118:8	talked 64:5 65:10 65:16,17,22 112:14 talking 21:14 51:24 57:13 60:21 61:22 78:21 85:15 134:13,24 148:12 154:17 156:2,16 156:25 talks 38:2 61:5 81:2 133:22,23 140:10 150:11 task 112:13 161:6 tasked 135:9 tasks 99:2 104:10 104:11 112:22 teaches 163:4 technical 155:22 technology 5:3 television 101:21 102:16 103:2,7,15 104:22 105:5,10 106:14 110:4,4,6 110:10,12,24 telling 79:13 ten 7:6 term 43:5,9 47:15 49:15 72:24 73:7 79:2,18 146:21 terminal 97:14 98:5 terminology 26:25 terms 30:13,15 35:14 46:6 52:22 62:22,22 107:4 113:22 134:24 155:7 testified 4:6 48:15 99:21 121:8 testifying 137:8	testimony 31:3 48:17,22,24,25 49:3,4 57:18 71:4 73:4 165:14 text 123:5,12,14 129:19 130:13,14 130:15 131:23 thank 7:2,8 12:6 14:2,9 15:23 16:22 19:13 20:2 20:11,21 23:7 24:19 27:22 28:15 32:20 33:14 37:22 39:4 41:8,20 43:13 47:14,23 48:6 49:13,22 50:7,18 52:4 55:16,22 63:12,22 76:8 95:18 97:10 100:22 101:19 108:5 109:19 110:9 119:25 124:23 140:25 149:20 154:16 163:20,22,25 thereof 14:24 thing 42:14 49:18 49:25 103:24 133:13 140:9 things 107:2 156:23 think 15:20,23 26:24 29:4,15 35:9,10,12 48:17 49:22 52:14 53:21 61:5 62:15 63:13 69:8 84:21 89:11 95:9 103:12 106:25 107:4 138:14 139:8 157:6	thinking 19:2 112:9 third 118:22 three 82:8 117:15 145:3 ticket 97:7 tim 1:17 2:17 7:25 10:12 12:19 13:9 81:23 121:12 164:8 165:10 166:12,18 167:19 168:12 170:3,21 time 28:9 34:16,19 34:22 35:3,7,15,16 35:21,25 36:16 37:17,17 43:2 46:6,17 52:23 53:3 60:3 63:14 66:2 67:7,11,21 68:15,18,22 70:4 70:11,14 79:6 81:19 88:18 90:25 91:4,9,13 92:10,12 92:14,15,18 93:12 102:3,19 105:13 105:16,20 106:3 106:15 110:12 115:3 117:8,9 119:19 120:3 141:8 142:2,14,15 142:16,19,22,23 142:23 143:3,10 143:21 144:25 145:7,20,25 146:15,22,24 147:22 149:5,7 150:14,22 151:8 156:10,10,21,21 156:22 157:2,9,11 164:3
--	---	--	--

<p>times 4:14,16 46:11 90:17 timing 90:4 timothy 3:21 timothy.keegan 3:22 title 27:6,18 titled 7:24 13:9 74:16 81:22 100:10 126:7 151:20 166:11,17 167:13,18 168:5 168:11,18 169:5 today 4:24 7:3 19:10 34:14 73:14 80:13,20 81:9 86:7,14 87:8,13,19 99:14,22,23 103:24 110:20 129:6 today's 7:13 told 60:19 92:14 top 104:19,21 105:4,22 106:4,7 106:13 107:18 108:12,18 109:8 133:23 track 77:6,25 85:17 142:8 tracks 77:6,21 85:20 trademark 1:2 2:2 traffic 102:19 105:13 transmission 14:10 28:19,21 30:18 93:20 94:4 102:17 103:19 105:12 125:4 137:18,19,21 138:11,13 154:18</p>	<p>155:19,21 156:3 156:12,17 157:3,7 157:16 163:13 transmissions 18:17 94:22 154:3 154:5 156:8 transmit 55:13 127:10 153:11 154:2,23 transmits 19:24 150:12 transmitted 18:14 29:8 38:15 68:20 90:9 128:13 129:20,24 131:24 132:20,23 transmitter 29:8 transmitting 38:3 55:12 154:7,24 trial 1:3 2:3 true 119:13 165:14 try 5:19 trying 28:8 36:2 65:5 78:23,25 tuned 96:22 tuner 96:9,15,19 108:13,18 109:4 109:14 turn 11:11 17:18 36:22 39:19 102:7 108:7 121:22 125:13 141:2 152:17 turning 20:12 23:7 26:8 33:9 41:2,9 45:20 51:17 55:23 105:8 106:18 tv 133:16,24 twice 68:13</p>	<p>two 27:16,21 28:16 44:5 45:15 68:5 69:5 94:24 95:7 108:8 122:14 140:7 143:2 162:6 type 18:16 42:13 51:2 107:16 134:13 typed 128:8 140:24 types 44:5 154:24 typically 18:15 107:3 113:4</p> <p style="text-align: center;">u</p> <p>u 4:2 121:5 131:23 u.s. 1:12 2:12 8:3 10:14 12:21 13:11 14:18 40:13 81:25 121:14 166:14,20 167:21 168:14 unable 73:13 80:19 87:12,18 understand 5:16 6:5,15 10:23 11:6 13:18,24 16:8 25:19 28:6 35:7 35:23 36:2 46:13 47:18 49:17,24 50:14,19 52:11 53:23 55:17 56:11 57:6,25 63:4 65:5 67:9 73:22 76:12 90:24 91:11,12,20 91:24 92:16 97:13 99:3 100:5,5 111:18 122:25 123:8 136:4 137:10 141:16 143:7 144:4 146:21 163:16</p>	<p>understanding 18:9 20:17 21:2 23:25 24:4 26:20 30:22 33:15 35:3 38:9 41:21 42:5 45:2 50:17 53:16 54:9 60:25 62:24 65:7 66:19 67:2 75:21,25 76:3,14 77:7 81:4,11 85:23 91:7 97:9 102:24 understands 144:23,25 145:2 understood 6:9 94:8 102:12 106:23 108:11 122:5 125:20 128:19 141:7 163:12 unique 30:13,14 30:23,23 31:5 47:12 112:25 uniquely 29:20,24 30:8,9,24 31:7,15 31:21 32:5,8,22 33:2,6 38:17 39:6 39:7,10,15 43:18 43:25 45:9,17,19 49:15,18 83:10 88:17 136:18 141:10 144:7 145:4,14,22 146:11 148:16 unit 140:17 163:6 united 1:2 2:2 15:25 16:19 40:3 74:16 100:10 126:7 151:20 167:5,9,14 168:6 168:19 169:6</p>
--	--	---	--

url 32:8 122:25 123:12,13 use 28:8 36:3 71:17 104:12 124:18 162:8 user 20:20 32:2,25 33:19,22,25 34:10 37:2,5,11,13 38:23 38:24 39:10,13 47:9 49:12 58:6 59:7,11 61:9,24 62:5 76:7,21 77:20 97:14 98:5 104:5,9 106:10 109:9 112:17,22 114:15 117:10 123:13,15 124:22 125:3,9 141:25 146:3 147:6,12,17 150:8 151:9 153:6 153:25 154:22 156:9,9 160:19 161:8 162:4,12 user's 151:6 uses 49:15 78:22 114:2 161:24 162:8 usually 9:11 utilize 14:24 utilized 15:4	verbatim 124:9 veritext 9:2 170:1 version 14:18 versus 146:4 147:6 147:13 video 76:5 107:20 109:10,12 110:7 154:3 view 29:10,11 viewing 114:20 virtual 1:17 2:17 visual 154:2 voice 153:7 volkswagen 170:2 volkswagon 1:5 2:5	whereof 165:21 white 3:11,17 whitecase.com 3:15,20,22 williams 1:17 2:17 4:1,9 5:1 6:1 7:1 7:25 8:1 9:1 10:1 10:12,22 11:1 12:1,20 13:1,9 14:1,13 15:1 16:1 17:1 18:1 19:1 20:1 21:1 22:1 23:1 24:1 25:1 26:1 27:1 28:1 29:1,12 30:1 31:1 32:1 33:1 34:1 35:1 36:1 37:1 38:1 39:1,23 40:1 41:1 42:1 43:1 44:1 45:1 46:1 47:1 48:1 49:1 50:1 51:1 52:1 53:1 54:1 55:1 56:1 57:1 58:1 59:1 60:1 61:1 62:1 63:1,14,25 64:1 65:1 66:1 67:1 68:1 69:1 70:1 71:1 72:1,16 72:20 73:1 74:1,5 75:1 76:1 77:1 78:1 79:1 80:1 81:1,23 82:1 83:1 84:1 85:1 86:1,13 87:1 88:1 89:1 90:1 91:1,25 92:1 93:1 94:1 95:1 96:1 97:1 98:1 99:1 100:1 101:1 102:1 103:1 104:1 105:1 106:1 107:1	108:1 109:1,20 110:1 111:1 112:1 113:1 114:1 115:1 116:1 117:1 118:1 119:1,17 120:1,2 121:1,12,18 122:1 123:1 124:1 125:1 126:1 127:1 128:1 128:16 129:1,17 130:1 131:1 132:1 133:1 134:1 135:1 136:1 137:1 138:1 139:1 140:1 141:1 142:1 143:1 144:1 145:1 146:1 147:1 148:1 149:1 150:1 151:1 152:1 153:1 154:1 155:1 156:1 157:1 158:1,5 159:1,8 160:1 161:1 162:1 163:1 163:21,23 164:1,8 165:10 166:4,12 166:18 167:19 168:12 170:3,21 wireless 133:5 152:24 153:4 withdrawn 163:17 witness 8:14,23 9:5,8,14,17,23 13:5 17:4,7,12 18:11 19:16 21:21 22:8 23:16 30:2 31:17 32:10 36:23 37:18 38:6,12 39:24 40:11,24 41:25 47:20 50:4 54:3 56:13 63:19 75:3 77:3 78:8 82:17,22 84:11 86:3 88:3 90:5
v	w		
v 1:7 2:7 170:2 vague 16:13 73:25 77:17 88:2 validation 116:23 116:24 value 94:21 95:2 variety 135:20 various 61:23 vehicle 127:13 133:6,10 150:13	w 4:3 121:6 wait 33:23 82:13 157:20 want 15:21 29:10 115:4 148:6 wanted 63:25 war 34:20,21 157:12 wave 27:16 59:12 way 29:13 37:21 52:10,13 53:2 58:12,15 67:18 84:7 92:7 99:20 106:25 112:11 117:24 118:20,22 119:3 139:7 140:8 156:20 157:18 161:9 165:19 ways 27:8 117:15 135:20 we've 101:12 160:13 welcome 79:24		

92:21 93:10 95:14	x
95:21 96:11 97:24	x 1:4,10,13 2:4,10 2:13 166:2
98:18 100:19	
101:15,23 104:17	y
104:24 105:17,23	y 131:23 year 36:10,12 years 36:11 york 1:21 2:21 3:6 3:6,19,19 165:3,5 165:8 170:1
109:16 110:17	
116:13 117:21	
118:5,18 119:19	
119:24 123:21	
125:15 126:17	
127:18 130:2,23	
132:24 135:12	z
136:2,15 137:6	zero 95:17 141:2 zoom 1:19 2:19 4:4,20,23
139:19 141:4	
142:4 149:25	
151:2 152:19	
158:6,25 159:5,24	
160:23 161:21	
163:24 165:11,15	
165:21 166:3	
witnesses' 170:3	
wlan 153:10	
wonderful 7:2	
9:20 13:8	
word 19:22,25	
20:3 44:17 74:13	
78:22 86:23 117:3	
124:18 125:11	
132:7	
words 44:18	
140:22 147:18,20	
148:21,24 156:4	
work 139:9	
worked 14:14	
works 141:16	
world 34:20,21	
157:12 162:24	
writing 35:19	
wrong 57:13	
116:21 159:3	

Federal Rules of Civil Procedure

Rule 30

(e) Review By the Witness; Changes.

(1) Review; Statement of Changes. On request by the deponent or a party before the deposition is completed, the deponent must be allowed 30 days after being notified by the officer that the transcript or recording is available in which:

(A) to review the transcript or recording; and

(B) if there are changes in form or substance, to sign a statement listing the changes and the reasons for making them.

(2) Changes Indicated in the Officer's Certificate.

The officer must note in the certificate prescribed by Rule 30(f)(1) whether a review was requested and, if so, must attach any changes the deponent makes during the 30-day period.

DISCLAIMER: THE FOREGOING FEDERAL PROCEDURE RULES ARE PROVIDED FOR INFORMATIONAL PURPOSES ONLY.

THE ABOVE RULES ARE CURRENT AS OF APRIL 1, 2019. PLEASE REFER TO THE APPLICABLE FEDERAL RULES OF CIVIL PROCEDURE FOR UP-TO-DATE INFORMATION.

VERITEXT LEGAL SOLUTIONS
COMPANY CERTIFICATE AND DISCLOSURE STATEMENT

Veritext Legal Solutions represents that the foregoing transcript is a true, correct and complete transcript of the colloquies, questions and answers as submitted by the court reporter. Veritext Legal Solutions further represents that the attached exhibits, if any, are true, correct and complete documents as submitted by the court reporter and/or attorneys in relation to this deposition and that the documents were processed in accordance with our litigation support and production standards.

Veritext Legal Solutions is committed to maintaining the confidentiality of client and witness information, in accordance with the regulations promulgated under the Health Insurance Portability and Accountability Act (HIPAA), as amended with respect to protected health information and the Gramm-Leach-Bliley Act, as amended, with respect to Personally Identifiable Information (PII). Physical transcripts and exhibits are managed under strict facility and personnel access controls. Electronic files of documents are stored in encrypted form and are transmitted in an encrypted fashion to authenticated parties who are permitted to access the material. Our data is hosted in a Tier 4 SSAE 16 certified facility.

Veritext Legal Solutions complies with all federal and State regulations with respect to the provision of court reporting services, and maintains its neutrality and independence regardless of relationship or the financial outcome of any litigation. Veritext requires adherence to the foregoing professional and ethical standards from all of its subcontractors in their independent contractor agreements.

Inquiries about Veritext Legal Solutions' confidentiality and security policies and practices should be directed to Veritext's Client Services Associates indicated on the cover of this document or at www.veritext.com.