

1 UNITED STATES PATENT AND TRADEMARK OFFICE
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD

3 _____
4 SAMSUNG BIOEPIS CO, LTD.,

5 Petitioner,

6 vs.

7 REGENERON PHARMACEUTICALS, INC.,

8 Patent Owner.
9 _____

10 Case IPR2023-008844

11 U.S. Patent No. 11,253,572

12 VIDEO-RECORDED

13 DEPOSITION OF: MICHAEL STEWART, MD

14 DATE: May 30, 2024

15 TIME: COMMENCED: 8:49 a.m.

16 CONCLUDED: 2:03 p.m.

17 TAKEN BY: Petitioner

18 PLACE: Hampton Inn Jacksonville/Ponte Vedra
19 Beach

20 1220 Marsh Landing Parkway

21 Jacksonville Beach, Florida 32250

22 REPORTED BY: Mae Fisher, RMR, CRR
23
24
25

1 APPEARANCES:
2 RAYMOND NIMROD, ESQUIRE
Of: Quinn, Emanuel, Urquart & Sullivan, LLP
3 51 Madison Avenue
22nd Floor
4 New York, New York 10010
(212) 849-7000
5 Raynimrod@quinnemanuel.com
6 Counsel for the PETITIONER
7 ADAM BRAUSA, ESQUIRE
REBECCA WEIRES, ESQUIRE
8 Of: Morrison & Foerster, LLP
425 Market Street
9 San Francisco, CA 94105
(415) 268-6053
10 Abrausa@mofo.com
Rweires@mofo.com
11
Counsel for the PATENT OWNER
12
13 ALSO PRESENT:
14 JOSEPH MACKIN
Videographer
15
16
17
18
19
20
21
22
23
24
25

1 Exhibit 9 - Article entitled Ranibizumab versus
Verteporfin Photodynamic Therapy
2 For Neovascular Age-Related
Macular Degeneration: Two-Year
3 Results of the ANCHOR Study 153
4 Exhibit 10 - Article entitled Randomized,
Double-Masked, Sham-Controlled Trial
5 of Ranibizumab for Neovascular
Age-related Macular Degeneration:
6 PIER Study Year 1 163
7
8 STIPULATIONS
9 It is hereby stipulated and agreed by and
between counsel present for the respective parties, and
10 the deponent, that the reading and signing of the
deposition are hereby RESERVED.
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 INDEX
2 TESTIMONY OF MICHAEL STEWART, MD
3 DIRECT EXAMINATION BY MR. NIMROD 6
4 CERTIFICATE OF OATH 187
5 REPORTER'S DEPOSITION CERTIFICATE 188
6 NOTIFICATION LETTER 189
7 ERRATA SHEET 190
8
9 EXHIBITS
10 Exhibit 1 - 572 Patent 6
11 Exhibit 2 - Declaration of Michael Stewart, MD . 6
12 Exhibit 3 - Article entitled The 1-year
Results of CLEAR-IT 2, Phase 2
13 Study of Vascular Endothelial
Growth Factor Trap-Eye Dosed
As-needed After 12-week Fixed
14 Dosing 36
15 Exhibit 4 - Article entitled VEGF-Trap-Eye for
the Treatment of Neovascular
16 Age-Related Macular Degeneration ... 63
17 Exhibit 5 - Article entitled VEGF-Trap-Eye in
Wet AMD CLEAR-IT 2: Summary of
18 One-Year Key Results 68
19 Exhibit 6 - Patent Owner's Preliminary Response. 68
20 Exhibit 7 - Article entitled Ranibizumab for
Neovascular Age-Related Macular
21 Degeneration 140
22 Exhibit 8 - Article entitled Predicted
Biological Activity of Intravitreal
23 VEGF-Trap 144
24
25

1 PROCEEDINGS
2 THE VIDEOGRAPHER: Good morning. My name is 08:49:25
3 Joseph Mackin, the videographer, and we are now on 08:49:40
4 live video record. 08:49:44
5 Please be aware that microphones are sensitive
6 and can pick up whispering, private conversations and 08:49:45
7 cellular interference. Please silence all cell phones 08:49:49
8 or place them away from the microphones, as they can 08:49:51
9 interfere with the deposition's audio. Audio and 08:49:54
10 video recording will continue to take place unless all 08:49:56
11 parties agree to go off the record. 08:50:00
12 We are here recording live at 1220 Marsh 08:50:02
13 Landing Parkway in Jacksonville Beach, Florida 32250, 08:50:05
14 for the video deposition of Michael W. Stewart. The 08:50:08
15 time is 8:50. The date is Thursday, May 30, 2024. 08:50:12
16 Would all counsel please state their appearance 08:50:18
17 for the record and the witness will be sworn in. 08:50:21
18 MR. NIMROD: Ray Nimrod from Quinn Emanuel for 08:50:22
19 petitioner. 08:50:25
20 MR. BRAUSA: Adam Brausa from Morrison & 08:50:25
21 Foerster on behalf of patent owner and joined by my 08:50:30
22 colleague, Rebecca Weires. And I believe on the 08:50:33
23 remote realtime is Eileen Woo from Regeneron. 08:50:38
24 THE COURT REPORTER: Can you raise your right 08:50:47
25 hand, please.

<p>1 Do you solemnly swear or affirm that the</p> <p>2 testimony you are about to give in this cause will be</p> <p>3 the truth, the whole truth, and nothing but the truth?</p> <p>4 THE WITNESS: I do. 08:50:48</p> <p>5 MICHAEL STEWART, MD, 08:50:48</p> <p>6 a witness herein, having been first duly sworn, was</p> <p>7 examined, and testified as follows: 08:50:49</p> <p>8 DIRECT EXAMINATION 08:50:49</p> <p>9 BY MR. NIMROD: 08:50:49</p> <p>10 Q. Good morning, Dr. Stewart. 08:50:50</p> <p>11 A. Good morning, Mr. Nimrod. 08:50:51</p> <p>12 MR. NIMROD: I'd like to mark as Stewart 08:50:55</p> <p>13 Exhibit 1 a copy of the 572 patent. 08:50:56</p> <p>14 (Exhibit No. 1 was marked for identification.) 08:51:08</p> <p>15 MR. NIMROD: I'd also like to mark as Stewart 08:51:22</p> <p>16 Exhibit 2 a copy of Dr. Stewart's declaration. 08:51:23</p> <p>17 (Exhibit No. 2 was marked for identification.) 08:51:34</p> <p>18 BY MR. NIMROD: 08:51:34</p> <p>19 Q. Here you go. 08:51:36</p> <p>20 A. Thank you. 08:51:37</p> <p>21 MR. BRAUSA: Thank you. 08:51:37</p> <p>22 BY MR. NIMROD:</p> <p>23 Q. Dr. Stewart, if you could turn in your 08:51:42</p> <p>24 declaration to paragraph 65, please -- 64. 08:51:44</p> <p>25 A. Okay. 08:51:56</p> <p style="text-align: right;">Page 6</p>	<p>1 in the dependent claim only two secondary doses are 08:53:24</p> <p>2 administered to the patient. 08:53:28</p> <p>3 Do you see that? 08:53:29</p> <p>4 A. Yes, I do. 08:53:29</p> <p>5 Q. So what is the predetermined fixed dosing regimen 08:53:31</p> <p>6 required by claim 27? 08:53:35</p> <p>7 MR. BRAUSA: Objection; form. 08:53:39</p> <p>8 THE WITNESS: So since 27 depends on 26, my 08:53:41</p> <p>9 understanding is that this is a method of treating 08:53:48</p> <p>10 age-related macular degeneration and the patient need 08:53:53</p> <p>11 thereof, comprising sequential administration of a 08:53:56</p> <p>12 single dose of 2 milligrams of Aflibercept. 08:54:00</p> <p>13 And then 27 says: Wherein, only two secondary 08:54:03</p> <p>14 doses are administered to the patient and then 08:54:07</p> <p>15 followed by one or more tertiary doses of 2 milligrams 08:54:14</p> <p>16 of Aflibercept. 08:54:19</p> <p>17 And then you have the qualifier: And those 08:54:20</p> <p>18 doses, the tertiary doses, are given at eight weeks 08:54:24</p> <p>19 intervals. Each secondary dose is four weeks 08:54:27</p> <p>20 following immediate preceding dose. 08:54:30</p> <p>21 And then you have a qualifier for a visual 08:54:32</p> <p>22 result at the end. 08:54:35</p> <p>23 BY MR. NIMROD: 08:54:36</p> <p>24 Q. Okay. So for claim 27, the fixed regimen 08:54:36</p> <p>25 requires three initial doses that are a month apart and 08:54:42</p> <p style="text-align: right;">Page 8</p>
<p>1 Q. You state in paragraph 64: It is my opinion 08:51:56</p> <p>2 based on the claims and specification of the 572 patent 08:52:00</p> <p>3 that a POSA would understand that challenged claims, 08:52:04</p> <p>4 specifically claim 15 and thereby claim 25, be limited 08:52:08</p> <p>5 to a predetermined fixed dosing schedules. 08:52:14</p> <p>6 Do you see that? 08:52:16</p> <p>7 A. Yes, I do. 08:52:17</p> <p>8 Q. And then on paragraph 74, you state -- seems more 08:52:18</p> <p>9 broadly, in the last sentence of paragraph 74. Are you 08:52:26</p> <p>10 with me? 08:52:29</p> <p>11 A. I see it. 08:52:30</p> <p>12 Q. It says: In my opinion -- it is my opinion that 08:52:30</p> <p>13 a POSA would see this as further evidence that the 572 08:52:33</p> <p>14 patent claims are drawn to predetermined fixed interval 08:52:37</p> <p>15 dosing regimens. 08:52:41</p> <p>16 Do you see that? 08:52:42</p> <p>17 A. I see it. 08:52:42</p> <p>18 Q. Is it your opinion that all the claims of the 572 08:52:43</p> <p>19 patent are directed to predetermined fixed interval 08:52:47</p> <p>20 dosing regimens? 08:52:52</p> <p>21 A. Yes, it is, it's my opinion. 08:52:56</p> <p>22 Q. So if we could turn to Exhibit 1, which is the 08:52:58</p> <p>23 patent, the 572 patent. And go to claim 27, claims near 08:53:02</p> <p>24 the end. You're already there. Good. 08:53:13</p> <p>25 Claim 27 depends from claim 26. And it requires 08:53:16</p> <p style="text-align: right;">Page 7</p>	<p>1 then followed by dosing every eight weeks till the end 08:54:44</p> <p>2 of treatment; is that right? 08:54:48</p> <p>3 MR. BRAUSA: Objection; form. 08:54:50</p> <p>4 THE WITNESS: So 27 when combined with 26 08:54:51</p> <p>5 requires three initial doses, a primary, two 08:54:56</p> <p>6 secondaries, and then followed by tertiary doses at 08:54:59</p> <p>7 eight-week intervals. 08:55:03</p> <p>8 BY MR. NIMROD: 08:55:04</p> <p>9 Q. And to be within the scope of claim 27, I think 08:55:05</p> <p>10 we talked about this last time, it's your opinion that 08:55:13</p> <p>11 if by happenstance or by treatment by PRN, a physician 08:55:16</p> <p>12 does not have a predetermined regimen but simply through 08:55:21</p> <p>13 observation of the patient decides on an ongoing basis 08:55:26</p> <p>14 to do first dose, two secondary doses and then have 08:55:30</p> <p>15 eight-week dosing after that, that would not fall within 08:55:35</p> <p>16 the scope of the claims; is that right? 08:55:37</p> <p>17 MR. BRAUSA: Objection; form. 08:55:39</p> <p>18 THE WITNESS: Would you repeat the question, 08:55:40</p> <p>19 please? You combined a couple of different factors 08:55:43</p> <p>20 there. 08:55:46</p> <p>21 BY MR. NIMROD: 08:55:46</p> <p>22 Q. Right. So if a physician does not have a 08:55:47</p> <p>23 predetermined dosing regimen, does that mean that the 08:55:48</p> <p>24 treatment does not fall within the scope of claim 27? 08:55:52</p> <p>25 MR. BRAUSA: Objection; form. 08:55:56</p> <p style="text-align: right;">Page 9</p>

<p>1 THE WITNESS: So my opinion, 27 requires a 08:55:57 2 predetermined dosing regimen. 08:56:03 3 BY MR. NIMROD: 08:56:08 4 Q. If you could then turn to page 30 and 31 and just 08:56:08 5 read paragraph 65 to yourself, please -- I'm sorry -- of 08:56:12 6 your declaration. 08:56:16 7 A. Okay. Paragraph 65? 08:56:17 8 Q. Yes, please. 08:56:21 9 A. Okay. 08:57:17 10 Q. All right. On page 31, you -- sorry, go back to 08:57:18 11 30. You say: Fixed regimens are those where doses are 08:57:23 12 administered on a predetermined schedule regardless of 08:57:28 13 observed outcomes at any given visit. 08:57:31 14 Do you see that? 08:57:33 15 A. Yes, I see that. 08:57:34 16 Q. Okay. And then you go on and say that fixed 08:57:36 17 regimens include monthly doses, as well as regimens 08:57:39 18 involving a set number of loading doses all by dosing at 08:57:42 19 longer fixed interval as described in the EYLEA label. 08:57:46 20 Do you see that as well? 08:57:50 21 A. Yes, I do. 08:57:51 22 Q. And then you go on the next sentence and you say 08:57:52 23 that: Sometimes adherence to the predetermined 08:57:55 24 schedules in perfect regimens are still considered fixed 08:58:00 25 when the intended dosing interval is based on a schedule 08:58:01 Page 10</p>	<p>1 follows 26, my answer is no, in advance, you cannot 08:59:16 2 predict the outcome of a given patient. 08:59:20 3 Q. So does that mean that for claim 27, where you 08:59:24 4 have a predetermined fixed schedule, that is not based 08:59:28 5 on patient outcomes, as you're going along with the 08:59:34 6 treatment, one would simply measure the outcome at 08:59:37 7 52 weeks to see if a patient achieved the required gain 08:59:42 8 or not; is that right? 08:59:47 9 MR. BRAUSA: Objection; form. 08:59:48 10 THE WITNESS: In order to meet -- in order to 08:59:51 11 meet claim 26, then you would measure the outcome at 08:59:56 12 week 52 to see if the claim were followed. In 09:00:02 13 clinical practice, obviously that's not something you 09:00:06 14 would consider. 09:00:09 15 BY MR. NIMROD: 09:00:11 16 Q. You wouldn't consider whether or not -- what the 09:00:11 17 outcome was at 52 weeks in particular? Is that what you 09:00:13 18 mean? 09:00:16 19 A. You wouldn't -- 09:00:17 20 MR. BRAUSA: Objection; form. 09:00:17 21 THE WITNESS: You would not compare it to the 09:00:17 22 claim. 09:00:22 23 BY MR. NIMROD: 24 Q. You would not compare it to -- how do you 09:00:24 25 pronounce that again -- Ranibizumab? 09:00:28 Page 12</p>
<p>1 rather than patient outcomes. 08:58:05 2 Do you see that? 08:58:06 3 A. Yes, I do. 08:58:07 4 Q. What do you mean by rather than patient outcomes? 08:58:09 5 MR. BRAUSA: Objection; form. 08:58:13 6 THE WITNESS: By that, we mean that we adhere 08:58:14 7 to the predetermined schedule and whether the patient 08:58:19 8 has significant success, marginal success, we stick to 08:58:23 9 the schedule, regardless of that and we do not use 08:58:30 10 patient-determined data at the visit to modify the 08:58:34 11 dosing. 08:58:38 12 BY MR. NIMROD: 08:58:40 13 Q. So then does that mean that if -- strike that. 08:58:41 14 When you -- strike that again. 08:58:45 15 If a physician decides to use a predetermined 08:58:49 16 dosing regimen, as called for by claim 27 in the 572 08:58:51 17 patent, does the physician know -- can the physician 08:58:55 18 predict in advance whether an individual patient will 08:59:00 19 have a specific outcome? 08:59:02 20 MR. BRAUSA: Objection; form. 08:59:05 21 THE WITNESS: You're speaking of treating with 08:59:07 22 Aflibercept? 08:59:10 23 BY MR. NIMROD: 08:59:10 24 Q. Yes, I am. 08:59:10 25 A. And according to claim 27, which, of course, 08:59:11 Page 11</p>	<p>1 A. Ranibizumab. 09:00:30 2 Q. Got it. Okay. So in actual clinical practice, 09:00:31 3 you would not compare your patient's results to what the 09:00:36 4 patient would have achieved with Ranibizumab -- 09:00:40 5 MR. BRAUSA: Objection. 09:00:43 6 BY MR. NIMROD: 09:00:43 7 Q. -- for an individual patient? 09:00:44 8 MR. BRAUSA: Objection; form. 09:00:45 9 THE WITNESS: So in clinical practice, we have 09:00:46 10 no way to know what that patient would have achieved 09:00:50 11 had they received Ranibizumab instead of Aflibercept. 09:00:54 12 BY MR. NIMROD: 13 Q. So for claim 26, how would a physician know 09:01:01 14 whether or not the -- let me strike that again. 09:01:09 15 How would a -- for claim 26, how would a skilled 09:01:14 16 artisan know whether they were practicing claim 26 and 09:01:18 17 specifically know whether or not they had achieved a 09:01:24 18 gain in visual acuity as compared to Ranibizumab? 09:01:26 19 MR. BRAUSA: Objection; form. 09:01:32 20 THE WITNESS: Well, if you look at the writing 09:01:33 21 of 26, and you are concerned about meeting the claim, 09:01:38 22 then you would compare it to the comparator, which is 09:01:43 23 Ranibizumab, if you were worried about meeting the 09:01:47 24 claim. 09:01:51 25 BY MR. NIMROD: 09:01:52 Page 13</p>

<p>1 Q. But the claim 26 and, therefore, claim 27 refer 09:01:52 2 to treating a patient. Do you see that? 09:01:58 3 A. Yes. 09:02:00 4 Q. So for a patient, how would you determine, as a 09:02:00 5 skilled artisan, whether you were practicing the 09:02:04 6 method -- let me start over again, okay? 09:02:08 7 All right. So let's say a physician decides to 09:02:10 8 practice the dosing regimen that's required by claim 27, 09:02:15 9 which is an initial dose, two secondary doses and then 09:02:20 10 one month apart, and then tertiary doses that are eight 09:02:24 11 weeks apart, do you follow me? 09:02:28 12 A. Yes. 09:02:31 13 Q. Okay. So a skilled person is then treating a 09:02:31 14 patient following that regimen, that's required by claim 09:02:35 15 27, how does the skilled person know whether the patient 09:02:39 16 that they're treating meets the limitation -- limitation 09:02:44 17 of wherein is as effective in achieving a gain in visual 09:02:49 18 acuity as monthly administration of 0.5 milligrams of 09:02:53 19 Ranibizumab by intravitreal injection in human subjects 09:02:58 20 with age-related macular degeneration at 52 weeks 09:03:03 21 following the initial dose? 09:03:09 22 MR. BRAUSA: Objection; form. 09:03:11 23 THE WITNESS: So let me answer that two ways. 09:03:12 24 So one, the physician would not know what might have 09:03:19 25 happened if Ranibizumab had been used instead of 09:03:22 Page 14</p>	<p>1 BY MR. NIMROD: 09:05:14 2 Q. So in order to meet the method of claim 27, the 09:05:14 3 patient would have to achieve at least 8.1 in terms of 09:05:17 4 improved visual acuity? 09:05:23 5 MR. BRAUSA: Objection. 09:05:25 6 BY MR. NIMROD: 09:05:25 7 Q. Is that right? 09:05:26 8 MR. BRAUSA: Objection; form. 09:05:26 9 THE WITNESS: So treated, according to 26 and 09:05:28 10 27, with Aflibercept, patient would -- at 52 weeks, 09:05:30 11 would have to meet eight letters. We don't measure at 09:05:35 12 point 1. 09:05:41 13 BY MR. NIMROD: 09:05:43 14 Q. You also said in your answer, you get the results 09:05:44 15 that you get. What -- let me start over again. 09:05:53 16 In your answer, you said you get the results that 09:05:57 17 you get at 52 weeks. What did you mean by that? 09:05:59 18 A. Sort of a colloquial way of saying it. You treat 09:06:03 19 according to your regimen. And the results you end up 09:06:08 20 with are what you end -- are what you have for that 09:06:11 21 patient. You have no way of comparing to a different 09:06:14 22 regimen that could have been used or a different drug 09:06:19 23 that could have been used. 09:06:21 24 Q. And when you say you get what you get, if you're 09:06:24 25 practicing the method of claim 27, that means that you 09:06:26 Page 16</p>
<p>1 Aflibercept. So you get the results that you get. 09:03:27 2 Secondly, if you're looking to know, does it 09:03:33 3 meet the claim, then you look at the data -- you look 09:03:35 4 at the monthly -- the expected visual acuity results 09:03:42 5 at 52 weeks with monthly Ranibizumab. 09:03:46 6 BY MR. NIMROD: 7 Q. And what is the expected visual acuity results at 09:03:50 8 52 weeks for monthly Ranibizumab? 09:03:56 9 A. So my understanding is that comes from the 09:03:59 10 specifications. And that comes -- and that comes from 09:04:03 11 table 1 in the specifications. And in table 1, there 09:04:09 12 are two numbers. Column 15. 09:04:16 13 Q. I'm there. Yeah. 09:04:22 14 A. Yeah. So 8.1 and 9.4. Those are derived from 09:04:24 15 two parallel similarly structured studies. 09:04:28 16 Q. So is it your opinion that in order for a method 09:04:31 17 to fall within the scope of claim 27, there has to be a 09:04:38 18 mean improvement in visual acuity of 8.1 or 9.4? 09:04:44 19 MR. BRAUSA: Objection; form. 09:04:51 20 THE WITNESS: So if treating with Aflibercept 09:04:51 21 according to the specifications in 26 and 27, then my 09:04:55 22 understanding is it has to meet those numbers. Now, 09:04:59 23 we can argue is it 8.1, is it 9.4, but that's the 09:05:03 24 comparator for Ranibizumab that's specified in the 09:05:09 25 claim. 09:05:13 Page 15</p>	<p>1 don't have a way of predicting whether or not you're 09:06:29 2 going to be above or below the baseline for Ranibizumab 09:06:31 3 of eight letter gains; is that right? 09:06:34 4 A. So you're saying -- 09:06:36 5 MR. BRAUSA: Objection; form. 09:06:39 6 THE WITNESS: That if you treat according to 09:06:43 7 the specifications in claims 26 and 27, that there's 09:06:44 8 no way in advance to know if your 52-week visual 09:06:47 9 acuity result is going to be above or below the 09:06:52 10 comparator, which is Ranibizumab result. 09:06:54 11 BY MR. NIMROD: 09:06:58 12 Q. And you agree with that? 09:06:58 13 A. As I stated it, yeah. Well, as I stated it, yes. 09:07:00 14 Q. You stated it better. Let me just repeat that, 09:07:05 15 then. 09:07:12 16 A. Okay. 17 Q. It's your opinion that if you treat according to 09:07:12 18 the dosing regimen of claims 27, I'll say, there's no 09:07:16 19 way to know in advance if you're going to meet the 09:07:18 20 52-week visual acuity result that is going to be above 09:07:22 21 or below the comparator, which is Ranibizumab; is that 09:07:27 22 right? 09:07:30 23 A. Treating with Aflibercept, yes. That's correct. 09:07:30 24 Q. And when I say claim 27, that -- of course, that 09:07:34 25 always requires Aflibercept, just -- 09:07:38 Page 17</p>

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.