

1 based on Gaudreault of the aflibercept, much less than the  
2 10 mg/mL.

3 Q. Now, let's take a look at Ferrara 2004.

4 Just for the record, this is a different Ferrara  
5 article. It's PTX 1838.

6 Did you review this article as well?

7 A. Yes, I did.

8 Q. Okay. And if we look to page 7 of the article, can  
9 you explain what Ferrara is disclosing here and how it's  
10 relevant to the consideration of an appropriate concentration  
11 to use.

12 A. Certainly.

13 So Ferrara -- and, again, different from the Ferrara  
14 that we just talked about a little while ago, it's referring to  
15 the VEGF Trap again and is basically just relating what I said  
16 earlier and what the POSA would know. Because of the fact that  
17 this not a natural molecule, it's completely constructed, the  
18 junctions between the various structural elements in such  
19 multicomponent molecules can generate an immune response,  
20 which, again, I think I talked about this last week too, is  
21 something that the formulator is very concerned about.

22 Q. What would the relevance of this discussion in  
23 Ferrara 2004, Exhibit 1838, have had on the concentration that  
24 the POSA would have wanted to use for an intravitreal  
25 injection?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1           A.    Well, it would teach the POSA to use a low  
2 concentration.  Again, it's going to be much lower than the  
3 10 mg/mL to try to minimize the risk of such an immune  
4 response.

5           Q.    Let's take a look at the Wang reference.  That's  
6 PTX 1556.

7                    And we'll blow up part of page 1.

8                    What is Wang teaching here, and how does it relate to  
9 the protein concentration that the person of ordinary skill  
10 would have wanted to use?

11           A.    Well, Wang here -- again, this is a review article.  
12 It says, "Increasing protein concentration generally increases  
13 protein aggregation."

14           Q.    And so how would that be relevant to the POSA who's  
15 trying to choose a concentration to use for intravitreal  
16 injection?

17           A.    Well, it would teach the POSA to use as low a  
18 concentration as possible, again teach away from these higher  
19 concentrations like 40 mg/mL.  Again, aggregation can cause  
20 serious problems, as the formulator would understand, not only  
21 in -- you don't want to inject aggregates as such into the eye,  
22 but also the possibility of these immune responses.

23           Q.    Okay.

24                    And for the record, this is page 9 of PTX 1556, the  
25 Wang reference.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Doctor, in view of the -- all of the prior art that  
2 you reviewed, what would the POSA have thought in terms of an  
3 appropriate concentration of aflibercept for intravitreal  
4 injection if the POSA had wanted to use aflibercept for  
5 intravitreal injection?

6 A. Well, the POSA would choose a concentration probably  
7 lower than that of ranibizumab, so lower than 10 mg/mL.

8 Q. What would the POSA have thought about the idea of  
9 using 40 mg/mL?

10 A. Well, the POSA would be taught away from using  
11 40 mg/mL. In other words, it wouldn't be a good idea.

12 Q. Now, I'd like to turn to a new topic, which is  
13 polysorbate 20.

14 Doctor, if we pull up the claims again, do all of the  
15 asserted claims require polysorbate 20?

16 A. Yes, they do.

17 Q. And do you understand Dr. Rabinow in his combination  
18 to have relied on Gaudreault and Shams for this limitation?

19 A. Yes.

20 Q. And what do the references -- well, let's first look  
21 at Gaudreault.

22 And, again, this is page 2 of Gaudreault. What does  
23 Gaudreault teach with respect to its formulation and  
24 polysorbate 20?

25 A. Well, Gaudreault teaches, as we have here -- this is

BERNHARDT TROUT, PHD - DIRECT

1 the formulation in the first -- or the top excerpt and the  
2 highlighted one -- highlighted sentence for polysorbate, which,  
3 again, I think Your Honor noted correctly that Tween 20 is a  
4 synonym for polysorbate. It teaches 0.05 percent.

5 THE COURT: I've been right about this for the last  
6 couple weeks, Doctor, if you ask all them out there.

7 Oh, come on. That was funnier.

8 MR. BERL: No comment. Too tired to laugh, Your  
9 Honor.

10 THE COURT: Limping to the finish line, but I thought  
11 that was better than that.

12 THE WITNESS: That was funny from up here.

13 THE COURT: Thank you.

14 BY MR. BERL:

15 Q. Doctor, did Genentech proceed with this formulation  
16 that has .05 percent polysorbate?

17 A. No, Genentech did not.

18 Q. Let's take a look at the Shams reference. And,  
19 actually, we'll look at Dr. Rabinow's discussion of the Shams  
20 reference in regards to this limitation. What does Shams teach  
21 with respect to its formulation and polysorbate 20?

22 A. Okay. So this is a cutout or excerpt on the bottom  
23 right, again, from Dr. Rabinow from Shams. And you can see  
24 highlighted here 0.01 percent polysorbate 20.

25 Q. And what is the concentration, Doctor, required by

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Claim 4 of the '865 patent?

2 A. Claim 4 is about 0.03 percent to about 0.1 percent  
3 polysorbate 20.

4 Q. And Dr. Rabinow put the .01 percent polysorbate 20  
5 from Shams in green and colored also in green the  
6 about .03 percent to about .1 percent in Claim 4.

7 Do you agree with his analysis that Shams teaches  
8 that concentration required by Claim 4 of polysorbate 20?

9 A. No, because Shams teaches 0.01 percent, which doesn't  
10 fall in the range of polysorbate from Claim 4.

11 Q. What concentration did Genentech ultimately use for  
12 Lucentis?

13 A. 0.01 percent.

14 Q. Now, let's discuss Fraser, the last reference in this  
15 combination. Again, that's DTX 729.

16 Does Fraser say anything about intravitreal  
17 administration?

18 A. No.

19 Q. What concentration of Tween 20 or polysorbate 20 does  
20 Fraser disclose, looking at page 2 of the reference?

21 A. 0.01 percent.

22 Q. Now, reading --

23 A. I apologize. Sorry to interrupt.

24 0.1 percent -- added an extra zero.

25 0.1 percent, for the record.

1 Q. My last case in West Virginia was all about something  
2 0.001 percent, and everyone throughout the trial kept adding  
3 and subtracting zeros throughout the entire time. So the  
4 record was a mess. Thank you for correcting yourself.

5 Now, Doctor, reading Dr. Rabinow's combination as a  
6 whole, the Lucentis plus Fraser, and assuming the POSA combined  
7 those references contrary to your opinion, what concentration  
8 of polysorbate would the POSA have preferred to use?

9 A. Well, if one were going to do that, it would be  
10 0.01 percent.

11 Q. Why?

12 A. Well, that's what the Shams teaches, and that's what  
13 it recommended for the clinical trials. And, in fact, that's  
14 what finally Genentech used.

15 Q. And the clinical trial that Shams is discussing, is  
16 that a systemically administered drug as in 729, Fraser, or is  
17 that an intravitreal trial?

18 A. No. Shams teaches intravitreal clinical trials.

19 Q. And would that have been more relevant to the POSA  
20 than formulations like Fraser?

21 A. Yes. Of course.

22 Q. Now, let's turn to the next limitation of the claim,  
23 the 98 percent native conformation as measured by  
24 size-exclusion chromatography limitation.

25 Do any of Fraser, Gaudreault, or Shams teach the

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 98 percent native conformation limitation?

2 A. No, they do not.

3 Q. Did Dr. Rabinow present any evidence that any of the  
4 formulations in Fraser, Gaudreault, or Shams achieved the  
5 98 percent native conformation limitation?

6 A. No, he did not.

7 Q. Now, did either of the Gaudreault formulations go  
8 into clinical trials?

9 A. No, they did not.

10 Q. You mentioned before that Shams went into clinical  
11 trials. Does that mean that it met the 98 percent native  
12 conformation requirement?

13 A. No, it does not.

14 Q. Why not?

15 A. Well, there's no indication -- it might be stable  
16 enough for clinical trials, but there's no indication that it  
17 met the 98 percent limitation here.

18 Q. Did either Gaudreault or Shams teach that the  
19 ranibizumab formulations had 98 percent native conformation?

20 A. No, they did not.

21 Q. Now, even if one somehow believed that the Shams  
22 formulation or the Gaudreault formulation had 98 percent native  
23 conformation of ranibizumab, would the POSA have expected that  
24 the same formulation with aflibercept would have 98 percent  
25 native conformation?

1 A. Based on that of ranibizumab, no.

2 Q. Why not?

3 A. They're different molecules. They're going to behave  
4 differently. And as I mentioned, aflibercept is this fusion  
5 protein. It's not a natural molecule. So, if anything, if one  
6 were -- had that information and were to do the comparison, one  
7 would expect it to be less stable.

8 Q. Let's take a look at Wang again. That's Exhibit  
9 1556. And we'll look at page 7 of the article.

10 What -- in this Section 2.3.1 in the sentence  
11 beginning "mutation of one amino acid," what is Wang teaching  
12 here?

13 A. Well, maybe just to read the whole sentence,  
14 "Mutation of one amino acid in a peptide or protein can  
15 dramatically increase the aggregation propensity."

16 That's just one. If we're talking about completely  
17 different molecules, that can make them substantially different  
18 in terms of --

19 Q. Was there one amino acid difference between  
20 ranibizumab and aflibercept or a lot more than one difference?

21 A. A lot more than one.

22 Q. Now, let's move on to discuss the pH limitation and  
23 in particular the pH limitation found in Claim 9 of the '865  
24 patent.

25 And that says about 6.2 to 6.3; is that right?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



1 A. Yes. Correct.

2 Q. Does the Fraser plus Lucentis prior art, even if  
3 combined, teach using the required pH range of Claim 9?

4 A. No, they do not.

5 Q. Now, if we look at Shams and Gaudreault again now  
6 side by side -- Gaudreault, 1839, and Shams, 726 -- what are  
7 the pHs used in those formulations that Dr. Rabinow relies on?

8 A. Well, the Gaudreault is in the upper left here. That  
9 pH is 5.0. The Shams is kind of the bottom right, and that pH  
10 is 5.5.

11 Q. Does that meet the limitation of about 6.2 to 6.3?

12 A. No. Neither do.

13 Q. Now, the third reference here, Fraser, if we -- we  
14 looked at that a moment ago. That had a pH of 6.0; is that  
15 right?

16 A. Correct.

17 Q. Would the POSA have just used that pH?

18 A. No.

19 Q. Why not?

20 A. Well, because, first of all, the POSA, in  
21 investigating a formulation for the '865 patent, would  
22 investigate that for aflibercept with intravitreal  
23 administration in mind, whereas Fraser doesn't teach  
24 intravitreal administration.

25 Q. Did Dr. Rabinow ever identify which precise

1 formulation in his combination the POSA would have used if  
2 combining Fraser, Gaudreault, and Shams?

3 A. No, he did not.

4 Q. If you assume Dr. Rabinow's hypothesis that the POSA  
5 would have substituted aflibercept into the ranibizumab  
6 formulation, what pH would the POSA have used?

7 A. Well, the ranibizumab is at 5.5. So if one were  
8 going to do that, it would be 5.5.

9 Q. What if you performed experimentation to find a  
10 different pH, as Dr. Rabinow suggests?

11 A. Well, then, that would be a whole formulation study,  
12 a whole investigation.

13 Q. Would you end up with the same formulation, or at  
14 that point do you have a different formulation than the one  
15 you'd have if you combined the references?

16 A. Well, then, you have likely a different formulation.  
17 You're doing a whole research project at that point.

18 Q. Now, let's turn to the turbidity limitation. And we  
19 can look at that in Claim 15.

20 Does that require a turbidity of 0.01 or lower at  
21 OD405 after two months' storage at 5 degrees?

22 A. Yes. That's correct.

23 Q. Did Dr. Rabinow present any evidence that a  
24 40-milligram formulation of aflibercept would achieve the  
25 claimed level of turbidity in view of Fraser, Gaudreault, or

1 Shams?

2 A. No, he did not.

3 Q. Have you seen any such disclosure or teaching in  
4 those references?

5 A. No.

6 Q. Can you conclude that the Shams formulation meets the  
7 turbidity limitation of Claim 15 on the basis of a disclosure  
8 for use in a clinical trial?

9 A. No.

10 Q. Is the turbidity limitation synonymous with stable?

11 A. No.

12 Q. Is the 98 percent native conformation limitation  
13 synonymous with stable?

14 A. No.

15 Q. Why do you say no to those questions? Why not?

16 A. Well, because stable doesn't mean it has to be  
17 98 percent or meet this particular turbidity requirement. It  
18 could be stable and not be at 90 percent and not meet this  
19 turbidity requirement.

20 Q. Based on the prior art on which Dr. Rabinow relied  
21 for obviousness, even if combined, would the POSA have had any  
22 expectation that the formulations Regeneron claimed of the '865  
23 patent would meet the turbidity limitations of the claims?

24 A. No.

25 Q. Same question for the 98 percent native conformation,

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 would the POSA have had any expectation of success?

2 A. Same answer. No.

3 Q. Would the prior art formulations on which Dr. Rabinow  
4 relied, even if combined, necessarily and always have the  
5 turbidity required by the asserted claims?

6 A. No. There's no reason to think that.

7 Q. And how about for 98 percent native conformation?

8 A. Again, no reason to think that either.

9 Q. Is the claimed protein concentration relevant to  
10 turbidity?

11 A. Yes.

12 Q. How so?

13 A. Well, again, generally, the higher concentration  
14 leads to a higher aggregation propensity, hence higher  
15 insoluble aggregates and hence higher turbidity.

16 Q. Did Dr. Rabinow point to any prior art turbidity data  
17 on 40 mg/mL of aflibercept?

18 A. No.

19 Q. Did Dr. Rabinow point to any turbidity data on  
20 40 mg/mL of ranibizumab?

21 A. No.

22 Q. In your view, Dr. Trout, are the asserted claims  
23 obvious over the combination of Fraser and Lucentis?

24 A. No.

25 Q. Now, we're done with Fraser and Lucentis.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 MR. BERL: I can keep going, Your Honor. I'm at your  
2 pleasure.

3 THE COURT: Let's call it a day. Let's do that.  
4 Is that all right with you, Doctor?

5 THE WITNESS: Yes, as long as you give the order that  
6 the attorneys can't talk with me.

7 THE COURT: Motion granted.

8 Yes, Doctor, because you remain midstream on your  
9 testimony, even though you've come and gone once but you're  
10 considered midstream again by our rules, you are prohibited  
11 from contact with other humanoids about your testimony here.

12 So you're welcome. You can go ahead and step down,  
13 sir. Have a lovely evening in quiet solitude.

14 THE WITNESS: Thank you.

15 Thank you, Your Honor.

16 THE COURT: You're welcome.

17 Everybody okay with starting at 8:30 tomorrow?

18 MR. BERL: Absolutely.

19 THE COURT: Let's do that. And we'll sprint through  
20 the tape at that point, as they say.

21 Anything we need to take up from Regeneron's  
22 standpoint at this juncture?

23 MR. BERL: Not from Regeneron, Your Honor.

24 THE COURT: Mr. Rakoczy, anything from Mylan?

25 MR. RAKOCZY: Nothing from Mylan.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 THE COURT: Okay. And we anticipate at this point  
2 that Dr. Trout will be the last witness; is that correct?  
3 Anybody being called by Mylan on the back end?

4 MR. RAKOCZY: Not as far as we know. He'll be the  
5 last one.

6 THE COURT: Okay. Al right. Well, let's do that.  
7 We'll see everyone at 8:30 tomorrow morning. And, again, my  
8 promise to be prompt with notifications about any change in the  
9 lunch run schedule tomorrow. Apologies for any heart  
10 palpitations I gave everybody.

11 With that, everybody have a pleasant evening, and  
12 we'll see you all tomorrow morning.

13 Thank you very much.

14 (Proceedings concluded at 5:07 p.m.)

15

16

17

18

19

20

21

22

23

24

25

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

## 1 CERTIFICATE

2 I, Cindy L. Knecht, Registered Professional Reporter and  
3 Official Reporter of the United States District Court for the  
4 Northern District of West Virginia, do hereby certify that the  
5 foregoing is a true and correct transcript of the proceedings  
6 had in the above-styled action on June 22, 2023, as reported by  
7 me in stenotypy.

8 I certify that the transcript fees and format comply with  
9 those prescribed by the Court and the Judicial Conference of  
10 the United States.

11 Given under my hand this 22nd day of June 2023.

12 /s/Cindy L. Knecht

13 \_\_\_\_\_  
14 Cindy L. Knecht, RMR/CRR  
15 Official reporter, United States  
16 District Court for the Northern  
17 District of West Virginia  
18  
19  
20  
21  
22  
23  
24  
25

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

UNITED STATES DISTRICT COURT  
NORTHERN DISTRICT OF WEST VIRGINIA

Regeneron Pharmaceuticals, Inc.

Plaintiff,

VS.

CIVIL ACTION NO.

1:22-cv-61

Mylan Pharmaceuticals, Inc., and

Volume 9

Biocon Biologics,

Defendants.

- - -

Proceedings had in the bench trial of the above-styled  
action on June 23, 2023, before Honorable Thomas S. Klee  
District Judge, at Clarksburg, West Virginia.

- - -

APPEARANCES:

On behalf of the Plaintiff:

David I. Berl  
Ellen E. Oberwetter  
Arthur J. Argall, III  
Kathryn S. Kayali  
Haylee Bernal Anderson  
Andrew V. Trask  
Williams & Connolly, LLP  
680 Maine Avenue, SW  
Washington, D.C. 20024  
202.434.5000

Andrew E. Goldsmith  
Kellogg, Hansen, Todd, Figel & Frederick, PLLC  
1615 M. Street NW, Suite 400  
Washington, DC 20036  
202.326.7945

APPEARANCES CONTINUED ON NEXT PAGE

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



1 On behalf of the Plaintiff, continued:

2 Steven Robert Ruby  
3 Carey, Douglas, Kessler & Ruby, PLLC  
4 797 Virginia Street, East, Suite 901  
5 Charleston, WV 25301  
6 304.345.1234

7 Petra Scamborova  
8 Regeneron Pharmaceuticals, Inc.  
9 777 Old Saw Mill River Road  
10 Tarrytown, NY 10591-6717  
11 914.847.7611

12 On behalf of the Defendants:

13 Deanne M. Mazzochi  
14 William A. Rakoczy  
15 Heinz J. Salmen  
16 Eric R. Hunt  
17 Lauren M. Lesko  
18 Neil B. McLaughlin  
19 Lawrence Scott Beall  
20 Katie A. Boda  
21 Rakoczy, Molino, Mazzochi & Siwik, LLP  
22 6 W. Hubbard Street, Suite 500  
23 Chicago, IL 60654  
24 312.527.2157

25 Gordon H. Copland  
William J. O'Brien  
Steptoe & Johnson  
400 White Oaks Blvd.  
Bridgeport, WV 26330  
304.933.8162

Proceedings recorded utilizing realtime translation.  
Transcript produced by computer-aided transcription.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Friday Morning Session,  
2 June 23, 2023, 8:30 a.m.

3 - - -

4 THE COURT: I've gotten my coffee mishap out of the  
5 way back there; so it should be smooth sailing from here.

6 Good morning, Doctor. You remain under oath.

7 Mr. Berl, you may proceed.

8 MR. BERL: Thank you, Your Honor.

9 DIRECT EXAMINATION (CONTINUED)

10 BY MR. BERL:

11 Q. Good morning, Dr. Trout.

12 A. Good morning, Mr. Berl.

13 Q. I'd like to shift now to Dr. Rabinow's second  
14 combination, Fraser plus Liu.

15 Do you agree with Dr. Rabinow that Fraser and Liu  
16 combined render the asserted claims obvious?

17 A. No, I do not.

18 Q. Now, before we get into the various limitations of  
19 the claims and details of the references, do you think that  
20 there's any reason that the POSA would have chosen those two  
21 references, Fraser and Liu, from amongst all of the prior art?

22 A. No, no reason.

23 Q. And, Doctor, do you believe that there's any reason  
24 that the POSA would have sought to combine Fraser plus Liu?

25 A. No. And, again -- or I guess I'll say a little more

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 about this, but Liu doesn't have anything to do with  
2 aflibercept or anything related.

3 Q. Now, I understand that opinion, Dr. Trout, but unless  
4 I specifically say otherwise, for this portion of the testimony  
5 I'd like you to assume that the POSA would have combined Fraser  
6 with Liu as Dr. Rabinow asserts.

7 Can you do that?

8 A. Okay.

9 Q. With that, let's look at the first limitations of  
10 Claim 1 --

11 If we can put that on the screen.

12 -- relating to ophthalmic formulations and  
13 intravitreal injection. Do either Fraser or Liu disclose  
14 ophthalmic formulations?

15 A. No.

16 Q. Let's look at Fraser. It's DTX 729 again, and this  
17 is page 1.

18 What is Fraser discussing?

19 A. Well, as highlighted here in this excerpt in the  
20 lower right side, the aim of the present study was to evaluate  
21 the effects of transient inhibition of VEGF on pituitary  
22 ovarian function in the macaque, so nothing to do with  
23 ophthalmics.

24 Q. Is there any disclosure in Fraser of intravitreal  
25 administration?

1 A. No.

2 Q. What kind of administration is Fraser using -- local  
3 intravitreal administration or systemic administration?

4 A. Systemic intravenous, so not intravitreal.

5 Q. Would the POSA interested in making an ophthalmic  
6 formulation for intravitreal administration have looked to  
7 Fraser either alone or in combination with Liu?

8 A. No.

9 Q. And why does this distinction matter between an IV  
10 formulation and an intravitreal formulation? What, if  
11 anything, is different about these ophthalmic intravitreal  
12 formulations?

13 A. Well, the current concerns are quite different. I  
14 think I testified last week to some of those differences. One  
15 major difference, of course, is having to go through this very  
16 narrow-bore needle -- which I think Your Honor saw earlier this  
17 week -- a very thin needle, which is going to encompass shear.

18 The other things, issue with particulates and other  
19 aspects of -- can be very different for intravitreal.

20 Q. Would Liu cause the POSA to want to use Fraser or a  
21 modified version thereof intravitreally?

22 A. No.

23 Q. Does Liu teach anything about intravitreal injections  
24 or formulations?

25 A. No.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Q. Now, I'd like to move to the next limitations of the  
2 claim relating to aflibercept.

3 You already discussed that with respect to Fraser  
4 yesterday. Do those same opinions apply to the combination of  
5 Fraser with Liu?

6 A. Yes. That's correct.

7 Q. And do the opinions you expressed yesterday regarding  
8 glycosylation of aflibercept with Fraser, do those apply to the  
9 combination of Fraser and Liu?

10 A. Yes.

11 Q. Now, the new reference in this combination is Liu.  
12 That's DTX 730. Does Liu teach the aflibercept amino acid  
13 sequence?

14 A. No.

15 Q. Does Liu teach the glycosylation of aflibercept or  
16 the sites of glycosylation?

17 A. No. Of course, it doesn't teach aflibercept; so it  
18 doesn't teach the glycosylation of it.

19 Q. Okay. Let's go to the next limitation of the claim,  
20 40 mg/mL. Dr. Rabinow again cites to Fraser for the 40 mg/mL  
21 limitation.

22 Do the opinions you discussed yesterday about that  
23 apply here?

24 A. Yes.

25 Q. Now, do you agree with Dr. Rabinow that the POSA

1 would select an appropriate intravitreal dose based on the  
2 amount of a VEGF Trap used to inhibit ovarian function in  
3 monkeys in Fraser?

4 A. No.

5 Q. Why not?

6 A. Because they're different types of approaches,  
7 different indications, different organs, different parts of the  
8 body.

9 Q. What about Dr. Rabinow's suggestion that the POSA  
10 would use a 60-microliter injection rather than a 50-microliter  
11 injection for intravitreal administration, if one were applying  
12 the prior art, in order to be generous?

13 A. I didn't see any basis for that.

14 Q. If one used a higher dose volume than 50 microliters,  
15 would one change the concentration of the drug product?

16 A. Well, it depends; but, generally, one would increase  
17 the dosage.

18 Q. And so you'd use the same concentration if you had an  
19 overage?

20 A. Yes. Well, I think the overage, frankly, would have  
21 been in the vial, not in the syringe, but it was a little  
22 unclear. But the point is the concentration would be whatever  
23 the concentration is in the vial.

24 Q. Now, let's take a look at Liu with respect to the  
25 concentration. And we'll take a look -- again, this is

1 DTX 730. And we've put on the screen part of page 35 of Liu.

2 Does Liu teach concentration ranges for formulations  
3 of other proteins other than aflibercept?

4 A. Yes. And I've highlighted a range here in  
5 paragraph 13 of Liu. It says -- a little hard to read maybe on  
6 the screen, but it does say antibody 40 to 150 mg/mL.

7 Q. What does Liu teach about the concentration of  
8 aflibercept?

9 A. Nothing.

10 Q. What about VEGF antagonists?

11 A. Nothing.

12 Q. What about an appropriate concentration for  
13 intravitreal administration?

14 A. Nothing.

15 Q. Would Liu alone or in combination with Fraser  
16 motivate the POSA to use a 40 mg/mL formulation of aflibercept  
17 intravitreally?

18 A. No.

19 Q. On the basis of the combination of Fraser and Liu,  
20 would the POSA have used a formulation of 40 mg/mL of  
21 aflibercept intravitreally?

22 A. No. There's no reason.

23 Q. Now, if we look to page 9 of Liu, Dr. Trout -- this  
24 is paragraph 6 of the reference DTX 730 -- did Liu have  
25 additional information relevant to the question of whether the

1 POSA would have combined it with Fraser?

2 A. Yes. And I've highlighted a middle part of this  
3 paragraph. Again, this is just a reflection of what the POSA  
4 would know. Speaking, though, of antibodies, antibodies tend  
5 to form viscous solutions at high concentration because of  
6 their macromolecular nature and potential for intramolecular  
7 interactions. Moreover, pharmaceutically acceptable sugars are  
8 often used in large amounts as stabilizers.

9 Q. So what does that teach in laymen's terms?

10 A. Well, if you have a higher concentration of  
11 antibodies, for example -- because Liu is just about  
12 antibodies -- that's going to lead to a higher viscosity.  
13 Also, if you have a higher amount of sugar, that can lead to a  
14 higher viscosity. I think we're familiar with syrup; it's more  
15 viscous. And the combination together can lead to an even  
16 higher viscosity.

17 And, again, for intravitreal administration, which  
18 these references don't teach, we have a very thin-bore needle.  
19 High viscosity means potentially very long injection times,  
20 which I think we all don't want in the eye. And potentially,  
21 if it's too viscous, you won't even be able to use it.

22 Q. And what does Fraser have with respect to  
23 concentrations of sugar? Does it use low concentrations or  
24 high concentrations?

25 A. No, it uses high concentrations. This is not the

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



1 Fraser, but it uses high concentration, 20 percent sucrose.

2 Q. So would the person of skill, in view of this  
3 teaching of Liu, have wanted to combine Fraser with a high  
4 concentration of sugar with Liu?

5 A. No, not at all. I mean -- again, they don't  
6 contemplate intravitreal, but certainly you wouldn't want to  
7 use these high concentrations for intravitreal.

8 Q. I'd like to move to the 98 percent native  
9 conformation limitation with respect to this combination.

10 THE COURT: Mr. Berl, before you ask that question,  
11 it just dawns on me I did the same thing today I neglected to  
12 do yesterday.

13 We're going to take our lunch break from 11:00 to  
14 12:00 today for anyone who needs to -- I saw everybody turn and  
15 look at somebody in each corner of the courtroom. You all are  
16 the MVPs today. My apologies. I promised you I wouldn't do  
17 that to you again, but I just did. We're going to take our  
18 lunch break from 11:00 to 12:00 today with apologies.

19 Mr. Berl, if you wouldn't mind starting that question  
20 again, sir.

21 MR. BERL: This is the last time we'll do that in  
22 this trial.

23 BY MR. BERL:

24 Q. So I'd like to talk about the 98 percent native  
25 conformation limitation, Dr. Trout, with respect to this

1 combination of Fraser plus Liu.

2 Did you hear Dr. Rabinow testify about the Liu  
3 reference?

4 A. Yes.

5 Q. Would Liu have informed whether formulations of  
6 aflibercept would meet the 98 percent native conformation  
7 limitation?

8 A. No.

9 Q. Why not?

10 A. Because Liu talks about different molecules,  
11 completely different molecules. It doesn't even tell -- or  
12 inform what those molecules are exactly, but they're different  
13 from aflibercept.

14 Q. Would the POSA conclude that, because Liu identified  
15 antibody formulations that met 98 percent native conformation  
16 for those molecules, aflibercept would perform the same way?

17 A. No, not at all.

18 Q. And let's -- again, what's the relevance of  
19 aflibercept's structure as a fusion protein to your opinion?

20 A. Well, first of all, as I've been emphasizing, I think  
21 it's clear aflibercept is a different molecule than the  
22 molecules in the Liu reference. And, furthermore -- I brought  
23 this up yesterday also to the Court -- these are -- well, the  
24 aflibercept is fusion protein. It's not a natural molecule. I  
25 used the term "Frankenstein molecule." And I even quoted some

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 of the literature that would reflect the understanding of the  
2 skilled person that these molecules would tend to be less  
3 stable.

4 Q. Would the POSA have an expectation of success  
5 regarding the native conformation of aflibercept in view of  
6 Liu?

7 A. No.

8 Q. Let's talk about turbidity briefly. And we can look  
9 again -- this is recited in Claim 15 of the '865 patent. Does  
10 Dr. Rabinow rely again on Liu for this limitation?

11 A. Yes.

12 Q. Do you agree with Dr. Rabinow that the POSA would  
13 expect aflibercept formulations to have the claimed turbidity  
14 based on Liu?

15 A. No. Again, completely different molecule.

16 Q. Now, is the analysis you just provided with respect  
17 to native conformation the same with respect to the turbidity  
18 limitation?

19 A. Yes. That's correct.

20 Q. Now, I'd like to -- well, one final question on this  
21 combination.

22 Do you believe that the combination of Fraser and Liu  
23 render the asserted claims obvious?

24 A. No.

25 Q. Now, with that, I'd like to turn to Dix.

1 Do you agree with Dr. Rabinow's opinion that the  
2 Dix '226 patent anticipates the asserted claims?

3 A. No.

4 Q. Now, Doctor, what priority date -- what priority  
5 dates did you assume in forming your opinions?

6 A. Well, I did the analysis with two different priority  
7 dates. One was June 16th, 2006, and the other was March 21st,  
8 2006.

9 Q. Okay. Let's start with the first one.

10 If we could put on the screen parts of PTX 55.

11 This is part of the opening report of Dr. Rabinow.  
12 Is this the report you responded to for purposes of the prior  
13 art, invalidity, the obviousness, and anticipation arguments?

14 A. Yes, it looks like that report.

15 Q. And we've put up on page 164 of PTX 55 in  
16 paragraph 198 part of Dr. Rabinow's report entitled "The  
17 priority date for the '865 patent."

18 What priority date did you understand Dr. Rabinow was  
19 applying?

20 A. Well, as highlighted here, that was June 16th, 2006.

21 Q. Did you understand there to be any dispute as to  
22 whether the '865 patent was, as Dr. Rabinow puts it, entitled  
23 to a priority date of June 16th, 2006?

24 A. No. My understanding was there's no dispute.

25 Q. Let's take a look at Dr. MacMichael's report. That's

1 PTX 53.

2 Did you also respond to that report?

3 A. Yes.

4 Q. And what priority date was applied in that report by  
5 Dr. MacMichael?

6 A. Well, again, as highlighted here in this excerpt,  
7 June 16th, 2006.

8 Q. Now, you said a moment ago, Doctor, that you also  
9 applied a date of March 21, 2006; is that right?

10 A. Correct.

11 Q. Did you reach a different conclusion with respect to  
12 validity based on that priority date?

13 A. No.

14 Q. Now, Dr. Graham discussed all of that a couple days  
15 ago with respect to the priority date; so we won't belabor that  
16 here. I want you to assume for the rest of my questioning on  
17 this topic that Dix is prior art to the '865 patent.

18 Can you make that assumption?

19 A. Okay.

20 MR. BERL: And, obviously, Regeneron disagrees with  
21 that, Your Honor, but we want to respond in the alternative.

22 BY MR. BERL:

23 Q. Do you agree with Dr. Rabinow that Dix anticipates or  
24 renders obvious the asserted claims?

25 A. No.

1 Q. Let's go through the limitations, and let's first  
2 look at the 40 mg/mL. And we've seen that before. And let's  
3 look at page 4 of Dix. This is page 4 of Dix that we'll pull  
4 up, Doctor.

5 What does Dr. Rabinow rely on from Dix with respect  
6 to the 40 mg/mL limitation?

7 A. Well, he relies on this range that's here from Dix,  
8 the range of 10 to 50 mg/mL.

9 Q. Do you agree that the range of 10 to 50 mg/mL teaches  
10 40 mg/mL?

11 A. No. There's no reason why that would point the POSA  
12 to 40 mg/mL.

13 Q. Do you understand that the disclosure of 10 to 50, do  
14 you believe that that discloses every number within that range?

15 A. No.

16 Q. Is 10 to 50 a small range?

17 A. No. It's a significant range.

18 Q. Is this range here of 10 to 50 in Dix being taught  
19 for intravitreal administration?

20 A. No.

21 Q. And is the concentration the POSA would understand  
22 should be used different for intravitreal administration  
23 compared to other kinds of administrations?

24 A. Yes.

25 Q. Now, if the POSA were choosing a concentration within

1 the Dix range for intravitreal administration, what  
2 concentration would the POSA want to use?

3 A. Within Dix? Well, there's no real indication within  
4 Dix. But, again, as I talked about earlier from Gaudreault and  
5 other parts of the prior art, it would be on the lower end of  
6 the concentration, 10 mg/mL or perhaps even lower.

7 Q. And what would the POSA, in view of the prior art,  
8 have thought about using a 40 mg/mL concentration for  
9 intravitreal administration?

10 A. Well, as I discussed yesterday, the prior art teaches  
11 away from using the 40 mg/mL; so the POSA wouldn't want to do  
12 that.

13 Q. Does this disclosure of a concentration range on  
14 page 4 of Dix, is it teaching the aflibercept molecule with  
15 that range?

16 A. No. It just -- and I've highlighted the excerpt  
17 above. It just talks about a VEGF-specific fusion protein  
18 antagonist broadly. This is just a very broad disclosure here.

19 Q. And is aflibercept the only sequence that's taught in  
20 Dix?

21 A. No.

22 Q. How many sequences are taught in Dix?

23 A. Well, there are two sequences.

24 Q. And is this fusion protein antagonist language from  
25 Dix limited to those two fusion proteins or could it encompass

1 others?

2 A. It could encompass others. In other words -- or  
3 again, I should say, as I discussed, this is just a very  
4 general disclosure towards the beginning part of Dix.

5 MR. BERL: With that, Your Honor, the next set of  
6 questions of a couple minutes are not in Dr. Trout's report.  
7 And the reason they're not in Dr. Trout's report is that they  
8 relate to an argument that was not in Dr. Rabinow's report,  
9 either the opening report or the reply report.

10 He said something about it at his deposition. He had  
11 it on one of his slides. We objected. That objection was  
12 overruled, and he said something very brief about it in  
13 cross-examination. So we'd like to respond to it, but it's  
14 obviously -- I just wanted to front that issue and not suggest  
15 that this was in Dr. Trout's report, because it's not.

16 THE COURT: Understood.

17 Any objection from Mylan?

18 MR. RAKOCZY: I'm not sure what the question --

19 THE COURT: Well, dust off your crystal ball,  
20 Mr. Rakoczy.

21 MR. RAKOCZY: I suspect I will be objecting, but I  
22 need to hear the question.

23 THE COURT: Understood. Well, let's see what the  
24 questions are. Thank you much, Counsel, for the preview, but  
25 go right ahead.



1 BY MR. BERL:

2 Q. The first question actually is in your report; so the  
3 ones after that are not.

4 Doctor, I want to talk a little bit about lyophilized  
5 formulations. Are you familiar with that concept?

6 A. Yes, I am.

7 Q. Okay. What steps do lyophilized formulations require  
8 before the drug may be administered?

9 A. Well, first of all, you have to make the lyophilized  
10 formulation. So you go through various mixing processes, for  
11 example, make a prelyophilized solution, not a formulation,  
12 just a solution. And then you would go through the  
13 lyophilization process, so freeze-drying. That dried powder is  
14 also not an injectable or usable product as such until you add  
15 liquid -- generally, water for injection -- and reconstitute  
16 it. And so then you have a liquid finally -- a formulation  
17 that you can use, for example, for injection.

18 Q. And this prelyophilized solution that you describe,  
19 is that something that's to be administered to patients?

20 A. No. Not at all.

21 MR. RAKOCZY: Objection, Your Honor. Number one, not  
22 in the report. Number two, the witness isn't qualified to  
23 testify as to what is or is not properly administered to a  
24 patient.

25 THE COURT: Sustained on the second grounds. That's

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 outside of the scope of his expertise as deemed qualified by  
2 this Court.

3 MR. BERL: Okay. So let me ask the question a little  
4 differently.

5 BY MR. BERL:

6 Q. From a formulator's perspective, is the  
7 prelyophilization solution that a formulator would make, is  
8 that intended to be administered to patients or is it intended  
9 to be freeze-dried as part of the manufacturing process?

10 MR. RAKOCZY: Same objection, Your Honor. I'm not  
11 aware of this being in the report, number one.

12 Number two, again, that's just repackaging the same  
13 question only putting formulator at the front.

14 MR. BERL: It wasn't. It was slightly different, if  
15 I may, Your Honor. I didn't ask him what's appropriate for  
16 injection, which I understood to be the subject of the  
17 objection, because that might involve some clinical judgment,  
18 but rather what is intended by a formulator? Is the formulator  
19 making it so that it can be injected as opposed to as a  
20 manufacturing process so it can be freeze-dried or lyophilized?  
21 That's what I was asking about.

22 THE COURT: Understood.

23 In light of the Court overruling the objection with  
24 respect to Dr. Rabinow, I'll overrule this one. But I don't  
25 think we need to delve too very deep on it.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 THE WITNESS: Yeah, as a formulator, again, someone  
2 who does pharmaceutical manufacturing, the prelyophilized  
3 solution is an intermediate. That's all. And then it would go  
4 through further processing.

5 BY MR. BERL:

6 Q. Now, I'd like briefly to bring up another section of  
7 Dix that Dr. Rabinow very briefly addressed. And it's on  
8 page 5 of Dix.

9 Doctor, we have on the screen part of page 5. And in  
10 part it talks about prelyophilized solutions and a 40 mg/mL  
11 prelyophilized solution is lyophilized and reconstituted to an  
12 80 mg/mL solution.

13 Do you think, Dr. Trout, that this teaches the  
14 40 mg/mL limitation of aflibercept for purposes of the claims  
15 of the '865 patent?

16 A. No. Again, it's just a manufacturing intermediate.

17 Q. Does Dix teach that this 40 mg/mL prelyophilized  
18 solution is for aflibercept?

19 A. No. This is part of a very general disclosure. It  
20 just mentions VEGF here. And this is part of a whole column of  
21 all kinds of different numbers and various, you know,  
22 discussions of prelyophilization and reconstitution.

23 Q. Would the POSA understand or expect that the 40 mg/mL  
24 prelyophilized solution would have 98 percent native  
25 conformation after two months at 5 degrees?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - DIRECT

1 THE COURT: One second, Doctor.

2 MR. RAKOCZY: Objection, Your Honor. That is not in  
3 the report.

4 MR. BERL: I agree completely that that's not in the  
5 report, but I'm addressing Dr. Rabinow -- again, I think he  
6 said one sentence about this on cross. What I don't want to  
7 happen is for them all the sudden to say that's an  
8 anticipation, and we can't respond.

9 MR. RAKOCZY: Your Honor, they did respond in the  
10 report. I think the witness took the position that lyophilized  
11 formulations are not even within the scope of the claim. So  
12 I'm not sure where this is going, but this is a completely new  
13 opinion.

14 THE COURT: I do recall Dr. Rabinow covering it.  
15 Subject to the Court's prior ruling on that, I'm going to  
16 overrule it at this point.

17 MR. BERL: I probably need to ask the question again.

18 THE COURT: Probably.

19 BY MR. BERL:

20 Q. Doctor, would the POSA expect or have any reason to  
21 believe that the 40 mg/mL prelyophilized solution that's the  
22 subject of this disclosure in Dix would meet the 98 percent  
23 native conformation as measured by SEC after two months at  
24 5 degrees limitation?

25 A. No. And the way you would make this -- a formulator

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 would make this wouldn't be to store it for nearly that long.

2 Q. In your view, Doctor, taken together, does Dix  
3 anticipate or render obvious the asserted claims?

4 A. No.

5 Q. And did Dr. Rabinow rely on the same disclosures of  
6 Dix for anticipation as he did for obviousness?

7 A. Yes.

8 Q. And do the opinions that you just expressed for  
9 anticipation apply for obviousness as well?

10 A. Yes. That's correct.

11 MR. BERL: And, again, Your Honor, Regeneron disputes  
12 that Dix is a proper obviousness reference, including the safe  
13 harbor of Section 103(c).

14 MR. RAKOCZY: We obviously disagree with the 103(c)  
15 arguments, which we briefed in summary judgment and we're happy  
16 to address again in the proposed findings, Your Honor.

17 THE COURT: Understood.

18 MR. BERL: I'm sure Your Honor will read more about  
19 that.

20 THE COURT: I'm looking forward to it.

21 BY MR. BERL:

22 Q. Doctor, did you also consider objective indicia of  
23 nonobviousness?

24 A. Yes, I did.

25 Q. What did you conclude?

1           A.    Well, I conclude that there's objective indicia, or I  
2 guess you call it secondary considerations.  There are other  
3 evidence of nonobviousness.

4           Q.    Did you consider industry skepticism regarding the  
5 use of VEGF Traps?

6           A.    Yes, I did.

7           Q.    Let's take a look at Ferrara 2004.  Again, there are  
8 two Ferraras; this is the 2004 reference, PTX 1838.  Let's take  
9 a look at page 7 of this article.

10                   Can you explain what's disclosed here and how it  
11 relates to your concept and opinion about skepticism?

12           A.    Yes.  And, again, this is a review article; so it  
13 reflects the understanding in the literature.  And, again, it  
14 talks about in this paragraph VEGF Trap and then says, "It is  
15 also possible that the junctions between the various structural  
16 elements in such multicomponent molecules can generate an  
17 immune response."

18                   And, Your Honor, I showed you this yesterday also,  
19 but -- for the same excerpt but the same significance, but now  
20 for secondary considerations.

21           Q.    And would the POSA have considered this warning  
22 important regarding immune responses?

23           A.    Yes.  Again, this is something that, as a formulator,  
24 is foremost in our mind of concern or one of the things that  
25 are of foremost concern.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - DIRECT

1 Q. There's been a lot of discussion about the lead  
2 author of this article, Dr. Ferrara.

3 Did researchers in the field consider the paper  
4 biased or misleading because Dr. Ferrara worked for Genentech?

5 A. No, not according to my understanding. As a matter  
6 of fact, I think there are over 3,000 citations of this paper.

7 Q. Is that lot?

8 A. That is a lot.

9 Q. Now, was this paper the only evidence of industry  
10 skepticism you considered?

11 A. No.

12 Q. Did you also consider the 2006 Ferrara review article  
13 that you discussed yesterday?

14 A. Yes.

15 Q. And we won't rehash that, but in your view, was the  
16 skepticism expressed in the prior art directed to the  
17 workability of the claimed invention of injecting VEGF Traps,  
18 such as aflibercept?

19 A. Yes, exactly.

20 Q. Did you also consider evidence of unexpected results,  
21 Dr. Trout?

22 A. Yes, I did.

23 Q. And let's take a look at the Thomas article. That's  
24 PTX 1155. Did you review this article for purposes of your  
25 objective indicia analysis?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 A. Yes, I did.

2 Q. And this is an article from 2013, after the priority  
3 date.

4 Let's take a look at page 4 of the article. What  
5 were the properties that ultimately were shown with Eylea? And  
6 were they expected in your view?

7 MR. RAKOCZY: Objection, Your Honor. Two grounds.  
8 Number one, they've admitted this is after the priority date;  
9 this is not prior art.

10 Number two, they're talking about the aflibercept  
11 molecule; they're not actually talking about the claimed  
12 formulations here. It's not relevant either.

13 THE COURT: How is it prior art?

14 MR. BERL: It's not prior art at all. That's why I  
15 pointed out, in fact, that it's from 2013, after the priority  
16 date.

17 The law is that postpriority evidence is relevant to  
18 unexpected properties. The Kroll v. Teva [sic] case is sort of  
19 the seminal case on this proposition, but other cases  
20 afterwards have reiterated that proposition down the line from  
21 the Federal Circuit.

22 THE COURT: Mr. Rakoczy.

23 MR. RAKOCZY: Your Honor, we obviously disagree. We  
24 will brief this. But beyond that, it still has to establish a  
25 nexus to claims. These are claims about formulations, not



BERNHARDT TROUT, PhD - DIRECT

1 about the aflibercept molecule itself, which is what this is;  
2 so, again, no nexus, after the priority date.

3 THE COURT: Understood. It is after the priority  
4 date, obviously, but we need to tie this together, Mr. Berl,  
5 how it's related.

6 Objection overruled at this juncture.

7 BY MR. BERL:

8 Q. Were the properties of Eylea expected or unexpected  
9 as related by the Thomas article, PTX 1155?

10 A. So they were unexpected, as noted here. I'm going to  
11 read on the screen just because of my eyes.

12 On the upper left, "The most captivating aspect of  
13 aflibercept is its extended half-life, according to reviews of  
14 aflibercept by Stewart and Stewart, et al. The estimated serum  
15 half-life of intravitreal aflibercept is approximately 18 days  
16 versus ranibizumab and bevacizumab, which have half-lives of  
17 approximately 4.75 days and 8.25 days respectively."

18 So it's -- aflibercept -- or really the Eylea, which  
19 is an embodiment of the claims of the '865, has over double the  
20 half-life.

21 And then just to point to another excerpt from the  
22 same article on the right -- I think I can even read this --  
23 "In conclusion, aflibercept dosed intravitreally each month or  
24 every two months after three initial monthly doses resulted in  
25 comparable efficacy and safety to monthly ranibizumab."

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Q. Can you explain how this relates to the formulation  
2 rather than just the molecule of aflibercept with respect to  
3 the properties you just relied on?

4 MR. RAKOCZY: Objection, Your Honor. He's now trying  
5 to tie something up that's actually not in the report anywhere.

6 MR. BERL: I don't agree with that. Let's look at  
7 paragraph 347, for example. Your Honor, I think this is in the  
8 binder. I believe it's styled as PTX 67, Dr. Trout's report.  
9 PTX 67.

10 THE COURT: 67.

11 MR. BERL: Not 367. Sorry.

12 THE COURT: What paragraph is that, Mr. Berl?

13 MR. BERL: 347. You'll see in that paragraph, Your  
14 Honor, he's discussing Eylea. He does not say aflibercept. I  
15 think -- he says aflibercept too because, of course, Eylea has  
16 aflibercept in it, but he says Eylea one, two, three, four  
17 times when providing his analysis of this article. This is the  
18 Thomas article that we're reviewing and the subject of this  
19 paragraph, and he's talking about how Eylea ultimately proved  
20 to be unexpectedly safe, how it compares to Lucentis.

21 And so that's what I'm asking him about is how --  
22 what is Thomas disclosing about Eylea. That's the subject of  
23 the Thomas article and his opinion.

24 THE COURT: Mr. Rakoczy.

25 MR. RAKOCZY: That wasn't the question, Your Honor.

1 The question was can you explain how this relates to the  
2 formulation other than just the molecule?

3 I agree that the report talks about the formulation  
4 Eylea. There is nothing in here attempting to give some  
5 explanation for why this unexpected result is not just about a  
6 molecule.

7 THE COURT: Understood.

8 Sustained. Reword your question.

9 MR. BERL: I'll reword the question.

10 BY MR. BERL:

11 Q. Doctor, can you explain how these properties relate  
12 to Eylea?

13 A. Yes. Well, again, these properties are discussion  
14 based on the use of Eylea, the whole formulation -- I don't  
15 know if I can say that, but Eylea is the formulation we've been  
16 talking about.

17 Q. Do you understand Eylea to be an embodiment of the  
18 claims of the '865 patent?

19 A. Yes.

20 Q. And can you administer aflibercept by itself without  
21 formulation?

22 MR. RAKOCZY: Objection, Your Honor. Again, new  
23 opinion. Nowhere in here.

24 MR. BERL: This has nothing to do with Thomas. This  
25 is just basic background.

1 THE COURT: Overruled.

2 BY MR. BERL:

3 Q. Can you administer aflibercept without a formulation,  
4 such as Eylea?

5 A. No.

6 Q. Can you obtain the properties that Thomas is  
7 discussing by just administering aflibercept alone?

8 MR. RAKOCZY: Objection, Your Honor. This is well  
9 outside the scope.

10 THE COURT: That one's sustained.

11 MR. BERL: I'll withdraw that.

12 BY MR. BERL:

13 Q. Doctor, in your view -- let's look at another part of  
14 Thomas on page 4. Can you discuss what Thomas is disclosing  
15 here?

16 A. Yes. Again, just to read the excerpt here, "In  
17 conclusion, aflibercept dosed" -- and then it talks about the  
18 dosing I just read -- "side effects, either ocular or systemic,  
19 were similar across treatment groups with no differences  
20 between aflibercept administered every two months and monthly  
21 ranibizumab," again, referring to the Eylea.

22 Q. And was that expected or unexpected based on what the  
23 prior art disclosed about fusion proteins such as aflibercept?

24 A. That was unexpected for all the reasons that I  
25 mentioned.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Q. Do you understand or consider ranibizumab to be the  
2 closest prior art?

3 A. Yes.

4 Q. Now, did you also consider evidence of copying?

5 A. Yes, I did.

6 Q. And in your view, did Mylan copy aspects of Eylea?

7 A. Yes.

8 Q. And what aspects of the claims, for example, did  
9 Mylan copy, in your view?

10 A. Well, it copied the aflibercept, of course, with the  
11 sequence ID, the polysorbate, the organic cosolvent, the  
12 buffer.

13 Q. Well, let's talk about a few of those. Let's talk  
14 about the polysorbate.

15 MR. BERL: Actually, if I may pause for a moment. I  
16 don't want to do anything that violates Mylan's perceived  
17 confidentiality. I think this was disclosed in open court, but  
18 I don't want to run afoul of any --

19 THE COURT: If you need to huddle and talk about  
20 that, go right ahead, please.

21 MR. BERL: I really don't want to seal the courtroom  
22 for one question; so maybe I'll just --

23 THE COURT: Why don't we save that one for last.

24 MR. BERL: Maybe we'll save that one for last.  
25 That's a good idea.

1 BY MR. BERL:

2 Q. Did Mylan, in your view, copy the concentration of  
3 aflibercept that's in the claims?

4 A. Yes.

5 Q. Did Mylan -- I'll ask this generally. Did Mylan  
6 pursue formulations without polysorbate?

7 A. Well, in development, yes.

8 Q. And what was the result of that?

9 A. Mylan decided it had to include polysorbate to have  
10 an adequate formulation.

11 Q. In view of -- I just want to ask one final question  
12 about obviousness, Doctor.

13 In view of your analysis of motivation, expectation  
14 of success, and objective indicia, are the asserted claims of  
15 the '865 patent obvious?

16 A. No.

17 MR. BERL: So with that proviso that I might ask one  
18 more question at the end, I'm going to move to Section 112.

19 THE COURT: Someone put that last question on a  
20 sticky note.

21 MR. BERL: I won't remember; so please.

22 BY MR. BERL:

23 Q. Doctor, did you consider Dr. MacMichael's testimony  
24 on enablement?

25 A. Yes, I did.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Q. Do you agree with his conclusions?

2 A. No, I do not.

3 Q. Dr. MacMichael talked about the simple *Wands* factors.

4 Do you remember that?

5 A. Yes, I do.

6 Q. Let's put up the *Wands* factors on the screen.

7 Did you consider the *Wands* factors in conducting your  
8 enablement analysis?

9 A. Yes, I did.

10 Q. Let's start with the first one, the breadth of the  
11 claims. Do you agree with Dr. MacMichael's testimony that the  
12 breadth of the claims is very broad?

13 A. No. I think the claims are narrow.

14 Q. Let's take a closer look at that. Let's take a look  
15 at 1, 2, and 4 again.

16 Why, in your view, are the asserted claims not very  
17 broad?

18 A. Well, let's just start out with the -- where we  
19 usually start, with the VEGF antagonist and the specific  
20 sequence ID. So it's one specific biologic molecule, no  
21 others, with a very specific -- with a specific sequence ID, I  
22 should say. It's a vial. It's for intravitreal  
23 administration. It's got to have all these other components --  
24 the organic cosolvent, the buffer, the stabilizing agent. And  
25 then it's got to be at 40 mg/mL. That's very -- I mean, that

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 can't get more narrow than that in terms of concentration. And  
2 then it's got to -- this is just Claim 4 -- it's got to have  
3 the polysorbate 20. That's the only possible organic cosolvent  
4 and within this narrow range.

5 Q. Doctor, there's been some discussion for purposes of  
6 enablement about lyophilized or freeze-dried formulations.  
7 We're not going to repeat all of what we did a few moments ago,  
8 but in your view, did the specification also teach how to make  
9 lyophilized formulations?

10 A. Yes, it does.

11 Q. And is lyophilization just another way to make  
12 formulations?

13 A. It is another way of making formulations, yes.

14 Q. And there was also some discussion previously in the  
15 case about suspensions and emulsions.

16 In your view, would the claims be directed to  
17 suspensions and emulsions?

18 A. No. I don't think the claims would be directed, and  
19 I probably should have mentioned this before, but this other  
20 limitation, the 98 percent present in native conformation  
21 following storage at 5 degrees Celsius for two months as  
22 measured by size-exclusion chromatography, that's not an  
23 analytical technique that one would typically use for emulsions  
24 and suspensions.

25 Q. You discussed some of the structures in the claims.



1           Were the structures that are used in the claims known  
2 or unknown as of the priority date?

3           A.    They were known as of the priority date.

4           Q.    What about buffers?  Were those structures known?

5           A.    Yes.

6           Q.    And what about polysorbate?

7           A.    Yes.

8           Q.    And what about aflibercept?

9           A.    Yes.

10          Q.    And what about stabilizing agents?

11          A.    Yes.

12          Q.    So, Doctor, what is your opinion regarding the *Wands*  
13 factor on the breadth of the claims?  Does that support  
14 enablement or does it support nonenablement?

15          A.    It's pretty narrow; so it supports enablement.

16          Q.    Let's take a look at the nature of the invention, the  
17 second *Wands* factor.

18                   What is the nature of the invention claimed here?

19          A.    Well, the nature of the invention is a formulation,  
20 an ophthalmic formulation to be specific, suitable for  
21 intravitreal administration, with the various formulation  
22 components, including the aflibercept and with the 98 percent  
23 native after two months storage at 5 degrees Celsius and all  
24 the rest of the specifics.

25          Q.    Did Dr. MacMichael identify any formulation with the

1 structural elements recited in the claims that did not meet the  
2 98 percent native conformation limitation?

3 A. No.

4 Q. Are you aware of any such formulations?

5 A. No.

6 Q. Now, let's go to the next *Wands* factors, the level of  
7 ordinary -- the level of ordinary skill.

8 Was the level of skill high or low?

9 A. High.

10 Q. How so?

11 A. Well, again, it would be a professional with a  
12 master's degree at least in a relevant field, so a technical  
13 field directly relevant to formulations here. I think  
14 Dr. MacMichael said even a PhD, higher, plus several years of  
15 skill. And I think I added in mine it could be a PhD with less  
16 experience than a master's degree but still considerable  
17 experience in formulation.

18 Q. Do you understand yourself to agree with  
19 Dr. MacMichael that the level of skill was high?

20 A. Yes.

21 Q. Now, did you hear Dr. MacMichael's testimony a few  
22 days ago regarding Regeneron's arguments during prosecution of  
23 different patents?

24 A. Yes.

25 Q. Do you agree with Dr. MacMichael's testimony about

1 those documents?

2 A. No.

3 Q. Let's first take a look at DTX 5053, and this is on  
4 page 17.

5 Do you recall that this is a sentence that  
6 Dr. MacMichael relied on in his testimony?

7 A. Yes.

8 Q. And can you explain what this sentence means in your  
9 view.

10 A. Yes. It says, "One of ordinary skill in the art upon  
11 reading Remington's would expect to engage in significant  
12 nonroutine experimentation to develop a successful formulation  
13 as claimed herein."

14 Remington's is a general textbook, you could call it.  
15 It's a collection of different chapters, formulation and  
16 others, by experts; so it's a general textbook. And I agree  
17 with this statement, but Remington's doesn't disclose  
18 aflibercept; it doesn't disclose other aspects. Until you have  
19 that information, like the '865 patent discloses, you are in  
20 the situation discussed here.

21 Q. So to be clear, does Remington's disclose any  
22 formulations of aflibercept?

23 A. No.

24 Q. How about intravitreal formulations of aflibercept?

25 A. No.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Q. How about the concentrations to use for aflibercept  
2 intravitreal formulations?

3 A. Clearly not.

4 Q. Does Remington's disclose what pH to use for  
5 intravitreal formulations of aflibercept?

6 A. No.

7 Q. Now, Doctor, did you consider the Dix declaration  
8 cited by Dr. MacMichael from the prosecution of another patent?

9 A. Yes, I did.

10 Q. Let's take a look at the full context of Dr. Dix's  
11 statement. That's DTX 4430 at pages 3 through 4. It's  
12 paragraph 10. And Dr. MacMichael showed part of this  
13 paragraph.

14 Can you explain this paragraph and how it fits into  
15 your enablement analysis?

16 A. Yes. So, similarly here, Dr. Dix references now  
17 review articles, different from Remington's, but all of these  
18 are review articles that I'm familiar with. This is actually  
19 the same Wang that I talked about before but different review  
20 articles. Wei Wang likes to write review articles.

21 Dr. Dix says, "I am familiar with these literature  
22 articles, all in peer review journals, which all indicate that  
23 arriving at a stable formulation is not a straightforward  
24 matter and is not, for instance, possible to apply a  
25 formulation for one drug to another."

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1           So, again, in the absence of any information once  
2 you're starting out, this is the situation that the skilled  
3 person would be in.

4           Q.    And, again, as we discussed with Remington's, do any  
5 of these articles disclose aflibercept formulations,  
6 intravitreal aflibercept formulations, the concentration to use  
7 for aflibercept intravitreal formulations, or the pH to use  
8 for aflibercept intravitreal formulations?

9           A.    No, none of them disclose any of those.

10           MR. BERL:  I'm sorry about that one.  I'll be better.

11           THE COURT:  I'll accept on behalf...

12 BY MR. BERL:

13           Q.    Doctor, to practice the claims of the '865 patent  
14 with the specification in hand, would the POSA need to apply a  
15 formulation from one drug to another, as Dr. Dix talks about  
16 here?

17           A.    Well, Dr. Dix says you don't do that, and I agree  
18 with that.  That's not what we do.

19           Q.    But if a POSA has the '865 patent in hand and wants  
20 to make formulations claimed in the '865 patent, does that  
21 require applying a formulation from one drug to another drug?

22           A.    No.  The '865 patent itself teaches how to do it.

23           Q.    The '865 patent discloses formulations of  
24 aflibercept?

25           A.    Yes, clearly.  Yes.

BERNHARDT TROUT, PhD - DIRECT

1 Q. And what formulations are claimed -- aflibercept or  
2 some other molecule?

3 A. Aflibercept.

4 Q. Now, let's turn next to the next *Wands* factor, the  
5 specification's guidance and then working examples. We can  
6 take those together.

7 How do the specification's guidance, if we could look  
8 at a demonstrative, compare to the prior art's guidance?

9 A. Well, the specification has significant guidance. It  
10 actually has eight examples. I've just put up three here. We  
11 wouldn't be able to see any of them -- you can hardly see it as  
12 it is -- if we put up all eight. Probably couldn't see  
13 anything.

14 And then it has several important disclosures within  
15 the specification, including this one, which is highlighted.  
16 And it talks about what the organic cosolvent might be, and it  
17 talks about different other agents, including the stabilizing  
18 agent and the buffering agent.

19 It also talks in this excerpt in the middle here  
20 about the pH range between about 5.8 and 7. And then it  
21 talks about more specific pH ranges, uses the terms more  
22 specifically, about 6.2 to 6.3.

23 Q. And for the record, the excerpts you're discussing  
24 are in Column 2 of the '865 patent, Exhibit 2; is that right?

25 A. Yes.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Q. And you also have Tables 1, 3, and 5 shown on the  
2 screen in this discussion; is that right?

3 A. That's right. Actually, the whole examples including  
4 the tables, but yes.

5 Q. And, Doctor, you talked about some of the ingredients  
6 that are discussed in the '865 patent.

7 Do the claims for some categories narrow those  
8 structural requirements?

9 A. Yes.

10 Q. Now, we'll talk a little bit more about this in a  
11 moment, but the claims you said require a buffer.

12 What is a buffer?

13 A. Well, a buffer is a chemical compound that has a  
14 particular structure that leads to the formulation staying at a  
15 particular pH; so it resists pH changes.

16 Q. Would the POSA have known the structure of buffers  
17 that could be used to practice the claimed invention?

18 A. Yes.

19 Q. You noted that the patent teaches pH ranges, one of  
20 5.8 to 7 and then more specifically 6.2 to 6.3, in Column 2.

21 How does that pertain to the buffer that the person  
22 of ordinary skill would use to practice the claim?

23 A. Well, one would want to select a buffer that its  
24 chemical structure is such that it can maintain the pH within  
25 a given range.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Q. And is that a limited set of structures that could do  
2 that?

3 A. Yes.

4 Q. Does the patent provide an example of such a buffer  
5 that can be used?

6 A. Yes. The patent specifically -- again, that bottom  
7 left Column 2, it specifically says phosphate buffer.

8 Q. And, Doctor, in view of the specification's  
9 teachings, what buffers would the POSA use to practice the  
10 claims of the '865 patent?

11 A. Well, again, you could use buffers that would buffer  
12 within this range, like phosphate, could be succinate, for  
13 example, histidine.

14 Q. Let's turn to the next limitation, Dr. Trout, organic  
15 cosolvent. We've heard a lot about that. That's in Column 2.

16 And what does Claim 4 require with respect to the  
17 organic cosolvent?

18 A. Well, Claim 4 --

19 Q. What does Claim 4 require with respect to the organic  
20 cosolvent?

21 A. Claim 4 requires a specific one, polysorbate 20, and  
22 a specific range of that organic cosolvent.

23 Q. Do you understand all of the claims to require an  
24 organic cosolvent comprising polysorbate 20?

25 A. Yes. All the asserted claims, yes.



1 Q. Let's go back to the specification and address this  
2 limitation.

3 What does the specification teach with respect to  
4 organic cosolvent?

5 A. Well, it teaches various structures. Again, I'll  
6 look at the monitor here. Maybe polysorbate and, for example,  
7 polysorbate 20 or 80; polyethylene glycol, also abbreviated at  
8 PEG and then gives an example; or propylene glycol or a  
9 combination thereof.

10 Q. Would the POSA understand what those structures are?

11 A. Yes.

12 Q. Now, let's turn to the next limitation, stabilizing  
13 agents. And let's look at Column 2 there as well.

14 What does the patent teach about stabilizing agents?

15 A. Well, it teaches what it also calls sugars, sucrose,  
16 sorbitol, glycerol, trehalose, or mannitol specifically.

17 Q. Are those known structures?

18 A. Yes.

19 Q. Doctor, all of the asserted claims require some  
20 stability limitation as measured by SEC, the 98 percent native  
21 conformation; is that right?

22 A. Correct.

23 Q. And some of them say 99 percent, some of them have  
24 different time periods, but let's -- what does the patent teach  
25 with respect to formulations that meet the size-exclusion

1 chromatography limitation?

2 A. Well, it teaches that, given the various formulations  
3 in the examples, if one encompasses the various structures that  
4 are described in the claims, one expects to meet the 98 percent  
5 limitation, or again the 99 under some circumstances with the  
6 different times.

7 Q. Let's take a look at Example 1. What does Example 1  
8 teach, Dr. Trout?

9 A. Well, Example 1 teaches 50 mg/mL VEGF Trap, the exact  
10 one, because it references the sequence ID. It has a phosphate  
11 buffer. It has the polysorbate 20 organic cosolvent. And it  
12 has the sucrose stabilizing agent and pH 6.25 which can be  
13 rounded up to 6.3.

14 Q. Now, Doctor, you said -- well, how much aflibercept  
15 is in this formulation? What's the concentration?

16 A. Yes. I said 50 mg/mL.

17 Q. So is that within the asserted claims?

18 A. No.

19 Q. Does it nevertheless provide relevant guidance or  
20 teaching to the POSA with respect to 40 mg/mL?

21 MR. RAKOCZY: Objection, Your Honor. That's a new  
22 opinion not in the report.

23 MR. BERL: So, Your Honor, if we go to paragraph 387.

24 THE COURT: 387?

25 MR. BERL: Yes. Dr. Trout there addresses the

BERNHARDT TROUT, PhD - DIRECT

1 examples, including Example 1, that I'm taking him through.  
2 And he talks about the specification providing examples that  
3 meet this claimed property. That is the native conformation  
4 limitation. And I'm asking him how that pertains -- how the  
5 Example 1 pertains to the native conformation limitation.

6 THE COURT: Mr. Rakoczy.

7 MR. RAKOCZY: He does lay out the examples. I don't  
8 object to laying out the examples and identifying what they do  
9 and don't contain. But there's no opinion in here about  
10 somehow how 50 informs 40 or 40 informs 50, which I believe was  
11 the question.

12 THE COURT: Where is that specifically, Mr. Berl?

13 MR. BERL: Give me one moment.

14 THE COURT: Sure.

15 MR. BERL: Phone a friend.

16 If we go, Your Honor, to paragraph 395. And here he  
17 addresses more specifically the issue that I'm asking about,  
18 about whether the examples that are not within the scope of the  
19 claim because they have 50 are relevant or irrelevant to how  
20 the POSA would practice the claims. And later in the paragraph  
21 he talks in particular about Example 1, which is what I'm  
22 asking him about.

23 THE COURT: Overruled.

24 BY MR. BERL:

25 Q. Your Honor -- or Dr. Trout -- sorry.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 THE COURT: Don't ask me.

2 THE WITNESS: I think by now, Your Honor, you could  
3 probably answer all these questions.

4 MR. BERL: And more interested in the answers.

5 BY MR. BERL:

6 Q. Dr. Trout, how is this example of 50 mg/mL,  
7 Example 1, relevant to practicing the claim with 40 mg/mL?

8 A. Well, I think, given the teachings in the '865 patent  
9 and general understanding of the literature, the skilled person  
10 would understand that if this formulation with 50 mg/mL met  
11 that 98 percent or related limitations, then the similar  
12 formulation with 40 mg/mL would also meet those limitations,  
13 98 percent, 99, and the different times.

14 Q. Is that always the case, that if you have a lower  
15 concentration of the same formulation, you'll have higher  
16 stability, or is it generally the case?

17 MR. RAKOCZY: That's a new opinion, Your Honor.  
18 That's not in this paragraph or the prior one.

19 MR. BERL: Well, I don't agree, Your Honor. It says  
20 "would expect." And so I'm trying to understand what would  
21 expect means. That's the language he uses. I'm reading in  
22 paragraph 395 of his report. And so I'm trying to  
23 understand -- it's a hard-and-fast 100 percent rule or  
24 something different than that.

25 MR. RAKOCZY: And now he's leading and telling the

1 witness how to answer the question, Your Honor.

2 THE COURT: The first one's overruled. Let's  
3 rephrase the question and give it a try.

4 MR. BERL: Sure.

5 BY MR. BERL:

6 Q. Dr. Trout, can you explain your opinions with respect  
7 to the expectation from the same formulation going from  
8 50 mg/mL of aflibercept to 40 mg/mL of aflibercept?

9 A. Certainly. So, generally speaking, as you increase  
10 the concentration of a protein, you have a higher propensity  
11 towards going to nonnative conformation. So that means that,  
12 generally, if you decrease the concentration, you're going to  
13 have a lower propensity.

14 In other words, if -- generally, if you meet the --  
15 let's say, the 98 percent limitation and related ones we've  
16 been discussing at 50 mg/mL, it's most likely that you will  
17 meet that also at 40 mg/mL. It's not guaranteed, but I think  
18 in general the skilled person would expect that to be the case.

19 Q. Let's take a look at Example 3, Dr. Trout.

20 Can you explain what this example discloses?

21 A. Yes. So this example discloses 40 mg/mL VEGF Trap --  
22 again, the molecule with that specific sequence ID Number 4 --  
23 phosphate buffer, also the polysorbate 20 organic cosolvent,  
24 sucrose as a stabilizing agent, and a pH of 6.3.

25 Q. Is it your understanding that this is now called

1 Eylea?

2 A. Yes, this is the formulation of Eylea. Yes.

3 Q. And what was the level of native conformation as  
4 measured by SEC after two months in this formulation?

5 A. Well, you can see right down here in the table, two  
6 months, again at 5 degrees Celsius. Going over, you can see  
7 it's 99.2, so greater than 99 percent.

8 Q. Let's take a look at Example 5.

9 What's Example 5?

10 A. Well, Example 5 is another formulation at 40 mg/mL of  
11 aflibercept. Again, phosphate buffer. Here it's got an  
12 organic cosolvent again, polysorbate 20, the same one. In this  
13 case it's got sodium chloride, but it doesn't have a  
14 stabilizing agent like the sugars that we've been talking  
15 about.

16 Q. And what is the level of native conformation for this  
17 one, Example 5, after two months?

18 A. Well, again, the native conformation is similar at  
19 5 degrees, two months, and it's 99.2 percent, so greater than  
20 98, greater than 99.

21 Q. Does the patent express any preference for Example 3  
22 versus Example 5?

23 A. Well, yes, in the sense that Example 5 -- sorry --  
24 Example 3 has the stabilizing agent.

25 Q. But in terms of the data or disclosure of the patent,

1 does it say 3 is better than 5 or 5 is better than 3?

2 A. No. Actually, this data is very helpful in  
3 understanding what we call the robustness or how the structures  
4 in the claims should all meet that 98 or other limitations.

5 Q. Does the patent contain other examples too,  
6 Dr. Trout?

7 A. Yes, it does.

8 Q. What are Examples 4 and 6 of the patent?

9 A. Well, those are actually similar examples to 3 and 5,  
10 but they're in prefilled syringes instead of vials.

11 Q. So they're similar with respect to the formulations  
12 themselves?

13 A. Yes. That's right.

14 Q. And did they also achieve 98 percent native  
15 conformation after two months?

16 A. Yes. And, again, I think that's important for a  
17 general understanding of how these formulations work too.

18 Q. And what about Example 7 and 8?

19 A. Well, those are lyophilized formulations.

20 Q. We've discussed those already. We don't need to  
21 belabor any of that.

22 Doctor, let's -- so in view of your analysis of those  
23 factors, those *Wands* factors, in particular the guidance from  
24 the specification and working examples, does that weigh in  
25 favor or against enablement?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 A. In favor of enablement.

2 Q. Now, let's next turn to the state of the prior art.  
3 In your view, does the state -- well, did the prior art teach  
4 how to substitute one excipient in a category, such as a  
5 stabilizing agent or buffer, for another?

6 A. Yes.

7 Q. Did the prior art teach how to perform size-exclusion  
8 chromatography?

9 A. Yes.

10 Q. What about turbidity testing?

11 A. Yes.

12 Q. In your view, does this *Wands* factor, the state of  
13 the prior art view, weigh in favor of enablement or against  
14 enablement?

15 A. In favor.

16 Q. Let's finally turn to the last *Wands* factor, the  
17 quantity of experimentation. And let's discuss a couple  
18 background principles in that regard.

19 Let's take a look again at the Kaisheva reference.  
20 That's DTX 3610. Do you remember that this has been discussed  
21 by various experts in the case?

22 A. Yes.

23 Q. And if we go to paragraph 54 on page 16 of the  
24 Kaisheva reference, what is it disclosing?

25 A. Well, it talks about the formulation development



1 approach, selecting optimal solution pH, selecting buffer  
2 type, concentration of the buffer, evaluating the effect of  
3 various excipients of the liquid and lyophilized stability, and  
4 optimizing the concentration of the screened excipients using a  
5 specific one, but an experimental design approach.

6 Q. Is that like the experimental design approach that  
7 Dr. MacMichael testified about, this design of experiments?

8 A. Yes, it is. And, actually, Dr. Rabinow also included  
9 this in his slides.

10 Q. So would the POSA test every possible combination of  
11 buffers and stabilizing agents in practicing this claim?

12 A. No. The POSA would test the various combinations and  
13 variations of those combinations as the '865 patent did and via  
14 experimental design as needed.

15 Q. How would the POSA practice the claims in view of the  
16 '865 patent's disclosure?

17 A. Well, the skilled person would practice the claims  
18 given the disclosures that we've been discussing, including the  
19 examples and in Column 2.

20 Q. Now, if the POSA -- well, what excipients or what  
21 buffers and stabilizing agents would the POSA want to use if  
22 the POSA wanted to vary from those used in the examples of the  
23 '865 patent?

24 A. Well, I'd say the POSA would use excipients that have  
25 the same structures, same structural characteristics as those

1 discussed in the various categories in the patent, and would  
2 want to make sure that those are suitable for intravitreal  
3 administration by looking at the literature, for example, or,  
4 if need be, consulting an ophthalmologist.

5 Q. Doctor, did you hear Dr. MacMichael point to any  
6 examples that meet the claim limitations but would take undue  
7 experimentation to make and test, any formulations like that?

8 A. No.

9 MR. RAKOCZY: Objection, Your Honor. That's a new  
10 opinion. That's not in the report.

11 THE COURT: Mr. Berl?

12 MR. BERL: I just asked him something about  
13 Dr. MacMichael's testimony. Of course it wasn't in the report  
14 because Dr. MacMichael's testimony happened after the report.

15 THE COURT: It can still be a new opinion, correct.  
16 Is there any reference or discussion of this in the volley of  
17 reports?

18 MR. BERL: There's a lot of discussion about  
19 enablement and whether there's undue experimentation. He said  
20 that it's not undue experimentation to make and test the  
21 formulations in the claim, and I'm just asking him whether  
22 Dr. MacMichael identified anything to the contrary.

23 MR. RAKOCZY: That's trying to inject a new issue,  
24 Your Honor, this whole idea of did someone find a formulation  
25 that doesn't work. That's a new opinion, a new issue. And I

1 don't believe it's in the report.

2 MR. BERL: I'll withdraw it, Your Honor.

3 Dr. MacMichael's testimony is what it was. I don't need

4 Dr. Trout to reiterate that.

5 BY MR. BERL:

6 Q. Doctor, in your view, does practicing the full scope  
7 of the claim require routine experimentation or undue  
8 experimentation?

9 A. Routine experimentation.

10 Q. And if we go back to all of the *Wands* factors,  
11 Dr. Trout, taken together in view of all the *Wands* factors,  
12 what is your opinion about whether the asserted claims are  
13 enabled?

14 A. That the asserted claims are enabled.

15 Q. I'd like to turn to a slightly different topic,  
16 written description.

17 Did you consider as part of your written description  
18 analysis whether the specification describes structural  
19 features common to the genus?

20 A. Yes.

21 Q. And what did you conclude?

22 A. Well, that each of those limitations that we've been  
23 discussing have common structural features.

24 Q. And what are the structural limitations of the  
25 claims?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1           A.    Well, I mean, I guess the VEGF antagonist is one, but  
2 that is one very specific molecule.  Categories, then, are the  
3 organic cosolvent, the buffer, and the stabilizing agent.

4           Q.    Were these structures well known in the art?

5           A.    Yes.

6           Q.    Did you understand Mylan's experts to dispute that?

7           A.    No.

8           Q.    Do all of the formulations within the claims share  
9 those common structural features?

10          A.    Yes.

11          Q.    Can the POSA recognize the formulations that were  
12 claimed here?

13          A.    Yes.

14          Q.    And can the POSA visualize the formulations within  
15 the claims?

16          A.    Yes.

17          Q.    Can the POSA recognize from the specification that  
18 the inventors invented the claimed formulations?

19          A.    Yes.

20          Q.    And how and where in the specification would the POSA  
21 recognize that?

22          A.    Well, again, from the examples and -- I mean,  
23 throughout, but specifically the examples, and then the  
24 disclosure on Column 2 that we've been discussing.  Those are  
25 the key places.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Q. Does the '865 patent provide species or examples  
2 representative of the genus?

3 A. Yes.

4 Q. Do all of the examples have at least 98 percent  
5 native conformation as measured by SEC after two months?

6 A. Yes.

7 Q. And do all the examples meet the turbidity limitation  
8 of Claim 15?

9 A. Yes.

10 Q. And are the formulations with 50 mg/mL, those  
11 examples, are those relevant to whether the claims are  
12 described?

13 A. Yes.

14 Q. Is that for the reason you discussed a moment ago?

15 A. Exactly.

16 Q. Doctor, is the patent here claiming simply by  
17 function or is it claiming structures?

18 A. These are all structures.

19 Q. Doctor, in your view, does the '865 patent provide  
20 adequate written description for the asserted claims or not?

21 A. For all the reasons I mentioned, yes, it does.

22 Q. Now I'd like to address indefiniteness.

23 Do you remember Dr. MacMichael's testimony about  
24 indefiniteness?

25 A. Yes.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Q. Okay.

2 And let's put up the claims.

3 Do you understand him to have asserted that the  
4 claim -- the term "suitable for intravitreal administration" is  
5 indefinite?

6 A. Yes.

7 Q. Do you agree?

8 A. No.

9 Q. Would the POSA be able to interpret the phrase with  
10 reasonable certainty?

11 A. Yes.

12 Q. What does suitable for intravitreal administration  
13 refer to?

14 A. It means does it contain components as described in  
15 the claim that could be used for intravitreal administration,  
16 these structures, as we've been calling them.

17 Q. Is the term "suitable for intravitreal injection"  
18 subjective?

19 A. No.

20 Q. How would the POSA determine whether an ingredient or  
21 an excipient is suitable for intravitreal injection?

22 A. Well, first of all, the POSA would look to the  
23 teachings in the patent, again, the examples and those places  
24 in Column 2 that we've been looking at. And if a skilled  
25 person wanted to depart from those, a skilled person can go

1 into the literature and find excipients or additives with the  
2 same structure or similar structures that had been used in  
3 intravitreal administration before and, if need be, could  
4 consult an ophthalmologist.

5 Q. Did your definition of a POSA include someone who  
6 could consult with an ophthalmologist or a clinician as needed?

7 A. Yes.

8 Q. Let's take a look at Chang, which is Exhibit 1832.  
9 Did you review this reference?

10 A. Yes.

11 Q. And if we go to page 16 --

12 THE COURT: Yes, Counsel?

13 MR. RAKOCZY: Objection, Your Honor. This is outside  
14 the scope of the report. The witness's response to this  
15 indefiniteness argument is about ten lines, one paragraph, and  
16 it doesn't cite anything.

17 THE COURT: What paragraph is that, Mr. Rakoczy?

18 MR. RAKOCZY: Paragraph 420 of the responsive expert  
19 report if Your Honor has it.

20 THE COURT: I do. It's PTX 67.

21 MR. BERL: And, Your Honor, in paragraph 83  
22 Dr. Trout --

23 THE COURT: One second.

24 MR. BERL: Sorry to go back and forth.

25 THE COURT: Paragraph 83. Go ahead.

BERNHARDT TROUT, PhD - DIRECT

1 MR. BERL: This is where Dr. Trout addresses the  
2 Chang reference that I was about to ask him about.

3 THE COURT: Overruled.

4 BY MR. BERL:

5 Q. If we could look at page 16 of Chang. Can you  
6 explain what Chang is saying here?

7 A. Yes. I've just highlighted the sentence.

8 "Generally, it is preferred to select excipients that  
9 have been used in marketed products with a relevant route of  
10 delivery."

11 Q. And is that -- can you explain how that relates to  
12 the practice of the '865 patent and whether the POSA would be  
13 able to know what is suitable for intravitreal injection?

14 A. Yes. It's just a reflection of what I've been saying  
15 and what the POSA would understand.

16 Q. And have you considered the Peyman reference? That's  
17 PTX 1758.

18 A. Yes.

19 Q. Okay.

20 And if we could put that on the screen.

21 MR. BERL: This, for the record, is page 4 of the  
22 Peyman reference.

23 THE COURT: Yes, Mr. Rakoczy?

24 MR. RAKOCZY: Objection, Your Honor. Again, I don't  
25 believe this is cited in the report on indefiniteness.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



1 MR. BERL: It's cited, Your Honor, at paragraph 175.  
2 And I'm going to ask him about an excerpt that is word for word  
3 in the report, paragraph 175.

4 THE COURT: Is it tied in the report in any way to  
5 indefiniteness?

6 MR. BERL: Well, it says "a formulation suitable for  
7 the treatment," and that's what indefiniteness is about now.

8 THE COURT: Yes, Mr. Rakoczy?

9 MR. RAKOCZY: It's actually not tied to the  
10 indefiniteness section, Your Honor, which, again, is  
11 paragraph 420. That is the extent of the response on  
12 indefiniteness.

13 THE COURT: Understood. It's in there, though.  
14 Overruled.

15 BY MR. BERL:

16 Q. So if we put up page 4 of Exhibit 1758, the Peyman  
17 reference, under "Summary of the Invention," what language is  
18 it using there?

19 A. Well, it specifically says "formulation suitable for  
20 the treatment of ocular and neovascularization."

21 Q. Is that a term that persons of ordinary skill or a  
22 person of ordinary skill would understand?

23 A. Yes.

24 Q. Did you understand Dr. Rabinow to conclude that the  
25 prior art taught formulations suitable for intravitreal

1 injection?

2 A. Yes.

3 Q. Would the POSA understand the scope of the asserted  
4 claims, Dr. Trout?

5 A. Yes.

6 Q. What did you conclude as to whether the claims were  
7 indefinite?

8 A. That they are not indefinite.

9 Q. Now I'd like to shift to a new topic, the '572  
10 patent.

11 A. Okay.

12 THE COURT: Mr. Berl, why don't we take ten before we  
13 shift topics. We'll take an actual 10-minute break and try to  
14 stay on the course today.

15 Doctor, you remain off limits for conversation during  
16 your testimony, but you can go ahead and step down. And we'll  
17 take a 10-minute recess. Thank you all very much.

18 (A recess was taken from 9:46 a.m. to  
19 9:56 a.m.)

20 THE COURT: Mr. Berl, you were changing topics. Go  
21 right ahead.

22 MR. BERL: Yes. We're in the home stretch, Your  
23 Honor.

24 BY MR. BERL:

25 Q. Dr. Trout, I'd like to shift to the '572 patent and

1 the disclosure there in the claim of isotonic solution. Do you  
2 understand that the priority date has now shifted? Before, we  
3 were talking about 2006. The priority date for the '572 patent  
4 is later, right?

5 A. Yes.

6 Q. Doctor, does any prior art disclose that aflibercept  
7 was formulated as an isotonic solution?

8 A. No.

9 Q. Does Dixon, PTX 204, disclose an isotonic formulation  
10 of aflibercept to the POSA?

11 A. No.

12 Q. Did the Hecht reference, DTX 3588, teach an isotonic  
13 solution of aflibercept?

14 A. No. It doesn't even teach intravitreal  
15 administration.

16 Q. Doctor, did you review the prior art that Dr. Rabinow  
17 asserted with respect to the isotonic limitation?

18 A. Yes.

19 Q. And is that the Hecht and Dixon references?

20 A. Yes.

21 Q. In your view based on your analysis, what is your  
22 opinion about whether it was obvious to use an isotonic  
23 solution of aflibercept to treat diseases?

24 A. It was not obvious.

25 Q. Now, let's take a look at the written description

1 analysis of Dr. MacMichael with respect to the isotonic  
2 solution of the '572 patent. Do you recall Dr. MacMichael  
3 testifying that isotonic solution lacked written description?

4 A. Yes.

5 Q. Do you agree?

6 A. No.

7 Q. Let's take a look at the '572 patent. And we'll look  
8 at Column 6 here. And we've put up lines 18 to 35.

9 What does the '572 patent disclose with respect to  
10 isotonic solution?

11 A. Well, right here in Column 6 it says "isotonic  
12 solution."

13 Q. And what does it say about isotonic solutions?

14 A. Well, it says that it may be used in combination with  
15 appropriate agents and continues that.

16 MR. RAKOCZY: Objection, Your Honor. I've no  
17 objection to the first two lines, 22 to 25, but that is the  
18 extent of the opinion in citation in his report, which, again,  
19 is ten lines long. He doesn't say anything else about all this  
20 other language, solely those first two highlighted lines.

21 THE COURT: That's paragraph 420, right, Mr. Rakoczy?

22 MR. RAKOCZY: This is now paragraph 430.

23 THE COURT: I'm sorry.

24 MR. RAKOCZY: 430, entitled "Formulated as an  
25 isotonic solution." And the fourth line down, you see it's --

BERNHARDT TROUT, PhD - DIRECT

1 '572 patent at 6, lines 22 to 25, that is the only material  
2 cited in this entire report.

3 MR. BERL: With respect to breadth, I mean, the  
4 opinions that he was responding to were very brief too. And he  
5 responded in kind. And I'm simply asking him about the  
6 description here of isotonic solution. This is what everyone  
7 was talking about and addressing is whether this description of  
8 isotonic solution here is or is not sufficient. That's the  
9 entire issue here.

10 THE COURT: Yes, Mr. Rakoczy?

11 MR. RAKOCZY: Your Honor, whether that's the entire  
12 issue I guess is open to debate. The fact of the matter is the  
13 witness cited lines 22 to 25, and that is it. One line.

14 THE COURT: Understood. And you're certainly free to  
15 cross Dr. Trout extensively on that if you believe his -- the  
16 grounds for his opinions have been augmented, but I will find  
17 they have been sufficiently disclosed in particular relying  
18 upon this section of the '572 patent.

19 Objection overruled.

20 BY MR. BERL:

21 Q. Dr. Trout, I forgot exactly the question I asked; so  
22 I'll ask this one.

23 What is the '572 patent disclosing here with respect  
24 to the isotonic solution?

25 A. Well, again, it's disclosing isotonic solution

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 containing glucose and other auxiliary agents, et cetera. And  
2 then it talks about what those auxiliary agents, et cetera,  
3 are -- solubilizing agent, polyalcohols, nonionic surfactants,  
4 et cetera.

5 Q. Would the POSA understand what an isotonic solution  
6 is?

7 A. Yes.

8 Q. Is that a well-understood concept by formulators?

9 A. Yes.

10 Q. Was the patent's disclosure sufficient for the POSA  
11 to visualize or recognize what an isotonic solution is?

12 A. Yes.

13 Q. Would the POSA need more detail to understand what an  
14 isotonic solution is than what is provided in the '572 patent?

15 A. No.

16 Q. Doctor, what is your conclusion about whether the  
17 '572 patent adequately described isotonic solutions?

18 A. That it does adequately describe isotonic solutions.

19 MR. BERL: Your Honor, with that, I'm to the end of  
20 my outline. There was one question. Honestly, it's probably  
21 ten seconds, but -- I hate to do this, but they --

22 THE COURT: That's all right. We haven't gotten any  
23 steps in yet, Mr. Berl.

24 I assume Mylan would request the courtroom be sealed,  
25 given the subject area Mr. Berl indicates his next question

1 relates to.

2 MR. RAKOCZY: Yes, Your Honor.

3 THE COURT: The Court will enforce its prior  
4 protective order.

5 Ladies and gentlemen in the gallery, if you are not  
6 specifically permitted to be in the courtroom as we discuss the  
7 materials covered by the Court's protective order, I'm going to  
8 kindly ask you to step out. Thank you very much.

9 I'll also ask Court security to seal our courtroom,  
10 please.

11 (The following proceedings (2121/11 to 2122/8) were  
12 had under seal, and are filed under separate cover.)

13

14

15

16

17

18

19

20

21

22

23

24

25

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

THE COURT: Thank you, everyone. Mr. Rakoczy, I'll just remind you for flow purposes, we do have to break at 11:00, just as an FYI.

MR. RAKOCZY: Thank you, Your Honor.

THE COURT: Thank you. But if you're ready, you may proceed, sir.

MR. RAKOCZY: I am ready. William Rakoczy for Mylan and Biocon.

CROSS-EXAMINATION

BY MR. RAKOCZY:

Q. Good morning still, Dr. Trout.

A. Yes, sir. Good morning.

Q. Good to see you again.

A. You too.

Q. All right. Yesterday and today you discussed the aflibercept molecule or fusion protein quite a bit; so I just want to make one thing clear. The '865 patent is not about the discovery of the aflibercept molecule, correct?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



BERNHARDT TROUT, PhD - CROSS

1 A. Right. It's about formulations of aflibercept.

2 Q. So the inventors of the '865 patent did not discover  
3 or invent the aflibercept molecule, correct?

4 A. That's my understanding.

5 Q. Aflibercept was known and invented before the '865  
6 formulation patent, right?

7 A. Again, yes, that's my understanding. Yes.

8 Q. And you understand the aflibercept molecule is  
9 subject to other patents that were filed years before the '865  
10 formulation patent, right?

11 A. Again, yes, that's my understanding.

12 Q. Now, you discussed some of the properties of the  
13 aflibercept. I just want to be clear, and we can go through  
14 some of these.

15 For example, there's no affinity or binding data for  
16 the aflibercept molecule in the '865 patent, correct?

17 A. That's correct.

18 Q. There's no half-life data in the patent for  
19 aflibercept, correct?

20 A. In the patent. Again, some of these -- this  
21 information is in a prior art, but correct, in the patent  
22 itself.

23 Q. I'm just asking in the '865 patent itself, there's no  
24 binding data, no affinity data, no pharmacokinetics data, no  
25 half-life data, no side effect data. None of that's in the

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 patent, correct?

2 A. Explicitly in the patent, that's not there, although  
3 the 98 percent can speak to some of that.

4 Q. But, for example, there's no pharmacokinetics data in  
5 humans or animals, correct?

6 A. In the '865, that's correct.

7 Q. As a matter of fact, there's no testing or data on  
8 using aflibercept in humans or animals at all in the '865  
9 patent, correct?

10 A. Explicitly, again -- there are references in prior  
11 art, but explicitly in the '865, that's correct.

12 Q. There's no retinal penetration data in the '865  
13 patent, correct?

14 A. Again, with the same proviso, that's correct.

15 Q. And the asserted claims themselves don't have any  
16 limitations regarding efficacy, pharmacokinetics profiles,  
17 retinal penetration, binding, or half-life of aflibercept,  
18 correct?

19 A. That specific data is not there, that's correct.

20 Q. So the claims of the patent are all directed to  
21 ophthalmic formulations, I think you said, correct?

22 A. Yes.

23 Q. The asserted claims are taking the aflibercept known  
24 molecule and putting that in a formulation suitable for an  
25 intravitreal administration using known excipients and then

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 testing that for native conformation and turbidity. Is that  
2 fair?

3 A. I think that's basically fair. I would say that the  
4 native conformation and, depending on the claim, the turbidity  
5 is part of the invention. So that's part of it.

6 Q. Yeah. I'll restate it.

7 So the asserted claims are about taking the  
8 aflibercept molecule, putting it into a formulation suitable  
9 for intravitreal administration, using known excipients, I  
10 think you called it, and then the formulation must meet certain  
11 native conformation and turbidity requirements. Is that fair?

12 A. Depending on the claims, yes, I think that's fair.

13 Q. All right. I'd like to start, then, talking about  
14 some of those excipients.

15 So you would agree the excipients used in the claimed  
16 ophthalmic formulations were known in the prior art, correct?

17 A. Yes.

18 Q. And the inventors did not invent any new excipients  
19 or classes of excipients, correct?

20 A. Correct.

21 Q. So let's start with buffers. I think you mentioned  
22 buffers. Buffers were known excipients and have been used for  
23 decades to stabilize the pH of solutions, including in  
24 formulations, correct?

25 A. Yes. With other molecules, yes.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. And the skilled person would have understood that the  
2 role of a buffer in a formulation is to maintain a stable pH;  
3 is that right?

4 A. Yes. To maintain a pH at a certain desired range,  
5 yes.

6 Q. And the skilled person would have understood that  
7 buffers have suitable pH ranges at which they function,  
8 correct?

9 A. Generally, correct.

10 Q. And phosphate was a known buffer, correct?

11 A. Yes.

12 Q. And, in fact, the inventors selected phosphate as a  
13 buffer for Eylea precisely because it's a natural substance, it  
14 was one of the most common buffers, and would have a reasonable  
15 probability of being tolerated in the eye; isn't that right?

16 A. I'm sorry. You're getting that from a document? I  
17 don't think that's in the '865 patent.

18 Q. I can show you your report.

19 A. Okay.

20 Q. Let's pull up DTX 7066. It's your responsive report,  
21 paragraph 372. And here you see you're citing to Dr. Furfine.  
22 He's one of the inventors, right?

23 A. Yes.

24 Q. And you say, "Dr. Furfine explained that the  
25 inventors selected phosphate for the ultimate formulation used

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 in Eylea (and described in the '865 patent) because 'phosphate  
2 is a natural substance' and 'one of the most common buffers,'  
3 and thus 'seemed like something that would have a reasonable  
4 probability of being tolerated in the eye,' correct?

5 A. Yes. Again, that's from Furfine's deposition, not in  
6 the '865. But, yes, that's correct.

7 Q. And you agree with that, correct?

8 A. Yes.

9 Q. And the skilled person would have known all of that,  
10 correct?

11 A. Yes.

12 Q. Now, other intravitreal-administered products like  
13 Macugen have also used a phosphate buffer, correct?

14 A. I believe so.

15 Q. And prior to 2006, then, you'd agree that the skilled  
16 person would have expected that a phosphate buffer was safe to  
17 use in an ophthalmic formulation, correct?

18 A. I think generally that's correct. Again, there are  
19 other limitations.

20 Q. And they could have tested that, correct?

21 A. Tested what, Counsel?

22 Q. They could have tested whether phosphate was an  
23 appropriate buffer for an ophthalmic formulation, correct?

24 A. I think I would say it a little different. The  
25 skilled person would understand that the phosphate buffer would

1 be appropriate for an ophthalmic formulation, and then they  
2 could test whether it would be -- certain other parameters,  
3 like the 98 percent native conformation.

4 Q. And that testing would have been within the  
5 capabilities of our skilled person, correct?

6 A. Correct.

7 Q. Now, histidine, I think you said, was also a  
8 well-known buffer at the time.

9 A. Yes.

10 Q. For example, you mentioned several formulations, but  
11 I believe you said the Shams reference at DTX 726, which is  
12 already in evidence, disclosed the use of a histidine buffer in  
13 a formulation for intravitreal administration. Isn't that  
14 right?

15 A. I think so, but could we just pull that up to make  
16 sure.

17 Q. Sure. We can pull up DTX 726. Go to page 32, bottom  
18 of the page. And you see the ranibizumab injection here using  
19 a histidine buffer for intravitreal administration, correct?

20 A. Yes. Thank you.

21 Q. And I believe you mentioned that Gaudreault  
22 reference. You recall that?

23 A. Yes.

24 Q. That was DTX 2256, already in evidence.

25 And I think you mentioned that uses a succinate

1 buffer for ranibizumab formulation. Is that right?

2 A. Correct. I know it does, but do we want to pull it  
3 up just to make absolutely sure?

4 Q. You're not sure if it uses --

5 A. I am sure. I am sure.

6 Q. That's my only question, it uses succinate.

7 A. All right.

8 Q. So based on at least the Shams reference, we can  
9 agree the skilled person would have understood that other  
10 buffers could be used in an ophthalmic formulation that were  
11 suitable for intravitreal administration, such as histidine,  
12 correct?

13 A. Correct.

14 Q. Now, let's talk about stabilizing agents.

15 Stabilizing agents, like sugars and sugar alcohols,  
16 have been known for over four decades to provide thermal  
17 stability to proteins, correct?

18 A. That sounds like a correct statement.

19 Q. And trehalose, if I'm saying that correctly,  
20 trehalose is a commonly used thermal stabilizer known in the  
21 art, correct?

22 A. I think that's fair. And, yes, Counsel, I believe  
23 you're pronouncing it correctly.

24 Q. And the ranibizumab formulation from Gaudreault at  
25 DTX 2265, that used trehalose, correct?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 A. Yes.

2 Q. Now, the skilled person would have expected that  
3 10 percent trehalose, such as that used in the Gaudreault  
4 formulation, would be suitable for intravitreal administration,  
5 correct?

6 A. Yes. And, again, I should be clear. I'm  
7 interpreting all of your question as with respect to the  
8 priority date of the '865 patent. I assume that was the case.

9 Q. Yes.

10 A. Yes. So yes.

11 Q. Yes. And I'm not aware of any dispute of Gaudreault  
12 being prior art. I didn't hear you testify to that effect.

13 A. Correct.

14 Q. All right. And if the skilled person would have been  
15 capable of testing whether trehalose was an appropriate  
16 stabilizer in a protein formulation, correct?

17 A. Again, that's a very broad question. I assume you  
18 mean for intravitreal administration, or are you just asking --

19 Q. Yeah, for intravitreal administration. They could  
20 have tested whether that was an appropriate stabilizer,  
21 trehalose, correct?

22 A. I would just say that they would understand that it  
23 would be suitable and could test whether it meets other  
24 parameters, like the 98 percent.

25 Q. And that testing would have been within the

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



1 capabilities of our skilled person, correct?

2 A. Correct.

3 Q. Now, sucrose was another stabilizer known in the art,  
4 correct?

5 A. Yes.

6 Q. And prior to 2006, the skilled person would have had  
7 an expectation that sucrose was safe to use in an ophthalmic  
8 formulation, correct?

9 A. Yes.

10 Q. Now, let's talk about surfactants like polysorbate.  
11 I believe I heard you say polysorbate 20 was a known excipient  
12 in protein formulations, correct?

13 A. Correct.

14 Q. And the skilled person would have known that other  
15 intravitreal formulations in the art also used polysorbate 20,  
16 correct?

17 A. Correct.

18 Q. The Lucentis, or ranibizumab formulation, from Shams  
19 at DTX 726, that used polysorbate 20, correct?

20 A. Correct.

21 Q. And I believe the VEGF antagonist Avastin, or  
22 bevacizumab formulation, used polysorbate 20 as well, correct?

23 A. That's what I recall, yes.

24 Q. And so prior to 2006, the skilled person would have  
25 had an expectation that polysorbate was safe to use in an

BERNHARDT TROUT, PhD - CROSS

1 ophthalmic formulation, correct?

2 A. Correct.

3 Q. Now, to the extent the skilled person did not use  
4 excipients from the examples in the '865 patent, she could have  
5 turned to other excipients that would have been considered  
6 suitable for ophthalmic formulations, correct?

7 A. Yes. With related structures, yes.

8 Q. So the skilled person could have turned to excipients  
9 that were tested or contemplated in other intravitreal drug  
10 products, correct?

11 A. Yes. I think generally, yes.

12 Q. And they could have used -- they -- strike that.

13 For example, a skilled person, again, they would have  
14 known about the Lucentis intravitreal products from Shams,  
15 correct?

16 A. Yes. They would have known Shams, yes.

17 Q. And the skilled person would have known that that  
18 Lucentis intravitreal product used histidine, trehalose, and  
19 polysorbate 20, correct?

20 A. Yes.

21 Q. And the skilled person would have understood that the  
22 concentration of excipients could be decreased or increased to  
23 maintain a desired osmolarity, correct?

24 A. If one were to target that desired one, yes, it could  
25 have been adjusted.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. Now, let's talk briefly -- I think you answered some  
2 of these, but let's hit the degradation products for protein  
3 formulations and how they're measured.

4 You would agree that techniques were known long  
5 before 2006 for how to detect, measure, and elucidate the  
6 various types of degradation products in protein samples,  
7 correct?

8 A. There were multiple techniques, yes.

9 Q. And size-exclusion chromatography, or SEC -- can I  
10 call it SEC?

11 A. Yes.

12 Q. And SEC was a known and routine method to measure  
13 native conformation of a protein, correct?

14 A. Yes.

15 Q. The skilled person could have readily performed an  
16 SEC test on a protein formulation, correct?

17 A. Generally. It depends on the formulation and whether  
18 it would be applicable, but the person would know SEC.

19 Q. It wouldn't take undue experimentation for the  
20 skilled person to run an SEC test on a formulation, correct?

21 A. Correct.

22 Q. Now, the skilled person could have also used other  
23 techniques in addition to SEC for assessing protein stability  
24 as well, correct?

25 A. Yes.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. All right. Let's switch gears and talk a little bit  
2 about the specification.

3 I believe you testified that the claims are defined  
4 by common structural features. Do you recall that?

5 A. Yes.

6 Q. And I think you identified some of those common  
7 structural features in the claims and the examples.

8 Do you recall that?

9 A. Yes.

10 Q. And some of those structures were the known  
11 aflibercept, correct?

12 A. Correct.

13 Q. The known buffer, correct?

14 A. The buffers, yeah.

15 Q. I think you said the known polysorbate 20, correct?

16 A. Right.

17 Q. And I think you said known stabilizing agents,  
18 correct?

19 A. Correct.

20 Q. So I'd like to pull up a table you created in your  
21 expert report. Let's go to demonstrative DDX 9, Slide 1, and  
22 I've reproduced it here.

23 And you recognize this table, Dr. Trout, from your  
24 response report?

25 A. Yes.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. And so in this table you laid out kind of in summary  
2 fashion all the examples from the '865 patent, correct?

3 A. Yes.

4 Q. And I think in your testimony, your direct testimony,  
5 you said that these examples are all species representative of  
6 the genus. Is that fair?

7 A. I'm not -- I'm not sure if I said that.

8 Q. Let's go back to Slide 1, DDX Slide 1.

9 And could we blow that up, please, Mr. Gibson.

10 You actually say that right above the table, right?

11 "The '865 patent also describes species representative of the  
12 genus," and then you laid out those formulations in the  
13 examples, correct?

14 A. Yes. Yeah. I mean, I'm not saying that each of the  
15 examples are representative of the genus of the claims  
16 specifically. I'm saying that the tables incorporate species  
17 representative of the genus of the various components that  
18 we've been talking about, the structural components.

19 Q. Right.

20 Let's go back to DDX 9, Slide 2, where we have a  
21 bigger table. And I believe all of these examples, they all  
22 contain the aflibercept, correct?

23 A. Correct.

24 Q. They all contain polysorbate 20, correct?

25 A. Correct.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Q. They all contain phosphate, correct?

2 A. Correct.

3 Q. And then they all contain sucrose except for two of  
4 them. So most of them have sucrose, correct?

5 A. Correct. And we should say that the different  
6 components are different concentrations, yes.

7 Q. Yes. I wasn't trying to mislead you. They're  
8 different concentrations for some of these, correct?

9 A. Correct.

10 Q. All right. So -- and I believe you testified that  
11 each of these examples with these common structural features  
12 all achieve the 98 percent native conformation requirement in  
13 the claims, correct?

14 A. Yes.

15 Q. All right. Now, many of these common structural  
16 features or known components are also found in a lot of the  
17 other formulations you've discussed today and yesterday,  
18 correct?

19 A. Yes.

20 Q. Let's look at some of those. On screen we have  
21 DDX 9.

22 Slide 3, please, Mr. Gibson.

23 And what I've done is -- let's go to Slide 3. And I  
24 have added a column to the right.

25 We can go to the next one, please.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1           Here, I've added a column on the right for the Shams  
2 reference. Do you see that?

3           A. Yes.

4           Q. And so we see the Shams reference also contains a  
5 VEGF antagonist, correct?

6           A. Well, it's a different one.

7           Q. Yeah, it's a different one. It's ranibizumab,  
8 correct?

9           A. Correct.

10          Q. And to be fair, we've got 6 mg/mL and 10 mg/mL  
11 ranibizumab, correct?

12          A. Correct.

13          Q. And then Shams' formulation also has 0.01 percent  
14 polysorbate 20, correct?

15          A. Correct.

16          Q. And then we see it also has the 10-millimolar  
17 histidine, correct?

18          A. Correct.

19          Q. And then it's got that trehalose stabilizer,  
20 100 mg/mL, right?

21          A. Yes.

22          Q. All right. Let's go to DDX 9, Slide 5, and let's add  
23 to our table. You see on the right I've added a column for  
24 Gaudreault now.

25                 You recall that reference, right?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 A. Yes.

2 Q. And that's at DTX 2265. And the Gaudreault reference  
3 also contains ranibizumab, correct?

4 A. Yes.

5 Q. But that formulation had slightly different  
6 concentrations of the ranibizumab. It was 10 mg/mL and  
7 40 mg/mL, correct?

8 A. Correct. And I explained why the skilled person  
9 would be turned away from the 40.

10 Q. We're going to get there. I promise you, we're going  
11 to get there.

12 But we can all agree now in my table, the Gaudreault  
13 column, that the Gaudreault formulation had 10 and 40 mg/mL  
14 ranibizumab formulations, correct?

15 A. Correct.

16 Q. And the Gaudreault formulation also had 0.05 percent  
17 polysorbate 20, right?

18 A. Yes.

19 Q. And it also had 10-millimolar succinate that we just  
20 mentioned, correct?

21 A. Yes.

22 Q. Lastly, it had 10 percent -- I don't want to butcher  
23 it -- 10 percent trehalose.

24 A. Yes. You're doing great with that pronunciation,  
25 Counsel.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



BERNHARDT TROUT, PhD - CROSS

1 Q. All right. Let's go to DDX 9, Slide 7, and let's add  
2 another column. Here we've got Fraser's formulation.

3 Do you see that on the right?

4 A. Yes.

5 Q. Now, Fraser had 24.3 mg/mL of a VEGF antagonist  
6 called VEGF Trap R1R2, correct?

7 A. Yes.

8 Q. All right. We'll get to that more in a moment, but  
9 let's go to the next component.

10 Fraser also had 0.01 polysorbate 20, correct?

11 A. Yes.

12 Q. And Fraser also had 5-millimolar phosphate and  
13 5-millimolar citrate, right?

14 A. Yes.

15 Q. And then lastly we see that sucrose stabilizer at  
16 20 percent in Fraser, correct?

17 A. Yes. And I should have added, Counsel, you didn't  
18 mention the pHs, but those are also rightly included in your  
19 table.

20 Q. We're going to get to those.

21 A. Okay.

22 Q. All right. Let's see if we have any room to add any  
23 more. Let's check out DDX 9, Slide 9. Can we squeeze in one  
24 more?

25 All right. There we have Dix '226 and the last

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 column on the right. I think we're out of room. You see  
2 Dix '226?

3 A. Yes.

4 Q. Dix '226 at DTX 13. Now, this formulation had  
5 50 mg/mL of VEGF Trap Sequence ID Number 4, correct?

6 A. Correct.

7 Q. That's obviously the sequence for aflibercept,  
8 correct?

9 A. Correct.

10 Q. And Dix '226, the formulation also had 0.1 percent  
11 polysorbate 20, correct?

12 A. Correct.

13 Q. At 10-millimolar phosphate, correct?

14 A. Correct.

15 Q. And 20 percent sucrose, correct?

16 A. Correct.

17 Q. And then just to wind all this up, then, we've got  
18 the pHs in the bottom row. So Dix '226 had a pH of 6.25,  
19 correct?

20 A. Correct.

21 Q. Fraser was 6.0 pH?

22 A. Yes.

23 Q. And then Gaudreault was 5.0 pH?

24 A. Yes.

25 Q. And Shams was 5.5 pH, correct?

BERNHARDT TROUT, PhD - CROSS

1 A. Correct.

2 Q. All right. Now, looking at this table on DDX 9,  
3 Slide 9, can we agree that we see the common or known  
4 structural features from the examples of the '865 patent  
5 running throughout all of the Shams, Gaudreault, Fraser, and  
6 Dix formulations, correct?

7 A. Yes. That's correct.

8 Q. Shams, Gaudreault, Fraser, and Dix '226, those  
9 formulations and the species of the '865 patent all contain  
10 polysorbate 20, correct?

11 A. I'm sorry. Could you repeat the question.

12 Q. Yes.

13 The Shams, Gaudreault, Fraser, and Dix '226  
14 formulations and the species of the '865 patent examples that  
15 we see here all contain polysorbate 20, correct?

16 A. Well, that's why I paused. And sorry if I didn't say  
17 this before, but Example 2 has the PEG. So it's not  
18 polysorbate 20 --

19 Q. I'm sorry.

20 A. -- just to be clear.

21 Q. I'm sorry. I'll rephrase. And thank you for  
22 catching that.

23 A. Okay.

24 Q. So to make sure our record's clear, Shams,  
25 Gaudreault, Fraser, and Dix '226 formulations, and most of the

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 species in the examples of the '865 patent, use polysorbate 20,  
2 correct?

3 A. Yes. I think you might want to say most of the  
4 examples, but I think we understand, yes.

5 Q. Most. All except one, correct?

6 A. Yes.

7 Q. And Shams, Gaudreault, Fraser, and Dix '226  
8 formulations and the species of the '865 patent examples all  
9 contain a buffer, either phosphate, histidine, or succinate,  
10 correct?

11 A. Yes.

12 Q. And Shams, Gaudreault, Fraser, and the Dix '226  
13 formulations, and most of the species of the '865 patent  
14 examples all contain a stabilizer, either sugar or trehalose,  
15 correct?

16 A. Yes.

17 Q. Now, I want to stay on this slide and ask you, the  
18 skilled person could have easily made the formulations from  
19 Shams, Gaudreault, Fraser, and Dix '226, correct?

20 A. Counsel, you mean given the teachings of those  
21 respective references?

22 Q. Yes. They could have made these. That's within the  
23 skilled person's capabilities, correct?

24 A. Well, I think there's some issue with the Fraser,  
25 because it's unclear what the VEGF Trap is; but I think the

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 others, they could have made.

2 Q. Well, let's assume the skilled person knew what was  
3 in Fraser, what that VEGF Trap was. The skilled person, it was  
4 within their skill set or capabilities to just make those  
5 formulations, correct?

6 A. Yes.

7 Q. And the order of addition of the excipients, the VEGF  
8 antagonist, the mixing time, the concentrations, and the  
9 temperatures, those are all basic steps that would have been  
10 routine in the art, correct?

11 A. I agree.

12 Q. Now, the skilled person also, after they made those  
13 formulations from Shams, Gaudreault, Fraser, and Dix '226, they  
14 could have tested them for native conformation and turbidity,  
15 correct?

16 A. They could have tested them, yes.

17 Q. That testing would have been within our skilled  
18 person's capabilities, correct?

19 A. The actual performance of the test, yes.

20 Q. Now, for my next few questions I'm going to ask you  
21 to make an assumption. Okay?

22 A. Okay.

23 Q. All right. I want you to assume for my next few  
24 questions that the skilled person would have been motivated to  
25 make a formulation with 40 mg/mL aflibercept. I know you

BERNHARDT TROUT, PhD - CROSS

1 disagree with that, but I want you to assume the skilled person  
2 would have been motivated to do that.

3 Are you with me?

4 A. Yes.

5 Q. Now, with that assumption, the skilled person could  
6 have made the formulation from Shams, except they could have  
7 substituted in 40 mg/mL aflibercept as the VEGF antagonist,  
8 correct?

9 A. I'm sorry. Just to be clear, I'm supposed to assume  
10 that they're going to substitute 40 mg/mL aflibercept?

11 Q. Yes.

12 A. That's part of the assumption?

13 Q. Yes.

14 A. Okay. Yes.

15 Q. So you agree the skilled person could have made that  
16 formulation, correct?

17 A. Yes.

18 Q. And the skilled person could have tested that  
19 formulation for native conformation and turbidity, correct?

20 A. Yes.

21 Q. So same questions for the rest of these formulations,  
22 Gaudreault, Fraser, and Dix '226. The skilled person could  
23 have made those formulations except substituted in 40 mg/mL  
24 aflibercept, correct?

25 A. Yes.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. And they could have tested those formulations for  
2 native conformation and turbidity, correct?

3 A. They could have with some, again, proviso on what  
4 they might expect the results might be.

5 Q. But they could have -- they could have made the  
6 formulation with 40 mg/mL aflibercept from Shams, Gaudreault,  
7 Fraser, Dix, and they could have tested it for turbidity and  
8 native conformation, correct?

9 A. They could have done the test, yes.

10 Q. That's well within the capabilities of our skilled  
11 person, right?

12 A. Yes.

13 Q. In fact, I believe you testified about the  
14 Kaisheva -- and I may be butchering that as well -- Kaisheva  
15 2013 reference.

16 Do you remember that?

17 A. I do. And I cannot speak to the pronunciation. I'm  
18 sorry.

19 Q. And let's say -- I'm going to continue saying  
20 Kaisheva.

21 Kaisheva actually laid out a formulation development  
22 approach, correct?

23 A. In, I mean, very broad terms.

24 Q. So let's go back to my examples on Slide 9.

25 If the skilled person substituted in 40 mg/mL

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 aflibercept into each of those Shams, Gaudreault, Fraser, and  
2 Dix '226 formulations, they could have then optimized those  
3 formulations for stability, correct?

4 MR. BERL: Your Honor, I just want the record to be  
5 clear. Is the assumption still in play? I assume it is, but I  
6 just don't want any confusion about this.

7 THE COURT: Mr. Rakoczy, that was my impression.

8 MR. RAKOCZY: The assumption is still in play.

9 THE COURT: Doctor, you understand that, sir?

10 THE WITNESS: Yes, Your Honor. And that was my  
11 assumption too.

12 BY MR. RAKOCZY:

13 Q. Do you want me to rephrase it?

14 A. Please.

15 Q. So my question is the skilled person, having made the  
16 Shams, Gaudreault, Fraser, and Dix '226 formulations, except  
17 substituting in 40 mg/mL aflibercept with my assumption, they  
18 then could have optimized those formulations for stability,  
19 correct?

20 A. Well, there, there's somewhat of an issue because the  
21 skilled person wouldn't, a priori given your hypothetical, have  
22 an understanding of what the optimized target might be. So  
23 "optimization" is a very broad term, and the question is what  
24 the target might be.

25 Q. You are clairvoyant on my next question. Let's

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



BERNHARDT TROUT, PhD - CROSS

1 assume -- let's add to my assumption. Let's assume the target  
2 is 98 percent or better native conformation.

3 Can you assume that?

4 A. Okay.

5 Q. All right. So with that assumption, the skilled  
6 person could have made the Shams, Gaudreault, Fraser, and  
7 Dix '226 formulations using 40 mg/mL aflibercept and then  
8 optimized those formulations to meet that 98 percent native  
9 conformation requirement, correct?

10 A. Well, that's correct given the teachings of the '865  
11 patent. But before the '865 patent, the skilled person  
12 wouldn't expect what that optimal might be.

13 Q. No, I'm asking you to assume that they want to hit  
14 98 percent native conformation. Okay? That's part of my  
15 assumption. Can you assume that?

16 A. Okay. Yes.

17 Q. So my question is -- I'm sorry.

18 A. No, I did understand that.

19 Q. Assuming that that's the target the skilled person  
20 wants to hit, the skilled person could have optimized Shams,  
21 Gaudreault, Fraser, and Dix with 40 mg/mL aflibercept to reach  
22 that 98 percent native conformation, correct?

23 A. Not -- no, Counsel. Before the teachings of the '865  
24 patent, the person wouldn't have expected they could reach  
25 that. Given the teachings, in hindsight, I would say yes, they

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 would have. But they wouldn't have known that, and they could  
2 have failed.

3 Q. They could have failed. But they don't have blinders  
4 on, right? They can do screening studies for these excipients.  
5 They can vary the concentrations until they reach 98 percent  
6 native conformation, correct?

7 A. First of all, I know we made those assumptions. But  
8 I think we're adding an additional assumption of that  
9 formulation works in a certain way in which this doesn't. So I  
10 already said that you don't substitute one formulation for  
11 another. And I think there's literature for that.

12 But the other thing is now we're just talking about a  
13 formulation development; so the formulator may not reach the 98  
14 percent.

15 Q. So your testimony is, for example, we can take  
16 Dix '226 -- let's look at that formulation. Okay?

17 Your testimony is that taking 40 mg/mL VEGF Trap  
18 Sequence ID Number 4, combining that with 0.1 percent  
19 polysorbate 20, 10-millimolar phosphate, 20 percent sucrose,  
20 and 50-millimolar NaCl, your testimony is that that's not going  
21 to achieve 98 percent or better native conformation?

22 A. No, Counsel, that's not my testimony.

23 The Dix actually says that this formulation does  
24 achieve the 98 percent. And given that, I think the skilled  
25 person would think it most likely that going to 40, for all the

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 reasons I mentioned in my direct, would also achieve that with  
2 all of the things being the same.

3 Q. Okay. So they would have known about the Dix '226  
4 teachings and that that 50 mg was stable in 98 percent native  
5 conformation, correct?

6 A. They would have -- assuming the Dix is prior art,  
7 making those assumptions, they would have known that that  
8 reaches the 98 percent, the formulation on your slide, yes.

9 Q. And our skilled person is assumed to know all of this  
10 art, correct?

11 A. Well, again, there's this technical legal issue with  
12 the Dix. So if you want to make that assumption, I will --

13 Q. Well, I'm asking you. Is it your understanding that  
14 the skilled person is supposed to have a handle and a  
15 familiarity with all of the prior art or just some of the prior  
16 art?

17 A. Well, again, my understanding is the relevant prior  
18 art. But, again, that's the legal question perhaps.

19 Q. Well, let's assume Dix '226 is relevant prior art.  
20 You would agree the skilled person would know about all of  
21 these formulations, correct -- Shams, Gaudreault, Fraser,  
22 Dix '226, right?

23 A. With that assumption, yes.

24 Q. And if the skilled person is looking at Dix, 50 mg/mL  
25 aflibercept, and they see that that is stable and meets

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 98 percent native conformation, that's going to assist the  
2 skilled person in making a formulation with 40 mg that meets  
3 the 98 percent native conformation, correct?

4 A. Yes. I think I keep saying I agree the skilled  
5 person would think it most likely.

6 Q. All right. Let's move on to aflibercept. Obviously,  
7 you've testified quite a bit about the molecule.

8 Can we agree aflibercept is glycosylated, correct?

9 A. Yes.

10 Q. And you mentioned the Holash publication at DTX 3549,  
11 I believe, yesterday.

12 Do you recall that?

13 A. Yes.

14 Q. You're familiar with Holash, correct?

15 A. Yes.

16 Q. Now, Holash -- strike that.

17 Aflibercept is described in Holash as VEGF Trap R1R2,  
18 correct?

19 A. I don't think that's an accurate statement.

20 Q. All right. Let's see what Regeneron told the Patent  
21 Office.

22 Let's pull up DTX 3501, and I want to look at page 1  
23 first.

24 You see this is an application for extension of  
25 patent term. Do you see that?

BERNHARDT TROUT, PhD - CROSS

1 A. Let me look on my screen. Yes, I see that it says  
2 that.

3 Q. And it's for U.S. Patent Number 7,070,959. Do you  
4 see that?

5 A. Yes.

6 Q. You're familiar with the '959 patent, correct?

7 A. I think so. I've reviewed a lot of documents,  
8 Counsel.

9 Q. Let's go -- we'll come back to that.

10 Let's go to -- sorry, Dr. Trout. Let me start over.

11 A. Certainly.

12 Q. Let's go to page 5 of this same exhibit, DTX 3501.

13 All right. And you see there in the second  
14 paragraph, starting around the fifth line, Regeneron said,  
15 "Aflibercept is a dimeric glycoprotein with a protein molecular  
16 weight of 97 kilodaltons (kDa) and is glycosylated, with the  
17 glycosylation constituting an additional 15 percent of the  
18 total molecular mass, resulting in a total molecular weight of  
19 115 kilodaltons."

20 Do you see that?

21 A. Yes.

22 Q. And you agree with it. That's aflibercept, correct?

23 A. Yes. That sounds right.

24 Q. Now, let's look at the very next paragraph starting  
25 on the fifth line. Here Regeneron told the Patent Office,

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 "Aflibercept is also described in Holash et al., Proc. Natl.  
2 Acad. Sci. USA, August 20th, 2002, Volume 99, number 17,  
3 pages 11393 through 11398 ('Holash,' Attachment G) as VEGF Trap  
4 R1R2."

5 You see that?

6 A. Yes.

7 Q. So Regeneron told the Patent Office that aflibercept  
8 is VEGF Trap R1R2 from Holash, correct?

9 A. Yeah, again, the VEGF Trap R1R2 in Holash is a  
10 skeletal disclosure in a general -- or a category, I should  
11 say. But I guess that's what they're telling the Patent Office  
12 now.

13 Q. They're not telling the Patent Office it's a category  
14 or a catchall or a genus. They said aflibercept is VEGF Trap  
15 R1R2, right?

16 A. Right. But I believe this is after the priority  
17 date.

18 Q. This is Regeneron's representation of Holash,  
19 correct?

20 A. Yes.

21 Q. Do you have any reason to dispute Regeneron wasn't  
22 being honest with the Patent Office when they said aflibercept  
23 is described in Holash as VEGF Trap R1R2?

24 A. No.

25 Q. So whenever we have VEGF Trap R1R2 in the prior art,

1 that's aflibercept, correct?

2 A. Not when you look at the prior art itself as of the  
3 priority date of the '865 patent.

4 Q. If VEGF Trap R1R2 is aflibercept, it's always going  
5 to be aflibercept, in the prior art or otherwise, correct?

6 A. But we don't -- I mean, yes after the fact, but we  
7 don't know that as of the priority date.

8 Q. Well, after the fact or not, VEGF Trap R1R2 is not  
9 going to sometimes be aflibercept and sometimes not be  
10 aflibercept, right?

11 A. It may be, Counsel. Right here, I guess Regeneron is  
12 describing Holash.

13 Q. So Holash describes VEGF Trap R1R2. We can agree on  
14 that, correct?

15 A. Yes.

16 Q. And Regeneron told the Patent Office that's  
17 aflibercept, right?

18 A. Yes again, but you don't get that from Holash.

19 Q. We don't get that from Holash. So Regeneron was  
20 misrepresenting what Holash says in their own article to the  
21 Patent Office?

22 A. No. I don't think that's correct.

23 Q. So we can agree, according to Regeneron, that  
24 aflibercept is VEGF Trap R1R2 in Holash, correct?

25 A. As they're telling the Patent Office after the

1 priority date, yes.

2 Q. And your testimony is that VEGF Trap R1R2, despite  
3 Regeneron's representations, could mean something else in the  
4 prior art?

5 A. I don't think that's quite accurate. My testimony,  
6 for the reasons that I went through earlier this morning, is  
7 that VEGF Trap R1R2 as disclosed in Holash could be different  
8 molecules, could be a category.

9 Q. Could mean lots of things, right?

10 A. Well, I think if one traces it back to Papadopoulos,  
11 there are two options.

12 Q. Two options, but not according to Regeneron.  
13 Regeneron, one option, it's aflibercept, it's glycosylated,  
14 correct?

15 A. Yes. I think we talked about that earlier, but yes.

16 Q. Now, you haven't offered any opinions on the '959  
17 patent that's the subject of this patent term extension  
18 application, have you?

19 A. I would have to go back. My report's pretty long,  
20 but it wasn't the main patents that I opined upon.

21 Q. Well, I didn't hear you talk about it yesterday or  
22 today, correct?

23 A. Correct.

24 Q. So you don't know whether the '959 patent discloses  
25 the aflibercept molecule itself and all of its properties. Do



1 you know that?

2 A. I'm not sure.

3 Q. All right. Let's move on to some of the testimony  
4 you gave on teaching away. Do you recall that?

5 A. Yes.

6 Q. So let's talk about the size of aflibercept. If I  
7 understood you correctly, you testified the skilled person  
8 might be taught away from using aflibercept because of its  
9 large size; is that right?

10 A. Yes.

11 Q. Now, to be clear, the '865 patent doesn't contain any  
12 data or testing on the size of aflibercept, correct?

13 A. Again, it doesn't explicitly include that; there are  
14 references to the prior art.

15 Q. The '865 patent, you think there are references to  
16 the prior art in the '865 patent that talk about the size of  
17 aflibercept?

18 A. Well, I'd have to check exactly the references in the  
19 '865, but there are references, as I discussed, in the prior  
20 art.

21 Q. The '865 patent does not teach that only VEGF  
22 antagonists of a certain size can penetrate the retina,  
23 correct?

24 A. It doesn't explicitly speak about those issues,  
25 correct.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. It doesn't have any retinal penetration data in it,  
2 correct?

3 A. Not explicitly, correct.

4 Q. It doesn't teach that aflibercept is better than any  
5 other VEGF antagonist in terms of getting into the eye,  
6 correct?

7 A. It doesn't talk about those issues explicitly,  
8 correct.

9 Q. The '865 patent doesn't teach the skilled person that  
10 somehow big molecules won't get into the eye, correct?

11 A. Not explicitly.

12 Q. All right. So there was a bigger molecule in the art  
13 that got into the -- penetrated the retina, correct?

14 A. Well, I'm not sure that that was the understanding as  
15 of the priority date.

16 Q. All right.

17 Let's pull up DDX 2, Slide 1.

18 Here we have aflibercept, 115 kilodaltons, right?

19 A. Yes.

20 Q. All right. Let's pull up the big molecule,  
21 bevacizumab. You remember this one. You testified about that  
22 yesterday, correct?

23 A. Yes.

24 Q. This one's bigger in terms of molecular weight,  
25 right?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 A. Yes.

2 Q. So bevacizumab, or Avastin, is 149 kilodaltons,  
3 correct?

4 A. Yes. And, again, I agree that these weights are  
5 guides. It's the volume or the radius that's what's most  
6 important. But yes.

7 Q. It looks big. Looks big, doesn't it?

8 A. Yeah. It's about the same size as aflibercept.  
9 Agreed.

10 Q. Well, in terms of molecular weight, it's actually  
11 over 20 percent larger than aflibercept, correct?

12 A. Yes. Again, I think I said this yesterday. The  
13 glycosylation groups in aflibercept make its volume probably a  
14 bit bigger.

15 Q. Help me with my math. It's -- bevacizumab is  
16 actually almost -- is it almost 30 percent larger than  
17 aflibercept in terms of molecular weight?

18 A. Almost. And just so you understand, I'm trying to  
19 make the point that this is a good guide. It's the volume  
20 that's important. This is -- it's related, but it's not  
21 exactly the same.

22 But yes, I grant that these are both large molecules,  
23 about the same size.

24 Q. And you're well aware that by 2006 multiple  
25 publications had discussed the effective administration of

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 bevacizumab injected intravitreally into human patients,  
2 correct?

3 A. Well, I think I testified about that. There was some  
4 debate. And I think the consensus before 2006 was that it's  
5 unclear that there would be penetration as such.

6 Q. Well, let's see what actual workers in the field were  
7 saying.

8 Let's pull up DTX 3058, already in evidence.

9 And I think you're aware or you've seen this, right,  
10 the Rosenfeld and colleagues publication?

11 A. I think so.

12 Q. Dated July-August 2005 entitled "Optimal Coherence  
13 Tomography Findings After an Intravitreal Injection."

14 Do you see that?

15 A. Yes.

16 Q. All right. Let's go to page 1 and look at the  
17 abstract.

18 And you see there it states that "An intravitreal  
19 injection of bevacizumab 1.0 mg was given. Within one week,  
20 optical coherence tomography revealed resolution of the  
21 subretinal fluid, resulting in a normal-appearing macular  
22 contour. The improved macular appearance was maintained for at  
23 least four weeks, and visual acuity remained stable."

24 Do you see that?

25 A. Yes, Counsel. Which exhibit is this in my binder?

BERNHARDT TROUT, PhD - CROSS

1 Q. This is DTX 3058, already in evidence, I believe.

2 Now, you would agree that actual workers in the  
3 field, Rosenfeld -- or Dr. Rosenfeld and his colleagues, they  
4 clearly weren't dissuaded or taught away from using a big  
5 molecule like bevacizumab, correct?

6 Is that correct, Dr. Trout?

7 A. Well, I think that's correct that they decided to  
8 test it. It doesn't mean that the skilled person would do  
9 that. This is a research study. And they say on page 333,  
10 "This is the first report of injection of bevacizumab into a  
11 human eye." So this is part of a research investigation.

12 Q. But this is an actual worker in the field, an actual  
13 researcher in the prior art, who was not dissuaded from using  
14 bevacizumab because of its large size, correct?

15 A. Researcher was testing it, yes.

16 Q. And Dr. Rosenfeld and colleagues, they would have  
17 been aware of the same prior art that you testified about,  
18 correct?

19 Can we assume Dr. Rosenfeld and colleagues would have  
20 been aware of all that art back in the day, but yet they were  
21 not discouraged from using a big molecule like bevacizumab,  
22 correct?

23 A. Well, I'm not sure if they'd be familiar with all of  
24 the art that I mentioned, just certainly familiar with some art  
25 and, again, performed the research study.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. So he was not discouraged to use bevacizumab by  
2 intravitreal injection, correct?

3 A. He was not discouraged to test it, to investigate it  
4 as a research project.

5 Q. Let's take a look at another one. Let's pull up  
6 DTX 9036 and look at page 1 first. And you see this is  
7 *Ophthalmology*.

8 Do you see that?

9 A. One second, please.

10 Q. It's on screen as well.

11 A. Yes.

12 Q. You see it's *Ophthalmology*, Volume 113, Number 3,  
13 from 2006, correct?

14 A. Yes.

15 Q. Now, let's go to page 3 and look at the exact date on  
16 this.

17 And you see at the top we have a received stamp?

18 A. Yes.

19 Q. You see it says, "Received March 3rd, 2006, Library  
20 of Health Sciences, University of Illinois, College of  
21 Medicine, Peoria," correct?

22 A. Yes.

23 Q. You don't have any reason to doubt that date, do you?

24 A. Not sitting here.

25 Q. I think yesterday you said you weren't sure or maybe

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 your counsel said wasn't sure when the Avery publication came  
2 out.

3 Do you recall that?

4 A. Yes. Which exact date it came out, yes.

5 Q. Well, we've got an exact date now, right? March 3rd,  
6 2006?

7 A. If that stamp is correct, yes.

8 Q. And that's before the date that you used as an  
9 earlier priority date, correct? That's before March 21st,  
10 2006, correct?

11 THE COURT: One second, Doctor.

12 Yes, Mr. Berl.

13 MR. BERL: Yes, Your Honor. They've never asserted  
14 this before. This is a new document with a new date stamp,  
15 never asserted it as prior art before. We haven't had an  
16 opportunity to assess this or its veracity or anything else.  
17 Doesn't seem like the time to be asserting the new prior art.

18 MR. RAKOCZY: It's the exact same -- oh, I'm sorry.

19 THE COURT: I understand.

20 Can we unzoom, if that's a word.

21 MR. RAKOCZY: This is the same Avery document, Your  
22 Honor. We just got one with the date on it because yesterday  
23 for the first time I hear counsel saying, "I don't know when  
24 this came out in March." So I thought I'd give him a date.

25 THE COURT: How about a proffer of where this copy

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 with that stamp came from.

2 MR. RAKOCZY: This proffer -- could we blow it back  
3 up, Judge?

4 THE COURT: Sure, yes, yes. Now that -- I just lost  
5 track of which reference material this was. I'm sorry.

6 MR. RAKOCZY: This came, I believe, from the  
7 University of Illinois, College of Medicine in Peoria.

8 THE COURT: Anything further on this one, Mr. Berl?

9 MR. BERL: It's not -- it did not just come up  
10 yesterday. They asked us to stipulate, as part of the pretrial  
11 order, that this was prior art. We said no. We said no. And  
12 all they provided was a different copy of Avery without a date  
13 stamp. They have no declaration from the librarian. We've had  
14 no opportunity to connect the veracity of this Bates stamp or  
15 conduct any discovery to assess when it was received or whether  
16 this is, in fact, prior art. It doesn't look that way. Last  
17 day of trial, they all of a sudden say here's the date of the  
18 publication. We've been doing this for nine months.

19 MR. RAKOCZY: Your Honor, nobody even disputed  
20 Avery's prior art. This is the first time hearing it. They  
21 never gave a date of Avery.

22 THE COURT: I'm going to overrule the objection and  
23 receive it for what it's worth, which is a -- with all due  
24 respect to the University of Illinois, College of Media in  
25 Peoria -- a random stamp of some kind that says March 3rd,

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



BERNHARDT TROUT, PhD - CROSS

1 2006. It'll be received for what it's worth and afforded  
2 weight accordingly.

3 Objection overruled.

4 MR. RAKOCZY: Thank you, Judge.

5 BY MR. RAKOCZY:

6 Q. Let's flip to page 5 of DTX 9036, and this is the  
7 Avery article.

8 You recognize this, correct, Dr. Trout? You  
9 testified about this yesterday?

10 A. Yes, it looks to be the article.

11 Q. This is Dr. Avery and colleagues, their publication  
12 entitled "Intravitreal Bevacizumab (Avastin) for Neovascular  
13 Age-Related Macular Degeneration," correct?

14 A. Yes, that's the title.

15 Q. And let's look at the methods section if we could.

16 And you see here in the methods that Dr. Avery and  
17 colleagues said that patients received intravitreal bevacizumab  
18 1.25 milligrams on a monthly basis, correct?

19 A. Yes.

20 Q. Let's jump to their conclusions if we could.

21 Here we see in "Conclusions" Dr. Avery and colleagues  
22 reported that "Short-term results suggest that intravitreal  
23 bevacizumab, 1.25 milligrams, is well-tolerated and associated  
24 with improvement in VA, decreased retinal thickness by OCT, and  
25 reduction in angiographic leakage in most patients," correct?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Is that the correct conclusion, Dr. Trout?

2 A. I'm trying to -- I see. Yeah, but it continues.

3 Q. Yes, it continues, but that's the conclusion,  
4 correct?

5 A. That's part of the conclusion, yes.

6 Q. Now, my question is Dr. Avery and colleagues, they  
7 were not dissuaded or discouraged from using bevacizumab  
8 intravitreally, correct?

9 A. Well, again, they are attempting to do -- or they are  
10 doing a research study, and what you left out was the last  
11 sentence of the conclusion: "Further evaluation of  
12 intravitreal bevacizumab for the treatment of choroidal  
13 neovascularization is warranted."

14 So this is just an invitation to do an experiment.  
15 And if you remember from other parts of the paper, it talks  
16 about potential issues with using bevacizumab. And, really,  
17 the conclusions and the discussion throughout is tentative at  
18 best.

19 Q. Now, Dr. Trout, these are actual experts working in  
20 the field at the time, correct?

21 A. Yes. I have no reason to doubt that. Yes.

22 Q. Now, today you're saying the skilled person would be  
23 discouraged or taught away from using a big molecule, but  
24 people actually working in the field at the time were not  
25 discouraged. They used bevacizumab in the eye, despite its

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 size, correct?

2 A. I mean, they -- I think they could have been  
3 discouraged. They're doing research studies. That's often  
4 what I do in my lab, is I do things that the art teaches away  
5 from to see if I can advance the field.

6 And again, the text, partly what I read but also on  
7 page 364, talks about the potential of thromboembolic events,  
8 and then the discussion on page 370 talks -- basically  
9 indicates the tentative results, the tentative nature of the  
10 results here.

11 Q. But that's your view after the fact. Back in the  
12 day, these researchers actually used bevacizumab in the eye  
13 despite its large size?

14 A. It's not my view after the fact. I agree they did  
15 this research study, but it's also Dr. Ferrara's conclusion, as  
16 I quoted this morning and yesterday, I guess.

17 Q. And these researchers went on to recommend full-blown  
18 clinical trials, correct?

19 A. Well, it says further evaluation is warranted and  
20 could be a hope, maybe, for a clinical trial.

21 Q. And because of the groundbreaking work of Dr. Avery  
22 and colleagues and Dr. Rosenfeld and colleagues, bevacizumab,  
23 even to this day, is one of the most prescribed VEGF  
24 antagonists, correct?

25 A. I don't have that data in terms of prescription

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 percentages.

2 Q. You don't know that? You didn't hear that from the  
3 clinicians at trial?

4 A. I heard that it's used, but I'm not sure what's the  
5 most prescribed.

6 MR. RAKOCZY: All right. Your Honor, I'm about ready  
7 to switch topics. Would you like to break, or should we --

8 THE COURT: Yeah, let's go ahead and do that. It's  
9 the last day of volleyball camp. Sometimes that breaks a  
10 little early. I'm under strict orders to not be late. I'll  
11 leave it at that. Those orders came.

12 Doctor, one last quiet lunch for you. You're  
13 welcome. Counsel is under orders to feed you, but they also  
14 can't talk to you, as you well know by this point, sir, but you  
15 can go ahead and step down.

16 THE WITNESS: Thank you, Your Honor.

17 THE COURT: Thank you.

18 We'll take our lunch break at this point. We'll pick  
19 up at 12:00 with the doctor's cross-examination.

20 Thank you all very much.

21 (A recess was taken from 11:00 a.m. to  
22 12:05 p.m.)

23 THE COURT: Thank you, Doctor.

24 Mr. Rakoczy, if you're ready to proceed, you may.

25 MR. RAKOCZY: Good afternoon, Your Honor.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 BY MR. RAKOCZY:

2 Q. Good afternoon, Dr. Trout.

3 A. Good afternoon, Mr. Rakoczy.

4 Q. Ready to go?

5 A. Yes, sir.

6 Q. I want to return real quick to the big-molecule  
7 issue. A couple questions I forgot to ask.

8 The '865 patent does not teach that the claim  
9 formulations were the first to ever get a VEGF antagonist to  
10 penetrate the retina, correct?

11 A. I'm sorry. The first what?

12 Q. Let me back up.

13 The patent does not teach that the claim formulations  
14 were the first formulations to get a VEGF antagonist to  
15 penetrate the retina, right?

16 A. I think those words as such are not explicitly in the  
17 patent.

18 Q. And, again, there's nothing in the patent about  
19 penetrating the retina, correct?

20 A. I believe that term's not explicitly in the patent,  
21 correct.

22 Q. And the patent doesn't say that it somehow resolved  
23 skepticism in the art about getting a big molecule into the  
24 retina, correct?

25 A. Again, those words are not there. I agree.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. So I want to make sure we're clear here, then, for  
2 the record.

3 So you're relying on a teaching away that somehow the  
4 skilled person would have been taught away from using a big  
5 molecule to get into the retina and that teaching or that  
6 skepticism is not addressed or resolved in the '865 patent,  
7 correct?

8 A. The skepticism is resolved because of the  
9 formulations of the '865 patent. The skepticism, though, I  
10 agree is based on reviewing the relevant prior art.

11 Q. But you just told me that the formulations in the  
12 '865 patent were not the first formulations to get a VEGF  
13 antagonist into the retina, right?

14 A. I don't think that's what I said. I think what I  
15 said was, in response to your question, the '865 patent doesn't  
16 explicitly use those words. And I certainly discussed  
17 throughout today and yesterday the relevant art related to  
18 that.

19 Q. But the patent itself does not address this  
20 penetration into the retina at all, correct?

21 A. It doesn't use those specific words.

22 Q. All right. Now, another teaching away you mentioned  
23 was inflammation caused by 40 mg/mL.

24 Do you remember that?

25 A. That was part of it. There was other parts too.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. You cited the Gaudreault reference for that, I  
2 believe?

3 A. Correct. There was that and the issue of maximum  
4 inhibition.

5 Q. Now, the Gaudreault study, that was the monkey study  
6 that showed inflammation with the 40 mg/mL concentration,  
7 right?

8 A. Moderate to severe, yes.

9 Q. Now, you are not an ophthalmologist, I think we  
10 established, correct?

11 A. That is correct.

12 Q. You're not an expert in ophthalmology or in treating  
13 diseases of the eye, correct?

14 A. Correct.

15 Q. Now -- so to be fair, you have no basis to say  
16 whether moderate to severe inflammation in a monkey that  
17 resolved itself by day eight would have discouraged a clinician  
18 from using 40 mg/mL of aflibercept, correct?

19 A. Correct. I can't speak to what a clinician would say  
20 per se; but as a formulator, I look at that. And that would  
21 bring serious doubts as to the applicability of the 40 mg/mL  
22 formulation. And, remember, as you pointed, this is for seven  
23 days at least out of a month.

24 Q. But you're not the one that's going to be using this  
25 to treat an eye disease, correct?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 A. Correct.

2 Q. Now, there's no data in the '865 patent about  
3 inflammation, correct?

4 A. There's no data about inflammation, that's correct.

5 Q. There's no data or testing in the '865 patent showing  
6 that the claim formulations somehow result in less inflammation  
7 than the prior art, correct?

8 A. There's not inflammation data. There's the SEC data  
9 that we've been talking about, which is a good indication of --  
10 for a formulator again of having a lower risk of inflammation.

11 Q. So your testimony is that SEC data is inflammation  
12 data?

13 A. No, Counsel, that's not what I said. I can --

14 Q. So my question is there's no data in this patent  
15 showing that these claim formulations somehow result in less  
16 inflammation than prior art formulations, correct?

17 A. There's no -- you're correct there's no direct  
18 inflammation data. Again, from the standpoint of the skilled  
19 person, the SEC data, I should say all the stability data in  
20 the patent, would tell me that -- would indicate to the skilled  
21 person that there's a relatively lower risk of inflammation.

22 Q. I'm asking about inflammation data. You testified  
23 about inflammation data in Gaudreault. There's no such data  
24 like that in the patent, correct?

25 A. There's no direct inflammation data in the patent;



1 there's other data.

2 Q. So, again, you're relying on a teaching away of  
3 supposed inflammation that the '865 patent itself does not  
4 address, correct?

5 A. The '865 doesn't explicitly discuss inflammation, I  
6 agree.

7 By the way, it's not just Gaudreault that I'm relying  
8 on.

9 Q. I understand. I understand.

10 And in Gaudreault, they actually concluded that  
11 ranibizumab was well tolerated, correct?

12 A. Yes. And indicated to use the 10 milligrams per  
13 mole.

14 Q. But they said it was well tolerated. That was their  
15 first conclusion, correct?

16 A. They do use those terms. And I apologize, Counsel, I  
17 gave the wrong units. 10 mg/mL. Sorry about that.

18 Q. We can pull up Gaudreault. Let's just confirm. It's  
19 DTX 2265. On page 2 we have the clinical findings.

20 The first finding from Gaudreault is that ranibizumab  
21 was well tolerated, correct?

22 A. That's what's listed here. And then it goes and  
23 discusses the relative differences in inflammation.

24 Q. And it says transient. That's how it characterizes  
25 the inflammation. You left that out. It says transient,

1 correct?

2 A. Yes. It wasn't an intention to leave it out. It  
3 lasted two to eight -- from day two to eight, so at least seven  
4 days out of a month.

5 Q. Okay.

6 Let's go to page 3, please, Mr. Gibson.

7 This is what you're referring to, right? The  
8 inflammation was present at day two, but it completely resolved  
9 by day eight, correct?

10 A. Correct.

11 Q. And you haven't heard any clinician in this trial  
12 testify that that would dissuade the use of 40 mg/mL, correct?

13 I haven't heard anyone.

14 A. I wasn't here for all of the clinicians' testimony;  
15 but from what I heard, I didn't hear that specifically. I'm  
16 telling you how a formulator would view this.

17 Q. But you didn't hear any testimony from a clinician,  
18 right?

19 A. Again, I wasn't here the whole time. I did hear  
20 testimony about having to be careful in injections, about how  
21 the injections are done, and general concerns about issues with  
22 injections, medical issues.

23 Q. Now, this is a POSA teaching away you testified  
24 about. That didn't prevent others in the art like Dr. Dan Dix  
25 from filing patents on even higher concentrations of

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 aflibercept, correct?

2 A. Correct. Again, that's how invention works is to go  
3 against the prevailing art.

4 Q. Okay.

5 Let's put DTX 13 at page 7 on screen.

6 And this is the Dix '226 we've been talking about,  
7 correct?

8 A. I presume so. I didn't see the cover, but this looks  
9 like the example.

10 Q. You recognize Example 1, Dr. Dix and his team, they  
11 used a 50 mg/mL formulation here, correct?

12 A. 50 mg/mL, correct.

13 Q. So assuming this is prior art -- and I know you and  
14 your counsel dispute that. But assuming this is prior art, Dix  
15 and colleagues clearly weren't dissuaded or discouraged from  
16 high concentrations of aflibercept, correct?

17 A. Well, I think -- I'm sorry. I don't want to get  
18 confused here.

19 You're talking about after Dix or before Dix?

20 Q. This is Dix '226.

21 A. Yes. I understand.

22 Q. And Example 1, which is VEGF Trap Sequence ID  
23 Number 4, which is aflibercept. And they used 50 mg/mL,  
24 correct?

25 A. Yes.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. Higher than 40?

2 A. That's right.

3 Q. So they weren't dissuaded from or taught away from  
4 using high concentrations of aflibercept, correct?

5 A. I don't know about the inventive process. Again,  
6 that's how inventions work is going against the prevailing  
7 views.

8 Q. Just like Rosenfeld and Avery and colleagues used a  
9 big molecule to get into the retina, Dix and his colleagues  
10 used high concentration, contrary to what you said the teaching  
11 away was, correct?

12 A. I think that the use of the 50 mg/mL of VEGF Trap is  
13 contrary to teaching away in the prior art, again, depending on  
14 the date we're talking about.

15 Q. But if this is prior art, then it's teaching 50 mg,  
16 higher than 40, correct?

17 A. Well, if it's prior art, it's teaching 50. And we  
18 talked about what the significance of that for 40 is, and we  
19 talked about the fact that this is not intravitreal. And you  
20 can look at the sucrose concentration, which is very high.

21 Q. My question is high concentration, Dix used 50 mg  
22 aflibercept, correct?

23 A. Correct.

24 Q. All right. Let's talk about the skepticism you  
25 mentioned. And I believe you cited a Ferrara paper.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Do you recall that?

2 A. Yes.

3 Q. Okay.

4 Let's pull up PTX 1838 at page 7. And I believe this  
5 is the Ferrara 2004 paper. I saw it cited several times during  
6 your direct testimony, this exact same paragraph.

7 Do you recall that?

8 A. Yes.

9 Q. And there's something I wanted to ask you about. So  
10 in the highlighted part, that's what you cited during your  
11 direct, at least three times to my recollection.

12 You recall testifying about that, right, this immune  
13 response sentence?

14 A. Yes.

15 Q. So Ferrara doesn't actually cite any data or support  
16 for that sentence, does he?

17 A. No. This is what would have generally been  
18 understood in the art.

19 Q. So he cites no data; he just says it.

20 A. Well, I agree there's no citation after the last  
21 sentence, because this is what would be generally understood in  
22 the art.

23 Q. And he says it's just possible, right?

24 A. Correct.

25 Q. So he must not have had any data that it had actually

1 happened, right?

2 A. It's unlikely that he had data. I agree with that.

3 Q. Now, if we look at the preceding sentence right above  
4 that, you see Ferrara says that these fusion proteins  
5 "represent an advantage over antibodies because they can result  
6 in higher binding affinity."

7 Do you see that?

8 A. Yes.

9 Q. So he's actually promoting the use of the fusion  
10 protein here, saying it could be better, right?

11 A. Right. But, therefore, at lower concentrations,  
12 correct.

13 Q. He's saying this makes the fusion protein a better  
14 route, correct?

15 A. Yes, because it could be formulated at lower  
16 concentrations than, for example, ranibizumab.

17 Q. Now, regardless of this teaching away that you  
18 mentioned in Ferrara, we can agree again there's no binding or  
19 affinity or immunogenicity data in the '865 patent, correct?

20 A. Not explicitly, correct.

21 Q. There's nothing in the '865 patent teaching that  
22 somehow those formulations are safer or result in less  
23 immunogenicity than other formulations, correct?

24 A. No, Counsel, that's not correct. As I said, the  
25 formulator -- I'm speaking from the standpoint of a formulator,

BERNHARDT TROUT, PhD - CROSS

1 which I am. The high stability, particularly with respect to  
2 SEC and the other data, would give the formulator the idea that  
3 there was relatively lower risk.

4 Q. I'm asking immunogenicity data, this immune response  
5 issue. Where is that data in the '865 patent?

6 A. I think I agreed several times, Counsel, there's no  
7 direct immune data explicitly in the '865, correct.

8 Q. All right. Let's move back to your table. I love  
9 that table, DDX 9, Slide 2.

10 Remember this table?

11 A. Yes.

12 Q. All right. Hopefully I can make quick work of this.

13 I'd like to just go back and talk a little bit about  
14 what these examples use and don't use. Is that all right?

15 A. Of course.

16 Q. Now, just so the record's clear, all of the examples  
17 in the patent use a phosphate buffer, correct?

18 A. Yes.

19 Q. No other buffers are even mentioned in the  
20 specification, correct?

21 A. Explicitly, correct. There's the structural  
22 category.

23 Q. But histidine, for example, is not mentioned in the  
24 patent, correct?

25 A. Not explicitly, correct.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. Succinate is not mentioned in the patent?

2 A. Not explicitly, correct.

3 Q. And there's no stability data in the patent for a  
4 histidine or succinate-buffered composition, correct?

5 A. Not explicitly, correct.

6 Q. The only stabilizer -- sugar stabilizer used in the  
7 patent examples is sucrose, correct?

8 A. That's the only sugar in the examples. But in  
9 Column 2, there are other sugars explicitly mentioned.

10 Q. Yeah. They mention sorbitol, glycerol, trehalose,  
11 and mannitol, correct? Does that sound right?

12 A. Yes. And sucrose, I guess.

13 Q. But there's no data or examples on any of those  
14 stabilizers except sucrose, correct?

15 A. Correct. Again, the skilled person would understand,  
16 because of the common structure, that they should work in a  
17 similar way.

18 Q. So the skilled person would know that they would all  
19 work and expect them to work?

20 A. I think the skilled person would expect them to work.

21 Q. Okay. And they would expect them all to be suitable  
22 for intravitreal administration, correct?

23 A. I think that's correct, but I would want to be sure.  
24 I would want to go back to the literature for intravitreal  
25 injection just to make sure.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



BERNHARDT TROUT, PhD - CROSS

1 Q. And all those stabilizers you told me, they were all  
2 known in the art, correct? Those aren't new?

3 A. Correct.

4 Q. All right. Let's stay on screen here. And real  
5 quick, Examples 1 and 2 are 50 mg/mL, correct?

6 A. Yes.

7 Q. So those are not covered by the asserted claims; is  
8 that right40?

9 A. Correct.

10 Q. And Example 7 and 8 are 20 mg/mL; is that right?

11 A. Yes.

12 Q. So those would not be covered by the asserted claims  
13 either?

14 A. Well, again, the 20 mg/mL is the pre-lyo solution.  
15 That isn't the formulation. The formulation is the 40 mg/mL.  
16 But I agree, because they're reconstituted lyo solution, they  
17 would not be covered by the asserted claims.

18 Q. And Examples 4 and 6 are prefilled syringes, correct?

19 A. Yes, that's correct.

20 Q. So those wouldn't be covered by the asserted claims  
21 either?

22 A. That's correct.

23 Q. And Examples 5 and 6 don't contain a sugar  
24 stabilizer, correct?

25 A. Correct.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. So those would not be covered by the asserted claims;  
2 is that right?

3 A. Correct.

4 Q. Now, Examples 7 and 8, those actually don't contain  
5 any data showing percent native conformation following storage  
6 of a liquid formulation at 5 degrees C for two months, correct?

7 A. Yes, that's correct. It was the lyo formulation.  
8 That's correct.

9 Q. Now, let's talk quickly about the breadth of the  
10 claims. You testified -- I believe the word you used was that  
11 the asserted claims are narrow.

12 Do you remember that?

13 A. Yes, sir.

14 Q. So -- but you didn't attempt to estimate or calculate  
15 how many formulations are actually covered by the asserted  
16 claims, correct?

17 A. That's correct.

18 Q. I apologize. I meant to ask you before. Going a  
19 little out of order here.

20 A. That's okay.

21 Q. I asked you before, you're not an expert in  
22 ophthalmology or treating eye diseases. You told me you  
23 weren't, correct?

24 A. I am not an ophthalmologist, that's correct.

25 Q. And you're not an expert in the retina or the anatomy

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 of the eye, correct?

2 A. That's correct.

3 Q. And you are not an expert in ocular pharmacokinetics;  
4 is that correct?

5 A. I think that's correct except from the standpoint of  
6 formulation, we often deal with pharmacokinetics. I can read  
7 the literature on that.

8 Q. You wouldn't consider yourself an actual expert in  
9 it, correct?

10 A. I would consider myself an expert in ocular  
11 pharmacokinetics related to formulation.

12 Q. Okay. Let's move to a different topic.

13 MR. RAKOCZY: May I have one moment, Your Honor?

14 THE COURT: You may.

15 BY MR. RAKOCZY:

16 Q. I want to ask you about the Rudge reference.

17 Do you recall that?

18 A. Yes.

19 Q. And I want to pull up your expert report, DTX 7066,  
20 at page 184. This is your materials considered where you cite  
21 the Rudge paper.

22 Do you see that?

23 A. Yes.

24 Q. And you --

25 If we could blow that up, Mr. Gibson.

BERNHARDT TROUT, PhD - CROSS

1           And you have a 2005 publication on Rudge here on your  
2 report, correct?

3           A.    Well, that's what's written, but again -- I think we  
4 talked about this earlier in the trial -- that doesn't seem to  
5 be the date in which it was published.

6           Q.    That's the date you wrote, though, correct?

7           A.    Well, that's the date I reproduced from the cover;  
8 but again, there are references which postdate that; so...

9           Q.    So you didn't go and check that? I guess I'm asking.  
10 I didn't see you dispute the publication date of Rudge in your  
11 report anywhere.

12          A.    Well, I think I did. I can -- remind me which --

13          Q.    I can represent to you there's no dispute about Rudge  
14 in your report. And I'm just asking, did you list a 2005 date  
15 on Rudge?

16          A.    Well, I listed a date from the cover, again, noting  
17 that there are publications from 2006 that are referenced so  
18 the Rudge has to be -- has to postdate 2005.

19          Q.    Let's confirm that. Let's pull up PTX 3209 at  
20 page 1.

21          A.    I'm sorry, Counsel. Could you give me the --

22          Q.    I'm sorry. PTX 3209.

23          A.    Oh, so is that in your notebook or --

24          Q.    That's in the big binder in front of you. Volume 2.  
25 Small binder.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 A. The small binder. The other --

2 Q. Yeah. This is the CV of one of the Rudge coauthors.  
3 Do you see that? George Yancopoulos.

4 A. Yes.

5 Q. Let's go to page 22 of the Yancopoulos CV, one of  
6 the coauthors of Rudge, and you see he also lists a  
7 publication date of 2005 for Rudge, correct? It's on screen.  
8 Publication 285.

9 A. Again, first of all, I should say I have not seen  
10 Dr. Yancopoulos's CV before; so I haven't seen this document  
11 before. But again, I think as we discussed earlier in the  
12 week, the 2005 is what's listed on the cover, but there were  
13 references from 2006.

14 Q. Well, he authored it, right?

15 A. He was one of the authors, I believe.

16 Q. And he listed 2005 on his CV, correct?

17 A. As the date that is on the first page of the -- of  
18 the article, I should say, publication.

19 Q. All right. Let's switch gears again, and I want to  
20 talk about this Dix '226 issue of whether it's prior art or  
21 not.

22 A. Okay.

23 Q. I believe you were told by Regeneron's counsel that  
24 Dix '226 can't be used as prior art because it's assigned to  
25 Regeneron.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Do you recall that?

2 A. I do recall that. I don't know if those are the  
3 exact words, but that sounds like the general point.

4 Q. Let's pull up DTX 4956, please, page 1.

5 This is a Regeneron 10-K for the fiscal year ended  
6 December 31st, 2004.

7 A. I'm sorry, Counsel. I think this is in the other  
8 binder.

9 Q. That may be in the big binder in front of you.

10 MR. BERL: While we're finding that, Your Honor, if I  
11 may interpose an objection. This is not disclosed as prior  
12 art. I'm not sure what purpose counsel is using it for, but  
13 Mylan was required by statute to give us notice of what prior  
14 art they would be relying on a month before the trial. They  
15 violated that. It's under 35 U.S.C. 282. They sent us, for  
16 the '865 patent alone, 35 pages listing prior art, 15 or 20 per  
17 page, hundreds. This is not on it. And so --

18 MR. RAKOCZY: I'm not citing it as prior art, Your  
19 Honor. I merely want to explore with the witness whether he  
20 looked at some of the agreements referenced in here relative to  
21 the 103(c)(1) issue that Regeneron has briefed and argued  
22 repeatedly.

23 THE COURT: Understood. Overruled. I understand in  
24 the context of -- what's the date on this 10-K again,  
25 Mr. Rakoczy? I'm sorry.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 MR. RAKOCZY: This is a Regeneron 10-K dated  
2 December 31, 2004.

3 THE COURT: The information highlighted on the big  
4 screen. Yes. Thank you. Thank you, sir. Sorry.

5 MR. RAKOCZY: Thank you, Your Honor.

6 BY MR. RAKOCZY:

7 Q. I'd like to go to page 4, fourth full paragraph. And  
8 here, you see -- I want to lay a little foundation, Dr. Trout,  
9 and then just ask if you looked at a few things.

10 It says here, "In September 2003 we entered into a  
11 collaboration agreement with Aventis Pharmaceuticals (now part  
12 of the Sanofi-Aventis group) to joint development and  
13 commercialize the VEGF Trap throughout the world."

14 Do you see that?

15 A. Yes.

16 Q. Did you look at that collaboration agreement?

17 A. No, not that I recall.

18 Q. So you didn't review that collaboration agreement in  
19 any way, shape, or form to see what the intellectual property  
20 rights and licenses were that were part of that collaboration?

21 A. As far as I recall, I didn't, and from the standpoint  
22 of the POSA, I don't even think -- I've never even seen a  
23 document like this before, except I think you may have shown  
24 something earlier this week. But I've never seen anything like  
25 this in my years as a scientist.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - CROSS

1 Q. That's okay. My only question is you haven't  
2 reviewed that, correct?

3 A. I do not think I've reviewed that, no.

4 Q. Let's look at page 4, same page, third sentence. You  
5 see here it says, "In January 2005 we at Sanofi-Aventis amended  
6 our collaboration agreement to exclude rights to develop and  
7 commercialize the VEGF Trap for eye diseases through locally --  
8 local delivery systems. We now have the exclusive right to  
9 develop and commercialize the VEGF Trap for eye diseases  
10 through local administration to the eye."

11 Do you see that?

12 A. I'm sorry, Counsel. I didn't want to interrupt you,  
13 but I think you went too fast. I didn't see -- could you point  
14 me again to where you're reading.

15 Q. Yes. So it's page 4, halfway down.

16 A. DTX page 4.

17 Q. VEGF Trap-Eye diseases, third line down.

18 A. The bold VEGF Trap-Eye disease.

19 Q. Yes. And third line down, it references in January  
20 2005 that Regeneron and Sanofi-Aventis amended their  
21 collaboration agreement.

22 Do you see that?

23 A. Yes. Thank you. Now I see it.

24 Q. And it says that Regeneron now has the exclusive  
25 right to develop and commercialize the VEGF Trap for eye

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



BERNHARDT TROUT, PhD - CROSS

1 diseases. Do you see that?

2 A. Yes.

3 Q. Did you review the amendment to the collaboration  
4 agreement?

5 A. Not as far as I recall.

6 Q. Did you review any licenses or any other documents  
7 regarding intellectual property or patent rights in connection  
8 with the collaboration agreement or the amendment to the  
9 collaboration agreement with Sanofi?

10 A. Again, not as far as I recall, no.

11 Q. All right. I'd like to pull up -- sorry. I  
12 apologize, Dr. Trout. One more question on this.

13 A. Of course.

14 Q. Could we please pull up DTX 4986 at page 1. And I  
15 think I know the answer to this. I just want to confirm.

16 This is the second amendment to the collaboration  
17 agreement I just referenced. My question is, again, you have  
18 not reviewed this second amendment to the collaboration  
19 agreement, correct?

20 A. Okay. Just to be clear, this is another document.

21 Q. Yes. This is DTX 4986.

22 A. Have not reviewed this, no.

23 Q. I'd like to go back to the patent now.

24 If we could, Mr. Gibson, please go back to the '865  
25 patent, at the top of Column 2, which is PTX 4 -- sorry --

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 PTX 2 at page 4.

2 And it's on screen as well, Dr. Trout.

3 A. Okay.

4 Q. And you see here at the top of Column 2 it says,  
5 "Ophthalmic formulations are known." Do you see that?

6 A. Yes.

7 Q. You agree with that, correct?

8 A. Yes. As a general statement, yes.

9 Q. And it goes on to say, "An ophthalmic formulation of  
10 a VEGF antibody is described in U.S. Patent Number 6,676,941."

11 Do you see that?

12 A. Yes.

13 Q. I'd like to show you the '941 patent here referenced  
14 in the '865 patent.

15 MR. RAKOCZY: Your Honor, may I approach? It's a  
16 loose exhibit.

17 THE COURT: You may.

18 MR. RAKOCZY: It's DTX 8171 for the record, a very  
19 large --

20 BY MR. RAKOCZY:

21 Q. So for the record, I have just handed you, Dr. Trout,  
22 DTX 8171, and you see it is U.S. Patent 6,676,941, which is the  
23 same patent referenced in the specification of the '865 patent  
24 that I just referenced, correct?

25 A. Yes.

BERNHARDT TROUT, PhD - CROSS

1 MR. BERL: For the record, Your Honor, this likewise  
2 was not disclosed in the 35 pages of prior art references. I  
3 don't know if he's using this as prior art or not, but he  
4 shouldn't be able to.

5 MR. RAKOCZY: Your Honor, it's admitted prior art in  
6 the actual patent specification, which is why I laid the  
7 foundation for it.

8 THE COURT: Overruled.

9 BY MR. RAKOCZY:

10 Q. I only want to ask you about a quick part of this.  
11 Let's go to page 58 of the patent, please, Dr. Trout, and I'll  
12 put it on screen as well.

13 And in the left-hand column, starting at line 9,  
14 should be Column 100.

15 THE COURT: Column 100, line 9, Counsel?

16 MR. RAKOCZY: Yes.

17 Mr. Gibson, could you please pull up Column 100.  
18 There it is. Starting at line 8.

19 BY MR. RAKOCZY:

20 Q. You see, Dr. Trout, this '941 patent, which is  
21 identified in the '865 patent, discloses that "The ophthalmic  
22 preparation will preferably be in the form of a sterile aqueous  
23 exclusion containing, if desired, additional ingredients, for  
24 example, preservatives, buffers, tonicity agents, antioxidants  
25 and stabilizers, nonionic wetting or clarifying agents,

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 viscosity increasing agents, and the like."

2 Do you see that?

3 A. Yes, I see that.

4 Q. And these would have all been known excipients or  
5 structures, in your words, correct?

6 A. Counsel?

7 Q. Yes.

8 A. I think broadly speaking, but I have not, that I  
9 recall, reviewed this entire document. This is a general  
10 disclosure and however many hundreds of columns. So I would  
11 want to go and make sure that my general understanding of these  
12 terms is also the way it's being used in this document.

13 Q. My question is simple. You don't see any new classes  
14 of excipients here, correct?

15 A. Again, just in high level, these don't seem new.  
16 Again, I don't know what the authors are disclosing throughout  
17 this document, but this seems to be just a very general,  
18 high-level discussion.

19 Q. All right. Just a couple more questions, Dr. Trout.

20 Did I hear you correctly during your direct  
21 examination that you said ranibizumab is the closest prior art?  
22 Is that your view?

23 A. Well, ranibizumab and the formulations. So  
24 ranibizumab would be the closest prior art to aflibercept in  
25 the formulations that we discussed, for example, Gaudreault and

1 Shams.

2 Q. So you didn't pick an aflibercept reference as your  
3 closest prior art, correct?

4 A. Correct.

5 Q. Last question. You mentioned copying. And I just  
6 want the record to be clear.

7 MR. RAKOCZY: And I don't think we need to close the  
8 courtroom for this, Your Honor.

9 BY MR. RAKOCZY:

10 Q. But you agree -- and I think we established this  
11 earlier -- that the Mylan and Biocon formulation, Yesafili, it  
12 doesn't copy Eylea, correct? It uses a different buffer,  
13 different stabilizer. As a matter of fact, it's patterned  
14 after the Lucentis formulation, correct?

15 A. No. I think it's -- I mean, again, I don't want to  
16 get into any specifics, but it copies for the reasons I  
17 mentioned.

18 Q. But Eylea uses a phosphate buffer, correct?

19 A. Yes.

20 Q. Yesafili does not use a phosphate buffer, correct?

21 A. Correct. It has common structure.

22 Q. Eylea uses a sucrose stabilizer, correct?

23 A. Correct.

24 Q. The Mylan/Biocon Yesafili product does not use a  
25 sucrose stabilizer, correct?

BERNHARDT TROUT, PhD - REDIRECT

1 A. No. One with a common structure, though.

2 MR. RAKOCZY: Subject to the redirect, Your Honor  
3 pass the witness.

4 THE COURT: Thank you, Counsel.

5 Mr. Berl.

6 MR. BERL: Thank you, Your Honor.

7 REDIRECT EXAMINATION

8 BY MR. BERL:

9 Q. Good afternoon, Dr. Trout.

10 A. Good afternoon, Mr. Berl.

11 Q. I just want to go through a few items about which  
12 Mr. Rakoczy examined you.

13 Do you recall that he asked you various questions  
14 about intravitreal administration of bevacizumab?

15 A. I do, yes.

16 Q. And he first showed you the Rosenfeld article; is  
17 that right?

18 A. Yes, I think so.

19 Q. And is that a -- was that article about  
20 administration in one patient?

21 A. Yes. It specifically said one patient, yes.

22 Q. Okay. And then he showed you the Avery reference.  
23 Do you recall that?

24 A. I do.

25 Q. And he asked you various questions about whether it

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 was disputed that it was prior art.

2 Do you remember that?

3 A. Yes.

4 Q. Let's take a look at your expert report, if we could,  
5 Dr. Trout. That's PTX 67. And we'll try to put that on the  
6 screen on the first page.

7 What --

8 A. Counsel, just one minute here.

9 Q. Sure. It's PTX 67. It should be in the binder that  
10 we used for your direct examination. We'll also try our best  
11 to put the excerpts I'm going to ask you about on the screen.

12 A. Okay. Thank you.

13 Q. And my first question is what was the date of the  
14 expert report?

15 A. Oh, March 2nd, 2023.

16 Q. More than three months ago, right?

17 A. Yes.

18 Q. And did you hear counsel for Mylan say that yesterday  
19 was the first he heard about the idea that the Avery reference  
20 may not be prior art?

21 A. I think he said that, yes.

22 Q. Let's take a look at paragraph 170 of your report.

23 And, Dr. Trout, can you read the second sentence that  
24 was in your report on March 2nd, 2023.

25 A. Yes.

1 "Dr. Rabinow fails to establish that Avery was  
2 published before the invention of the '865 patent" -- which was  
3 in parenthesis -- "no later than March 21st, 2006" -- in  
4 parenthesis -- "or the filing of the provisional patent on  
5 June 16th, 2006." And then it refers to a Section Roman  
6 numeral X.

7 MR. RAKOCZY: Your Honor, I'm going to lodge an  
8 objection. That's precisely why I showed the witness the  
9 document with an exact date on it prior to that.

10 THE COURT: Understood. The Court denied the motions  
11 for summary judgment in this case because of the factual  
12 issues. I'll add this one to that list as well.

13 Understood. Objection overruled. And I'll sort it  
14 out later, obviously, with the benefit of significant briefing  
15 from counsel, of course.

16 MR. BERL: Of course. No question.

17 BY MR. BERL:

18 Q. Doctor, he then asked you about Avery.

19 Do you recall that? That's DTX 2264.

20 A. I do.

21 Q. And let's pull that up, if we could.

22 A. Let me just also get it, Counsel. 2264, right?

23 Q. Yes. And let's first put up on the screen a slide of  
24 Avery. That's 2264 6.1.

25 Is this a part of the discussion in Avery from



1 page 6?

2 A. Yes.

3 Q. And what is it communicating, Dr. Trout?

4 A. Well, it's an acknowledgement by the authors  
5 themselves of the shortcomings of this study. I was trying to  
6 answer some of this when Mr. Rakoczy was asking me. The  
7 retrospective design, limited number of patients, nonstandard  
8 visions, limited follow-up. And then a couple lines down it  
9 says, "However, the visual results of this study are difficult  
10 to interpret."

11 Q. Is that consistent with how the person of skill would  
12 have viewed Avery, difficult to interpret?

13 A. Yes.

14 Q. And Mr. Rakoczy was asking now about what people back  
15 in the day who were actually practicing in this field were  
16 saying and thinking.

17 Do you recall that?

18 A. Yes.

19 Q. And did you look at what Dr. Ferrara said about  
20 Avery?

21 A. I did, yes.

22 Q. Was Dr. Ferrara practicing in the field at the time?

23 A. Yes.

24 Q. So let's take a look at Dr. Ferrara's article. We'll  
25 do the other Ferrara article. That's 701.

BERNHARDT TROUT, PhD - REDIRECT

1 Is this Dr. Ferrara's 2006 article, Doctor?

2 A. I'm sorry.

3 MR. BERL: Why don't we go back, Mr. Schliesske, to  
4 the cover. And we're showing, for the record, PTX 706.

5 BY MR. BERL:

6 Q. And my question is is this Dr. Ferrara's article from  
7 2006?

8 A. Yes. Thank you.

9 Q. Yes. And so now let's go to page 8 of Dr. Ferrara's  
10 article.

11 Does Dr. Ferrara cite and discuss Avery?

12 A. Yes, explicitly. 114.

13 Q. And is Dr. Ferrara saying that Avery has somehow  
14 changed the conventional wisdom you testified about with  
15 respect to larger molecules penetrating into the retina upon  
16 intravitreal injection?

17 A. No. The opposite. Dr. Ferrara says, "Although  
18 intriguing, these early findings are difficult to compare with  
19 data from rigorous double-masked Control 3 trials." And it  
20 talks about various of those. I mentioned this before.

21 "In addition, it is noteworthy that initial  
22 uncontrolled Phase I or II studies with pegaptanib or  
23 verteporfin photodynamic therapy suggested a considerably  
24 greater benefit in AMD patients than that eventually  
25 demonstrated in randomized Phase III studies, further

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 emphasizing the difficulty of interpreting early clinical  
2 results."

3 And then -- in other words, the results are tentative  
4 at best.

5 Q. If we go back to page 4 of Dr. Ferrara's article.  
6 That is PTX 701. What does Dr. Ferrara say and ultimately  
7 conclude with respect to VEGF Trap-Eye?

8 A. Well, highlighted here, "Interestingly, these studies  
9 show that systemic administration of the VEGF Trap inhibits  
10 neovascularization by 75 percent; however, intravitreal  
11 administration of the same agent resulted in approximately 25  
12 percent inhibition." It says, "The limited efficacy...may be  
13 due, at least in part, to the existence of a barrier to the  
14 transretinal penetration of large molecules, such as VEGF  
15 Trap."

16 Q. And is this consistent with what the POSA would have  
17 thought at the priority date in view of all the prior art?

18 A. Yes.

19 Q. Now, the bevacizumab injections that you were asked  
20 about, do those address any of the formulation concerns you  
21 raised with respect to formulating 40 mg/mL of aflibercept?

22 A. No, they do not.

23 Q. Do they address any of the concerns you raised  
24 relating to toxicity or immune reactions to 40 mg/mL of  
25 aflibercept injected intravitreally?

BERNHARDT TROUT, PhD - REDIRECT

1 A. Not at all.

2 Q. Now, let's take a look at the Dix patent, which is  
3 DTX 13. And if we could try to pull up Column 7 and 8, which  
4 Mr. Rakoczy asked you about, Example 1.

5 Do you recall questions about Example 1 in Dix?

6 A. Yes.

7 Q. Let's take a moment to pull that up.

8 If we could do the bottom of Column 7.

9 Do you see now shown on the screen is the bottom of  
10 Column 7 and the top of Column 8 of DTX 13, Dix?

11 A. Yes.

12 Q. And it was pointed out to you that this has 50 mg/mL  
13 of aflibercept?

14 A. That's correct.

15 Q. Is Dix teaching 50 mg/mL of aflibercept for  
16 intravitreal injection?

17 A. No.

18 Q. Does Dix thereby somehow address the concerns about  
19 high concentrations of intravitreal injections that you  
20 discussed from Gaudreault?

21 A. No, it doesn't.

22 Q. Did you hear Dr. Graham's testimony earlier this week  
23 about the dilution of the Dix formulation before  
24 administration?

25 A. Yes.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - REDIRECT

1 MR. RAKOCZY: Objection, Your Honor. I didn't ask  
2 about Dr. Graham. Dr. Graham's been on and off the stand. I  
3 didn't ask a single question about his testimony.

4 MR. BERL: I was just asking if he heard it, if he  
5 was in the courtroom for it.

6 THE COURT: Sustained. It's redirect. I heard it.

7 MR. BERL: That's all that matters.

8 THE COURT: Thank you, Mr. Berl.

9 BY MR. BERL:

10 Q. Doctor, does Dix Example 1 or otherwise inform the  
11 person of ordinary skill regarding the moderate to severe  
12 inflammation that Gaudreault teaches upon administration of  
13 40 mg/mL of ranibizumab?

14 A. Not at all.

15 Q. Now, finally, I want to go back to one of  
16 Mr. Rakoczy's slide. It was his Demonstrative 9.9. Do you  
17 recall him asking you various questions about this table?

18 A. I do.

19 Q. Do you recall that he asked you to make various  
20 assumptions regarding what the person of ordinary skill would  
21 have been motivated to do and what the person of ordinary skill  
22 would have known before the '865 patent?

23 A. Yes, I definitely do.

24 Q. Do you believe any of those assumptions are correct?

25 A. No.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - REDIRECT

1 Q. Now, this chart, which combines Shams and Gaudreault  
2 and Fraser and Dix, have you seen any assertion in this case  
3 that those references would be combined?

4 A. No.

5 Q. Do you see that, in any of the reports from  
6 Dr. Rabinow, that he would combine all of these references as  
7 Mr. Rakoczy did in his slide?

8 A. No.

9 Q. Have you even heard, throughout the trial that you've  
10 observed, that the person of ordinary skill would have combined  
11 all of these references shown in Mr. Rakoczy's slide?

12 A. No.

13 Q. Do you believe that the person of ordinary skill --  
14 well, I'll leave that one.

15 Have you heard, Doctor, with respect to Dix, any  
16 evidence or testimony that the Dix patent and the '865 patent  
17 are not commonly assigned to Regeneron?

18 A. No.

19 Q. Now, even combining all of these references -- and,  
20 again, we dispute that the last one is prior art at all or can  
21 be used for obviousness. But even if combining these  
22 references somehow, Dr. Trout, do they provide any basis to use  
23 40 mg/mL of aflibercept?

24 A. No, not at all. And as I've said several times, the  
25 Shams and Gaudreault specifically teach away from that.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - REDIRECT

1 Q. Do they provide any basis even to use 40 mg/mL of  
2 ranibizumab?

3 A. No. They teach away from that. And the Shams, in  
4 fact, goes forward with 6 and 10 mg/mL.

5 Q. Do they provide any basis to use 40 mg/mL of  
6 aflibercept intravitreally?

7 A. No, not at all.

8 Q. Do these references, even if combined as Mr. Rakoczy  
9 did, provide any data or information about 98 percent native  
10 conformation by size-exclusion chromatography even for  
11 ranibizumab?

12 A. No.

13 Q. Are there any data in these references for 98 percent  
14 native conformation of ranibizumab from Shams or Gaudreault,  
15 for example?

16 A. There's none in those articles.

17 Q. And what about turbidity? Any basis in Shams or  
18 Gaudreault for 98 percent -- sorry -- for meeting the turbidity  
19 limitation of Claim 15 of the '865 patent even for ranibizumab?

20 A. Nothing.

21 Q. Do you have any basis to believe that Lucentis --  
22 sorry -- that Genentech continued to pursue 40 mg/mL even for  
23 ranibizumab after the data from Gaudreault?

24 A. I see no evidence.

25 Q. Even if all of these references were combined as

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

BERNHARDT TROUT, PhD - REDIRECT

1 Mr. Rakoczy did in his Demonstrative 9.9, do you see any  
2 disclosure of a pH range of 6.2 to 6.3 for Shams, Gaudreault,  
3 or Fraser as Claim 9 requires?

4 A. No.

5 MR. BERL: No more questions. Thank you very much,  
6 Dr. Trout.

7 THE WITNESS: Thank you.

8 THE COURT: Recross?

9 MR. RAKOCZY: May I have a quick moment, Your Honor?

10 THE COURT: You may.

11 MR. RAKOCZY: Your Honor, before we let the good  
12 doctor go, I'd like to move in a few exhibits if I could.

13 THE COURT: Understood.

14 Assuming that doesn't pose a problem for Madam Clerk,  
15 we can start with the defendants' exhibits. Go right ahead  
16 slowly, then, Mr. Rakoczy.

17 MR. RAKOCZY: Defendants move into evidence DTX 3501,  
18 DTX 4956, DTX 4986, DTX 9036, DTX 8171, and PTX 1838.

19 THE COURT: Any objection to any of those?

20 MR. BERL: Yes, Your Honor, I believe two of them.  
21 The first of them was DTX 4986.

22 THE COURT: Okay. And just -- what is 4986?

23 MR. BERL: That was, I think, the amendment to an  
24 agreement between Sanofi or Aventis and Regeneron. Dr. Trout  
25 said he never saw that before. There was no basis laid or

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



1 foundation for any of that with any witness, including  
2 Dr. Trout.

3 THE COURT: Understood.

4 MR. RAKOCZY: Your Honor, that goes right to the  
5 103(c)(1) theory. In fact, the witness who's disputing that  
6 it's prior art has not looked at the various intellectual  
7 property collaboration agreements is, in our view, admissible  
8 evidence because it goes directly to the fact that they can't  
9 show that Dix is a prior art. So we believe it's relevant and  
10 should be admissible. As a matter of fact, it was their burden  
11 to bring that out, not ours.

12 THE COURT: Mr. Berl?

13 MR. BERL: If they wanted to dispute that with  
14 agreements or otherwise, that's what their experts are for.  
15 They should have brought that up and addressed it then.  
16 Instead, they're trying to put it in through a witness who's  
17 never seen it before, and they didn't lay a foundation for it.

18 THE COURT: I'm going to overrule the objection and  
19 receive it to the limited extent it's information that  
20 Dr. Trout could have reviewed but did not. But I'll overrule  
21 the objection.

22 MR. RAKOCZY: I didn't hear the second one.

23 THE COURT: I think we're about to get there.

24 MR. BERL: I believe it's 9036, which was the  
25 Avery -- the new version of purportedly Avery with the date

BERNHARDT TROUT, PhD - REDIRECT

1 stamp.

2 THE COURT: The Court's already ruled on that. For  
3 the same reasons, I'll overrule that objection, understanding  
4 it's worth what it's worth.

5 MR. BERL: And, Your Honor, with your permission,  
6 we'd like to move in -- offer various exhibits used with  
7 Dr. Trout.

8 THE COURT: Let me just make sure we've closed the  
9 loop.

10 That was the entire list of exhibits for Mylan; is  
11 that correct, Mr. Rakoczy?

12 MR. RAKOCZY: That's correct, Your Honor, with this  
13 witness.

14 THE COURT: Understood.

15 MR. BERL: I'll now read ours slowly into the record.

16 THE COURT: One second.

17 Sir, if you need to confer, go ahead. The list  
18 Mr. Rakoczy identified are deemed admitted subject to the  
19 Court's rulings on the objection to two of them.

20 (DTX 3501, DTX 4956, DTX 4986, DTX 9036, DTX 8171,  
21 and PTX 1838 were admitted.)

22 THE COURT: With that, Mr. Berl, you may proceed  
23 slowly.

24 MR. BERL: PTX 1826, PTX 1835, PTX 1773, PTX 576,  
25 PTX 1842, PTX 701, PTX 1757, PTX 1838, PTX 1556, PTX 1155,

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 PTX 1832, PTX 1758, PTX 753, PTX 53, and PTX 55.

2 MR. RAKOCZY: Your Honor, we may need a moment to go  
3 through that list.

4 While we're checking that list, Your Honor, I do  
5 have -- I promised you to follow up on the Dr. Graham exhibits.  
6 Would you like me to wait until the witness is out of the box?

7 THE COURT: Yeah. Let's do that.

8 Are there some for Dr. Stewart as well?

9 MR. BERL: I don't know if that has been tidied up  
10 yet.

11 MR. GREGORY: I think that was me, Your Honor. We  
12 had no more exhibits to move in through Dr. Stewart.

13 THE COURT: All right. Understood.

14 Don't try to save trees now, Mr. Rakoczy.

15 MR. RAKOCZY: I'm not. I want the whole thing in,  
16 Your Honor.

17 All right, then. Actually, I take back what I just  
18 said. We will not object to any of those.

19 THE COURT: All right. Without objection, then, the  
20 list Mr. Berl identified are hereby deemed admitted.

21 (PTX 1826, PTX 1835, PTX 1773, PTX 576, PTX 1842,  
22 PTX 701, PTX 1757, PTX 1838, PTX 1556, PTX 1155, PTX 1832,  
23 PTX 1758, PTX 753, PTX 53, and PTX 55 were admitted.)

24 THE COURT: Anything further we need the good  
25 Dr. Trout up here for?

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 MR. BERL: Not from Regeneron.

2 MR. RAKOCZY: Nothing from Mylan and Biocon.

3 THE COURT: Sir, you may step down. Thank you so  
4 very much. Have a safe trip home.

5 THE WITNESS: Thank you.

6 MR. RAKOCZY: Your Honor, I apologize. I don't mean  
7 to make this difficult. So for Dr. Graham, do you recall I  
8 objected to the majority of those exhibits as nondisclosed, and  
9 Your Honor accepted them and indicated we should fight about  
10 that in posttrial briefing?

11 THE COURT: Yes.

12 MR. RAKOCZY: I would like to provisionally move to  
13 admit several exhibits conditioned on Your Honor's ruling,  
14 meaning if you end up not accepting those exhibits, then I  
15 wouldn't want these admitted either, if that makes sense.

16 THE COURT: It does make sense.

17 Any position on that, Mr. Berl?

18 MR. BERL: I don't know what they are.

19 THE COURT: There is that. There is that. In its  
20 conceptual framework, it sounds like an eminently reasonable  
21 proposal, Mr. Rakoczy. But let's put some guts to the framing,  
22 if you will.

23 MR. RAKOCZY: So we would move to provisionally admit  
24 from Dr. Graham PTX 2034, PTX 3312, PTX 3313, PTX 3314, and  
25 PTX 3266.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1           And I believe they're all studies -- stability study  
2 documents from -- or covering those.

3           MR. BERL: Honestly, I don't have a clue what those  
4 are. This is the first time --

5           THE COURT: It's a contingent question. What I would  
6 anticipate doing, in all candor, is we receive those exhibits  
7 proffered by Regeneron. If the Court does rely upon any of  
8 those contested exhibits, then we would admit that list as  
9 well.

10           But as Mr. Rakoczy pointed out, if the Court does not  
11 rely upon those exhibits for any number of reasons, including  
12 whether or not they were disclosed, then those would not be  
13 considered part of the record nor addressed in the Court's  
14 final order.

15           (PTX 2034, PTX 3312, PTX 3313, PTX 3314, and PTX 3266  
16 were admitted.)

17           MR. RAKOCZY: Thank you, Judge.

18           THE COURT: Thank you.

19           Yes, Mr. Berl? I think the floor's still yours.

20           MR. BERL: A few logistical questions. We've closed  
21 our case. That's our last witness.

22           THE COURT: Understood.

23           MR. BERL: So I just wanted -- there were three minor  
24 logistical issues I may want to raise.

25           THE COURT: You've rested that portion of your case.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Anything further from Mylan, then, Mr. Rakoczy?

2 MR. McLAUGHLIN: A few last exhibits. We looked at  
3 the transcripts just trying to clean things up and trying to  
4 coordinate and make sure we've got everything in that we  
5 intended to move in.

6 So we would like to move to admit just a few more  
7 exhibits that we've been relying on the last couple weeks if  
8 that's okay.

9 THE COURT: Go right ahead slowly, sir.

10 MR. McLAUGHLIN: DTX 9038, DTX 9023, DTX 913,  
11 DTX 902, DTX 5082, DTX 6444.

12 THE COURT: Thank you. Is that the universe?

13 MR. McLAUGHLIN: That's it.

14 THE COURT: All right.

15 Regeneron?

16 MS. OBERWETTER: Your Honor, I don't know what those  
17 are. So we may need a moment to try to figure out what those  
18 are and whether they were used with a witness pursuant to the  
19 pretrial order.

20 THE COURT: Please do.

21 Counsel, if you wouldn't mind conferring with  
22 Ms. Oberwetter and identifying what those are, if anyone knows.

23 MR. RAKOCZY: I was about to speak, but can I check  
24 with my team before I misspeak?

25 THE COURT: Yes. Sorry. You may.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 MR. RAKOCZY: While we're waiting, Your Honor, should  
2 we put more witnesses in the box?

3 MR. BERL: Or there are a couple of logistical  
4 questions that I had.

5 THE COURT: Yeah, we'll take those up. I would ask,  
6 as the gaggle works through those loose-end exhibits, if anyone  
7 can identify which witness those exhibits were used with, that  
8 would certainly be of benefit to Madam Clerk. But I'll add  
9 that to their to-do list.

10 THE CLERK: I found mine, except one.

11 THE COURT: With that, Mr. Berl, your list of  
12 logistical issues.

13 MR. BERL: Yes, three issues, I think in descending  
14 order of complexity.

15 First, Your Honor, as you're aware, has directed the  
16 parties to address various evidentiary issues posttrial, in  
17 posttrial briefing. We just wanted clarification whether it's  
18 Your Honor's intention that those issues should be raised in  
19 the initial brief -- the initial posttrial brief. And our  
20 thinking was that, if so, the other side responding to those  
21 evidentiary points could respond to them in the proposed  
22 findings of fact and conclusions of law.

23 THE COURT: Yes, Mr. Rakoczy?

24 MR. RAKOCZY: Your Honor, because we have -- we have  
25 a lot of issues to brief in that opening 30-page, we would

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 request that we address the evidentiary issues in the proposed  
2 findings of fact, conclusions of law. And that way, the  
3 parties can focus on the merits in their actual briefing.

4 MR. BERL: I'm fine with that, Your Honor, subject to  
5 one concern, which is that, as I understood Your Honor's  
6 rulings about when various submissions are due, there's only  
7 one round of proposed findings of fact, conclusions of law.

8 THE COURT: That's correct.

9 MR. BERL: So if we raise an evidentiary issue, they  
10 wouldn't really have an opportunity to respond to it if it were  
11 only in the proposed findings of fact and conclusions of law,  
12 and vice versa. And so that's why I thought there should be  
13 some opportunity for a response.

14 THE COURT: I've got quite a chart up here of looming  
15 decisions on that front to make. Let's reserve those two,  
16 proposed findings of fact and conclusions of law, which,  
17 against the request of everyone up here, I've not put page  
18 limits on. Let's leave it there so that you're using your real  
19 estate in the argumentative briefs more wisely.

20 I've got a good handle on what we still need to sort  
21 through, but I would certainly encourage everyone to address  
22 each of those in your proposed findings and conclusions.

23 MR. BERL: So when would Mylan then respond to our  
24 evidentiary issues raised?

25 THE COURT: You would not.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968



1 MR. BERL: We would not. Okay.

2 THE COURT: No. No, because we treat those truly as  
3 proposed findings of fact and conclusions of law we've argued  
4 here. You're free to augment the argument with research and  
5 the rest in those, but we don't need a volley in the papers.

6 MR. BERL: Excellent. So the second issue relates to  
7 closings. It's been my perception that trial has gone very  
8 smoothly in person. And I would suggest that, given the  
9 complexity of the issues, we would at least prefer, if  
10 possible, for closings to occur in person. Obviously, we  
11 understand the issues with respect to the courtroom and the  
12 projects to clean up the asbestos, but wherever it would be  
13 convenient for the Court, whether it's in Wheeling or Elkins or  
14 my cocounsel Mr. Ruby suggested even maybe at the law school in  
15 Morgantown, anywhere. We're willing to go anywhere, but we'd  
16 like to be in person.

17 THE COURT: Why, Mr. Ruby, do you hate law students?  
18 Any opposition to that suggestion?

19 MR. RAKOCZY: We have no objection to in person, Your  
20 Honor.

21 THE COURT: No. I would tend to agree, Mr. Berl,  
22 that in person is better. I was so tired of getting Court of  
23 Zoom during the early portion of the pandemic. We said Zoom  
24 just to get that on everyone's calendars. We don't know what  
25 our deal will be here.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1           Our Elkins facility, which is not the easiest to get  
2 to for folks traveling from afar, is expansive compared to  
3 this, as is Wheeling, although Wheeling is not as big as  
4 Elkins. But in all candor, the law school would be easier for  
5 me because that's just across town.

6           Let us work on logistics on one of those venues, and  
7 we'll let the parties know what we might be able to pull off.

8           Like I said -- and if I didn't say that out loud when  
9 we set sort of the schedule for posttrial proceedings and  
10 briefing, I was more concerned with getting a date for that  
11 because we don't know -- like I've told every witness, we're a  
12 court without a country for a little while. And that is  
13 largely in the hands of the GSA. And for any former government  
14 employees, you understand what that means.

15           MR. RAKOCZY: May I raise one issue about the law  
16 school, Your Honor?

17           THE COURT: Yes.

18           MR. RAKOCZY: If it was held at the law school, would  
19 we have the benefit of courtroom security if we needed to seal  
20 the courtroom?

21           THE COURT: Yes, we would. But those are logistical  
22 issues that we will deal with on that front. The main  
23 courtroom at the law school would certainly be large enough to  
24 accommodate everyone who's been here. They have sufficient AV  
25 equipment available to us. I'm just thinking through it. But

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 that is one of the logistical things we would have to work on,  
2 Mr. Rakoczy, but we will work through those.

3 Elkins is always available to us. And Judge Stamp in  
4 Wheeling has been quite gracious to let us use his courtroom at  
5 the Wheeling point of holding court, which is now named for  
6 him, for a couple criminal trials we have in July. So we have  
7 some options. We'll work through that.

8 MR. BERL: Thank you, Your Honor.

9 And, lastly, I'd just like to close by saying we  
10 understand that this case and the schedule that we have gone  
11 through and executed has imposed a substantial burden on the  
12 Court and its staff. And we thank the Court and its staff for  
13 dealing with us and for your hospitality over the last few  
14 weeks. It's been a pleasure to try the case before Your Honor.

15 And a special thank-you to Ms. Knecht for her daily  
16 transcripts, which have been invaluable and surprisingly  
17 accurate.

18 THE COURT: I'll let her address if she takes that as  
19 a criticism. Mr. Berl, that will be reflected as a premium in  
20 your invoice with the Court's blessing.

21 MR. RAKOCZY: For Mylan and Biocon, we thank the  
22 Court and staff as well. I can't say we appreciate the  
23 expedited schedule as much as Mr. Berl.

24 THE COURT: That objection is noted, Mr. Rakoczy.

25 Okay. Any other issues from Mylan? I know we do

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 have the remaining exhibits.

2 Yes, Counsel -- yes, Ms. Oberwetter?

3 MS. OBERWETTER: We've gone through the list. We do  
4 not have an objection to DTX 9038, DTX 913, DTX 902, or  
5 DTX 5082.

6 DTX 903 [sic], insofar as it was used for  
7 impeachment only, as -- it was a disclosed exhibit; so we would  
8 ask that it be taken only for that purpose.

9 THE COURT: Any objection to that, Mr. McLaughlin?

10 MR. McLAUGHLIN: You're talking about DTX 9023?

11 MS. OBERWETTER: Correct.

12 THE COURT: 9023?

13 MS. OBERWETTER: Correct.

14 MR. McLAUGHLIN: And what's the proposal?

15 THE COURT: That it be received as impeachment  
16 material.

17 MR. McLAUGHLIN: That's fine.

18 MS. OBERWETTER: And then DTX 6444 was the summary  
19 judgment stipulation, which does not seem appropriate from our  
20 standpoint to be put into evidence. There's a stipulation on  
21 the docket. It was used with a witness.

22 THE COURT: It's part of the record, right?  
23 Understood.

24 MR. McLAUGHLIN: As long as it's on the record,  
25 that's fine.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 THE COURT: Is that -- that's all of them, then?

2 MS. OBERWETTER: That is all of them.

3 THE COURT: Those will be admitted. 9023, for the  
4 purpose identified, the stipulation with respect to summary  
5 judgment, it's part of the record. I recognize its use and  
6 purpose here at trial.

7 9038, does anyone know which witness that exhibit was  
8 used with?

9 MR. McLAUGHLIN: That came in with Dr. Albini.

10 THE COURT: And then 9023.

11 MR. McLAUGHLIN: That came in during Dr. Csaky's  
12 first cross-examination.

13 THE COURT: Okay.

14 Any others, Madam Clerk?

15 THE CLERK: That's it.

16 THE COURT: All right. Those will all be deemed  
17 admitted, then. Thank you.

18 (DTX 9038, DTX 9023, DTX 913, DTX 902, DTX 5082, and  
19 DTX 6444 were admitted.)

20 MR. McLAUGHLIN: Thank you, Your Honor.

21 THE COURT: Let me say this before everyone scatters  
22 to the ends of the earth. Please check with Madam Clerk to  
23 make sure she has copies of all the exhibits. I can't imagine  
24 that we don't, but we always do need to track one or two down.  
25 Please make sure that all those are checked off the list.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 Mr. Rakoczy, anything else from Mylan?

2 MR. RAKOCZY: Nothing from Mylan or Biocon, Your  
3 Honor.

4 THE COURT: Thank you.

5 Mr. Berl, anything else from Regeneron?

6 MR. BERL: Nothing from Regeneron. Thank you, Your  
7 Honor.

8 THE COURT: The Court's prior order with the briefing  
9 schedule and the rest we'll leave unchanged, with the caveat we  
10 added with respect to the proposed findings. Any of the  
11 evidentiary issues at trial that may have been held in abeyance  
12 or conditionally addressed, please feel free to address those  
13 therein.

14 Thank you all very much. We appreciate all of your  
15 hard work. And I know how difficult it is to try a lengthy and  
16 complicated case and even more so how difficult it is to be far  
17 from office and home to do so. So we appreciate everyone's  
18 hard work and preparation. And I really do mean that.

19 Thank you. Please thank all of your witnesses, in  
20 particular, your experts. I've learned a great deal over the  
21 last two weeks. I don't know what the heck I'll use it for in  
22 my day-to-day life going forward, but as I've regaled my bride  
23 and others with tales from this trial, they just looked at me  
24 quizzically.

25 The Fourth Circuit judicial conference is next week.

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

1 I look forward to talking about hydrophilic and hydrophobic  
2 molecules and the rest with my judicial colleagues. And I'll  
3 be sure to thank you all for the reception topics.

4 With that, at 10 after 1:00, I'll consider this  
5 matter adjourned for trial purposes. Thank you all very, very  
6 much.

7 MR. BERL: Thank you, Your Honor.

8 MR. TRASK: Thank you, Your Honor.

9 (Proceedings concluded at 1:15 p.m.)

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968

## 1 CERTIFICATE

2 I, Cindy L. Knecht, Registered Professional Reporter and  
3 Official Reporter of the United States District Court for the  
4 Northern District of West Virginia, do hereby certify that the  
5 foregoing is a true and correct transcript of the proceedings  
6 had in the above-styled action on June 23, 2023, as reported by  
7 me in stenotypy.

8 I certify that the transcript fees and format comply with  
9 those prescribed by the Court and the Judicial Conference of  
10 the United States.

11 Given under my hand this 23rd day of June 2023.

12 /s/Cindy L. Knecht

13 \_\_\_\_\_  
14 Cindy L. Knecht, RMR/CRR  
15 Official reporter, United States  
16 District Court for the Northern  
17 District of West Virginia  
18  
19  
20  
21  
22  
23  
24  
25

Cindy L. Knecht, RMR/CRR/CBC/CCP  
PO Box 326 Wheeling, WV 26003 304.234.3968