

1

UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF NEW JERSEY

2

3

4 HELSINN HEALTHCARE, S.A. and
ROCHE PALO ALTO, LLC,

5

Plaintiffs,

6

-vs-

7

8 DR. REDDY'S LABORATORIES, LTD.,

DR. REDDY'S LABORATORIES, INC.,

9 TEVA PHARMACEUTICALS USA, INC.,

and TEVA PHARMACEUTICAL

INDUSTRIES, LTD.

10

Defendants.

11

Clarkson S. Fisher United States Courthouse

12

402 East State Street

Trenton, New Jersey 08608

13

June 15, 2015

14 **B E F O R E:**

THE HONORABLE MARY L. COOPER

UNITED STATES DISTRICT JUDGE

15

16

17

18

19

20

21

22

23 Certified as True and Correct as required by Title 28, U.S.C.,
Section 753

24

/S/ Regina A. Berenato-Tell, CCR, CRR, RMR, RPR

25

/S/ Carol Farrell, CCR, CRR, RMR, CCP, RPR, RSA

*United States District Court
Trenton, New Jersey*

1
2 **APPEARANCES:**
3 PAUL HASTINGS
4 BY: JOSEPH O'MALLEY, ESQUIRE
5 ERIC W. DITTMANN, ESQUIRE
6 ISAAC S. ASHKENAZI, ESQUIRE
7 SAUL EWING
8 BY: CHARLES M. LIZZA, ESQUIRE
9 Attorneys for the Plaintiffs
10
11 BUDD LARNER
12 BY: STUART D. SENDER, ESQUIRE
13 MICHAEL H. IMBACUAN, ESQUIRE
14 HUA HOWARD WANG, ESQUIRE
15 CONSTANCE S. HUTTNER, ESQUIRE
16 KENNETH E. CROWELL, ESQUIRE
17 Attorneys for the Defendant, Dr. Reddy's Laboratories
18
19 WINSTON & STRAWN
20 BY: JOVIAL WONG, ESQUIRE
21 GEORGE LOMBARDI, ESQUIRE
22 JULIA MANO JOHNSON, ESQUIRE
23 BRENDAN F. BARKER, ESQUIRE
24 LITE DEPALMA, GREENBERG, LLC
25 BY: MAYRA V. TARANTINO, ESQUIRE
Attorneys for the Defendant, Teva

*United States District Court
Trenton, New Jersey*

1
2
3
4
5 **INDEX**
6
7
8
9 **WITNESS** **VOIR DIRECT** **CROSSREDIRECT** **RECROSS**
10 **DIRE**
11 (Video deposition of Maurie Markman), 7
12 (Video deposition of Valentino Stella), 28
13 (Video deposition of Navin Vaya), 81
14 (Video deposition of Limor Zahavi), 96
15
16 GORDON AMIDON
17 By Mr. Dittmann 126 143
18
19
20
21
22

4
Colloquy
1 (In open court. June 15, 2015, 9:30 a.m.)
2 THE COURT: Good morning, everyone.
3 ALL: Good morning, your Honor.
4 THE COURT: How is everybody today?
5 ALL: Good.
6 THE COURT: Okay. What would you like to start with
7 this morning?
8 MR. ASHKENAZI: Your Honor, we're planning on playing
9 some deposition designations this morning.
10 THE COURT: All right. Is there any dispute about
11 them, these?
12 MR. ASHKENAZI: Not that I'm aware of.
13 MR. SENDER: Other than the sort of the standing 403
14 objection to our experts who did not appear, you know, we've
15 designated what we could out of it to try to provide some
16 context.
17 THE COURT: All right. Well, I'll see them, and I'll
18 rule at some point. But we'll definitely know what we're
19 delineating as your objection.
20 MR. SENDER: Thank you, your Honor.
21 MR. LIZZA: Your Honor, in that regard as requested
22 by your Honor for the line-by-line analysis, we've prepared a
23 chart with the designations and with our basis for relevance
24 and probative value. So if I may approach, I can hand that
25 chart up.

*United States District Court
Trenton, New Jersey*

5
Colloquy
1 THE COURT: Sure. Have you served it?
2 MR. SENDER: No, they have not, your Honor.
3 MR. LIZZA: We're serving it now.
4 THE COURT: Okay. And, again, you don't need to
5 respond until you've had a chance to digest it and me, too.
6 Okay? Fine.
7 MR. ASHKENAZI: Your Honor, at this time, we'd like
8 to play the deposition designation of Dr. Maurie Markman who
9 is DRL's expert clinical oncologist. According to DRL, Dr.
10 Markman is the president of medicine and science at Cancer
11 Treatment Centers of America. Dr. Markman has more than 20
12 years of experience in cancer treatment. He has held
13 clinical, research, teaching and management positions in
14 several highly regarded medical institutions in the U.S.
15 Dr. Markman has extensive experience with all the 5-HT₂
16 receptor antagonist drugs approved in the U.S. Dr. Markman
17 was deposed regarding his expert opinions in this case.
18 And for the record, your Honor, we have a binder with
19 the corresponding deposition exhibits. Markman Deposition
20 Exhibit 1 corresponds to DTX-1206.
21 THE COURT: I'm sorry. Just a second, please. I'll
22 tell you when I'm ready.
23 MR. ASHKENAZI: Okay.
24 THE COURT: Was he deposed once or twice?

Colloquy

1 THE COURT: Okay. I'm just reviewing what you've
2 already told me.
3 what is this institution where he practices? President
4 of medicine and science at the organization called Cancer
5 Treatment Centers of America. This is a whole consortium of
6 hospitals? Where does he practice?
7 MR. ASHKENAZI: Your Honor, that was the description
8 provided to us about Dr. Markman. He's DRL's expert. I guess
9 I would defer to them on what that institution is.
10 THE COURT: All right. Never mind.
11 Thank you. So, what were you saying?
12 MR. ASHKENAZI: Just providing for the record which
13 DTXs correspond to the deposition exhibits identified during
14 the video.
15 So, Markman Deposition Exhibit 1 corresponds to
16 DTX-1206. And, your Honor, this is in the binder under tabs.
17 Markman Deposition Exhibit 1, Exhibit I is DTX-283. Markman
18 Deposition Exhibit 4 is PTX-398, and Markman Deposition
19 Exhibit 11 is PTX-297.
20 THE COURT: Okay. Will you be showing on the screen
21 these exhibits, or is that going to be too cumbersome?
22 MR. ASHKENAZI: I believe we will be showing them on
23 the screen, your Honor.
24 THE COURT: Okay.
25 MR. ASHKENAZI: Thank you.

United States District Court
Trenton, New Jersey

Markman - Deposition

1 So, I've used all of the serotonin antagonists, and
2 this is -- obviously a wonderful product and used it -- I've
3 used it extensively.
4 Q. Can you elaborate on what it is about Aloxi® that you
5 think makes it a wonderful product?
6 A. Well, I -- you know, I think it's a -- you know,
7 serotonin antagonists have been around for a long time. And
8 they were -- when they first came into existence now many,
9 many years ago, they changed the way we thought about the
10 management of chemotherapy-induced emesis.
11 what Aloxi® -- I'll say Aloxi®, it's easier, shorter,
12 the benefit of that drug was that it had a very important
13 effect on -- we divide nausea and vomiting in chemotherapy at
14 least with a highly emetogenic chemotherapy, like platinum,
15 into what we call acute, and then we call it delayed emesis.
16 And -- and what had been very well recognized is that the
17 serotonin antagonists were quite effective and the -- that is,
18 the first generation, again, I -- when I use the term "first
19 generation," to be.
20 THE COURT: Just a second, I'm sorry. There's a
21 transcription error back there, and I wouldn't want the court
22 reporter here to not be informed about that transcription
23 error in the dep.
24 If you'll just scroll back a moment. And I'm not going
25 to pick up every one, but if I think that it's worth noting, I

United States District Court
Trenton, New Jersey

Markman - Deposition

1 THE COURT: Fine. And about how long is this video?
2 MR. ASHKENAZI: This one is 23 minutes, your Honor.
3 THE COURT: Okay. Fine.
4 (The video deposition of Maurie Markman was played as
5 follows:)
6 Q. Dr. Markman, good morning.
7 A. Good morning.
8 Q. Let's take a look at your opening report, Exhibit 1,
9 specifically Paragraph 4. It states here that you were asked
10 by counsel for DRL to provide expert opinions on certain
11 issues related to the clinical aspects of the asserted claims
12 of the '219 patent, correct?
13 A. That's correct.
14 Q. Can you tell me generally what your experience is with
15 respect to palonosetron, perhaps starting with any use of
16 palonosetron you have in your clinics?
17 A. Well, it's a drug that I've used, you know, extensively
18 since the day it was -- came on the market. I can't tell you,
19 you know, the number of times. I treat patients with
20 gynecological malignancies, that's my clinical expertise, and
21 we use a lot of platinum, which is not only the drug that gave
22 chemotherapy its bad name 20, 30 years ago, but it's also the
23 drug that is the one -- from the point of view of nausea and
24 vomiting, but it's also the drug that -- where -- the class of

Markman - Deposition

1 will.
2 A. That drug was that it had a very important effect on --
3 we divide nausea and vomiting in chemotherapy at least with a
4 highly emetogenic chemotherapy, like platinum, into what we
5 call acute and we call delayed emesis.
6 THE COURT: Okay. Stop it. You see that word, "but"
7 that he's about to reach? What he actually says is "what had
8 been." "What had been very well recognized." I think you'll
9 agree with me when you hear it.
10 THE WITNESS: Very well recognized --
11 THE COURT: Back it up. We missed it.
12 THE WITNESS: -- shorter, the benefit of that drug
13 was that it had a very important effect on -- we divide nausea
14 and vomiting in chemotherapy at least with a highly emetogenic
15 chemotherapy, like platinum, into what we call acute and we
16 call delayed emesis. And what had been very well recognized
17 is that the serotonin antagonists were quite effective in
18 the -- that is, the first --
19 THE COURT: "In the." "In the" not "and the."
20 THE WITNESS: But I'll use the term "first
21 generation" to be ondansetron, granisetron were very effective
22 in the acute nausea and prevention of acute nausea and
23 vomiting, not perfect, but certainly a lot better than the
24 existing standards at that time when they came on existence.

- 1 vomiting, and Aloxi® was the first drug of that category or
2 actually any category that was effective both for acute and
3 delayed. And, so, nausea and vomiting induced by highly
4 emetogenic chemotherapy. So, with the introduction of this
5 drug, it became, you know, widely utilized by oncologists,
6 including me.
- 7 Q. So, just to make sure I have that, you mentioned that the
8 Aloxi® was the first drug approved for delayed emesis in
9 connection with highly emetogenic chemotherapy?
- 10 A. Well, the one thing I want to -- I mean, you know, I'm
11 not 100 percent sure about who approved what, when or things
12 like that, I'll tell you. From my perspective at a clinical
13 level, their registration strategies and things get approved,
14 but at a clinical level I think what I said is certainly
15 correct, and it may actually registration true, too, I'm not
16 -- I'm speaking at the clinical level.
- 17 Q. Would you agree that one of the benefits of Aloxi® versus
18 the first generation of 5-HT₃s was its ability to treat
19 delayed emesis associated with CINV generally?
- 20 A. Yes.
- 21 Q. So, you mentioned that you continue today to prescribe
22 Aloxi®; is that correct?
- 23 A. Absolutely.
- 24 Q. And you started prescribing Aloxi® when it was first
25 approved back in 2003?

United States District Court
Trenton, New Jersey

- 1 Q. NK-1 receptor antagonists?
- 2 A. Right.
- 3 Q. So, I'm asking about that POSA standard you've applied,
4 this hypothetical person, would that hypothetical POSA, in
5 deciding what drug molecule to pursue for development, take
6 into account the sort of market considerations we have been
7 discussing?
- 8 A. I believe so.
- 9 Q. Do you have an opinion as yes or no what standards you
10 applied in this case whether a POSA would take those generic
11 competition and number of competitor products into account in
12 deciding whether to pursue a drug product or not?
- 13 A. I believe they probably would, yes.
- 14 Q. So, we've done a little bit of this already, so I'll try
15 not to be duplicative, but I want to talk about the state of
16 the art in antiemetics in 2002.
- 17 So, that's the time period that's relevant to your
18 opinions in this case. Roughly 2002, 2003, correct?
- 19 A. Correct.
- 20 Q. So, is it correct that in this time period you were
21 obviously a practicing clinician, correct?
- 22 A. Correct.
- 23 Q. And I assume that you studied the literature and remained
24 up to date on developments in the field at that time?
- 25 A. That's correct.

United States District Court
Trenton, New Jersey

- 1 A. If that was the date, yes.
- 2 Q. Not to be a memory test.
- 3 Have -- over the course of the last roughly, say,
4 decade that you've been prescribing Aloxi®, have your -- the
5 frequency of your prescriptions with respect to Aloxi® changed
6 at all during that time period?
- 7 A. I would say I don't -- I don't think so.
- 8 Q. Do you prescribe other antiemetics in connection with
9 your practice?
- 10 A. I do. And I would certainly -- I think it's appropriate
11 to add when you go back to your previous question and, I'm
12 sorry, I should have answered you know some of the
13 determinations are made today by a contracting issues and, you
14 know, this is a market, there are other opportunities and
15 there are other approaches towards management of acute and
16 delayed emesis. And, so, some of those decisions are actually
17 made, I don't want to say at a higher level than me, but a
18 different level than me.
- 19 Q. For example, I would imagine that given the presence
20 of -- or the availability of generic 5-HT₃s that at times I
21 would assume you prescribed generic?
- 22 A. Well, I would say that's certainly one approach. And the
23 other approach is, of course, there are the use of generics
24 and the other categories of drugs that could prevent delayed

- 1 Q. You were comfortable providing opinions about what the
2 state of the art at that time was, correct?
- 3 A. That's correct.
- 4 Q. And did you stay up to date on potential new therapies
5 under development in the antiemetic field?
- 6 A. Um, yes.
- 7 Q. And did that include potential new therapies that would
8 treat CINV, for example?
- 9 A. That's correct.
- 10 Q. And I think you said this before, but, please, I don't
11 want to put words in your mouth, so correct me if I'm wrong,
12 but I think you essentially said that the 5-HT₃ antagonists
13 that were available in 2002 weren't -- weren't -- weren't
14 satisfactory in terms of treating delayed CINV; is that
15 correct?
- 16 A. I believe that was the -- it would be a fair statement of
17 my opinion, as well as that of what the clinical community
18 would -- would say, as well.
- 19 Q. Was it also true in 2002 that the available setrons were
20 comparable in effectiveness and toxicity?
- 21 A. The serotonin antagonist inhibitors, yes.
- 22 Q. Then available?
- 23 A. Yes.
- 24 Q. Would you also agree that in the 2002 time period,

1 control?

2 A. Well, you know, again, it's a -- it -- your -- it's a

3 little hard to answer that question. I mean, you could say

4 today we're not perfect either. I think we -- what -- what

5 happened with the availability of the serotonin antagonists,

6 there was a tremendous improvement, and certainly with the

7 acute nausea and vomiting, and it became the delayed that was

8 a greater concern, but even then we still had a, as we do now,

9 still a percentage of patients where at least they would say

10 the therapies are not as good as we'd like them to do.

11 So, it's a -- I think it's a relative answer. I would

12 certainly have said then, and I'll say now, we're not perfect.

13 we have gotten better, but, you know, if you look at 2002,

14 2003 compared to ten years earlier before the availability of

15 the serotonin antagonists, we were much better. It's you

16 know, again, it is all relative.

17 Q. It would be helpful if you could get Tab 7. It's very

18 convenient in fact that you wrote a paper in 2002 that I think

19 touches upon a lot of this, so it is very convenient.

20 A. Thank you.

21 Q. Do you recognize this document, Exhibit 4?

22 A. Well, yes.

23 Q. Can you tell me what it is?

24 A. It's a review article I wrote for the Cleveland Clinic

25 Journal of Medicine when I was at that institution in 2002.

United States District Court
Trenton, New Jersey

1 Q. Do you agree with that statement, as well, in terms of

2 the state of the art in antiemetics in 2002?

3 A. Yes.

4 Q. And the paragraph continues, "Furthermore, although the

5 neurophysiology of acute emesis is fairly well characterized,

6 our understanding is extremely limited of the pathways

7 involved with either delayed or anticipatory nausea and

8 vomiting."

9 Do you see that?

10 A. Yes.

11 Q. Is that also in your opinion an accurate view of the

12 state of the art of antiemetics in 2002?

13 A. Yes.

14 Q. If you can turn next to Page 612. And I'm interested in

15 the text that is underneath the heading delayed emesis. Do

16 you see that, the left side?

17 A. Yes.

18 Q. It states, "Unfortunately, the pathophysiology and

19 neuropharmacology of delayed emesis are poorly understood."

20 Do you see that?

21 A. Yes.

22 Q. And do you agree that also accurately reflects the state

23 of the art of antiemetics in 2002?

24 A. Yes.

25 Q. Is it correct that it was known in 2002 that the

United States District Court
Trenton, New Jersey

1 Q. And does it sort of generally summarize the paper is

2 discussing essentially the state of the art in the treatment

3 of CINV in the 2002 time period?

4 A. I haven't looked at this for a long time, but I -- I

5 certainly would have every reason to believe that that is

6 exactly what this paper does.

7 Q. Okay. So, for example, on the first page, we were just

8 talking about on the right side column, it's about midway down

9 the page you see there's a sentence that starts, "Given the

10 complexity of"...

11 A. Yes.

12 Q. All right. If you could just -- well, I'll read it into

13 is the record. "Given the complexity of the emetic process

14 and the multiple neuroreceptors involved, chemotherapy-induced

15 emesis has been extremely difficult to control completely."

16 Do you see that?

17 A. Yes.

18 Q. And do you agree that that was consistent with the state

19 of the art in 2002 concerning antiemetics?

20 A. Yes.

21 Q. All right. You state here, "For example, an antiemetic

22 agent that completely inhibits a specific neuroreceptor

23 involved in emesis may activate another receptor that leads to

24 nausea and vomiting by an alternative pathway," correct?

1 pathophysiologic processes of delayed and acute emesis

2 differed?

3 A. Well, what we knew is that if a patient had, you know,

4 very good control or fairly good control, it didn't --

5 THE COURT: All right. Let's back it up to where he

6 begins his answer. This word "pathophysiology" is kind of a

7 new one.

8 Q. Is it correct it was known in 2002 that the

9 pathophysiologic processes of delayed and acute emesis

10 differed?

11 A. Well, what we knew is that if a patient had, you know,

12 very good control or fairly good control, it didn't -- of

13 acute, it didn't -- it didn't necessarily translate into a

14 control of delayed. That's what we knew.

15 Q. So, you knew the mechanisms between acute and delayed

16 were different?

17 A. Well, again, we -- what we knew is was the clinical

18 outcome was different, and --

19 Q. Okay.

20 A. And whether, you know, this was the entirely different

21 process or somehow we weren't adequately controlling the acute

22 process, again, we -- we didn't know the mechanism, still

23 don't know the mechanism. We have hypotheses of the

24 mechanisms and we have much better therapies, but, certainly,

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.