

1

UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF NEW JERSEY

2

3

HELSINN HEALTHCARE, S.A. and
ROCHE PALO ALTO, LLC,

4

5

Plaintiffs,

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

26

27

28

29

30

31

32

33

34

35

36

37

38

39

40

41

42

43

44

45

46

47

48

49

50

CIVIL ACTION NUMBER:

11-3962

-vs-

TRIAL

DR. REDDY'S LABORATORIES, LTD.,

DR. REDDY'S LABORATORIES, INC.,

TEVA PHARMACEUTICALS USA, INC.,

and TEVA PHARMACEUTICAL

INDUSTRIES, LTD.

WITH SEALED PORTIONS

Defendants.

Clarkson S. Fisher United States Courthouse

402 East State Street

Trenton, New Jersey 08608

June 15, 2015

B E F O R E:

THE HONORABLE MARY L. COOPER

UNITED STATES DISTRICT JUDGE

Certified as True and Correct as required by Title 28, U.S.C.,
Section 753

/S/ Regina A. Berenato-Tell, CCR, CRR, RMR, RPR

/S/ Carol Farrell, CCR, CRR, RMR, CCP, RPR, RSA

*United States District Court
Trenton, New Jersey*

2

APPEARANCES:

PAUL HASTINGS
BY: JOSEPH O'MALLEY, ESQUIRE
ERIC W. DITTMANN, ESQUIRE
ISAAC S. ASHKENAZI, ESQUIRE

SAUL EWING
BY: CHARLES M. LIZZA, ESQUIRE
Attorneys for the Plaintiffs

BUDD LARNER
BY: STUART D. SENDER, ESQUIRE
MICHAEL H. IMBACUAN, ESQUIRE
HUA HOWARD WANG, ESQUIRE
CONSTANCE S. HUTTNER, ESQUIRE
KENNETH E. CROWELL, ESQUIRE

Attorneys for the Defendant, Dr. Reddy's Laboratories

WINSTON & STRAWN
BY: JOVIAL WONG, ESQUIRE
GEORGE LOMBARDI, ESQUIRE
JULIA MANO JOHNSON, ESQUIRE
BRENDAN F. BARKER, ESQUIRE
LITE DEPALMA, GREENBERG, LLC

BY: MAYRA V. TARANTINO, ESQUIRE
Attorneys for the Defendant, Teva

*United States District Court
Trenton, New Jersey*

4

Colloquy

(In open court. June 15, 2015, 9:30 a.m.)

THE COURT: Good morning, everyone.

ALL: Good morning, your Honor.

THE COURT: How is everybody today?

ALL: Good.

THE COURT: Okay. What would you like to start with this morning?

MR. ASHKENAZI: Your Honor, we're planning on playing some deposition designations this morning.

THE COURT: All right. Is there any dispute about them, these?

MR. ASHKENAZI: Not that I'm aware of.

MR. SENDER: Other than the sort of the standing 403 objection to our experts who did not appear, you know, we've designated what we could out of it to try to provide some context.

THE COURT: All right. Well, I'll see them, and I'll rule at some point. But we'll definitely know what we're delineating as your objection.

MR. SENDER: Thank you, your Honor.

MR. LIZZA: Your Honor, in that regard as requested by your Honor for the line-by-line analysis, we've prepared a chart with the designations and with our basis for relevance and probative value. So if I may approach, I can hand that chart up.

*United States District Court
Trenton, New Jersey*

3

I N D E X

WITNESS VOIR DIRECT CROSSREDIRECT RECROSS
DIRE

(Video deposition of Maurie Markman), 7
(Video deposition of Valentino Stella), 28
(Video deposition of Navin Vaya), 81
(Video deposition of Limor Zahavi), 96

GORDON AMIDON
By Mr. Dittmann 126 143

5

Colloquy

THE COURT: Sure. Have you served it?

MR. SENDER: No, they have not, your Honor.

MR. LIZZA: We're serving it now.

THE COURT: Okay. And, again, you don't need to respond until you've had a chance to digest it and me, too. Okay? Fine.

MR. ASHKENAZI: Your Honor, at this time, we'd like to play the deposition designation of Dr. Maurie Markman who is DRL's expert clinical oncologist. According to DRL, Dr. Markman is the president of medicine and science at Cancer Treatment Centers of America. Dr. Markman has more than 20 years of experience in cancer treatment. He has held clinical, research, teaching and management positions in several highly regarded medical institutions in the U.S.

Dr. Markman has extensive experience with all the 5-HT₂ receptor antagonist drugs approved in the U.S. Dr. Markman was deposed regarding his expert opinions in this case.

And for the record, your Honor, we have a binder with the corresponding deposition exhibits. Markman Deposition Exhibit 1 corresponds to DTX-1206.

THE COURT: I'm sorry. Just a second, please. I'll tell you when I'm ready.

MR. ASHKENAZI: Okay.

THE COURT: Was he deposed once or twice?

Amidon - Direct

- 1 Q. And do you agree with Dr. Kirsch?
- 2 A. No, I do not.
- 3 Q. why not?
- 4 A. well, I prepared a few slides to illustrate why the
5 compound in the won publication would not be relevant to a
6 POSA looking at palonosetron, so -- this kind of gets kind of
7 technical.
- 8 THE COURT: For stability purposes?
- 9 THE WITNESS: For stability purposes, yes. This gets
10 kind of technical, Your Honor, but ask questions if you have
11 any questions.
- 12 THE COURT: Okay.
- 13 THE WITNESS: But, yeah, so we've looked at the
14 structures and the chemistry here, and I do not agree with
15 Dr. Kirsch.
- 16 BY MR. DITTMANN:
- 17 Q. So why don't we take a look at the demonstrative you've
18 mentioned starting with PDX-712.
- 19 A. Yes.
- 20 Q. can you explain what you see here, Doctor?
- 21 A. So, here I've highlighted in the chemistry -- the
22 chemical, the element difference between the won compound
23 which is RG 12915, that's the compound that Dr. Kirsch
24 referred to, and here's the palonosetron.
- 25 You can see that the chemical difference here, this is

United States District Court
Trenton, New Jersey

Amidon - Direct

- 1 called a linear amide. This is an amide. And this is an
2 amide, too, but it's connected to a cyclic. It's a cyclic
3 amide, so we call this a lactam, most common --
- 4 THE COURT: That's on the palonosetron side?
- 5 THE WITNESS: In the palonosetron, it's a cyclic
6 lactam. I mean, this is a six-membered lactam. I mean, the
7 lactams are famous, beta-lactam antibiotics, but this is not
8 anything like that.
- 9 But this is a cyclic amide, a very unique structure, as
10 opposed to the linear amide here. This is the amide bond and
11 this is the amide bond here.
- 12 BY MR. DITTMANN:
- 13 Q. It may be helpful if we can bring up PDX-713 and continue
14 our discussion.
- 15 A. Yeah, okay.
- 16 So, I think Dr. Kirsch was highlighting this
17 similarity, and that is true, obviously. There is a
18 quinuclidine -- well, okay, this is a tri- -- we'll get into
19 this, but this is a triamine, but it's a particular
20 quinuclidine, and that part of the molecule, just that part,
21 highlighted in the light green is the same.
- 22 THE COURT: In both?
- 23 THE WITNESS: In both molecules.
- 24 THE COURT: In both molecules?
- 25 THE WITNESS: Molecules, yeah.

United States District Court
Trenton, New Jersey

Amidon - Direct

- 1 a cyclic lactam versus a linear lactam, and you have a
2 benzofuran here. You do not have that. And you have
3 cyclohexane with a fairly rigid structure here -- I'm sorry.
- 4 THE COURT: Can you slow down just a little with the
5 words.
- 6 THE WITNESS: I'm sorry. Okay. where do you want me
7 to back up to?
- 8 okay. So that this is a linear amide, and this is a
9 cyclic lactam, this is a cyclic amide, a more rigid structure
10 indicated by the -- the dash lines here indicate the
11 stereochemistry of this molecule that's not indicated here.
12 because there isn't stereochemical issues here in this
13 molecule, but these there are.
- 14 And you can see the difference in the chemical
15 structures in this two-dimensional representation of the
16 chemical structure. So the chemistries of these two molecules
17 are quite different.
- 18 BY MR. DITTMANN:
- 19 Q. Now, Dr. Amidon, just to make the record clear, if we
20 could, going back to the won molecule starting on the left,
21 the top part of the molecule, here, can you explain with the
22 O, H, and N, next to the --
- 23 A. So this is --
- 24 Q. Can you explain what that is again?

Amidon - Direct

- 1 But the -- the rest of the molecules are quite
2 different.
- 3 And so the chemistry is, of course, highly dependent on
4 the actual chemical structure and chemical bonding. So these
5 are quite different molecules.
- 6 BY MR. DITTMANN:
- 7 Q. Bring up PDX-714.
- 8 THE COURT: The fact that you've got a ring, a ring
9 structure in the palonosetron, connecting up -- please forgive
10 me. I'm not a chemist --
- 11 THE WITNESS: I -- I --
- 12 THE COURT: -- the O, the N, and the H.
- 13 THE WITNESS: Yes.
- 14 THE COURT: You say that that's a -- that's a tighter
15 structure than the linear one that the won has connecting
16 those three atoms?
- 17 THE WITNESS: Yes, yes. It's more rigid, it's more
18 structurally fixed spatially, yes, because of the bonding
19 structure of carbon and nitrogen, yes.
- 20 BY MR. DITTMANN:
- 21 Q. And do you have a slide discussing this rigidity you're
22 mentioning?
- 23 A. Yes, I think I've illustrated that on another slide.
- 24 Q. Can we bring up PDX-714?

Amidon - Direct

1 A. Yes. So, here, it's kind of summarizing that point, Your
2 Honor, here. This is a more flexible, you're going to have
3 cis and trans, this quinuclidine versus the hydrogen, this
4 part.

5 THE COURT: You have what?

6 THE WITNESS: I'm sorry. Okay.

7 BY MR. DITTMANN:

8 Q. Doctor, what might be helpful if you --

9 A. I'm sorry.

10 Q. -- point to the left side, and say, we're talking about
11 won and then we'll move to the right side next. Is that okay?

12 THE COURT: Yeah. We have two things we're
13 contending with here. We're making a record, so the pointer,
14 when it's on, one --

15 THE WITNESS: You don't -- yeah.

16 THE COURT: -- one drawing or another, the words have
17 to say, now I'm comparing what we see here on the left with
18 what we see here the right. And the other, of course, is the
19 comprehension, the communication gap.

20 THE WITNESS: Okay. Okay. Well, just slow me down.

21 THE COURT: And also, the other thing is that the
22 court reporter has to be able to get these words down.

23 THE WITNESS: I understand. I understand. Just slow
24 me down.

25 THE COURT: Just take your time.

United States District Court

Trenton, New Jersey

Amidon - Direct

1 THE WITNESS: The stability is very dependent on the
2 electronic structure and the elemental structure of the
3 molecule.

4 BY MR. DITTMANN:

5 Q. Just to take one step back, Doctor, to make sure that the
6 record is clear, you were talking about the chlorine and
7 oxygen on the won molecule, if you see on the left side of the
8 demonstrative, and we see here there are labels "activator"
9 next to both. Can you explain what you mean by "activator"?

10 A. Well, there's -- for chemical reactivity, there would be
11 more reactive, more chemically reactive.

12 Chlorine has got more electrons around it as an atom
13 and oxygen has got two -- lone pairs, so there's more
14 electron -- lone-pair electrons. Lone-pair electron, that's a
15 function of the bonding structure of chlorine and oxygen. So
16 there's more electron freedom, more electrons free in the
17 oxygen and the chlorine.

18 So activator is -- that's just illustrating that these
19 compound -- the won compound on the left with the chlorine and
20 oxygen is electronically a very different structure than the
21 palonosetron compound on the right.

22 BY MR. DITTMANN:

23 Q. So, turning to the palonosetron on the right, do you see
24 any such activators present in the structure of that molecule?

25 A. No.

United States District Court

Trenton, New Jersey

Amidon - Direct

1 THE WITNESS: This is not an environment I'm used to
2 teaching in so...

3 So, on the left, with the won compound, the RG 12915,
4 this structure is free to move, in fact, the hydrogen and this
5 carbon can change position and we call it cis/trans. And so
6 this is more flexible here. While this cyclic structure fixes
7 this nitrogen in a chemical position. Okay? So that was
8 my -- that was the essential point of that.

9 THE COURT: Okay. And you've used the term
10 "flexible" for the won at that location, and "rigid" for the
11 palonosetron at that location?

12 THE WITNESS: Correct. Correct. Correct.

13 And then I highlighted here also that there's
14 different elements. There's a chlorine and an oxygen in the
15 won compound, which would make the chemistry of the won
16 compound quite different.

17 So, in conclusion, this is maybe getting a little
18 technical, but the won -- the compound on the left, the won
19 compound, is a different chemical structure than the
20 palonosetron, and so a POSA would look at it and say, it's not
21 much help to me with regard to predicting palonosetron
22 properties.

23 THE COURT: Including stability?

24 THE WITNESS: Including stability.

Amidon - Direct

1 Q. Now, just to summarize, what -- where we are so far, you
2 know, what would a POSA infer, if anything, with respect to
3 stability looking at the structural differences between these
4 two molecules?

5 A. I believe that the won compound on the left would be of
6 no help, so there'd be no useful information from the won
7 compound that you would extrapolate to the palonosetron
8 compound. So I would say you would learn nothing about
9 palonosetron from the won compound.

10 THE COURT: Now, Doctor, this drawing, chemical
11 drawing of the palonosetron molecule, doesn't show up in the
12 '333 patent. That's what we've heard so far in the evidence.
13 Would you agree with that?

14 THE WITNESS: The chemical -- okay.

15 THE COURT: Chemical drawing.

16 THE WITNESS: The chemical drawing of the won
17 compound on the left is only generically included in the '333
18 patent.

19 THE COURT: But I'm talking about the palonosetron on
20 the --

21 THE WITNESS: I'm sorry, the palonosetron, okay. The
22 palonosetron compound in the '333 patent, I'm sorry. I was
23 confused, Your Honor.

24 Correct, the palonosetron compound in the '333 patent

Amidon - Direct

- 1 and the symbols that they have in there.
- 2 If you assemble -- if you look into that in all of
- 3 the potential compounds, you will find palonosetron is one of
- 4 the compounds in that -- that's contained in the structures
- 5 that were in the '333 patent.
- 6 THE COURT: That I get.
- 7 THE WITNESS: Yeah.
- 8 THE COURT: I just wanted to establish, you've looked
- 9 at the '333 patent, and this chemical drawing of the
- 10 palonosetron molecule is not drawn in the '333 patent. But
- 11 what we've learned so far in the evidence here, I just want to
- 12 check with you on this, the actual palonosetron molecule is
- 13 verbally described in the '333 patent, it is called out and
- 14 described.
- 15 THE WITNESS: That's my understanding, it was called
- 16 out and described but not structurally presented, yes.
- 17 THE COURT: Okay.
- 18 THE WITNESS: Yeah.
- 19 BY MR. DITTMAN:
- 20 Q. Do you understand that Dr. Kirsch relies on a Clark 1993
- 21 reference, DTX-282, in asserting that those -- the prior art
- 22 recognized similarities between the won molecule and
- 23 palonosetron?
- 24 A. Yes, I'm familiar -- I'm aware of that testimony, yes.
- 25 Q. And do you understand Dr. Kirsch has contended that a

United States District Court
Trenton, New Jersey

Amidon - Direct

- 1 pharmacophore model -- models which was research -- a research
- 2 effort in the 1990s to try and reverse engineer a receptor,
- 3 and they're looking for 5-HT receptor antagonists, things that
- 4 bind to this receptor. And they wanted to reverse engineer
- 5 what the receptor looked like.
- 6 It's -- it was not a very successful line of research,
- 7 but that's what this paper -- my point is, this paper focused
- 8 on a pharmacophore model and based on the crystal structure of
- 9 the molecules. So it had little -- little applicability to
- 10 solution conformation which would be more freedom in the
- 11 solution than in the solid state. So this -- this paper is
- 12 of, I would say, no help to a formulation scientist.
- 13 Q. Just to be clear, was Clark 1993, in your view,
- 14 addressing any stability-related issues?
- 15 A. No.
- 16 Q. Can we turn to Page 5, please. I want to focus on the
- 17 right column, yeah, you have it there, correct.
- 18 A sentence I'll read into the record that states: "In
- 19 fact, a crystal structure of another 5-HT₂ antagonist (44) has
- 20 the conformation of the quinuclidine ring system in a similar
- 21 conformation to the overlap conformation of (S,S)-37." Do you
- 22 see that, Doctor?
- 23 A. Yes.
- 24 Q. And, first, do you understand this to be a sentence that
- 25 Dr. Kirsch focused on in his testimony?

United States District Court
Trenton, New Jersey

Amidon - Direct

- 1 POSA would have focused on the Clark 1993 for whatever
- 2 information they can glean about compounds that are related to
- 3 palonosetron that may inform them about their efforts to
- 4 develop a stable palonosetron formulation?
- 5 A. Well, I think a POSA might -- might have been aware of
- 6 the Clark reference, but the Clark reference was focused on
- 7 developing a pharmacophore model and used crystal structure,
- 8 so I think it would be of little assistance. But I think I
- 9 have some transparencies on that, but I did look at the Clark
- 10 article and I think it does not say anything regarding
- 11 chemical stability.
- 12 Q. Can we bring up the Clark reference, DTX-282? And,
- 13 first, if we can focus on the abstract. Thank you.
- 14 Can you -- I think you started to summarize this,
- 15 Doctor, but can you explain to the Court what Clark 1993 was
- 16 focusing on?
- 17 A. Well, okay. There's a lot of technical chemical details
- 18 in here.
- 19 I would just point to the last line in the abstract.
- 20 Computer modelling here, computer modelling studies were
- 21 performed, and previously forward -- previously reported 5-HT₂
- 22 receptor antagonists -- previously reported -- I'll speak more
- 23 slowly -- 5-HT₂ receptor antagonist pharmacophore models were
- 24 refined to include a lipophilic binding domain.

Amidon - Direct

- 1 A. Yes. This is my understanding that the sentence that --
- 2 that Dr. Kirsch referred to, and the sentence particularly
- 3 focuses on the quinuclidine part, just that upper right-hand
- 4 part of the molecule. And those two, the -- that's a
- 5 tricyclic with a nitro- -- bridge nitrogen, that's a very
- 6 fixed structure, so this is not really saying anything other
- 7 than that's fixed. That's the same in the two molecules.
- 8 Q. Just for context, if we can bring up the structures below
- 9 that sentence, just to make sure we're all following along.
- 10 A. Yeah. This is the quinuclidine part.
- 11 Q. So you're pointing to the upper right portion of the
- 12 molecule?
- 13 A. Yes.
- 14 Q. Compound 44?
- 15 A. Yes.
- 16 Q. Okay. If you go back to --
- 17 THE COURT: with the jagged line through it?
- 18 THE WITNESS: Yes, that means it's a tricyclic. This
- 19 is the cycle, this is a cycle and this is -- this is a very
- 20 rigid structure that you can do. Six-membered rings are
- 21 common in organic chemistry.
- 22 BY MR. DITTMANN:
- 23 Q. Now, in the sentence we were looking at from Clark 1993,
- 24 44 is a reference to this Compound 44 shown below, the text

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.