

1

UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF NEW JERSEY

2

3

4 HELSINN HEALTHCARE, S.A. and
5 ROCHE PALO ALTO, LLC,

6

Plaintiffs,

7

-vs-

8

DR. REDDY'S LABORATORIES, LTD.,
9 DR. REDDY'S LABORATORIES, INC.,
10 TEVA PHARMACEUTICALS USA, INC.,
and TEVA PHARMACEUTICAL
INDUSTRIES, LTD.

11

Defendants.

12

Clarkson S. Fisher United States Courthouse
402 East State Street
Trenton, New Jersey 08608
June 4, 2015

13

14 **B E F O R E:**

THE HONORABLE MARY L. COOPER
UNITED STATES DISTRICT JUDGE

15

16

17

18

19

20

21

22

23 Certified as True and Correct as required by Title 28, U.S.C.,
Section 753

24

25 /S/ Regina A. Berenato-Tell, CCR, CRR, RMR, RPR
/S/ Carol Farrell, CCR, CRR, RMR, CCP, RPR, RSA

*United States District Court
Trenton, New Jersey*

2

1 APPEARANCES:

2 PAUL HASTINGS

3 BY: JOSEPH O'MALLEY, ESQUIRE

4 ISAAC S. ASHKENAZI, ESQUIRE

5 ANGELA NI, ESQUIRE

6 SAUL EWING

7 BY: CHARLES M. LIZZA, ESQUIRE

8 Attorneys for the Plaintiffs

9 BUDD LARNER

10 BY: STUART D. SENDER, ESQUIRE

11 ANDREW ALLEN, ESQUIRE

12 Attorneys for the Defendant, Dr. Reddy's Laboratories

13 WINSTON & STRAWN

14 BY: JOVIAL WONG, ESQUIRE

15 GEORGE LOMBARDI, ESQUIRE

16 JULIA MANO JOHNSON, ESQUIRE

17 BRENDAN F. BARKER, ESQUIRE

18 LITE DePALMA, GREENBERG, LLC

19 BY: MAYRA V. TARANTINO, ESQUIRE

20 Attorneys for the Defendant, Teva

21

22

23

24

25

*United States District Court
Trenton, New Jersey*

4

Voir Dire - Fruehauf

1 (In open court. June 4, 2015, 9:30 a.m.)

2 THE COURT: Good morning, everyone. Okay. Are we

3 ready to go to work today? would you like to call your next

4 witness?

5 MR. WONG: Yes. Good morning, Your Honor. My name

6 is Jovial wong. I represent the Teva defendants. Good to see

7 you again.

8 As our first witness defendants call Dr. John Fruehauf.

9 He will be discussing more about the efficacy of palonosetron.

10 (Whereupon, JOHN FRUEHAUF, witness for the

11 defendants, sworn.)

12 THE DEPUTY CLERK: Please state and spell your full

13 name for the record.

14 THE WITNESS: John P. Fruehauf, F-R-U-E-H-A-U-F.

15 VOIR DIRE EXAMINATION BY MR. WONG:

16 Q. Good morning, Dr. Fruehauf.

17 A. Good morning.

18 Q. Dr. Fruehauf, have you been asked to provide expert

19 opinions in this case?

20 A. Yes, I have.

21 Q. And, in general, what do your opinions relate to?

22 A. They relate to the clinical development of palonosetron.

23 Q. Okay. Let's do a little background first, Dr. Fruehauf.

24 where are you currently employed?

25 A. University of California Irvine.

*United States District Court
Trenton, New Jersey*

3

I N D E X

<u>WITNESS</u>	<u>VOIR DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
	<u>DIRE</u>			
JOHN P. FRUEHAUF				
By Mr. Wong	4	14	183	
By Mr. O'Malley		107		204

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

5

Voir Dire - Fruehauf

1 Q. And what is your current position at the University of

2 California Irvine?

3 A. I'm a professor of clinical medicine and the director of

4 clinical pharmacology and developmental therapeutics.

5 Q. And is there a particular cancer center that you work at

6 at UC, Irvine?

7 A. I work at the Chao Family Comprehensive Cancer Center.

8 Q. And what is a comprehensive cancer center?

9 A. It is a cancer center -- there are 43 comprehensive

10 cancer centers in the United States, and this is one of those.

11 They're designated by the National Cancer Institute, and they

12 have to have the complete array of services from basic

13 research to clinical trials development to qualify.

14 Q. How long have you been at UC, Irvine?

15 A. Since 1993.

16 Q. Can you briefly describe your educational history?

17 A. I went to college at UC Santa Barbara where I got a

18 bachelor's degree in psychology and a bachelor's degree in

19 cellular and organismal biology. And then I proceeded to

20 medical school and did an M.D./Ph.D. program at Rush

21 University in Chicago, and my Ph.D. was in pharmacology.

22 Q. what is pharmacology?

23 A. Pharmacology is the study of how drugs work.

24 Q. okay. After you got your medical degree in 1985 what did

Direct - Fruehauf

- 1 effective at a certain time.
- 2 MR. WONG: Okay. Let's go right to the first
- 3 document. Can we have the Syntex Phase II trials, DTX-0227.
- 4 Let's go to Page 5 of the study.
- 5 BY MR. WONG:
- 6 Q. Dr. Fruehauf, do you recognize this document, DTX-0227?
- 7 A. Yes, sir. So, this is the final report on the 2330
- 8 clinical trial, which is the dose ranging, efficacy, safety,
- 9 and pharmacokinetic study of single-intravenous doses of
- 10 RS-25259, which is palonosetron, for prevention of nausea and
- 11 vomiting in chemotherapy-naïve cancer patients receiving
- 12 highly emetogenic chemotherapy.
- 13 Q. Dr. Fruehauf, were you in court on Tuesday to hear Dr.
- 14 Calderari's testimony on this document?
- 15 A. Yes, I was.
- 16 MR. O'MALLEY: And I'll just object until they lay
- 17 the foundation that your Honor requested as to whether or not
- 18 the POSA would have had this, since the overarching opinion is
- 19 that a POSA would have known X.
- 20 THE COURT: Okay. Mr. Wong, can you -- is this
- 21 available to a POSA?
- 22 BY MR. WONG:
- 23 Q. would this document have been available to a POSA? was
- 24 this document publicly available as of 1995?
- 25 A. I don't believe so.

United States District Court
Trenton, New Jersey

Direct - Fruehauf

- 1 Right below.
- 2 BY MR. WONG:
- 3 Q. Dr. Fruehauf, how would you characterize this Phase II
- 4 Study 2330?
- 5 A. This is a very strong Phase II study because it was
- 6 randomized and double-blinded in multicenter, so in
- 7 multicenter trials, you have a variety of people
- 8 participating, which decreases bias.
- 9 And then it was this dose-ranging efficacy study, so
- 10 they wanted to know what dose is working to suppress nausea.
- 11 So it was a very strong design for a Phase II trial.
- 12 THE COURT: You said not all Phase II trials are even
- 13 blinded at all.
- 14 THE WITNESS: Correct.
- 15 THE COURT: This was?
- 16 THE WITNESS: Yes.
- 17 MR. WONG: Okay. Let's go to the next section, the
- 18 number of subjects section.
- 19 BY MR. WONG:
- 20 Q. Dr. Fruehauf, how many patients in total were involved in
- 21 this Phase II Study, 2330?
- 22 A. There were 161 patients, more males than females, and
- 23 then there were 13 patients who were excluded from the
- 24 efficacy analysis for various reasons, which, you know, we
- 25 won't go into, but -- and, so, it was 161 patients with 13

United States District Court
Trenton, New Jersey

Direct - Fruehauf

- 1 Q. If you saw this document in 1995, would you understand
- 2 that, and reviewing the data, would you understand that
- 3 palonosetron was effective to reduce CINV?
- 4 MR. O'MALLEY: Objection. Calls for speculation.
- 5 THE COURT: Sustained.
- 6 You can ask him what this document tells him now.
- 7 That's fine.
- 8 MR. WONG: That's fine.
- 9 So let's go to Page 14. Let's go right to results or
- 10 the study synopsis, and let's go to objections down low. The
- 11 objectives below.
- 12 BY MR. WONG:
- 13 Q. Dr. Fruehauf, what was a primary -- what was a primary
- 14 objective of this Phase II study? Looking at this document
- 15 today, what was the primary objective of the Phase II study?
- 16 A. It was, basically, to determine whether palonosetron,
- 17 over a dose range of 1-90 micrograms per kilogram, given to
- 18 patients who were treated with highly emetogenic chemotherapy
- 19 would reduce the likelihood of chemotherapy-related nausea.
- 20 Q. okay. In carrying out the primary objective of this
- 21 study, would it include a determination of whether
- 22 palonosetron reduces the likelihood of CINV when administered
- 23 to humans?
- 24 A. Yes.

Direct - Fruehauf

- 1 excluded.
- 2 Q. And how many patients actually got the 0.25 milligram
- 3 dose of palonosetron?
- 4 A. There were -- which is the equivalent of
- 5 3-micrograms-per-kilogram dose. Of 3 micrograms per
- 6 kilogram, .25 was the equivalent to 3 micrograms per kilogram,
- 7 and there were 24 patients who received that dose.
- 8 Q. So, did the study design of this Phase II study allow the
- 9 determination of whether palonosetron administered at
- 10 0.25 milligrams reduces the likelihood of CINV when it was
- 11 administered to a human?
- 12 A. Yes.
- 13 MR. WONG: Let's go to summary and conclusion section
- 14 on Page 15.
- 15 BY MR. WONG:
- 16 Q. Right here in the first sentence, what was Syntex's
- 17 conclusion on this Study 2330?
- 18 A. They concluded that all four doses, and they're talking
- 19 about 3, 10, 30 and 90, they didn't -- .3 to 1 was a low dose
- 20 that wasn't expected to have the full effect, but it did have
- 21 some effect because no drug would have lead to, you know, zero
- 22 control of nausea and vomiting.
- 23 So, they found that 3, 10, 30 and 90, let's take the
- 24 percent complete control going across, those were equivalent

Direct - Fruehauf

1 effective as compared with the combined results from a cohort
2 of the .3 to 1 micrograms per kilogram.

3 Q. And what do they state in the first sentence?

4 A. They state that palonosetron was administered as a single
5 bolus intravenous injection of these doses 30 minutes prior to
6 chemotherapy.

7 Q. So, let's focus in on the --

8 THE COURT: And that they were looking at suppressing
9 CINV for 24 hours after the chemotherapy.

10 THE WITNESS: Yes, ma'am. So, the endpoints here
11 were complete control at 24 hours, which means, by definition
12 in the study, that they didn't throw up and they didn't feel
13 nauseated. They didn't need a medicine to help them after
14 they got the first medicine.

15 And then this is a second endpoint, complete response,
16 where the numbers were a little lower because that would be
17 those patients might have needed some rescue medicine, and
18 then the median time --

19 THE COURT: what's the difference between complete
20 control at 24 hours and complete response at 24 hours, can you
21 tell us?

22 THE WITNESS: Yeah, this is really the difference
23 between who needed a rescue medication, and the percentage of
24 people -- like this is a lower number for complete response
25 because they might have felt nauseated. They didn't throw up,

United States District Court

Trenton, New Jersey

Direct - Fruehauf

1 palonosetron is it was very potent. And, you know, you're
2 binding to a receptor, and if you -- if you have enough drug
3 to bind to all the receptors and they're all blocked at a
4 certain dose, giving more drug won't have any more benefit.

5 THE COURT: Doctor, we're talking about this H --

6 THE WITNESS: 5-HT₃.

7 THE COURT: -- 5-HT₃ receptor. That's not the only
8 receptor that sends signals of nausea to the brain, is it?

9 THE WITNESS: No. As I was explaining earlier from
10 my practice, I will combine drugs that will work on different
11 receptors because here there's only a 50 percent control.

12 THE COURT: Even at best --

13 THE WITNESS: Even at best.

14 THE COURT: -- with palonosetron.

15 THE WITNESS: With one drug. So, if you give a
16 second drug and a third drug, now you're going to improve your
17 control rate to some degree; but, of course, as you add more
18 drugs, you're getting into more side effects.

19 THE COURT: But the other drugs would target other
20 receptors theoretically.

21 THE WITNESS: Correct.

22 THE COURT: Thank you.

23 THE WITNESS: So, here we have a saturation of the
24 receptors, and as you go higher, you don't really see any
25 change after that.

United States District Court

Trenton, New Jersey

Direct - Fruehauf

1 but they might have felt nauseated. They filled out a
2 questionnaire --

3 THE COURT: Yes.

4 THE WITNESS: -- about how they felt over the 24-hour
5 period --

6 THE COURT: Yes.

7 THE WITNESS: -- and they used that questionnaire to
8 assess the benefit of the drug for its intended effect.

9 THE COURT: And that last row says, "median time in
10 hours to failure defined as first emetic episode or rescue
11 drug."

12 THE WITNESS: Yes. So that is, you know, basically,
13 they got the medicine, they got the chemotherapy, and how long
14 did the medicine work for? when did it wear off?

15 So when it wore off, that means you're starting to feel
16 sick. And that's the delayed emesis. And, so, let's say for
17 the .25 or the 3-micrograms-per-kilogram, that time to failure
18 was 22.7 hours, compared to, let's say, 19 for 10, greater
19 than 24 for 30, and 21.8 for 90. We can see these numbers are
20 all pretty consistent, but these numbers are lower, so there's
21 sort of a --

22 THE COURT: In other words, the dosage beginning with
23 3 and going up was pretty consistent. It's just the dosage
24 below 3 that fell off.

Direct - Fruehauf

1 BY MR. WONG:

2 Q. Let's just focus on the 0.25 milligram data that's under
3 3-microgram-per-kilogram. What do the data, 46 percent,
4 39 percent and 22.7 hours, based on those data what can you
5 conclude about whether 0.25 milligrams of palonosetron reduced
6 the likelihood of CINV in patients who got this dose?

7 A. It's very clear that it effectively reduced the risk. I
8 mean, if there was zero here, from my clinical experience if
9 you don't give any premedication to someone who's getting
10 highly emetogenic chemotherapy, 90 percent of them are going
11 to throw up.

12 THE COURT: Because that's nature's way --

13 THE WITNESS: That's nature's way.

14 THE COURT: -- is that right?

15 THE WITNESS: They get a poison, they want to throw
16 up. So, you have to have something in there to suppress that
17 natural reaction to the poison that we're putting in their
18 veins.

19 And, so, this was partially effective, and then this
20 was the maximal effect, I think, in this Phase II trial.

21 THE COURT: You're referring to the Column 3.

22 THE WITNESS: Yes.

23 THE COURT: The column under the Dosage 3.

24 THE WITNESS: Right. So this is -- these percentages