

1

UNITED STATES DISTRICT COURT  
FOR THE DISTRICT OF NEW JERSEY

2

3

\_\_\_\_\_  
4 HELSINN HEALTHCARE, S.A. and  
5 ROCHE PALO ALTO, LLC,

6

Plaintiffs,

7

-vs-

8

9 DR. REDDY'S LABORATORIES, LTD.,  
10 DR. REDDY'S LABORATORIES, INC.,  
11 TEVA PHARMACEUTICALS USA, INC.,  
12 and TEVA PHARMACEUTICAL  
13 INDUSTRIES, LTD.

14

Defendants.

15

\_\_\_\_\_  
16 Clarkson S. Fisher United States Courthouse  
17 402 East State Street  
18 Trenton, New Jersey 08608  
19 June 11, 2015

20

21 **B E F O R E:**

22 THE HONORABLE MARY L. COOPER  
23 UNITED STATES DISTRICT JUDGE

24

25

26

27

28

29

30

31

32 Certified as True and Correct as required by Title 28, U.S.C.,  
33 Section 753

34

35 /S/ Regina A. Berenato-Tell, CCR, CRR, RMR, RPR  
/S/ Carol Farrell, CCR, CRR, RMR, CCP, RPR, RSA

*United States District Court  
Trenton, New Jersey*

2

1                   **A P P E A R A N C E S:**

2                   PAUL HASTINGS

3                   BY: JOSEPH O'MALLEY, ESQUIRE

4                   SAUL EWING

5                   BY: CHARLES M. LIZZA, ESQUIRE

6                   Attorneys for the Plaintiffs

7

8                   BUDD LARNER

9                   BY: STUART D. SENDER, ESQUIRE

10                  Attorneys for the Defendant, Dr. Reddy's Laboratories

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

*United States District Court  
Trenton, New Jersey*

4

Colloquy

1                   (In open court. June 11, 2015, 9:30 a.m.)

2                   THE COURT: Good morning, all.

3                   ALL: Good morning, your Honor.

4                   THE COURT: Mr. O'Malley.

5                   MR. O'MALLEY: Good morning. Your Honor, before we

6                   call our next witness, just a minor housekeeping item. From

7                   Dr. Candiotti yesterday, I believe we gave the court clerk a

8                   list of his exhibits, but I don't think we moved them into

9                   evidence. I have another copy of that list, if need be.

10                  So, I just would like to move those exhibits into

11                  evidence.

12                  THE COURT: Has the other side seen it?

13                  MR. LOMBARDI: we'd just like -- we haven't seen the

14                  actual list that he's tendering, so we'd just like to see it.

15                  I don't anticipate any issues.

16                  MR. O'MALLEY: It's just the exhibits that were --

17                  MR. LOMBARDI: I don't anticipate an issue.

18                  THE COURT: Okay. After the break then, you can move

19                  it in, Mr. O'Malley. All right?

20                  MR. O'MALLEY: So with that, your Honor, we would

21                  like to call our next witness Dr. Carl Peck.

22                  (Whereupon, CARL CURTIS PECK, witness for the

23                  Plaintiffs, sworn.)

24                  THE DEPUTY CLERK: Please state and spell your full

25                  name for the record.

*United States District Court  
Trenton, New Jersey*

3

I N D E X

<u>WITNESS</u>	<u>VOIR DIRE</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
CARL CURTIS PECK				
By Mr. O'Malley	5    20		175	
By Lombardi		80		184

5

Peck - Direct

1                   Have a seat.

2                   THE WITNESS: Carl Curtis Peck.

3                   MR. O'MALLEY: If I may approach, your Honor. I have

4                   the witness' exhibits. We've already distributed copies to

5                   the Court.

6                   VOIR DIRE EXAMINATION BY MR. O'MALLEY:

7                   Q. Good morning, Dr. Peck.

8                   A. Good morning.

9                   Q. Dr. Peck, could you please turn to Plaintiffs' Trial

10                  Exhibit 183.

11                  Do you recognize this document?

12                  A. I do.

13                  Q. For the benefit of the Court, can you briefly describe

14                  your educational background after high school?

15                  A. So, I spent three years at the University of Kansas in

16                  Lawrence and received a degree in mathematics and chemistry.

17                  Following that, I took a Fulbright year in Germany

18                  studying physical chemistry at the University of Tübingen and

19                  the Technische Hochschule in Stuttgart.

20                  Q. You may have to spell that, Dr. Peck. Do you have it?

21                  Never mind.

22                  And go on.

23                  A. Well, thereafter, I went back to Lawrence -- or to Kansas

24                  and attended medical school.

Peck - Direct

1 THE COURT: I think maybe this would be a good time  
2 for a break.

3 MR. O'MALLEY: Perfect. Your Honor. Thank you.  
4 (Brief Recess.)

5 THE COURT: Thank you.

6 BY MR. O'MALLEY:

7 Q. Dr. Peck, did you hear Dr. Fruehauf provide some  
8 testimony regarding the results of Helsinn's Phase II 2330  
9 study?

10 A. I did.

11 Q. Let's take a look at Defendants' Trial Exhibit 227. It's  
12 in one of the smaller separate notebooks in front of you. It  
13 will also be on the screen in front of you. What is this  
14 document?

15 A. So, this is the front page of the clinical section of the  
16 NDA, Item 8, and this content identifies the 2330 study report  
17 which will follow in Volume 104.

18 Q. And let's look at Defendants' Trial Exhibit 227-0005,  
19 near the bottom of the page. What do those dates indicate at  
20 the bottom of that page?

21 A. Right. So this is standard report -- reporting of  
22 certain milestones in the performance of a clinical trial,  
23 where the study date was started in May of 1994, the study  
24 date was completed on April 1995, meaning the last patient  
25 out, and the date of the report is July 1995.

*United States District Court*

*Trenton, New Jersey*

Peck - Direct

1 A. Well, there were five dose groups that were administered.  
2 As you can see, they range from .3 to 90 micrograms per  
3 kilogram of body weight. There were a total of 161 patients.  
4 24 patients were in the 3-microgram-per-kilogram group, a  
5 group of particular interest, but about the same number of  
6 patients were in each -- each of the others.

7 Most of the subjects were male. None of them had  
8 received a chemotherapeutic agent before, and basically, it  
9 was a small study that was quite unrepresentative of any, you  
10 know, broader population.

11 Q. And, by way of summary, what were the results of this  
12 study?

13 A. Well, I think we're going to see a richer table, but --  
14 but there was the identification of one dose group, the  
15 30-microgram-per-kilogram group, that yielded a statistically  
16 significant difference or finding for one of the outcome  
17 measures called complete control after 24 hours.

18 Q. Okay. Now, as you noted, we're going to dig into the  
19 details in a moment.

20 Were there any conclusions that could be drawn from  
21 Study 2330 regarding the efficacy of the solution that was  
22 tested?

23 A. Not in my opinion. There are -- there are a number of  
24 weaknesses of this study that would cause a POA to be quite  
25 skeptical that even the 30-microgram-per-kilogram dosage would

*United States District Court*

*Trenton, New Jersey*

Peck - Direct

1 Q. We've heard that term "last patient out" a few times  
2 during trial. What does that mean?

3 A. That's the date that the very last patient has exited the  
4 clinic or the study unit in a clinical trial.

5 Q. Okay. Now, have you prepared a slide summarizing Study  
6 2230 [sic]?

7 A. I have.

8 Q. Let's please turn to Plaintiffs' Demonstrative Exhibit  
9 209. And let's just take this a piece at a time. Can you  
10 summarize your opinion as to what the objective was of Study  
11 2330?

12 THE COURT: Just again, this is Phase II?

13 MR. O'MALLEY: Phase II, correct.

14 THE COURT: Phase II. This is the Phase II study for  
15 what became Aloxi®, right?

16 BY MR. O'MALLEY:

17 Q. Can you answer that question, Dr. Peck?

18 A. So, there were five Phase II studies. This is one of  
19 them. It was an exploratory dose-ranging study in cancer  
20 patients who were receiving chemotherapy-induced nausea and  
21 vomiting -- who were experiencing that. It's an intravenous  
22 study. And the purpose of this was to evaluate graded doses  
23 to see the -- to evaluate the safety and to identify a  
24 possible signal of benefit.

Peck - Direct

1 work. For example, there was an incomplete dose-response  
2 pattern.

3 Q. Okay. Before explaining that, why don't we get to the  
4 table that you mentioned.

5 Let's look at Defendants' Trial Exhibit 227-0015. And  
6 let's blow up the area around the table. Do you understand  
7 what's set forth here?

8 A. I do. Now, this comes from the final study report of  
9 2330. And it is the primary results of the -- of the  
10 potential for benefit. And what you see here are the doses  
11 lined up from .3 up to 90 and one --

12 Q. Would you like a pointer? I'm sorry to interrupt.

13 A. Oh, I'm sorry. Right, right, right, okay.

14 So, what you see in this row here are the dose  
15 assignments. As I say, there were about 25 subjects in each  
16 group. There were a couple of different ways of evaluating  
17 whether the drug was having an effect. One was called  
18 complete control and the other was called complete response.  
19 They differed very slightly, but each required that, you know,  
20 there be no -- no vomiting and retching and no requirement for  
21 rescue medicines.

22 And what you see here is that they -- they roughly line  
23 up here, but to compare with the lowest dose groups, .3 to 1,  
24 each of the others was statistically evaluated against that

Peck - Cross

1 result of the administration of the formulations that are at  
 2 issue in this case?  
 3 A. Just saying that this table is not sufficient to inform  
 4 me about any one person in the clinical trial.  
 5 Q. I didn't ask you about any one person.  
 6 I just asked you whether you can conclude that anybody  
 7 in the trial actually experienced a reduction in the  
 8 likelihood of CINV as a result of the administration of the  
 9 formulation in this case?  
 10 A. The best you can say is that the raw data expressed as  
 11 percentage differ among these groups, but you really must  
 12 apply a statistical test, and in the case of a positive  
 13 control like this, you have to confirm that the positive --  
 14 that the active ingredient, this is -- I mean, the active drug  
 15 is actually working in this trial.  
 16 So there's a column missing, a very important column  
 17 missing, and that's the historical placebo. This is a  
 18 non-inferiority trial. And Dr. Fruehauf should have explained  
 19 that a non-inferiority trial is never validated until it's  
 20 compared with a historical control. And that's missing from  
 21 this.  
 22 Q. Did you finish your answer?  
 23 A. I did.  
 24 Q. Okay. And so I'm just asking you, I think it's a "yes"  
 25 or "no" question, okay? And so let me just ask you: Can you

United States District Court

Trenton, New Jersey

Peck - Redirect

1 about a document entitled the FDA's Drug Review Process From  
 2 Information For Consumers Database?  
 3 A. Yes, I do.  
 4 MR. O'MALLEY: I don't know if we're able to pull  
 5 that up. If I can ask the help of Mr. Lombardi's hot seat  
 6 guy. Thank you.  
 7 BY MR. O'MALLEY:  
 8 Q. Now, this appears to be two pages of text, and there's  
 9 some, I don't know, cartoon figures in here. Have you seen  
 10 this before?  
 11 A. Well, I think this was flashed up this morning or this  
 12 afternoon --  
 13 Q. Before today?  
 14 A. I don't recall.  
 15 Q. Is this an FDA Guidance?  
 16 A. No, it's not an FDA Guidance. It's a communication to  
 17 consumers to explain some aspects of drug development and  
 18 regulation.  
 19 MR. O'MALLEY: Could we turn to Page 2 of this  
 20 document, please.  
 21 BY MR. O'MALLEY:  
 22 Q. And you were asked some questions about the bottom of  
 23 Page 2 regarding Phase II?  
 24 A. Yes.  
 25 Q. And in the middle of the paragraph, it states, "This

United States District Court

Trenton, New Jersey

Peck - Redirect

1 tell from the data you're presented here whether anybody in  
 2 this study received a reduction in the likelihood of CINV as a  
 3 result of taking the formulations that are at issue in this  
 4 case?  
 5 A. No.  
 6 MR. O'MALLEY: Objection, asked and answered.  
 7 MR. LOMBARDI: It's been -- it hasn't been answered.  
 8 THE COURT: He just answered.  
 9 THE WITNESS: I just answered "no."  
 10 MR. LOMBARDI: Thank you. Thank you.  
 11 Your Honor, if I could have a minute to confer.  
 12 THE COURT: Yes, always. Would you like to take a  
 13 little recess?  
 14 MR. LOMBARDI: I think that would be the easiest  
 15 thing to do.  
 16 THE COURT: That's fine.  
 17 MR. LOMBARDI: Thank you, Your Honor.  
 18 THE COURT: Okay.  
 19 (Recess taken.)  
 20 THE COURT: Mr. Lombardi?  
 21 MR. LOMBARDI: No further questions at this time,  
 22 your Honor.  
 23 THE COURT: Fine. Thank you. Redirect.  
 24 REDIRECT EXAMINATION BY MR. O'MALLEY:

Peck - Redirect

1 phase aims to obtain preliminary data on whether the drug  
 2 works in people who have a certain disease or condition."  
 3 Do you see that?  
 4 A. I do.  
 5 Q. Is this discussion in this consumer piece consistent with  
 6 your discussion of Phase II clinical trials during my  
 7 examination of you today?  
 8 A. Well, I believe it is, given that we see the word  
 9 "preliminary" in there.  
 10 MR. O'MALLEY: Okay. Could we look at the conference  
 11 report opportunities for integration and so on with Dr. Peck  
 12 as first-named author. And, thank you, again, for the assist.  
 13 BY MR. O'MALLEY:  
 14 Q. You were asked some questions on Page 609 of this  
 15 reference, and, in particular, towards the bottom on the  
 16 left-hand column regarding Phase II.  
 17 Do you recall that?  
 18 A. I do.  
 19 Q. And, in particular, there's a statement here. I would  
 20 like to direct you to towards about the third of the way down,  
 21 "The principal goal of Phase II studies is to provide  
 22 unequivocal evidence of the desired therapeutic effect."  
 23 Do you recall that?  
 24 A. I do.

Peck - Redirect

1 consistent with your testimony regarding what Phase II  
 2 clinical trials are about?  
 3 A. Yes, I think so. That's a goal. That's not always  
 4 achieved, but that's a goal.  
 5 MR. O'MALLEY: Now, if we can turn to DTX-1019, and  
 6 are you doing it or -- okay. 0009. Just wait till we switch  
 7 over the hot seat. Thanks again.  
 8 BY MR. O'MALLEY:  
 9 Q. Now, I think you were asked some questions regarding the  
 10 first paragraph. "The data suggests that the four higher-dose  
 11 groups of palonosetron" and the dose groups were listed "were  
 12 in general clinically more effective than the lowest-dose  
 13 group," correct?  
 14 A. Yes.  
 15 Q. And before I get there, let me just, as the Court pointed  
 16 out, there's -- the next sentence reads, "A statistically  
 17 significant difference in the proportion of subjects with a  
 18 complete response was achieved only for the comparison between  
 19 .3-1 microgram per kilogram and 30-microgram-per-kilogram  
 20 doses (24 percent versus 50 percent, respectively; p equals  
 21 0.047)."  
 22 Do you see that?  
 23 A. I do.  
 24 Q. That second sentence I read, is that consistent with your  
 25 interpretation of the table from Study 2230 before the

United States District Court  
 Trenton, New Jersey

Peck - Redirect

1 interpretations in these type of FDA documents.  
 2 A. I did.  
 3 Q. Do I recall that correctly?  
 4 A. I did.  
 5 Q. What did you mean by that?  
 6 A. Well, you know, this is a -- you know, when a company  
 7 says this clearly shows, clearly, you know, that's a judgment  
 8 call. It is a very positive qualification. It's sort of  
 9 meant to persuade, and it's -- what FDA in advertising calls  
 10 fluff. They permit a little fluff in advertising.  
 11 what they don't permit is submitting data that turns  
 12 out to be fraudulent or incomplete. That's a very serious  
 13 problem. But the way companies represent varies, and it  
 14 varies within the company over time, and we've certainly seen  
 15 this in this case.  
 16 Q. Now, with respect to this same document and this  
 17 interpretation, you testified, I believe, that the FDA makes  
 18 up its own mind.  
 19 Do you recall that?  
 20 A. Well, certainly.  
 21 Q. And what did the FDA decide with respect to Study 2230  
 22 specifically whether it was sufficient to show efficacy of any  
 23 dose?  
 24 A. Well, this isn't the document I think that documents  
 25 that, but in a 1999 meeting minutes with FDA, the FDA clearly

United States District Court  
 Trenton, New Jersey

Peck - Redirect

1 reanalysis?  
 2 A. Yes, it is.  
 3 Q. Okay. Now, with respect to the first sentence, and  
 4 specifically the words, "clinically more effective," Mr.  
 5 Lombardi took you to several Helsinn or Syntex documents where  
 6 they characterized the Phase II data in terms of clearly -- in  
 7 terms of showing efficacy, the words varied.  
 8 Do you recall that?  
 9 A. I do.  
 10 Q. And I believe you said that that was the author's  
 11 interpretation.  
 12 Do you recall that?  
 13 MR. LOMBARDI: And, Your Honor, I object. There's no  
 14 foundation for this witness to testify as to what the author  
 15 was doing. I think he said that during the cross-examination.  
 16 THE COURT: Well, I'll permit latitude on the  
 17 redirect. Overruled as to this.  
 18 BY MR. O'MALLEY:  
 19 Q. Do you recall saying that?  
 20 A. I recall something like that, that representations by  
 21 companies before FDA and various settings vary with respect to  
 22 their championship and, you know, sort of attempt to persuade  
 23 FDA, but it's -- it's just a matter of words.  
 24 Q. Now, you have said, I believe, that there was some

Peck - Redirect

1 said that 2330, you know, would be admissible to the pivotal  
 2 study. The data could be supportive. It didn't say this  
 3 study report, it didn't say these results could be supportive,  
 4 but it referenced the data.  
 5 Q. Now, if we could turn to DTX-0264.0009, and this is the  
 6 table that's attached to the August Consulting letter  
 7 requesting a meeting with the FDA.  
 8 Do you recall that?  
 9 A. I do. Yes, I do.  
 10 Q. Now, you testified in cross-examination something about a  
 11 historical control missing?  
 12 A. Yes.  
 13 Q. Could you please explain what you meant by that.  
 14 A. I will. The term adequate and well controlled means  
 15 that -- and it's very well accepted in the scientific  
 16 community -- that in a randomized, blinded study, you compare  
 17 the main effect of interest with a control group.  
 18 when --  
 19 THE COURT: The main what of interest?  
 20 THE WITNESS: The main effect, so, for example, in  
 21 this case the reduction of nausea and vomiting and rescue  
 22 medicines.  
 23 It must be compared and it must be compared under  
 24 rigorous, statistical conditions. When the comparison group