

1 UNITED STATES PATENT AND TRADEMARK OFFICE
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD

3
4
5 GENOME AND COMPANY

6 Petitioner,

7 v.

8 THE UNIVERSITY OF CHICAGO

9 Patent Owner.

10
11 Case PGR2019-XX

12 U.S. Patent No. 9,855,302 B2

13
14
15
16 DEPOSITION OF JONATHAN BRAUN, M.D., Ph.D.

17 Washington, D.C.

18 June 19, 2019

19
20
21
22
23 Job No. 162380

24 Reported by: Linda S. Kinkade RDR CRR RMR RPR CSR

25

1
2
3
4
5 Wednesday, June 19, 2019
6 9:10 a.m.
7
8
9
10

11 The following is the transcript of the
12 deposition of JONATHAN BRAUN, M.D., Ph.D. held at the
13 offices of Covington & Burling LLP, One CityCenter,
14 850 Tenth Street, NW, Washington, DC 20001, and
15 reported by Linda S. Kinkade, RDR, CRR, RMR, RPR,
16 CSR, and Notary Public within and for the District of
17 Columbia.
18
19
20
21
22
23
24
25

1 APPEARANCES:
2

3 Covington & Burling
4 On Behalf of Patent Owner
5 BY: Scott Kamholz, M.D., Ph.D., Esq.
6 BY: Jennifer Robbins, Ph.D., Esq.
7 850 Tenth Street, NW
8 Washington, DC 20001
9

10
11 Mintz, Levin, Cohn, Ferris, Glovsky and
12 Popeo
13 On Behalf of Petitioner
14 BY: John Bauer, Esq.
15 666 Third Avenue
16 New York, New York 10017
17

18
19 Mintz, Levin, Cohn, Ferris, Glovsky and
20 Popeo
21 On Behalf of Petitioner
22 BY: Kongsik Kim, Esq.
23 One Financial Center
24 Boston, Massachusetts 02111
25

1 INDEX OF EXAMINATION
2

3 EXAMINATION OF JONATHAN BRAUN, M.D., Ph.D. PAGE
4 BY DR. KAMHOLZ 5
5 BY MR. BAUER 90
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 J. BRAUN

2 P R O C E E D I N G S

3 THE REPORTER: Would counsel state their
4 appearances for the record, please.

5 MR. BAUER: John Bauer for In re Genome.

6 MR. KIM: Kongsik Kim from Mintz Levin.

7 DR. KAMHOLZ: Scott Kamholz for Patent
8 Owner, The University of Chicago.

9 MS. ROBBINS: Jennifer Robbins for
10 University of Chicago.

11 JONATHAN BRAUN, M.D., Ph.D.,
12 having been first duly sworn, was thereafter
13 examined and testified as follows:

14 EXAMINATION

15 BY DR. KAMHOLZ:

16 Q. Your name is Jonathan Braun?

17 A. Yes.

18 Q. You reside in or around Los Angeles,
19 California?

20 A. Yes.

21 Q. You recently retired from the University
22 of California at Los Angeles?

23 A. Correct.

24 Q. You're now affiliated with Cedars-Sinai in
25 Los Angeles?

1 J. BRAUN
 2 A. Cedars-Sinai Medical Center.
 3 Q. You understand I'm questioning you about
 4 your expert testimony in the post-grant proceeding
 5 PGR2019-00002, Genome and Company vs. The University
 6 of Chicago?
 7 A. Yes.
 8 DR. KAMHOLZ: I'm handing the witness a
 9 copy of a document previously marked Exhibit 1002 in
 10 this proceeding.
 11 (Exhibit 1002 previously
 12 marked for identification and
 13 referenced herein: Declaration of
 14 Jonathan Braun, M.D., Ph.D. in
 15 Support of Petition for Post Grant
 16 Review of U.S. Patent No. 9,855,302)
 17 Q. This is your expert declaration in this
 18 proceeding?
 19 A. Yes.
 20 Q. There's no reason you can't give truthful
 21 and complete testimony today?
 22 A. That's a double negative. Could you state
 23 that again, please?
 24 Q. There's no reason that you could not give
 25 truthful and complete testimony today?

1 J. BRAUN
 2 understood the question. Understood?
 3 A. Understood.
 4 Q. And you'll wait until I finish asking a
 5 question before you answer it, so the court reporter
 6 can follow us?
 7 A. I will.
 8 Q. Counsel for Petitioner may make
 9 objections, but you must still answer my questions
 10 unless I withdraw the question or if counsel
 11 instructs you not to answer. Understood?
 12 A. I understand.
 13 Q. The Patent Office rules prohibit you from
 14 having conversations about the substance of your
 15 testimony today with anyone other than me once the
 16 deposition has started, and that has already started.
 17 Do you understand?
 18 A. I understand.
 19 Q. You may not talk with counsel for
 20 Petitioner concerning the substance of your testimony
 21 until the deposition is entirely over at the end of
 22 the day. Understood?
 23 A. I understand.
 24 Q. I recommend that during breaks you go
 25 someplace else away from the rest of us until the

1 J. BRAUN
 2 A. No, there's no reason that I cannot give
 3 truthful testimony.
 4 Q. You're an inventor on one or more U.S.
 5 patents?
 6 A. Yes.
 7 Q. But you've never been deposed before in a
 8 patent case?
 9 A. Correct.
 10 Q. And you've never given testimony before in
 11 a patent case?
 12 A. Correct.
 13 Q. You understand that you must answer
 14 questions truthfully and to the best of your ability?
 15 A. Yes.
 16 Q. If you don't understand a question, tell
 17 me, and I'll try to rephrase it. Okay?
 18 A. (Nodding head up and down.)
 19 Q. Okay?
 20 A. Okay.
 21 Q. Please make sure that you speak your
 22 answers so that the court reporter can record them.
 23 Okay?
 24 A. Okay.
 25 Q. If you answer a question, it means you

1 J. BRAUN
 2 deposition is over. That's the easiest way to
 3 comply.
 4 MR. BAUER: Object to that. The witness
 5 is not going to talk to counsel during breaks. He
 6 can be with counsel during his breaks.
 7 Q. Please tell me if you need a break but not
 8 while a question is pending. All right?
 9 A. Okay.
 10 Q. Finally, if a situation arises where the
 11 lawyers need to resolve an issue, I may ask you to
 12 step out of the room until the issue is resolved, and
 13 then we'll invite you back in. Understood?
 14 A. Understood.
 15 Q. All right.
 16 DR. KAMHOLZ: I'm giving the witness a
 17 copy of a document previously marked Exhibit 1004 in
 18 this proceeding.
 19 (Exhibit 1004 previously
 20 marked for identification and
 21 referenced herein: Carcinogenesis
 22 Vol. 18 Singh | Re Bifidobacterium
 23 longum)
 24 Q. Do you recognize this as the Singh paper
 25 you cite?

1 J. BRAUN

2 A. Yes, I do.

3 Q. I'll direct your attention to page 834 of
4 this document, which corresponds to Exhibit 2 -- I'm
5 sorry -- Exhibit page 2 out of 9. That's page 834 of
6 Exhibit 1004. Are you there?

7 A. Yes, I am.

8 Q. Do you see in the right-hand column of
9 that page the heading "Experimental Procedure"?

10 A. Yes.

11 Q. Now Singh fed rats Bifidobacterium longum
12 in their diet, correct?

13 A. I see a statement that groups of animals
14 were fed the modified AIN 76-A diet containing 0 (for
15 controls) 2 percent lyophilized B. longum cultures.

16 Q. Singh fed rats Bifidobacterium longum in
17 their diet.

18 A. I just read what I see.

19 Q. Dr. Braun, Singh fed rats Bifidobacterium
20 longum in their diet, correct?

21 A. Yes.

22 Q. And later he injected them with
23 azoxymethane, abbreviated AOM?

24 A. Yes.

25 Q. In the left-hand column of that page,

1 J. BRAUN

2 about six lines up from the bottom, it says there
3 Singh injected AOM to induce colon tumor genesis,
4 correct?

5 A. Yes.

6 Q. AOM is a carcinogen.

7 A. Correct.

8 Q. AOM goes through a series of chemical
9 changes to produce the ultimate carcinogen, right?

10 A. Probably.

11 Q. AOM is converted to methylazoxymethanol,
12 abbreviated MAM.

13 A. I don't recall the pharmacology of AOM.

14 Q. Turn to page 838 of this exhibit, 1004.
15 That's Exhibit page 6 of 9. In the right-hand
16 column, lines 18 to 20, AOM goes through a series of
17 chemical changes to produce the ultimate carcinogen,
18 correct?

19 A. Yes.

20 Q. AOM is converted to methylazoxymethanol,
21 abbreviated MAM.

22 A. That's what's stated in this document.

23 Q. And AOM is converted ultimately to
24 methylazonium ion, which is referred to as the
25 ultimate carcinogen.

1 J. BRAUN

2 A. That's what's stated in this article -- in
3 this paragraph.

4 Q. Turn back to page 837, please. That's
5 page 5 of 9 of this exhibit. In the right-hand
6 column under the Discussion heading, Singh proposes
7 that Bifidobacterium longum inhibits cecal
8 beta-glucuronidase, correct?

9 A. Yes, I read that they make that statement
10 from a prior paper that they had reported.

11 Q. It's known that beta-glucuronidase
12 bioactivates MAM.

13 A. I don't recall that etymology.

14 Q. Well, you've held yourself out as an
15 expert in this field. Do you know or do you not know
16 that beta-glucuronidase bioactivates MAM?

17 MR. BAUER: Objection.

18 A. That's a particular biochemical detail.

19 Q. Dimethylhydrazine, abbreviated DMH, is a
20 precursor of AOM, correct?

21 A. I don't recall.

22 Q. You hold yourself out as an expert in the
23 field, but you don't know whether dimethylhydrazine
24 is a precursor of AOM?

25 MR. BAUER: Objection, argumentative.

1 J. BRAUN

2 A. There are many carcinogens with sequences
3 of their biochemistry. You're asking me a very
4 narrow factual question. I would need to refresh
5 myself from the literature to confidently answer that
6 question.

7 Q. Do you have any reason to doubt that
8 dimethylhydrazine is a precursor of AOM?

9 A. I don't know. I would have to read --
10 refresh myself on the literature of that
11 pharmacology.

12 Q. Turn back to page 838 of Exhibit 1004.
13 That's Exhibit page 6 of 9. In the left column, the
14 first full paragraph, Singh says the mechanism of
15 Bifidobacterium longum inhibition of AOM-induced
16 colon cancer is not clear, correct?

17 A. Correct.

18 Q. Singh proposes several possible
19 mechanisms, however, correct?

20 A. Correct.

21 Q. For example, Singh proposes pH changes
22 that may affect resident flora.

23 A. That's one stated mechanism in this
24 article.

25 Q. And continuing on to the right column of

1 J. BRAUN

2 that page, around line 10 or so, Singh proposes that
3 a possible mechanism of Bifidobacterium longum
4 inhibition of AOM-induced colon cancer is modulation
5 of microbacterial fecal enzymes that convert the
6 procarcinogen, right?

7 A. Correct.

8 Q. And going down a little further around
9 line 15, Singh proposes as a possible mechanism of
10 Bifidobacterium longum inhibition of AOM-induced
11 colon cancer the cellular uptake of carcinogen
12 metabolites, correct?

13 A. Correct.

14 Q. And continuing down to around line 27,
15 Singh proposes a possible mechanism of
16 Bifidobacterium longum inhibition of AOM-induced
17 colon cancer being that the lactic cultures bind to
18 MAM, thereby minimizing its reabsorption, correct?

19 A. Correct.

20 Q. And continuing down to line 33, Singh
21 proposes as a possible mechanism of Bifidobacterium
22 longum inhibition of AOM-induced colon cancer to be
23 that it affects cytochrome P450 activity, correct?

24 A. Correct.

25 Q. Singh says that all these proposed

1 J. BRAUN

2 mechanisms are possible explanations of his results,
3 right?

4 A. Correct.

5 Q. And Singh says that these antitumor
6 mechanisms are actually anticarcinogen properties,
7 correct?

8 A. Well, the end of that paragraph states
9 that Bifido induces an antitumor effect and plays an
10 important role as an immunomodulator in the
11 intestines.

12 Q. Turn to page 833 of this exhibit, which is
13 page 1 out of 9. In the right column, starting at
14 about line 11, Singh explains that these lactic
15 cultures have been shown to possess anticarcinogenic
16 properties, among other things, correct?

17 A. Correct.

18 Q. Now going back to page 838, which is page
19 6 of 9 of this exhibit, you agreed that Singh
20 identifies a number of several possible mechanisms of
21 Bifidobacterium longum inhibition of AOM-induced
22 colon cancer a moment ago.

23 A. Yes.

24 Q. Each of these mechanisms that we discussed
25 that Singh proposes are not directed against the

1 J. BRAUN

2 tumor themselves, correct?

3 A. Correct.

4 Q. They're directed against the carcinogen.

5 A. No. At least one of them, the last one,
6 cites an immunomodulator role.

7 Q. The possible mechanisms that we discussed
8 are all directed to the carcinogen.

9 A. The examples that you called out before
10 were examples that relate to metabolizing the
11 carcinogen.

12 Q. And Singh does not rule out any of these
13 possible mechanisms, these anticarcinogen properties.

14 A. Correct.

15 Q. Go back to the first page of this exhibit,
16 please, page 1 of 9. In the abstract, the last
17 sentence says, quote, "data suggests that oral
18 administration of probiotic B. longum exerts strong
19 antitumor activity," correct?

20 A. Yes.

21 Q. Singh says that the data suggests this,
22 correct?

23 A. Correct.

24 Q. Please go to Exhibit 1002, your
25 declaration, page 55 of 186. I'm referring to the

1 J. BRAUN

2 page numbers in the lower right-hand corner.

3 In paragraph 122 of your declaration you quote
4 that sentence from Singh's abstract.

5 A. Correct.

6 Q. But you left out the words "data
7 suggests."

8 A. Those are not part of the quote that I
9 used.

10 Q. You left out the words "data suggests."

11 A. Correct.

12 Q. Instead you say, quote, "Singh, et al.
13 showed," unquote, correct?

14 A. Correct.

15 Q. Singh didn't say "showed"; Singh said
16 "suggests."

17 A. It's the normal understanding of readers
18 of such articles that in the peer-review process
19 words like "suggest" are used as a -- as a manner of
20 speaking and not a -- and not a qualifier in the
21 sense that you imply.

22 Q. Singh didn't say "show"; Singh said
23 "suggests."

24 A. Correct.

25 Q. So the declaration deceptively misquotes

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.